

1 BY MR. JAFFE:

2 Q. Dr. Gross told me the other day that the only
3 people who consider it controversial are the people I
4 hired.

5 Are you -- what is your general understanding
6 within our medical community beyond the people that
7 I've hired in this case --

8 MR. CLOWARD: Your Honor, I'm going to --

9 BY MR. JAFFE:

10 Q. -- like Dr. Siegler?

11 MR. CLOWARD: -- I'm going to object and ask
12 to approach about this opinion.

13 THE COURT: Come on up.

14 (Whereupon a brief discussion was
15 held at the bench.)

16 THE COURT: Overruled.

17 MR. JAFFE: Thank you.

18 BY MR. JAFFE:

19 Q. Dr. Schifini, do you remember the question or
20 do you need it restated?

21 A. No, I remember the question.

22 Q. Go ahead.

23 A. The diskograms themselves are controversial
24 procedures. Depending on the way that they're
25 performed, certain physicians in our community and

1 other communities around the country consider them to
2 be controversial tests or tests that should be used in
3 conjunction with other tests, kind of as a piece of the
4 puzzle rather than the entire puzzle.

5 Q. Now, you were critical of the manner in which
6 Dr. Belsky performed the diskogram in this case; is
7 that correct?

8 A. That's correct.

9 Q. Now, she actually did two; isn't that right?

10 A. Three.

11 Q. Three?

12 A. Three levels. Is that you are -- what you're
13 referring to?

14 Q. No, no, no, no. At two different times did
15 diskograms, or did she do it just the one time?

16 A. Just the one time.

17 Q. And that was immediately prior to the plasma
18 disk decompression?

19 A. Yes.

20 Q. Now, you don't do plasma disk decompressions,
21 right?

22 A. No.

23 Q. Are you aware of anybody in the community
24 other than Dr. Muir who does them?

25 A. No.

1 Q. And we -- we've heard that a positive
2 diskography is an essential finding to warrant a plasma
3 disk decompression being performed.

4 Do you -- I'm just telling you that as a
5 preparatory statement. Okay?

6 A. That's my understanding, yes.

7 Q. Now, that would mean, obviously, if there was
8 a negative diskography, you would have to question
9 whether plasma disk decompression is necessary or
10 appropriate.

11 A. Yes.

12 Q. Sir -- and by the way, have you reviewed
13 other cases than this one involving plasma disk
14 decompression and -- performed by Dr. Muir and
15 diskography?

16 MR. CLOWARD: Your Honor, I'm going to object
17 and ask to approach.

18 THE COURT: Come on up.

19 (Whereupon a brief discussion was
20 held at the bench.)

21 MR. JAFFE: Thank you, Your Honor.

22 THE COURT: Overruled.

23 BY MR. JAFFE:

24 Q. Have you reviewed other cases, sir, involving
25 plasma disk decompression performed by Dr. Muir?

1 A. After Dr. Belsky performed the diskogram,
2 yes.

3 Q. Do you routinely see Dr. Belsky as the one
4 performing the diskogram in those circumstances?

5 A. I see them as a team that performs these,
6 with Dr. Belsky performing the diskogram while Dr. Muir
7 waits in what he described as the doctor's lounge at a
8 particular surgery center that they utilize, awaiting
9 the word that he can come in and put the probe through
10 the --

11 Q. We're not talking about the surgery itself.
12 Okay, sir?

13 A. It's not really a surgery.

14 Q. Okay. Sir, let's just -- let's just leave it
15 at that. I just wanted to ask you about whether these
16 two work as a team.

17 A. Yes.

18 MR. CLOWARD: Your Honor, I just move to
19 strike that last comment.

20 MR. JAFFE: I don't --

21 THE COURT: About the probe, yes.

22 Dr. Schifini is not here to talk about the probe and
23 the surgery, so that part of it will be stricken.

24 BY MR. JAFFE:

25 Q. Okay. We're not talking about that

1 procedure. Okay, sir?

2 A. Okay.

3 Q. Now, again, given the fact that the positive
4 diskography is necessary, do you have concerns about
5 the way this diskography was performed to then allow
6 for a positive finding?

7 A. Yes.

8 Q. Okay. How is diskography performed -- let me
9 withdraw.

10 You familiar with -- with ISIS?

11 A. I am, yes.

12 Q. And ISIS is the International Society of --

13 A. Interventional spine physicians.

14 Q. Okay. And does ISIS set forth guidelines by
15 which diskography is to be performed?

16 A. They do.

17 Q. Do you believe that Dr. Belsky complied with
18 the ISIS guidelines?

19 A. No.

20 Q. Why?

21 A. Well, first of all, the ISIS guidelines
22 strongly discourage the use of general anesthetic
23 agents during the performance of procedures which
24 require patient feedback or input. Propofol, the
25 general anesthetic agent or one of the agents that was

1 used in this particular case, has been discussed at
2 ISIS conferences, the most recent one being last June
3 or July here in Las Vegas, as not being recommended for
4 use due to its propensity to induce unconsciousness at
5 any dose.

6 Diskography requires a few different kind of
7 components to -- to be accurate and valid. One is that
8 you measure pressures during the performance of the
9 diskography. The other is that you describe the
10 anatomy of the inside of the disk as you're performing
11 it. As you put dye in there, you're basically
12 describing where the dye went or didn't go and things
13 of that nature.

14 But the most important component of
15 diskography is patient response during the performance
16 of the procedures so that they can tell you whether or
17 not the pain that they may or may not be experiencing
18 is like their pain is different than their pain is in a
19 different location than their usual pain. The
20 performance of the procedure with this type of sedation
21 is unusual is the nicest word I can think of.

22 The other unusual thing about the performance
23 of these procedures is the manner in which Dr. Belsky
24 placed the needles. If you look at her procedure note,
25 her needle placement is a particular size when she puts

1 it in a disk that she considers to be a negative or a
2 normal disk. But it's a much larger size needle
3 to -- to be placed in the disk that she knows somehow
4 is going to be positive.

5 As I've stated before, I've reviewed lots of
6 these cases involving plasma disk decompression
7 preceded by the diskography, and it always seems that
8 the larger needle placements in the disks is always
9 associated with a positive disk, whereas the smaller
10 needles placement is always associated with a negative
11 disk. It's almost as if Dr. Belsky was able to predict
12 the future. The future should be unknown when you're
13 performing diskography.

14 Q. Given the medication used and the larger
15 needle -- well, first off, is the larger needle likely
16 to -- more likely to produce a positive pain response?

17 A. Well, you -- you can imagine placing a larger
18 needle into any structure in your body would produce
19 more pain than putting a smaller structure in your
20 body. So the -- my assumption is, yes. I don't have
21 any study to prove that, but just general knowledge of
22 placing needles in patients, larger ones tend to cause
23 more pain.

24 Q. Do you do diskography?

25 A. I do.

1 Q. Is that part of your practice?

2 A. To put the same size needles in each of the
3 disks that I'm testing, yes. I'm not going to put
4 different size needles in each of the disks.

5 Q. Now, as part of a diskography, is it critical
6 to elicit a pain response consistent with the type of
7 pain that the patient typically experiences?

8 A. Should be exactly like the pain that the
9 patient typically experiences in a very specific
10 pressure range. So measuring pressures during the
11 diskography is very important. Pressures in a
12 particular range validate the study. Pressures outside
13 that range can cause pain in a disk that don't mean
14 anything, because you could cause the pain even in a
15 normal disk at particular pressures.

16 Q. So if we're using a general anesthetic and
17 we're using larger needles, what does that say about
18 the ability to obtain a proper response in order to
19 have an accurate diskography?

20 A. It's questionable, at best.

21 Q. If that's the case, does it raise questions
22 about whether the plaintiff actually did have a
23 positive diskography before undergoing this plasma disk
24 decompression?

25 A. It raises questions in my mind, yes.

1 Q. Is that consistent with the type of -- the
2 manner in which you've seen Dr. Belsky perform this
3 procedure in the other cases?

4 A. Every other time.

5 Q. Every other time?

6 A. Yes.

7 Q. And had it not been positive, then it would
8 raise questions as to the propriety of the plasma disk
9 decompression?

10 A. It would become unnecessary.

11 Q. Okay. Doctor, are there any other issues
12 that you have evaluated and that you have addressed at
13 our request?

14 A. There was some billing issues associated with
15 that office as well.

16 Q. Okay. What are your concerns about billing?

17 A. Dr. Belsky's billing tends to be
18 approximately 50 percent higher than the community
19 standard. Assuming that the procedures she performed
20 were reasonable and related, they -- they would have
21 been 50 percent higher.

22 So I'm not making any judgments as to the
23 reasonableness of those or the necessity of those
24 particular procedures that were performed by her and
25 the bills generated at the time. I was critical of the

1 billing that she performed. She's an anesthesiologist,
2 as I am, and there's a society called the American
3 Society of Anesthesiologists which is associated with
4 something called the ASA is the initials for that. And
5 they set forth billing standards as far as what should
6 be billed and what shouldn't be billed.

7 There are a couple of procedures that she
8 performed in which you use live X ray, and that's why
9 we do them at a surgery center so that we can identify
10 the particular area. It's -- it's perfectly acceptable
11 to bill for that, but billing for the injection of dye
12 associated with that is what we call unbundling. You
13 assume you're going to inject some dye when you perform
14 these. So there was some unnecessary billing
15 associated with -- with that particular portion of the
16 procedures.

17 And then there was an anesthesiologist
18 involved in every single procedure performed by
19 Dr. Belsky who happens to be her husband that is
20 present which if propofol, the general anesthetic
21 agent, wasn't used, that billing would become
22 unnecessary as well.

23 Q. How many times have you heard of a wife
24 accusing their husband of not doing their job right?
25 Other than taking out the trash and emptying the

1 dishwasher.

2 A. Outside of the home, never.

3 Q. Doctor, let's -- let's turn to another area.

4 Did you also address an issue called
5 "secondary gain"?

6 A. I did, yes.

7 Q. As a doctor, is secondary gain something that
8 you're concerned about when people have a case that's
9 in litigation?

10 A. It is.

11 Q. Okay. What is the concept of secondary gain
12 generally?

13 A. Secondary gain, in general, is a person who
14 receives some perceived benefit from acting or
15 portraying themselves in a particular way or they evade
16 or avoid something that would be considered to be bad
17 for them. So you're basically gaining something good
18 or avoiding something bad by acting a particular way or
19 portraying yourself in a particular way. And that may
20 be a conscious thing or it may be unconscious.
21 Sometimes you have no way of telling the difference
22 between the two, whether it's conscious or unconscious.

23 Q. Is secondary gain something that you are
24 concerned about when treating patients involved in
25 litigation?

1 A. I'm concerned about that with -- with a lot
2 of the patients that I see. But until they demonstrate
3 that they actually have some factors associated with
4 it, I don't necessarily label people as having that.

5 But, you know, let's face it, people come to
6 me oftentimes for medication, for injections, for time
7 off work, for things of that nature. So I always have
8 to kind of keep that in the back of my mind, but I
9 don't really label somebody as having concerns for
10 secondary gain until they have demonstrated that there
11 is a potential for that behavior.

12 Q. Do you have any opinions regarding secondary
13 gain in this case?

14 A. I do.

15 Q. What are those?

16 A. I believe there are factors associated with
17 secondary gain other than the medical-legal context of
18 this claim. I mean, that could be said of anybody that
19 files a lawsuit, so I don't necessarily hold that
20 against Ms. Seastrand. But there is that sort of
21 factor associated with secondary gain that is
22 associated with anybody who files a lawsuit.

23 But besides that, the complaints of hers,
24 what we call the subjective complaints, outweighed the
25 objective findings or the testing that was done. She

1 appeared to have minimized or omitted some of the facts
2 regarding her long history of neck and back pain in the
3 past, although she did fill that out on one particular
4 form from a radiology facility, that she'd had back
5 pain for 26 years. She told the police officer that
6 she had a history of neck and back pain from prior
7 accidents. She commented to the chiropractor that a
8 couple of times a week or a month, I think two to four
9 times a month, that she would have neck or back
10 symptoms. But she didn't relay that information to all
11 of the providers involved so that they could take that
12 into consideration when they were offering her
13 treatment.

14 And so for those reasons, I -- I feel she has
15 some secondary gain behavior that was exhibited during
16 my review of the records that I have had the
17 opportunity to explore over the past year or so.

18 Q. Are you in any way implying whether that was
19 intentional or an involuntary act?

20 A. I assume it was an unconscious act, but I
21 don't have any other way. If I knew that it was a
22 conscious act and that there was intent, I would use
23 the word "malingering," but I don't think that applies
24 here because I don't think that intention has been
25 exhibited or documented.

1 Q. Okay. Doctor, have all the opinions you've
2 stated today been to a reasonable degree of medical
3 probability as a board-certified anesthesiologist
4 subspecializing in pain management?

5 A. Yes.

6 Q. Thank you.

7 MR. JAFFE: I have no further questions, Your
8 Honor.

9 THE COURT: Folks, we're going to take a
10 little bit of a late lunch today so we can try to get
11 through Dr. Schifini's testimony. So I'll still give
12 you a lunch. Don't worry about that. Just take it a
13 little bit later.

14 Go ahead and cross, Mr. Cloward.

15 MR. CLOWARD: Judge, same thing, can I have a
16 minute to set up?

17 THE COURT: Yep.

18

19 CROSS-EXAMINATION

20 BY MR. CLOWARD:

21 Q. How you doing today, Doctor?

22 A. I'm doing well. How are you doing?

23 Q. Nice to see you.

24 A. Nice to see you as well.

25 Q. Now, I just want to point out when you wrote

1 your report, you weren't given the records from
2 Dr. Muir -- or, excuse me, Dr. Lurie and Primary Care
3 Consultants, correct?

4 A. Yes, initially I was not given those.

5 Q. And you -- you still authored a report,
6 though, right?

7 A. I did, yes.

8 Q. Okay. And you had no control over what
9 records you were given, correct?

10 A. That's correct.

11 Q. All right. And when Mr. Jaffe was talking
12 about the disks and things changing after a surgery,
13 you in fact examine people even after surgeries, right?

14 A. I do, yes.

15 Q. Okay. And that's helpful to you, right?

16 A. It can be, yes.

17 Q. Sure. Okay. Now, I just want to get a
18 couple of things real quick.

19 MR. CLOWARD: Judge, can we turn on the TV?

20 THE COURT: You want the ELMO? What do you
21 want?

22 MR. CLOWARD: The ELMO, yes, please.

23 BY MR. CLOWARD:

24 Q. Just want to see if we can agree on a couple
25 of things, Doctor --

1 A. Okay.

2 Q. -- based on your prior testimony. We're just
3 going to go through these.

4 MR. JAFFE: Your Honor, I think it's
5 inappropriate to show this before the doctor's
6 testified.

7 MR. CLOWARD: The objection was -- last time,
8 it was that I showed it to him after.

9 THE COURT: I'm going to allow it. It's
10 demonstrative. Go ahead.

11 BY MR. CLOWARD:

12 Q. Doctor, you've previously testified that
13 people can get hurt from some things like picking up a
14 pencil, correct?

15 A. Yes. I've had workers' comp patients, like
16 school teachers picking up a pencil, that have gotten
17 injured.

18 Q. Okay. You previously testified that people
19 get hurt from low-speed crashes, correct?

20 A. They can, yes.

21 Q. You previously testified that knowing the
22 speed of the vehicles at the time of the impact means
23 nothing to you because injuries can occur at any speed
24 as you have learned, correct?

25 A. I have testified to that earlier or

1 previously, yes.

2 Q. And you agree that property damage does not
3 determine whether a person is injured, true?

4 A. True.

5 Q. You agree that when there's minor property
6 damage, someone can still be hurt, true?

7 A. Yes.

8 Q. You agree that you can have major property
9 damage and no injury, true?

10 A. Yes.

11 Q. You agree that you can have minor property
12 damage and major injury, true?

13 A. Yes.

14 Q. Regarding MRIs, you previously testified that
15 an MRI will only show trauma when it's serious, true?

16 A. Yes, like broken bones and blood I think is
17 what I've said in the past.

18 Q. Right. So we'll just mark those ones off.

19 A. I wasn't reading ahead.

20 Q. No. Doctor, I appreciate it. Thank you.

21 Because that's basically -- you know, you have
22 testified to that, right?

23 A. Yes.

24 Q. Okay. You also testified that the neck --
25 the neck is complex and small findings can cause large

1 problems.

2 A. Yeah. I think I was speaking in a case that
3 I -- I think I recall where a small disk protrusion may
4 cause a larger issue in a particular area because of
5 the whole or the -- the canal in the spine -- in the
6 cervical spine is smaller.

7 Q. Sure. And like when people do surgeries,
8 doctors do surgeries, you know, even a little mistake
9 can have serious consequences, right?

10 A. Sure.

11 Q. Okay. Doctor, you've previously testified
12 that spine surgery is beyond your level of expertise,
13 true?

14 A. The actual performance of spine surgery, yes.

15 Q. And, Doctor, you have previously testified
16 that it's bad science to pick and choose one or two
17 records and only focus on those, true?

18 A. True.

19 Q. Doctor, and this is a -- the definition that
20 you just gave is basically the same thing. Secondary
21 gain is -- is that people do certain things for a
22 secondary benefit, right?

23 A. Yes.

24 Q. Like drugs?

25 A. Yes. Attention, money.

1 Q. You agree with those?

2 A. Yes.

3 Q. Okay. And you've previously testified that
4 you never once called a biomechanical engineer to tell
5 you whether someone was really hurt, correct?

6 A. That's correct.

7 Q. And you previously testified that if the
8 injury is just a sprain or strain, physical therapy
9 should fix it.

10 A. It should, yes.

11 Q. Okay. Now, Doctor, I want to just go over a
12 couple things, and then I'm going to get to this
13 illustration here.

14 I saw before the deposition, you were meeting
15 with Mr. Jaffe in the conference room, correct?

16 A. Yes.

17 Q. Did Mr. Jaffe tell you what Dr. Siegler
18 testified to?

19 A. He did not.

20 Q. Did he tell you what Dr. Smith testified to?

21 A. Is Dr. Smith the --

22 Q. Biomechanical --

23 A. -- biomechanical guy?

24 Q. Correct.

25 A. He said he had testified yesterday or earlier

1 in the week or something like that and that Dr. Siegler
2 had just got done, and I saw Dr. Siegler outside. I
3 know him.

4 Q. Did he tell you what Dr. Smith specifically
5 said?

6 A. No. He said something -- not specific, but
7 said something about a disk herniation, and what's my
8 definition of a disk herniation. He kind of wanted to
9 know that.

10 Q. Wanted to make sure you guys were on the same
11 page?

12 A. I guess.

13 Q. Let me show you this spine model here,
14 Doctor, if I may.

15 MR. CLOWARD: Your Honor, may I grab this?

16 THE COURT: Sure.

17 MR. CLOWARD: Thanks. May I approach the
18 witness?

19 THE COURT: You may.

20 BY MR. CLOWARD:

21 Q. Actually, Dr. Siegler -- or I mean
22 Dr. Schifini, would you mind coming down for just a
23 moment?

24 A. Sure.

25 Q. Could you just tell the jurors, just briefly,

1 what is the anatomy here?

2 A. Okay. Just in the low back?

3 Q. Just -- well, yeah, and let's focus on the
4 low back.

5 A. All right. So basically just a little
6 anatomy lesson. The white things here are the bones or
7 the vertebrae. The rubbery things in between them that
8 kind of function as a shock absorber or a cushion are
9 the disks. The structures behind the disks that you
10 see sticking out here are the nerve. Now, nerves are
11 about 3 or 4 feet long. We just cut them off here for
12 convenience sake so they don't have a whole bunch of
13 spaghetti stuff hanging around.

14 On the back of the spine, you will have these
15 little sort of spaces in between the bones here and
16 here, and you got them on the other side. Those are
17 your facet joints that I was trying to describe.

18 Q. Those are like the knuckle joints?

19 A. Yeah. That was what I was trying to describe
20 earlier. It allows you to twist your spine and get in
21 all sorts of weird pretzel-type positions.

22 The red thing over here is supposed to
23 represent a large disk herniation. It's red to
24 represent that it's inflamed. It's also red so that
25 you can see it from across the room or from space if

1 you wanted.

2 So then we get down into the pelvis. So once
3 you get into the pelvis, you have the tailbone here
4 which is this triangular-shaped bone, and then you have
5 these bones here that a lot of people call their hip
6 bones, kind of your waist area. And then you have your
7 actual hip bones that come out here and go down into
8 your legs.

9 Q. Thank you. I appreciate it.

10 A. Sure.

11 Q. Now, just one question while I've got you
12 here: Which one is harder, you know, like, you know,
13 harder, this disk right here or the vertebrae generally
14 speaking?

15 A. Well, in general, the disks are softer than
16 the bones are, but they are attached to each other,
17 kind of there's some connective tissue, kind of like a
18 super glue, but they're connected to each other. So
19 oftentimes if one of these gets seriously injured, you
20 can actually injure or damage a bone as well. But in
21 general, the bone is harder, much harder than the disk.

22 Q. Much harder.

23 A. Much harder.

24 Q. Thank you, Doctor. Appreciate it.

25 Doctor, if you rupture a disk in a car crash,

1 do you also have to fracture the adjacent bone?

2 A. You may have some microfractures in that
3 bone. It may not be an acute fracture. But in order
4 to see that, you would have to obtain an MRI much
5 earlier in order to have a disk herniation to see that
6 fracture in the bone with the -- the serious things
7 that we talked about on your list there with the bony
8 fracture or blood we were talking about being serious.
9 But that's -- it can be associated with a disk
10 herniation, but very rarely are MRIs ordered in that
11 first 72 hours where you would see evidence of that.

12 Q. Okay. But the question is: If you rupture a
13 disk from a car crash, do you also have to have
14 fracture at the adjacent bone? Every time.

15 A. I would have to know what you meant by
16 "rupture." Are you talking about a disk herniation
17 or -- or is it --

18 Q. Internal disk disruption.

19 A. You don't have to fracture a bone with
20 internal disk disruption.

21 Q. Okay. Now, Doctor, you were asked a little
22 bit about your opinions of, you know, what -- what
23 treatments you felt were reasonable in this case.

24 A. Yes.

25 Q. And which ones were related to the automobile

1 crash, which ones were maybe just because of her age,
2 right?

3 A. Right.

4 Q. So the first thing is: Does degeneration
5 occur in every human being?

6 A. Every human being. The way I describe it to
7 my patients in the office, it's sort of like getting
8 gray hair or wrinkles. It happens as you get older.
9 It doesn't necessarily hurt.

10 Q. Kind of like my hair falls out?

11 A. I didn't want to mention that. Yes.

12 Q. I appreciate it, Doctor. My four year old
13 reminds me of it all the time.

14 A. Okay.

15 Q. So, Doctor, I do have a question, though:
16 You know, some other folks have testified that most
17 people around 50 have degenerative findings in their
18 spine. About 85 percent is what was testified to.

19 A. I think that's a reasonable number. I mean,
20 you can see it much earlier than that. But I think if
21 you pick the age of 50, the great majority of people,
22 that would be about five out of the six people would
23 have some degenerative findings in their spine.

24 Q. And do five or six of those folks end up
25 having fusions?

1 A. No.

2 Q. Okay. What percentage of -- of people with
3 degenerative findings actually have to have a fusion?

4 A. Well, if they're over 50, 85 percent of the
5 people who have fusions have degenerative findings.

6 But I don't know the percentage of that subset of the
7 population that actually go on to have fusions.

8 Q. So do you think it's high, over 50 percent?

9 A. No.

10 Q. So it's less likely that someone with
11 degenerative findings just because they have them are
12 going to have to have a fusion.

13 A. It's kind of a strange question, but yes, I
14 would agree with that.

15 Q. Thank you.

16 So, Doctor, go with me, for her -- what this
17 is, just so you can see -- I don't know if you can see
18 this or not. But I am interested to know what you were
19 provided regarding Ms. Seastrand's playing field, if
20 you would, or her baseline before the crash. Okay?
21 So, you know, what restrictions she had, what
22 activities of daily living, things of that nature.

23 So based just on the records before, what are
24 you aware of her restrictions?

25 A. I'm not aware of any.

1 Q. Okay. What about problems with activities of
2 daily living?

3 A. Well, she reported some chest pain associated
4 with some activity, ultimately had a test by her
5 cardiologist. So I would assume she had some exercise
6 tolerance issues, but that was more related to her
7 heart than her spine.

8 Q. Sure. So you -- your opinion on that is that
9 those -- that was related to her heart not to her
10 spine.

11 A. That seemed to be the conclusion of the
12 cardiologist.

13 Q. Seemed to be a reasonable conclusion, right?

14 A. Sure.

15 Q. And I want to -- I'm sorry. That was kind of
16 a bad first question segue into that.

17 So the question that I have is just relating
18 to the spine, to her cervical spine and her lumbar
19 spine because that's really kind of why we're here.

20 So what activities of daily living were
21 impacted based on her spine, you know, her cervical
22 spine and lumbar spine?

23 A. And we're specifically talking about before
24 the --

25 Q. Correct.

1 A. -- the March 19th or March 13th, 2009, crash?

2 Q. Correct.

3 A. I'm not aware of any.

4 Q. Okay. Doctor, do you know what her -- and,
5 again, focused just on the neck and the low back, do
6 you know what her pain levels were and the frequency of
7 the pain for her neck and her back before the crash?
8 Based on records you reviewed before the crash.

9 A. Before, I don't know.

10 Q. Okay. And when you authored your initial
11 report, you were not provided with Dr. Lurie, his
12 report for neck and back, correct?

13 A. That is correct.

14 Q. Okay. Now, this question here, the third one
15 is: What -- what is your understanding of the medical
16 treatment that Ms. Seastrand received where the chief
17 complaint prior to the crash was for her neck or her
18 back?

19 A. I'm not aware of any that her chief complaint
20 was for the neck or for the back.

21 Q. Okay. Now, Doctor, are you aware of what her
22 restrictions were or her activities of daily living
23 were after the crash?

24 A. She claimed that they were limited, and,
25 again, because of what we referred to earlier with the

1 cardiologist, some of the exercise tolerance was
2 probably due to some cardiac condition. But she had
3 decreased level of activity following the accident.

4 Q. Okay. So decreased activity. Anything else,
5 Doctor, that you're aware of?

6 A. Nothing specific that jumps out at me. I
7 know she claimed that her activity level was affected
8 in a negative fashion by the motor vehicle accident.

9 Q. Sure. Are you aware that Ms. Seastrand as
10 part of this case identified about 100 witnesses to
11 talk about her condition before and after? And I
12 believe of those, I think 12 or 15 were actually
13 deposed. Were you aware of that?

14 A. I know I read a couple of depositions I
15 believe from what appeared to be witnesses. But I
16 don't -- I didn't know there were 12 or 15 that were
17 deposed, no.

18 Q. So you weren't provided those, were you,
19 Doctor?

20 A. Not all of them, no.

21 Q. Sure. And you probably would have liked to
22 have seen those, hadn't you?

23 MR. JAFFE: Objection, Your Honor. May we
24 approach?

25 THE COURT: Sure.

1 (Whereupon a brief discussion was
2 held at the bench.)

3 THE COURT: Overruled.

4 BY MR. CLOWARD:

5 Q. Doctor, you talked about how your role kind
6 of expanded as the case progressed, right?

7 A. Yes.

8 Q. And you gave some causation opinions as you
9 sit there on the stand, right?

10 A. Yes.

11 Q. Okay. You would agree with me that the
12 opinions that you gave were not based on all of the
13 evidence.

14 MR. JAFFE: Objection. Misstates testimony,
15 Your Honor. It's --

16 MR. CLOWARD: I asked him to -- I asked the
17 doctor to agree whether that was a true statement or
18 not.

19 THE COURT: I don't know that anybody knows
20 what all of the evidence is, so ...

21 MR. CLOWARD: Okay. I'll lay some
22 foundation.

23 THE COURT: You better just ask it a
24 different way.

25 MR. CLOWARD: No problem.

1 BY MR. CLOWARD:

2 Q. How many of these before-and-after
3 depositions have you actually reviewed?

4 A. I want to say 1 or 2 of the -- what you've
5 totaled 12 or 15.

6 Q. Okay. So you haven't seen maybe 11 or 14,
7 whatever the numbers are, of the depositions, right?

8 A. Yes, I think that's a fair statement.

9 Q. Okay. You -- you never met with
10 Ms. Seastrand.

11 A. I have not.

12 Q. As a matter of fact, you've never even talked
13 to her.

14 A. Not that I -- I'm aware of. Not on purpose.

15 Q. Sure. Never examined her.

16 A. No.

17 Q. Okay. So there's -- there's some evidence
18 that you have not had a chance to evaluate.

19 You agree with me?

20 A. I think that's fair.

21 Q. Okay. So it's just a simple question: You
22 agree that your causation opinion is not based on the
23 entirety of the evidence in the case.

24 A. Yes.

25 Q. Thank you.

1 Okay. So, Doctor, on the restrictions and
2 the activities of daily living, fair to say you're only
3 aware of decreased activity.

4 A. Yes.

5 Q. Okay. Did you -- did you get any information
6 from the depositions that you actually did review, the
7 two that were -- the only two that were provided to
8 you?

9 A. I believe that there was some corroboration
10 of a decreased level of activity in reference to
11 Ms. Seastrand as it related to her accident and the
12 injuries following the accident.

13 Q. So the before-and-after witnesses actually
14 corroborated what Ms. Seastrand -- what the records
15 showed.

16 A. Yes. But I will have to qualify that. I
17 don't know or recall if they were referring to
18 specifically immediately following the accident or
19 following her surgeries.

20 Q. Okay. But they noted differences?

21 A. Yeah, I believe there were differences noted
22 is my recollection.

23 Q. Sure. Would those folks who gave that
24 testimony, would they also have secondary gain?

25 A. Depending on their relationship with her,

1 they could.

2 Q. What kind of relationship would they -- would
3 they have? Like, would it be like maybe her neighbor
4 or --

5 A. If they're friend or family, they may want to
6 see her do well. I don't know what their motivations
7 are. I don't know those people.

8 Q. Okay. Do you have any indication that the --
9 that the two people that you reviewed their deposition
10 were lying?

11 A. Well, I mean, if we're referring to people
12 who know Ms. Seastrand, we have to refer to people who
13 know the people who know Ms. Seastrand, and I don't
14 know where it would actually end up. And to -- to say
15 that the person who is -- is testifying on her behalf
16 is credible, we'd have to interview people who know
17 them and then interview people who know them, and I
18 don't know where that would end.

19 Q. Sure. Based on your review of the deposition
20 itself, was there any evidence that that person was
21 lying?

22 A. No.

23 Q. Okay. And did you review Ms. Seastrand's
24 deposition?

25 A. Yes.

1 Q. Based on her deposition, is it your testimony
2 that she lied during the deposition?

3 MR. JAFFE: Your Honor, objection. Calls
4 for -- it's argumentative. It's outside the scope.

5 THE COURT: Overruled.

6 THE WITNESS: I'm not aware that she was
7 lying during her deposition testimony, no.

8 BY MR. CLOWARD:

9 Q. Okay. So this secondary gain that you've
10 talked about, do you believe that it was intentional?

11 A. No.

12 Q. So it was unintentional behavior on her part.

13 A. Unintentional, unconscious, something of that
14 nature, yes.

15 Q. Okay. So unconscious, meaning she's not --
16 if there is some sort of sinister behavior, it's --
17 she's not meaning to do it.

18 A. Or that she's unaware that she's doing it,
19 yes, I would agree.

20 Q. Okay. Thanks, Doctor.

21 Now, can you tell me what the average pain
22 level and frequency for her neck and back were after
23 the crash.

24 A. I don't know the specific numbers without
25 referring to the records. I didn't memorize those.

1 Q. And I'm not asking for a specific one in
2 particular. I'm asking for like an average.

3 A. The numbers I -- I saw were most commonly
4 described as 8 out of 10.

5 Q. Okay. Doctor, do you know how many visits
6 that she had after the March 2009 MVA, just an
7 approximate number, for her neck and for her low back?

8 A. With one provider or --

9 Q. All of them.

10 A. -- just the totality of them?

11 I'm assuming there were 50 to 100.

12 Q. That you were provided.

13 A. There may have been more. I've never really
14 looked at them in that fashion to total them up. But
15 there was -- there was lots of visits.

16 Q. Okay. Thank you, Doctor.

17 Now, can you state to a reasonable degree of
18 medical probability on a more likely than not standard
19 that without this crash, Ms. Seastrand would have gone
20 on to have a neck and a low back fusion?

21 A. I can't say that to a reasonable degree of
22 medical probability.

23 Q. Thank you. Appreciate that.

24 Doctor, Ms. Seastrand did well after the
25 surgeries, right?

1 A. Yes.

2 Q. And I understand that you disagree with the
3 way that Ms. -- or that Dr. Belsky -- I understand,
4 Doctor, that you disagree with the way that -- that
5 Dr. Belsky performed the injections, right?

6 A. Correct.

7 Q. That's kind of the main reason why you're
8 here. Would you agree with that? One of the main
9 reasons?

10 A. I guess one of the main reasons.

11 Q. The question that I have is: Are you saying
12 that you must have injections in order to diagnose a
13 pain generator?

14 A. I don't know if I would say "must have."
15 Some pain generators are very obvious and you can
16 diagnose them without injections. But more often than
17 not, injections are required to diagnose a pain
18 generator in the spine.

19 Q. It's quite helpful, isn't it?

20 A. It is.

21 Q. But it's not required.

22 A. Required I don't think applies.

23 Q. Okay. And, Doctor, you would agree with me
24 that a physician who treats Ms. Seastrand has
25 information available to them that is not available to

1 you.

2 A. Well, that's an opinion that has evolved over
3 time, and I would say that the reverse could be said,
4 that somebody who does a forensic review has
5 information that the treating physician doesn't have.
6 So I would say that's true in general, but it's also
7 true in the opposite fashion.

8 Q. Kind of a qualified response. In some
9 circumstances, yes; in some circumstances, no.

10 A. I think that's fair, yes.

11 Q. Okay. And you would agree with me that in
12 Ms. Seastrand's case, at least, her doctors were able
13 to physically examine her where as you were not.

14 A. I think that's fair, yes.

15 Q. Okay. Now, Mr. Jaffe talked to the folks
16 about injections that are given to -- to people who are
17 pregnant, women who are pregnant. And can you just
18 tell us the amount of medication that is injected for
19 that type of an epidural versus the amount that's
20 injected in a facet injection.

21 A. So you want me to compare a labor epidural
22 with a facet injection or a labor epidural with an
23 epidural that was performed in this particular case?

24 Q. Just a facet injection in general.

25 A. Typically -- and, again, I don't know the

1 specific volumes that Dr. Belsky used, but typically a
2 lumbar facet -- I'll be more specific because that's
3 the area of the body that it was performed, so low back
4 facet injection. The amount of volume would be less
5 than 2 cc's or 2 milliliters. The amount of volume
6 used in a typical labor epidural is somewhere between
7 10 and 20 cc's. So it's about five to ten times more
8 depending on where the woman is in the process of her
9 labor.

10 Q. So it's a lot more medication.

11 A. It is a lot more, yes.

12 Q. Thank you.

13 And, Doctor, you -- you perform diskograms
14 yourself, right?

15 A. I do, yes.

16 Q. So if performed properly, there's no
17 controversy.

18 A. Well, there's still going to be controversy,
19 but you want to minimize the controversy by performing
20 them based on standard guidelines.

21 Q. Okay. But you agree that you perform them
22 yourself.

23 A. I do, yes.

24 Q. Let me ask a question: Did Dr. Belsky commit
25 malpractice?

1 A. No.

2 Q. Did Dr. Muir commit malpractice?

3 A. No.

4 Q. Do you believe that Dr. Belsky intentionally

5 put things into the records for the purposes of this

6 lawsuit?

7 A. No.

8 Q. And you certainly -- after reviewing this

9 case, you didn't report her to the board, did you?

10 A. No.

11 Q. You didn't -- you didn't call ISIS and -- and

12 tell them about her, did you?

13 A. No.

14 Q. Okay. Now, Doctor, you and I have been on

15 numerous cases.

16 A. Yes.

17 Q. You agree with me, Doctor, that you have been

18 critical of Dr. Lanzkowsky.

19 A. Yes.

20 Q. Dr. Prater.

21 A. Yes.

22 Q. Dr. Rosler.

23 A. Yes.

24 Q. Dr. Kidwell.

25 A. Yes.

1 Q. Dr. Sharma.

2 A. Yes.

3 Q. Dr. Leon.

4 A. Yes.

5 Q. Dr. Lemper.

6 A. Yes.

7 Q. Dr. McKenna.

8 A. Yes.

9 Q. Dr. Ghuman.

10 A. Yes.

11 Q. Dr. Siegler.

12 A. Yes.

13 Q. Dr. Tarquino.

14 A. Yes.

15 Q. Thank you.

16 MR. CLOWARD: No further questions.

17 THE COURT: Redirect.

18

19 REDIRECT EXAMINATION

20 BY MR. JAFFE:

21 Q. Were you critical of all those doctors in
22 everything they do or just specific isolated incidents?

23 A. No, specific isolated incidents similar to
24 what I have been critical of in this case.

25 Q. Okay. Now, counsel asked you if you could

1 say that she would have had this surgery irrespective
2 to a reasonable degree of medical probability.

3 Do you remember that, sir?

4 A. Yes.

5 Q. Do you believe that this accident caused the
6 need for that surgery?

7 A. No.

8 Q. Do you believe that this accident caused any
9 more than a sprain or a strain?

10 A. No.

11 Q. Is that to a reasonable degree of medical
12 probability?

13 A. Yes.

14 Q. When looking at secondary gain, while it may
15 be intentional, unintentional, whatever, is it still
16 misinformation?

17 A. It's still secondary gain behavior regardless
18 of if it's intentional or unintentional.

19 Q. With respect to before-and-after witnesses,
20 sir, were they necessary for you to evaluate the
21 performance of work done by Dr. Belsky?

22 A. No.

23 Q. That's what you were hired for originally,
24 right?

25 A. Yes.

1 Q. That turned into whether -- the nature and
2 extent of her injury; is that right?

3 A. Yes.

4 Q. Anything beyond that?

5 A. No.

6 Q. The records that you saw, were there any that
7 you didn't see that you believe would have been
8 beneficial or helpful for you to evaluate those issues?

9 A. No.

10 Q. Have you been provided with the other records
11 since so that you had that opportunity?

12 A. Yes. And your office was kind enough to
13 provide those to me when that became necessary, when my
14 focus expanded based on the reports that were generated
15 by some of the other experts in this case.

16 Q. Okay. And when you first wrote your report
17 on -- dealing with Dr. Belsky's issue, was Dr. Lurie's
18 record necessary for that?

19 A. No.

20 Q. When you became further involved, then were
21 you provided with it?

22 A. I was, yes.

23 Q. And then for the expanded issues, you relied
24 on it and reviewed it?

25 A. Yes.

1 Q. Okay. Let's talk for a minute about disk and
2 bone injuries from a car accident. Okay?

3 A. Yes.

4 Q. Now, if you were going to be inclined to
5 determine whether a disk injury in a car accident, such
6 as a rupture which was -- or a herniation which was
7 discussed yesterday, would involve bony -- include bony
8 involvement, what would be necessary to make that
9 determination?

10 A. Well, first of all, you would have to have an
11 MRI and/or a CAT scan obtained within the first
12 72 hours of the actual injury. So you would have to
13 see that injury in the window of -- of opportunity that
14 you would have to see that. And if you saw it, you
15 would know that particular accident had caused that
16 particular injury on a more likely than not basis.

17 Q. Okay. And even if there were microscopic
18 fractures of that nature, that's what you would need is
19 the CAT scan or the MRI to show that?

20 A. Yes.

21 Q. Now, can we agree that a -- an MRI is an
22 expensive procedure?

23 A. It is, yes.

24 Q. Do you jump right into taking MRIs when
25 patients have been involved in a car accident?

1 A. Not usually, unless there's something
2 worrisome or concerning to the physician evaluating the
3 patient, meaning that there's some neurological sort of
4 deficit, meaning your arm doesn't work, you have, you
5 know, shooting pain down your leg, or something of that
6 nature. If there's no sort of signs of impending doom,
7 there's really no reason to order an MRI early on in
8 the course.

9 Q. Typically is it several months down the line
10 when you see MRIs?

11 A. Typically I see MRIs ordered somewhere
12 between about four and seven months following an onset
13 of an injury. Because before then, you're trying to
14 see if more conservative sort of treatment will help.
15 So it becomes unnecessary.

16 I mean, there was just a big study done
17 regarding family practitioners, that they're the
18 biggest offenders of ordering -- early ordering of MRI
19 studies and -- and how that -- that raises the cost of
20 medicine unnecessarily.

21 Q. Okay. Now, would you agree with me that
22 there are research institutes and groups that have in
23 the past studied the effects of car accidents on the
24 various aspects of the spine?

25 A. Yes. I mean, that's how we get safety

1 factors built into cars from people studying these
2 sorts of things.

3 Q. Such as a biomechanical engineer who also has
4 training in medicine and -- and is board certified
5 in -- in medical fields.

6 A. Sure. That would be an ideal person to do
7 that study.

8 Q. And if they're going to be doing that kind of
9 a study, they're likely going to be having those sorts
10 of tests done immediately afterwards, like the MRI or
11 the CAT scan, to see what is done -- what happens to
12 the spine, right?

13 A. Yeah. They do them much earlier than people
14 who are not involved in research involving those
15 particular, I guess, findings.

16 Q. So if you're just examining somebody, you
17 can't tell if they've had a microfracture in the spine;
18 is that right?

19 A. No.

20 Q. In fact, the disk is connected to the actual
21 vertebral bodies, that bone, right?

22 A. That's correct, the disk is connected to the
23 bone. And so if you have some sort of herniation or
24 disk disruption, you may actually have some
25 microfractures that show up. You may have what most

1 people refer to as kind of a bone bruise or something
2 called Modic changes, which is a sign of chronic
3 inflammation or it can be acute inflammation in the
4 surface of the bone.

5 Q. If you have a microfracture in a bone,
6 typically how long does that take to heal?

7 A. Somewhere between probably a few weeks to
8 maybe six weeks.

9 Q. Well before you would likely get an MRI after
10 a car accident.

11 A. Yes.

12 MR. JAFFE: Nothing further. Thank you.

13 THE COURT: Mr. Cloward.

14

15 RECROSS-EXAMINATION

16 BY MR. CLOWARD:

17 Q. Doctor, if you were going to rely on a study
18 or an expert, you know, board-certified expert, would
19 you want the research, his experience to be recent?

20 MR. JAFFE: Objection, Your Honor. It's
21 broad, scope, very vague.

22 THE COURT: It was vague, but I think he can
23 answer it. Overruled.

24 THE WITNESS: I suppose you'd want it to be
25 as recent -- depends on the situation. But recent

1 experience is probably preferable. But there's also
2 some benefit in -- in time and wisdom that comes along
3 with time and participation in particular activities.
4 So it's kind of hard to answer that.

5 BY MR. CLOWARD:

6 Q. Sure. Things have certainly changed since
7 1993, haven't they?

8 A. I would say yes.

9 Q. And you would want opinions that were relied
10 on to not be biased, right?

11 A. Yes.

12 Q. All of those doctors that I listed off
13 before -- Dr. Lanzkowsky, Dr. Prater, Dr. Rosler,
14 Dr. Kidwell, Dr. Sharma, Dr. Leon, Dr. Lemper,
15 Dr. McKenna, Dr. Ghuman, Dr. Siegler, Dr. Tarquino --
16 those are all pain management doctors like you, right?

17 A. Yes.

18 Q. Those are your competitors, right?

19 A. I guess.

20 Q. Doctor, you gave -- you gave causation
21 opinions in your very first report, right?

22 A. Yes.

23 Q. And that was without the additional records
24 before you were asked to expand your opinions, right?

25 A. Yes. I gave opinions on what I had but

1 outlined what I was missing. So it wasn't like I
2 recognized that -- that I wasn't missing something. So
3 I -- I outlined what I had and what I wasn't provided
4 with.

5 Q. Sure. But you did give causation opinions.

6 A. Yes.

7 Q. Let me ask a question about the secondary
8 gain. Final question I have.

9 A. Okay.

10 MR. JAFFE: Objection. Beyond the scope
11 of -- oh, no, that's not -- I did go into it on
12 redirect. I apologize.

13 BY MR. CLOWARD:

14 Q. Does Mr. Khoury have secondary gain?

15 A. I guess it could be said that he'd like to
16 keep his insurance rates down, I suppose.

17 MR. CLOWARD: Thanks.

18

19 FURTHER REDIRECT EXAMINATION

20 BY MR. JAFFE:

21 Q. Is Dr. Michael Modic's study still considered
22 good medicine?

23 A. Yes.

24 Q. That was in 1985, right?

25 A. Well, when you name something after someone,

1 it was good medicine.

2 Q. That was a 1985 study, right?

3 A. I believe so.

4 MR. JAFFE: Nothing further.

5 THE COURT: You done, Mr. Jaffe?

6 MR. JAFFE: Yes, sir.

7 THE COURT: Mr. Cloward. Mr. Cloward.

8 Sorry.

9 MR. CLOWARD: No, Judge. Or yes, I'm done.

10 THE COURT: Yes, you're done?

11 Ladies and gentlemen, any questions? I don't
12 see any hands.

13 Thank you, Doctor.

14 THE WITNESS: Thank you.

15 THE COURT: All right. Folks, let's go ahead
16 and take our lunch break. We're a half-hour late, but
17 I'll still give you an hour.

18 During our break, you're instructed not to
19 talk with each other or with anyone else, about any
20 subject or issue connected with this trial. You are
21 not to read, watch, or listen to any report of or
22 commentary on the trial by any person connected with
23 this case or by any medium of information, including,
24 without limitation, newspapers, television, the
25 Internet, or radio. You are not to conduct any

1 research on your own, which means you cannot talk with
2 others, Tweet others, text others, Google issues, or
3 conduct any other kind of book or computer research
4 with regard to any issue, party, witness, or attorney,
5 involved in this case. You're not to form or express
6 any opinion on any subject connected with this trial
7 until the case is finally submitted to you.

8 Go ahead and take an hour. We'll see you
9 back about 1:30.

10 THE BAILIFF: All rise.

11 (Whereupon jury exited the courtroom.)

12 THE COURT: Anything outside the presence,
13 Counsel?

14 MR. JAFFE: Nothing, sir.

15 You know, one thing. Yesterday, I did say I
16 was going to have a Rule 50(B) motion. I don't believe
17 I'm going to, sir.

18 THE COURT: Okay.

19 Mr. Cloward, anything?

20 MR. CLOWARD: No.

21 THE COURT: All right. Off the record.

22 (A lunch recess was taken.)

23 THE COURT: All right. Back on the record,
24 Case No. 636515. We're outside the presence of the
25 jury.

1 Mr. Cloward, go ahead.

2 MR. CLOWARD: During the deposition of
3 Dr. Villablanca, it was asked what records he reviewed
4 in preparation for his opinions that he testified to
5 because his -- his report, initial report and
6 supplemental report did not list the records, just
7 listed some films. And so the question was asked by
8 Ms. Brasier as to:

9 "Doctor, what did you review? We'd like
10 to know specifically. Will you get us a list
11 of everything that you reviewed?"

12 And there was some dialogue between
13 Ms. Brasier, Mr. Jaffe and Ms. -- and Dr. Villablanca
14 regarding that, and it was suggested that a list would
15 be forthcoming and be attached as Exhibit D to his
16 deposition -- I'm sorry, Exhibit 4 to his deposition.

17 That list has never been provided. We've
18 never been given an opportunity to inquire as to what
19 records he reviewed, specific records. We don't have a
20 problem with him talking about films, but regarding the
21 records before and after, we feel that we haven't been
22 given an opportunity to discuss that.

23 During his deposition, it was made known that
24 we wanted to see that. We asked him specifically for a
25 list. Mr. Jaffe and Dr. Villablanca represented that

1 one would be forthcoming. No supplement was ever made.

2 THE COURT: Okay.

3 MR. JAFFE: Candidly, sir, I don't even
4 remember that being an issue, and this is the first
5 I've even heard. At no point did anybody ever send me
6 a letter saying, hey, when are we getting the list from
7 Dr. Villablanca? There was no motion in limine filed,
8 what about the list from Dr. Villablanca. And now
9 we're in the eighth day of trial and I'm hearing about
10 the list from Dr. Villablanca.

11 MR. CLOWARD: Your Honor, may I --

12 MR. JAFFE: So, Your Honor --

13 THE COURT: I believe it was discussed in the
14 deposition.

15 MR. JAFFE: I have no doubt that it was
16 discussed. I just -- you know, if -- if -- I think
17 it's -- I think it's improper to now start raising this
18 as a limitation issue when this was apparently known
19 and realized when Dr. Villablanca was deposed back in
20 February, and nothing's been done since.

21 Now, is he going to run off and start
22 spouting about everything? No. He's going to testify
23 consistent with what's in his report. But I believe he
24 has -- he may have to look at some additional medical
25 records. I just don't honestly remember.

1 Dr. Villablanca is here. We can bring him in, and he
2 can state for the record right now what he looked at.

3 THE COURT: Well, I guess, here's the issue,
4 Mr. Cloward: Even if he had subsequently provided you
5 with a list of items that he had reviewed, I don't know
6 how that would change his ability to come in and
7 testify here today as to what he reviewed as long as
8 his opinions and conclusions are consistent with his
9 report.

10 MR. CLOWARD: Well, I think it's -- it's very
11 significant because it goes to correlation. There was
12 no list of anything he reviewed, so we couldn't go into
13 it, Well, hey, Doctor, did you see this record, did you
14 see that record? What records did you see? What
15 records didn't you see?

16 And it was -- it was Mr. Jaffe that actually
17 made the affirmative representation that he would
18 provide us with a list. It's not my burden, not my
19 duty to, you know, write him a letter or follow-up
20 letter saying, hey, you know, remember the deposition
21 when you promised to give me a list, can you give me
22 the list you promised to send.

23 I admit that it does -- it does have a
24 feeling of trial by ambush. I will admit that openly
25 to the Court. It's something that we discussed that we

1 realized in preparation for his trial testimony.
2 Within the last day or two, this is something that we
3 discovered, hey, we never got that list. Because we
4 wanted to go through the -- the same things with --
5 with Dr. Schifini and Dr. Siegler and realized, well,
6 hey, we don't even know what he went through. We don't
7 know what he did review, what he didn't review.

8 So how can I even question him on those
9 things? I don't have a list. I don't know what he
10 reviewed and what he didn't review.

11 THE COURT: So you want me to exclude him
12 altogether?

13 MR. CLOWARD: No. No. I think that would be
14 too over the top.

15 THE COURT: I agree.

16 MR. CLOWARD: But I want it limited to the
17 films and -- just the films, MRI, CTs. That's what
18 he's here for. But I think if he gets to sit and talk
19 about, you know, correlation of prior problems through
20 the prior records, correlation of subsequent records,
21 then that's unfair to us.

22 THE COURT: Well here's the deal: If there's
23 a discovery issue, like somebody needs something in
24 discovery that doesn't happen, that usually goes in
25 front of Commissioner Bulla. It's definitely something

1 that has to be done prior to trial.

2 I'm going to let him testify. You can ask
3 him while he's testifying what he reviewed, what he
4 didn't. As long as his opinions are consistent and
5 stay based upon the opinions and conclusions that he
6 has in his report, I'm going to allow them.

7 MR. CLOWARD: Just -- I respect your -- the
8 Court's ruling. Just one thing for the record. We did
9 ask him during his deposition what he had reviewed, and
10 he could not tell us.

11 THE COURT: I understand.

12 MR. JAFFE: Okay. And, Your Honor, I mean,
13 obviously I need to point out the fact that
14 Dr. Villablanca is not simply a radiologist, but he's
15 an interventional spine neuroradiologist. And -- and
16 he doesn't just review films. And his reports, I
17 believe, do reflect general review of records. And
18 that's why I said, if you want, I'll bring
19 Dr. Villablanca -- he's in the other room, I'll bring
20 him in right now. He can state for the record and tell
21 everybody what he looked at.

22 THE COURT: Is that going to help you?

23 MR. CLOWARD: Well, no, it doesn't help to
24 give me 15 minutes before I cross-examine a
25 neuroradiologist on -- an interventional

1 neuroradiologist on clinical correlation when I don't
2 even know what he reviewed in preparation for his first
3 report.

4 THE COURT: I don't know that there's a way
5 that we can parse out what his opinions are based only
6 on the films as opposed to what his opinions are based
7 on records that he reviewed when I think it's pretty
8 clear that he at least had reviewed some records. He
9 just couldn't tell you what they were. So I think we
10 have to allow it.

11 Anything else?

12 MR. CLOWARD: No, Judge. Thanks.

13 THE COURT: All right.

14 MR. JAFFE: Nothing right now, sir.

15 THE COURT: Let's bring the jury back.

16 THE BAILIFF: All rise.

17 (Whereupon jury entered the courtroom.)

18 THE COURT: Go ahead and be seated. Welcome
19 back, folks. We're back on the record, Case
20 No. 636515.

21 Do the parties stipulate to the presence of
22 the jury?

23 MR. JAFFE: Yes, sir.

24 MR. CLOWARD: Yes, Judge, we do.

25 THE COURT: We're still in the defendant's

1 case.

2 Mr. Jaffe, who's your next witness?

3 Mr. Smith.

4 MR. SMITH: Your Honor, defendant calls
5 Raymond Khoury.

6 THE COURT: Mr. Khoury.

7 THE WITNESS: Yes, sir.

8 THE COURT: Come on up. You know the drill.
9 Come on up next to the chair, stay there, if you would,
10 and raise your right hand.

11 THE CLERK: You do solemnly swear the
12 testimony you're about to give in this action shall be
13 the truth, the whole truth, and nothing but the truth,
14 so help you God.

15 THE WITNESS: I do.

16 THE CLERK: Please state your full name and
17 spell it for the record, please.

18 THE WITNESS: My name is Raymond Khoury,
19 R-a-y-m-o-n-d K-h-o-u-y.

20 THE CLERK: Thank you.

21 THE COURT: Go ahead and be seated. Thank
22 you, sir. Talk into the microphone for us, if you
23 would.

24

25 /////

DIRECT EXAMINATION

BY MR. SMITH:

Q. All right, Mr. Khoury, will you tell us how long you've lived in Las Vegas.

A. I moved to Las Vegas in 1986.

Q. And what first brought you to the United States?

A. To go to college.

Q. Where did you go to college?

A. I went to LSU in Baton Rouge, Louisiana.

Q. And did you graduate from LSU?

A. I did my undergraduate and graduated in 1980.

Q. Following your undergraduate education at LSU, did you get any other education?

A. I moved to New Orleans, and I lived there for five years, and I worked and went to Tulane for my master's degree.

Q. Okay. And what was your -- let me back up. What was your undergraduate degree in?

A. In civil engineering.

Q. And then you said you were studying in Tulane University. What were you studying at Tulane?

A. Master's of science in -- in civil engineering.

Q. Okay. And when did you graduate from Tulane?

1 A. 1983.

2 Q. And you graduated with a master's in civil
3 engineering?

4 A. It's a -- it's -- the field is civil
5 engineering, but then I specialized in structures.

6 Q. In structures. And what -- what -- what's
7 your current employment or where are you currently
8 employed?

9 A. Currently I am self-employed. I own my own
10 company.

11 Q. And what does your company do?

12 A. We provide structural services for architects
13 and owners and contractors and -- mostly in the
14 building construction field.

15 Q. And prior -- when did you start your own
16 company?

17 A. In 2006.

18 Q. Prior to 2006, where were you employed? Or
19 what was your employment doing?

20 A. When I moved here in '86, I came in as an
21 employee of a structural firm here in town, and I
22 stayed there for 20 years. And in 2006, I branched out
23 on my own.

24 Q. Okay. Explain to me, if you will, and for
25 the benefit of the jury, what exactly is it that a

1 structural engineer does?

2 A. A structural engineer is part of a design
3 team that works -- we work with architects, with
4 electrical engineers, mechanical engineers, civil
5 engineers, and other consultants to design a building
6 like this one or like hotel and casinos, like high-rise
7 condominiums. It takes many different disciplines to
8 come in and design a building.

9 My field is to make the structure of the
10 building, whether it's concrete or steel or masonry or
11 wood, we use these -- mostly these four building
12 materials, to make sure that the building stands.

13 Q. Now, where were you when you first learned
14 that a lawsuit had been filed against you?

15 A. I was out of the country on an assignment. I
16 was in Abu Dhabi, and I learned through Skyping my wife
17 one night. And that's when I learned that she has been
18 served with papers.

19 Q. Okay. And how long were you in Abu Dhabi?

20 A. I was there since -- in January -- from
21 January 2011 to July 2011.

22 Q. And what was it that you were doing for work
23 in Abu Dhabi?

24 A. Well, the municipality of Abu Dhabi wanted
25 to -- since they have building boom there between Dubai

1 and Abu Dhabi, they wanted to adopt a similar building
2 code like the one we're using in the United States
3 which is the International Building Code. And the --
4 the ICC, the International Code Council, asked me if I
5 would go there and help train the engineers in
6 Abu Dhabi on the application of the code, and that's
7 what I did.

8 Q. Now, during that six-month period -- six- or
9 seven-month period when you were in Abu Dhabi, did that
10 create any problems communicating with friends and
11 family back here in the United States?

12 A. It's not a major problem, except that the
13 time -- the time frame was almost 11- to 12-hour
14 difference in time. So during daytime over there, it's
15 nighttime over here and vice versa. And so -- so the
16 window gets -- of communication gets a little bit
17 narrow.

18 Q. Okay. And now you said it was July of 2011
19 that you first returned to the United States?

20 A. July 18th, 2011, yes.

21 Q. And once you returned, was that the first
22 opportunity you had to meet with counsel and discuss
23 the case that had been filed against you?

24 A. Yes.

25 Q. Now, Mr. Khoury, do you deny that this

1 accident was your fault?

2 A. No.

3 Q. Have you ever personally denied that this
4 accident was your fault?

5 A. Never.

6 Q. Now, is that something that has recently
7 changed?

8 A. Not to my knowledge.

9 Q. Okay. And I just want to walk through a few
10 certain instances that have been discussed in opening
11 statements and throughout the course of the trial.

12 At the scene of the accident, did you deny
13 that this accident was your fault?

14 A. No. I told the officer when he came to me, I
15 told him, It's my fault.

16 Q. Okay. Now, do you remember back in 2011, you
17 were served some interrogatories, some written
18 questions that plaintiffs served on you, and you
19 provided answers.

20 In your answers, did you deny that you were
21 at fault for this accident?

22 A. No.

23 Q. And same thing, you were given some requests
24 for admissions. Did you admit or deny that you were
25 responsible for this accident?

1 A. I don't remember a request for admissions,
2 but if I did, I didn't deny.

3 Q. In 2012, last year when you were deposed, do
4 you recall that, sir?

5 A. Yes.

6 Q. When you were asked about whether this
7 accident was your fault, did you deny that it was your
8 fault?

9 A. No. I admit fault, yes.

10 Q. Okay. Now, in Mr. Cloward's opening, he made
11 it very clear that you had filed this answer that said
12 you denied this. And now, just recently, on the very
13 first day of this trial, you admitted that it was your
14 fault.

15 Explain to me your role in filing that
16 answer.

17 A. Like I said, I was still in Abu Dhabi when my
18 wife was served with paper, and after that, with
19 referrals to Mr. Jaffe's, Hall Jaffe and Company. And
20 you -- there was a time frame where you had to file for
21 the papers, and I was not in the country. We could not
22 get really on a phone conversation to discuss this. So
23 without any discussion between me and -- and you, I --
24 the papers were filed as a caution -- as a -- just a
25 cautionary measure that I did not admit guilt. That

1 was just a tactical.

2 Q. And so that date on June 21st when that
3 answer was filed, you were still out of the country; is
4 that correct?

5 A. Yes.

6 Q. That was before you had had an opportunity to
7 meet with your attorneys and discuss the case?

8 A. Yes.

9 Q. Mr. Khoury, tell me, would you want your
10 attorneys to admit that you were at fault without first
11 discussing the case with you?

12 A. I don't think so.

13 Q. Now, moving along to the accident itself,
14 March 13th, 2009, tell me what happened that morning as
15 you were driving.

16 A. Well, I was on my way to my office. My
17 office was on Rancho. Started late that day, you know,
18 so it wasn't -- I wasn't that busy. So it was a
19 Friday, Friday the 13th. And I -- traveling east on
20 Craig Road, coming to the intersection with Rancho was
21 a red light. So I came to a complete stop about 10,
22 15 feet from Mrs. Seastrand's car and waited for the
23 light to change.

24 In the meantime, I was turning to -- trying
25 to make a -- anticipating that I will try to make a

1 right turn, I looked to the left to see the oncoming
2 traffic on Rancho, and I inadvertently took my foot off
3 the brake and rolled -- apparently I rolled too close
4 to be able to stop my car before I ran into
5 Mrs. Seastrand's car.

6 Q. Okay. So after you hit into Ms. Seastrand's
7 car, what did you do next?

8 A. You know, I was surprised, you know, myself.
9 And I put my car in park, and I went down to inspect
10 the damage. When I saw that the damage was minimal,
11 I -- then I proceeded to go to Mrs. Seastrand's window
12 to ask her if she was okay and that I'm sorry for
13 bumping into her.

14 But she was in a little -- like a little
15 panic, like she didn't know what -- know what to do
16 next or she was in pain. I didn't know at the time
17 because the window was rolled up.

18 Q. What did you say to her when you approached
19 the window?

20 A. Like I said, I'm sorry, I shouldn't -- I
21 didn't mean to hit you, but are you okay? And --

22 Q. What was her response?

23 A. She said she was in a little pain, and she
24 told me she can't talk to me right now.

25 Q. Did she say --

1 A. Then I asked if we need to exchange any
2 information to resolve this issue. She said, I can't
3 talk to you right now. I'm in pain. And I left it
4 there. Then I -- you know, I went back to my car and
5 then stood on the side.

6 Q. Did she make any indications as to who she
7 was going to talk to?

8 A. I think she said she was calling her husband.
9 That's when I left.

10 Q. So you said that you kind of went back to
11 your car and then you stepped back and waited.

12 What happened next?

13 A. I just -- I just sat in my car for a minute,
14 and then I -- then I stepped out to -- on the sidewalk,
15 I mean on the -- on the side. And then, about five to
16 ten minutes after that, the ambulances started coming.
17 I mean, you heard the sirens and the ambulance came.
18 And I didn't -- I didn't call the ambulances. I don't
19 know who did at the time, but ...

20 Q. Did the police arrive on the scene?

21 A. And the police arrived on the scene after
22 that, yes.

23 Q. Okay. Did you speak with the police at all?

24 A. Yes.

25 Q. The police officer?

1 A. Yeah. The officer interviewed me and then
2 interviewed Mrs. Seastrand.

3 Q. And when the officer interviewed you, what
4 did you tell him?

5 A. I told him it's -- you know, it's -- how it
6 happened and it's my fault. I was -- due to
7 distracted, and I had ran into her bumper.

8 Q. Now, when the officer arrived, were the
9 vehicles still in the street where the accident had
10 happened?

11 A. Yes.

12 Q. At any point, did you move your vehicle?

13 A. I did move my vehicle. I put it -- there was
14 a gas station right on the right side, right-hand side.
15 I put it -- I went to the gas station.

16 Q. Was that before or after the accident -- the
17 police officer arrived?

18 A. I think -- don't remember exactly, but I
19 think it's after.

20 Q. Now, once you moved -- when did
21 Ms. Seastrand's vehicle get moved? Do you recall?

22 A. I think soon after that, after -- because
23 they were moving her out of her vehicle and onto the
24 stretcher. And I think after her husband -- and I'm --
25 I did not know that her husband arrived on the scene.

1 I did not know who her husband was.

2 But I think now, looking back, I think that
3 her husband moved her car back right next to mine on --
4 in the gas station.

5 Q. So at the time, you didn't know that her
6 husband had arrived at the scene; is that correct?

7 A. Yes, at the time, I did not.

8 Q. That's something you have learned since then?

9 A. Yes. Well, that's -- when the cars were
10 parked in the gas station, then I -- then I saw, you
11 know, the commotion and all the ambulances and
12 everything, then I started taking pictures. Yes,
13 that's what I did.

14 Q. And why don't we take a look at those right
15 now. Would you open to Defendant's Exhibit H.

16 A. (Witness complies.)

17 Q. Yeah, and let's --

18 Now, looking through Exhibit H, how many
19 photographs do you see there?

20 A. Five.

21 Q. Okay.

22 MR. SMITH: Greg, would you put -- bring up
23 the first photograph.

24 BY MR. SMITH:

25 Q. Now, just -- just for the benefit of the

1 jury, looking here on the screen, this picture that you
2 see right here, is that the first picture that's marked
3 in Exhibit H?

4 A. H1, yes.

5 Q. Okay.

6 MR. SMITH: Go to the next one, Greg.

7 BY MR. SMITH:

8 Q. Is that H2?

9 A. Two.

10 Q. Okay.

11 MR. SMITH: Greg.

12 THE WITNESS: H3.

13 BY MR. SMITH:

14 Q. Next one.

15 A. That's H4.

16 Q. Okay. And the last one, then?

17 A. H5.

18 Q. Okay. So those pictures that have been put
19 up on the screen, they correspond with the Exhibits H1
20 through 5; is that your testimony?

21 A. Yes.

22 Q. And are those pictures that you took at the
23 scene of the accident?

24 A. That's the pictures I took, yes.

25 Q. Did you have a camera there, or what did you

1 take those pictures with?

2 A. No, I used my cell phone camera.

3 Q. Now, why was it that you took photos?

4 A. Well, I felt that -- at the time that maybe
5 some things going on more than I thought. I thought it
6 was just a little bump and, you know, ambulances coming
7 and police and everything, so I wanted to take pictures
8 just in case.

9 Q. Did you know whether the police officer had
10 taken any photos?

11 A. I thought he was, but, you know, somebody
12 else was taking photos. But I didn't know whether the
13 police officer or -- or somebody else. I wasn't sure.

14 Q. Okay. Is it fair to say that you took those
15 photographs to -- just to make sure you had some proof
16 of the damage?

17 MS. BRASIER: Objection. Leading.

18 THE COURT: Sustained.

19 BY MR. SMITH:

20 Q. Did you have any other purpose in taking
21 photographs, Mr. Khoury?

22 A. Only purpose is to show that the damage
23 happened to my car and the plaintiff's car. That's
24 all.

25 Q. What was your impression of Ms. Seastrand's

1 reaction at the accident?

2 A. You know, I thought at the time that it was a
3 little exaggerated. You know, this damage should not
4 precipitate such a reaction. But, you know, I didn't
5 know her condition. I didn't know anything about her.

6 Q. Now, did you get any cost estimates to repair
7 the damage to your vehicle?

8 A. Yes.

9 Q. And how much was -- were the estimates to
10 repair your vehicle?

11 A. I had two estimates. I think I -- the first
12 estimate was \$870, and the second estimate was like
13 950. But I don't have the second estimate with me. I
14 only have the first estimate.

15 Q. Okay.

16 MR. SMITH: And can I approach, Your Honor?
17 I'm sorry.

18 THE COURT: Yep.

19 BY MR. SMITH:

20 Q. Just looking at Exhibit H -- let's look at
21 H4.

22 MR. SMITH: Greg, would you bring that up.

23 BY MR. SMITH:

24 Q. Now, is that representative of the damage
25 that was done to your vehicle?

1 A. That's exactly what the damage is to my
2 vehicle.

3 MR. SMITH: Okay. Will you move to H5 now so
4 we can get a little more perspective, Greg.

5 BY MR. SMITH:

6 Q. Explain to me where -- that H4 -- Picture H4
7 is kind of zoomed in and H5 is zoomed out. Explain --

8 A. Right.

9 Q. -- where that is.

10 A. It is the --

11 Q. And you can even touch on the screen there
12 and circle if you want.

13 A. I can?

14 Q. Should be able to.

15 A. Yes. Okay. This is the hook, the tow hook
16 in the front. I have two of them, one on the right
17 side, one on the left side. And this is the one that
18 struck Mrs. Seastrand's car.

19 MR. SMITH: Okay. Now will you go to the
20 next -- to 5 again. Sorry 4.

21 And will you clear that off, Your Honor.

22 BY MR. SMITH:

23 Q. Okay. So that's a close-up of the area that
24 you just circled?

25 A. This is a close-up of where the tear in my

1 bumper is close to the hook, and that's the only damage
2 I sustained.

3 Q. Okay. And will you identify where the tow
4 hook is in that picture.

5 A. This is the tow hook right here. Sorry.

6 Q. And then the damage to the bumper, is that --

7 A. Is that tear right there and right there.

8 (Witness indicating.)

9 Q. Okay. And you said the estimates that you
10 got were between 800 and \$900 to repair that?

11 A. Yes.

12 Q. Did you have that damage repaired?

13 A. No.

14 Q. Why not?

15 A. I considered that my -- the damage to my car
16 was really cosmetic. It didn't affect the drivability
17 of the car. It didn't affect, really, the look of the
18 car, except if you, you know, put some goggles on or
19 something. And I -- I thought it was too expensive
20 to -- the estimate was too high.

21 Q. As you sit here today, do you still own that
22 vehicle?

23 A. Yes.

24 Q. And is that damage still there?

25 A. Yes.

1 Q. Mr. Khoury, were you injured in this
2 accident?

3 A. No. No.

4 Q. All right. Thank you.

5 MR. SMITH: I'm done.

6 THE COURT: Cross.

7

8 CROSS-EXAMINATION

9 BY MS. BRASIER:

10 Q. Good afternoon, Mr. Khoury.

11 A. Good afternoon.

12 Q. Just want to clarify something so that the
13 jury might not be confused. You talked about your
14 engineering background.

15 But do you have any training in biomechanical
16 engineering?

17 A. No.

18 Q. All right. Do you remember I took your depo,
19 it's almost been a year ago now, August of last year?

20 A. Yes.

21 Q. Okay. And during your depo and today, you
22 told us that you inadvertently took your foot off the
23 brake; is that right?

24 A. Yes.

25 Q. Okay. And when I took your deposition, you

1 gave me an estimate that you were 10 to 20 feet behind
2 Ms. Seastrand.

3 Would you say that's still accurate?

4 A. Approximately, yes.

5 Q. Okay. And during your deposition, you also
6 indicated that you didn't realize that your car was
7 moving forward; is that right?

8 A. I -- if I did, I would have stopped, yes.

9 Q. Okay. So you didn't realize that your car
10 was moving forward 10 to 20 feet?

11 A. I think the time frame is a little -- because
12 we were stopped at a red light, so it wasn't like the
13 light changed or, you know, instantaneously. It took a
14 while to change. So I might have slipped, came closer
15 a little bit as -- as I was waiting.

16 Q. Okay. But my question is, it's pretty
17 specific: You didn't realize that your car moved
18 forward that 10 to 20 feet.

19 A. No.

20 Q. Did you tell the officer who responded to the
21 scene that you accelerated before the impact because
22 you assumed that Ms. Seastrand was about to make a
23 right turn?

24 A. I did not say accelerated, no.

25 Q. Okay. And are you aware that -- are you

1 aware that that officer's deposition was taken in this
2 case?

3 A. I wasn't aware, but now I am aware.

4 Q. Okay. And are you aware --

5 MR. SMITH: Objection, Your Honor. Can we
6 approach?

7 THE COURT: Sure.

8 (Whereupon a brief discussion was
9 held at the bench.)

10 THE COURT: Overruled.

11 BY MS. BRASIER:

12 Q. All right. Mr. Khoury, are you aware that --
13 that Officer Kahn when he was deposed, he stated that
14 you told him that you accelerated and that's when you
15 ended up striking her?

16 A. I wasn't aware of that.

17 Q. Okay. Are you -- is it your testimony that
18 that's not accurate what -- what Officer Kahn testified
19 to?

20 A. Well, I mean, if you're starting from a
21 complete stop and you are moving forward, then you're
22 accelerating. Is that what he meant maybe? I don't
23 know.

24 Q. So are you saying that Officer Kahn's
25 statement that you told him you accelerated, are you

1 saying that that's not accurate?

2 A. I did not tell him I -- I accelerated, no.

3 Q. Okay. Do you have any reason to believe that
4 Officer Kahn wouldn't be truthful during his
5 deposition?

6 A. Yes.

7 Q. You do?

8 A. Uh-huh.

9 Q. Okay. What would that reason be?

10 A. You said he would be truthful, right?

11 Q. Oh, my question was: Do you have any reason
12 to believe he would not be truthful? I'm sorry.

13 A. Oh. I thought you other way around. Okay.
14 No.

15 Q. Okay. All right. What color was the light
16 when you got to the intersection?

17 A. It was red.

18 Q. Okay. And did that light ever change before
19 the crash happened?

20 A. I think -- I don't recall, but usually you
21 can make a right turn on red, and I anticipated that
22 the cars in front of me would be making a right turn on
23 red, and that's why I kept approaching that
24 intersection.

25 Q. Okay. My question was: Do you remember the

1 light changing to green before the crash happened?

2 A. No.

3 Q. All right. How long was it after the crash
4 before you got to the plaintiff's vehicle?

5 A. Could you repeat that, please.

6 Q. Of course. How long from the time the crash
7 happened till you got out and you got to
8 Ms. Seastrand's window?

9 A. Oh, less than a minute.

10 Q. And you just testified that her demeanor when
11 you got there was that she was in panic and she told
12 you she was in pain and couldn't talk to you; is that
13 right?

14 A. Yes.

15 Q. Okay. Do you think that in the one minute it
16 took for you to get from your vehicle to her window, do
17 you think that she decided to -- to fake all these
18 injuries or to exaggerate them?

19 MR. SMITH: Objection, Your Honor. Calls for
20 speculation.

21 THE COURT: Sustained.

22 MR. CLOWARD: Judge, can we approach?

23 THE COURT: Sure.

24 (Whereupon a brief discussion was
25 held at the bench.)

1 MR. SMITH: Sustained, Your Honor?

2 THE COURT: Nothing's changed. There's not
3 an objection pending.

4 BY MS. BRASIER:

5 Q. So was it your impression in the one minute
6 from the time of the impact till you got to her window,
7 that she was faking these injuries or these complaints
8 she had?

9 MR. SMITH: Same objection, Your Honor.

10 THE COURT: Overruled.

11 THE WITNESS: Question is if I --

12 BY MS. BRASIER:

13 Q. If in the one minute between the time of the
14 impact until you got to her window, was it your
15 impression that she had somehow decided to fake these
16 injuries or symptoms that she was expressing to you?

17 A. No, that's not my impression. Just I did not
18 believe that such an impact would cause anybody pain.
19 That's my -- at least my impression.

20 Q. Okay. And you're not a medical doctor; is
21 that right?

22 A. No.

23 Q. Okay. Do you have any training in the
24 medical field?

25 A. No.

1 Q. Okay. And you testified earlier that you
2 admitted at the scene that it was your fault; is that
3 right?

4 A. Yes.

5 Q. Okay. And we understand, based on your
6 testimony, that you were out of the country at the time
7 the answer was filed in this complaint -- or in this
8 case; is that right?

9 A. Yes.

10 Q. Okay. And I don't want to get into any
11 communications you had with your attorneys, but you did
12 say that July 18th of 2011 was the first time you were
13 able to talk to them; is that right?

14 A. That's when I came back to the country, yes.

15 Q. Okay. But you are also aware that July 15th,
16 2013, about two years later was the first time that
17 that answer was ever changed to admit liability.

18 Are you aware of that?

19 A. I was not aware of that.

20 Q. Okay. But you talked to your attorneys two
21 years earlier; is that right?

22 A. Right. But I don't think this situation --
23 this question came up until he mentioned it in his
24 opening statement.

25 Q. All right. And, Mr. Khoury, if you could

1 just answer yes or no to me for these questions. If
2 you can't answer yes or no, then let me know that.
3 Okay?

4 You admit you're responsible for the crash.

5 A. Yes.

6 Q. Do you admit that you're responsible for the
7 damages caused by the crash?

8 A. Yes.

9 Q. I'm sorry?

10 A. Yes.

11 Q. Okay. And at your deposition, you stated to
12 me that you thought that the damages didn't go beyond
13 anything more than property damage; is that right?

14 A. Again, could you say that one more time.

15 Q. Of course. During your deposition when I
16 asked you that same question, you said that you were
17 responsible for the damages but that you didn't think
18 it went beyond property damage; is that right?

19 A. Yes.

20 Q. Okay. And now that you've had the
21 opportunity to sit through trial and hear from all the
22 witnesses, do you think that the crash caused more than
23 just property damage?

24 MR. JAFFE: Objection, Your Honor.

25 MR. CLOWARD: What's the basis?

1 MR. SMITH: Calls for an opinion on the
2 ultimate issue pending, and it calls for an expert
3 opinion.

4 THE COURT: Well, I think all of the
5 witnesses have testified that the accident caused
6 damages.

7 MR. SMITH: Can we approach, Your Honor?

8 THE COURT: Come on up.

9 (Whereupon a brief discussion was
10 held at the bench.)

11 THE COURT: Objection sustained. Let's move
12 on.

13 BY MS. BRASIER:

14 Q. Okay. Mr. Khoury, you indicated that you
15 thought Ms. Seastrand's reaction to the crash was
16 exaggerated; is that right?

17 A. My impression at the time, yes.

18 Q. What evidence did you base that on other than
19 the property damage?

20 A. Just a feeling.

21 Q. I'm sorry?

22 A. Just a feeling.

23 Q. Okay.

24 A. Impression.

25 Q. What was that feeling based on?

1 A. You know, I was in the car that hit
2 Mrs. Seastrand's car, and I really -- didn't feel to me
3 like a bump -- like more than a bump.

4 Q. Okay. Did she -- did you ever ask her what
5 it felt like to her?

6 A. Didn't talk to her.

7 Q. So you didn't -- you didn't ever talk to her
8 about that?

9 A. I -- I wanted to, but she couldn't talk.

10 Q. Okay. And that's because she told you she
11 was in too much pain?

12 A. Yeah. Well, she was in pain, and she was
13 trying to call her husband, yes.

14 Q. Okay. Before she left the scene, did you
15 ever ask her what kind of pain she was in or what she
16 thought -- what her injuries might be?

17 A. No.

18 Q. Is it still your impression today that she
19 was exaggerating her reaction at the scene?

20 MR. SMITH: Same objection, Your Honor. He
21 told what his impression was at the time.

22 THE COURT: Overruled. Let him answer.

23 BY MS. BRASIER:

24 Q. You can answer.

25 A. I can answer?

1 Q. Yes.

2 A. Not totally.

3 MS. BRASIER: Nothing further. Thank you.

4 THE COURT: Mr. Smith.

5

6 REDIRECT EXAMINATION

7 BY MR. SMITH:

8 Q. Now, Mr. Khoury, you still own your vehicle,
9 so you've been driving it for a number of years.

10 If you take your foot off the brake, what
11 happens to your vehicle?

12 MS. BRASIER: Objection. Leading.

13 THE COURT: Overruled.

14 THE WITNESS: The car moves forward, and
15 the -- depending on your -- I mean, we were on a
16 downslope a little bit, so the car moves forward.

17 BY MR. SMITH:

18 Q. So if you're completely stopped and you take
19 your foot off the brake, does your car accelerate?

20 A. That's the definition of acceleration, change
21 in velocity.

22 Q. Thank you.

23 MR. SMITH: Nothing further.

24 THE COURT: Anything more, Ms. Brasier?

25 MS. BRASIER: Nothing more, Judge.

1 THE COURT: Ladies and gentlemen, anybody
2 have any questions for Mr. Khoury? I don't see any
3 hands.

4 Thank you, sir.

5 Next witness.

6 MR. JAFFE: Thank you, Your Honor. At this
7 point the defense calls Dr. Juan Pablo Villablanca.

8 MR. SMITH: Your Honor, can I move that
9 exhibit binder?

10 THE COURT: Yes.

11 MR. JAFFE: Judge, I think it's going to take
12 a minute or so. We have to move the laptop up there,
13 so Dr. Villablanca can testify.

14 THE COURT: You want to take a break or --

15 MR. JAFFE: It will just take -- it will take
16 one minute.

17 THE COURT: Okay.

18 MR. JAFFE: Greg, why don't you hit one of
19 those just to make sure everything's working right?
20 Good to go. Okay. You can X out of it. Thanks.

21 Doctor.

22 THE COURT: Come on up, Doctor. I'm going to
23 ask you to come up, if you would. Come up to the
24 witness stand and you can put your binder down, if you
25 want. Remain standing next to the chair there, raise

1 your right hand, if you would, please.

2 THE CLERK: You do solemnly swear the
3 testimony you're about to give in this action shall be
4 the truth, the whole truth, and nothing but the truth,
5 so help you God.

6 THE WITNESS: I do.

7 THE CLERK: Please state your full name and
8 spell it for the record, please.

9 THE WITNESS: Juan Pablo Villablanca. That's
10 J-u-a-n first name. Middle name P-a-b-l-o. Last name
11 Villablanca, V-i-l-l-a-b-l-a-n-c-a. That's all one
12 word.

13 THE CLERK: B-l-a?

14 THE WITNESS: N-c-a. Villablanca. It's a
15 mouthful.

16 THE COURT: Go ahead and be seated. Thank
17 you, Doctor.

18

19 DIRECT EXAMINATION

20 BY MR. JAFFE:

21 Q. Good afternoon, Doctor.

22 A. Good afternoon.

23 Q. Sir, would you please tell us, what do you do
24 for a living, sir?

25 A. I'm a neuroradiologist and an academic in a

1 medical center. I work at UCLA. In that capacity, I'm
2 the chief of the section of neuroradiology, and I
3 oversee the functioning of the department in both the
4 clinical and administrative aspects, make sure that our
5 faculty are progressing in their research, make sure
6 that our clinical service is appropriate, and then we
7 conduct our research. And we teach residents, fellows,
8 and people that come from other places including other
9 countries to get training at our facility.

10 Q. Okay. And, sir, I referenced during my
11 opening statement that you are actually an
12 interventional spine neuroradiologist.

13 Is that true?

14 A. Correct. So I have certification as part of
15 my fellowship training which is training that occurs
16 after medical school and after the residency. The
17 residency is specialty training in radiology in
18 general, and then in addition to that, I did two
19 additional years of training in diagnostic
20 neuroradiology as well as interventional spine work at
21 UCLA.

22 And in that scope, I see patients in the
23 clinic, I evaluate their symptoms, and I correlate
24 their symptoms to their scans and make recommendations
25 about what would be the best way to evaluate them and

1 to treat them for their problem.

2 In addition to that, I interpret the scans,
3 the CTs, the MRIs, and other studies that we do on
4 these individuals in the service as a whole and
5 patients that I might see in the clinic.

6 Q. We'll talk about that more in a moment, sir,
7 but would you please give us the benefit of your
8 academic credentials.

9 A. Well, I went to undergraduate at UCLA and my
10 major was psychobiology. Then I went to medical school
11 at the University of Minnesota. After that, I
12 completed a medicine internship at UCLA. And that was
13 followed by a four-year residency -- that internship
14 was one year. That was followed by a four-year
15 residency in diagnostic -- a four-year residency in
16 radiology and then a two-year residency, or fellowship
17 rather, in diagnostic neuroradiology and spine work.
18 And then I joined the faculty in 1996.

19 Q. Dr. Villablanca, have you been published at
20 all?

21 A. Yes.

22 Q. Would you please give us some summary of the
23 publications --

24 A. I published fairly extensively in my areas of
25 interest, which are cerebral vascular disease, disease

1 of the arteries, of the neck and brain, ways to image
2 nerves, peripheral nerves in the body outside of the
3 spinal canal, specifically in the setting of trauma or
4 injury using MRI and more advanced techniques than
5 MRI --

6 (Clarification by the Reporter.)

7 THE WITNESS: I'm sorry. I forgot you were
8 there.

9 Spectroscopy, s-p-e-c-t-r-s-c-o-p-y,
10 spectroscopy and diffusion tensor imaging.

11 And it's basically trying to push the field
12 ahead in these areas so that we can do better tomorrow
13 as compared to how we're doing today. And also, in the
14 area of traumatic brain injury and brain degeneration.

15 BY MR. JAFFE:

16 Q. Approximately how many publications have you
17 authored, sir?

18 A. I think we're probably now, I'm probably
19 around 80 to 90. These are peer-reviewed publications
20 that are sent to major journals for their
21 consideration.

22 Q. And, sir, have you also been asked in the
23 past to present lectures and other types of
24 presentations in the professional setting?

25 A. Yes. Numerous.

1 Q. And can you estimate the number of those?

2 A. I don't recall offhand, but somewhere
3 between, you know, 75 and 100. These are generally
4 either local or at the national level. Sometimes
5 they're international. And, again, they're in the
6 areas of stroke, cerebral vascular disease, and spine
7 problems. Particularly back pain and nerve pathology,
8 neck pain, how to evaluate patients with those
9 disorders and what are the most effective tools, and
10 what does the data show in terms of what are the best
11 tools for us to use.

12 Q. Sir, have you been the recipient of any
13 grants?

14 A. Yeah. We currently have and have had in the
15 past a number of grants. These deal with
16 interventional nerve imaging projects, particularly for
17 traumatic nerve injury, as well as projects that have
18 to do with stroke and the visualization of aneurysms.
19 So those have been the major projects.

20 Q. So is there a research component to your job
21 as well?

22 A. Absolutely. That's the reason I'm in
23 academics.

24 Q. Okay. So sounds like you have clinical
25 practice and you also have a research component?

1 A. Yeah. I tell my family and my friends that I
2 got the best job in the world because I have the
3 contact with patients that many radiologists don't have
4 by having the -- the privilege of seeing them in the
5 clinic, plus I get to teach and do my research and --
6 and enjoy a very healthy section.

7 Q. Okay. Sir, have you been the recipient of
8 any awards?

9 A. Yes, over the years.

10 Q. Can you give us an idea of the types of
11 awards you received?

12 A. We received -- I and our section have
13 received awards for teaching our trainees. I have
14 received awards for my clinical service. We have Who's
15 Who in America, which is a objective body that looks at
16 physicians without our knowledge and determines whether
17 they think we've contributed to the fields that we're
18 in, as well as something that's called Super Doctors.
19 And I've had the privilege of being selected to both of
20 those bodies by, I presume, my patients, and then a
21 body of physicians who nominate you without your
22 knowledge. And then there's a committee that goes
23 through some type of evaluation to determine whether
24 they think that you, in fact, determine the merits that
25 they are -- that your patients or your peers, your

1 colleagues have -- have recommended.

2 And one of the criteria that I know for the
3 Super Doctors is would you send your family member to
4 this doctor?

5 Q. Hopefully the answer is yes before you're on
6 there.

7 A. Yeah. The answer apparently is yes.

8 Q. Okay. And, Doctor, I've had a radiologist
9 testify before that he's a man who sits in a dark room
10 looking at dark pictures all day long.

11 Is that what you do?

12 A. No. Far from it.

13 Q. Walk us through your typical day.

14 A. Yeah. It's actually more like a week. In a
15 given day, I would look at some scans in the morning,
16 start with some X rays, some MRIs, some CAT scans,
17 review those with my trainee, go over how to approach
18 these scans, how to be objective, and how to make sure
19 that the language is precise so that whatever that
20 trainee wants to say in that report, it's actually what
21 comes out and that there's no way that those phrases
22 can be misinterpreted, meaning that you have to use
23 unambiguous and direct language.

24 And I may have meetings with some of my
25 research colleagues about work that we have ongoing

1 sprinkled throughout the day, and then I have time that
2 I devote specifically to see patients in the morning
3 and then also do procedures in the afternoon different
4 days of the week. And I see patients either in my
5 Westwood facility, Manhattan Beach, or in Santa Monica
6 where we have practices.

7 Q. Now, how does an interventional spine
8 radiologist differ from a radiologist?

9 A. A general radiologist normally is in a dark
10 room and spends the majority of all their time
11 interpreting scans. With any specialty, they may be
12 asked to do basic procedures that require fluoroscopy
13 or low-level X rays to -- to visualize a procedure.

14 For a neuroradiologist who doesn't have a
15 spine -- interventional spine presence, that would
16 indicate that they might do a spinal tap on somebody to
17 get fluid if they think they have meningitis or they
18 might put dye into the spinal canal and do a myelogram
19 where we get pictures of the nerves within the spinal
20 canal. Those are standard procedures.

21 And they might also give medication into the
22 spinal canal through a needle, what's called
23 chemotherapy or intrathecal chemotherapy. But beyond
24 that, they really wouldn't do procedures related to the
25 spine nerves or bones of the spine. And they certainly

1 wouldn't administer medications for painful conditions.

2 In contrast, somebody who has an
3 interventional spine experience and training would do
4 those procedures. And not only interventional spine
5 individuals who are diagnostic neuroradiologists as
6 well will have a clinic where the clinicians send the
7 patient to them for evaluation.

8 I'm very -- I'm blessed that I have that
9 which I enjoy seeing patients and I enjoy evaluating
10 them, and particularly putting together the clinical
11 presentation with their imaging findings to make
12 treatment recommendations. If it is appropriate to go
13 beyond physical therapy and muscle relaxants and so on
14 to doing treatment, then I would schedule the
15 individual for the appropriate treatment that I thought
16 was indicated, whether it's a nerve block or an
17 epidural or a facet block.

18 And that would be done either with
19 fluoroscopy or with CAT scan machine that makes
20 tomographic images like X rays, cross-sectional X rays
21 of the body to use those images to guide the precise
22 delivery of the medication exactly to where it needs to
23 go.

24 And then I would evaluate the patient
25 afterwards to see how they're doing. Do you feel

1 better? What happened? How effective was this
2 intervention? And it helps two things to -- No. 1, to
3 confirm the clinical suspicion and also, hopefully, to
4 provide some relief. The body has an amazing capacity
5 to heal itself over time. And even big things can go
6 away on their own. But sometimes we need to give
7 patients the opportunity to have some freedom or
8 reduction of pain while their body heals itself.

9 Q. So what types of spinal interventional
10 procedures will you actually perform?

11 A. So I will perform lumbar, cervical, and
12 thoracic epidural steroid injections. I will perform
13 facet blocks and rhizotomies. A facet block is where
14 steroid or anesthetic is delivered into a little joint
15 in the back of the neck or in the spine at any
16 location, and then that medicine serves to decrease
17 inflammation. A rhizotomy is when I've already
18 confirmed that that facet is a problem and the patient
19 hasn't responded to the block but the block has not had
20 a long-lasting effect.

21 In that case, I'll put the needle much like I
22 would for the block, but instead of delivering
23 medicine, I deliver a little burning, electrical
24 current that cauterizes that little twig that goes to
25 that joint to have a more long-lasting relief of pain.

1 I'll also inject needles into the disks to
2 see if they are painful. And at our institution, we're
3 no longer doing provocative diskography where we try to
4 cause the patient pain. We actually put an anesthetic
5 in to see if we can get the patient's pain to go away,
6 which I find to be more helpful.

7 And then I will also do biopsies of the bone
8 of the spine, the vertebra, going through the tunnels
9 of bone that connect the back to the front into that
10 vertebra, right next to that spinal canal to get tissue
11 when we think there might be an infection or a cancer
12 living in that bone and to get that information for the
13 doctors.

14 I'll do injections of the sacroiliac joints.
15 I'll biopsy paraspinal soft tissue masses.

16 The one thing that I don't do and I have been
17 trained to do is to implant stimulators into the back
18 or to implant pumps that deliver medication. Those are
19 generally done by our anesthesia colleagues at our
20 hospital.

21 MR. JAFFE: Your Honor, at this time, I would
22 like to offer Juan Pablo Villablanca as an expert in
23 the field of neuroradiology and interventional spine
24 neuroradiology.

25 MR. CLOWARD: Your Honor, I don't think I

1 have an objection, but, again, I would like to
2 approach.

3 THE COURT: Come on up.

4 (Whereupon a brief discussion was
5 held at the bench.)

6 MR. CLOWARD: Judge, do you want me to just
7 do that outside?

8 THE COURT: You can do it now.

9 MR. CLOWARD: Your Honor, we have no
10 objection to the qualifications of Dr. Villablanca. We
11 do have the standing objection regarding his testimony
12 based on the procedural issues.

13 THE COURT: The Court will recognize
14 Dr. Villablanca as an expert in neuroradiology or
15 interventional spine radiology.

16 Go ahead.

17 MR. JAFFE: Thank you.

18 BY MR. JAFFE:

19 Q. Dr. Villablanca, did I hire you as an expert
20 on behalf of my client in this case?

21 A. Yes.

22 Q. And how much are you being paid for your
23 appearance in court today?

24 A. Our rates are set by the university. I think
25 that for a half day in the court, it's \$2,300. And I

1 don't remember how much it is for a full day.

2 Q. Does the money go to you or the university?

3 A. It goes to the university. And then there is
4 a possibility that some of the funds can come back to
5 myself, for instance, to use for student projects for
6 the summer and this type of thing.

7 Q. So it's not going into your pocket, then.

8 A. No. Not generally, no.

9 Q. Okay. Dr. Villablanca, have you -- would you
10 please tell us the opinions that you reached with
11 respect to this matter.

12 A. I have reached several opinions. The first
13 is that all of the studies that have been provided to
14 me for review, including the cervical spine radiographs
15 that were done on the day of the accident, March 13th,
16 2009, the noncontrast CT scan of the brain that was
17 done on that same day, as well as the MRI studies, the
18 cervical and lumbar spines performed on April 3rd,
19 2009, showed degenerative changes of the cervical and
20 lumbar spine and do not show changes, focal
21 abnormalities, that could be attributable to the motor
22 vehicle accident of March 13th, 2009, and that the
23 brain is normal.

24 So to a reasonable degree of medical
25 probability, I'm unable to find focal specific

1 pathology that would be attributable to this motor
2 vehicle accident on these studies.

3 Q. We're going to go into that in more depth
4 now.

5 But first, would you please tell us and even
6 as a spine -- an interventional spine radiologist, I
7 know you do have the opportunity to meet with patients.

8 You did not meet and examine the plaintiff,
9 correct?

10 A. I have not.

11 Q. You did not speak with her to correlate
12 findings versus symptoms.

13 A. That is correct.

14 Q. And would you -- also, the findings on the --
15 on the films taken before her surgery, if you were to
16 meet with her a year or two after the surgery had been
17 completed, would that have been of limited, if any,
18 value in -- due to the timing?

19 MR. CLOWARD: Objection. Leading.

20 THE COURT: I'll overrule.

21 THE WITNESS: It would have been of some
22 value, but because she had already been examined by
23 experts, I have the benefit of reviewing those experts'
24 comments and findings at the time of the -- around the
25 time that scans were obtained for correlation.

1 BY MR. JAFFE:

2 Q. Okay. And by that, you mean her treating
3 doctors.

4 A. Correct.

5 Q. Now, would you please tell us what in
6 particular you have had the opportunity to review.

7 A. I have had the opportunity to review the
8 medical records that you've sent to me in numerous
9 correspondences. The most recent correspondence, I
10 believe, is from May 22nd, 2013, and it's about four
11 pages of documents that were made available to me for
12 review. I don't know if you want to me to list all --
13 the contents of all those pages.

14 Q. How about the records that you reviewed prior
15 to writing the reports?

16 A. The records that I reviewed prior to writing
17 the reports which were dated August 1, 2012, and
18 October 15 of 2012, were those that were sent to me as
19 attachments prior to those dates and did include the
20 expert neurosurgical case review and medical life-care
21 plan of Dr. Jeffrey Gross as well as a supplement that
22 he generated. And that -- I'm sorry, that first
23 document is dated August 7th, 2012, and then he
24 generated a supplement on September 29th, 2012. That
25 was a 21-page document.

1 Q. What about medical records reflecting her
2 treatment?

3 A. Medical records would have been those sent to
4 me through -- through the last date of my supplemental
5 note on October 15th.

6 Q. Can you identify which records you would have
7 seen as of that time?

8 A. I believe it would be everything but the
9 depositions of Drs. Muir, Belsky, Khavkin I think it's
10 pronounced, Dr. Belsky, Dr. Jeffrey Gross. And then I
11 believe he prepared yet another supplemental report
12 dated May 20th, 2013. I did not review those.

13 But I had access to everything that I felt
14 was important that was around the time of the accident
15 and until the time of the first surgery. To me, that's
16 the critical time to tie carefully what's happening
17 with her clinical symptoms to the imaging findings.

18 Q. Would that have included records predating
19 the accident?

20 A. Yes.

21 Q. So did you see records from 2004 through
22 2008 --

23 A. Yes.

24 Q. -- prior to writing your reports?

25 A. Yes.

1 Q. Did you see records from MountainView
2 Hospital, Dr. Belsky, Dr. Muir, Dr. --

3 A. Yes.

4 Q. -- Lurie and Dr. Shah --

5 A. Yes.

6 Q. -- prior to writing your report?

7 A. Yes.

8 Q. Dr. Khavkin's records as well?

9 A. Yes, I believe so.

10 MR. CLOWARD: Your Honor, I'm going to object
11 as to leading.

12 THE COURT: It's foundational.

13 MR. JAFFE: Thank you, Your Honor.

14 BY MR. JAFFE:

15 Q. Did you see the physical therapy records from
16 Matt Smith prior to writing your reports?

17 A. I believe so.

18 Q. And you -- all the films that had been
19 available as of that date, have you -- have you seen
20 those?

21 A. I -- yes.

22 Q. Okay.

23 A. The ones I listed as well as ones that were
24 done after the surgery.

25 Q. Now, let's -- let's talk first about the

1 X ray. Okay?

2 A. Yes.

3 Q. There was an X ray taken on the date of the
4 accident?

5 A. That's correct, March 13th, 2009.

6 Q. Okay. And, you know what, let's kind of
7 break this down. Let's talk about the cervical spine
8 first. Then we'll talk about the lumbar spine. Okay?
9 I think that's probably going to be the easiest way to
10 do things.

11 Would you please go through -- you know what,
12 I'll tell you what. Let's talk about the X ray. Let's
13 talk about the cervical spine x-ray on the date of the
14 accident. Okay? There we go.

15 A. So by double clicking here, I should be able
16 to bring that up. Able to extract one dye contrast
17 image. So maybe what I can do is go back to the
18 database, see if I can get the others loaded. Those
19 are there. So let me come back. So I'm not sure why
20 the radiograph of March 13th, 2009, that --

21 Q. Is that another one just below it?

22 A. Yeah. I clicked on that one. Let's see if
23 that file folder may have it. That's the spine.

24 Q. Was that the C2 or was that the --

25 A. That was the MRI, but I'd like to show you,

1 to start with, the cervical radiographs, which I think
2 are very helpful. So let me page through what's here
3 and see if that will give us -- that's after the
4 fusion. Radiographs. That was our diskogram study.
5 So everything is loading but that one dye contrast
6 image.

7 Q. Can we --

8 A. So I may ask for -- this is the Scout. Let's
9 see here.

10 MR. JAFFE: Your Honor, can we have -- would
11 it be okay if my technical assistant jumps in there and
12 tries to help on this one?

13 THE COURT: Over here on the computer?

14 MR. JAFFE: Yeah.

15 THE COURT: Let's do this: Let's take a
16 quick break, folks, and see if we can get them to --
17 see if we can figure these out, and maybe when we come
18 back, we'll have it all working.

19 During our break, I'm going to instruct you
20 to not talk with each other or with anyone else, about
21 any subject or issue connected with this trial. You
22 are not to read, watch, or listen to any report of or
23 commentary on the trial by any person connected with
24 this case or by any medium of information, including,
25 without limitation, newspapers, television, the

1 Internet, or radio. You are not to conduct any
2 research on your own, which means you cannot talk with
3 others, Tweet others, text others, Google issues, or
4 conduct any other kind of book or computer research
5 with regard to any issue, party, witness, or attorney,
6 involved in this case. You're not to form or express
7 any opinion on any subject connected with this trial
8 until the case is finally submitted to you.

9 Take about ten minutes.

10 THE BAILIFF: All rise.

11 (Whereupon jury exited the courtroom.)

12 THE COURT: We're outside the presence of the
13 jury. Anything we need to take care of?

14 MR. CLOWARD: Can I use the restroom?

15 THE COURT: Yeah. Let's go off the record.

16 (Whereupon a short recess was taken.)

17 THE COURT: Let's bring them back.

18 THE BAILIFF: All rise.

19 (Whereupon jury entered the courtroom.)

20 THE COURT: Go ahead and be seated. We're
21 back on the record, Case No. 636515.

22 Do the parties stipulate to the presence of
23 the jury?

24 MR. JAFFE: Yes, sir.

25 MR. CLOWARD: Yes, Judge.

1 CASE NO. A-11-636515-C

2 DEPT. NO. 30

3 DOCKET U

Electronically Filed
05/04/2014 06:14:07 PM



CLERK OF THE COURT

4

5

DISTRICT COURT

6

CLARK COUNTY, NEVADA

7

* * * * *

8

9 MARGARET G. SEASTRAND,

10 Plaintiff,

11 vs.

12 RAYMOND RIAD KHOURY, DOES 1
13 through 10; and ROE ENTITIES
11 through 20, inclusive,

14 Defendants.

15

16

17

REPORTER'S TRANSCRIPT

18

OF

19

JURY TRIAL

20

BEFORE THE HONORABLE JERRY A. WIESE, II

21

DEPARTMENT XXX

22

DATED WEDNESDAY, JULY 24, 2013

23

24 REPORTED BY: KRISTY L. CLARK, RPR, NV CCR #708,
25 CA CSR #13529

1 APPEARANCES:

2 For the Plaintiff:

3 RICHARD HARRIS LAW FIRM
4 BY: BENJAMIN P. CLOWARD, ESQ.
5 BY: ALISON BRASIER, ESQ.
6 801 South Fourth Street
7 Las Vegas, Nevada 89101
8 (702) 444-4444
9 benjamin@richardharrilaw.com

7 For the Defendant:

8 HALL JAFFE & CLAYTON, LLP
9 BY: STEVEN T. JAFFE, ESQ.
10 BY: JACOB SMITH, ESQ.
11 7425 Peak Drive
12 Las Vegas, Nevada 89128
13 (702) 316-4111
14 sjaffe@lawhjc.com

13 * * * * *

1	I N D E X	
2	WITNESS:	PAGE
3		
4	<u>JOHN B. SIEGLER, M.D.</u>	
5	Direct Examination by Mr. Jaffe	6
6	Cross-Examination by Mr. Cloward	33
7	Redirect Examination by Mr. Jaffe	53
8	Recross-Examination by Mr. Cloward	62
9	Further Redirect Examination by Mr. Jaffe	70
10	Further Recross-Examination by Mr. Cloward	72
11	Further Redirect Examination	73
12	by Mr. Jaffe	
13	Further Recross-Examination	74
14	by Mr. Cloward	
15	Further Redirect Examination	76
16	by Mr. Jaffe	
17	Further Recross-Examination	78
18	by Mr. Cloward	
19	Further Redirect Examination	79
20	by Mr. Jaffe	
21	<u>JOSEPH SCHIFINI, M.D.</u>	
22	Direct Examination by Mr. Jaffe	82
23	Cross-Examination by Mr. Cloward	118
24	Redirect Examination by Mr. Jaffe	143
25	Recross-Examination by Mr. Cloward	149
	Further Redirect Examination by Mr. Jaffe	151

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESS:	PAGE
<u>RAYMOND KHOURY</u>	
Direct Examination by Mr. Smith	161
Cross-Examination by Ms. Brasier	177
Redirect Examination by Mr. Smith	187
<u>JUAN P. VILLABLANCA, M.D.</u>	
Direct Examination by Mr. Jaffe	189
Cross-Examination by Mr. Cloward	252
Redirect Examination by Mr. Jaffe	291
Recross-Examination by Mr. Cloward	293
Further Redirect Examination by Mr. Jaffe	293
Further Recross-Examination by Mr. Cloward	294

1 LAS VEGAS, NEVADA, WEDNESDAY, JULY 24, 2013;

2 9:06 A.M.

3
4 P R O C E E D I N G S

5 * * * * *

6
7 THE BAILIFF: All rise.

8 (Whereupon jury entered the courtroom.)

9 THE COURT: Go ahead and be seated. Good
10 morning, folks. Back on the record in Case No. 636515.

11 Parties stipulate to the presence of the
12 jury?

13 MR. JAFFE: Yes, sir.

14 MR. CLOWARD: Yes, Judge.

15 THE COURT: At least you got doughnuts this
16 morning.

17 ALL JURORS: Thank you.

18 THE COURT: We're in the defense case. Who's
19 your next witness?

20 MR. JAFFE: Your Honor, at this time, defense
21 would like to call Dr. John Siegler.

22 THE COURT: Okay. Doctor, if you want to
23 come up and drop your stuff, I guess. And remain
24 standing next to the chair, and raise your right hand,
25 please.

1 THE CLERK: You do solemnly swear the
2 testimony you're about to give in this action shall be
3 the truth, the whole truth, and nothing but the truth,
4 so help you God.

5 THE WITNESS: I do.

6 THE CLERK: Please state your full name and
7 spell it for the record, please.

8 THE WITNESS: John Blackburn Siegler,
9 S-i-e-g-l-e-r.

10 THE CLERK: And John is J-o-n or --

11 THE WITNESS: J-o-h-n.

12 THE COURT: Thank you, Doctor. You can sit.

13 THE WITNESS: Thank you.

14 THE COURT: Try to talk into that microphone
15 so we can all hear you.

16 THE WITNESS: Okay.

17

18 DIRECT EXAMINATION

19 BY MR. JAFFE:

20 Q. Good morning, Doctor.

21 A. Good morning.

22 Q. Would you please tell us what you do for a
23 living, sir.

24 A. I am a physician, specifically a physiatrist,
25 which is a specialty called physical medicine and

1 rehabilitation. I do pain management and
2 musculoskeletal and some -- I guess,
3 neuromusculoskeletal, like the electrodiagnostic
4 studies and things like that.

5 Q. And where do you practice, sir?

6 A. My office is near St. -- St. Rose and Seven
7 Hills, that intersection there.

8 Q. Okay. And now, would you please give us the
9 benefit of your medical and academic credentials.

10 A. Sure. I attended undergrad at Northwestern
11 University, and I also attended medical school there.
12 And I also stayed there for my residency, actually
13 their hospital and then their affiliated rehab hospital
14 which -- rehab as in withdrawal rehab, but rehab from
15 like chronic conditions, spinal cord injuries, stroke.
16 It's basically the physical medicine and rehabilitation
17 hospital. So I was -- did my residency there for four
18 years.

19 Q. Okay. What is a residency?

20 A. That's the post medical school training where
21 you get the specialty training, essentially. You are a
22 physician. You -- you can function as a physician, but
23 you still have the oversight of -- of the attending
24 physicians. It's an academic center.

25 Q. Now, pain management actually falls within

1 the arena of two different specialities, doesn't it?

2 A. Correct. As of yet, there's not a -- a pain
3 management specialty, although that may change. But in
4 general, anesthesia and -- and physical medicine
5 rehabilitation tend to be the most common specialists
6 who practice pain management.

7 Q. Okay. And your practice, is it -- what
8 percentage of it is treating patients versus reviewing
9 charts in a forensic setting like you're doing today?

10 A. It's 95 percent clinical.

11 Q. You mean seeing patients in your office?

12 A. Correct.

13 Q. And, sir, do you have any board
14 certifications?

15 A. Yes.

16 Q. What is a -- what are you board certified in?

17 A. Well, the physical medicine rehabilitation,
18 the American Board of Physical Medicine and
19 Rehabilitation, which is my primary residency training
20 specialty. But also, the American Board of Pain
21 Medicine, the American Board of Electrodiagnostic
22 Medicine, and then the independent medical examination,
23 American Board of Independent Medical Examiners.

24 Q. Now, what does it take to become board
25 certified? How does one become board certified in a

1 specialty area?

2 A. Well, typically if they've done a residency
3 in the particular area or in pain management in one of
4 the appropriate areas, and then have -- have documented
5 the appropriate experience. Or in the case of the
6 electrodiagnostic medicine have done a certain number
7 of electrodiagnostic studies.

8 And then there's usually -- well, no. In
9 every case, there's a written test. And I believe
10 there -- in the American Board of Physical Medicine and
11 the electrodiagnostic medicine, there's also an oral
12 examination as well.

13 Q. Okay. Now, physiatry is sort of an area that
14 not many people have either heard of or understand. So
15 why don't you tell us, as a physiatrist, what do you do
16 on a day-to-day basis with your patients?

17 A. Sure. And you're right, not a lot of people
18 have heard about it. Usually either -- when I mention
19 that, either people tell me about their foot problems
20 or about their anxiety problems. But -- and there's
21 only about 5- or 6,000 of the -- of the specialty
22 nationwide. So it is a PR problem.

23 But it really -- there's two -- there's two
24 branches. There's the physical medicine aspect and
25 then the rehabilitation aspect. The rehabilitation

1 aspect is -- is more the dealing with chronic injuries
2 that aren't expected to improve. People who have had
3 debilitating strokes and may have spasticity or
4 weakness or have had spinal cord injuries or traumatic
5 brain injuries. So functional deficits that are stable
6 or becoming stable but need to be addressed.

7 And that -- so once someone has had a stroke
8 and maybe is then medically stable but obviously isn't
9 functional enough to return home with -- with their
10 spouse or family members, they would come to the
11 rehabilitation hospital. We would work on maximizing
12 their function. And then once they're at a point where
13 they can return home, hopefully then we would continue
14 to see them and treat -- treat the condition and try to
15 maintain their function.

16 The physical medicine aspect is more the
17 neuromusculoskeletal medicine where we do a lot of,
18 basically, nonoperative orthopedics. Instead of -- you
19 know, if someone, for example, has back pain, a lot of
20 times, they may go to a surgeon first. Well, the
21 surgeon is going to look at someone and say if they're
22 surgical or not surgical, whereas someone who's not
23 really geared towards surgery is going to look more at
24 trying to look at more the bigger picture, so to speak.
25 So what -- where is the problem coming from? Is --

1 what can we do to address this before necessarily
2 getting to surgery. Where is the pain coming from?
3 What therapy, you know, diagnostic injections to see
4 where -- what the pain generator may be coming from.

5 Electrodiagnostic studies can be helpful in
6 certain settings if there's, you know, multiple things
7 going on, to help isolate, say, a pinched nerve in the
8 neck versus, you know, a pinched nerve in the wrist
9 from carpal tunnel syndrome and that sort of thing.

10 Q. So, for example, when you talk about
11 electrodiagnostic studies, what is that? What does
12 that mean?

13 A. Basically, there's two components to that.
14 There's the nerve conduction studies where you put
15 electrodes either on muscles and then -- and then apply
16 a current to a nerve and the current will then activate
17 the nerve. The -- the nerve response will go down the
18 nerve and then activate the muscle and cause the muscle
19 to contract. And you record both how much of a shock
20 you give the nerve and how fast the response occurs and
21 how robust that response is. And you can tell how well
22 the nerve is functioning, how quickly that -- that
23 nerve response is getting to where it needs to go. And
24 it gives you good data on -- on -- on basically the
25 health of the nerve.

1 The second part of that, the
2 electromyography, is you put a small needle into
3 different muscles, and you can -- you listen to a --
4 basically a conversion of the electrical activity
5 inside the muscle into sound as well -- as well as a
6 visual representation on the screen. And from that,
7 you can deduce certain things about if that muscle is
8 functioning correctly and how that nerve supply to that
9 muscle is functioning.

10 So -- and because we know that certain
11 muscles have specific nerves that go to them, if -- if
12 we find something abnormal, you can a lot of times
13 tell, by checking different muscles and finding out
14 which ones are abnormal and which ones are functioning
15 correctly, where a nerve problem might be.

16 Q. Now, in your practice, it sounds like there's
17 quite a lot of different types of tests that you rely
18 on to make determinations on patient treatment and
19 status, sort of like these electrodiagnostic tests.

20 A. Yes.

21 Q. Now, when you're treating a patient, do you
22 typically see them before they become surgical, after
23 they're surgical, or a combination of both?

24 A. Probably both.

25 Q. Okay. Are there times that you see patients

1 who you're basically trying to help them to avoid
2 surgery?

3 A. Oh, very much.

4 Q. And if that's the case, I guess what I really
5 want to find out is, what is the role of the
6 physiatrist with respect to a spinal surgeon? I mean,
7 how do the two interact and how do the two differ?

8 A. Well, the surgeon basically is -- is trained
9 in -- in the mechanics of surgery, and, you know, will
10 see the patient, how to open up the patient, how to
11 perform surgery. The -- and involved with that, I
12 mean, they do learn to look at films, and -- and, you
13 know, perform examinations and so forth.

14 But in my experience, most surgeons are very
15 directed towards is this patient someone I can help
16 with surgery or not. Most surgeons aren't -- aren't --
17 and this isn't universally true, but by and large, most
18 surgeons, if someone's not surgical, don't necessarily
19 want to continue to treat someone. They will turn them
20 over to a physiatrist or -- generally a physiatrist
21 would be the best choice.

22 Q. And are you the type of physician who will
23 occasionally -- well, as part of your practice, see
24 patients to try and help them avoid surgery?

25 A. Yes.

1 Q. Okay. Now -- okay.

2 MR. JAFFE: Your Honor, at this time, I would
3 offer Dr. John Siegler as an expert in the field of
4 physical medicine and rehabilitation, physiatry, with a
5 subspecialty in pain management.

6 MR. CLOWARD: Your Honor, I don't think we
7 have an objection, but may we approach?

8 THE COURT: Sure.

9 (Whereupon a brief discussion was
10 held at the bench.)

11 MR. CLOWARD: Judge, no objection.

12 THE COURT: All right. He will be recognized
13 as an expert in the field of physical medicine and
14 rehabilitation with a specialty in pain management and
15 physiatry.

16 BY MR. JAFFE:

17 Q. Now, I just want to clarify one thing,
18 Dr. Siegler. You're not a surgeon, right?

19 A. No, I'm not.

20 Q. You don't make surgical recommendations or
21 determinations as to whether somebody is a surgical
22 candidate or not.

23 You leave that to the surgeon to decide?

24 A. Ultimately. A lot of times I'll-- you know,
25 I have a pretty good idea that someone --

1 MR. CLOWARD: Judge, I'm going to -- I'm just
2 going to object.

3 BY MR. JAFFE:

4 Q. Let me just -- let me ask --

5 MR. CLOWARD: Move to strike.

6 BY MR. JAFFE:

7 Q. Let me -- let me ask this, sir: If you have
8 concerns about whether somebody is surgical, will
9 you -- is that when you turn them over to a surgeon?

10 A. Yes.

11 Q. So what I want to do is this: We're going to
12 talk about the -- your review and your opinions within
13 your field of practice. We're going to leave the
14 surgery to others since you're not a surgeon, right?

15 A. Okay.

16 Q. Okay. Just want to make sure that we have a
17 fair understanding of the ground rules. Okay, sir?

18 A. Yes, sir.

19 Q. Now, Dr. Siegler, did we hire you in this
20 case?

21 A. Yes.

22 Q. And we're paying for your time --

23 A. Yes.

24 Q. -- is that correct?

25 And how much are we paying for you to be in

1 court today?

2 A. I believe it's about 5,000 for -- for today.

3 Q. Okay. And, again, that reflects the fact
4 that had you not been here, would you be doing work and
5 earning money in your practice?

6 A. Yes.

7 Q. Keeping the doors open and the lights on?

8 A. Yes.

9 Q. Okay. Now, Doctor, let's talk for one second
10 about testimony.

11 Sir, you -- you've testified in court on
12 other occasions as well?

13 A. Yes, sir.

14 Q. Have you testified for defendants in the
15 past?

16 A. Yes.

17 Q. Have you testified for plaintiffs in the
18 past?

19 A. Yes.

20 Q. The testimony that you've given in the court,
21 how would you break that down between plaintiffs and
22 defendants?

23 A. It's roughly about 50-50.

24 Q. Now, when you testify for plaintiffs, is
25 that -- is that because the plaintiff happens to be a

1 treating patient of yours?

2 A. Sometimes. But sometimes it's also as an
3 expert capacity as well.

4 Q. Have you worked as a physician treating
5 patients that were coincidentally being represented by
6 Mr. Harris's office?

7 A. Yes.

8 Q. And have we had situations where you're
9 treating patients that are -- that I'm defending
10 against?

11 A. I believe so, yes.

12 Q. In fact, we've got one very significant one
13 going right now, don't we, sir?

14 A. I believe so, yes.

15 Q. And, Doctor, have you ever testified for me
16 before?

17 A. I don't believe so.

18 Q. Now, in this particular case, sir, you did
19 not examine the plaintiff; is that right?

20 A. That's correct.

21 Q. What did we ask you to do?

22 A. I was provided with the records, asked to
23 review them and basically provide my medical opinion
24 on -- on the diagnoses related to the accident of
25 March 13th, 2009, and the treatment and what was

1 related.

2 Q. Sir, what -- what is your opinion regarding
3 the injuries sustained by Margaret Seastrand regarding
4 the accident that occurred on March 13, 2009?

5 A. Basically she had a recurrence of cervical
6 and lumbar pain as well as a headache which I believe
7 was related to her neck pain.

8 Q. Do you believe that she sustained injuries to
9 her disks in either of the cervical or lumbar spine as
10 a result of this accident?

11 A. No, I don't.

12 Q. Okay. Sir, would you please tell us, what
13 have you had the opportunity to review in -- in this
14 matter, generally speaking?

15 A. Sure. I reviewed a multitude of -- of
16 records from other -- other physicians prior to her --
17 the motor vehicle accident. She saw Dr. Lambert,
18 Dr. Diaz, some hospital presentations. State of Nevada
19 Traffic Accident Report, hospital records after the
20 motor vehicle accident in question, EMS reports,
21 records from Dr. Lurie and Dr. Olmstead, Dr. Fisk,
22 Dr. Weeks, Dr. Belsky, Dr. Weber, Dr. Muir, Dr. Shah,
23 Dr. Longoris, electrodiagnostic studies, records from
24 Dr. Khavkin, Dr. Grover, physical therapy notes from
25 Matt Smith Physical Therapy, imaging study reports,

1 some X rays before the accident, some imaging studies
2 after the accident.

3 Q. Okay. Have you also had depositions
4 available for your review as well?

5 A. I have not reviewed any deposition testimony.

6 Q. Okay. Now, were you able to make a
7 determination -- your determination based upon review
8 of the medical records?

9 A. Yes.

10 Q. Now, was there any one particular record or
11 series of records that you believe were critical for
12 your analysis?

13 A. Yes. The -- in this instance, the record --
14 there's a series of records detailing complaints prior
15 to the motor vehicle accident that demonstrated --
16 demonstrated neck and low back complaints being present
17 beforehand, with complaints into the extremities that
18 mimic complaints she had after the motor vehicle
19 accident. And I believe there were also some records
20 from the -- Dr. Lurie that alluded to -- to the fact
21 that -- that she had had neck and low back complaints
22 before the accident as well.

23 Q. Let's turn to the records before the
24 accident. And I assume we're talking about those from
25 October 27th, 2008? Would those be the critical ones?

1 A. I believe that that was one set of records
2 that did -- that did denote complaints, yes.

3 Q. Okay. I would like to focus on those for a
4 bit.

5 MR. JAFFE: And, Your Honor, if we could have
6 the ability to use the screen.

7 THE WITNESS: I can either pull them up from
8 here or from my computer. Which would be --

9 BY MR. JAFFE:

10 Q. Well, what we're going to do is this: We've
11 already got them downloaded, so we're going to put up
12 them on the screen as well. And they'll be on the
13 screen in front of you, so if you want to refer to
14 what's on your computer as well, go right ahead.

15 A. If it's on the screen, that's fine.

16 Q. Okay.

17 A. Thank you.

18 MR. JAFFE: Your Honor, I'm going to -- I had
19 the wrong designation. I was thinking JJ, but it's
20 actually Exhibit J that I want.

21 You know, Judge, I'm just going to use the
22 ELMO. Thank you, sir.

23 BY MR. JAFFE:

24 Q. Showing Exhibit JJ -- or J rather, J7. And,
25 Doctor, I'm going to put this up, and this is a visit

1 from October 27th, 2008; is that correct, sir?

2 A. Yes.

3 Q. Now, would you explain to us your
4 interpretation of why the plaintiff was seen that day
5 and what the results were.

6 A. She had been having chest pain with
7 associated numbness and tingling into both arms and
8 shooting pain in the left arms, no shortness of breath,
9 and it was actually going away with -- with exercise.
10 It said -- it says with numbness. And then below,
11 under the Assessment, they recommended an X ray of the
12 neck.

13 So the concern was with -- two concerns
14 there. One with -- the chest pain, the concerns are
15 always it may be a cardiac issue. But it was pretty
16 atypical for cardiac pain to go away with exercise.
17 The other concern was of -- of a neck issue, and so
18 they ordered an X ray of the neck.

19 Q. Now, sir, do you believe that this was a
20 cardiac event that she was ultimately seen for in terms
21 of the cause of the visit?

22 A. No, I don't think so.

23 Q. Why is that?

24 A. The workup was -- was negative for that. I
25 mean, she wasn't -- didn't end up getting any cardiac

1 treatment. She was sent to a cardiologist and had some
2 testing, but it didn't suggest that she'd had a cardiac
3 event. And there was some findings on the X ray that
4 were consistent with some cervical processes that could
5 present like this.

6 Q. And later today we're going to hear from
7 Dr. Villablanca, a radiologist, who's going to go
8 through what -- the films themselves, and, you know --
9 or rather what's on the films.

10 But can the cervical spine produce the
11 symptoms that she was seen for that day?

12 A. Yes.

13 Q. How so?

14 A. It would almost be easier to -- to draw it.
15 I don't know if there's a board.

16 MR. JAFFE: You know what, Greg, do we have
17 the --

18 BY MR. JAFFE:

19 Q. If I had a model of the spine, would that
20 help?

21 A. That -- that might -- I mean, basically --

22 Q. I hate to say it, but the days of easels and
23 crayons are long since gone from the courtroom.

24 A. Really? I got to do that a year ago. That
25 was fun.

1 Q. Let's -- okay. Let's explain it the best we
2 can.

3 A. Basically, there's -- there's two parts to
4 the -- to the spine. You got the front part which are
5 the big columns, the big bones that are really the --
6 provide the stability, that prevent us from being
7 Jell-O. Sort of the tent pole to our body, so to
8 speak. The spinal cord runs behind that, and then
9 behind that pole is basically a -- sort of a enveloping
10 canal of bone that surrounds it.

11 And there's -- there's ridges in the back as
12 well that bone -- that muscles connect to, and it forms
13 a plate. And that's when you -- you know, when you
14 look at someone's back and you see the ridges, that's
15 really the plates that protect the spine. But it's not
16 solid. There's -- because the spine moves and bends
17 and extends and so forth, there's -- the plate has to
18 move relative to each other. So there's -- there --
19 there are joints there where it can bend, but it's
20 essentially a protective plate.

21 So -- but what can happen because -- just
22 with age and wear and tear, those -- those joints --
23 both the joint in the back can -- can get spurring,
24 and -- and then that impinges upon that canal that
25 the -- that the spinal cord runs through.

1 And as well as in between those bones,
2 there's -- there's disks, soft disks that cushion as
3 well as allow movement of those pillars relative to one
4 another so we can move in multiple planes. And those
5 generate and can -- also, as they lose height and can
6 blow out more, those also can impinge upon the spinal
7 cord and the nerves that come out of there.

8 So as, basically, we get older, there's a --
9 an accumulation of -- of degeneration that can, and
10 often does, lead to compromise of nerves that come out
11 of and go into either the arms or legs. And that can
12 happen slowly, a progressive sort of symptoms into the
13 extremities, or it can happen acutely. You can wake up
14 or turn a certain way and get sudden pain into the arm
15 or leg.

16 You can also get increasing pain in the
17 disks. Disks themselves can become sensitized, and you
18 can get pain in the neck or low back that can happen --
19 it can be a constant pain that you live with every day
20 or it can periodically flare anytime -- you know,
21 couple times a year to a couple times a month. So
22 that's sort of how that can manifest with pain in the
23 neck, pain -- and that can radiate into the chest and
24 certainly into the arms as the nerve gets sort of
25 crimped off with the progressive degeneration and bone

1 spurring.

2 Q. Now, Dr. Kermani offered a couple of
3 potential diagnoses at that time or concerns.

4 Would that be fair to say?

5 A. Yes.

6 Q. One of which was the cervical spine?

7 A. Yes.

8 Q. And based upon your review of this record,
9 were the plaintiff's symptoms consistent with a -- with
10 a spinal etiology?

11 A. Yes.

12 Q. Do you believe that Dr. Kermani during this
13 visit eliminated cardiac as the cause of her symptoms?

14 MR. CLOWARD: Object to form. Calls for
15 speculation, Doctor -- I mean, Judge.

16 THE COURT: Hold on. Hold on.

17 MR. JAFFE: Judge --

18 THE COURT: I think he can -- why don't you
19 rephrase it based upon the records.

20 MR. JAFFE: Sure.

21 BY MR. JAFFE:

22 Q. Reviewing these records, does it appear as if
23 Dr. Kermani analyzed the plaintiff as -- and considered
24 whether her heart or her cardiac system was producing
25 her symptoms?

1 A. Yes.

2 Q. What in that chart and in that record do you
3 see that goes to an analysis of her cardiac system?

4 A. They -- well, one was the questions that were
5 asked in the history. And two, was some of the
6 assessment recommendations, EKG and echocardiograms
7 stress test and referral to a cardiologist.

8 Q. How about the objective findings? Do you see
9 where he tested the heart there?

10 A. Correct, as well as the physical exam
11 findings, the auscultation or listening to the
12 heartbeat, which that was normal. The heart rate was
13 regular. It wasn't elevated. There wasn't any
14 abnormal sounds, murmurs, gallops, or rubs which are
15 abnormal sounds that can be present. The lungs were
16 clear, meaning there wasn't any fluid backing up in the
17 lungs which can happen if someone's going into cardiac
18 failure.

19 So correct, there wasn't any physical exam
20 findings to suggest that anything abnormal cardiacwise.

21 Q. Did he also note that there was no shortness
22 of breath?

23 A. Yes.

24 Q. If there was shortness of breath, would that
25 potentially have pointed to the heart?

1 A. Yes. Shortness of breath is a common finding
2 when there's a cardiac issue going on.

3 Q. In light of that and given that, sir, do you
4 see anything in what Dr. Kermani has documented which
5 in any way suggests that the heart was producing the
6 symptoms?

7 A. I mean, just the fact that there was chest
8 pain, you have to consider that. But beyond that,
9 everything else with it going away with exertion, the
10 lack of shortness of breath, the benign physical exam
11 findings, that really points away from a cardiac
12 etiology.

13 Q. Now, sir, the nerves that come out of our
14 spinal cord, do they innervate various parts of our
15 body?

16 A. Yes.

17 Q. And when they innervate parts of our body, do
18 they go to all different parts of the body including
19 the chest?

20 A. Yeah. Pretty much anywhere neck down is all
21 coming from the spinal cord.

22 Q. Okay. And the chest area, is that innervated
23 through the upper cervical nerves?

24 A. Yes. There's a mantle distribution in the
25 cervical spine, so it can definitely radiate to the

1 chest.

2 Q. Would that explain in some way why
3 Dr. Kermani was concerned about the cervical spine?

4 A. Yes. That certainly -- the chest pain in and
5 of itself was -- the left arm pain certainly -- the
6 shooting pain in the left arm is even more suggestive
7 of a cervical processes.

8 Q. And I talked in my opening statement about
9 how we can basically tell, because we're all pretty
10 much hardwired the same way, based upon where we have
11 symptoms what nerves you're going to be looking at.

12 Would that be fair?

13 A. To some degree. I mean, there's a little
14 variation. But in general, if someone's got pain
15 radiating to the middle finger, you usually think the
16 C7 nerve. If it's -- you know, one of the monikers we
17 learned in medical school is six-shooter. This is the
18 C6, the sixth cervical nerve, so ...

19 Q. Okay. And which disk would most impact the
20 C6 nerve root?

21 A. C6 would involve shooting pain in the arm.
22 It would generally go into the thumb and index finger,
23 the -- the forearm, and you can certainly get pain into
24 the shoulder and chest as well.

25 Q. Okay. But which disk in the cervical

1 spine --

2 A. Oh, I'm sorry.

3 Q. -- would most likely affect the C6 nerve
4 root?

5 A. Most often it would be C5-6. But, you know,
6 it's certainly not always, but most often would be
7 C5-6.

8 Q. And you're aware that she was sent for an
9 X ray that day?

10 A. Yes.

11 Q. What disk space was found to be compromised?

12 A. I believe it was C5-6.

13 Q. Tell you what, I'll show you the radiology
14 report which is J. I want to say it's 19, but I'm --
15 19 -- 18. I'm sorry.

16 A. So C5-6, yes.

17 Q. Okay. And it was found to have -- was there
18 found to be any abnormal pathology at that level?

19 A. Yes.

20 Q. Degeneration?

21 A. Yes.

22 Q. Okay. Now, what does that suggest to you?
23 What is the significance of this October 27th, 2008,
24 finding relative to the reason we're here?

25 A. Well, to me, it seems the most likely reason

1 that she had those symptoms and -- and presented -- and
2 thus presented was that there was a cervical processes
3 that involved the C5-6 disk that flared and
4 precipitated symptoms that radiated into her chest and
5 into her arm that caused pain to the degree where she
6 presented for treatment.

7 Q. Now, you haven't seen any other medical
8 records from then up until the time of the accident
9 where she saw a doctor for her cervical spine, did you?

10 A. That was 2008?

11 Q. October 2008.

12 A. Correct. I didn't -- I did not have any
13 other medical records until -- from 2008 until the time
14 of the accident where she was seen for cervical
15 complaints.

16 Q. Okay. So then as a result of this accident,
17 do you believe the plaintiff was injured?

18 A. Yes.

19 Q. Okay. What injuries do you believe she
20 sustained?

21 A. I believe she likely sustained soft tissue
22 cervical and lumbar sprain-strain injuries.

23 Q. Is that consistent with your review of the
24 emergency room records?

25 A. Yes.

1 Q. Is that consistent with your review of the
2 records from Dr. Belsky?

3 A. Yes.

4 Q. Is that consistent with your review of the
5 records from Dr. Shah?

6 A. Yes.

7 Q. Is that consistent with your review of the
8 diagnostics that were done after the accident?

9 A. Yes.

10 Q. Doctor, in your experience, can people
11 independently suffer sprains and strains in some sort
12 of traumatic episode like a car accident even though
13 they already have a compromise of disks in their neck
14 or low back?

15 A. Absolutely.

16 Q. Does it necessarily mean that the neck or low
17 back disks are made worse because they've suffered a
18 sprain or strain?

19 A. No.

20 Q. Can the two happen independently?

21 A. Yes.

22 Q. Do you believe that's what happened here?

23 A. Yes.

24 Q. Now, sir, you also addressed in your report,
25 a life-care plan prepared by Dr. Gross; is that

1 accurate?

2 A. Yes.

3 Q. Do you believe that has anything -- the need
4 for any of that care is causally related to this
5 accident?

6 A. No.

7 Q. Do you believe she needs that care?

8 A. Potentially. But I can't attribute it to --
9 the need for that care, I can't attribute to the
10 accident.

11 Q. Have you seen any physical therapy records
12 since January 2011?

13 A. No.

14 Q. In your experience, sir, is past conduct
15 regarding following up with care a good indication of
16 how somebody is going to act in the future?

17 A. Yes.

18 Q. Sir, have you seen any indication that she's
19 had the need for any pain medications relative to this
20 accident or anything whatsoever since July 2011?

21 A. No. It didn't appear that she's been taking
22 pain medication from the records I reviewed.

23 Q. For at least two years.

24 A. Correct.

25 Q. Dr. Siegler, have all your opinions been

1 stated to a reasonable degree of medical probability as
2 a board-certified physiatrist with a specialty --
3 subspecialty in pain management?

4 A. Yes, sir.

5 MR. JAFFE: Thank you. I have no further
6 questions.

7 THE COURT: Cross.

8 MR. CLOWARD: Yes, Judge. May I have the
9 Court's indulgence for a moment to set some things up?

10 THE COURT: Yep.

11 MR. CLOWARD: May I approach, grab one of
12 these easels?

13 THE COURT: Sure.

14 MR. CLOWARD: Thanks. Thank you, Judge. I'm
15 about there.

16

17 CROSS-EXAMINATION

18 BY MR. CLOWARD:

19 Q. How are you today, Dr. Siegler?

20 MR. JAFFE: Your Honor, may I see what's
21 being shown to the jury before it's shown to them?

22 MR. CLOWARD: Something that I would have
23 drawn while I was sitting here in court.

24 MR. JAFFE: Okay.

25 THE WITNESS: Good. Thank you.

1 BY MR. CLOWARD:

2 Q. How are you doing today, Doctor?

3 A. Good.

4 Q. You and I have worked together before.

5 A. Yes.

6 Q. Yeah. You were given certain information by
7 Mr. Jaffe, correct?

8 A. Correct.

9 Q. And you had to rely on just the information
10 that he gave you, correct?

11 A. Correct.

12 Q. You didn't get Ms. Seastrand's deposition,
13 did you?

14 A. I don't believe so. Or if I did, not by the
15 time I did the reports.

16 Q. Sure. You didn't have an opportunity to
17 examine Ms. Seastrand.

18 A. No, I did not.

19 Q. In fact, you've never been able to actually
20 meet her, have you?

21 A. No, I have not.

22 Q. Never been able to talk to her, have you?

23 A. No, I have not.

24 Q. That's something you would have liked to do.

25 A. Certainly that potentially could have

1 provided additional information.

2 Q. Sure. I believe you have testified that you
3 never provide treatment to your own patients without
4 physically examining them, true?

5 A. True.

6 Q. So, Doctor, and this one record that
7 Mr. Jaffe presented to you, I'd like to talk about that
8 in a minute, but before I do that, I would like to
9 know, what is your understanding of Ms. Seastrand's
10 playing field or her baseline before the crash versus
11 after the crash?

12 So, Doctor, can you tell me what restrictions
13 did she have before the crash that you're aware of?

14 A. As far as like formal induced physician
15 restrictions?

16 Q. Sure.

17 A. I'm not aware of any.

18 Q. What about restrictions with her activities,
19 like things that she's not able to do based on her neck
20 or lumbar spine?

21 A. Prior to the accident?

22 Q. Correct.

23 A. I'm not aware that there were any formally --
24 formal restrictions provided by her physicians.

25 Q. Okay. And as provided by her physicians,

1 but, Doctor, it's fair to say that you're also --
2 because you've never met Ms. Seastrand, you're also not
3 aware of any restrictions that she had based on her own
4 testimony, correct?

5 A. That's correct.

6 Q. Doctor, can you tell us, what was her pain
7 level like before the crash? And if you could just
8 give us a rating, you know, what was her neck pain like
9 before versus her back pain? And we're going to rate
10 it on a scale of 1 to 10. Can you -- can you do that
11 for us, Doctor?

12 A. My recollection from the notes was that she
13 would -- would get pain in the neck at about 3 out of
14 10.

15 Q. Now, Doctor, that's -- that's a -- that's
16 given in the history after.

17 A. Correct. But it was what she described as
18 happening before the accident.

19 Q. Okay. So 3 out of 10 in the neck.

20 A. If I recall. And the low back, I think it
21 was a 3 or 4 out of 10. I don't recall exactly what
22 she had described. I can --

23 Q. Okay. And, Doctor, so that's based on I
24 believe Dr. Lurie's note, correct?

25 A. I believe so, yes.

1 Q. So setting aside Dr. Lurie's note, just based
2 solely on the records that you were provided by
3 Mr. Jaffe, what was her pain level?

4 A. Well, setting aside that note, I have -- the
5 notes that I do have don't record her -- her level of
6 pain on a scale of 0 to 10. So I can't answer that
7 specific.

8 Q. Fair to say you don't know?

9 A. Correct.

10 Q. Okay. Now, Doctor, regarding this -- this
11 Dr. Kermani record, I want to talk to you about that in
12 a moment, but was there actually a reference in there
13 of neck pain? Axial neck pain. Cervical pain.

14 A. On that visit, I don't believe there was.

15 Q. Okay. Just going to put a zero there.

16 Now, regarding the pain -- and, again, I
17 don't want you to consider Dr. -- Dr. -- Dr. Lurie's
18 record.

19 A. Lurie's record?

20 Q. Sorry. Thank you, Doctor. Thank you. I
21 appreciate it.

22 I don't want you to consider Dr. Lurie's. I
23 want you to -- just based solely on the records that
24 you were provided by Mr. Jaffe from before the crash,
25 what was the pain frequency that she had?

1 A. Again, there was I think two or three records
2 alluding to neck and low back pain and arm pain over
3 the years, but they -- there wasn't enough to identify
4 a frequency prior to Dr. Lurie's record.

5 Q. Fair to say that you -- you're unaware --
6 based on the records that Mr. Jaffe provided you,
7 you're unaware of the frequency or duration of her pain
8 complaints?

9 A. Well, again --

10 Q. Before the crash.

11 A. Well, Dr. -- Dr. Lurie's note was, though, an
12 integral part of that -- of my analysis with reference
13 to her description of what her pain was like
14 beforehand. So it's hard for me to -- my -- that was
15 part of my analysis.

16 Q. Sure. Dr. Lurie's report where Ms. Seastrand
17 reported her pain levels was important to you, correct?

18 A. And the frequency, that -- that she had had
19 prior to the -- prior to the motor vehicle accident.

20 Q. So it would be equally as important, her
21 testimony, of how her life changed as a result of this
22 motor vehicle accident.

23 A. Potentially.

24 Q. And she's in fact testified that this
25 accident had a significant life-altering impact on her.

1 Would you have liked to have known that in
2 your review of this case?

3 A. Certainly the information of her history
4 could potentially be relevant, yes.

5 Q. You had to rely on what Mr. Jaffe gave you,
6 though, correct?

7 A. I had to rely on the records provided,
8 correct.

9 Q. Doctor, now, regarding -- so I think we've
10 gone over the back. And I know that you relied on
11 Dr. Lurie's, but -- and I don't mean to be persistent,
12 but I want to make sure there's an answer to the
13 question.

14 Specifically based on just the records from
15 before the crash, are you aware of the duration or
16 frequency of the pain complaints for the lumbar spine?

17 A. I believe it was at least since '04, and
18 there was a -- a reference to her having intermittent
19 back pain. I think in '07. So it was not limited to
20 one episode, so there was at least several years
21 duration of more than one episode.

22 Q. Sure. And -- and my question specifically,
23 Doctor, was -- was the pain level and the frequency.

24 So how often, like every single day versus,
25 you know, occasionally versus chronic? You know, what

1 is your understanding of that in regard to the lumbar
2 spine?

3 A. Sure. The -- limiting to the records that
4 were prior to the motor vehicle accident only, I --
5 there was no documented pain level there, so I can't
6 comment as to a level from 0 to 10 limited to those
7 records. Nor can I say anything regarding more
8 specific frequency other than it was, I believe, the --
9 she said intermittent or --

10 Q. But that was in Dr. Lurie's, correct?

11 A. There was a note that -- or infrequent I
12 believe was maybe the term that was used in one of the
13 other notes.

14 Q. She actually said infrequent back pain,
15 correct?

16 A. In '07, I believe so.

17 Q. Okay. So regarding the frequency, the only
18 information you have from the records that predated the
19 accident is that the back pain was infrequent.

20 A. And that there was chronic neck and low back
21 pain noted I think in '04.

22 Q. Okay. But as far as the duration, how many
23 times, how often? It was infrequent, correct?

24 A. As far as the low back pain, that's what was
25 mentioned in the one note in '07, yes.

1 Q. So infrequent.

2 Now, Doctor, you were -- you were asked about
3 some -- some -- these records. I'm going to get to the
4 heart records here in a moment.

5 But before I get -- get to that, so one of
6 the last things that you -- you testified to during
7 Mr. Jaffe's direct examination was that past conduct is
8 a good indication of follow-up, correct?

9 A. Correct.

10 Q. You're aware that Ms. Seastrand had a
11 automobile crash in 1985, true?

12 A. Yes.

13 Q. And you would expect that if she had ongoing
14 and residual complaints from that, that she would go to
15 the doctor.

16 A. Yes.

17 Q. Okay. So the past conduct that I'm
18 interested in and from 1985 to 2009. And the question
19 that I have is: What medical treatment was there where
20 the chief complaint was for her neck or her lumbar
21 spine?

22 A. Well, I mean, it's obvious that I don't have
23 near the medical records from that period of time. And
24 it -- typically it's hard to get medical records from
25 that remote of a time period.

1 Q. Sure.

2 A. Because my understanding is she had a pretty
3 bad concussion then, and, you know, I'm sure there
4 would have been a lot of treatment addressing that
5 which I didn't have. So, you know, I simply don't know
6 because those records either weren't available or
7 weren't able to be obtained. I'm not sure what -- why
8 they weren't specifically.

9 Q. I appreciate that, Doctor.

10 So it's fair to say that you're aware of zero
11 records from 1985 to 2009 where the chief complaint was
12 for neck and low back, correct?

13 A. Where her initial -- where her presentation
14 was for -- specifically for neck and low back?

15 Q. Correct, the reason it brought her to the
16 doctor, the chief complaint.

17 A. Correct, I would agree with that.

18 Q. Okay. Now, Doctor, let's compare her playing
19 field before the crash to her playing field after the
20 crash.

21 So, Doctor, can you tell me, what
22 restrictions are you aware of that Ms. Seastrand has
23 now?

24 A. Well, certainly having had a fusion, there
25 would be restrictions in place. I don't know

1 specifically what -- what was recommended. I'd have to
2 look at the -- at -- I don't recall exactly what the
3 notes had said.

4 Q. Fair to say that as you sit here, you're not
5 able to tell me specifically what the restrictions are
6 at this time?

7 A. I can't recall from memory. I'd have to look
8 at the record, yes.

9 Q. Would you like to take a moment to do that?

10 A. Sure. Would there -- I mean, it might take a
11 while unless -- is there a specific section for the
12 surgeon?

13 Q. Sure. Doctor, I don't -- I know it's a
14 little bit time consuming, but I understand you've been
15 paid by Mr. Jaffe to come here and give some opinions
16 and so --

17 A. Well, I can state that in general, after
18 someone's had a fusion, that it's pretty standard for
19 them to have restrictions in place.

20 Q. Okay. Are you aware of how her activities of
21 daily living have been impacted?

22 A. Specifically, no, I'm not at this point.

23 Q. Okay. Now, Doctor, what about her pain level
24 and frequency? Can you talk to me a little bit about
25 that after the accident.

1 A. No. Unfortunately, the records I reviewed
2 basically are about the time of the fusion, and then I
3 don't have any information after that. So I can't
4 really speak to the clinical status after the fusion.

5 Q. Sure. Again, you had to rely on the records
6 that Mr. Jaffe gave you, correct?

7 A. Correct.

8 Q. You would have liked to have seen everything.

9 A. Well, I mean, more information is always
10 better. Although, again, the relevance of the
11 fusion -- the fusion I didn't think was -- whereas, you
12 know, may well have been medically necessary, I didn't
13 feel was related to the accident.

14 Q. Okay. Sure. Thanks, Doctor.

15 Do you know -- well, I guess for the records
16 that you did review, are you aware of how many
17 treatments she had where the primary complaint was for
18 neck and back after the crash?

19 A. Maybe 55, 60 treatments.

20 Q. Okay. And those 55 or 60 treatments took
21 place after the motor vehicle accident, correct?

22 A. Correct.

23 Q. So, Doctor, is it your testimony today that
24 without this automobile crash, on a more likely than
25 not basis, Ms. Seastrand would have required those 55

1 to 60 visits during the same period of time that she
2 did require them?

3 A. No. I think the -- the chiropractic
4 treatments were reasonable. I do believe she suffered
5 soft tissue injuries, and I do believe even evaluation
6 by pain management was reasonable. So I think the
7 majority of those visits were -- were -- were
8 indicated, medically necessary, and related to the
9 motor vehicle accident.

10 I just -- it was the -- the diagnosis of the
11 disk pain and the subsequent fusion that I don't
12 believe was -- was causal to the motor vehicle
13 accident.

14 Q. Okay. Doctor, are you aware, based on your
15 review of the records, at any point -- based on just
16 the 55 to 60 visits that you reviewed, are you aware of
17 any -- any time when Ms. Seastrand returned to the base
18 level of 3 to 4 out of pain on the neck, 3 to 4 out of
19 10 pain on the back that was intermittent.

20 A. No, I don't see a lot of documentation of
21 pain level, so I don't know what her specific pain
22 level was. I'm sorry.

23 Q. So you're not sure whether she ever returned
24 to this base level, correct? When I'm referring to
25 this base level, the base level that -- that she would

1 have been before the crash, true?

2 A. True.

3 Q. Is it your -- is it still your opinion that
4 the motor vehicle accident did not cause the serious
5 injuries that required a fusion?

6 A. Yes.

7 Q. Doctor, I want to talk about this Heart
8 Center record. This is the one that Mr. Jaffe showed.

9 What is the date of that record?

10 A. 10/27/2008.

11 Q. Okay. Is there any indication that there was
12 cervical pain, cervical, axial pain?

13 A. No.

14 Q. And that -- it was 10/27, correct, Doctor?

15 A. Correct.

16 Q. The X ray was -- what is the date on the
17 X ray there?

18 A. 10/27/2008.

19 Q. So Dr. Kermani wants to -- in this record, he
20 thinks that the cervical symptoms, as you've testified
21 to, or the arm symptoms might be related to her neck,
22 so he requested a cervical MRI -- or cervical X ray,
23 correct?

24 A. Correct.

25 Q. That was done on the same day, true?

1 A. Yes.

2 Q. 10/27. Okay.

3 So, Doctor, from 10/27 until 12/15/08 when
4 Ms. Seastrand had had a stress test report or, you
5 know -- what are these things called again?

6 A. A stress test?

7 Q. Stress test. That's for the heart, right?

8 A. Yes.

9 Q. That's not for the neck.

10 A. Correct.

11 Q. So from 10/27/08 to 12/15/08, are you aware
12 of any treatment that Ms. Seastrand had as prescribed
13 by Dr. Kermani for physical therapy?

14 A. No.

15 Q. Chiropractic?

16 A. No.

17 Q. Orthopedic consultation?

18 A. No.

19 Q. Neurology consultation?

20 A. No.

21 Q. Pain management consultation?

22 A. No.

23 Q. Or to see a doctor such as yourself, a
24 physiatrist?

25 A. No.

1 Q. Now, on this 10/27 here, Doctor -- that's
2 10/27, correct?

3 A. Yes.

4 Q. What does it say the reason for the visit is?

5 A. Requesting heart check.

6 Q. Okay. And are -- Dr. Kermani, he actually
7 did a physical evaluation, did he not?

8 A. Yes.

9 Q. What were the findings for the neck?

10 A. Normal check.

11 Q. Okay. Still believe those arm symptoms were
12 more likely than not due to the neck?

13 A. Probably. I'm not sure how detailed of an
14 exam the cardiologist does for the cervical spine, and
15 I think it's very reasonable to rule out a cardiac
16 etiology before proceeding with a therapy program or
17 treatment for a neck issue.

18 Q. Okay, Doctor. So from some 12/15/08 to the
19 date of the crash, are you aware of any record where
20 Dr. Kermani referred Ms. Seastrand to a physical
21 therapist?

22 A. No, I'm not.

23 Q. Any record where Dr. Kermani referred
24 Ms. Seastrand to a chiropractor?

25 A. Is Dr. Kermani -- that was an emergency room

1 visit?

2 Q. Doctor, it's a yes-or-no question.

3 A. I'm sorry. No, I'm not aware of that.

4 Q. Any record from 12/15 to the date of the
5 crash by Dr. Kermani to an orthopedic surgeon?

6 A. No, I'm not aware of that.

7 Q. To a neurologist?

8 A. No, I'm not aware of that.

9 Q. To a pain management specialist?

10 A. No, I'm not aware of that.

11 Q. To a physiatrist?

12 A. No, I'm not aware of that.

13 Q. Okay. Doctor, you have previously testified,
14 and you agree, that trauma can cause a disk herniation,
15 correct?

16 A. Yes.

17 Q. Trauma can cause internal disk disruption,
18 correct?

19 A. Yes.

20 Q. Trauma can cause -- an internal disk
21 disruption can occur with or without herniation,
22 correct?

23 A. Yes.

24 Q. Internal disk disruption is often not seen on
25 an MRI.

1 A. No. Usually you'll see some abnormality on
2 an MRI.

3 MR. CLOWARD: Your Honor, may I approach?

4 THE COURT: Sure.

5 MR. JAFFE: Your Honor, if he is showing the
6 doctor something, I would hope it's been marked as an
7 exhibit and in evidence. I'd like to see what it is.

8 MR. CLOWARD: I'm just asking if this
9 refreshes the witness's recollection.

10 MR. JAFFE: Well, yeah, but, if you're --
11 Your Honor, I think it's appropriate to show it if
12 you're going to use it to refresh recollection.

13 THE COURT: It should be shown to the other
14 side. Doesn't have to be admitted into evidence yet.

15 MR. JAFFE: Wait. Your Honor, could I see it
16 beforehand? Your Honor -- okay. I object. This is
17 one page --

18 MR. CLOWARD: I'm going to get the whole
19 deposition for you.

20 MR. JAFFE: Very good.

21 MR. CLOWARD: Can I just reask the question?

22 THE COURT: Yes.

23 BY MR. CLOWARD:

24 Q. Doctor, have you previously testified that
25 internal disk disruption is often not seen on an MRI?

1 A. It would appear I did. Although, I guess I
2 would like to clarify that, I guess.

3 Q. Doctor, the question was just: Have you
4 previously testified --

5 A. Yes, I -- I did.

6 Q. Doctor, you previously testified that
7 treating physicians are in a better position to discuss
8 causation because they get to evaluate the patients,
9 correct?

10 A. All things being equal, yes.

11 Q. Doctor, do you agree that in order to have a
12 disk protrusion or disk injury from an automobile
13 accident that you have to have a broken bone?

14 A. No.

15 Q. Okay. And, Doctor, you agree that just
16 because you cannot see on an MRI a serious injury like
17 a broken bone or blood does not mean the person is
18 injured, correct?

19 A. Correct.

20 Q. And you agree that the neck is a very complex
21 structure, and that even a small finding can cause a
22 big problem.

23 A. Correct.

24 Q. Doctor, you agree that property damage does
25 not determine whether someone is injured, correct?

1 A. Correct.

2 Q. You agree that you can have major property
3 damage, like a rollover, and you can have no injury,
4 correct?

5 A. Correct.

6 Q. And, in fact, you can have minor property
7 damage, and you can have a major injury, correct?

8 A. Correct.

9 MR. JAFFE: Your Honor, is this being shown?
10 This is argument, these slides.

11 MR. CLOWARD: Judge, he's been showing slides
12 the entire time.

13 MR. JAFFE: Judge, I've been showing the
14 exhibits that are marked in evidence.

15 THE COURT: Okay, guys. Come here for a
16 second, please.

17 MR. CLOWARD: I don't have any other
18 questions either.

19 (Whereupon a brief discussion was
20 held at the bench.)

21 THE COURT: All right.

22 MR. CLOWARD: No further questions, Judge.
23 Thank you, Dr. Siegler. I appreciate it.

24 THE WITNESS: Sure.

25 THE COURT: Redirect.

1 MR. JAFFE: Yeah. You mind taking this down,
2 Ben?

3 MR. CLOWARD: Not at all.

4 THE WITNESS: Could I clarify about internal
5 disk disruption?

6 THE COURT: When he asks a question.

7 MR. JAFFE: I'll ask you the question.

8 MR. SMITH: Your Honor, can we have the
9 computer?

10 MR. JAFFE: I think we've got our connections
11 fixed.

12 MR. SMITH: Hopefully.

13

14 REDIRECT EXAMINATION

15 BY MR. JAFFE:

16 Q. Now, internal disk disruption, I believe your
17 testimony previously was that often it is viewed or not
18 viewed on an MRI; is that correct?

19 A. I -- actually, I didn't -- I'd have to look
20 at that again, but ...

21 Q. Explain to us the --

22 A. Sure.

23 Q. -- the role of MRI when it comes to
24 determining an internal disk disruption.

25 A. Sure. I'd love to do that at this point.

1 Q. Thank you.

2 A. Basically -- an MRI is a very, very sensitive
3 test. And if the disk is pristine, if it looks normal,
4 if it's well hydrated, it's got good height, if it's
5 not -- if there's no deviation in its anatomy, it looks
6 normal, then -- then there's -- very, very unlikely
7 that there's internal disruption of the disk. And so I
8 guess if that MRI is normal, I think that is -- does
9 rule out internal disk disruption.

10 That being said, a lot of disks aren't normal
11 even if they're not painful. They can be -- they can
12 be dehydrated. They can be protruded. And those disks
13 potentially -- do have some disruption, but that
14 doesn't mean they're painful.

15 So I guess that's how I would clarify that.
16 And I'm not sure in what context I was asked that
17 question before, but that's why I would say that an MRI
18 can -- internal disk disruption be present on a normal
19 disk on MRI, I would say no.

20 Q. Now, are there indications on an MRI which
21 would give a clinical clue to an internal disk
22 disruption?

23 A. Certainly.

24 Q. Is that what you just explained?

25 A. Correct. I mean, but that -- again, that

1 doesn't mean the disk is painful. But, you can have --
2 I mean, again, you can have loss of height of a disk.
3 The disk can appear darker on an MRI, meaning it's lost
4 water content. You can have areas in the disk that
5 light up a little lighter, meaning that the -- it's
6 called a high-intensity zone. That's indicative of an
7 annular tear potentially.

8 So yes, there's certainly findings on MRI
9 which suggest there's disruption to disk architecture.
10 But there -- there's not really any correlation between
11 those findings and the presence of pain, hence why a
12 lot of clinicians use diskography to diagnose if a disk
13 is painful or not because you really can't just based
14 on an MRI.

15 Q. Okay. Let's move on. Let's talk about an
16 examination. And it was brought out again that you did
17 not examine the plaintiff, correct, sir?

18 A. Correct.

19 Q. Had she had two surgeries before you were
20 retained in this case? Well, I'll tell you. Her
21 surgeries were in January 2010 and May 2010.

22 A. Okay.

23 Q. I hired you --

24 A. I was aware of one.

25 Q. Well, she had -- January was the cervical.

1 May was the lumbar.

2 A. Okay.

3 Q. Did those occur before -- two years before
4 you were retained?

5 A. Yes.

6 Q. Given that and given -- well, does the
7 surgery alter the condition of her spine?

8 A. Yes.

9 Q. Does it alter her symptoms?

10 A. Yes.

11 Q. Does it alter her presentation?

12 A. Yes.

13 Q. Would the value of an examination have been
14 much greater before she was operated on?

15 A. Yes.

16 Q. Relative to the strains and sprains she
17 sustained in this accident?

18 A. Certainly it would have been -- an
19 examination been the -- few months after the accident
20 would have been the best period of time. After that,
21 it would have been less and less helpful.

22 Q. For your purposes?

23 A. Yes. For causation purposes, yes.

24 Q. Now --

25 A. Causation determination purposes.

1 Q. Counsel asked you to ignore Dr. Lurie's note
2 from a week after this accident. For your purposes, is
3 that a note that you reasonably can ignore?

4 A. No. That was very important for my analysis.

5 Q. Okay. Now, let's -- you know, we're going to
6 come back to Dr. Lurie's note in a moment.

7 And by the way, do you have the documents
8 that were sent to you by my office?

9 A. Most of them are in digital format on my
10 computer.

11 Q. Including letters?

12 A. I believe somewhere in e-mail format, I've
13 got -- I do have a couple letters in here as well.

14 Q. Would you check if in June 2012. We sent you
15 her deposition.

16 A. (Witness reviewing document.)

17 Q. And while you're doing that, I want to ask
18 you: How critical is it to have looked at her
19 deposition, vis-a-vis the records, for the purposes of
20 your analysis?

21 A. Well, in general, depositions tend to be
22 taken several years after the incident, and there's a
23 lot of intervening stuff that happens and memories fade
24 and so forth. I -- I find the -- certainly it's
25 useful, particularly if the documentation right after

1 the accident is -- isn't very good. But ideally, a
2 good history and documentation in the period right
3 after the accident is much more reliable. You don't
4 have those intervening -- the intervening loss of
5 memory and all the other issues sort of that can alter
6 a history.

7 Q. And, sir, counsel talked about her
8 life-altering injury. Is there a difference between
9 patient perception and medical diagnostic analysis?

10 A. Certainly.

11 Q. What is that difference?

12 A. Well, I mean, that's a pretty philosophical
13 question. I mean, the perception is -- is purely just
14 what someone, you know, perceives, their sensory input,
15 and -- and, you know, is often, you know, tainted by
16 all sorts of things. Where, you know, medical analysis
17 is -- you know, sort of puts all the -- the diagnostic
18 studies, the history, physical, all that together in
19 trying to do an analysis of -- of causation, treatment,
20 recommendations, and that sort of thing.

21 Q. So --

22 A. Does that answer your question?

23 Q. Yes.

24 While she perceives this as being -- having
25 been a life-altering injury, including the fusions, do

1 you believe that this accident was any more than the
2 sprains and strains.

3 A. No, I don't. Again, the -- in reviewing the
4 records, her symptom constellation, I mean, certainly
5 there was a flare of pain in the period after the
6 accident, but her -- but then her overall symptom
7 constellation wasn't significantly different pre- and
8 postaccident.

9 Q. We're going to get to that in a second.

10 And by the way, and counsel also brought up
11 the issue of the fact that between 1985 and 2009, there
12 having been no records regarding treatment or where she
13 went specifically for spinal pain.

14 We haven't seen anything before 2004; isn't
15 that correct?

16 A. Correct.

17 Q. Sir, in the medical practice, how long are
18 you required to retain medical records?

19 A. I believe it's seven years.

20 Q. And if this lawsuit was filed in 2011, that
21 would mean the earliest we would be able to go back
22 with doctor having to have maintained records, would
23 have been 2004; is that right?

24 A. Yes.

25 Q. Does it necessarily mean that there wasn't

1 treatment prior to 2004 for spinal injuries, for spinal
2 complaints?

3 A. No.

4 Q. You just wouldn't know what's there because
5 we don't know what records have been preserved and not
6 preserved.

7 A. Correct.

8 Q. Now --

9 A. I did get the deposition, to answer your
10 question.

11 Q. I just wanted to clarify that. Thank you,
12 sir.

13 Now, counsel also brought up --

14 MR. JAFFE: I believe it's Plaintiff's
15 Exhibit 9, and what I'd like you to do is pull up
16 page -- let's have page 9, and then we're going to move
17 over to page 10. And, Greg, can you bring out that
18 bottom paragraph. It continues over.

19 BY MR. JAFFE:

20 Q. Dr. Lurie is talking about lower back pain,
21 and at the very bottom, he says, At the time of the
22 motor vehicle collision, Mrs. Seastrand -- and of
23 course it ends right there on that page.

24 MR. JAFFE: Let's go to the next page, Greg,
25 and pull up the very top paragraph.

1 BY MR. JAFFE:

2 Q. -- rated her lower back pain as 4 out of 10.
3 She stated prior to the accident, she was not
4 experiencing any lower back pain, but she previously
5 rated her intermittent lower back pain as 4 out of 10.

6 So would that be a baseline representative of
7 her pain symptoms?

8 A. Yes.

9 Q. Sir, can sprains and strains take 9, 10, even
10 12 months to heal?

11 A. Yes.

12 Q. I'd like to go to Exhibit 11, page 31. Oh,
13 you know what, this one is -- is not downloaded.

14 MR. JAFFE: Your Honor, could I switch over
15 to the ELMO?

16 BY MR. JAFFE:

17 Q. I'm going to show you a note from Dr. Belsky,
18 and this is dated 12/15/2009, nine months after the
19 accident.

20 See that, sir?

21 A. Yes.

22 Q. See where my finger is? What does it say her
23 lumbar pain is?

24 A. Five out of 10.

25 Q. Is that basically back to baseline?

1 MR. CLOWARD: Object to form.

2 MR. JAFFE: Your Honor --

3 THE COURT: Overruled.

4 MR. JAFFE: Thank you.

5 THE WITNESS: Very close.

6 BY MR. JAFFE:

7 Q. Would that be consistent with having healed
8 from a sprain-strain and returning over nine months to
9 pretty much to where she was?

10 A. Yes.

11 MR. JAFFE: Thank you. I have no further
12 questions.

13 THE COURT: Redirect --

14

15 RECROSS-EXAMINATION

16 BY MR. CLOWARD:

17 Q. That's just --

18 MR. JAFFE: -- cross.

19 MR. CLOWARD: Yes.

20 BY MR. CLOWARD:

21 Q. That's just one record, right?

22 A. I'm sorry?

23 Q. Mr. Jaffe just showed you one record, just
24 pulled out one record.

25 A. That -- Dr. Belsky's record there.

1 Q. Sure.

2 A. Yes, that was one record.

3 Q. Sure. Just one, right?

4 A. Yes.

5 Q. Okay. Now, regarding this -- this
6 examination and the change of condition and things like
7 that, despite not being able to examine her after the
8 motor vehicle accident, you still did make a causation
9 opinion, true?

10 A. True.

11 Q. And tell me a little bit about the
12 medical-legal field. You do IMEs on people who already
13 had surgeries all the time, right?

14 A. Yes.

15 Q. And you do -- you're asked to review records
16 when spine surgeons are also on the case, correct?

17 A. Yes.

18 Q. And the spine surgeons and yourself do IMEs
19 after the surgeries have already taken place, correct?

20 A. Correct.

21 Q. And you're not a spine surgeon.

22 A. Correct.

23 Q. You don't do spine surgeries.

24 A. Correct.

25 Q. You never have.

1 A. I never have.

2 Q. Hey, Doctor, do you expect -- does pain
3 decrease after an injection?

4 A. It can. I mean, depends what's causing the
5 pain and what's injected.

6 Q. Sure. Hypothetically speaking, say somebody
7 had a lumbar problem, you know, like a lumbar disk
8 disruption that was causing pain, and they had a series
9 of transforaminal steroid injections in the lumbar
10 spine.

11 Would that decrease the pain?

12 A. Potentially.

13 Q. Okay. The -- the report that Mr. Jaffe
14 didn't show you is this one, and this one's just six
15 days before the record he showed to you.

16 What happened here six days before the record
17 he just showed you?

18 A. 12/9/09, she had a caudal epidural steroid
19 injection.

20 Q. Does that help decrease pain?

21 A. It can.

22 Q. Now, you authored some reports in this case,
23 correct?

24 A. Yes.

25 Q. And in those reports, you did not list the

1 deposition as something you reviewed, correct?

2 A. Correct.

3 Q. And you didn't review it, correct?

4 A. I -- I don't recall if I -- I didn't review
5 it prior to authoring the reports.

6 Q. Okay.

7 A. And if I did review it, I don't recall it at
8 this point.

9 Q. Okay. Doctor, you agree that degenerative
10 disks are more prone to injury, true?

11 A. Yes, I would agree with that.

12 Q. You agree that degeneration can cause
13 potentially mechanical instability, correct?

14 A. Yes, I would agree with that.

15 Q. You agree that an asymptomatic degenerative
16 disk can become symptomatic as a result of a traumatic
17 event, correct?

18 A. Yeah, it can.

19 Q. Doctor, some -- some providers keep their
20 records for longer than seven years, do they not?

21 A. Yes, they do, I would assume.

22 Q. And Mr. Jaffe is a great attorney, correct?

23 MR. JAFFE: Your Honor, while I appreciate
24 the compliment, I don't believe my competency is at
25 issue in this lawsuit.

1 THE COURT: Sustained.

2 THE WITNESS: I've never had -- I've never
3 used him personally so --

4 BY MR. CLOWARD:

5 Q. Sure.

6 A. -- he certainly seems like he knows what he's
7 doing in -- from my -- when I've ever been
8 cross-examined by him.

9 Q. I'll represent to you that he's a great
10 attorney.

11 Do you have any reason to believe that if
12 there's a record out there, some smoking gun, that
13 Mr. Jaffe was not able to find that?

14 MR. JAFFE: Your Honor, calls for
15 speculation. And obviously I'm -- you know, I'm not
16 going to argue this without going to the bench.

17 THE COURT: Sustained.

18 MR. JAFFE: Obviously --

19 THE COURT: Move on.

20 MR. JAFFE: Thank you.

21 BY MR. CLOWARD:

22 Q. What was her pain scale when she got into the
23 ER?

24 MR. JAFFE: Objection. On what day?

25 /////

1 BY MR. CLOWARD:

2 Q. Right after the crash.

3 Do you have any reason to disagree if I
4 represent that it's an 8 out of 10?

5 A. No. That -- I would have no reason to
6 disagree with that.

7 Q. That was the flare of pain that you
8 referenced?

9 A. Well, yeah, she -- I acknowledged there
10 was -- she was injured. Absolutely.

11 Q. Okay. Doctor, you agree that minor soft
12 tissue injuries of the spine do not usually present
13 with or have ongoing or persistent radicular symptoms?

14 A. In that, but if -- limiting it to
15 sprain-strains, I mean, technically, you know, a disk
16 is soft. But if we're talking about sprain-strain
17 injuries, yes, I would agree with that.

18 Q. Okay. And you agree that sprain-strains
19 typically resolve within 6 to 12 weeks.

20 A. Typically, yes.

21 Q. Doctor, you agree that after a failed course
22 of conservative treatment, a diskectomy and fusion is a
23 reasonable and appropriate treatment for internal disk
24 disruption.

25 MR. JAFFE: Your Honor, this goes beyond the

1 scope of redirect.

2 THE COURT: I don't think it does. I'm going
3 to allow it.

4 THE WITNESS: Okay. Could you repeat the
5 question.

6 BY MR. CLOWARD:

7 Q. Sure. After a failed course of physical
8 therapy or chiropractic, you agree that a fusion is a
9 reasonable and appropriate treatment for internal disk
10 disruption.

11 MR. JAFFE: Your Honor, now I object because
12 counsel -- you know, I don't want to argue.

13 THE COURT: Come on up for a minute.

14 MR. CLOWARD: Judge, I'll just withdraw.

15 THE COURT: Okay.

16 MR. CLOWARD: Just trying to get the doctor
17 back to his practice.

18 BY MR. CLOWARD:

19 Q. Doctor, earlier you talked about degeneration
20 and that a majority of people around 50 have
21 degeneration, correct?

22 A. Yes.

23 Q. Do the majority of people require fusions?

24 A. No.

25 Q. So, Doctor, absent the motor vehicle

1 accident, can you state to a reasonable degree of
2 medical probability that Ms. Seastrand would have
3 required the fusion?

4 A. I believe she would have, yes.

5 Q. Do you believe that she did have internal
6 disk disruption at the levels in the lumbar spine?

7 A. Yeah. I mean, that was shown on the -- on
8 the imaging and I think the diskography.

9 Q. Doctor, have you calculated a more probable
10 cause of the internal disk disruption than the
11 automobile crash?

12 A. I mean, the evidence suggests that it was
13 present beforehand. And if -- there's a history of
14 chronic neck, low back pain, pain into the extremities.
15 It lasted several years. Disk pain is the most common
16 cause of spine pain. So yes, in my opinion, that --
17 based on the information I have, it's most probable
18 that that was -- she had preexisting disk degeneration.

19 Q. A history that you really are not very
20 familiar with.

21 A. Well, again, based on the history that I do
22 have.

23 Q. Thank you, Doctor.

24 MR. CLOWARD: No further questions.

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

FURTHER REDIRECT EXAMINATION

BY MR. JAFFE:

Q. Doctor, do you have the records from Surgery Center of Southern Nevada? I want to direct your attention to December 9, 2010.

A. Yes, I do.

Q. Do you have a document entitled "Pain Management Preoperative Record"?

A. Yes, I do.

Q. Now, was that the day she went for the caudal injection in the low back?

A. Was it 12/9/09, the date of the --

Q. 12/9.

A. Yes, I have it for that date.

Q. Is it common to take pain levels before the injection and after the injection?

A. Yes, that's --

Q. What was the recorded pain level before the injection?

A. Five.

Q. What was the reported pain level after the injection? Look at the post-op record.

A. I believe it was 5.

Q. Post-op?

A. Post-op? Oh.

1 Q. Preop was 5.

2 A. Is that on a couple of pages down or --

3 Q. It should be. Probably be about four pages
4 down.

5 A. Discharge pain level 0 out of 10.

6 Q. So she actually reported the 5 before she had
7 the injection, correct?

8 A. Correct.

9 Q. How long do those injections last?

10 A. Everyone's different.

11 Q. Could be a couple of days?

12 A. Could be -- could be from no relief to
13 permanent relief to minutes to hours to weeks to
14 months. It really depends on the etiology of the --
15 of -- one, if the pain -- if the pain is being caused
16 by what you're treating, if it's an inflammatory
17 etiology versus, you know, physical, like bony anatomic
18 changes that if -- that once the anesthetic wears off,
19 all the steroid in the world's not going to relieve the
20 pressure on the nerve. So yeah, it's so variable.

21 Q. So then when she came back six days later to
22 Dr. Belsky and reported her pain at 5 out of 10, was
23 she back to the preinjection baseline?

24 A. Yes.

25 Q. Is that a common result for people who have

1 had injections like this?

2 A. Yes.

3 MR. JAFFE: I have nothing further, Your
4 Honor. Thank you.

5 THE COURT: Mr. Cloward.

6

7 FURTHER RECROSS-EXAMINATION

8 BY MR. CLOWARD:

9 Q. Doctor, does neck and back pain wax and wane?

10 A. Yes.

11 Q. In fact, people can have a pain level on one
12 day and a pain level could increase the next, correct?

13 A. Correct.

14 Q. They can have pain on one day and the pain
15 level can decrease, correct?

16 A. Correct.

17 Q. Things that can decrease pain are
18 medications, such as narcotic medications, correct?

19 A. Yes.

20 Q. Are you aware of whether Ms. Seastrand was on
21 any narcotic medications at the time she reported
22 those?

23 A. That would take me a few minutes to
24 determine. Unless you can represent whether or not she
25 was or not.

1 Q. I prefer to just have your testimony, Doctor.

2 A. Okay. What was the date of that injection
3 again?

4 MR. JAFFE: 12/9/10.

5 THE WITNESS: Thank you.

6 MR. JAFFE: 12/9/09. I'm sorry. Or was it
7 12/10/09? Now I'm confused. It was 12/9/09.

8 THE WITNESS: Yes, she was.

9 BY MR. CLOWARD:

10 Q. And that would affect the pain levels?

11 A. It could have, yes.

12 MR. CLOWARD: Thank you, Doctor.

13 Oh, one final -- I'm sorry. One final
14 question.

15 BY MR. CLOWARD:

16 Q. Are you aware of whether Ms. Seastrand was on
17 pain medications prior to the automobile crash for
18 either neck or back pain?

19 A. I don't believe she was.

20 MR. CLOWARD: Thank you.

21 THE COURT: Mr. Jaffe?

22

23 FURTHER REDIRECT EXAMINATION

24 BY MR. JAFFE:

25 Q. Was the pain medication she was on low-level

1 dosages? Or mild pain medications?

2 A. It looked like it was Lortab which is sort
3 of, I guess, midlevel.

4 MR. JAFFE: Okay. Nothing further, Your
5 Honor.

6 MR. CLOWARD: One last, I promise.

7

8 FURTHER RECROSS-EXAMINATION

9 BY MR. CLOWARD:

10 Q. If I wanted Lortab, could I go down to
11 Walgreens and buy some?

12 MR. JAFFE: Your Honor, objection.

13 THE WITNESS: If you had a prescription.
14 It's not over the counter.

15 THE COURT: Overruled.

16 BY MR. CLOWARD:

17 Q. So a doctor actually has to prescribe that.

18 A. Yes.

19 Q. It's in fact a narcotic.

20 A. Yes, it is.

21 Q. It's controlled by the department of -- the
22 DEA, right?

23 A. Yes, it is controlled.

24 MR. CLOWARD: Thank you, Doctor.

25 MR. JAFFE: If I stand up and ask one more

1 question just to get last word, will you hold it
2 against me?

3 THE WITNESS: Nope.

4 MR. JAFFE: Nothing further.

5 THE COURT: Ladies and gentlemen, does
6 anybody have any questions for this guy? We have at
7 least one.

8 (Whereupon a brief discussion was
9 held at the bench.)

10 THE COURT: All right. Doctor, a few
11 questions. First page I got two questions.

12 First one: Did you examine the cervical
13 spine X rays taken on 27 October 2008?

14 THE WITNESS: No. I just looked at the
15 report. I did not actually have the films.

16 THE COURT: Okay. Second question:
17 Regarding the injections for pain, were the injections
18 done in December of '09 or 2010?

19 THE WITNESS: She had the caudal epidural in
20 December of 2009.

21 THE COURT: Okay. Mark that the Court's next
22 in order.

23 Last question: Since Dr. Siegler agrees with
24 Dr. Smith that Ms. Seastrand's injury was due to prior
25 injuries, do you agree with Dr. Smith's testimony that

1 vertebral bodies without exception will fracture with
2 disk rupture?

3 THE WITNESS: No. I mean, hardly anything
4 is -- is without exception. It's very common for --
5 for when a disk is -- is ruptured for it to actually
6 pierce the bottom or top of -- of the -- again, you got
7 these columns and the disk in between and the disk can
8 actually -- the bottom and top of the disk are
9 actually -- of the bone is pretty weak, and it can
10 pierce there, and that's common. But it's not
11 universal. A disk can be disrupted front, back, to the
12 side. So no, I would not agree with that, that it's
13 without exception.

14 THE COURT: Okay. Mark that Court's next in
15 order.

16 Mr. Jaffe, any follow-ups?

17 MR. JAFFE: Yes.

18

19 FURTHER REDIRECT EXAMINATION

20 BY MR. JAFFE:

21 Q. With respect to the fracturing issue, if the
22 fracture -- if the disk rupture occurs in a car
23 accident, from the trauma of a car accident, is the
24 fracture of the bone then effected or more common?

25 A. I -- I wouldn't -- I am not aware of that

1 being the case.

2 Q. Okay. You -- obviously you are not a
3 biomechanical expert, sir?

4 A. Correct.

5 Q. Would you leave those sorts of issues as
6 relates to the biomechanics of an accident and the
7 forces related to disk ruptures in the bone to a
8 biomechanic?

9 A. I mean, certainly, that would be one expert I
10 would -- I mean, ultimately, it would be an anatomic
11 study to look at a control group of disk injuries in
12 nonautomotive accidents versus automotive accidents to
13 determine. I actually would be curious to see if that
14 was the case. But yeah, I would -- but I would
15 certainly consider a biomechanic expert's opinion on
16 that to be very relevant.

17 Q. And if the biomechanic also happens to be a
18 medical doctor with board certification credentials,
19 would that --

20 MR. CLOWARD: Objection. I'm just going to
21 object to form, leading.

22 MR. JAFFE: I'm not --

23 THE WITNESS: I would certainly weigh it
24 heavily.

25 THE COURT: Hold on. I'm going to sustain

1 the objection just because the question wasn't
2 completed before he started the answer, so ...

3 THE WITNESS: Sorry.

4 MR. JAFFE: Thank you.

5 BY MR. JAFFE:

6 Q. Would your -- would the value of the
7 biomechanic -- biomechanical expert's opinion be
8 increased if I was to tell you that he also has
9 board-certification credentials as a medical doctor in
10 several fields including radiology?

11 A. Yes.

12 MR. JAFFE: Thank you.

13

14 FURTHER RECROSS-EXAMINATION

15 BY MR. CLOWARD:

16 Q. Doctor, you -- actually, you never reviewed
17 any of the films in this case, correct?

18 A. Correct. I did not have access to them.

19 Q. Before or after, correct?

20 A. Correct.

21 Q. Okay. And, Doctor, you review MRIs all the
22 time, right?

23 A. Yes.

24 Q. And you treat patients that have been in
25 automobile crashes all the time, right?

1 A. Yes.

2 Q. And without exception -- just more likely
3 than not, without exception, are there fractures every
4 single time when there's a disk disruption?

5 A. No.. Most often, there's not fractures
6 with -- when there's a disk disruption.

7 MR. CLOWARD: Thank you.

8 THE COURT: Mr. Jaffe.

9

10 FURTHER REDIRECT EXAMINATION

11 BY MR. JAFFE:

12 Q. But if a biomechanic testified regarding car
13 accidents causing disk ruptures, including bony
14 involvement which may include fractures, would you
15 exclude that?

16 A. I'm not sure what you're asking me.

17 Q. In other words, if a car -- if a biomechanic
18 who's board certified in radiology and in other medical
19 fields, has a medical degree, testified that car
20 accidents causing disk herniations are traumatic to the
21 point of including bony involvement, possibly including
22 fractures or other disruptions from the disk and the
23 bone, would that be consistent? Or would you leave
24 that to the expert, that other expert?

25 A. Potentially. I mean, what is -- I would

1 have -- what does bony involvement mean? I mean, that
2 could mean anything, so yeah, it could be consistent.

3 Q. And you weren't here for Dr. Smith's
4 testimony to hear exactly what he said, correct?

5 A. Correct.

6 THE COURT: Anything else?

7 MR. CLOWARD: No.

8 THE COURT: Do I have any other questions
9 over there? All right.

10 Thank you, Doctor.

11 THE WITNESS: Thank you.

12 THE COURT: You're excused. We appreciate
13 your time.

14 THE WITNESS: Thank you.

15 THE COURT: Folks, let's take a quick break.

16 During our break, you're instructed not to
17 talk with each other or with anyone else, about any
18 subject or issue connected with this trial. You are
19 not to read, watch, or listen to any report of or
20 commentary on the trial by any person connected with
21 this case or by any medium of information, including,
22 without limitation, newspapers, television, the
23 Internet, or radio. You are not to conduct any
24 research on your own, which means you cannot talk with
25 others, Tweet others, text others, Google issues, or

1 conduct any other kind of book or computer research
2 with regard to any issue, party, witness, or attorney,
3 involved in this case. You're not to form or express
4 any opinion on any subject connected with this trial
5 until the case is finally submitted to you.

6 See you in about ten minutes.

7 THE BAILIFF: All rise.

8 (Whereupon jury exited the courtroom.)

9 THE COURT: Anything outside the presence,
10 Counsel?

11 MR. JAFFE: Nothing, sir.

12 MR. CLOWARD: No, Judge.

13 THE COURT: Off the record.

14 (Whereupon a short recess was taken.)

15 THE COURT: All right. Let's bring the jury
16 back.

17 THE BAILIFF: All rise.

18 (Whereupon jury entered the courtroom.)

19 THE COURT: Go ahead and be seated. Welcome
20 back, folks. Back on the record, Case No. 636515.

21 Will the parties stipulate to the presence of
22 the jury?

23 MR. JAFFE: Yes, sir.

24 MR. CLOWARD: Yes, Judge.

25 THE COURT: Mr. Jaffe, you may call your next

1 witness.

2 MR. JAFFE: Thank you, sir. At this time,
3 defense calls Dr. Joseph Schifini.

4 THE COURT: Dr. Schifini, come on up, if you
5 would, and come up next to the chair. You can drop off
6 your stuff and raise your right hand. Remain standing.

7 THE WITNESS: Thank you.

8 THE CLERK: You do solemnly swear the
9 testimony you're about to give in this action shall be
10 the truth, the whole truth, and nothing but the truth,
11 so help you God.

12 THE WITNESS: Yes.

13 THE CLERK: Please state your full name and
14 spell it for the record, please.

15 THE WITNESS: It's Joseph Schifini,
16 S-c-h-i-f-i-n-i.

17

18 DIRECT EXAMINATION

19 BY MR. JAFFE:

20 Q. Good morning, sir.

21 A. Good morning.

22 Q. Dr. Schifini, would you please tell us what
23 you do for a living.

24 A. I'm a physician, specializing in pain
25 management. I'm an anesthesiologist by training, and I

1 have branched off into doing pain management.

2 Q. Okay. And we actually heard from Dr. Siegler
3 that pain management is a -- an area of subspecialty
4 that actually crosses over between various medical
5 practices?

6 A. Yes, that's correct.

7 Q. And while he's a physiatrist, you are an
8 anesthesiologist by original training?

9 A. Yes.

10 Q. And is pain management an area that generally
11 falls within anesthesiology as well?

12 A. Yes, it does.

13 Q. Sir, would you please give us the benefit of
14 your educational credentials.

15 A. Sure. I grew up here in Las Vegas, went to
16 high school here, received a scholarship, went to UNLV,
17 graduated from UNLV with a major in biology and a minor
18 in chemistry, was accepted to medical school at the
19 University of Nevada School of Medicine in Reno,
20 attended medical school there for four years and was
21 accepted into an anesthesia residency which is a
22 three-year program. But prior to going to the
23 anesthesia residency, we had to attend an internship,
24 so first year of residency.

25 So I did that here at UMC, in internal

1 medicine where we dealt with patients, you know,
2 various different, you know, problems like high blood
3 pressure, heart problems, things of that nature, which
4 gave me some excellent background to go into
5 anesthesiology at the University of California at
6 Irvine. I attended the residency program there for
7 three years, the last year serving as a chief resident.
8 And spent approximately six months of that year
9 focusing on pain management before returning to
10 Las Vegas to start private practice.

11 Q. And, sir, for how long have you been in
12 private practice in Las Vegas?

13 A. Since July 1st, 1997.

14 Q. What does your practice typically involve?

15 A. My practice involves taking care of patients
16 who are injured in one way or another. I -- I spend a
17 lot of my time dealing with industrial injury
18 accidents, so people who get injured at work. That's
19 probably 75 percent of the practice that I have. So
20 they're usually involved in some sort of accidents
21 whether it's a trip and fall or slip and fall or they
22 lifted something heavy at work. They will be referred
23 to me by a primary care physician who is taking care of
24 them beforehand, and then I will typically see them if
25 they don't get better with conservative care.

1 Q. Do you testify in court at times?

2 A. Yes, I do.

3 Q. Now, do you occasionally work for defense
4 performing forensic reviews and evaluations?

5 A. I do, yes.

6 Q. Is that what you've done in this case?

7 A. It is.

8 Q. When you testify in court, more often than
9 not, is it for plaintiffs or defendants, or is it split
10 somehow?

11 A. It's probably one-third plaintiff and one --
12 two-thirds defense as far as courtroom testimony.

13 Q. Have you ever worked with Mr. Harris or his
14 firm before?

15 A. As of last week, yes.

16 Q. Okay. Dr. Schifini, have you ever testified
17 in a trial that I was involved in?

18 A. Yes.

19 Q. Was it for me or against me?

20 A. I guess it would be against you at that
21 point.

22 Q. You were testifying for the plaintiff and I
23 was defending the case?

24 A. Yes.

25 Q. Doctor --

1 MR. JAFFE: You know, Judge, at this time, I
2 would like to offer Dr. Schifini as an expert in the
3 field of anesthesia with a subspecialty in pain
4 management.

5 MR. CLOWARD: Judge, I don't think we have an
6 objection. I just wanted to approach again.

7 THE COURT: Come on up.

8 (Whereupon a brief discussion was
9 held at the bench.)

10 MR. CLOWARD: No objection, Your Honor.

11 THE COURT: Dr. Schifini will be recognized
12 as an expert in anesthesiology with a subspecialty in
13 pain management.

14 BY MR. JAFFE:

15 Q. Now, Doctor, have I paid for you to be here
16 today?

17 A. Yeah, you paid for my time away from my
18 office.

19 Q. And how much are we paying you?

20 A. \$5,000.

21 Q. And, sir, in this particular case, were you
22 hired for a very limited purpose?

23 A. Initially, I was hired to review records that
24 were provided to me, but specifically focusing on the
25 area of pain management provided by a provider named

1 Dr. Marjorie Belsky.

2 Q. Okay. Now, sir, you're not a surgeon, right?

3 A. I am not, no.

4 Q. Do you leave surgical recommendations for
5 surgeons?

6 A. Well, that's kind of a difficult question
7 because I'm involved up to the point of actually
8 operating on the patients. So I assist the surgeons
9 oftentimes in working up the patients to the point
10 where the surgeon and I agree that the patient needs
11 surgery. The type of surgery is oftentimes left up to
12 the surgeon obviously.

13 Q. Okay. We're going to leave surgery alone for
14 the purposes of your testimony today since that has
15 nothing do with why you were hired. Okay, sir?

16 A. Okay.

17 Q. And after you wrote -- and you've written a
18 few reports in this case; isn't that correct?

19 A. That would be accurate, yes.

20 Q. How many have you written?

21 A. I want to say five.

22 Q. And after your initial report, did your role
23 sort of expand a little bit after -- in rebuttal?

24 A. It did because of a rebuttal that was
25 performed by two physicians that were involved in this

1 case as treating physicians that felt the need to
2 comment on my initial report, so my role expanded
3 because of their comments regarding my initial report.

4 Q. Since you were hired for a limited purpose at
5 first, did my office provide you with all the records
6 or the ones that were related to your role?

7 A. Initially I was missing records, and I
8 outlined those in my -- in my report. But as my role
9 expanded, I received the records that I was missing,
10 yes.

11 Q. And depositions?

12 A. Yes.

13 Q. Okay. Sir, would you please give us a
14 summary of your opinions that you have reached with
15 respect to this case?

16 A. Sure. Ms. Seastrand was involved in a motor
17 vehicle accident on March 13th, 2009, which was a
18 rear-end motor vehicle accident. She likely suffered
19 some injuries to the soft tissues or muscles and
20 ligaments of her cervical spine and lumbar spine or
21 neck and low back. Those types of injuries were made a
22 little more complicated by the preexisting nature of
23 the conditions that she's described to physicians
24 following this accident, police officers following this
25 accident, and chiropractors following this accident

1 that she had preexisting complaints of pain in these
2 areas. So she likely had an exacerbation or a
3 temporary worsening of her preexisting conditions which
4 is sometimes hard to separate from the soft tissue type
5 of injuries that she would have had following this
6 accident.

7 But the type of injuries that it is my belief
8 that she suffered following this accident are
9 oftentimes limited in time. Sprain-strain type
10 injuries typically resolve in weeks not years. So it
11 is difficult for me to explain why she continues to
12 have pain. The difficulties is in the time frame, but
13 the difficulty in explaining it is also partly due to
14 the physicians workup of her at the time she was
15 injured. She received diagnostic testing in the form
16 of MRI studies of her neck and low back which showed
17 degenerative changes. There was nothing that was shown
18 to be acute, meaning something that happened at the
19 time of the accident.

20 There were also inconsistent reporting of
21 results from injections that were performed on her.
22 During the time of the performance of these injections,
23 the reporting of these were not contained within the
24 physician's note. The only place they were located
25 were in the surgery center records and were not

1 available to any of the treating physicians. The
2 decisions to pursue additional injections in the form
3 of something called a diskogram, I'm not sure if that
4 was discussed, but a test where you pressurize the disk
5 which led to a procedure which is called plasma disk
6 decompression which is a nonstandard procedure. The
7 failure of that procedure led to another recommendation
8 for surgery. So it's difficult for me to understand
9 the progression beyond the initial sprain-strain
10 injuries.

11 Q. Now, sir, you did not examine the plaintiff,
12 correct?

13 A. No, I have not.

14 Q. Would the value of an examination be limited
15 by the fact that she had two surgeries prior to having
16 filed this lawsuit?

17 A. Yes. It would have been extremely difficult
18 to re-create what an examination would have been like
19 before the -- the surgeries of her neck and low back
20 had been performed.

21 Q. Okay. And, sir, in your experience, have you
22 encountered patients who have a preexisting
23 degenerative disk condition but then suffer an
24 independent sprain or strain?

25 A. Yes.

1 Q. Is that a common occurrence?

2 A. It is.

3 Q. Do you have any opinion as to whether that's
4 what happened here?

5 A. Well, I do believe that that's what happened.
6 It's hard to separate the two. She may have had a
7 temporary worsening of a condition. She may have had
8 only a sprain or a strain or she may have had
9 combinations of those two. So there's probably three
10 possibilities in my mind that are likely.

11 Q. Okay. Now, it sounds like the -- the problem
12 that you're having in forming any of these conclusions
13 is the workup that was done.

14 A. Yes.

15 Q. Can you be specific and tell us precisely
16 what it was about the workup that made things more
17 difficult?

18 A. Well, the physician performing the
19 injections, kind of my counterpart, an
20 anesthesiologist --

21 Q. Is that Dr. Belsky?

22 A. Yes, Dr. Belsky.

23 -- an anesthesiologist with a subspecialty in
24 pain management performed multiple injections on
25 Ms. Seastrand during the course of this case I'll call

1 it. Those injections were oftentimes performed at
2 multiple sites at the same time, some of them
3 including, you know, the different structures.

4 And when we're trying to design these
5 injections, what you're -- what you're essentially
6 attempting to do is answer a question. If you believe
7 one structure in the spine, to be specific for this
8 case, is causing the problem, you want to place local
9 anesthetic or numbing medicine on that structure. If
10 the pain is relieved when that structure is numb or
11 anesthetized, you can then make the conclusion that
12 that structure is the most likely source of a person's
13 pain. When you anesthetize multiple structures all at
14 the same time, the conclusions are difficult, if not
15 impossible, to make.

16 It becomes even more impossible based on the
17 lack of documentation of basic pain scores. Again, the
18 reason why we're doing these is trying to figure out
19 something and also to try to help the patient and treat
20 them with some anti-inflammatory steroid medicine. But
21 if you do not include pain scores, the typical 0 to 10
22 scale, 0 being no pain and 10 being, you know, the
23 worst pain you can imagine, if somebody comes in with
24 an 8 out of 10 and they say that's what -- what their
25 pain is before the procedure, you're supposed to

1 measure that pain after the procedure so that you can
2 determine the answer to your original question: Is
3 this causing the pain? That information was not
4 contained within Dr. Belsky's notes so, therefore, that
5 information couldn't be transferred to any other
6 physician who was involved in the care.

7 The additional factor that made the diagnosis
8 of -- of Ms. Seastrand more difficult during the
9 performance of these injections was the choices of
10 medication for sedation. There were three different
11 medications that were most commonly used. One is a
12 medicine called Versed which is a medicine similar to
13 Valium or alcohol. What it basically does is to calm a
14 person down. If you're nervous about something, that
15 medication calms you down. It would take extremely
16 high doses to actually put someone to sleep. So it's
17 probably the ideal medication to be used for what we
18 call conscious sedation, meaning awake sedation.

19 There's a second medication that was used by
20 Dr. Belsky which was a medicine called fentanyl.
21 Fentanyl is an opiate-based medication. It's much like
22 morphine or heroin-type medicines made from opium.
23 It's a derivative of that, and it can cause pain relief
24 regardless of the injection. If you just give somebody
25 through an IV that particular medicine, you can induce

1 pain relief without even performing the injection. So
2 even if she had measured the numbers, the numbers may
3 not mean anything because that medication was given.

4 And then there was another medication called
5 propofol which is a -- what we call a sedative hypnotic
6 or general anesthetic agent which is oftentimes used to
7 put people to sleep for general anesthesia purposes if
8 you're having an appendectomy or something. That
9 medication was also used which oftentimes decreases the
10 level of consciousness and most of the time makes
11 patients unconscious; therefore, making the
12 determination following the procedure even that more --
13 much more difficult.

14 Q. Okay. Let's sort of break this down, and
15 let's get into the actual dynamics of this treatment
16 and the injury and Ms. Seastrand's spine --

17 A. Okay.

18 Q. -- so that we actually understand -- so we
19 have a good understanding of what you're talking about
20 and how it relates to this.

21 A. Okay.

22 Q. Now, let's talk first about injections. And
23 is part of pain management diagnostic?

24 A. It is, yes.

25 Q. So, in other words, you're going to do some

1 procedures with a goal of trying to figure out what is
2 the actual pain generator.

3 A. Yeah. You're trying to answer that question
4 that I talked about. You have a question: Is this
5 particular structure in the spine actually the cause of
6 the pain? If so, the example I gave earlier was a
7 patient that comes in with an 8 out of 10, their pain
8 goes down to a 0 or a 1 out of 10, and you can say that
9 structure, by getting it anesthetized, you sort of took
10 it out of the -- the equation from it and said, How do
11 you feel now? Boy, I feel great. You can then make
12 the conclusion that that structure is actually the
13 cause of the pain.

14 On the other hand, if your pain is at an 8
15 and it goes down to a 7 or it stays at an 8, you can
16 make the conclusion that the structures that were
17 anesthetized are not likely to be the cause of the
18 pain, and you need to look further for something else
19 that could be the cause of the pain.

20 Q. So in this particular case, it seems like we
21 were looking at the disks, the cushions between the
22 bones, and the facets, the joints that connect the
23 vertebra.

24 Would that be fair to say?

25 A. It is. And -- and a lot of people will refer

1 that to -- or refer to that as a segment of the spine
2 because those are the parts that move in the spine.

3 Q. Okay. So in this particular case, I believe
4 you were critical of injections performed at the same
5 time as relate to the facet and the disk?

6 A. And that it was -- and that they were
7 performed at multiple sites targeting those -- those
8 two different structures, yes.

9 Q. Okay. Let's talk about the specifics of
10 Ms. Seastrand and your criticisms of the work done by
11 Dr. Belsky.

12 A. Okay.

13 Q. Now, with respect to the diagnostics and the
14 injections, I believe there was one injection referred
15 to as a facet injection; is that correct?

16 A. That's correct, yes.

17 Q. What is a facet injection?

18 A. A facet joint -- I'll have to start there
19 first. A facet joint are the joints on the sides of
20 the spine that allow you to move in kind of awkward
21 positions. Instead of having to move your spine as a
22 unit so that you kind of look like a robot, the facet
23 joints are the connections between the bones that allow
24 the bones to move individually, allow you to twist and
25 move. You have them throughout your spine. They're

1 the joints that are commonly referred to by
2 chiropractors as the knuckles of the spine because they
3 make the cracking noise when you move around.

4 You see people moving their neck or twisting
5 their back and making their -- their neck or back move.
6 Sometimes that's associated with pain. The pain is
7 coming -- or, excuse me, that noise is coming from the
8 actual facet joint itself. So they are located on the
9 back of the spine and they're present from the neck all
10 the way down to where the spine sort of connects with
11 the tailbone. You have left side and right side.

12 Q. Okay. So then the type of pain if the facets
13 were involved, would that be an axial pain or radicular
14 pain or both?

15 A. It's usually described as an axial pain,
16 meaning a pain that's limited to the spine, but
17 oftentimes it can be what we call radicular in nature.
18 But very rarely does it extend below the knee, meaning
19 the pain can extend from the spine through the buttock
20 and typically down the back of the leg to approximately
21 the knee.

22 Q. Now, do the facet injections, are they
23 targeted specifically toward determining if the facet
24 is an actual pain generator?

25 A. That is the goal. By anesthetizing the

1 actual joint itself, you're trying to determine whether
2 or not the facet joint is the source of the pain in the
3 same fashion, measuring those pain scores that we
4 talked about.

5 Q. Sir, have you -- are you familiar with the
6 term "staged injections"?

7 A. Yes.

8 Q. What does staged injections -- what are
9 staged injections and what are their diagnostic value?

10 A. Well, staged injections doesn't refer to an
11 injection that's just fake and we're trying to do it
12 like in the man on the moon type of a thing. What they
13 refer to is an injection that you're not sure if the
14 pain is coming from one source or another. So you kind
15 of have a Plan A and a Plan B, and what you're trying
16 to figure out is is Plan A the right answer to your
17 question, or is Plan B the right answer to your
18 question?

19 So a staged injection oftentimes involves a
20 patient being at a surgery center for a longer time
21 than they normally would be there. The reason why we
22 do these injections typically at surgery centers is
23 because an X ray machine is used so you can
24 specifically identify the structures.

25 But let's say, for example -- and I don't

1 mean to refer to patients as numbers, but just as an
2 example, patient would be No. 1 on -- on a schedule and
3 No. 3 on the same schedule. And perhaps as the
4 injection that was intended to be performed when they
5 were Patient No. 1 would be an injection focusing on
6 the facet joints. You would reassess the patient --
7 after their injection, they would go to a recovery
8 room. You would reassess them, kind of measure their
9 pain score. If their pain score had gone from an 8 to
10 a 0, you've identified the pain structure. The patient
11 doesn't need to come back for the second stage of that
12 injection or the Plan B as Patient No. 3. What would
13 happen is they would then have a diagnosis, and we
14 would see if the steroid or the anti-inflammatory
15 medication would help them.

16 If on the other hand, as Patient No. 1 and we
17 did the injections focusing on the facet joints, their
18 pain went from, let's say, an 8 to a 4, we would know
19 that half of their pain was likely coming from the
20 facet joints but that we're still trying to figure out
21 where the other half was coming from, we would then
22 bring them back to the procedure room at the surgery
23 center and try to figure out if by performing the next
24 injection, their pain went from a 4 to a 0, remained at
25 a 4, that type of thing.

1 So essentially, you know a person has a
2 100 percent of their pain. You're trying to divide up
3 the two possibilities, and you're trying to determine
4 how much of a contribution one structure has as far as
5 pain goes versus the other structure. So staged
6 injection is the way to separate those out rather than
7 to be done like was done in this particular case where
8 they were all lumped together and it's very difficult
9 to make conclusions. If you separate them out, you can
10 make better conclusions that will make more sense.

11 Q. So in this particular case, there was a facet
12 injection administered to the plaintiff?

13 A. Along with other injections.

14 Q. Right. But the facet injection is a purely
15 diagnostic procedure.

16 A. It should be, yes.

17 Q. The other procedures that were done, what
18 effect -- what were they and what was the effect with
19 respect to trying to use the facet injection as a
20 diagnostic tool?

21 A. They were a hindrance to that because if
22 you're trying to diagnose a facet as a pain generator,
23 you don't want to perform other injections associated
24 with that. Because if you perform them together,
25 you'll never be able to reach any conclusions regarding

1 your original target which would have been the facet
2 injections.

3 Q. Well, let's cut to the chase of this. If
4 you're actually using an injection to diagnose whether
5 the facet is the pain generator, is that going to have
6 an effect on future treatment recommendations such as a
7 surgery?

8 A. It would. And in this case, it had
9 significant recommendation -- or significant impact on
10 the recommendations for even further procedures, not --
11 not even going as far as surgery. Because if you look
12 at the nursing records, Ms. Seastrand's pain following
13 a -- what we call a global block or a segmental block
14 where you're blocking the disk, the nerves, and the
15 facet joints, her pain only went from an 8 to a 7. So
16 it almost disproves that the disks and/or the facet
17 joints in those segments are actually causing the pain.

18 Q. What's left in the anatomic structure at that
19 point?

20 A. The muscles, the ligaments.

21 Q. Would the injections the plaintiff received
22 have any bearing or impact upon the pain generated by
23 those muscles?

24 A. As a potential secondary effect. If the
25 disks or the facets were involved in radiating pain to

1 the muscles, then yes. But they're not specific for
2 the muscles themselves. They're not directly intended
3 to place medication into the muscles to -- to treat
4 them. So, secondarily, it may treat them, but not as a
5 primary purpose.

6 Q. So the injections that the plaintiff had --
7 well, she had the facet injection. What was the other
8 injection that went along with it?

9 A. The epidural injections.

10 Q. What is an epidural and what is its purpose?

11 A. An epidural injection is different than what
12 most people imagine. The most common context is
13 dealing with pregnant women. And when these were
14 performed, Ms. Seastrand was not reportedly pregnant at
15 the time. They were performed for a different purpose
16 under X ray guidance, targeting the disks and the
17 associated nerves in that particular area to
18 anesthetize something, probably an inch and a half,
19 2 inches in front of the facet joints along with the
20 facet joints.

21 The purpose of these injections is to
22 anesthetize the nerves in the posterior or the back
23 portion of the disk to isolate those structures to see
24 if those are the likely sources of the pain as well.
25 Those are oftentimes performed separately from the

1 facet injections, but when you perform them with the
2 facet injections, you're isolating that entire segment
3 of the spine.

4 Q. So it's sort of like bathing the whole area
5 with the anesthetic?

6 A. It's a little more high-tech than that, but
7 yes.

8 Q. Does it have that -- that effect?

9 A. It does, yes.

10 Q. And so because of that, does it eliminate the
11 diagnostic value of the facet injections by
12 infiltrating the facet?

13 A. The facet and the other structures, yes.
14 It -- it ruins the diagnostic value as well as the
15 other things that I spoke about earlier.

16 Q. So then given the lack of a response even to
17 that, was that -- were you able to at least draw some
18 conclusions relative to the injuries in this accident?

19 A. Well, the only conclusion that could be drawn
20 was it wasn't likely that the facet or the disks and
21 associated nerves were likely sources of the pain. But
22 that information came from further digging into the
23 nursing notes or records because of the -- that
24 information was not available through Dr. Belsky's own
25 records.

1 Q. Now, as a result of this, did Dr. Belsky
2 eventually perform the diskogram?

3 A. She did, yes.

4 Q. Do you believe diskogram is a highly
5 controversial procedure in the medical community?

6 A. A diskogram, depending on how it's performed,
7 is considered by some a highly controversial procedure,
8 especially when it's performed in the cervical spine.
9 But there are some controversy that still remains
10 regarding lumbar spine diskography.

11 Q. Is that even generally within our own medical
12 community?

13 A. It is, yes.

14 Q. And Dr. Gross told me that the only one --
15 the only ones in our medical community who have
16 problems with that are the people that I hire.

17 MR. CLOWARD: Objection. Leading.

18 MR. JAFFE: I'm just using it as a
19 foundational statement, Your Honor.

20 THE WITNESS: I don't think that's --

21 MR. JAFFE: Hold on, Doctor.

22 THE COURT: Let him ask a question.

23 MR. JAFFE: Pardon me?

24 THE COURT: Go ahead and ask a question.

25 MR. JAFFE: Thank you.

IN THE SUPREME COURT OF THE STATE OF NEVADA

RAYMOND RIAD KHOURY,

Appellant,

vs.

MARGARET SEASTRAND,

Respondent.

Supreme Court Case No. 64702

Supreme Court Case No. 65007
Electronically Filed
Nov 13 2014 08:24 a.m.

Supreme Court Case No. 65172
Tracie K. Lindeman
Clerk of Supreme Court

APPEAL

from the Eighth Judicial District Court, Clark County

The HONORABLE JERRY WEISE, District Court Judge

District Court Case No. A-11-636515-C

APPELLANT'S APPENDIX

VOLUME XVI

STEVEN T. JAFFE, ESQ.

Nevada Bar No. 007035

JACOB S. SMITH, ESQ.

Nevada Bar No. 010231

HALL JAFFE & CLAYTON, LLP

7425 Peak Drive

Las Vegas, Nevada 89128

Attorneys for Appellant Raymond Riad Khoury

VOLUME XVI

Exhibit 39 July 24, 2013, Reporter's Transcript of Jury Trial, JA 2821-3028
(Day 8), pages 1-208