IN THE SUPREME COURT OF THE STATE OF NEVADA

ALBERT H. CAPANNA, M.D.,

Appellant/Cross-Respondent,

VS.

BEAU R. ORTH, Respondent/Cross-Appellant.

ALBERT H. CAPANNA, M.D., Appellant,

VS.

BEAU R. ORTH, Respondent. Case No. 69935

District Court Case No_A648041 Electronically Filed

Aug 08 2017 01:42 p.m. Elizabeth A. Brown Clerk of Supreme Court

Case No. 70227

APPENDIX TO RESPONDENT/CROSS-APPELLANT'S **COMBINED OPENING AND ANSWERING BRIEF**

VOL. 4

DENNIS M. PRINCE, ESQ. Nevada Bar No. 5092 KEVIN T. STRONG, ESQ. Nevada Bar No. 12107 EGLET PRINCE 400 South 7th Street, 4th Floor Las Vegas, NV 89101

Tel.: 702-450-5400 Email: eservice@egletlaw.com Attorneys For Respondent/Cross-

Appellant, Beau Orth

CHRONOLOGICAL INDEX TO RESPONDENT/CROSS-APPELLANT'S APPENDIX

NO.	DOCUMENT	DATE	VOL.	PAGE NO.
1.	Medical records from McKenna, Ruggeroli and Helmi Pain Specialists / Surgical Arts Center (Plaintiff's Trial Exhibit 7/9)	2/23/2010 (first DOS)	1	1 - 208
2.	MRI Report from Steinberg Diagnostic Medical Imaging	10/6/2010	2	209
3.	Medical records from Desert Institute of Spine Care (Plaintiff's Trial Exhibit 3)	10/12/2010 (first DOS)	2	210 - 335
4.	Scheduling Order from Case No. A-11-648041-C	3/27/2012	2	336 - 338
5.	Initial Expert Witness Disclosure Statement of Defendant Albert H. Capanna, M.D.	11/14/2014	2	339 - 360
6.	Plaintiff's 2nd Supplement to Designation of Expert Witnesses	4/8/2015	2	361 - 399
7.	Plaintiff's 3rd Supplement to Designation of Expert Witnesses	5/8/2015	2	400 - 403
8.	Plaintiff's 7th Supplement to the Early Case Conference List of Documents and Witnesses and NRCP 16.1(a)(3) Pretrial Disclosures	5/15/2015	2	404 - 424
9.	Report by Kevin Yoo, M.D. (provided at May 26, 2015 deposition)	5/26/2015	2	425
10.	Supplemental Expert Witness Disclosure Statement of Defendant Albert H. Capanna, M.D.	5/29/2015	2	426 - 452
11.	Plaintiff's Motion in Limine No. 4: Permit Treating Physicians to Testify as to Causation, Diagnosis, Prognosis, Future Treatment, and Extent of Disability Without a Formal Expert Report	6/22/2015	3	453 - 461
12.	Defendant's Response and Opposition to Plaintiff's Motion in Limine No. 4: Permit Treating Physicians to Testify as to Causation, Diagnosis, Prognosis, Future Treatment, and Extent of Disability Without a Formal Expert Report	7/9/2015	3	462 - 465

13.	Plaintiff's Opposition to Defendant's Motions in Limine	7/9/2015	3	466 - 489
14.	Plaintiff's Motion to Declare NRS 42.021 and NRS 41A.035 Unconstitutional	7/13/2015	3	490 - 583
15.	Plaintiff's 5th Supplement to Designation of Expert Witnesses	7/17/2015	3	584 - 588
16.	Plaintiff's 6th Supplement to Designation of Expert Witnesses	7/20/2015	3	589 - 593
17.	Supplemental Expert Witness Disclosure Statement of Defendant Albert H. Capanna, M.D.	7/22/2015	3	594 - 598
18.	Defendant Albert H. Capanna, M.D.'s 2nd Supplement to NRCP 16.1 Early Case Conference Disclosure of Witnesses and Documents	7/22/2015	3	599 - 688
19.	Supplemental Expert Witness Disclosure Statement of Defendant Albert H. Capanna, M.D.	7/27/2015	3	689 - 693
20.	Jury Trial Transcript – Day 3 Case No. A-11-648041-C	8/21/2015	4	694 - 747
21.	Order Regarding Plaintiff's Motion to Strike Untimely Disclosures on Order Shortening Time	8/22/2015	4	748 - 749
22.	Order Regarding Plaintiff's Motion to Declare NRS 42.021 and NRS 41A.035 Unconstitutional	8/22/2015	4	750 - 751
23.	Jury Trial Transcript – Testimony of Allan Belzberg	8/24/2015	4	752 - 845
24.	Jury Trial Transcript – Day 6 Case No. A-11-648041-C	8/26/2015	5 6	846 - 1089 1090 - 1100
25.	Jury Trial Transcript – Day 7 Case No. A-11-648041-C	8/27/2015	6	1101 - 1295
26.	Jury Trial Transcript – Day 9 Case No. A-11-648041-C	8/31/2015	7 8	1296 - 1543 1544 - 1553
27.	Jury Trial Transcript for Closing Arguments – Day 10 Case No. A-11-648041-C	9/1/2015	8	1554 - 1691
28.	Jury Verdict	9/2/2015	8	1692 - 1693

	Defendant's Reply to Plaintiff's Opposition to Defendant's Motion to Retax and Settle the Costs	10/30/2015	8	1694 - 1717
	Order Regarding Plaintiff's Motions in Limine	12/1/2015	8	1718 - 1721
31.	Order Granting Plaintiff's Motion for Attorney's Fees	4/15/2016	8	1722 - 1725

DISTRICT COURT CLARK COUNTY, NEVADA

BEAU R. ORTH,) Case No: A-11-648041-C
Plaintiff,) Dept. No.: III
vs.)
ALBERT H. CAPANNA, M.D.,)
DOES I through X; ROE BUSINESS)
ENTITIES I through X, inclusive,)
)
Defendants.)
)

```
1
                             DISTRICT COURT
 2
                          CLARK COUNTY, NEVADA
 3
 4
 5
                                           ) Case No: A-11-648041-C
    BEAU R. ORTH,
               Plaintiff,
 6
                                           ) Dept. No.: III
 7
         vs.
 8
    ALBERT H. CAPANNA, M.D.,
 9
    DOES I through X; ROE BUSINESS
    ENTITIES I through X, inclusive,
10
11
               Defendants.
12
13
14
15
16
17
18
19
20
21
22
23
24
25
```

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 COURT: Jury is present.

JUDGE: Thank you. You all can be seated. We will be back on the record. We are going to continue on with our openings statements, moving on to the defense and Mr. Laurie.

Thank you. Thanks ladies and MR. LAURIE: gentlemen, I'm going to give you an opening statement which is kind of what I think the evidence is going to show what I believe it will show and a road map to where this case is going. I believe that the road map will lead in a very different direction than some of the clips and bites that you've been shown so far. We will present the entire picture to you. We will present to you the rest of the story as it goes and the bite. But I want to tell you I'm also excited to represent Dr. Capanna in this case. Dr. Capanna didn't start doing neurosurgery two days ago and doesn't know how to get to a level and just figured out where the lamina was. Dr. Capanna went to medical school at the University of Texas, graduated in 1970 and then went to Wayne State University spent four years studying medicine to receive a medical degree. After that he did five years of residency in the specialty of neurosurgery trained to do procedures like this. After he completed that residency he

1	didn't need to have any more training. He could have
2	gone anywhere in the US and practiced as a
3	neurosurgeon. But Dr. Capanna wanted some more
4	training and some more experience and there were
5	certain areas that interested him, so he went to some
6	places outside the country. He was a Fellow at the
7	University of Zurich in Switzerland, and he studied
8	there under a Dr. Yasario who was the neurosurgeon of
9	the millennium and is incredibly well-known in
10	neurosurgery. He's in his 80s or 90s but still
11	affiliated with the University of Arkansas. He moved
12	after that. But Dr. Yasario was one of the pioneers
13	of developing microdiscectomy, and Dr. Capanna
14	trained under him during that time when he went to
15	Zurich and that became an area of his interest. One
16	of the things that Dr. Capanna did in regard to that
17	was actually do a study on performing microdiscectomy
18	in rect. As a new technique is coming on or you're
19	learning something in medical community you start by
20	doing it on animals, not on people. Dr. Capanna has a
21	study that was published in the medical literature
22	for other neurosurgeons, or spine surgeons to look at
23	on doing microdiscectomy very early on. Dr. Capanna
24	also went to the University of Paris and studied
25	additionally there on stereotactic surgery, a

1 different method of surgery and did an additional 2 fellowship at the hospital for sick children in 3 Canada. So even after he had completed four years of 4 medical school, five years of training as a 5 neurosurgeon, he went and spent additional time. He 6 didn't need to do a fellowship, because he had an interest in those areas of medicine including 7 microdiscectomy. He's been practicing in this area 8 9 since the early to mid 90s practicing neurosurgery 10 for over 30 years. He has been a team physician for the UNLV athletic department helping to take care of 11 12 athletes there in all sports for 25 years or so, 13 since the late 1990s. I want to tell you about some 14 of the other people that will be here and you will 15 hear testimony from in this case. They include Dr. 16 Mark Kay. Now, Dr. Mark Kay is a radiologist from 17 Florida, radiologist specialized in reading the MRIs or the films that you were shown little bits of here. 18 19 I want to talk a little bit about MRI because an MRI 20 it's not like a plain X-ray. Even with a plain X-ray 21 you take one image and it's a stock film and that's 22 it, that's all you see. So if you took an X-ray of me 23 standing right here, you'd get that picture of me of 24 my bones. With an MRI if anybody has ever had one, 25 you go in and you're in the tube and it takes kind of

a continuous picture. So you can look from slice to 1 2 slice all the way across. The view that you're going 3 to get and what you're going to see depends on which 4 little slice you take, and it depends on how far, sometimes you're five millimeters, sometimes not so 5 6 far, but the view you're going to see on an MRI is going to depend on which slice you're going to look 7 8 at. So you can look at one area and it may appear a 9 certain way if you just take a little slice of it. 10 But if you look at the whole picture, if you look at the slices next to it, and the slices next to those, 11 12 you may see a very different picture. You can also 13 change the, you can change the coloration with the 14 brightness of an MRI. So if you see a film that shows 15 a very dark disc, versus a film in comparison that shows a very white disc, it doesn't necessarily mean 16 17 there's a change in the disc. You can just change the brightness on the film. Dr. Kay will testify that he 18 19 has reviewed the MRI of October 6th 2010 after Dr. 20 Capanna's surgery, and he will tell you that yeah he 21 sees evidence of surgery in the L4-5 area. Nobody 22 denies that. Dr. Capanna has never denied that he did 23 some surgery in the area of L4-5. He did not do an 24 L4-5 discectomy. He did not go to the wrong level and 25 take out the wrong disc. He went to the level above

L5-S1 because of where this particular fragment or 1 2 protrusion was in the disc, and he felt that a better 3 way to get to it would be to remove a little lamina 4 there to allow him access to get to it. So there has 5 never been a dispute that he was in that area. Now, 6 Dr. Kay will say yeah there is evidence of surgery or disruption of tissue at L4-5 and there is also 7 evidence of a change at that disc at L5-S1. The 8 9 evidence will show that there were three MRIs that 10 were done before Dr. Capanna's surgery, and in all three of them the radiologist mentions this five 11 12 millimeter disc that's protruding and affecting the 13 nerve. On the MRI that was ordered after surgery, 14 we'll show you the report; the doctor doesn't mention 15 this protrusion on the S1 nervure at the L5-S1. What 16 he describes as changes at L4-5 and he says at L5-S1 17 it's a bulging disc. That's a very different phenomena. A bulging disc is kind of like if you take 18 19 a water balloon and you push it down, it spreads out 20 around as opposed to protrusion if you had that same 21 balloon but poked it out in one particular spot. So 22 Dr. Crow, no affiliation with the case, who read that 23 forth MRI after Dr. Capanna's surgery, doesn't make 24 any mention of the thing that every other radiologist 25 before that has pointed out. Dr. Kay will say look if

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

you look at these views you can see evidence of a surgical tract going to the L5-S1 area, and you can see where there has been a change in the disc at L5-S1. Dr. Capanna will tell you that he was at L5-S1 and he wrote it in his report and he said "I went to the area of the disc and I could see where the disc was impinging upon the nerve." Now, everybody agrees there was no disc impinging on a nerve at L4-5 when Dr. Capanna went to surgery. It wasn't there. So if there was a disc impinging on a nerve, the only place that could be was at L5-S1. Dr. Capanna will explain to you what he did that he saw it, that he relieved it. The radiology report confirms it. Dr. Kay will confirm it. There are some other findings that will confirm it that we'll explain to you. Mr. Prince has talked about these deep tendon reflexes in the dermatome. Remember the pictures with the lines that go through? One of things that measures the function of a nerve are the deep tendon reflexes, and so doctors test those to see is a nerve affected, is it impacted, has it changed. Before surgery Dr. Capanna noted that the deep tendon reflexes...in fact Dr. Rigarolli noted too that the deep tendon reflexes on the left side of Mr. Orth in the area of his ankle were abnormal. They were decreased. They weren't what

1 you would expect. After surgery when Dr. Capanna 2 tested it before there was any claims that he did 3 something wrong or a problem, Dr. Capanna noted deep 4 tendon reflexes are now symmetrical, they are equal, 5 they are both on the same sides. So there is more 6 than just a picture saying it was there. We had actual changes in the function of the nerve. We also 7 know that not only Dr. Capanna in his records and 8 what he documented showed that for a period of seven, 9 10 ten, twelve days after the procedure Mr. Orth was doing pretty well. He was doing fine. He wasn't 11 12 having leg pain. He wasn't having significant pain. 13 He wasn't having these neurologic symptoms that he'd 14 have the whole time that lead him there in the first 15 place. Those weren't present. In fact, even Dr. Cash 16 noted in his report when he first saw him he had done 17 well for a period of time after surgery. So something 18 resolved those symptoms, and we'll suggest to you and 19 the evidence will show it's because Dr. Capanna did 20 believe the protruding disc at L5-S1...Now, Dr. 21 Capanna...and again you've gotten bits and 22 pieces...will tell you and explain what this 23 testimony meant, so if you take a quote out of 24 context or take a bitten piece, it is what it is. But 25 Dr. Capanna will explain to you that yes I was up in

1	that area to take a little bit of bone off because of
2	where this disc was in my 30 years experience in
3	doing neurosurgery I felt this may give me a
4	reasonable access to get to this disc easier without
5	taking out more bone, because Dr. Capanna's
6	philosophy is do as much little damage as you can
7	removing bone in other structures. So he wanted to
8	minimalize the surgery. Dr. Capanna also said, will
9	tell you "I don't know exactly what caused the L4-5
10	creation later. Is it possible that when I was up
11	there doing that that an instrument injured or
12	touched or affected the L4-5." Yeah it's possible.
13	But you'll also hear from the experts that that's not
14	malpractice. That one of the risks that's discussed
15	in every surgery is that there's a risk that other
16	adjacent structures being injured. You're working in
17	a very tight space with very small clearances and one
18	of the risks of the procedure that nobody ever wants
19	to happen which is disclosed and discussed is the
20	inadvertent injury to the adjacent structure. So you
21	will hear that evidence and after hearing the
22	evidence you folks will decides whether if that
23	happened, if there was an inadvertent injury to L4-5
24	as a, which is a known risk and complication of the
25	procedure, is that malpractice, or is that part of

1

the risk of doing neurosurgery.

2 Now, I want to go back for a minute and I want 3 to talk a little bit about Mr. Orth because I think 4 there's been a partial picture given there too. Mr. 5 Orth was born on November 2nd, 1989. Do I have that 6 right Mr. Orth? Thank you. And he began having problems with his lower back on August 25th, 7 2008...according to the records of the UNLV athletic 8 9 department, August 5th, 2008...And we'll have those 10 records, you'll have a chance to look at them. That was on his third day of practice when he started at 11 12 UNLV, his back pain started. It went on about a week 13 but he is 18 years old at that time and he is having 14 problems with his back. October 18th, 2008 to October 15 23rd, to 2008 he was reporting pain in his lower back 16 during that time, since he was in practice and got 17 hit. So reporting pain again in October 2008...I'm 18 going to turn this so I don't have to walk back and 19 forth if that's okay. Seems to do okay for a while, a 20 couple of months later January 20th, 2009, increased 21 low back pain with weight lifting. This time it 22 doesn't go away within a couple of days as or within 23 a week or so as the others did. Now, since it doesn't 24 go away, since at that time he's having this pain and 25 numbness the doctors decide maybe we should get an

MRI and we should look at your back and make sure we 1 2 find out what's going on there, so they send both for 3 his first MRI and that is February 3rd ,2009. From 4 the very start that MRI describes what it calls a 5 very small, very small five millimeter protrusion or 6 herniation that's impinging on the left S1 nervure. The evidence will show that it was displacing the 7 root at that time. Now, what's interesting about that 8 9 is there has been presented to you that Dr. Cash will 10 talk about how big the herniation was at L4-5. Dr. Cash will show you and tell you that there was a very 11 12 large piece of disc or disc fragment at L4-5 when he 13 saw the patient in October of 2010. This very small 14 five millimeter is the language that the radiologist who read the report used, 'very small'. Mr. Prince 15 16 put up some testimony by Dr. Capanna where he agreed 17 it was very small. And yet the disc that Dr. Cash or 18 the fragment Dr. Cash referred would say is very 19 large or very, very larger is actually foreknown. Now 20 let me just say some things about Dr. Cash. Dr. Cash 21 has been hired as an expert by Mr. Prince's firm in 22 the past. In this case Dr. Cash saw Mr. Orth up until 23 March of 2014 and then didn't see Mr. Orth again 24 until just a week or so before the trial, kind of 25 went in there. So he didn't see him for a year and a

half before this trial except that visit just a week 1 2 before. Dr. Cash last saw him in March of 2014, but 3 in April of 2015 was paid 10,000 dollars to prepare a 4 summary, a report of Mr. Orth's past medical records 5 that he has never seen before...10,000 dollars to 6 prepare a report. Then on May 15th for the first time he prepares a report that said Mr. Orth is going to 7 need surgery at two levels of the spine and another 8 9 surgery in the future. First time, Dr. Cash writes 10 that down on a piece of paper anywhere is in May of this year, three months before this trial. You'll 11 12 have his records, we'll show you his records. Going 13 back to 2010, and there's not a spot in there where 14 he says it's my prognosis that Mr. Orth is going to 15 need surgery on his spine. There is, at the time he 16 now provides this report in May of 2015, three months 17 before trial for the first time, he hadn't seen the patient in 14 months. He hadn't evaluated him, didn't 18 19 know how he was doing. There was no change in the 20 information he had. In any event folks, you will hear 21 from Dr. Cash, and you will hear from Dr. Belsberg 22 who is a neurosurgeon at John Hopkins University and 23 teaches people how to do neurosurgery in John Hopkins 24 University in addition to practicing himself. Dr. 25 Belsberg will tell you that performing this surgery

as Dr. Capanna describes and going up to a level to 1 2 try and gain access is reasonable and not a violation 3 of the state of the care and that it's always a risk, 4 and a known complication that another structure can 5 get injured even when you do everything 6 appropriately. Dr. Kay will show you that there are in fact indications on the MRI of surgery being done 7 8 at L5-S1, and he will point them out to you and show 9 it to you. Back to Mr. Orth though, In 2/25/2009, so now 10 weeks later he still has pain, numbness and tingling 11 12 down his legs. Pain goes basically all the way 13 through March of 2009 and then gets better. He seems 14 to do pretty well for a while and then back in 15 October, 13th of 2009 we have another onset of pain, 16 and problems and numbness with his leq. The notes 17 from that visit that we'll show you show he had a history of tingling in his left buttock from August 8 18 19 through March 2009, that his pain had been pretty 20 good since the end of March of 2009, but one week ago 21 it had come on again in that numbness and tingling. 22 The problem with a herniation or protrusion like that 23 on the nerve root is it can as you extend it or as 24 you stretch or as you do more to it, it cannot be 25 painful at a time and then ultimately it comes out

enough or protrudes enough or gets in a position 1 2 where it's just constantly painful and it's not going 3 away. March 23rd, 2010 he is seen by Dr. Ruggeroli. 4 Now, Dr. Ruggeroli is a pain management specialist. 5 He does some injections to try and relieve those pain 6 without surgery, and let's see how...show you how Dr. Ruggeroli describes what went on with Mr. Orth. Can 7 8 you folks see that? Better? Interval history notable 9 of lumbar and calf pain since his freshman year. He's 10 modified his lists most of the pain consists during drills lateral planting to the left, continued to 11 12 work with the trainers, lumbar spine, pain, numbness, 13 pins, needles, stabbing are the current pain. His leg 14 pain with pins, needles, numbness with a current rate of 4. Spine is seven. Evidence will show that 15 16 sometimes doctors, and probably you have done it, 17 rate your level of pain from zero being no pain to 18 ten being the worst pain that you can imagine. This 19 is how Mr. Orth is rating his pain back when Dr. 20 Ruggeroli sees him on 2/23/2010. It is not just he 21 had pain occasionally. Its' not just had oh I had an 22 incident of pain and it went away. He's now 23 describing that at worst the pain, it's excruciating, it's ten out of a zero to ten scale. Its lowest is 24 25 four out of ten. On average it's five out of ten. At

1	the current time it's six out of ten. At that point
2	they decide they need to do something to try and take
3	care of this level of pain. Dr. Ruggeroli does an
4	epidural steroid injection. So what he does is he
5	goes to the area where it appears that nerve root is
6	inflamed, where the problem is coming from where the
7	pain generation is and he actually injects into that
8	a steroid and then some anesthetic to try and kill
9	that pain. The steroid hopefully remove any
10	inflammation that's causing the swelling or causing
11	the pain to go on, and then some anesthetic to try
12	and provide pain relief. Dr. Ruggeroli does that, and
13	fortunately that is fairly successful for a while,
14	for a brief period of time. The notes by UNLV note
15	that by March 4th of 2010 the pain feels like it did
16	before the injection. So there was some very brief
17	and temporary relief but not a lot. He follows up
18	with Dr. Ruggeroli on March 9th and says I'm having
19	less pain but the pain is not gone. It's all because
20	we know he's got a disc that is not healthy. You will
21	hear that it is not normal, it is not customary, it
22	is not usual for someone who is 18 years old, that's
23	the time that the lower back pain started, and is 19
24	years old at the time of the MRI to have a disc
25	protrusion that's impinging upon a nerve root. That

1	is not the usual case for a 18 or 19 year old young
2	man. The other thing we will see is that the
3	treatment occurs often times during football season
4	because that's when we have notes from the people
5	that are taking care of him at the UNLV athletic
6	department, the trainers that are working there. He
7	practices in the spring, he'll work out in the
8	spring, and then there's football season when it
9	starts in the fall and you'll find and you'll see
10	that that's when the complaints are really heightened
11	when he's involved in doing the activities that he
12	wants to do. I understand, a young person wanting to
13	play a sport, loving a sport, anybody who's ever been
14	in sport and loved it can relate to that. But he's
15	got a small disc herniation and it's causing him pain
16	that sometimes is ten on ten long before he ever saw
17	Dr. Capanna. It had been going on at this point for
18	quite a while from 08' to 2010. Now, before a little
19	bit, August of 2010, he's again complaining of pain,
20	and tingling and numbness by August, that's again
21	when he's back to try and play football. That's back
22	when you're working out, he's doing the drills, and
23	he's getting ready for the season. I don't know the
24	exact date that you even called back for football
25	into practice, but probably around that time, and we

can find that out. So at that point they again send 1 2 him to Dr. Ruggeroli, and Dr. Ruggeroli sees him on 3 August 11th, 2010, and let's see what Dr. Ruggeroli 4 had to say on that visit... Interval history notable for recent last few days of typical pain return. That 5 6 was described last time it was paining five, six out of ten. Involving the left glute worst pain, 7 buttocks, radiation into the posterior thigh and 8 9 calf. Now, Mr. Prince made a big indication that 10 somehow the posterior thigh and calf pain showed, that was the proof that showed that Dr. Capanna had 11 12 done surgery at L4-5, because remember on the 13 dermatome the only time it went to the posterior outside the leg was when it came from L4-5. And yet, 14 15 before Dr. Capanna even touched this patient Dr. 16 Ruggeroli is noting radiation in the posterior thigh 17 and calf where the lower extremity has significant numbness to it. Doing well since injection in 18 19 February, tolerating spring training, until recently. 20 I want to talk about his pain, that it wasn't just 21 there was a pain after a particular practice then 22 everything was fine. Dr. Ruggeroli asked him what's 23 your average pain since the last visit. The last 24 visit was back in February. Average pain since the 25 last visit is eight, according to the records of Dr.

Ruggeroli. One of the things that Dr. Ruggeroli notes 1 2 in August of 2011 is that left S1 diminished 3 sensation and he describes the deep tendon reflexes. 4 The evidence as I said will show that both Dr. 5 Ruggeroli as he noted there, and Dr. Capanna measured 6 the deep tendon reflexes that are related to the S1 7 dermatome Beau Orth before Dr. Capanna did surgery. Dr. Ruggeroli notes they are decreased. When we show 8 9 you Dr. Capanna's records preoperatively, he notes it 10 its decreased also. And yet, when Dr. Capanna evaluates him after surgery he notes that now they're 11 12 symmetrical, now they're normal. Sensation is sharp; 13 he is describing those dermatomes intact. Just one 14 little more note I want to show you from Dr. 15 Ruggeroli. Even at that time without the argument 16 that now the bones have degenerated because of 17 something Dr. Capanna did, range of motion is normal but it causes him pain even back in 2010 he is having 18 19 pain increase. So the plan is to do another one of 20 the treatments he did before treating this known disc 21 protrusion at L5-S1. He's been trying the same thing, 22 do the epidural steroid injection and hope that it 23 works for Mr. Orth, but it doesn't. It doesn't help 24 him. He doesn't get any relief, and it's at that point he has referred to Dr. Capanna. 25

Now, Dr. Capanna saw Mr. Orth on September 1st, 1 2 2010. He evaluated him and looked at the CT scans 3 that were done before. He considered what the options 4 were. Initially he was told that no blocks had been 5 done, but before surgery he was told that Dr. 6 Ruggeroli had done those, but it didn't really help 7 him pretty much. Dr. Capanna was in no rush to get Mr. Orth into surgery. He saw him on September 1st 8 9 and the first thing he did is said okay you've had an 10 MRI in 2009. I think there was another MRI that was done that showed essentially the same thing. And he 11 12 said I want to get an MRI that's more recent, because 13 the last one was six or seven or eight months old. 14 Can you get another MRI, I want to get one a little 15 bit different. I want to get one with you standing instead of laying down on the table, because if I do 16 17 it standing with a little bit of flexion and extension I could actually see if that little 18 19 fragment on that disc moves as you move. So I want to 20 get a different kind of a more specialized study so 21 we can make a decision here as to what's the best 22 course to treatment. He also says I want to confirm 23 before I go into a surgery on spine that in fact your 24 problems are coming from this disc at L5-S1 in the S1 25 nerve root. So he sends him for an EMG and nerve

conduction study to a neurologist. What they actually 1 2 do is they actually run current through the nerves 3 and see if the nerve...and measure the function of 4 the nerve. They can actually measure whether it's 5 functioning appropriately or not. That confirmed that 6 there's this small five millimeter protrusion was 7 impinging on that S1 nerve root that S1 nerve root wasn't functioning appropriately and that was likely 8 9 the cause of his pain. Likely, because you can't be 10 sure exactly what it is in any of these 11 circumstances. Dr. Capanna wanted the EMG again to 12 try and confirm. And then he met with Mr. Orth and he 13 met with Mr. Orth's dad and they talked about what 14 were some of the options here. One of the options, 15 and it's got a typo in his records, so he never wrote 16 them. But it says he can continue to play and it says 17 but will probably not get worse. What he meant to write was that if he continues to play without doing 18 19 anything about it, this is probably just going to get 20 worse and worse which makes sense. He also said look 21 another option is that we can go in and do a 22 different type of procedure, a different type of 23 discectomy where basically we just go in and we put a 24 needle in and just suck out a little bit of that 25 jelly, so we even lessen the incision we don't have

to go in and remove that piece off the disc. You just 1 2 suck out some of the filling so to speak, and then 3 maybe the bubble will protract itself. So if you take 4 a little water out of the balloon and you pull it 5 back in you can understand that the balloon or the 6 part that's sticking out is going to shrink. We'll explain what that is, but because the study on Mr. 7 Orth did not show that the disc sticking out changed 8 9 with movement, he thought well even if we remove some 10 of the interior of the disc that it's unlikely that that part that's pressing on the nerve sticking is 11 12 going to come back in. So he discussed with him that 13 what he thought was the best procedure was a 14 microdiscectomy and that that was likely to provide the most successful result. It's been said that Dr. 15 16 Capanna said that this was simple, easy, that Mr. 17 Orth would be playing within a few weeks, but if we look at the note, and you'll see the note in the 18 19 records of Dr. Capanna, he actually discusses that he 20 hopes it's going to be successful, that's right, he 21 wouldn't do it if he didn't think it was going to 22 improve this. But he actually says we discussed 23 redshirting...Now, we're in October of 2010...Excuse 24 me, September of 2010, football season has just started. If he is discussing redshirting, he's 25

already talking about taking the whole rest of the 1 2 year off right. You've got several months left of 3 football season, so the discussion with Dr. Capanna...Let me just make a couple of notes. So we 4 have Dr. Capanna sees on 9/1/10 for the first time 5 6 has this discussion on 9/15 or he discusses these options with the patient, the recommendations for 7 8 surgery, and in that note he mentions...Look, 9 redshirt! The reason is because no surgeon can 10 quarantee, Dr. Capanna couldn't quarantee that he 11 would recover if at all. He hoped he would. He 12 thought it was likely he would, he probably would. Couldn't quarantee that he would recover or when he 13 14 would recover. No one could. Dr. Cash I assume will 15 even tell you that, because that's undeniable. In 16 addition, there was discussion of the potential risks 17 and complications and things that can happen at 18 surgery. Dr. Capanna explained to Mr. Orth and to his 19 father that there are risks of this procedure as with 20 any other surgical procedure, that structures can be 21 injured, that you could have infection, hemorrhage, 22 bleeding, paralysis, other neurologic problems that 23 can be temporary or permanent, he could even die from 24 this simple, easy, no problem you're back playing in 25 two week procedure. Dr. Capanna will tell you look I

1	will never tell a patient they could be back playing
2	contact football in two weeks. What I might say is we
3	can get you back into starting to therapy so you're
4	working toward that goal within a couple of weeks.
5	It's reasonable for you to startMaybe you can do
6	some light lifting of weights within a couple of
7	weeks. Just let that tissue heal, you can start
8	running or sprinting, but not full contact pad work
9	in a couple of weeks. But Dr. Capanna and Mr. Orth
10	and his father both signed this. They also noted that
11	any course of the operation unforeseen circumstances
12	might arise, making necessary to extend the operation
13	beyond the originally planned operation. In the
14	operation, attempts to relieve my problem new
15	complications may arise which may be worse than the
16	original problem. It may not be relievedThe
17	original problem may not be relieved and also may get
18	worse. There's absolutely no guarantee of the
19	surgery. Dr. Capanna in describing, in discussing
20	these things with the patient and obtaining his
21	consent made it clear to Mr. Orth and his parents
22	that look I can't guarantee how this is going to turn
23	out for him. I'll try my best, I think it's going to
24	be good, most of these work out really well, but
25	risks and things can happen. The argument will be

that he didn't have any consent to do anything at any 1 2 other area, and his consent was L5-S1. But it says 3 look it might make it necessary for me to go operate 4 or extend the operation to do what I'm trying to 5 accomplish here. Dr. Capanna went to a level above, 6 took off a little piece of bone to see if he could reach the disc because he thought that would be the 7 best way to complete the operation. It turned out 8 9 that didn't work out too well. It's possible that 10 that L4-5 disc got injured during that, but that doesn't mean he's negligent. It's a risk of the 11 12 procedure that a known complication can and does 13 occur. The evidence will show again that Dr. Capanna 14 performed the surgery, used fluoroscopy appropriately. He will explain to you how it was 15 16 used. The actual fluoroscopy report only shows a 17 small number of seconds. That's because if you take a 18 film and you stop it, you don't want an X-ray running 19 for a super long period of time. So every time you 20 take a film you may get the view you wanted to take a 21 film. It takes only a fraction of a second to take 22 any particular film. So Dr. Capanna will explain to 23 you what he did, what he saw, why he did what he did, 24 why he believes it was reasonable, why he is as 25 disappointed as Mr. Orth that there was a

```
1
    complication or a problem that occurred, but why he
 2
    doesn't believe that it was malpractice. Dr. Capanna
 3
    will explain that to you. He'll explain to you why he
 4
   believes he was at L5-S1, because he observed this
 5
    disc compressing the nerve. He describes it in his
 6
    operative report. He will explain to you why post
    operatively the finding of the deep tendon reflexes
 7
   being back to normal now confirm what he sought. We
 8
 9
    don't deny that Dr. Rimoldi says I don't see the
10
    changes at L5-S1 surgically. It looks to me like it
    was down at L4-5 based on the information he had. Dr.
11
12
    Capanna is not trying to deny responsibility here.
13
    Dr. Capanna has said all along when asked, no I was
14
    up at the L4-5 level. He's not trying to hide
15
    anything. He's not trying to pretend he wasn't there.
16
   He says I was there but I don't believe it was
17
   negligent, and I don't believe it was malpractice.
18
          So the surgery started on September 17th, 2010.
19
    September 28th UNLV notes he's back to class. He's
20
    got some pain, stiffness when lying down. Another
21
   note from UNLV notes at that time he was doing well.
22
   Dr. Capanna sees him on September 29th, the next day,
23
   he's better but he's got some back spasm, muscle
24
    spasms if he lays down, but this pain and numbness in
25
    the legs that he had preoperatively is gone. He's got
```

a little change but he's definitely doing better. Dr. 1 2 Capanna measures the deep tendon reflexes on that day 3 and now they're symmetrical...they're equal, which 4 means they're symmetrical. What Dr. Rimoldi described 5 previously as the ankle deep tendon reflex being 6 diminished is now back to normal. He's seen from October 1st to October 5th at UNLV they note some 7 muscle spasms and stiffness, some lower back pain, 8 9 but none of the numbness and the tingling that was 10 radiating down the leg that brought him to Dr. 11 Capanna and to Dr. Ruggeroli in the first place. Now, 12 there is an MRI when Mr. Orth calls on the night of 13 October 5th and there is an MRI done on October 6th 14 2010 in which Dr. Crow, the radiologist says I see 15 evidence of laminectomy at L4 and surgery at L4-5 16 level, and doesn't describe anything at L5-S1. Dr. 17 Capanna disagrees somewhat with that interpretation. 18 That's not unusual. Doctors disagree as they review 19 these films all the time. The radiologist said 20 there's a non enhancing fragment and some scar 21 tissue, maybe disc, not certain but maybe disc. Dr. 22 Capanna said I reviewed the films, I'm not sure I 23 agree. I think this may be just some swelling going 24 on, some reaction may be the muscles that were re-25 approximating or healing got torn, so why don't we

1	just treat this conservatively, why don't we treat
2	this with some steroids or some anti inflammatory and
3	see if we can get that to heal without having to go
4	back in and do surgery. That was Dr. Capanna's
5	thought and opinion. Dr. Capanna didn't hide
6	anything. He told him what the radiologist said it
7	showed, but also said I'm not sure I concur. I think
8	we have to try to treat this conservatively. Dr.
9	Capanna never got the chance to try and treat this
10	conservatively; to see if that would have helped or
11	made the problem better, too see if steroids would
12	have improved him, because the patient left his cure
13	and went to Dr. Cash. So that was the last time when
14	the patient was seen. The plan was to treat him
15	conservatively, do some steroids, get the swelling
16	down, let's see if we really need to go into doing
17	surgery or not. The patient then went to Dr. Cash who
18	evaluated him, and Dr. Cash decided to take him to
19	surgery fairly quickly. Dr. Cash didn't do another
20	study, didn't pour a reflection, or MRI. He didn't
21	order an EMG or nerve conduction study to confirm
22	that in fact there was nerve dysfunction going on. He
23	took him to surgery, and interestingly before the
24	operation, we'll show you Dr. Cash's records and
25	we'll ask him about it, he doesn't make any mention

of seeing this protrusion at L5-S1. Dr. Cash's 1 2 records talk about what again has been described as 3 this very large fragment at L4-5 which is actually 4 smaller and what's described as a small fragment at 5 L5-S1. Dr. Cash doesn't mention seeing anything 6 abnormal at L5-S1 until his operative report is dictated. His records don't mention it all. Nor, does 7 Dr. Crow mention that the L5-S1 protrusion on the 8 9 nerve root is still there. 10 Now, Dr. Cash I think some part of the records that he also believed that he would get a good result 11 12 in Mr. Orth, because there was nothing that Dr. 13 Capanna did even if the L4-5 area had herniated. That 14 didn't mean that all of a sudden this was a disaster, 15 you're not going to get a good result with that. Dr. 16 Cash noted "Yeah I think we should get a good result 17 out of this. It shouldn't be too much of a problem." 18 Dr. Rimoldi who is an orthopedic spine surgeon who 19 does the same things that Dr. Cash and Dr. Capanna 20 do, although neurosurgeons do some different things, 21 but an orthopedic spine surgeon does exactly what Dr. 22 Cash does, has been doing it guite a bit longer will 23 tell you yeah okay you're right you had to do surgery 24 at another level if there's a disc fragment there. 25 But that doesn't really change much. That wouldn't

1 make it go from oh my god I was going to be fine and 2 playing in two weeks to I can never run or play 3 again. That's not how it works. If you have a disc 4 herniation at one level and you treat, and you have a 5 disc herniation at the other level and treat, it 6 doesn't significantly change what the outcome is going to be. They do two level microdiscectomy as 7 part of their practice all the time, and it doesn't 8 9 really change how people do. Dr. Cash notes post 10 operatively after his surgery on October...Well, let me go back. Dr. Cash does surgery October 22nd, 2010. 11 12 He describes finding some scar tissue in the area L4-13 5. No questions. He agrees he was there. He says at 14 L5-S1 he describes a discectomy, but he doesn't actually describe seeing the disc at L5-S1 impinging 15 16 on the nerve root. If you compare the operative 17 reports Dr. Capanna specifically says look I went in 18 there and I saw the S1 nerve root, and I saw the disc 19 compressing it. I removed that piece of disc and the 20 nerve was now free. Dr. Cash's report at L5-S1 21 doesn't describe seeing that same protrusion 22 compressing the nerve root at that level. It's not 23 reported. So is his operative report incomplete if 24 it's missing that information or is it something he 25 didn't see at the time?

Mr. Orth as expected after...by 11/3/2010 Dr. 1 2 Cash follows him up. Surgery is 10/22. So 11 days 3 later Dr. Cash notes he's only got mild pain. He is 4 not having to take any kind of pain medications. Doing better. He was doing well after surgery as it 5 6 was predicted. He said he's doing better but he's not completely relieved of the pain, and he says post 7 laminectomy syndrome is his diagnosis. Post 8 9 laminectomy syndrome, you will hear from the experts 10 it just means that the surgery didn't relieve everything we hoped it would relieve, there's still 11 12 some problems or issues going on. There is still some 13 compression of nerves. 14 By December 9th, 2010, Mr. Orth starts physical 15 therapy. As he goes through physical therapy he had 16

therapy. As he goes through physical therapy he had some problems. He states his pain level was one to two out of ten. Incision hurts but he's still got some of the numbness and tingling in the left lower extremities. He's still got some symptoms that are related to a nerve compression. Although Dr. Cash has said look I've treated both, I've relived both nerves, but there is still some numbness and tingling on the left side. Numbness and tingling is associated again with that protrusion, with that disc piece pushing on that nerve or in conjunction or being next

17

18

19

20

21

22

23

24

25

to that nerve. We know that later on down the road 1 2 that L5-S1 area had re-herniated, that protrusion had 3 recurred. We don't know whether it was this early or 4 later on, but we know that that happened. If you 5 listen to Dr. Cash and if you listen to the experts 6 on their side, if L5-S1 has re-herniated or reprotruded for a better word. The only person who ever 7 touched L5-S1 under their theory is Dr. Cash. 8 9 According to their theory Dr. Capanna was never at 10 L5-S1. But Dr. Capanna couldn't have done something that resulted in the re-herniation or the re-11 protrusion of that disc. The statement was made that 12 13 the post later MRIs from 2013 and 2014 show that this 14 L4-5 disc is so bad, it's so bad now, and that L5-S1 15 is kind of okay. But I'll show you in a second, I think the reports by the radiologists who reviewed 16 17 those films say exactly the opposite. They say we don't' see any neurologic impingement at L4-5. We see 18 some disc height change. But at L5-S1 there is 19 20 protrusion causing nerve root. 21 Mr. Orth goes through physical therapy, by April 22 19th, 2011 he sees Dr. Cash. His pain is low; it's at 23 two to three out of ten. He has a dull pain in his back. He has still got some of this numbness and 24 25 tingling in his buttock which is the S1 nerve root

that Dr. Cash had said he had dealt with, some pins 1 2 and needles impinging on the foot. He tells him to 3 return in three months, that's in April 2011. Mr. 4 Orth doesn't feel the need to return in three months. 5 He doesn't come back. Next note we have is September 6 15th, 2011. So from March of 2011 to November of 2011 there's no need to return. It's noted at that point 7 that he's doing really well. It's been almost a year 8 9 since he's required treatment. So September 15th, 10 2011 he's doing well. No treatment for a year. A year 11 later August 28th, 2012, he goes back to Dr. Cash and 12 he says look I've got pain that's a one to two level 13 that mild pain in my back morning and night, low grade back ache but I've still got some numbness down 14 15 my leg. In the interim evidence will show that Mr. 16 Orth has been able to do a fair amount of things. The 17 evidence will show that Mr. Orth was not 18 significantly restricted in his activities. Although 19 I'm sure things caused him pain. I'm sure you know if 20 you did something too strenuous that was painful. But 21 he was snowboarding, he was playing flag football 22 with his friends, he was doing a lot of activities 23 that requires some things. In fact when I took his 24 deposition just in April of this year, I asked him 25 how are you doing. He said "You know, I've got some

pain but I do what I got to do, I go to the gym, I 1 2 work out four days a week and I've gone snowboarding 3 a few times. I'm able to do that. I've played some 4 flag football with my friends. Yeah I go golf, but if 5 I golf I kind of pay for it, my back hurts 6 afterwards. I don't like to take pain medications so I don't take pain medications regularly." That was 7 his, what he told us his condition was in just a few 8 9 months ago. I don't know what Dr. Cash's record now 10 from just a couple of weeks before this trial will say but from what I heard Mr. Prince say that we'll 11 12 now say his pain is six out of ten and he can't 13 function and he can't do any of the things that he'd said he would do. So we have...He returns...I'm going 14 to go through this really guick folks, I just want 15 16 some of these time lines so we understand what's 17 happening. So he comes back, he returns and he has 18 got pain but it's the numbness so they get another 19 MRI at that point. That MRI shows a small left disc 20 protrusion at L5-S1 with a radial tear. That's at the 21 level we're recording now that Dr. Cash had done 22 surgery. There's a diffused bulge at L4-5 but no 23 compromise of the neurologic elements. It's not 24 pressing on the nerve root. It's not showing that 25 it's impinging anything, so it would cause indicative symptoms.

1

2. Dr. Cash notes on 9/4/12 okay there's a small 3 disc bulge at L5-S1 with an annual tear. There's some 4 dehydration above the disc, follow up as needed. What 5 that means is I don't think your symptoms or your 6 problems require any real further intervention at this time. We don't need to do surgery on you 7 8 certainly now, we don't need to send you to pain 9 management, I'm not going to prescribe you any pain 10 medication. I'll look, come back as you need to. If 11 something is really bad, come back and see me, and 12 we'll take care of it, we'll deal with it, we'll 13 treat you. He didn't return for 15 months. For 15 14 months from September of 2012 until March of 2013 Mr. 15 Orth didn't need any care for his back, wasn't seeing 16 any doctors, wasn't receiving any pain medications, 17 wasn't requiring any kind of treatment. Fifteen 18 months later he comes back to Dr. Cash in March of 19 2014, Dr. Cash doesn't say at that point I think you need surgery, he says go see Dr. Ruggeroli again, Dr. 20 21 Ruggeroli does the epidural spine injection, doesn't 22 really do anything, does a different type of 23 treatment and ablation and then after the ablation 24 Mr. Orth never came back to him, that's in May of 25 2014.

2

3

4

5

6

7

8

9

10

11

12

13

15

16

17

18

19

20

21

22

23

25

We asked Mr. Orth in his deposition how did you respond to the treatment by Dr. Ruggeroli, because there is a mention or a claim that Mr. Orth would require future pain management treatment going on for the rest of his life that Mr. Orth has decided not to do that. When we asked Mr. Orth did that help you at all...He said well, "Maybe it helped a little bit, but not really, didn't really do much for me. And yet at the request of Mr. Prince, Dr. Ruggeroli wrote a letter for this lawsuit that says Mr. Orth will need 20 years of those very treatments that Mr. Orth said will not help at a cost of 160 to 320 thousand dollars. He was asked to do that by Mr. Prince's 14 office even though after he gave that treatment, he doesn't know how the patient did, Mr. Orth never came back to him for treatment. Now, when he came back in March of 2014 he said that his pain...he'd been doing really well and that three days ago he had an onset pain, didn't know why, didn't know what had happened, he'd been doing fine but now his pain was at six to eight out of ten, although he had been doing pretty well for 15 months. The last treatment that Mr. Orth has received or 24 anything having to do with his back or spine was on May 14th, 2015. He said I don't take narcotic

1 medications. I haven't been to the doctor except a 2 week or so ago, hasn't had to go get any treatment of 3 any kind, hasn't had any physical therapy. The last treatment he has received was...I'm sorry May 14th, 4 5 2014. You will hear from Dr. Yu who they've indicated 6 is a neurosurgeon from San Diego. Dr. Yu was hired by the Prince firm in 2011 to testify as an expert in 7 this case. Dr. Yu initially issued a report in 8 9 September of 2011 and Dr. Yu was then re-disclosed as 10 an expert in 2014. The first time that Dr. Yu ever made any mention that Mr. Orth would need some type 11 12 of future care or treatment or surgery was when I 13 went down to take his deposition and asked him 14 questions about this case. He was hired or wrote his 15 first report in September of 2011. No mention that 16 because of this terrible surgery, because he had had 17 to have this other level done, because now it's a two level discectomy, we could just anticipate that he's 18 19 going to need all these future surgeries and he's 20 going to need all these future treatment and it's 21 going to be awful. Dr. You didn't say that. September 22 7th, 2011 nobody said that. On May 26th, 2015 when I 23 went down to ask Dr. Yu what's he going to say in 24 this case, what are his opinions, for the first time 25 Dr. Yu hands me a report saying look I've got a new

report, now I think he's going to need future 1 2 surgery. The testimony and the evidence will show Dr. 3 Yu didn't have any additional information that he 4 didn't have on September 11th of 2011. We know what his additional information was because he wrote it on 5 6 the report itself. He said I relied on these items which are all the things that he had before and then 7 the deposition of Dr. Capanna and the deposition of 8 9 Mr. Orth. And yet now he says now there is future 10 surgery. We'll go into it while I talk to Dr. Yu about that and we'll go into it with Dr. Cash as to 11 12 why that was not noted earlier. But I asked Dr. Yu 13 about, "Doctor what percentage of patients who 14 undergo microdiscectomy, undergo the procedure that 15 Mr. Orth underwent, what percentage of those patients 16 at one or two levels actually go on to require a 17 fusion? I mean, how does that happen right? Because 18 we are trying to predict how people are in the 19 future. We are trying to make predictions in this 20 case for Mr. Orth 10 or 20 or 30 years down the road 21 if he's going to need surgery. I said, and I asked 22 Dr. Cash, I said Dr. Cash, the only way that you can 23 have a valid scientific opinion about what that's 24 going to be, what the future is going to hold, is to 25 look at how other patients who have had these types

1	of treatments or surgeries have done." Right? So you
2	look at some hundreds of patients who have had
3	microdiscectomy and you follow them or you get into
4	contact with them, ten or fifteen years you gookay
5	let's look at this, this group we've got young
6	patients, this group we've got old patients, this
7	group we've got patients who got two levels, this
8	group is older, and you look what percentage of those
9	patients have actually required some additional
10	surgery like a fusion. Those studies are done in
11	universities and hospitals throughout the world
12	because they want to know, that's information that
13	neurosurgeons and spine surgeons rely on, that's
14	where they get the information. They can't do studies
15	in their own office. Dr. Yu and Dr. Cash haven't been
16	practicing long enough to do that. I think that they
17	are practicing since 2004 for one and 2005 to the
18	other, so they can't have any personal knowledge as
19	to what's going to happen in 10 or 15 years. The way
20	they get that knowledge is they read the studies that
21	are done at places like John Hopkins. We are going to
22	hear Dr. Belsberg talk about it. So they study those
23	patients populations different hospitals throughout
24	the world and they say let's look what percentage of
25	these patients in 10 or 15 years are going to need

1	surgery. The reality and you will hear testimony from
2	Dr. Rimoldi who has reviewed those will probably show
3	you some of the studies themselves, and even Dr. Yu
4	admits that while the consensus according to Dr. Yu
5	the consensus is about 20, only about 25 percent of
6	people who undergo microdiscectomy are going to
7	require a fusion and he said well it may be different
8	for Beau because he is young. I said well doctor you
9	know some of the studies include people that are
10	young right. They include people that are teenagers,
11	and he goes "Yeah that's true, it doesn't change the
12	consensus that its 25 percent. They haven't found
13	that it changed that." He said well you know he had
14	disc problems to start with. I said well "Dr. Yu
15	doesn't everybody who has spine surgery have disc
16	problems to start with? Isn't why they're have a
17	spine surgery?" He said yeah, but it's a part of the
18	picture, part of the whole picture here. I said what
19	are the factors, do you have to say that he is
20	outside of the norm of the consensus here. And he
21	said well he's at two level. I said doctor you'd
22	agree with me that some of the studies that reach
23	this consensus, a consensus of people who do spine
24	surgery If you go to a meeting or a conference of
25	spine surgeons the consensus is about 25 percent of

1	people and I said you would agree that those same
2	studies include people who have had two level
3	microdiscectomy. "Well, yeah." I said can you
4	identify any study anywhere that shows that anything
5	about Mr. Orth case changes what everybody else has
6	found throughout the world in doing these studies. He
7	said no I can't name any off hand. He was asked that
8	question at his deposition on May 26th, 2015 and to
9	date we haven't seen any study, no one has produced
10	one, no one has identified a study that takes him out
11	of that consensus position. You will hear from Dr.
12	Belsberg neurosurgeon, you will hear from Dr. Rimoldi
13	that will say Mr. Orth had two level microdiscectomy
14	that is true and he didn't recover as well as
15	everybody hoped he would but it is not more likely
16	than not that he is going to need a fusion and is
17	certainly not more likely than not that he's going to
18	need two fusions down the road. That's not supported
19	by what we know in medicine, that's not supported by
20	the studies that are done in medicine including some
21	of Dr. Belsberg's own university John Hopkins. And
22	then we have Dr. Cash, and Dr. Cash's opinion is
23	really interesting because I asked Dr. Cash about the
24	need for future surgeryin fact it's written in his
25	report and we will show you the language. I'll ask

him about it because I did ask him about at his 1 2 deposition. I said "Dr. Cash you tell all of your 3 patients, all your patients that if Beau came to you, 4 instead of going to Dr. Capanna for the first time, 5 you tell all your patients that you are doing a 6 microdiscectomy on, that they are probably more likely than not they are going to need a fusion in 10 7 to 15 years. He said "Yup. I tell all of them. I tell 8 9 my patients that more probably than not everyone is 10 going to need a fusion in 10 to 15 years." So I said 11 "Well doctor are you telling me that in your opinion 12 that even if nothing had happened in L4-5, if 13 everything had been done just at L5-S1, if L4-5 had 14 never had a fragment, if L5-S1 was perfectly cured, 15 that it's your opinion that Mr. Orth still would have 16 required a fusion surgery?" Dr. Cash said yes it's my 17 opinion to a reasonable medical probability that even 18 if Dr. Capanna had done everything absolutely 19 appropriately, or the best surgeon in the world had 20 done everything appropriately Mr. Orth was still 21 going to require a future surgery. Dr. Cash also said 22 and even if this surgery had been done absolutely 23 perfectly he probably would have needed one fusion in 24 10 to 15 years and then the second fusion at the 25 adjacent level in 17 years. I don't know how he comes

up with 17 years specifically, but in 17 years at the 1 2 adjacent level. So according to Dr. Cash, they were 3 experts own testimony, their treating doctor's own 4 testimony in his opinion whether Dr. Capanna did everything absolutely right or not doesn't make any 5 6 difference in the fact that Mr. Orth is going to 7 require fusion surgery in 10 to 15 years. He was 8 going to need it even if the surgery went perfectly. 9 Dr. Cash will say there are some differences because 10 I'd be doing two levels now so the charges might be a 11 little different because I have to do two levels, but 12 basically the same procedure, same physical therapy 13 time, same anesthesia, all of those kind of things. 14 Now, I believe the evidence will show that it 15 really isn't unlikely that Mr. Orth...It's not 16 reasonable, is not probable, that Mr. Orth is going 17 to need surgery in 5, 10, 15 years. I think the evidence will show that it's unlikely and it's not 18 19 reasonable that he is going to need another fusion 20 surgery in 17 years but if you believe that he will 21 from Dr. Cash's testimony because that's the 22 one...He'll tell you that then you also have to 23 believe that he was going to need those two fusions regardless of what Dr. Capanna did even if he did 24 25 everything perfectly, and would the need of a fusion

in ten years affect the ability to play in the NFL or 1 2 play football or do whatever the claim is here. 3 I am almost done so just let me get into my 4 notes. Dr. Cash as part of that hiring him and paying 5 him the \$10,000 dollars to do this record review in 6 April of this year, Dr. Cash then set out and listed 7 these costs or went to the past medical cost or past medical treatment of hundred something thousand 8 9 dollars which Mr. Prince put up there. The evidence 10 in this case will show that Mr. Orth hasn't paid a penny of that, doesn't have to pay a penny of that, 11 12 will never have to pay a penny of that, that all of 13 that was paid by his health insurer. Anything that was over charged they just wrote off and forgave and 14 15 if there was any co-pay or anything UNLV picked it. 16 So of a hundred and... 17 Can we pause for a minute? MR. LAURIE: 18 19 20 21 22 23 24 25

\$	2008 10:14,15	5th 10:9 26:7,13
———	2008according 10:8	6
\$10,000 43:5	2008and 10:9	
	2008i'm 10:17	6th 26:13
	2009 10:20 11:3 13:13,15,19,20 19:10	
08 16:18	2010 11:13 12:13 14:3 15:15	7
1	16:18,19 17:3 18:18 19:2 21:24 25:18 26:14 29:11 30:14	7th 36:22
10 37:20 38:19,25 41:7,10,24	2010excuse 21:23	8
42:7,17 10,000 12:3	2011 18:2 31:22 32:3,6,10 36:7,9, 15,22 37:4	8 13:18
10/22 30:2	2012 32:11 34:14	9
11 30:2	2013 31:13 34:14	
11/3/2010 30:1	2014 11:23 12:2 31:13 34:19,25	9/1/10 22:5
11th 17:3 37:4	35:17 36:5,10	9/15 22:6
13th 13:15	2015 12:3,16 35:25 36:22 40:8	9/4/12 34:2
14 12:18	20th 10:20	9th 15:18 30:14
14th 35:25 36:4	22nd 29:11	Λ
15 34:13 35:22 38:19,25 41:8,10,	23rd 10:15 14:3	Α
24 42:7,17	25 39:5,12,25	ability 43:1
15th 12:6 32:6,9	25th 10:7	ablation 34:23
160 35:12	26th 36:22 40:8	abnormal 28:6
17 41:25 42:1,20	28th 25:19 32:11	absolutely 23:18 41:18,22 42:5
17th 25:18	29th 25:22	access 13:2
18 10:13 15:22 16:1	2nd 10:5	accomplish 24:5
18th 10:14	3	ache 32:14
19 15:23 16:1		activities 16:11 32:18,22
1989 10:5	30 37:20	actual 24:16
19th 31:22	320 35:12	addition 12:24 22:16
1st 19:1,8 26:7	3rd 11:3	additional 37:3,5 38:9
2	4	adjacent 41:25 42:2
		admits 39:4
2/23/2010 14:20	4 14:15	affect 43:1
2/25/2009 13:10	4th 15:15	afterby 30:1
20 35:11 37:20 39:5	5	agree 26:23 39:22 40:1
2004 38:17		agreed 11:16
2005 38:17	5 29:13 42:17	agrees 29:13

all...he 35:7 believed 28:11 21 42:2,9 43:4,6 believes 24:24 25:4 amount 32:16 **Cash's** 27:24 28:1 29:20 33:9 40:22 42:21 anesthesia 42:13 **Belsberg** 12:21,25 38:22 40:12 **caused** 32:19 anesthetic 15:8,11 Belsberg's 40:21 causing 15:10 16:15 31:20 ankle 26:5 big 11:10 17:9 **chance** 10:10 27:9 annual 34:3 bit 10:3 16:19 19:15,17 20:24 28:22 35:7 change 12:19 26:1 28:25 29:6,9 anti 27:2 31:19 39:11 bleeding 22:22 anticipate 36:18 changed 21:8 39:13 blocks 19:4 appears 15:5 charged 43:14 bone 24:6 appropriately 13:6 20:5,8 24:15 charges 42:10 41:19,20 **bones** 18:16 circumstances 20:11 23:11 born 10:5 approximating 26:25 claim 35:3 43:2 April 12:3 31:21 32:3,24 43:6 brought 26:10 **class** 25:19 area 15:5 24:2 28:13 29:12 31:2 **bubble** 21:3 **clear** 23:21 argument 18:15 23:25 **bulge** 33:22 34:3 co-pay 43:15 buttock 13:18 31:25 arise 23:12,15 compare 29:16 assume 22:14 buttocks 17:8 complaining 16:19 athletic 10:8 16:5 C complaints 16:10 attempts 23:14 complete 24:8 **August** 10:7,9 13:18 16:19,20 calf 14:9 17:9,10,17 17:3 18:2 32:11 completely 30:7 called 16:24 average 14:25 17:23,24 complication 13:4 24:12 25:1 calls 11:4 26:12 awful 36:21 complications 22:17 23:15 can't 20:9 23:22 33:12,13 38:14, **compressing** 25:5 29:19,22 18 40:7 В compression 30:13,20 Capanna 11:16 13:1 16:17 17:11,15 18:5,7,10,17,25 19:1,7 compromise 33:23 back 10:2,7,12,14,15,18,21 11:1 20:11 21:16,19 22:5,10,18,25 12:13 13:10,14 14:19 15:23 concur 27:7 23:9,19 24:5,13,22 25:2,12,13,22 16:21,24 17:24 18:18 21:5,12 26:2,11,17,22 27:5,9 28:13,19 condition 33:8 22:24 23:1,3 25:8,19,23 26:6,8 29:17 31:9,10 37:8 41:4,18 42:4, 27:4 29:11 31:24 32:5,11,13,14 conduction 20:1 27:21 24 33:5,17 34:10,11,15,18,24 35:16, conference 39:24 17.24 Capanna...let 22:4 confirm 19:22 20:12 25:8 27:21 bad 31:14 34:11 Capanna's 18:9 27:4 **balloon** 21:4,5 confirmed 20:5 care 13:3 15:3 16:5 34:12,15 36:12 based 25:11 conjunction 30:25 case 11:22 16:1 36:8,14,24 37:20 **consensus** 39:4,5,12,20,23,25 basically 13:12 20:23 42:12

40:5 43:10

Cash 11:9,11,17,18,20,22 12:2,9,

21 22:14 27:13,17,18,19 28:5,10,

16,19,22 29:9,11 30:2,3,20 31:5,

37:11,22 38:15 40:22,23 41:2,16,

8,22 32:1,11 33:21 34:2,18,19

Beau 18:7 39:8 41:3

before...10,000 12:5

began 10:6

consent 23:21 24:1,2

considered 19:3

conservatively 27:1,8,10,15

40:11

dermatome 17:13 18:7 consists 14:10 dollars 12:3,5 35:13 43:5,9 constantly 14:2 dermatomes 18:13 don't 10:18 16:23 20:25 24:18 25:9,16,17 26:25 27:1 28:7 31:3, contact 23:2,8 38:4 describe 26:16 29:15,21 18 33:6,7,9 34:5,7,8 35:25 41:25 continue 20:16 describes 11:4 13:1 14:7 18:3 drills 14:11 16:22 25:5 29:12,14 continued 14:11 dull 31:23 describing 14:23 18:13 23:19 continues 20:18 dysfunction 27:22 diagnosis 30:8 cost 35:12 43:7 dictated 28:7 Ε **costs** 43:7 didn't 11:23.25 12:18 19:6 21:21 couldn't 22:10,13 31:10 24:1,9 27:5,19,20 28:14 29:25 earlier 37:12 30:10 34:13,15 35:8,19,20 36:21 couple 10:20,22 22:4 23:4,6,9 **early** 31:3 37:3,4 40:14 33:10 easy 21:16 22:24 Crow 26:14 28:8 die 22:23 elements 33:23 **CT** 19:2 **Diego** 36:6 EMG 19:25 20:11 27:21 difference 42:6 **cure** 27:12 end 13:20 differences 42:9 **cured** 41:14 enhancing 26:20 diffused 33:22 current 14:13,14 15:1 20:2 **epidural** 15:4 18:22 34:21 diminished 18:2 26:6 customary 15:21 equal 26:3 disagree 26:18 D essentially 19:11 disagrees 26:17 evaluated 12:18 19:2 27:18 disappointed 24:25 dad 20:13 evaluates 18:11 disaster 28:14 date 16:24 40:9 event 12:20 disc 11:12,17 15:20,24 16:15 day 10:11 25:22 26:2 18:20 19:19,24 21:1,8,10 24:7,10 evidence 11:7 14:15 18:4 24:13 days 10:22 17:5 30:2 33:2 35:19 25:5 26:21 28:24 29:3,5,15,18,19 26:15 32:15,17 37:2 42:14,18 30:24 31:12,14,19 33:19 34:3,4 deal 34:12 43:9 39:14,15 dealt 32:1 exact 16:24 discectomy 20:23 29:14 36:18 December 30:14 excruciating 14:23 **discussed** 21:12,22 decide 10:25 15:2 expected 30:1 discusses 21:19 22:6 decided 27:18 35:5 expert 11:21 36:7,10 discussing 21:25 23:19 experts 30:9 31:5 42:3 decision 19:21 discussion 22:3,6,16 **explain** 21:7 24:15,22 25:3,6 decreased 18:8,10 displacing 11:7 **deep** 18:3,6 25:7 26:2,5 explained 22:18 doctor 36:1 37:13 39:8,21 41:11 extend 13:23 23:12 24:4 degenerated 18:16 doctors 10:25 14:16 26:18 34:16 extension 19:18 dehydration 34:4 doctor's 42:3 deny 25:9,12 extremities 30:19 doesn't 10:22,23 18:23,24

24:11 25:2 26:16 27:25 28:5,25 29:6,8,14,21 32:4,5 34:19,21

35:15 39:11,15 42:5 43:11

department 10:9 16:6

37:8 40:8 41:2

deposition 32:24 35:1 36:13

extremity 17:17

F	full 23:8	health 43:13
— <u>'</u>	function 20:3 33:13	healthy 15:20
fact 13:7 19:23 27:22 32:23 40:24	functioning 20:5,8	hear 12:20,21 15:21 30:9 36:5 38:22 39:1 40:11,12
42:6 factors 39:19	fusion 37:17 38:10 39:7 40:16 41:7,10,16,23,24 42:7,19,25	heard 33:11
fair 32:16	fusions 40:18 42:23	height 31:19
fairly 15:13 27:19	future 12:9 35:4 36:12,19,20	heightened 16:10
fall 16:9	37:1,9,19,24 40:24 41:21	helped 27:10 35:7
father 22:19 23:10		hemorrhage 22:21
February 11:3 17:19,24		herniated 28:13
feel 32:4	gain 13:2	herniation 11:6,10 13:22 16:15
feels 15:15	gave 35:14	29:4,5
fifteen 34:17 38:4	generation 15:7	he'd 33:13 35:20
filling 21:2	glute 17:7	he'll 16:7 25:3
film 24:18,20,21,22	gookay 38:4	he's 10:24 14:9,22 15:20 16:11, 14,19,21,22,23 18:21 21:25 24:11
films 26:19,22 31:17	goal 23:4	25:14,15,19,23,25 26:1,6 30:3,6,
find 11:2 16:9 17:1	god 29:1	17,19 32:8,9,10 36:18,19 37:1,21 39:21 40:17
finding 25:7 29:12	golf 33:4,5	hide 25:14 27:5
fine 17:22 29:1 35:20	good 13:20 23:24 28:11,15,16	hired 11:21 36:6,14
firm 11:21 36:7	grade 32:14	hiring 43:4
flag 32:21 33:4	group 38:5,6,7,8	history 13:18 14:8 17:4
flexion 19:17	guarantee 22:10,13 23:18,22	hit 10:17
fluoroscopy 24:14,16	gym 33:1	hold 37:24
folks 12:20 14:8 33:15		hope 18:22
follow 34:4 38:3	Н	hoped 22:11 30:11 40:15
foot 32:2	hadn't 12:17,18	hopes 21:20
football 16:3,8,21,24 21:24 22:3	half 12:1	Hopkins 12:22,23 38:21 40:21
23:2 32:21 33:4 43:2	hand 40:7	hospitals 38:11,23
foreknown 11:19	hands 36:25	howshow 14:6
forgave 43:14	happen 22:17 23:25 37:17 38:19	hundred 43:8,16
fortunately 15:13	happened 31:4 35:20 41:12	hundreds 38:2
found 39:12 40:6	happening 33:17	hurts 30:17 33:5
fraction 24:21	hasn't 36:2,3 43:10	nuits 30.17 33.3
fragment 11:12,18 19:19 26:20	havehe 33:14	1
28:3,4,24 41:14	haven't 36:1 38:15 39:12 40:9	identified 40:10
free 29:20	heal 23:7 27:3	identify 40:4
freshman 14:9	healing 26:25	•
friends 32:22 33:4		imagine 14:18

impingement 31:18	isn't 39:16 42:15	large 11:12,19 28:3
impinging 11:6 15:25 20:7 29:15 32:2 33:25	issued 36:8	larger 11:19
improve 21:22	issues 30:12	lateral 14:11
improved 27:12	items 37:6	LAURIE 43:17
incident 14:22	it's 12:14 13:3 14:2,23,24,25 15:1,19 16:15 18:24 20:4,15	lawsuit 35:10
incision 20:25 30:17	21:10,15,20 23:5,23 24:9,11	laying 19:16
include 39:9,10 40:2	29:22,24 31:14,22 32:7,8 33:18, 23,24,25 36:17,20 39:17 40:24	lays 25:24
including 40:20	41:15,16 42:18	left 11:6 13:18 14:11 17:7 18:2 22:2 27:12 30:18,23 33:19
incomplete 29:23	l'd 42:10	leg 13:16 14:13 17:14 26:10
increase 18:19	l'll 23:23 31:15 34:10 40:25	32:15
increased 10:20	l'm 15:18 24:4 26:22 27:7 32:19	legs 13:12 25:25
indication 17:9	33:3 34:9	lessen 20:25
indications 13:7	I've 30:21 32:12,14,25 33:2,3 36:25	letter 35:10
indicative 33:25		let's 14:6 17:3 27:16 38:5,24
infection 22:21	J	level 13:1 14:17 15:3 24:5 25:14
inflamed 15:6	January 10:20	26:16 28:24 29:4,5,7,22 30:16 32:12 33:21 36:17,18 39:21 40:2,
inflammation 15:10	January 10:20	13 41:25 42:2
inflammatory 27:2	jelly 20:25	levels 12:8 37:16 38:7 42:10,11
information 12:20 25:11 29:24	John 12:22,23 38:21 40:21	life 35:5
37:3,5 38:12,14	ĸ	lifting 10:21 23:6
initially 19:4 36:8		light 23:6
injection 15:4,16 17:18 18:22	Kay 13:6	lines 33:16
34:21	kill 15:8	listed 43:6
injections 14:5	kind 11:24 19:20 30:4 31:15 33:5 34:17 36:3 42:13	listen 31:5
injects 15:7	knowledge 38:18,20	lists 14:10
injured 13:5 22:21 24:10		long 16:16 24:19 38:16
insurer 43:13	L	longer 28:22
intact 18:13	L4 26:15	looked 19:2
interesting 11:8 40:23	L4- 29:12	lot 15:17 32:22
interestingly 27:23	L4-5 11:10,12 17:12,14 24:10	loved 16:14
interim 32:15	25:11,14 26:15 28:3,13 31:14,18	loving 16:13
interior 21:10	33:22 41:12,13	low 10:21 31:22 32:13
interpretation 26:17	L5-s1 13:8 18:21 19:24 24:2 25:4,	lower 10:7,15 15:23 17:17 26:8
Interval 14:8	10 26:16 28:1,5,6,8 29:14,15,20 31:2,6,8,10,14,19 33:20 34:3	30:18
intervention 34:6	41:13,14	lowest 14:24
involved 16:11	laminectomy 26:15 30:8,9	lumbar 14:9,12
Involving 17:7	language 11:14 40:25	lying 25:20

	м	

made 17:9 23:21 27:11 31:12 36:11

make 11:1 19:21 22:4 24:3 27:25 29:1 37:19 42:5

makes 20:20 **making** 23:12

malpractice 25:2,17

man 16:2

management 14:4 34:9 35:4

March 11:23 12:2 13:13,19,20 14:3 15:15,18 32:6 34:14,18 35:17

means 26:4 30:10 34:5

meant 20:17 measure 20:3,4

measured 18:5

measures 26:2

medical 12:4 41:17 43:7,8

medication 34:10

medications 30:4 33:6,7 34:16

36:1

medicine 40:19,20

meeting 39:24

mention 27:25 28:5,7,8 35:3 36:11,15

mentions...look 22:8

met 20:12,13

microdiscectomy 21:14 29:7 37:14 38:3 39:6 40:3,13 41:6

mild 30:3 32:13

millimeter 11:5,14 20:6

minute 10:2 43:17

missing 29:24

modified 14:10

months 10:20 12:11,16,18 19:13 22:2 32:3,4 33:9 34:13,14,18

35:22

morning 32:13

motion 18:17

move 19:19

movement 21:9

moves 19:19

MRI 11:1,3,4 13:7 15:24 19:10,12, 14 26:12,13 27:20 33:19

MRIS 31:13

muscle 25:23 26:8

muscles 26:24

Ν

narcotic 35:25

needed 34:4 41:23

needle 20:24

needles 14:13,14 32:2

negligent 24:11 25:17

nerve 13:23 15:5,25 19:25 20:4,7 21:11 25:5 27:21,22 28:9 29:16, 18,20,22 30:20,25 31:1,20,25 33:24

nerve...and 20:3

nerves 20:2 30:13,22

nervure 11:6

neurologic 22:22 31:18 33:23

neurologist 20:1

neurosurgeon 12:22 36:6 40:12

neurosurgeons 28:20 38:13

neurosurgery 10:1 12:23

NFL 43:1

night 26:12 32:13

norm 39:20

normal 15:21 18:12,17 25:8 26:6

notable 14:8 17:4

note 15:14 18:14 21:18 22:8 25:21 26:7 32:5

noted 18:5 23:10 28:16 32:7

37:12

notes 13:16 15:14 16:4 18:1,8,9, 11 22:4 25:19,21 29:9 30:3 34:2 43:4

noting 17:16

November 10:5 32:6

number 24:17

numbness 10:25 13:11,16,21 14:12,14 16:20 17:18 25:24 26:9 30:18,22,23 31:24 32:14 33:18

0

observed 25:4

obtaining 23:20

occasionally 14:21

occur 24:13

occurred 25:1

occurs 16:3

October 10:14,17 11:13 13:15

21:23 26:7,13 29:11

October...well 29:10

office 35:14 38:15

older 38:8

one...he'll 42:22

onset 13:15 35:19

operate 24:3

operation 23:11,12,13,14 24:4,8

27:24

operative 25:6 28:6 29:16,23

operatively 25:7 29:10

opinion 27:5 37:23 40:22 41:11,

15,17 42:4

opinions 36:24

opposite 31:17

option 20:21

options 19:3 20:14 22:7

order 27:21

original 23:16,17

originally 23:13

Orth 10:3,5,6 11:22,23 12:7,14 13:10 14:7,19 18:7,23 19:1,8 20:12 21:8,17 22:18 23:9,21 24:25 26:12 28:12 30:1,14 31:21 32:4,16,17 34:15,24 35:1,3,5,6,

10,11,15,23 36:11 37:9,15,20 performed 24:14 **prepare** 12:3,6 40:5.13 41:15.20 42:6.16 43:10 performing 12:25 prepares 12:7 Orth...it's 42:15 period 15:14 24:19 prescribe 34:9 **orthopedic** 28:18,21 presented 11:9 permanent 22:23 Orth's 12:4 20:13 person 16:12 31:7 pressing 21:11 33:24 outcome 29:6 personal 38:18 pretend 25:15 physical 30:14,15 31:21 36:3 pretty 13:14,19 19:7 35:22 Ρ 42:12 previously 26:5 **picked** 43:15 pad 23:8 **Prince** 11:15 17:9 33:11 35:9 picture 10:4 39:18 36:7 43:9 paid 12:3 43:10,13 piece 11:12 12:10 21:1 24:6 Prince's 11:21 35:13 pain 10:12,15,17,21,24 13:11,12, 29:19 30:24 15,19 14:4,5,9,10,12,13,14,17,18, probability 41:17 19,21,22,23 15:3,7,9,11,12,15,19, pins 14:13,14 32:1 probable 42:16 23 16:15,19 17:5,7,10,20,21,23, **place** 26:11 24 18:18,19 20:9 25:20,24 26:8 problem 13:22 15:6 22:24 23:14, 30:3,4,7,16 31:22,23 32:12,13,19 **places** 38:21 16,17 25:1 27:11 28:17 33:1,6,7,12,18 34:8,9,16 35:4,19, plan 18:19 27:14 **problems** 10:7,14 13:16 19:24 21 22:22 30:12,16 34:6 39:14,16 pain...he'd 35:18 planned 23:13 procedure 20:22 21:13 22:19, planting 14:11 painful 13:25 14:2 32:20 20,25 24:12 37:14 42:12 play 16:13,21 20:16,18 29:2 43:1, paining 17:6 produced 40:9 2 paper 12:10 prognosis 12:14 played 33:3 paralysis 22:22 **proof** 17:11 playing 21:17 22:24 23:1 29:2 parents 23:21 protract 21:3 32:21 part 21:6,11 28:10 29:8 39:17,18 point 13:8 15:1 16:17 17:1 18:25 protruded 31:7 43:4 32:7 33:19 34:19 protrudes 14:1 partial 10:4 populations 38:23 protrusion 11:5 13:22 15:25 past 11:22 12:4 43:7 position 14:1 40:11 18:21 20:6 28:1.8 29:21 30:24 31:2,12,20 33:20 patient 11:13 12:18 17:15 22:7 **post** 25:6 29:9 30:7,8 31:13 23:1,20 27:12,14,17 35:15 provide 15:12 21:14 posterior 17:8,10,13,16 patients 37:13,15,25 38:2,6,7,9, pull 21:4 potential 22:16 23,25 41:3,5,9 pushing 30:25 pause 43:17 pour 27:20 put 11:16 20:23 43:9 practice 10:11,16 16:25 17:21 pay 33:5 43:11,12 29:8 paying 43:4 Q practices 16:7 penny 43:11,12 practicing 12:24 38:16,17 question 40:8 people 12:23 16:4 29:9 37:18 predict 37:18 39:6,9,10,23 40:1,2 questions 29:13 36:14 predicted 30:6 percent 39:5,12,25 quick 33:15 predictions 37:19 percentage 37:13,15 38:8,24 quickly 27:19

preoperatively 18:9 25:25

perfectly 41:14,23 42:8,25

	referred 11:18 18:25	review 26:18 43:5
R	reflection 27:20	reviewed 26:22 31:16 39:2
radial 33:20	reflex 26:5	Rimoldi 25:9 26:4 28:18 39:2
radiating 26:10	reflexes 18:3,6 25:7 26:2	40:12
radiation 17:8,16	regularly 33:7	risk 10:1 13:3 24:11
radiologist 11:14 26:14,19 27:6	relate 16:14	risks 22:16,19 23:25
radiologists 31:16	related 18:6 30:20	road 31:1 37:20 40:18
range 18:17	relied 37:6	root 11:8 13:23 15:5,25 19:25 20:7 28:9 29:16,18,22 31:20,25
rate 14:14,17	relief 15:12,17 18:24	33:24
rating 14:19	relieve 14:5 23:14 30:10,11	Ruggeroli 14:3,4,7,20 15:3,12,
re- 26:24 31:6,11	relieved 23:17 30:7	18 17:2,3,16,22 18:1,5,8,15 19:6 26:11 34:20,21 35:2,9
re-disclosed 36:9	relievedthe 23:16	run 20:2 29:2
re-herniated 31:2,6	relived 30:21	running 23:8 24:18
re-herniation 31:11	rely 38:13	rush 19:7
reach 24:7 39:22	remember 17:12	
reaction 26:24	remove 15:9 21:1,9	S
read 11:15 38:20	removed 29:19	S1 11:6 18:2,6 19:24 20:7 29:18
ready 16:23	report 11:15 12:4,6,7,16 24:16	31:25
real 34:6	25:6 28:6 29:20,23 36:8,15,25 37:1,6 40:25	San 36:6
reality 39:1	reported 29:23	scale 14:24
reason 22:9	reporting 10:15,17	scans 19:2
reasonable 13:2 23:5 24:24	reports 29:17 31:16	scar 26:20 29:12
41:17 42:16,19	request 35:9	scientific 37:23
received 35:23 36:4	require 34:6 35:4 37:16 39:7	season 16:3,8,23 21:24 22:3
receiving 34:16	41:21 42:7	seconds 24:17
recent 17:5 19:12	required 32:9 38:9 41:16	sees 14:20 17:2 22:5 25:22 31:22
recently 17:19	requires 32:23	send 11:2 17:1 34:8
recommendations 22:7	requiring 34:17	sends 19:25
record 33:9 43:5	respond 35:2	sensation 18:3,12
recording 33:21	responsibility 25:12	sense 20:20
records 10:8,10 12:4,12 17:25 18:9 20:15 21:19 27:24 28:2,7,10	rest 22:1 35:5	September 19:1,8 21:24 25:18,
recover 22:11,13,14 40:14	restricted 32:18	19,22 32:5,9 34:14 36:9,15,21 37:4
recurred 31:3	result 21:15 28:11,15,16	set 43:6
redshirt 22:9	resulted 31:11	sharp 18:12
	return 17:5 32:3,4,7 34:13	shouldn't 28:17
redshirting 21:25	returns 33:17	show 11:7,11 12:12 13:6,8,17
redshirtingnow 21:23	returnsi'm 33:14	14:15 18:4,8,14 21:8 24:13 27:24

31:13,15 32:15,17 37:2 39:2 statement 31:12 Т 40:25 42:14.18 43:10 states 30:16 **showed** 17:10,11 19:11 27:7 steroid 15:4,8,9 18:22 table 19:16 showing 33:24 steroids 27:2,11,15 takes 24:21 40:10 **shows** 24:16 33:19 40:4 sticking 21:6,8,11 taking 16:5 22:1 shrink 21:6 stiffness 25:20 26:8 talk 10:3 11:10 17:20 28:2 37:10 side 30:23 31:6 38:22 stop 24:18 **signed** 23:10 talked 20:13 strenuous 32:20 significant 17:17 talking 22:1 stretch 13:24 significantly 29:6 32:18 **teaches** 12:23 structure 13:4 simple 21:16 22:24 tear 33:20 34:3 structures 22:20 **small** 11:5,13,15,17 16:15 20:6 teenagers 39:10 studies 38:10,14,20 39:3,9,22 24:17 28:4 33:19 34:2 40:2,6,20 **telling** 41:11 smaller 28:4 study 19:20 20:1 21:7 27:20,21 tells 32:2 snowboarding 32:21 33:2 38:22 40:4,9,10 temporary 15:17 22:23 sought 25:8 successful 15:13 21:15.20 ten 14:18,24,25 15:1 16:16 17:7 suck 20:24 21:2 spasm 25:23 30:17 31:23 33:12 35:21 38:4 43:1 spasms 25:24 26:8 **sudden** 28:14 tendon 18:3,6 25:7 26:2,5 **speak** 21:2 summary 12:4 terrible 36:16 specialist 14:4 super 24:19 testify 36:7 specialized 19:20 **supported** 40:18,19 **testimony** 11:16 37:2 39:1 42:3, **specifically** 29:17 42:1 surgeon 22:9 28:18,21 41:19 4,21 surgeons 38:13 39:25 **spine** 12:8,15 14:12,15 19:23 **that's** 10:19 11:6 15:10,22,25 28:18,21 34:21 35:24 38:13 surgeries 36:19 38:1 16:4,10,20,21 19:12 21:6,11,20 39:15,17,23,25 22:15 24:17 26:18 29:3 32:3,12 surgery 12:8,9,15,25 13:7 14:6 sport 16:13,14 33:20 34:24 37:23 38:12,13 39:11 17:12 18:7,11 19:5,8,23 22:8,18 40:18,19 42:21 **spot** 12:13 23:19 24:14 25:18 26:15 27:4,17, 19,23 28:23 29:10,11 30:2,5,10 theory 31:8,9 **spring** 16:7,8 17:19 33:22 34:7,20 36:12,16 37:2,10, therapy 23:3 30:15 31:21 36:3 sprinting 23:8 21 38:10 39:1,15,17,24 41:16,21, 42:12 22 42:7,8,17,20 stabbing 14:13 **there's** 10:4 12:13 16:8 20:6 surgery...in 40:24 **standing** 19:15,17 23:18 26:20 28:24 30:11 32:7 surgical 22:20 33:22 34:2,3 start 11:4 23:7 39:14,16 surgically 25:10 **they're** 18:11,12 26:3,4 39:16 start...maybe 23:5 swelling 15:10 26:23 27:15 they've 36:5 started 10:11,12 15:23 21:25 25:18 symmetrical 18:12 26:4 thigh 17:8,10,16 symmetrical...they're 26:3 starting 23:3 thing 16:2 18:21 19:9,11 starts 16:9 30:14 symptoms 30:19 34:1,5 things 11:20 18:1 22:17 23:20,25 28:19,20 32:16,19,23 33:13 37:7 syndrome 30:8,9 **state** 13:3 42:13

thought 21:9,13 22:12 24:7 27:5 unforeseen 23:11 working 16:6,22 23:4 thousand 35:12 43:8 universities 38:11 works 18:23 29:3 time 10:13,16,21,24 11:8 12:6,9, university 12:22,24 40:21 world 38:11,24 40:6 41:19 15,17 13:25 15:1,14,23,24 16:25 UNLV 10:8,12 15:14 16:5 25:19, worse 20:17,20 23:15,18 17:6,13 18:15 22:5 24:19 25:21 21 26:7 43:15 26:19 27:13 29:8,25 33:16 34:7 worst 14:18.23 17:7 36:10,24 41:4 42:13 **unusual** 26:18 wouldn't 21:21 28:25 times 16:3 33:3 usual 15:22 16:1 write 20:18 tingling 13:11,18,21 16:20 26:9 **writes** 12:9 V 30:18,22,23 31:25 written 40:24 tissue 23:7 26:21 29:12 valid 37:23 wrote 20:15 35:9 36:14 37:5 told 19:4,5 27:6 33:8 43:14 view 24:20 tolerating 17:19 violation 13:2 torn 26:25 Χ visit 12:1 13:17 17:23,24,25 touched 17:15 31:8 visit...interval 17:4 X-ray 24:18 trainers 14:12 16:6 training 17:19 W Υ treat 27:1,8,9,14 29:4,5 34:13 walk 10:18 year 11:25 12:11 14:9 16:1 22:2 treated 30:21 32:8,10,24 43:6 wanted 20:11 24:20 treating 18:20 42:3 **years** 10:13 15:22,24 35:11 37:20 wanting 16:12 38:4,19,25 41:8,10,24,25 42:1,7, treatment 16:3 19:22 32:9,10 was...i'm 36:4 17,20 43:1 34:17,23 35:2,4,14,16,23 36:2,4, 12.20 43:8 young 16:1,12 38:5 39:8,10 wasn't 17:20 20:8 25:15 34:15. treatments 18:20 35:11 38:1 16,17 you'd 39:21 trial 11:24 12:1,11,17 33:10 water 21:4 you'll 10:10 12:11 16:9 21:18 true 39:11 40:14 week 10:12,23 11:24 12:1 13:20 you're 16:22 22:24 23:3 28:15, 22:25 33:2 36:2 23 turn 10:18 23:22 weeks 13:11 21:17 23:2,4,7,9 turned 24:8 you've 19:9 22:2 29:2 33:10 **Yu** 36:5,6,8,9,10,23,25 37:3,10,12 type 20:22 34:22 36:11 weight 10:21 38:15 39:3.4.14 types 37:25 weights 23:6 Yup 41:8 typical 17:5 we'll 10:9 12:12 13:17 21:6 27:24,25 33:11 34:12 37:10,11 **typo** 20:15 we're 21:23 33:21 U we've 38:5,6,7 what's 11:2,8 17:22 19:21 28:4 ultimately 13:25 33:16 36:23 38:19 undeniable 22:15 who's 16:13 undergo 37:14 39:6 word 31:7 understand 16:12 21:5 33:16 work 14:12 16:7 23:8,24 24:9 underwent 37:15 33:2

How to Shine

CLERK OF THE COURT

ORDR DENNIS M. PRINCE, ESQ. Nevada Bar No.: 5092 TRACY A. EGLET, ESQ. Nevada Bar No.: 6419 DANIELLE TARMU, ESQ. Nevada Bar No.: 11727 5 EGLET PRINCE 400 South Seventh Street, #400 Las Vegas, Nevada 89101 dprince@egletlaw.com teglet@egletlaw.com dtarmu@egletlaw.com (702) 450-5400 phone (702) 450-5451 facsimile Attorneys for Plaintiff 10

DISTRICT COURT

CLARK COUNTY, NEVADA

BEAUR. ORTH,

Beau R. Orth

Plaintiff,

ALBERT H. CAPANNA, M.D.;

ENTITIES I through X, inclusive,

Defendants.

DOES I through X; ROE BUSINESS

VS.

18

11

12

13

14

15

16

17

19

21

20

22

23

25

26

27

28

This matter having come on for hearing on the 14th day of August, 2015; Dennis M. Prince, Esq., and Danielle Tarmu, Esq. of Eglet Prince appearing on behalf of Plaintiff, Beau Orth; and Anthony D. Lauria, Esq. and Paul A. Cardinale, Esq. of Laura Tokunaga Gates & Linn, LLP, appearing on behalf of Defendant Albert H. Capanna, M.D. The Court, having read

the moving papers and heard oral argument by counsel, and hereby DENIES Plaintiff's

CASE NO.: A-11-648041-C DEPT. NO.: III

ORDER REGARDING PLAINTIFF'S MOTION TO STRIKE UNTIMELY DISCLOSURES ON ORDER SHORTENING TIME

1

l	Motion to Strike Untimely Disclosures on	Order Shortening Time and Defendant's Counter-
2	Motion to Exclude Improper "Supplementa	al" Disclosures and Claims for Future Damages.
3	Dated this 20 day of August, 201	15.
4		
5		
6		DISTRICT COURT JUDGE
7 8	DATED this 20 day of August, 2015.	DATED this day of August, 2015.
9	Respectfully Submitted By:	Approved as to Form and Content:
10	EGLET PRINCE	LAURIA TOKUNAGA GATES & LINN
11		
12	1/2 1/2 mm	1/20 1 Galles Co \$33916
13	DENNIS M. PRINCE, ESQ. Nevada Bar No. 5092	ANTHONY D. LAURIA, ESQ. Nevada Bar No. 4114
14	TRACY A. EGLET, ESQ. Nevada Bar No. 6419	KIMBERLY L. JOHNSON, ESQ. Nevada Bar No.: 10554
15	DANIELLE TARMU, ESQ. Nevada Bar No.: 11727	601 South Seventh Street, 2 nd Floor Las Vegas, Nevada 89101
16	400 South Seventh Street, Suite 400 Las Vegas, Nevada 89101	Attorneys for Defendant
17	Attorneys for Plaintiff	
18		
19		
20		
21		
22	*	
23		
24		
25		
26		
27 28		
\$0.		

Electronically Filed 08/22/2015 04:54:20 PM

Hun J. Lohn

CLERK OF THE COURT

EGLET WPRINCE

10

11

12

13

14

15

16

17

18

19

20

21

22

23.

24

25

26

27

28

ORDR DENNIS M. PRINCE, ESQ. Nevada Bar No.: 5092

TRACY A. EGLET, ESQ.

Nevada Bar No.: 6419

| DANIELLE TARMU, ESQ.

Nevada Bar No.: 11727

EGLET PRINCE

400 South Seventh Street, #400

Las Vegas, Nevada 89101

dprince@egletlaw.com

teglet@egletlaw.com

dtarmu@egletlaw.com

(702) 450-5400 phone

(702) 450-5451 facsimile

Attorneys for Plaintiff

Beau R. Orth.

DISTRICT COURT

CLARK COUNTY, NEVADA

BEAUR. ORTH,

Plaintiff,

CASE NO. : A-11-648041-C DEPT. NO. : III

VS.

ALBERT H. CAPANNA, M.D.; DOES I through X; ROE BUSINESS ENTITIES I through X, inclusive,

Defendants.

ORDER REGARDING PLAINTIFF'S
MOTION TO DECLARE NRS 42.021
AND NRS 41A.035
UNCONSTITUTIONAL

This matter having come on for hearing on the 14th day of August, 2015; Dennis M. Prince, Esq., and Danielle Tarmu, Esq. of Eglet Prince appearing on behalf of Plaintiff, Beau Orth; and Anthony D. Lauria, Esq. and Paul A. Cardinale, Esq. of Laura Tokunaga Gates & Linn, LLP, appearing on behalf of Defendant Albert H. Capanna, M.D. The Court, having read

1

the moving papers and heard oral argument by counsel, and hereby DENIES Plaintiff's

EGLET PRINCE

·	
Motion to Declare NRS 42.021 and NRS 41A	.035 Unconstitutional. The statutes pass
constitutional scrutiny under rational basis test.	
Dated this 🐴 day of August, 2015.	
	STRICT COURT JUDGE
DATED this 20 day of August, 2015.	DATED this day of August, 2015.
Respectfully Submitted By:	Approved as to Form and Content:
EGLET PRINCE	LAURIA TOKUNAGA GATES & LINN
DENNIS M. PRINCE, ESQ. Nevada Bar No. 5092 TRACY A. EGLET, ESQ. Nevada Bar No. 6419 DANIELLE TARMU, ESQ. Nevada Bar No.: 11727 400 South Seventh Street, Suite 400 Las Vegas, Nevada 89101 Attorneys for Plaintiff	ANTHONY D. LAURIA, ESQ. Nevada Bar No. 4114 KIMBERLY L. JOHNSON, ESQ. Nevada Bar No.: 10554 601 South Seventh Street, 2 nd Floor Las Vegas, Nevada 89101 Attorneys for Defendant

2 3 4 5 6 7 CLARK COUNTY, NEVADA				
DISTRICT COURT CLARK COUNTY, NEVADA)				
DISTRICT COURT CLARK COUNTY, NEVADA)				
DISTRICT COURT CLARK COUNTY, NEVADA)				
CLARK COUNTY, NEVADA				
/				
8				
BEAU ORTH,				
9 CASE NO. A-11-648041-0)			
10 DEPT. III				
11 VS.				
12 ALBERT CAPANNA, MD,				
Defendant.				
14				
BEFORE THE HONORABLE DOUGLAS W. HERNDON, DISTRICT COURT JUDGE				
DISTRICT COOKT JODGE				
MONDAY, AUGUST 24, 2015	MONDAY, AUGUST 24, 2015			
18 TRANSCRIPT OF PROCEEDINGS				
JURY TRIAL - TESTIMONY OF DR. ALLAN BELZBERG	Ì			
20 APPEARANCES:				
For the Plaintiff: DENNIS M. PRINCE	•			
TRACY A. EGLET, E	:SQ.			
For the Defendant: ANTHONY D. LAUR PAUL A. CARDINAL				
24	_,			
25 RECORDED BY: SARA RICHARDSON, COURT RECORDER				
-1-				

INDEX OF WITNESSES PAGE FOR THE DEFENDANT: ALLAN BELZBERG Direct Examination by Attorney Lauria Cross-Examination by Attorney Prince -2-

1			INDEX O	F EXHIBITS	<u>S</u>		
2						<u>PAGE</u>	
3	FOR T	HE DEFENDANT:					
4	NN I	Dr. Allan Belzberg CV				14	
5							
6							
7							
8							
9							
10							
11							
12							
13							
14							
15							
16							
17							
18							
19							
20							
21							
22							
23							
24							
25							
				-3-			

1	Monday, August 24, 2015 at 1:08 p.m.		
2			
3	[Inside the presence of the jury]		
4	THE COURT: And Mr. Lauria, you are going to call?		
5	MR. LAURIA: Your Honor, I'm going to call Dr. Allan Belzberg.		
6	THE COURT: Okay. Dr. Belzberg, if you could come on up here, sir.		
7	Actually before I take this, are you guys going to use the exhibit book		
8	with him as well?		
9	MR. LAURIA: We probably will.		
10	THE COURT: Okay. I'm going to leave that for you.		
11	MR. LAURIA: We also need defendant's book that has thank you.		
12	THE COURT: Feel free to set that wherever you want for right now.		
13	THE WITNESS: That's fine.		
14	[Colloquy between counsel and the Clerk]		
15	THE COURT: Okay. And Doctor, if you just raise your right hand please, sir		
16	ALLAN BELZBERG		
17	[having been called as a witness and being first duly sworn, testified as follows:]		
18	THE CLERK: You may be seated. Will you please		
19	MR. LAURIA: May I approach?		
20	THE COURT: You may, yeah.		
21	THE CLERK: Will you please state and spell your name for the record?		
22	THE WITNESS: Allan Joel Belzberg.		
23	MR. LAURIA: Thank you.		
24	THE COURT: Okay, Mr. Lauria.		
25	MR. LAURIA: Thank you.		

	Q Is your 25 years with Johns Hopkins University, have you been					
teaching people about spine surgery, brain surgery and surgery related to nerves						
	A	Yes.				
	Q	All right. Have you been teaching people that are fellows trying to learn				
neurosurgery both in both orthopedists and neurosurgeons?						
	A	Yes, we have fellows who rotate with us who are doing a spine				
fellowship in neurosurgery. We also have fellows in the orthopedic department wh						
will come and do a spine fellowship, but they'll spend time with me as well.						
	Q	Can you expand a little bit, Doctor? I know you don't like to overboard,				
but can you tell the jury a little bit about you and your training and experience and						
what you do at Johns Hopkins?						
	A	Okay. So my current duties shall we say, I spend approximately 70 to				
80 percent of my time in clinical practice performing neurosurgery. The bulk of my						
practice is in what's called spine and peripheral nerve. So I do very little brain						
surgery now; I've really concentrated on spine and peripheral nerve. And then abo						
	20 to 30 percent of my time is actively doing research, and that includes both basic					
science research as well as clinical research.						
Part of my duties are teaching so I have 21 neurosurgery residents at						
any one time who we are training. We have an additional three spine fellows who						
we are training at any one time. And then I travel fairly extensively giving lectures,						
visiting professorships and so on.						
ı	1					

Q So let me just break that down for a minute. You have in your department 21 residents in neurosurgery who are attempting to learn how to become neurosurgeons, right?

A They spend seven years -- I think you heard some of that grueling part

you and the people that are working under you and the other co-director study and learn about how to treat -- take care of pain including spine pain like we're talking about here?

A Yes.

Q Have you received any research grants from other places to study things such -- related to spine or treatment of spine and spine pain?

A I have an active laboratory. We have number of grants that we work on related to pain related to spine. One issue we're dealing with right now is certain tumors that affect the spine. We do active research trying to understand why it causes pain and how to decrease the pain associated with the spine from the tumor.

Q We've called you and counsel was nice enough to let me call you out of order today because you actually have to catch a flight -- the last flight that will get you back in time is at 4:50 back to Baltimore to Johns Hopkins University?

A Yes.

Q All right. And you have a surgery tomorrow you just told me a little bit about. What are you having to do tomorrow?

A We have a young man from Central America who was operated on in Central America once for a tumor and unfortunately they were unable to get the tumor out. He has a very extensive tumor that involves the abdomen, the chest and the spine, and we've put together a team of a cardiac surgeon, abdominal surgeon, thoracic surgeon and myself, the neurosurgeon. I head the team and we're doing this operation tomorrow.

Q All right. So you have three surgeons in addition to yourself that are planning this surgery tomorrow. You have to be back.

A Yes.

Q	All right.	When you are training fellows in neurosurgery at Johns				
Hopkins, are they the ones that primarily do the surgical procedure or does						
someone	else primari	ly do the surgical procedure?				

A So during your training you're going to progress through your training through your seven years in terms of responsibility at the time of surgery. You'll be given more responsibility obviously as you get more mature and skillful in your set. Basically the what we call the critical portions of any case is always performed by the attending surgeon, someone such as myself, but how much you will perform of the surgery outside of the critical portion will depend on your level of training and basically how good you really are.

- Q All right. So whenever someone is in a fellowship or a residency, if they do any surgery, it is under the direct observation and direction typically of the attending?
 - A It is under the supervision of an attending, yes.
- Q Okay. And so the -- and the critical or central or core part of it is typically has to be done by the attending; is that true?
 - A Yes.
- Q All right. Is Johns Hopkins, I guess, for lack of a better term, a tertiary referral system; that is, hospitals all around the East Coast area will refer the difficult cases, the troubling cases that people in a community can't handle?
 - A So tertiary hospital has certain definitions. Johns --
 - Q Maybe I used the wrong term but --
- A Johns Hopkins certainly gets referrals I wouldn't say from the East Coast. We get referrals -- I personally get referrals including from Los Angeles. I've had patients from Las Vegas. We get patients from all parts of the United States

that Dr. Cash put up there?

A So one wants to be as accurate as possible and provide objective testimony. If you look at an MRI, an MRI consists of hundreds of slices often, so hundreds of different images will make up this entire picture.

Q So when you say a slice, can you explain how that works or what that means?

A So this is a static picture obviously and it's one slice through your body. And it may be in the midline, may be off to one side or the other. But in the series of MRI when the MRI is done, you move right across your area of interest with numerous slices. Each slice you look at individually and you put it all together to get three dimensional anatomy.

If you only see one slice, that obviously doesn't tell you what's doing just beside it. And if you compare pre-op to post-op and you're looking at two slices, you would expect those slices to be the same anatomy taken at the same place. If I move a little bit to my left on one and a little bit to my right on the other and they're not the same place in the body, then it's really not appropriate to compare and say well this is the pre-op exactly how it looked and this is the postop exactly how it looked.

When I saw the two images that were put up and somebody says -- and Dr. Cash says yes, this is the pre-op/post-op same image and here's the difference, in fact they're not the same image; they're clearly not the same anatomy. And it's very obvious to me because this is what's called the spinal cord, and you see it very clearly here this structure. And in one of the images you saw the spinal cord and in the other image you didn't. You were off the midline so you couldn't see it so they were clearly two different images.

Now, when I say that it's very important if somebody starts by saying I'm going to compare these, I'm saying these are the same image pre and postop and now I'm going to show you the differences, you're already starting by -- you know, by that's just not true. They're not the same image. They're taken in a difference place in the body so you -- either you say that up front and put a series of images so you can compare or I believe that's misleading.

When I look at this particular image and we talk about the discs, I would agree that this disc, which is the L4-5 disc, is probably a little bit compressed or a little shrunk. But I heard stated that it's bone on bone. That is not bone on bone. That's not even close to bone on bone. If all of this disc had completely collapsed and this bone was literally touching that bone, sure we would call that bone on bone which is very severe. That's severe degeneration. That's simply not what's here. I think a lot of the verbiage used is simply inaccurate and misleading.

- Q All right. So just so I understand, how wide are the slices typically taken? How far apart are they?
- A So it depends on the machine and depends on how the radiologists set them up. They can be a few millimeters or more, really depends on how you set it.
 - Q So a few millimeters being that maybe four or five millimeters?
- A So if we think of a centimeter three -- 2.54 centimeters in an inch and you can work backwards from that.
- Q Okay, I'm not good at that math but -- we're talking I mean these slices are taken very close together?
 - A They're very close together, yes.
- Q But it's important that you be in the same orientation as opposed to trying to compare a slice that's taken in the center of your forehead versus a slice

taken over here, you're going to get very different views?

- A You're looking at different anatomy.
- Q All right. And so then to try to compare those if in teaching a fellow or a resident or evaluating someone who is trying to become a board certified neurosurgeon and they put up different images like that or different slices and wanted to show a comparison, what would your opinion or response be?

A It's very reasonable to say that I'm going to compare a pre-op MRI with a post-op MRI and look at the difference and see what's better, what's worse and so on. It's an appropriate thing to do. The radiologist routinely does that and I would agree that a spine surgeon should have some competency in looking at the MRI themselves and also understanding the differences; what's different, what's the same, what's progressed and so no. But when you take just one slice away and you say here's all I'm going to let you look at is one slice over here and one slice over here and they're not the same slice, then that's very misleading.

Q The fluoroscopic image that was put up that -- the last thing that Dr.

Cash had up there, that represented after the surgery was essentially over, correct?

Or at least the instrument was actually in the disc itself?

A When we heard the original testimony and what I commented to you at that time was that they put up a fluoroscopic image and Dr. Cash stated that the critical portion of this image, what was critical is that he puts an instrument on the surface of the disc to identify the disc before he goes into the disc because it's absolutely critical to only go into the disc if it's the right disc.

- Q So his testimony at that time --
- A As I understand it.
- Q -- when he showed it was I do this to identify the level, I put it up to the

3

5 6

7

8

10

11

12 13

14

15 16

17

18

19

2021

22

2324

25

disc?

A My understanding of what he was testifying at that point this morning was this is a critical step. You have to have the instrument right on the disc because once you violate the disc, game over if you've gone to the wrong level. I can't remember the exact words, I apologize, but I think that was the summary of it. My concern at the time was I'm looking at a fluoroscopic image that in fact the instrument was not at the surface of the disc, the instrument was inside the disc. You had already violated the disc. So I'm looking at an image that shows me an instrument in the disc and I'm listening to testimony about how critical it is to put the instrument on the surface of the disc before you violate it. So yes, I had trouble with putting that together.

- Q Okay, because clearly the disc had already been violated there?
- A Yes.
- Q And have they put -- have they shown you any images from Dr. Cash where he did any of those pre-violation steps that he talked about where he had it on the skin or through the skin or before he violated the disc? Have you seen any of those?
 - A No.
 - Q All right. You have read Dr. Cash's records in this case?
 - A Yes.
- Q And we'll put them back up there, but did you note that Dr. Cash indicated before he did surgery or at the time he did surgery on this patient that he anticipated that Mr. Orth was going to have a good result?
 - A Yes.
 - Q All right. And did you hear him testify this morning that now before he

2

did surgery he had decided he probably wasn't going to go back to playing football? Did you hear that?

All right. Doctor, in your opinion as a neurosurgeon who's been treating people for 25 years, given the presentation that Dr. Cash had, do you think to a reasonable likelihood or reasonable probability that your opinion would have been more likely than not he's going to get back to play football?

So it's -- going through that the initial presentation is that he has --

MR. PRINCE: Your Honor, object -- this is totally an undisclosed opinion by this doctor and I want to approach --

THE COURT: Well approach --

MR. PRINCE: Yeah.

THE COURT: -- approach the bench.

[Bench conference at 1:34 p.m. - not transcribed]

THE COURT: All right. Ladies and gentlemen, we're going to take a break for a minute. During the recess you're admonished not to talk or converse among yourselves or with anyone else on any subject connected with the trial; read, watch or listen to any report of or commentary on the trial by any medium of information, including without limitation newspapers, television, internet or radio. Thank you. Please step outside with Joel.

[Jury out at 1:38 p.m.]

THE COURT: Okay guys, where is it you need me to look?

MR. PRINCE: All right -- me just set up my objection. We -- and if obviously that Dr. Belzberg is now going into something that's not contained his report

THE COURT: Actually hold on. Dr. Belzberg, you can step down. You don't need to stay up there. Thank you, sir.

THE WITNESS: Thank you.

MR. PRINCE: -- relating to outcome of surgery, being able to go back to playing football. Dr. Belzberg prepared initially an undated report -- it's Exhibit 8 to our trial brief, number one, which you have there in front of you.

THE COURT: Right.

MR. PRINCE: It's undated. Part of the materials he -- I described that he reviewed was medical records from Dr. Cash and Southern Hills Hospital and if you look at summary of events then regarding standard of care, he only talks -- he gives under the standard of care section which is page 3 of his report --

THE COURT: Right.

MR. PRINCE: -- he talks about what he -- the anticipated testimony of Dr. Capanna's going to be. He does not comment on not one singular record after Dr. Cash's surgery, even though he has Dr. Cash's records in his possession. He doesn't talk about going -- his prognosis, doesn't talk about going back to play football, he doesn't talk about Dr. Cash's surgery in any respect, nothing.

THE COURT: Okay.

MR. PRINCE: What Dr. Cash's recommendations were -- fast forward even to his report dated July 20th, 2015 -- he only has two reports. The only thing he talks about in the July 20, 2015 is that in general, a microdiscectomy does not change the natural history of the progression of degenerative disc disease. Other than that, he has no other opinions. That's all he's articulated and as a 16.1(a)(2) expert, he is required to set forth the basis for his opinions, everything he reviewed and his actual opinions. He does not -- so it wasn't our job to go take his

25

depositions and ask hey, do you have any other opinions. He's required to set them forth.

We're not even -- we don't even have to take a deposition of him if we don't have to and that wouldn't even be a defense that hey, you didn't take a deposition of the witness. The whole point of the rule requiring expert witness reports is we're entitled to rely upon that's their basis, that's the records they reviewed, that's the basis for their opinions and finally their opinions.

So now we're starting to get into other things regarding Dr. Cash's surgery which he's never ever ever before expressed and I challenge the defense to find one portion of the deposition transcript anywhere he comments on Dr. Cash's surgery, critical of it, or Mr. Orth's prognosis or his ability to return back to football.

MR. LAURIA: Well I --

THE COURT: Well I think Mr. Lauria acknowledged when he was questioning Dr. Belzberg early on that he wasn't asking him nor was he retained or hired to comment on Dr. Cash's surgery.

MR. LAURIA: Correct.

THE COURT: Okay.

MR. LAURIA: He's not commenting on --

THE COURT: Okay.

MR. LAURIA: -- Dr. Cash's surgery.

MR. PRINCE: Well but he's just getting ready to --

THE COURT: I'm just talking about that aspect of what you said. The aspect of what you said about his prognosis and predictions for his ability to continue on playing football, I understand that's a concern as well so Mr. Lauria.

MR. LAURIA: Your Honor, I don't have those expert reports in front of me. I

THE COURT: Hold on, hold on, let Mr. Lauria finish, please. MR. LAURIA: So the argument -- the statement that Dr. -- that there was nothing in Dr. Belzberg's report where he's going to talk about the future prognosis is false because it's clearly in here and it's specific to Mr. Orth, not just in general. THE COURT: Okay, well let's --MR. LAURIA: It's specific to him so --THE COURT: -- let's kind of -- I need to kind of rein you guys in. The focus is a question about his ability to play football, not about whether he's going to have surgery or not have surgery. I agree that aspect is in there. We talked about that previously. MR. PRINCE: Right. So Dr. Belzberg in his initial report does not comment on any aspect of care after Dr. Cash's surgery, nothing. THE COURT: Okay. All right. MR. PRINCE: In his deposition -- I'm reading on page 12 of his deposition --THE COURT: Right, but --MR. PRINCE: -- he -- excuse me, he identifies --THE COURT: -- but -- hold on, hold on, hold on. Okay. But we went through this. You all moved to strike the supplemental disclosures. I denied that. So the supplemental reports are fair game. MR. PRINCE: I understand. THE COURT: Okay, where -- and if his supplemental reports is talking about future prognosis in terms of needs for surgery or not needs for surgery, that's fine. 24 I'm talking about the singular issue that the objection was raised to right now.

MR. PRINCE: I understand that.

MR. PRINCE: -- I'm on page 12 -- excuse me.

THE COURT: Okay.

MR. PRINCE: Because he -- we talked about -- you said kind of if it's in a deposition, if it's in the report, it's noticed and that's one way --

THE COURT: Yes.

MR. PRINCE: -- we're going to look at it.

THE COURT: Absolutely.

MR. PRINCE: So his initial report does not talk about prognosis, doesn't talk about the propriety (phonetic) of Dr. Cash's original surgery, about Beau Orth being able to return back to playing football, nothing.

THE COURT: Okay.

MR. PRINCE: We asked him in his deposition what materials have you received. He then says on page 12, a letter from November 6, 2014 I received from counsel on a CD, a -- you know, MRI from Desert Radiologist 2009, 2010, 2012, Steinberg diagnostic MRI, ortho --

MR. LAURIA: Judge, I'll withdraw the question.

THE COURT: Hold on, please.

MR. PRINCE: And so then he goes on to but I -- because I want to be able to establish some parameters about this. And he says he's never -- was never asked to author a supplemental report. When you go to his supplemental report, the only other report he generated, he does not comment on any postoperative film, not a single postoperative film from Dr. Cash meaning 2012 or 2014. He doesn't comment on anything concerning Beau's symptoms or his condition. He's only talking about in general the progression of disc disease following microdiscectomy and he does author -- express an opinion with respect to that. He does not go into anything specific -- all he says is Mr. Orth will not require lumbar surgery in the

9

10

11 12

13

14

15

16

17 18

19

20 21

22

23

24

25

have the scope of this down because --

THE COURT: Look I'll sustain the objection to the question that was asked in regard to could he return to playing football since that wasn't in the initial, that wasn't in the supplement any kind of an opinion about that. But I do find, and I found it before and that's why I allowed the supplemental report to continue to go forward, that he expresses opinion postoperatively post Dr. Cash operatively in the future this is Dr. Belzberg's opinion about what he would not require. That they can ask him about. Okay? I don't think that was really the question we got to before the objection was raised, but I'm just prospectively telling you they can certainly ask him questions about that.

MR. PRINCE: So --

MR. LAURIA: I'm sorry, which one can I ask --

MR. PRINCE: So when we --

MR. LAURIA: Now I'm --

THE COURT: The things that are in line with the supplemental report that he gave that he doesn't expect that he should need lumbar fusion surgery in the future.

MR. LAURIA: And he talks about everything progressing from the L5-S1 herniation. I mean --

MR. PRINCE: No he doesn't, Judge.

MR. LAURIA: Excuse me. Can I finish please? He says Mr. Orth suffered a disc herniation at L5-S1 and this is the cause of ongoing pain problems, not the fact that two microdiscectomies were done.

THE COURT: Right.

MR. LAURIA: It covers the whole spectrum.

THE COURT: I agree.

MR. LAURIA: Thank you.

MR. PRINCE: That covers the whole spectrum? Because this is unfair, Judge; let me say why. Let me say why. We asked him in his deposition -- so we could flesh this out, we asked him about -- when we -- we went took his deposition in May of 2015 --

THE COURT: The deposition was prior to the supplemental report.

MR. PRINCE: I understand but I want to tell you what he already knew at the time of his deposition.

THE COURT: Okay.

MR. PRINCE: He already had those records I'm telling you about.

THE COURT: Okay.

MR. PRINCE: The question was if you have reviewed documents subsequent to your report that challenged -- that challenge or change any of your opinions in that report or made your opinions in that original report medically unsustainable, would you have changed your report. I don't know that I would have changed my report and in that I was not asked to address my report or do an addendum to my report. Again I'm trying to answer your questions.

THE COURT: Understood.

MR. PRINCE: He never authored any opinions based upon any additional medical records. He's got to be limited to just hey, the -- on the fusion issue, I understand what your ruling is. You've already made clear what your ruling is. That doesn't mean you get to draw this huge inference now and saying well everything goes back to that, now I'm going to go through very specific records -- radiology films that he never did before. He had them. He had the ability to comment on them --

we use in neurosurgery as a probe which is typically what's called a Penfield number 4. That's a type of instrument. It is -- it has a dull tip to it and that's commonly what you use to palpate when you're doing these types of procedures. That probe, even though it does have a dull end, certainly could inadvertently enter a disc. We've seen that -- I've done that myself where I've inadvertently entered a disc with a Penfield 4 where I did not intend to.

Q So you yourself using the probe that's commonly used in neurosurgery, by your probing around because you have to move tissue out of the way and to observe what you're doing. Can you explain how that occurs? Just using an MRI maybe?

A You would be -- you would be using the instrument and again, we wish we had a perfect view. Even with the microscope and great surgery you don't always see exactly what you need to see or everything that you need to see. So you're using the probe to move tissues over and to gently palpate and trying to find -- for example, you might be finding the junction of where the disc starts and where the bone ends and you're sort of -- we call it palpating, you're tapping along. Now unfortunately sometimes you're tapping and you're pushing and you're trying to understand that anatomy and you can in advertently actually go into the disc space with your probe.

- Q Has that happened to you?
- A Yes, it has.
- Q All right. Has it happened to your colleagues in neurosurgery at Johns Hopkins?
 - A Yes.
 - Q All right. So you disagree with the statement that a probe can't cause a

-- can't enter the disc space and cause a rent or a tear there?

A Oh you can actually -- absolutely cause a rent or a tear into the disc with a Penfield 4 with an instrument like that.

- Q Because you've done it?
- A Yes.

Q All right. Do you believe, Doctor, that five weeks after a surgical procedure such as was performed here and in an area with a significant amount of scarring around the area of the disc that you would be able to identify the difference between a rent or a perforation or an entry into the disc from a probe versus some kind of cut or a circle?

A So one might expect that there -- and what Dr. Cash describes is scar tissue. This would be scarred and at approximately four weeks you would have a fairly dense scar present. It would be very, very difficult, if not impossible, to look at that and say you know that this was done with a knife versus a probe and to say that it's cut as a square or a box versus cut as a cruciate and so on. You would be -- probably be able to tell that if you got in within a week or less; there wouldn't be sufficient scarring, but past a week the area's going to be scarred. You would not know -- you would certainly know there'd been a rent. So you can tell that the disc in that area either would still have a little bit of a hole in it or when you palpate it, it would be soft. But I don't believe and certainly in my experience I would not be able at a month going back on an operation tell you exactly how that hole was made, what instrument was used and whether it was done as a cruciate single cut and so on.

Q All right. So you in 25 years as a professor of neurosurgery wouldn't be able to tell whether that was a palpation by a probe inadvertently or was cut

A The last description which is what I made a note on was it was a colossus or colossal disc herniation fragment.

24 25

Q I don't even know how to spell colossal but I'm going to try. Doctor,

the jury?

A I don't think because somebody has a discectomy that you know that patient is going to require a spinal fusion down the road. As a matter of fact, the published literature would suggest that for somebody who has had a microdiscectomy, the likelihood that they will need a spinal fusion is clearly less than 50 percent and it's in the order of, depending on the paper that you read, anywhere from 10 percent to as probably as high as 35 percent. So it is less likely not more likely than not that based on just having had a discectomy that you will need a fusion in the future.

- Q So the testimony to -- by Dr. Cash that because patients have a discectomy, more likely than not they're going to require a fusion is not in conformity with what you teach your residents or fellows?
 - A No, I certainly would not be teaching that.
- Q What do you teach your residents or fellows about what the likelihood is of a need for a fusion after a discectomy?
- A First thing is we don't know. That's the starting point. The literature is controversial on it. We tell patients that once you've had some sort of spine problem, not uncommon that you may have further spine problems in the future. We coach them on trying to have good spine care so that you minimize any risks of spine problems in the future, but I certainly would never tell a patient and I would be very upset if one of my trainees told a patient that just because you're having a discectomy, there is more likely than not and more importantly what was said is a hundred percent assured that you're going to need a fusion in the future. Again the published literature is clear that it is less than a 50 percent likelihood.
 - Q All right. And significantly less, maybe from the 10 to the 30 to 35

percent range at most given all of the studies that you're aware of or you've looked at from around the world?

- A Correct.
- Q And the way that that's study and -- strike that. And that's again in these peer review journals where somebody looks at data, scientifically studies it, writes an article and it gets published by the editorial board approves it?
- A This includes our own literature, meaning studies that we've put out of Johns Hopkins on our own patients. Yes.
- Q All right. So Johns Hopkins has conducted its own studies and haven't come anything close to more than 50 percent of patients who have a discectomy are going to require a fusion?
 - A Correct.
 - Q So you believe that testimony is misleading from a medical standpoint?
 - A Yes.
- Q The way that I understand these studies, at least looking at this, would be a retrospective analysis, true?
- A The best studies are always prospective, meaning you study them in the future, but virtually all of these studies are retrospective. You go back and look at the data.
- Q Because you're not going to cause a disc herniation in someone and then say we're going to do a discectomy and see what happens to you in the future. The way typically these studies are done is you go we're going to take patients that have had discectomies in all different categories, some studies look at young patients, some studies look at one level, some studies look at two levels, but you take this group of patients who've had the procedure and then you look periods of

time down the road and say how many have had re-operation, how many have done well, how many have done poorly, how many require a fusion. Is that a fair --

A It is. Sometimes you collect data and it gets into a little bit of the terms how you want to use them. Sometimes you look back on your data, sometimes you prospectively collect the data so you're -- as you go forward, you're collecting the data. But these are not randomized studies, these are -- and again when we talk about evidence, these would be level three evidence where you're simply collecting the data and looking at it over time.

Q And do -- are there -- some of those studies include -- I mean I've seen one that's from Korea where they studied 11,000 patients in the patient population because of a national health system they can do that, right, and go back and look at a whole year of all those patients?

- A Yes.
- Q Some had very large patient populations.
- A Yes.

Q All right. Would you agree, Doctor, that typically any patient undergoing a microdiscectomy that even in the best of hands, best of care, that there's a two to three out of 10 of them are going to continue to have back pain after that's done?

A Yes, we quote our -- our patients are told that if you have a -- if you're going to need a microdiscectomy and you have back pain and leg pain when you present, there is a eight to nine out of 10 chance that you'll be very happy with the surgery. Unfortunately, that also means there's a one to two out of 10 chance that you will have persisting pain. If we look at the published data on this again and you look at microdiscectomies long term how do patients do, it's probably as high as about 30 to 40 percent of patients will have continuing back pain or leg pain.

	0	In the studies leaking at nationts who as an to have fusion often
	Q	In the studies looking at patients who go on to have fusion after
microdiscectomy, as I understand it, your review of those studies there's not a single		
published peer-reviewed study that you're aware of that indicates the incidence is		
greater than 50 percent or more probable than not; is that true?		
	Α	I have not taken a specific review to look at that. When I say that there

A I have not taken a specific review to look at that. When I say that there are over 20 to 30,000 published papers on the topic of spine. So I've not reviewed every one of those papers specifically looking at this. But I certainly attend the lectures, give lectures and so on, on spinal fusion and I've reviewed papers as they come out. I have never seen a published paper that suggests a higher than 50 percent rate of fusion after discectomy.

- Q Fact the highest one you've seen I think you said was about 35 percent?
 - A About 38 percent I believe.
 - Q All right. And most are well below that?
 - A Most are somewhere between 10 and 30 -- again, 10 to 35 percent.
- Q And plaintiffs didn't question you during your deposition or identify for you any study or peer review journal article or any type of scientific medical literature that indicated that patients were likely to have fusions at a rate of greater than 50 percent?
 - A Not that I specifically recall.
- Q Okay. You work with the orthopedic spine surgery department at Johns Hopkins?
 - A Division, yes.
- Q Division. I'm sorry. Okay. And so their residents come through some of your spine training also?

- A Not so much their residents, their fellows.
- Q Their fellows.
- A So usually when you're in the -- if it's a resident, you tend not to do much spine as an orthopedist. But if you're doing a spine fellowship, then I see them, yes.
- Q So -- wait a second, so when you're an orthopedic surgery resident, your exposure to doing spine surgery, is it the same or different than a resident in neurosurgery's exposure to doing spine surgery?
 - A It would be very, very different.
 - Q Can you explain that?
- A In neurosurgery during your seven years of training, you're training to do spine and brain surgery, and I would say almost equally, so you're probably doing four to five hundred surgeries a year as a resident and it's -- depending on the institution you're training in, it's about a 50/50 split between spine cases and intracranial brain cases, and that goes on for seven years.

In orthopedics, the vast -- as we heard this morning, the vast bulk of your training is on joints and things like that; broken bones and so on. You're not training in spine. If you want to do spine as an orthopedist, then you would try to do an extra training like a fellowship after you complete your residency. For the neurosurgeons, you've already done seven years of that, and yet there still are some residents who when they finish neurosurgery will do an extra years sometimes.

- Q Okay. Let's go a little bit through Mr. Orth's history and again, I don't know where we are time wise --
 - MR. LAURIA: Do you know what times it is? I don't have a watch on --

of the physicians such as Dr. Cash up to that point, reviewing the reports of Dr. Ruggeroli?

- A Yes.
- Q Can you give us just give the jury -- again, I know your time's limited so can you give the jury an overview of what his course of treatment has been up to that point?
- A He had had two operations. He had been through therapy. He had had injections. And he was I assume doing the best he could to be active.
 - Q Were there large gaps where he didn't seek any treatment or care?
 - A Yes.
- Q Periods of a year, up to a year and a half where Mr. Orth didn't go to a therapist or didn't go to Dr. Cash or didn't go to Dr. Ruggeroli, didn't go to anybody?
- A The medical record that I reviewed has at least a year to a year and a half where there was no medical care delivered for spinal issues.
- Q All right. In fact, if the last record of May 14th by Dr. Ruggeroli until most recently just before trial he goes in and sees Dr. Cash and Dr. Ruggeroli on the same day, but up to that point that would have been a period of what, 14, 15 months just before this trial he hadn't sought any treatment, true?
 - A That's my understanding, yes.
- Q Would you agree with me, Doctor, is -- well tell -- strike that. I'm rushing because I'm trying to get you out of here. I apologize. In your opinion, Doctor, through the records that you've seen up to that point, what is the primary or what would be the primary cause of any complaints or problems the patient was having? Was it something to do with L4-5 or was it something to do with L5-S1 or was it a combination?

A Trying to understand the question so the -- what is the ideology or what is the cause of the pain that he's -- or that the symptoms he's having at what point?

- Q Sure. Let's take it from the time post Dr. Cash's procedure --
- A Yes.
- Q -- up until -- till March of 2014 when he's seen by Dr. Cash.
- A And you want to know what's the cause of that?
- Q In your opinion, yeah, to a reasonable medical probability.

A To a reasonable degree of medical probability he has problems that relate to having a problem at both L4-5 and L5-S1, and I would say it's a combination of both. It would be extremely difficult to parse out whether it's more of a problem from L5 or more of a problem from S1. So his complaints are back and leg pain. If you're very specific on where the leg pain goes in the foot, sometimes you can tell whether it's more S1 or L5. In this particular case, up until 2014, it wasn't that specific, so I would say it's just he had a bad back and was having pain secondary to his bad back.

Q In 2014 was there an indication of which dermatome or which nerve was not functioning appropriately or causing him pain or problems?

A There was no indication of weakness, so I can't say that -- as we had heard this morning about inability to get up on your toes or your heels. There was no indication that he was having weakness. There was indication of pain and the pain was not specific enough in terms of its -- where he's feeling it for one to be able to say that's clearly from 4-5 or from 5-1. I would say and I would have to say to degree of medical probability it's probably from both.

Q Okay.

MR. LAURIA: Do you have that pain diagram from March 14th?

-46-

All right. And that he started having back pain when he was playing the

24

25

Q

football season in August of 2008?

THE COURT: I'll sustain the objection. Let's move on.

MR. LAURIA: I think that is the --

THE COURT: I'm sorry.

BY MR. LAURIA:

Q Is that the only part you wanted right there?

A Sure. That makes sense.

MR. LAURIA: Thank you, Judge.

THE WITNESS: So in reviewing trying to understand the operation that was done the -- we're talking about the first operation. This would be a fair representation. Again we're splitting the body in half looking in from the side, front, back, top, bottom, and I fully agree with Dr. Cash he described this this morning. This would be the 5-1 disc herniation between the fifth bone and the S1 bone. This is the target that Dr. Capanna was going for. In his deposition and what he describes, at one point he feels that going this way, which would be definitely the traditional maneuver of getting into this area, he abandoned that for a period of time. He moved above this bone and tried coming this way. And in his description he says I nibbled a little bit of the L5 which would be this bone here. I nibbled a little bit of this bone and tried to aim this way to get to that disc space. That's my understanding of what he did.

Now when he was between these two bones in this area, he's palpating, he's using that probe and I believe he probed and entered that disc. And that's my understanding of what happened.

BY MR. LAURIA:

Q Doctor, is -- in your opinion, is it a recognized risk that when you're doing a surgery and probing that there may be some inadvertent entry into another structure?

Α	Yes.	That would be a	complication	from surgery,	yes.
			•••••••••••••••••••••••••••••••••••••••	,,,,	,

- Q And in your opinion would an inadvertent entry into the disc as you're probing, would that be malpractice or below the standard of care?
 - A No, that would not be considered malpractice.
 - Q You have had an inadvertent entry into a disc yourself when probing?
 - A Yes, I have.
 - Q It's happened to your colleagues at Johns Hopkins?
 - A Yes, it has.
- Q Doesn't mean you're being careless or not paying attention to what you're doing?
- A You certainly don't want it to happen and you're trying not to do something like that, but I would not consider it malpractice just because it happened.
- Q All right. Going on what Dr. Capanna has testified that he did and the reason he went up there, do -- the reason he was trying that approach, is there a cookbook or textbook that a surgeon in your opinion has to follow as to you can only approach this way and that's the only appropriate way to do it?
- A I think what you have to do is do what is -- what you're -- number one what you're trained to do, what you're comfortable with, what is reasonable, and what is reasonable is what would a prudent and reasonable surgeon do in a similar circumstance. Surgery is never cookbook. There's always going to be little twists to it. Just like when you're working on a car or doing something mechanical, it's never a hundred percent the way the book is. So you have to be prepared to alter your technique depending on what you're faced with and you do that in a responsible manner.

My understanding in this case is that Dr. Capanna did the traditional

approach to 5-1 by going into the 5-1 interspace. He then moved up to 4-5 and tried to come above the L5 lamina and angle into the space. That is certainly not the traditional way to do a 5-1 disc. He has an explanation as to why he felt under the circumstances that was something he had to try.

Now I heard this morning that it would be absolutely impossible to get to the 5-1 disc coming above the lamina. Again this is not my diagram, this is just a diagram. I think one can see that if you nibbled this corner and I take my straight line and go like this, I certainly can get to the 5-1 disc coming above the L5 lamina. It is not a traditional way of doing it, but if he felt under the circumstances that's what he needed to do to try, that's what he needed to do.

- Q So it is certainly not impossible to do it that way?
- A It's not impossible to do it that way.
- Q All right. And in your opinion does a surgeon who is attempting to relieve a problem have -- are they allowed to exercise judgment in what they think is best in taking care of the patient at the time?
- A A surgeon's going to do during surgery what they believe in the -- is in the patient's best interest.
- Q Do you exercise judgment when you're performing a procedure as to what's the best approach to do and it may be different this time than last time?
- A When a patient consents for surgery, they're basically consenting saying do what you believe is in my best interest and you're trusting your surgeon to act in their best interest.
- Q In this case the patient consented to an L5-S1 discectomy. If Dr. Capanna attempted to approach it by taking off a bit of the top of the lamina of L5 and approaching it that way and so was up in the area L4-5, did that in your opinion

mean that to a reasonable medical probability he violated the informed consent?

A Again, I would not consider that a traditional way of doing a 5-1 discectomy. I wasn't there at the time of surgery. I can only go by what the surgeon is saying in his deposition. He felt that he did not get the view that he needed going at 5-1, the traditional manner, and he was trying the approach from above. If he felt under those circumstances that was what he needed to do, that's what he felt he needed to do.

- Q Okay. And so that would not violate the consent to just do a certain level?
 - A It doesn't violate the consent.
- Q All right. Now, postoperatively some of the MRI films they show at least one cut of the 10/6 MRI. You've looked at that MRI also, true?
 - A Yes.
- Q All right. And in your experience and opinion, is -- are you less likely to see evidence of a surgical track in the area of L5-S1 than you would in the L5 -- L4-5 area?
- A In general terms when you do a microdiscectomy at 5-1 there's going to be much less scarring than at 4-5, simply because the 5-1 interspace is easier to get to in terms of removing ligament and not having to remove bone. So usually you can get to 5-1 without removing any bone and there's therefore going to be less scar.
- Q All right. So there's going to be -- part of the reason that you see the scarring in that area at L4-5 is because of the bone removal?
- A In general terms the operation at 4-5 and as you move higher, 2-3 and so on, there's more work you have to do to expose the disc. The 5-1 space in most

-61-

of the MRI, was that a reasonable method to address this patient's complaints at that time?

- A So --
- Q With non-surgical intervention?
- A The indications -- the absolute indication for surgery in a patient who has this presentation on MRI, a fragment, the absolute indication would be a progressive -- progressing neurological deficit, bowel or bladder problems from compression of the nerves or pain that can't be managed any other way. Those would be the absolute indications. In this case, it would be very reasonable to offer the patient surgery and it would be very reasonable to offer the patient non-operative care, including medication management.
 - Q All right.
- A Again approximately 80 percent of people -- even with a free fragment like this, approximately 80 percent of people will get better and it will vanish so to speak over time.
- Q All right. So 80 percent of people will get better with treatment with steroids or pain management?
- A Basically the fragment you're hoping will shrink. It's full of water when it first herniates. Over time it shrinks, so if you think of this like toothpaste that came out of the -- out of your toothpaste container, it's a big blob in the morning on the sink. But over time -- by nighttime it's shrunk down as the water's come out of it. The body resorbs the water as well from that fragment. So over time it does shrink, and we see patients who will have big disc herniations, fragments and so on who over time they -- they'll get better.

Now again, there are certain times when you'll wait and there's certain

Q	All right.	Based on the patient's complaints, his evaluations and those
studies, you	ı believe t	hat the primary source of his complaints at that time were
related to th	e L5-S1 s	space in the S1 nerve root?

- A We're talking about 2014?
- Q Talking about 2012 through 2014.

A Again I would say that I can't say for sure after his second operation whether his complaints are due to L4-5 or L5-S1. Without a clear cut sensory change that follows one root or the other, I don't believe I could say which level is causing the pain.

Q Do you believe anybody -- any neurosurgeon, any spine surgeon could be able to say his problems are because of L4-5 versus L5-S1? Do you think that's possible?

A I don't think that you would be able to tell -- back pain especially even more so than leg pain. You would not be able to tell whether the back pain is coming from one level, both levels, which one.

- Q Did this patient -- did Mr. Orth -- was he predisposed to have degenerative changes at the L5-S1 level given that he had a two-year disc extrusion before surgery was done?
 - A Trying to understand the question.
 - Q Sure.
- A So he had a disc problem at L5-S1. Does that predispose him to problems or is it the fact that he had that problem suggestive that he has a degenerative disc problem? I'm trying to understand the question.
- Q Okay. Does the fact that he has that problem suggest that there's some degenerative disc problem?

24

25

A So you can't it's very difficult to know the natural history of spine
disease. Most people do not herniate discs at the end of the day at that young age.
Whether he is predisposed to it, very difficult to say. You would say at L5-S1 when
you look at his original films, there were some changes in the joints. He already had
some thickened joints or facet hypertrophy, a bulging disc. At that point you would
say he has early degenerative disc disease in his spine.
Q Would you anticipate as a neurosurgeon that that was going to
progress over time?
A Very difficult to predict what's going to happen in spine.
Q All right. Is that one of the reasons that you believe you cannot say to a
reasonable medical probability that he's going to require a fusion sometime in the
future?

Again if I go to the data, it is -- what is published in the literature is that Α approximately 30 to 40 percent of patients maximum when you know they have degenerative disc disease can you predict that they will need a spinal fusion. So it's more likely than not that he won't need a spinal fusion. Everybody has degenerative disc disease; it's just a question of how bad, but as we age, all of our spines degenerate and certainly all of us don't need spinal fusions.

Q If -- just a hypothetical question. If the patient has a spinal fusion, would you -- does that provide relief of pain typically?

So in general terms if we're talking specifically about degenerated -degenerative disc disease, spine fusions for that indication, pain and degenerative disc disease, has in general a six out of 10 success rate in terms of patient satisfaction.

Q All right. Patients who have fusions are able to play sports, go skiing,

1

do all kinds of activities?

A Patients who have successful in terms if they're one of the six out of the 10, yes, they go back to very active lifestyles.

- Q All right. You have patients that you've done fusions on that are engaged in strenuous recreational sports?
 - A Yes.
 - Q Can you give me some examples of what they do that you're aware of?
 - A Waterskiing, jet skiing, snow skiing.
 - Q All right. Those are some of the most strenuous sports on the back?
 - A They're tough on spines.
- Q All right. I think I'm almost done I'm going to try to get you -- to a reasonable medical probability, do you agree that -- is it your opinion that Dr. Capanna complied with the standard of care of a neurosurgeon in his care of Mr. Orth?

A In listening and -- and again I -- I'm not a judge and I'm not a jury. I've read Dr. Capanna's deposition as to what he did at the time of surgery. When I listened to Dr. Cash this morning, he has a very different explanation of what happened at that surgery. If I believe what Dr. Capanna said, yes, he was well within the standard of care in what he did. Again a very unusual approach to 5-1, but would it be outside the standard of care? No. If I believe Dr. Cash that Dr. Capanna was never at 5-1, was only at 4-5, I would say that that was outside the standard of care.

- Q Can -- in your opinion, can a surgeon be at the wrong level and be within the standard of care?
 - A Yes.

1	THE COURT: about Dr. Belzberg	
2	MR. PRINCE: I understand.	
3	THE COURT: than I am	
4	MR. PRINCE: So I guess	
5	THE COURT: Obama.	
6	MR. PRINCE: I'm saying he's south	
7	THE COURT: So	
8	MR. PRINCE: of the airport. He we're north of the airport so I'm	
9	THE COURT: I just want you all to tell me what I'm assuming you have	
10	somebody available to take him right away just when	
11	MR. LAURIA: I got a car waiting down for him	
12	THE COURT: when you want to break.	
13	MR. LAURIA: at 3:00 so	
14	THE COURT: Okay.	
15	MR. LAURIA: He's got four other people	
16	MR. PRINCE: Okay.	
17	THE COURT: No, I know. I know I I'm more concerned about that than I	
18	am about	
19	MR. PRINCE: Okay.	
20	THE COURT: finishing this up today so all right, Mr. Prince.	
21	MR. PRINCE: Thank you, Judge.	
22	CROSS-EXAMINATION	
23	BY MR. PRINCE:	
24	Q Good afternoon, Dr. Belzberg. How are you today?	
25	A Good, thank you.	
	-70-	

to answer that, do I have patients where I've seen -- even my own patients or other

25

1	your deposition was taken by my law firm over the telephone in May of this year,			
2	correct?			
3	A Yes.			
4	Q And you read your deposition for preparation for the trial testimony,			
5	correct, obviously?			
6	A Yes.			
7	Q Something you would commonly do, correct?			
8	A Yes.			
9	Q And you took an oath just like you took an oath here today, correct?			
10	A Yes.			
11	Q Okay. And I'd like to have you start look at line 9 of page 44.			
12	A Yes.			
13	[Colloquy between counsel]			
14	MR. PRINCE: One second.			
15	MR. LAURIA: And Your Honor, just for clarification, I assume that we're going			
16	to permit publication of testimony of witnesses for cross or			
17	THE COURT: Well we don't use depositions of nonparties for any purpose. I			
18	are you just trying to ask him			
19	MR. LAURIA: Yeah, so he's got to be posted			
20	MR. PRINCE: Impeach him.			
21	THE COURT: to refresh his memory or what?			
22	MR. PRINCE: No, I'm trying to impeach him.			
23	THE COURT: Why don't you take it off the screen for a second, please.			
24	MR. PRINCE: Okay.			
25	BY MR. PRINCE:			
	70			

-90-

1	THE WITNESS: Thank you very much.
2	THE COURT: I appreciate you coming out here. It may be that we're going t
3	need to finish this up in some video type fashion, but your attorney will arrange that
4	with you, okay?
5	THE WITNESS: Thank you.
6	[Testimony of Dr. Belzberg concluded at 3:21 p.m.]
7	ATTEST: I hereby certify that I have truly and correctly transcribed the audio/visual
8	proceedings in the above-entitled case to the best of my ability.
9	
10	Though Legenheemen
11	y U
12	Tracy A. Gegenheimer, CER-282, CET-282 Court Recorder/Transcriber
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	