

No. 71348

IN THE SUPREME COURT OF THE STATE OF NEVADA

---

Electronically Filed  
Oct 15 2018 01:12 p.m.  
Elizabeth A. Brown  
Clerk of Supreme Court

EMILIA GARCIA,  
Appellant,

v.

ANDREA AWERBACH,  
Respondent.

---

**APPELLANT'S APPENDIX  
VOLUME XIII, BATES NUMBERS 3001 TO 3250**

---

D. Lee Roberts, Jr., Esq.  
Nevada Bar No. 8877  
Jeremy R. Alberts, Esq.  
Nevada Bar No. 10497  
Marisa Rodriguez, Esq.  
Nevada Bar No. 13234  
WEINBERG, WHEELER, HUDGINS,  
GUNN & DIAL, LLC.  
6385 S. Rainbow Blvd., Suite 400  
Las Vegas, Nevada 89118  
Telephone: (702) 938-3838  
lroberts@wwhgd.com  
jalberts@wwhgd.com  
mrodriguez@wwhgd.com

Corey M. Eschweiler, Esq.  
Nevada Bar No. 6635  
Craig A. Henderson, Esq.  
Nevada Bar No. 10077  
GLEN J. LERNER & ASSOCIATES  
4795 South Durango Drive  
Las Vegas, Nevada 89147  
Telephone: (702) 877-1500  
ceschweiler@glenlerner.com  
chenderson@glenlerner.com

## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
I	22 – 28	Amended Complaint	01/14/2013
V	1031 – 1282	Appendix of Exhibits to Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
V, VI	1304 – 1486	Appendix of Exhibits to Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
I	1 – 6	Complaint	03/25/2011
III	642 – 646	Decision and Order Denying Defendant Andrea Awerbach's Motion for Relief from Final Court Order	04/27/2015
III	623 – 629	Decision and Order Denying Plaintiff's Motion to Strike Andrea Awerbach's Answer; Granting Plaintiff's Motion for Order to Show Cause; and Granting in Part and Denying in Part Plaintiff's Motion to Strike Supplemental Reports	02/25/2015
I	164 – 165	Defendant Andrea Awerbach's Correction to Her Responses to Plaintiff's First Set of Requests for Admission	10/20/2014
III	630 – 641	Defendant Andrea Awerbach's Motion for Relief from Final Court Order	03/13/2015
I	96 – 163	Defendant Andrea Awerbach's Motion for Summary Judgment	11/08/2013
I	13 – 21	Defendant Andrea Awerbach's Responses to Request for Admissions	06/05/2012
I	29 – 35	Defendants' Answer to Amended Complaint	02/07/2013
I	7 – 12	Defendants' Answer to Complaint	01/23/2012
I	36 – 60	Defendants' Second Supplement to List of Witnesses and Documents and Tangible Items Produced at Early Case Conference	07/22/2013
I	61 – 95	Deposition of Andrea Awerbach [Vol. 1]	09/12/2013
I, II	166 – 391	Deposition of Andrea Awerbach [Vol. 2]	10/24/2014

## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
XXVI, XXVII	6441 – 6942	Deposition of Jared Awerbach	
III	581 – 616	Deposition of Teresa Meraz	01/08/2015
IV	948 – 997	Jury Instructions	03/08/2016
IV	998 – 1000	Jury Verdict	03/10/2016
VI, VII	1499 – 1502	Minute Order	08/22/2016
VII	1513 – 1554	Notice of Appeal	09/19/2017
III	647 – 649	Notice of Department Reassignment	08/27/2015
VII	1508 – 1512	Notice of Entry of Judgment Upon the Verdict	08/21/2017
III	617 – 622	Order Granting, in Part, and Denying, In Part, Plaintiff's Motion for Partial Summary Judgment that Defendant Jared Awerbach was Per Se Impaired Pursuant to NRS 484C.110(3); and Denying Defendant Jared Awerbach's Motion for Partial Summary Judgment on Punitive Damage Claims	01/28/2015
IV	946-947	Order Modifying Prior Order of Judge Allf	02/12/2016
VI	1487 – 1498	Order Re: Post –Trial Motions	08/12/2016
VII	1503 - 1507	Order Vacating Judgment as to Jared Awerbach only	08/21/2017
V	1001 – 1030	Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
III, IV	650 – 900	Plaintiff's Motion to Disqualify Defendant Jared Awerbach's Counsel Randall Tindall and Motion For Reassignment to Department 27 on Order Shortening Time and Request for Leave to File Extended Memorandum of Points and Authorities	09/08/2015
II, III	392 – 580	Plaintiff's Motion to Strike Defendant Andrea Awerbach's Answer	12/02/2014
V	1283 – 1303	Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
IV	933 – 945	Plaintiff's Trial Brief Regarding	02/10/2016

## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
		Permissive Use	
IV	901 – 932	Reporter's Transcript of Proceedings	09/15/2015
VII, VIII	1555 – 1765	Trial Transcript – 02/08/2016	11/10/2017
VIII	1766 – 1996	Trial Transcript – 02/09/2016	11/10/2017
VIII, IX, X	1997 – 2290	Trial Transcript – 02/10/2016	11/10/2017
X	2291 – 2463	Trial Transcript – 02/11/2016	11/10/2017
X, XI	2464 – 2698	Trial Transcript – 02/12/2016	11/10/2017
XI, XII	2699 – 2924	Trial Transcript – 02/16/2016	11/10/2017
XII, XIII	2925 – 3177	Trial Transcript – 02/17/2016	11/10/2017
XIII, XIV	3178 – 3439	Trial Transcript – 02/18/2016	11/10/2017
XIV, XV	3440 – 3573	Trial Transcript – 02/19/2016	11/10/2017
XV, XVI	3574 – 3801	Trial Transcript – 02/22/2016	11/10/2017
XVI, XVII	3802 – 4038	Trial Transcript – 02/23/2016	11/10/2017
XVII, XVIII	4039 – 4346	Trial Transcript – 02/24/2016	11/10/2017
XVIII, XIX	4347 – 4586	Trial Transcript – 02/25/2016	11/10/2017
XIX, XX	4578 – 4819	Trial Transcript – 02/26/2016	11/10/2017
XX, XXI	4820 – 5045	Trial Transcript – 03/01/2016	11/10/2017
XXI, XXII	5046 – 5361	Trial Transcript – 03/02/2016	11/10/2017
XXII, XXIII	5362 – 5559	Trial Transcript – 03/03/2016	11/10/2017
XXIII, XXIV	5560 – 5802	Trial Transcript – 03/04/2016	11/10/2017

ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
XXIV	5803 – 5977	Trial Transcript – 03/07/2016	11/10/2017
XXIV, XXV	5978 – 6203	Trial Transcript – 03/08/2016	08/23/2018
XXV, XXVI	6204 – 6422	Trial Transcript – 03/09/2016	08/23/2018
XXVI	6423 – 6440	Trial Transcript – 03/10/2016	08/23/2018



## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
I	22 – 28	Amended Complaint	01/14/2013
V	1031 – 1282	Appendix of Exhibits to Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
V, VI	1304 – 1486	Appendix of Exhibits to Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
I	1 – 6	Complaint	03/25/2011
III	642 – 646	Decision and Order Denying Defendant Andrea Awerbach's Motion for Relief from Final Court Order	04/27/2015
III	623 – 629	Decision and Order Denying Plaintiff's Motion to Strike Andrea Awerbach's Answer; Granting Plaintiff's Motion for Order to Show Cause; and Granting in Part and Denying in Part Plaintiff's Motion to Strike Supplemental Reports	02/25/2015
I	164 – 165	Defendant Andrea Awerbach's Correction to Her Responses to Plaintiff's First Set of Requests for Admission	10/20/2014
III	630 – 641	Defendant Andrea Awerbach's Motion for Relief from Final Court Order	03/13/2015
I	96 – 163	Defendant Andrea Awerbach's Motion for Summary Judgment	11/08/2013
I	13 – 21	Defendant Andrea Awerbach's Responses to Request for Admissions	06/05/2012
I	29 – 35	Defendants' Answer to Amended Complaint	02/07/2013
I	7 – 12	Defendants' Answer to Complaint	01/23/2012
I	36 – 60	Defendants' Second Supplement to List of Witnesses and Documents and Tangible Items Produced at Early Case Conference	07/22/2013
I	61 – 95	Deposition of Andrea Awerbach [Vol. 1]	09/12/2013
I, II	166 – 391	Deposition of Andrea Awerbach [Vol. 2]	10/24/2014

## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
XXVI, XXVII	6441 – 6942	Deposition of Jared Awerbach	
III	581 – 616	Deposition of Teresa Meraz	01/08/2015
IV	948 – 997	Jury Instructions	03/08/2016
IV	998 – 1000	Jury Verdict	03/10/2016
VI, VII	1499 – 1502	Minute Order	08/22/2016
VII	1513 – 1554	Notice of Appeal	09/19/2017
III	647 – 649	Notice of Department Reassignment	08/27/2015
VII	1508 – 1512	Notice of Entry of Judgment Upon the Verdict	08/21/2017
III	617 – 622	Order Granting, in Part, and Denying, In Part, Plaintiff's Motion for Partial Summary Judgment that Defendant Jared Awerbach was Per Se Impaired Pursuant to NRS 484C.110(3); and Denying Defendant Jared Awerbach's Motion for Partial Summary Judgment on Punitive Damage Claims	01/28/2015
IV	946-947	Order Modifying Prior Order of Judge Allf	02/12/2016
VI	1487 – 1498	Order Re: Post –Trial Motions	08/12/2016
VII	1503 - 1507	Order Vacating Judgment as to Jared Awerbach only	08/21/2017
V	1001 – 1030	Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
III, IV	650 – 900	Plaintiff's Motion to Disqualify Defendant Jared Awerbach's Counsel Randall Tindall and Motion For Reassignment to Department 27 on Order Shortening Time and Request for Leave to File Extended Memorandum of Points and Authorities	09/08/2015
II, III	392 – 580	Plaintiff's Motion to Strike Defendant Andrea Awerbach's Answer	12/02/2014
V	1283 – 1303	Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
IV	933 – 945	Plaintiff's Trial Brief Regarding	02/10/2016



## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
		Permissive Use	
IV	901 – 932	Reporter's Transcript of Proceedings	09/15/2015
VII, VIII	1555 – 1765	Trial Transcript – 02/08/2016	11/10/2017
VIII	1766 – 1996	Trial Transcript – 02/09/2016	11/10/2017
VIII, IX, X	1997 – 2290	Trial Transcript – 02/10/2016	11/10/2017
X	2291 – 2463	Trial Transcript – 02/11/2016	11/10/2017
X, XI	2464 – 2698	Trial Transcript – 02/12/2016	11/10/2017
XI, XII	2699 – 2924	Trial Transcript – 02/16/2016	11/10/2017
XII, XIII	2925 – 3177	Trial Transcript – 02/17/2016	11/10/2017
XIII, XIV	3178 – 3439	Trial Transcript – 02/18/2016	11/10/2017
XIV, XV	3440 – 3573	Trial Transcript – 02/19/2016	11/10/2017
XV, XVI	3574 – 3801	Trial Transcript – 02/22/2016	11/10/2017
XVI, XVII	3802 – 4038	Trial Transcript – 02/23/2016	11/10/2017
XVII, XVIII	4039 – 4346	Trial Transcript – 02/24/2016	11/10/2017
XVIII, XIX	4347 – 4586	Trial Transcript – 02/25/2016	11/10/2017
XIX, XX	4578 – 4819	Trial Transcript – 02/26/2016	11/10/2017
XX, XXI	4820 – 5045	Trial Transcript – 03/01/2016	11/10/2017
XXI, XXII	5046 – 5361	Trial Transcript – 03/02/2016	11/10/2017
XXII, XXIII	5362 – 5559	Trial Transcript – 03/03/2016	11/10/2017
XXIII, XXIV	5560 – 5802	Trial Transcript – 03/04/2016	11/10/2017

ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
XXIV	5803 – 5977	Trial Transcript – 03/07/2016	11/10/2017
XXIV, XXV	5978 – 6203	Trial Transcript – 03/08/2016	08/23/2018
XXV, XXVI	6204 – 6422	Trial Transcript – 03/09/2016	08/23/2018
XXVI	6423 – 6440	Trial Transcript – 03/10/2016	08/23/2018

1 healing process is sort of ramping back up slowly into  
2 your activity. That's why she was saying I would --  
3 she would take another pain pill or be more likely to  
4 take a pain pill when she was more active. So I don't  
5 think it would interfere with the healing, but it  
6 might -- she might feel it.

7 Q. More activity, more pain at this time.

8 A. Generally, yes.

9 Q. Okay. I would ask you to move to your May  
10 notes.

11 Is the next appointment on May 22nd of 2013?

12 A. Got it.

13 Q. Okay. What was Ms. Garcia's status at this  
14 time?

15 A. She was happy because she had gone back to  
16 work and she could stand all day. Although she had  
17 some more lower back soreness at the end of the day,  
18 she would then just go home and lie down. And she was  
19 trying to become more tolerant of her work duties. She  
20 was tired. And she was having to use some more  
21 medications because she was getting back into her work  
22 duties and activities.

23 Q. Did she indicate whether she was pleased or  
24 displeased to be back at work?

25 A. She said she was happy that she had been able

1 to get back to work.

2 Q. Did she indicate whether she was pleased or  
3 displeased with her decision to have the surgery?

4 A. She said she was happy she had the surgery.

5 Q. Thank you, Doctor.

6 When did you see her next?

7 A. July 24th, 2013.

8 Q. Okay. And what were your clinical  
9 impressions at that time?

10 A. She was doing well. She's switched to a  
11 lower dose of pain medication called tramadol. She had  
12 some elevated pain around the time of her menses, and  
13 then some more pain at the end of the day at work and  
14 she stood all day at work. Her feet were better. She  
15 was doing some stretches. My impression was she was  
16 coming along well. I use the word "nicely." Sorry.  
17 She was coming along nicely.

18 Q. Now, at this time, has the fusion healed, in  
19 your opinion? We're about half a year post-op.

20 A. We had done some X-rays that showed  
21 everything looked good and she's healing good. So I  
22 would say it's -- the majority of it's healed.

23 Q. Since you'd done the surgery, which was your  
24 recommendation, and it healed, why didn't you tell her,  
25 You don't have to come back to see me, Ms. Garcia; my

1 work's done here?

2 A. Well, because, you know, I develop a  
3 relationship with my patients. I want to make sure  
4 they're as happy as possible. I said she could see me  
5 if she had a reason on an as-needed basis. If she had  
6 a flare-up or a problem, she would come back and see  
7 me. And then later, there was some pains we were  
8 dealing with, separate from her fusion site, that  
9 needed my attention.

10 Q. Okay. At any point, did you feel a visit  
11 with her was not medically reasonable or necessary?

12 A. No.

13 Q. You mentioned that you set aside an hour for  
14 your initial consult with your patients.

15 How much time do you continue to talk with  
16 your patients directly in each one of your follow-up  
17 visits?

18 A. Twenty to 30 minutes, depending on how much  
19 there is to say or do. I mean, if we review some  
20 additional films and tests since I have some decisions  
21 to make, it's more likely the follow-up is 30 minutes.  
22 If it's an after-surgery checkup just to tell me how  
23 things are going and have a few questions answered,  
24 it's more likely 20 minutes.

25 Q. Was she still seeing Dr. Kidwell at this

1 time?

2 A. Yes.

3 Q. And were you getting copies of his medical  
4 reports?

5 A. Yes.

6 Q. And were you reviewing them with her?

7 A. I don't know if I did it with her, but I was  
8 looking at them at some point.

9 Q. Were you helping manage her total medical  
10 care at this point?

11 A. I was.

12 Q. I'm going to ask you to skip a few  
13 appointments and go to April 1st of 2014.

14 A. Okay.

15 Q. Now, at this point in time, did Ms. Garcia  
16 show any signs of deterioration in her relief from  
17 pain?

18 A. She had what we call a flare-up of lower back  
19 pain at that time.

20 Q. And did you make -- have any note in your  
21 record as to what level of improvement you felt she was  
22 still showing since her surgery?

23 A. She said she was still 80 percent better  
24 since the surgery.

25 Q. Was she able to continue increasing her

1 physical activities?

2 A. She told me she could do more around the  
3 house. So I suppose in a way, yes.

4 Q. Was she doing any physical therapy at that  
5 time?

6 A. Yes. She had -- I noted she had seen  
7 Dr. Kidwell and started a course of therapy for her  
8 flare-up, and she was seeing me at that time also to  
9 have a look at her.

10 Q. Okay. At that time, did you have any opinion  
11 as to the cause of her flare-up in low back pain?

12 A. Well, she specifically said there was no  
13 specific provocation, meaning nothing provoked it. So  
14 I don't know that there was a cause. It was simply a  
15 flare-up in pain.

16 Q. Following this visit, did Ms. Garcia have a  
17 spinal cord stimulator trial?

18 A. Yes.

19 Q. Could you explain what a spinal cord  
20 stimulator is, Doctor, and the purpose?

21 A. A spinal cord stimulator is an electrical  
22 electrode device that is placed, at least by the trial  
23 or the test, through a needle by a pain specialist  
24 generally up along the spine. And it's connected to a  
25 little device that gives it different amounts of power.

1 And one can adjust the settings in terms of the  
2 amperage and the pulse width and -- and things you  
3 would do on an oscilloscope to give a small electrical  
4 current up along the spine where the pain nerves ascend  
5 or go up to the brain along the spinal cord. And this  
6 device can provide a buzzing or stimulation or short  
7 circuiting of some of those sensations, so the pain  
8 someone's having may not reach the brain fully.

9           It is sometimes used for patients with  
10 chronic or persistent pain who want to reduce their  
11 medication use. It's used in some cases of a failed  
12 spinal surgery or a failed problem or someone who  
13 cannot have surgery or for which the surgery's too  
14 massive, like seven-level fusion or something. So  
15 there are many reasons they might be tried. It's  
16 something a pain specialist might endeavor.

17       Q. Did either you or Dr. Kidwell make  
18 recommendations resulting from her spinal cord  
19 stimulator trial?

20       A. Yes.

21       Q. And are you at your September 15, 2014,  
22 appointment?

23       A. I am.

24       Q. Very good, Doctor.

25           What -- what were those recommendations?



1           A.    Recommendation at that time was that we  
2 discussed putting a permanent stimulator in her as an  
3 option for pain she was having at that time. Because  
4 one of her main goals was to get off pain medicines.  
5 And she -- when she would have flare-ups and at other  
6 times had to return to the ...

7           Q.    Explain how the permanent stimulator would  
8 have been different from the trial stimulator that  
9 Ms. Garcia tried for a few days.

10          A.    Well, the first difference is the permanent  
11 one's put in surgically not through a needle.

12          Q.    Would you -- would you have done that?

13          A.    Yeah, sure.

14          Q.    Okay.

15          A.    And the -- the controlling device is a small  
16 pacemaker-like computer about half the size of a  
17 standard iPhone that -- that would be inserted under  
18 the skin, usually in the upper buttock area. And there  
19 are wires going down to it. And so it's this implanted  
20 device. A patient once having it cannot have an MRI  
21 scan generally. And the battery runs out every five to  
22 seven years and you got to do a surgery to remove it  
23 and replace it, just like you might with a pacemaker.

24          Q.    And other than replacing the battery, would  
25 that stimulator have lasted her lifetime?

1           A.     Sometimes the electrodes need to be changed  
2 out.  There's some planning that goes into that.

3           Q.     But it's surgery every time you need to  
4 change the battery?

5           A.     Yes.

6           Q.     Did you have discussions with Ms. Garcia  
7 about that as an option?

8           A.     Yes.

9           Q.     And what did you recommend?

10          A.     At that point, I said, Before you do that,  
11 let's check an MRI to make sure there's no other source  
12 of pain because, also, you can't have an MRI after you  
13 have this thing implanted.  So let me make sure.

14          Q.     Okay.  And then you saw her again on  
15 October 28th of 2014?

16          A.     One second.  I'm so sorry.  Yes.

17          Q.     Okay.  Great.  Thank you, Doctor.  
18                   So we're at October 28th of 2014?

19          A.     Yes.

20          Q.     She's had the trial stimulator.

21                   Did she indicate that the trial stimulator  
22 resolved some portion of her pain?

23          A.     She had the trial stimulator prior to my  
24 September visit.  It was on September 15th, 2014, where  
25 we discussed her benefit from the stimulator.

1 Q. Okay. And what was that benefit?

2 A. She said she had 70 percent improvement in  
3 her back and right leg and was able to curtail  
4 medications during that time and was more functional,  
5 and she was interested in pursuing this permanent  
6 stimulator.

7 Q. Now let's go to October 28th.

8 What are her clinical symptoms at that time?

9 A. Low back pain. Now, remember the trial  
10 stimulator is taken out. It only lasts for about five  
11 or seven days. So she has more lower back pain, using  
12 more medications which exhausts her. The lower back  
13 pain was at the upper aspect of her incision and in the  
14 right SI joint area. The -- she was still taking  
15 medications, but not smoking.

16 Q. Okay. The SI joint, we haven't talked about  
17 that much before now.

18 Did Ms. Garcia have SI joint pain, to your  
19 recollection, prior to the fusion?

20 A. May I look back just for a minute?

21 Q. Sure.

22 A. I don't believe so, but I want to make sure.

23 Well, she had some mild tenderness is the  
24 only thing I noted in the SI joints prior to the fusion  
25 until this October visit.

1 Q. Of 2014?

2 A. Yes.

3 Q. Is it unusual for patients to either develop  
4 or have an increase in SI joint pain after a two-level  
5 fusion?

6 A. It's not unusual.

7 Q. Okay. And could I hand you back our model,  
8 Bruce, here? Maybe you could point out to the jury  
9 where the SI joint is and why that sometimes develops  
10 painfulness after a lumbar fusion.

11 A. Sure. SI stands for sacroiliac. Sacro is  
12 the sacrum bone. Iliac is this big broad hip bone on  
13 either side called the ilium that houses the hip joint.  
14 And where the sacrum meets the ilium is a joint on  
15 either side. We call that the sacroiliac joint or, for  
16 short, the SI joint. It doesn't move much as far as  
17 joints go. But it is a stress point.

18 And when you -- when you lock together the  
19 lower lumbar bones, in this case L4 to L5 to S1 in a  
20 fusion, the body's weight and stress now gets enhanced  
21 at the SI joint. Sort of like it's the next mobile  
22 part to be affected. So she was starting to have what  
23 we call post fusion or after fusion sacroiliitis as one  
24 of her sources of pain. And I wanted to investigate  
25 that before we did a permanent stimulator.

1 Q. Okay. You also make reference to the 3-4,  
2 the Lumbar 3-4 segment?

3 A. Yes, only to the facet joints. And -- and  
4 this -- if I may, for two reasons. One is you might  
5 recall I said she had pain at the upper aspect of her  
6 incision. And what's above the incision is the L3-4  
7 level. And secondly, I ordered an MRI on it, and it  
8 was done on October 11, 2014. And it showed the fusion  
9 looked good, but there was a -- some facet joint  
10 inflammation at L3-4 above the fusion. So, again, the  
11 next mobile part up and the next mobile part down would  
12 be the L3-4 level and the SI joints, respectfully.

13 Q. And is the fusion going to cause more or less  
14 stress to -- to go on those joints above and below the  
15 fusion level?

16 A. There would be more stress at the next or  
17 what's called the adjacent segment above, which is  
18 L3-4, and more stress at the SI joints below.

19 Q. Thank you, Doctor.

20 So what were your recommendations at that  
21 time in October of 2014 for continued treatment?

22 A. It was at this point I suggested a combined  
23 right SI joint and L3-4 facet joint injection and some  
24 hardware injection, meaning numb up the area along the  
25 hardware because sometimes there can be pain in that

1 area. Basically, hit all of what I think is hurting  
2 her and see if we can get some benefit.

3 Q. Very good.

4 And who did you refer her to for the  
5 injections that you were recommending?

6 A. Back to her pain specialist, Dr. Kidwell.

7 Q. Thank you.

8 And did you evaluate Ms. Garcia again on  
9 January 22nd, 2015, after she had received those  
10 injections?

11 A. Yes.

12 Q. Okay. What were your impressions at that  
13 time, Doctor?

14 A. She had significant benefit to the lower back  
15 and right thigh after the combined injection. So to be  
16 clear, those weren't all separate. They were all done  
17 at the same time. They lasted about a month. So I was  
18 happy for her because if we can get a month of benefit,  
19 maybe we can get two. If we can get two, maybe we can  
20 get four. So I -- so I suggested we do it again.

21 Q. And during that time, did she show any change  
22 in the amount of medications that she was requiring for  
23 her pain?

24 A. She went back to needing the medications.

25 Q. And what were your recommendations moving

1 forward?

2 A. I wanted her to do it again in anticipation  
3 of what's called a rhizotomy.

4 Q. Okay. And could you explain -- what's the  
5 long time name for a rhizotomy that the jury might see  
6 in some of the exhibits?

7 A. There are lots of names. But there is a  
8 burning procedure that can be done for certain types of  
9 nerves, specifically nerves that -- that supply joints  
10 with pain, joints like the SI joint, joints like the  
11 facet joint, and also nerves that might be dealing with  
12 the hardware area. These aren't important nerves to go  
13 down the leg and move the foot. They're just little  
14 sensory nerves. And we can burn and damage them, and  
15 that can sometimes last three to six months at a time.  
16 But before we burn anything, we want to make sure  
17 that's the nerve that's causing it. That's why I  
18 recommended a repeat injection before the burning.

19 But when we do the burning, it's called a  
20 rhizotomy, which is a fancy term for making a hole in a  
21 nerve. The other term is an ablation because we're  
22 burning something. And -- and the long term is an  
23 RFTC, or radiofrequency thermal coagulation which  
24 basically means we use a radiofrequency wire. We have  
25 it vibrate at the tip and it causes some thermal

1 release or burning of energy that -- that can cook  
2 those little nerve endings.

3 Q. And who -- who does that type of procedure,  
4 the rhizotomy? Is that a neurosurgeon or a pain  
5 management doctor?

6 A. Generally, a pain management doctor like  
7 Dr. Kidwell would do that.

8 Q. Did she report any benefit from these repeat  
9 injections that you recommended?

10 A. Yes. She again had the same combined SI  
11 joint, L3-4 facet, and hardware block, and then she  
12 came back and had one to two months of benefit,  
13 somewhat similar to the benefit she had with the prior  
14 one in 2014. And it was based upon that, that I said  
15 we should do this rhizotomy. Maybe we can put off the  
16 stimulator.

17 Q. Excellent. And did you see her next on  
18 June 17th of 2015?

19 A. Yes. That was after the second injection,  
20 Counsel.

21 Q. And that's when she again reported the relief  
22 that you had just told the jury about; right?

23 A. Yes.

24 Q. Did she also discuss a pain in her thigh at  
25 that time?



1           A.    Yes.

2           Q.    And some trouble lying on her right side?

3           A.    Yes.

4           Q.    To what did you attribute those complaints?

5           A.    Still -- still not 100 percent certain.  She  
6 had thigh symptoms, little numbness in the front of her  
7 thigh right after the surgery.  Sometimes that's from  
8 lying on -- on the table.  There are pads on the thigh  
9 for a long periods.  But that should have gone away.  
10 It can be related to the L3-4 level.  I think one of  
11 the injections may have helped the thigh of these more  
12 recent ones and one did not.  So I'm still sort of  
13 looking in on the thigh.  The thigh is not her biggest  
14 complaint.

15          Q.    So at that time, would you say she was  
16 satisfied with continuing that course of treatment,  
17 Lortabs for the pain, continued periodic injections?

18          A.    She wasn't satisfied with Lortab.  She wanted  
19 not to have to take them.  They really made her tired  
20 and affected her quality of life in a way she did not  
21 prefer to function.  So she was satisfied with the  
22 injections, enough that she was willing to try this  
23 rhizotomy procedure and burn those nerves to see if we  
24 can get it to last longer.

25          Q.    So what course of treatment did you recommend

1 at that point?

2 A. At that point, I recommended she go ahead and  
3 have the rhizotomy of the L3-4 facets, the sacroiliac  
4 joint, and along the hardware. And she did that in  
5 September of 2015.

6 Q. And did you see her on November 11th of 2015  
7 after the rhizotomies?

8 A. I did.

9 Q. And what were your clinical impressions when  
10 you saw her in November of 2015?

11 A. She reported significant benefit to the lower  
12 back area and the right thigh cramps. She was able to  
13 be more active, more motivated, had more energy, could  
14 do more household chores and activities which she had  
15 not done for quite some time. She had some upper back  
16 pain and foot symptom we looked at, but seems like she  
17 was doing quite well. She was down to two pain pills a  
18 day and it was only tramadol. Before the rhizotomy was  
19 six tramadols a day.

20 Q. And the tramadol, does that have the same  
21 level of side effects as Lortab?

22 A. No. Tramadol is less potent and Lortabs or  
23 Norcos.

24 Q. Based on your discussions with Ms. Garcia,  
25 your review of the medical records from the other

1 doctors, and your own evaluation, did you believe the  
2 rhizotomy had been a success?

3 A. Yes.

4 Q. Okay. Could you explain to the jury why?

5 A. Because she was doing quite nicely. After  
6 the rhizotomy was helping those leftover symptoms that  
7 she had that had, you know, become apparent in maybe  
8 the year after surgery or so.

9 Q. Did she report a significant increase in her  
10 pain?

11 A. Did you say "increase in her pain"?

12 Q. Yes.

13 A. After the procedure, she had a significant  
14 decrease in her pain.

15 Q. Sometimes I have to be silly since I can't  
16 lead.

17 A. That's fine.

18 Q. So, Doctor, did she indicate whether she was  
19 able to -- to do more activities?

20 A. Yes.

21 Q. Did she report a general increase or decrease  
22 in her quality of life?

23 A. Quality of life seemed to be improving.

24 Q. All right. At that point, did you recommend  
25 future rhizotomies?

1           A.    Yes.

2           Q.    When, how often, and why?

3           A.    At that point, I recommended them twice a  
4 year based upon custom and experience that they last up  
5 to about six months at a time. And why? Because it  
6 would be a great way to manage her pain and keep her  
7 quality of life good.

8           Q.    Now, we just discussed that the few months  
9 before -- before some of the injections that you wanted  
10 to try before you recommended that she proceed with the  
11 spinal cord stimulator. You've now tried the  
12 injections. You've had success. You moved on to the  
13 rhizotomies with success.

14                   Do you still consider the spinal cord  
15 stimulator to be an option at this point?

16           A.    It's an option.

17           Q.    An immediate option?

18           A.    No, not right now. I mean, unless the  
19 rhizotomies stop working, I would try to put off the  
20 stimulator.

21           Q.    Does it sometimes happen that rhizotomies  
22 stop being as effective in controlling pain after  
23 repeated ones?

24           A.    It does sometimes.

25           Q.    But more likely than not, will repeated

1 rhizotomies on a regular basis provide relief to her  
2 over her lifetime?

3 A. Yes. We know that.

4 Q. Thank you, Doctor.

5 You talked to the jury using the spine model  
6 about the adjacent segments, and one of those was at  
7 L3-4. You told the jury that there's additional  
8 stresses now on the adjacent segments.

9 Does that more likely than not eventually  
10 result in additional medical problems requiring  
11 treatment?

12 A. Yes.

13 Q. Can you explain that to the jury?

14 A. Yes. In 2012, there were some consensus  
15 articles published in a journal called *Spine* that I  
16 subscribe to. And this was published by a guy named  
17 Dr. Lawrence Brandon and others. And they looked at  
18 every eligible study that had ever been done on the  
19 topic of does someone else have stress and problems at  
20 the next level after the fusion? And they came up with  
21 this consensus conclusion that there is a rate of  
22 accelerated degeneration at the adjacent disk. In our  
23 case, it would be the L3-4 disk, because there's no  
24 disk below the sacrum. And the rate is from .6 percent  
25 per year to .39 percent per year. The average, I

1 believe, is 2.25 percent per year. And if you add it  
2 up every year, you're eventually going to get to over  
3 50 percent, and I think it's at 22.22 years, if I  
4 recall correctly, that that next disk will need  
5 treatment.

6 Q. So just over 22 years is when it becomes more  
7 likely than not that she will have needed an additional  
8 fusion surgery on her prior to that time.

9 A. Right. Measured from the date of the first  
10 surgery.

11 Q. Okay. And the surgery -- is that going to --  
12 more likely than not going to require a fusion surgery?

13 A. Yes.

14 Q. When you have adjacent segmental breakdown,  
15 does she wake up one morning after 22 years and the  
16 pain is back and she needs a surgery?

17 A. No.

18 Q. Explain how that process would work leading  
19 up to her need for surgery from a -- from a  
20 medically-more-likely-than-not standard.

21 A. It would be expected to be, you know, perhaps  
22 in the five or so years leading up to that date, a slow  
23 sort of insidious increase in her pain, maybe the  
24 rhizotomies become less effective and she needs more  
25 medications. Maybe we try some -- some different

1 injections like epidurals at L3-4, and we do an MRI.  
2 She'll need more MRIs to see what the L3-4 disk begins  
3 to look like. Just like it's a new problem.

4 Q. And more likely than not, after 22 years,  
5 she's going to, after going through those things, need  
6 that additional fusion.

7 MR. MAZZEO: Objection. Speculation.

8 THE COURT: I think he's already stated it  
9 once. Overruled.

10 THE WITNESS: Yes. The L3-4 segment, we'd  
11 have to extend the fusion to include it.

12 BY MR. ROBERTS:

13 Q. More likely than not, Doctor, is Ms. Garcia  
14 going to require annual and repeated medical treatment  
15 through her entire life as a result of the injuries  
16 sustained in the January 2011 accident?

17 MR. MAZZEO: Objection. Speculation.  
18 Foundation.

19 THE COURT: I don't think so. Overruled.

20 THE WITNESS: Yes, it will differ. Some  
21 years will be not much treatment and some years will be  
22 more treatment.

23 BY MR. ROBERTS:

24 Q. But we know at least according to your  
25 recommendation, she's going to need one to two

1 rhizotomies per year for her lifetime?

2 A. Correct.

3 Q. Let me ask you about some criticisms that --  
4 that you might hear about your treatment of Ms. Garcia.

5 First of all, did you do a diskogram before  
6 your surgery?

7 A. No.

8 Q. Was one necessary for you to know whether the  
9 surgery was medically advisable?

10 A. I am going to answer your question as if it's  
11 two questions. One, one was not necessary. Two, even  
12 if we did one, it doesn't have the ability to tell us  
13 if surgery is medically advisable.

14 Q. What is a diskogram?

15 A. A diskogram is a confirmatory test that  
16 surgeons sometimes use, including me sometimes, when  
17 we're trying to determine or confirm a source of pain  
18 from a disk or disks that are perhaps in question based  
19 upon an MRI or to look at a questionable segment. For  
20 example, we could tell from the MRI that L4-5 and L5-S1  
21 were shot. I didn't need a diskogram to tell me that,  
22 when correlated with her pain and symptoms, needed to  
23 be repaired. L3-4 looked really good.

24 But if L3-4, hypothetically, looked  
25 questionable, wasn't fully blown out but maybe was a



1 little bulged or something, we might have done a  
2 diskogram to evaluate L3-4 so that when we do the  
3 surgery, we might include L3-4 while we're there. But  
4 L3-4 looked gorgeous and wasn't causing her the type of  
5 pain I would expect from L3-4. So we didn't go there.

6 Q. Thank you, Doctor.

7 Ms. Garcia didn't report any pain within the  
8 first day of the accident; therefore, the accident  
9 couldn't have caused these things you treated; is that  
10 correct?

11 A. No, that's not correct, for many reasons.

12 Q. Could you explain?

13 A. First, I see a great many patients who are  
14 injured. Some don't have pain immediately. Sometimes  
15 it take hours, days, and even weeks or more, especially  
16 when it's a disk problem. Patients are also initially  
17 in shock. They can't believe what happened. They try  
18 to go back into their normal life and go to work and  
19 take care of their kids. And -- and having been  
20 injured myself, I can tell you that's exactly what I  
21 did too. You don't have to have immediate pain for  
22 there to be a structural problem.

23 Additionally, a problem like this one slowly  
24 worsened with time, meaning her pain worsened. Also  
25 worsened with time was her slippage, her

1 spondylolisthesis. It went from 4 millimeters to  
2 7-point-something millimeters to 10.2 millimeters with  
3 time, indicating a worsening structural problem with a  
4 worsening clinical picture of pain. One does not have  
5 to have immediate pain at the time of the injury to do  
6 that.

7 Plus, the spine stiffens in response to pain  
8 and structural problems. And patients might say, I  
9 didn't have a lot of pain right away, but I felt stiff  
10 within a few hours.

11 Q. The mechanical forces in the car accident  
12 were simply too low to cause Ms. Garcia's injuries and  
13 need for treatment that you've described.

14 Do you agree?

15 MR. MAZZEO: Objection. Speculation.  
16 Foundation. Beyond the scope.

17 THE COURT: No. I'm going to allow it.  
18 Overruled.

19 THE WITNESS: I disagree. First, we cannot  
20 fake the type of progression in the spondylolisthesis  
21 and her symptoms that -- that developed. That clinical  
22 correlation is -- is significantly supported by all the  
23 medical evidence.

24 Two, we have to remember she was susceptible.  
25 She wasn't held together by solid bone at the pars.

1 She was held together by gristle. Gristle can get  
2 pulled apart. That gristle does not heal well. I laid  
3 that out quite nicely on that last page of my first  
4 report where I had the diagram. There's a discussion  
5 about that which might be a salient answer to your  
6 question now.

7 Q. Your very first report?

8 A. Yes. You were so kind to put it up earlier.  
9 For the diagram, but the -- the actual discussion there  
10 is, I think, crucial knowledge for -- for anyone that's  
11 looking at this treatment and for the jury. It's  
12 page 16 of 17, actually.

13 Q. Okay. And I have got that out.

14 And rather than show that to the jury, can  
15 you refresh your recollection and then review with them  
16 the findings that you made on May 25th, 2011, with  
17 regard to that very point?

18 A. Yes. Well, I described the tough fibrous  
19 tissue that's holding the parts together and how  
20 Ms. Garcia and people like her would be more  
21 susceptible to injury. And -- and I say that, Without  
22 trauma, only a small percentage of such patients become  
23 symptomatic or present for surgical treatment. And  
24 once the fibrotic tissue is disrupted, i.e., through  
25 trauma, the chance of spontaneous healing is slim as

1 such scar-like tissue tends not to form a reasonable  
2 union. Thus, trauma is typically the reason that  
3 causes the anomaly and weakness to become surgical.  
4 And I said --

5 Q. When you say "typically," do you mean more  
6 often than not?

7 A. I do.

8 Q. Thank you, Doctor.

9 A. I said, Absent the injury, there would have  
10 been no apparent need or expected need for such  
11 treatment.

12 So attempting to revisit the vehicular forces  
13 is simply an exercise in attempted revisionism. It's  
14 interesting, but just doesn't fit the facts and  
15 evidence of the case.

16 Q. What is your foundation, the information upon  
17 which you base the opinion you just gave the jury that  
18 only a small percentage of patients with  
19 spondylolisthesis become symptomatic?

20 A. Well, first, I have a background and training  
21 in the area of spine and spinal biomechanics by virtue  
22 of my fellowship and my practice with experience.  
23 Second, I have written a couple textbook chapters and  
24 articles on the topic of what's called lumbosacral  
25 junction biomechanics. The lumbosacral junction is the

1 lower lumbar spine and the sacrum, the things we're  
2 talking about here. In fact, that diagram that you put  
3 up earlier today was from my chapter.

4           So this is my area or at least one of my  
5 areas where I have researched and written and  
6 understand the nature of the problems and the  
7 epidemiology. Epidemiology meaning what happens in  
8 patients walking around out there not knowing about  
9 this and how they get into trouble like trauma.

10       Q.   Emily is over -- Emilia is overweight and,  
11 therefore, she would have eventually had these same  
12 problems and need for surgery anyway.

13           Do you agree?

14       A.   I don't agree. I think she's been large for  
15 the majority of her life and hasn't had any problems  
16 with her low back. So it would be more likely than not  
17 that that history of not having symptoms would have  
18 continued absent the trauma, meaning unless the trauma  
19 occurred.

20       Q.   Okay. The spondylolisthesis was a  
21 preexisting condition and, therefore, she would have  
22 needed a surgery anyway.

23       A.   It's not a condition whatsoever. It is an  
24 anomaly. Again, from my own research and writing and  
25 experience and her own history of not having trouble,

1 she would have not needed the surgery had she had not  
2 had a progressive slip in symptoms related to it. She  
3 was symptom free in her lower back prior to the injury.

4 Q. Explain to the jury how your -- how and why  
5 you're drawing a distinction between an anomaly and a  
6 condition.

7 A. Well, a condition is defined as a -- a  
8 problem, in this sense, a medical problem. That can be  
9 a symptom. It could be a -- it could be something  
10 wrong with a body part that's causing a symptom. But  
11 just because she has a freckle or a sixth finger on her  
12 right hand doesn't mean she's having a condition or a  
13 problem. It's an anomaly, meaning it's a different  
14 anatomy that's unexpected or -- or not the typical.  
15 Doesn't mean it's a problem or condition. A condition  
16 is a problem. She did not have a problem prior to this  
17 injury. She had an anomaly.

18 Q. Ms. Garcia is out of shape or deconditioned,  
19 and, therefore, she would have had these problems  
20 anyway.

21 Do you agree?

22 A. I can't agree. They -- that would require  
23 speculation. She wasn't having these problems for the  
24 first three decades of her life, and she was not fully  
25 conditioned then either. Certainly the injury's

1 deconditioning and the surgery's deconditioning. But  
2 those are after the fact of the surgery.

3 Q. Ms. Garcia might be misrepresenting whether  
4 she had symptoms before this incident.

5 Any opinion on more likely than not whether  
6 that's true?

7 A. Well, I've come to know Emilia. I've seen  
8 her, except for surgery, I believe 19 times in the  
9 office. She has always been forthright with me as far  
10 as I can tell. And I do have a sense of people, since  
11 I see 15 or 20 people every day in some way. I've  
12 never found to be misrepresenting herself. I mean,  
13 she's certainly come in when she was feeling good and  
14 tell me how good she was doing. And when she was  
15 hurting, I could tell by how she sits and how she  
16 carries herself. I thought she was always appropriate  
17 in her responses. I found no evidence to even consider  
18 an idea that she would be feigning or faking or making  
19 anything up.

20 Q. Thank you, Doctor.

21 One to two days of relief is not a positive  
22 indicator for a diagnostic nerve root block and,  
23 therefore, Emilia's doctors, including you, never  
24 really figured out what was causing her pain.

25 Do you agree with that?

1           A.    No, that's silly.

2           Q.    Explain why you think that's silly, Doctor.

3           A.    The diagnostic component is usually known  
4 within hours to days. So one to two days is perfectly  
5 diagnostic.

6                   In terms of your comment that we never  
7 figured out the pain, I think we continue to be very  
8 diligent in our attack upon figuring out her pain. The  
9 selective injections, the correlation with imaging  
10 tests. I mean, we've handled this actually quite  
11 strategically to make sure we figure out what's wrong  
12 with her and treat it.

13          Q.    Doctor, are you treating Emilia on a lien?

14          A.    Yes, I have a lien for her treatment.

15          Q.    And could you -- could you explain to the  
16 jury what that means?

17          A.    Sure. A lien is a contract that I have with  
18 patients who perhaps are unable to pay at the present  
19 moment and have said to me, Hey, I'm going through a  
20 legal proceeding or a lawsuit, and I will pay you at  
21 the end. So the contract is sort of my security  
22 instrument, like signing a credit card application. So  
23 that when this is done, then we'll start the payment  
24 process. It allows me to delay her payment while she  
25 sorts things out.



1 Q. Okay. Regardless of the outcome of the  
2 lawsuit, will she still owe you for your services?

3 A. Yes.

4 Q. Does the fact that -- that you have a lien,  
5 has that altered any of the medical opinions you've  
6 expressed here today?

7 A. Not at all.

8 Q. Has that affected your treatment plan for  
9 Emilia in any way, Doctor?

10 A. No.

11 Q. As Emilia's case has progressed, did you  
12 receive all the records from all of her other treating  
13 physicians, chiropractor, therapists? Have you  
14 reviewed the costs associated with those treatments?

15 A. I have.

16 Q. And have you formed an opinion as to whether  
17 her complete care that she's been receiving has been  
18 reasonable, appropriate, and necessary for her  
19 condition?

20 A. I have.

21 Q. Are there a few exceptions?

22 A. Well, there was one item. I'll just give it  
23 to you. I felt that the hardware costs for the surgery  
24 were a little inflated. But other than that, all the  
25 treating doctors, hospital charges, aside from what I

1 just said, care delivered was within what we call the  
2 usual and customary range for similar services in the  
3 community, both here and in California, because they're  
4 a quite similar.

5 MR. MAZZEO: Objection, Your Honor. Sidebar,  
6 please.

7 THE COURT: Come on up.

8 (A discussion was held at the bench,  
9 not reported.)

10 THE COURT: Objection sustained as to relates  
11 to the foundation for the last statement.

12 MR. MAZZEO: Move to strike the last response  
13 from the doctor.

14 THE COURT: I can't strike the whole thing  
15 because part of it was responsive to the question that  
16 didn't deal with usual and customary bills. I'll  
17 strike the portion of the statement where he offered a  
18 general blanket statement as to usual and customary in  
19 nature of all the bills until there's a proper  
20 foundation laid.

21 MR. MAZZEO: Thank you, Judge.

22 MR. ROBERTS: Thank you, Your Honor.

23 BY MR. ROBERTS:

24 Q. Before we go to that bigger point, let's  
25 focus on what you were just saying, which is not

1 struck, and that is you thought the hardware charge was  
2 excessive.

3 A. Yes.

4 Q. Okay. And the hardware charge, do you select  
5 out of a catalog one piece at a time, or does that come  
6 in some sort of a kit?

7 A. Well, I usually ask for a certain system  
8 which is as opposed to a kit. And they bring lots of  
9 different screws and rods and sizes and extras, and I  
10 use what I need to use. And then I imagine the company  
11 bills the hospital, and the hospital usually passes  
12 that bill back on in their bill.

13 Q. Okay. And when you say "they bring" it, you  
14 mean the hospital brings it?

15 A. Well, the company or representative or  
16 distributor brings it into the hospital through their  
17 rules and sterile processing and -- and then their  
18 economic relationship, meaning purchase orders, what  
19 have you.

20 Q. Okay. And the -- the package or the -- the  
21 hardware, does that include just what pieces are left  
22 in Ms. Garcia, or does that include instrumentation or  
23 tools of some kind?

24 A. Usually, it's two or three large metal trays  
25 of the -- not only the parts we insert and extra parts,

1 but all the necessary insertion tools, the crowbars I  
2 was mentioning, the distraction elements, the  
3 screwdrivers, all kinds of tools we might need to help  
4 get the job done.

5 Q. And you mentioned that -- that one screw  
6 that's not there that broke off, how -- how much  
7 pressure are you putting on that screw when you're  
8 torquing it down?

9 A. Well, probably over 100 pounds of torque.  
10 Maybe more. Crowbar, threshold torque.

11 Q. The jury has seen a breakdown including a  
12 bill from Pacific Hospital of just north of \$280,000.

13 Is the hardware charge within that charge?

14 A. Yes.

15 Q. And other than the -- the hardware charge,  
16 just looking at the 280-some thousand, is that total  
17 charge reasonable and customary based on what you've  
18 seen from your hundreds of surgeries?

19 MR. MAZZEO: Objection, Your Honor.  
20 Foundation.

21 MR. ROBERTS: I can clean it up, Judge.

22 THE COURT: All right. Go ahead.

23 BY MR. ROBERTS:

24 Q. You've told the jury already that you've done  
25 about 300 lumbar fusions.

1           In connection with that, have you seen what  
2 the hospitals bill for the lumbar fusions in some or  
3 all of them?

4           A.    Many times.

5           Q.    Okay. And is the charge that you've seen  
6 from Pacific Hospital within the range of charges  
7 you've seen from other hospitals in Nevada or  
8 California for a lumbar fusion?

9           A.    Yes, to the total charge.

10          Q.    So the total charge is reasonable and  
11 customary even though you had a nitpick with a charge  
12 within it.

13          A.    That's right.

14          Q.    Okay. Thank you, Doctor.

15                In the process of, I guess, serving as not  
16 only a treating physician but an expert, as an expert,  
17 the lawyers made sure they sent you all of the medical  
18 reports from all of the other treating physicians  
19 involved in the case; correct? Well, you don't know if  
20 it was all.

21                Did you receive medical records from the  
22 lawyers?

23          A.    Yes.

24          Q.    And did you also receive the bills associated  
25 with those records?

1           A.    Yes.

2           Q.    In the process of reviewing the case  
3 periodically, did you review the billings?

4           A.    Yes.

5           Q.    Did you sometimes make tables and add up all  
6 the billings and come up with grand totals?

7           A.    I did.

8           Q.    As you looked at the records and looked at  
9 the associated bills, do you have the foundation  
10 through your practice and your expert work to -- to  
11 determine whether a bill is reasonable and customary  
12 for the service being provided?

13          A.    Yes.

14          Q.    Explain to the jury what that foundation is.  
15                How would you know if a bill was high or low  
16 or just not right?

17          A.    Few different reasons.  First, I have a  
18 multidisciplinary spine and orthopedic center in  
19 California.  We have different types of doctors, pain  
20 doctors, orthopedic doctors, a neurosurgeon, me,  
21 physical therapist, chiropractors, all in one place.  
22 And I've been the medical director of the facility  
23 since we opened in 2006.  And we went to great pains to  
24 make sure that our charges were constructed properly.  
25 We had them looked at by a billing consultant.  We --

1 we base them on what's called the MGMA surveys at that  
2 time. MGMA stands for Medical Group Management  
3 Association, and they look at surveys in the -- in our  
4 case, in the western region, which includes both Clark  
5 County and Orange County. And we made sure we were  
6 within the --

7 (Clarification by the Reporter.)

8 THE WITNESS: -- two standard deviations of  
9 the mean. Sorry. I was doing so well until then. We  
10 raised them by -- for -- for procedures, no more than 1  
11 to 2 percent per year just with the Consumer Price  
12 Index.

13 So our prices for all of these services are  
14 right in the ballpark. And part of that involves me  
15 knowing what surgery center and hospital charges are in  
16 addition to looking at some of my own patients' cases  
17 where I'm asked to be an expert, because part of being  
18 an expert is understanding the costs of care. And good  
19 care, costs money.

20 And it occurred to me when I started taking  
21 on cases in Nevada that the charges were largely  
22 similar. And whatever work we've done in California,  
23 since the charges are similar in Nevada, carries my  
24 foundation from -- across state lines. So my  
25 foundation and knowledge is based upon looking at other

1 cases also where I'm asked to be an expert. And  
2 there's a large consistency in the cost of care, within  
3 a range. So that's the foundation for my knowledge in  
4 this area.

5 BY MR. ROBERTS:

6 Q. And of the medical records and associated  
7 bills that you reviewed, did you see any that did not  
8 appear to be reasonable and customary to you other than  
9 the hardware charge?

10 A. No.

11 Q. Did you see any that did not appear to be  
12 causally related to the motor vehicle accident of  
13 January 2nd, 2011?

14 A. There may have been some treatments that were  
15 unrelated, but there were no bills given to me to  
16 review like for a cold or flu or something. That's  
17 unrelated to the injury, and -- and its cost is  
18 unrelated to the injury.

19 Q. Very good, Doctor. Okay.

20 MR. ROBERTS: Your Honor, we're right at  
21 noon. I will conclude my examination at this time.

22 THE COURT: Okay.

23 MR. ROBERTS: Thank you.

24 THE COURT: Thank you. Go ahead and wait and  
25 do cross-examine when we come back from lunch, folks.



1           During our break, you're instructed not to  
2 talk with each other or with anyone else about any  
3 subject or issue connected with this trial. You are  
4 not to read, watch, or listen to any report of or  
5 commentary on the trial by any person connected with  
6 this case or by any medium of information, including,  
7 without limitation, newspapers, television, the  
8 Internet, or radio. You are not to conduct any  
9 research on your own, which means you cannot talk with  
10 others, Tweet others, text others, Google issues, or  
11 conduct any other kind of book or computer research  
12 with regard to any issue, party, witness, or attorney  
13 involved in this case. You're not to form or express  
14 any opinion on any subject connected with this trial  
15 until the case is finally submitted to you.

16           Take till about 1:15. See you back then.

17                   (The following proceedings were held  
18                   outside the presence of the jury.)

19           THE COURT: All right. We're outside the  
20 presence of the jury.

21           Anything we need to put on the record,  
22 Counsel?

23           MR. MAZZEO: Your Honor, just one matter.  
24 If -- if you don't mind, I know during Dr. Gross's  
25 testimony, he was up close to the -- with the spine

1 model speaking with the jurors. One of the jurors  
2 wanted to help him and give him a pen. I just ask you  
3 to ask the jurors not to assist witnesses in their  
4 course of their examination.

5 MR. ROBERTS: I think offering a pencil when  
6 he had asked me for one and I was having trouble  
7 digging one out really wouldn't -- it's not  
8 inappropriate.

9 MR. MAZZEO: You know, it's -- it gives a  
10 suggestion -- appearance of impropriety if -- if they  
11 have a liking for Dr. Gross, he's a likeable guy,  
12 and -- but I don't need them helping out the  
13 plaintiff's witnesses.

14 THE COURT: I think if -- if Dr. Gross had  
15 asked the jurors, Does anybody have a pencil, I think  
16 that would be inappropriate. The fact that a juror  
17 just offered it because nobody else was finding one, if  
18 it happens again -- I don't know. Let's try not to let  
19 that happen again, I agree. But I don't think we want  
20 to make somebody feel bad for offering a pen or a  
21 pencil, so I'm not going to make a big deal about it  
22 with the jurors.

23 MR. ROBERTS: And the record will reflect  
24 that I did have one, so he would have been able to do  
25 it. I was just not as quick as the juror.

1 THE COURT: What else?

2 MR. MAZZEO: That's it, Judge.

3 Oh, I have a copy for the Court of Defendant  
4 Andrea Awerbach's trial memorandum regarding Stan  
5 Smith.

6 THE COURT: Okay. We don't need to do that  
7 right away, though, right?

8 MR. MAZZEO: We do not.

9 THE COURT: Okay.

10 MR. ROBERTS: Otherwise titled the "Motion to  
11 Reconsider Motion in Limine Excluding Part of His  
12 Testimony."

13 THE COURT: Anything else right now on the  
14 record, guys?

15 MR. ROBERTS: No.

16 MR. MAZZEO: No, Judge.

17 MR. ROBERTS: Not from us.

18 THE COURT: Off the record.

19 (Whereupon a lunch recess was taken.)

20 THE MARSHAL: Jury entering.

21 (The following proceedings were held in  
22 the presence of the jury.)

23 THE MARSHAL: Jury is present, Judge.

24 THE COURT: Thank you. Go ahead and be  
25 seated. Welcome back, ladies and gentlemen. We're

1 back on the record, Case No. A637772.

2 Parties stipulate to the presence of the  
3 jury?

4 MR. ROBERTS: Yes, Your Honor.

5 MR. MAZZEO: Yes, Your Honor.

6 THE COURT: I was only three minutes late  
7 today. I just want to you guys to notice that.

8 All right. Let's bring Dr. Gross back in.  
9 Tom, can you grab him?

10 Mr. Mazzeo, are you going to go first?

11 MR. MAZZEO: Yes.

12 THE COURT: Okay. Come back in, Doctor. Go  
13 ahead and retake the stand. Just be reminded you're  
14 still under oath. I'm not going to swear you in again.

15 THE WITNESS: Thank you, Your Honor.

16 THE COURT: Mr. Mazzeo, cross-exam.

17 MR. MAZZEO: Thank you, Judge.

18

19 CROSS-EXAMINATION

20 BY MR. MAZZEO:

21 Q. Good afternoon, Dr. Gross.

22 A. Good afternoon.

23 Q. How was your lunch?

24 A. It was well. Thank you.

25 Q. Dr. Gross, how much are you getting paid for

1 your testimony today?

2 A. Testimony is free. It's the time away from  
3 my office that's being compensated.

4 Q. Thank you. That's what I meant.

5 How much are you getting paid for the time  
6 you're in court today to testify?

7 A. Well, half a day is 4,500. So depends on how  
8 long you keep me.

9 Q. If you're here for the day, how much do you  
10 charge?

11 A. Two half days is \$9,000.

12 Q. Thank you.

13 Now, Doctor, you are the principal doctor at  
14 Comprehensive Injury Institute; is that correct?

15 A. Yes.

16 Q. And is -- are you also the principal owner or  
17 sole owner of that facility?

18 A. I am.

19 Q. And you're also -- I believe you're also  
20 associated with Medical Strategy Management, Inc.; is  
21 that correct?

22 A. Yes.

23 Q. You are -- that's a Nevada Corporation that  
24 does billing for you?

25 A. Yes.

1 Q. And you're also the sole principal owner of  
2 that facility?

3 A. It's not a facility. But I'm the owner of  
4 that company.

5 Q. Okay. And you had mentioned earlier during  
6 direct examination, you made reference to a company by  
7 the name of Medical Group Management Association.

8 Do you recall that?

9 A. Yes.

10 Q. And is that a company that's incorporated in  
11 California?

12 A. I have no idea.

13 Q. Is that -- you've done business with that  
14 company, though, in California?

15 A. The only business I've done is look at their  
16 annual survey and their data as a member during that  
17 time. I don't have any specific business with them.  
18 They're sort of a support group for medical offices.

19 Q. Okay. And you -- you provide neurological --  
20 neurosurgical consultation and follow-up consultations  
21 at the -- at the Comprehensive Injury Institute; is  
22 that correct?

23 A. Yes.

24 Q. Okay. Now, with Ms. Garcia in this case, you  
25 had -- you -- as you testified on direct examination,

1 your initial neurosurgical consultation, which was a  
2 second opinion, was on May 25th, 2011; correct?

3 A. I believe so, yes.

4 Q. And then after that, I believe you had an  
5 additional 18 neurosurgical follow-up consults?

6 A. That sounds right.

7 Q. Okay. And including -- and I believe you had  
8 3 neurosurgical post-op consults with Ms. Garcia after  
9 the surgery on December 26th; is that correct?

10 A. Yes.

11 Q. Now, the total bill that I have for you from  
12 the records for Comprehensive Injury Institute, which  
13 doesn't include the surgery services you provided, so  
14 for those 19 or 20 neurosurgical consultations, it  
15 comes out to be \$9,970; correct?

16 A. Yes.

17 Q. And then in addition to the follow-up  
18 consultations you've had with Ms. Garcia, between 2011  
19 and 2015, you've also had, as you testified to on  
20 direct, reviewed various medical records pertaining to  
21 Ms. Garcia's treatment. And as a result of that,  
22 you've drafted, I believe -- my count may be off, but,  
23 I believe, four expert reports, otherwise referred to  
24 as a Neurosurgical Supplemental Report?

25 A. I have a few more than that. I have eight in

1 total. Sorry, nine.

2 Q. Nine. Okay. And your bill for the surgery  
3 that you had performed on December 26th of 2012 was for  
4 \$70,662; correct?

5 A. For the surgery itself, I have 69,952. I  
6 don't know if you're adding in some of the hospital  
7 visits or something.

8 Q. Oh, well, I'm just looking at one of the  
9 bills from Comprehensive Injury Institute for 70,662,  
10 but -- so your total is 69,952?

11 A. Comprehensive Injury Institute bills do not  
12 include the surgery.

13 Q. Oh, okay. So the bill for -- maybe that's  
14 from Medical Strategy Management.

15 That's where it's from?

16 A. The surgery bill is, yes, that company.

17 Q. Thank you.

18 And that's for \$69,952.

19 A. And 35 cents.

20 Q. And 35 cents.

21 For the five-hour surgery that you performed  
22 on December 26th of 2012; correct?

23 A. That's right.

24 Q. And then you also billed -- from Medical  
25 Strategy Management, you provided a bill for the



1 assistant surgical nurse, Ron Filmore; is that correct?

2 A. No.

3 Q. Okay.

4 A. That bill is through a different company.

5 Q. Okay. For which company did you bill for Ron

6 Filmore, the RN, to assist you at the surgery?

7 A. Ron Filmore is RNFA, to be clear, which is a

8 surgical assistant credential. And it -- we bill for

9 him under Jeffrey D. Gross M.D., Inc.

10 Q. Okay. Also through your company, though;

11 right?

12 A. It's a company of mine, yes.

13 Q. Okay. And his -- his -- the amount you --

14 you billed for the services provided by Ron Filmore,

15 RNFA, was \$33,924.44?

16 A. Yes.

17 Q. Okay. So -- and is it -- is it still a fact

18 that you perform approximately 25 lumbar fusion

19 surgeries a year?

20 A. Close to that, if not that, yes.

21 Q. Okay. Possibly more at this point?

22 A. I don't think more. If -- if -- it would be

23 closer to 20.

24 Q. So closer to 20 now?

25 A. No. I just don't think I'm doing more. I

1 think I'm doing less slowly.

2 Q. Okay. And so at the time that you were -- do  
3 you recall being deposed in relation to the -- to  
4 the -- to this litigation?

5 A. Well, I recall because I reviewed the  
6 deposition transcript.

7 Q. Okay. And -- and the deposition that you  
8 appeared for was September 24th of 2013; correct?

9 A. One second, please.

10 Q. Sure.

11 A. That sounds right, but I wanted to check.

12 Q. Sure. So surgeries if you're billing at  
13 approximately 6 -- 69,000 and change or 70,000, let's  
14 round it up, for 20 to 25 surgeries per year, that's  
15 anywhere from --

16 MR. ROBERTS: Objection. Irrelevant.

17 THE COURT: Overruled.

18 MR. MAZZEO: Thanks, Judge.

19 BY MR. MAZZEO:

20 Q. That's anywhere from about 1,400,000 to  
21 1,750,000.

22 And that's just for the lumbar fusions  
23 surgeries per year; correct?

24 A. What am I answering to?

25 Q. To my question; is that correct?

1           A.    I don't understand your question, I guess, is  
2 what I was trying to say.

3           Q.    So if you're performing between 20 -- 20 and  
4 25 fusion surgeries per year at approximately 70,000  
5 per fusion surgery, that comes out to about 1,400,000  
6 to 1,750,000 per year?

7           A.    That does.

8           Q.    Okay. Thank you.

9                   And approximately how many surgeries do you  
10 currently perform per month at Pacific Hospital?

11          A.    None.

12          Q.    Okay. But back in 2013, you were averaging  
13 about two to three surgeries per month at Pacific  
14 Hospital; correct?

15          A.    Yes.

16          Q.    And one of the codes that you had submitted  
17 for billing was, and you may recall, that 999 code?

18          A.    Yes.

19          Q.    That's -- that's a code for an unlisted  
20 procedure. And that's for \$1,500, correct?

21          A.    \$1,500.

22          Q.    Okay. And it's my understanding that that  
23 \$1,500 charge covers the increased charge of buying  
24 malpractice insurance for surgeons?

25          A.    Correct.

1 Q. Okay. And that charge is for the greater  
2 risk of liability for surgery to surgical patients;  
3 correct?

4 A. No. It's because of the malpractice  
5 insurance liability crisis that occurred in the early  
6 2000s, and all the prices of insurance went up. So the  
7 surgeons had to pass through the cost.

8 Q. Don't you agree that that's built into all  
9 the other fees you charge for this surgery -- surgery  
10 performed which totals 70,000?

11 A. No.

12 Q. Now, also, isn't it a fact that with regard  
13 to your surgical fee, is it fair to say that you  
14 believe that you fall at the higher end of what would  
15 be reasonable and customary?

16 A. Yes.

17 Q. Doctor, when in 2011 did you obtain your  
18 Nevada's -- Nevada medical license?

19 A. I think March.

20 Q. Okay.

21 A. But the license would speak for itself.

22 Q. And -- so when did you open up your office  
23 here in Nevada?

24 A. March of 2011.

25 Q. Okay. And -- now, with this particular case,

1 Ms. Garcia's, is it correct that she was referred to  
2 you by plaintiff's counsel, Glen Lerner & Associates?

3 A. I don't know that. I think she was a second  
4 opinion for treatment.

5 Q. A second opinion.

6 How did she come to go to you for a second  
7 opinion?

8 A. I'm not sure, actually.

9 Q. Okay. So it's possible that Ms. Garcia was  
10 referred to you by Glen Lerner's office?

11 A. I'm certain that almost anything is possible,  
12 Counsel.

13 Q. Well, you can take a minute, look at your  
14 records, and let us know.

15 You would have an indication in your file,  
16 would you not, as to where this patient came from?

17 A. I might.

18 Q. Thank you.

19 A. I don't see in the medical records if I can  
20 answer your question any better.

21 Q. Okay.

22 A. If there's something in my file you have that  
23 I didn't print out for my binder, I'm happy to look at  
24 that it for you.

25 Q. Okay. Fair enough.

1           Now, aside from this case, this patient,  
2 Ms. Garcia, you -- is it a fact that you have had --  
3 you have a -- you have a -- a -- a relationship of  
4 sorts with Glen Lerner's office where you have -- you  
5 are the treating physician for a number of their  
6 clients; is that correct?

7           A.   Well, I don't know that I can answer based on  
8 the way you phrase the question because it implies  
9 there's some type of relationship, which is no  
10 different than a relationship I have with you here  
11 today in that I'm treating someone and you're a party  
12 or a representative for a party litigant.

13          Q.   So, Doctor, let me ask it this way: Have you  
14 treated -- since March of 2011 and today, have you  
15 treated any patient -- any of -- any clients that would  
16 have been -- or any -- let me rephrase that.

17               Since March of 2011 and today, have you  
18 treated any patients who are also clients of Glen  
19 Lerner's office?

20          A.   Yes.

21          Q.   Okay. And how many open cases do you  
22 currently have with Glen Lerner's office with -- with  
23 clients of theirs that are also your patients?

24          A.   I probably have a dozen or dozen and a half  
25 cases at this time.

1 Q. Okay.

2 A. Where there's such overlap.

3 Q. All right. And can you tell us and tell the  
4 jury how you came to market yourself to Glen Lerner's  
5 office. How you -- how you developed a relationship  
6 with Glen Lerner's office to treat their -- their  
7 clients.

8 A. Well, again, you -- you keep embedding this  
9 concept of relationship. I'm a doctor in the  
10 community. I don't know that I have any relationship  
11 with them any more than I have one with you. Your  
12 question also implies I do some type of marketing to  
13 attorneys, which I do not. I've met some of the  
14 attorneys along the way. I treat spine problems. A  
15 lot of spine problems are injuries. A lot of patients  
16 have injuries sometimes have to file a lawsuit because  
17 of the way they're damaged or the way they're  
18 suffering. That doesn't mean I'm marketing to them.

19 Q. Doctor, do you and I have a relationship  
20 outside of this courtroom?

21 A. No. That's exactly my point.

22 Q. Okay. But you do have some sort of  
23 connection with Glen Lerner's office, insofar as you  
24 treat patients who are also clients of Glen Lerner's  
25 office; correct?

1           A.    I don't have a relationship with the Glen  
2 Lerner office, except for that overlap. And that  
3 overlap extends the same way it does to you in that you  
4 might defend patients or people who caused injuries to  
5 clients I might be treating who happen to be  
6 represented by Glen Lerner. It's no different.

7           Q.    I asked you about a connection, Doctor, in  
8 that question not a relationship.

9                   Do you have some connection with Glen  
10 Lerner's office, whereby you receive -- whereby clients  
11 of theirs are -- are directed to you for an evaluation?  
12 Yes or no?

13          A.    Not that I know of.

14          Q.    Well, if not yourself, then who would know?  
15 Someone else in your office?

16          A.    I don't think so.

17          Q.    Okay. So you seem uncertain about that,  
18 so --

19          A.    No. I just want to be accurate for the jury.

20          Q.    Okay.

21          A.    And I'm happy to answer further, but I don't  
22 want to get outside the color of your question.

23          Q.    So you -- you obtained your license in March  
24 of 2011, and within two months, you have Emilia Garcia  
25 who is a client of Glen Lerner's office; correct?



1           A.    Yes.

2           Q.    Okay.  And would she have been the first  
3 client referred to you or the first client who you  
4 treated as a patient who's a client of Glen Lerner's  
5 office?

6           A.    I don't know.

7           Q.    Okay.  And isn't it a fact that you had -- at  
8 the time in September of 2013, you had about five to  
9 ten open cases with clients of Glen Lerner's office?

10          A.    Probably.

11          Q.    And -- and then you've also worked on --  
12 you've also had patients where they have been clients  
13 of Glen Lerner's office whose claims have resolved; is  
14 that correct?

15          A.    Yes.

16          Q.    Okay.  And back in 2013, you -- you believed  
17 at the time of your deposition there were about 10 to  
18 15 other cases; correct?

19          A.    Yes.

20          Q.    Would you agree this is a pretty financially  
21 profitable relationship between your office and Glen  
22 Lerner's office?

23               MR. ROBERTS:  Objection.  Form.

24               THE COURT:  I'm going to allow the question.  
25 Overruled.

1                   You can answer.

2                   THE WITNESS: For whom, counsel?

3 BY MR. MAZZEO:

4           Q. For -- well, for you, Doctor.

5           A. Not for you?

6           Q. Doctor, for yourself -- why -- I don't work  
7 on any clients of Glen Lerner's office. I'm asking  
8 about -- you understand you're in here as an expert, a  
9 neurosurgeon; right?

10          A. I understand that.

11          Q. And you've provided treatment to Emilia  
12 Garcia who is a client of Glen Lerner's office? Yes?

13          A. Indeed.

14          Q. And you have provided treatment to at  
15 least -- at least 30 other patients who are also  
16 clients of Glen Lerner's office?

17          A. I have.

18          Q. And you know that; right?

19          A. I know that I have.

20          Q. Okay. Good. So -- so my question, then,  
21 getting back to the question I asked you before, that  
22 this is a financially profitable relationship between  
23 yourself and Glen Lerner's office; correct?

24          A. Any source of a patient, chiropractor,  
25 physician, friend, Internet, Glen Lerner's office, even

1 if it's not sent by them but they happen to represent  
2 the client, benefits me financially, I suppose, because  
3 I'm a doctor in business taking care of patients.

4 Q. What other plaintiff's firms do you obtain  
5 patients from who are also clients of a -- with a  
6 medical-legal claim?

7 A. Well, I do some expert work in the community  
8 where I'm not treating, and I have had some clients  
9 from the Harris firm. I can't name many others with  
10 any frequency more than maybe one case a year to look  
11 at. Also some defense firms.

12 Q. Okay. And is it fair to say that since you  
13 obtained your license in March of 2011 that the  
14 patients who have had medical-legal claims in this  
15 community, most of the patients that have worked on  
16 with medical-legal claims have been also clients of  
17 Glen Lerner's office?

18 A. I don't think so.

19 Q. So is it possible that you have more clients  
20 from another plaintiff's firm in town?

21 A. No.

22 Q. Or patients, I should say.

23 A. Not necessarily.

24 Q. Okay. Now, you also testified that you  
25 had -- on direct examination earlier this morning, you

1 treated on a lien; correct? You said that?

2 A. The treatment, yes.

3 Q. The treatment, yes.

4 And -- and is it -- it's common to typically  
5 take third-party claims on a lien as you did in this  
6 case; correct?

7 A. I have made that part of my practice, yes.

8 Q. And you understand what I -- what I mean when  
9 I say "third-party claims"; right?

10 A. I believe I do.

11 Q. And that's where there's been a claim  
12 asserted against by a -- another individual or company  
13 who might be responsible for the injuries sustained by  
14 a patient.

15 A. That's my understanding.

16 Q. Okay. And is it fair to say that you would  
17 typically never take an average walk-in patient on a  
18 lien, you'd never treat them on a lien?

19 A. Well, your question uses the word "typically"  
20 and "never," so I'm confused.

21 Q. Okay. Well, let me just ask you: Have you  
22 ever treated a patient who does not have a third-party  
23 claim, who is otherwise just a "walk-in" patient, have  
24 you ever provided them treatment such as with  
25 Ms. Garcia in this case, a \$70,000 surgery on a lien?

1           A.    Well, I don't know about surgery. But yes, I  
2 see patients who are, we'll say, not represented, or on  
3 a lien for other reasons, yes.

4           Q.    With respect to a claim of some sort?

5           A.    I have many patients I treat early after,  
6 let's say, an injury who haven't even filed a claim.  
7 But we -- we have them sign a lien as a matter of  
8 security instrument against my billing.

9           Q.    Okay. And with those patients that you're  
10 referring to, those are patients who may have -- who  
11 may anticipate filing a claim for an industrial claim  
12 or third-party claim of some sort.

13          A.    Well, I can't speak to what someone  
14 anticipates. I think that would be speculation on my  
15 part.

16          Q.    Okay, Doctor. What I'm asking about is: You  
17 understand the -- when you sign a patient up and  
18 have -- have a patient actually sign a lien with your  
19 office, it's with the knowledge, you understand the  
20 circumstances giving rise to the alleged injuries;  
21 correct?

22          A.    Well, they usually sign a lien when they fill  
23 out their initial paperwork before they come back and  
24 see me. I don't know anything yet. So they might talk  
25 to my front office about how they're going to pay.

1 And -- and that might be part of the equation.

2 Q. And part of the equation is if it's an  
3 industrial claim or a third-party claim where there's  
4 an accident and there's potentially a third party  
5 that's responsible, those are the ones that you would  
6 consider having sign a lien; correct?

7 A. Probably.

8 Q. Okay. And that's typically only where  
9 there's a -- a potential third-party payee as in a case  
10 like this or in a workers' compensation type case.

11 A. I suppose.

12 Q. And isn't it true, Doctor, that they charge  
13 for your services whether it's for a follow-up  
14 neurosurgical consultation or for a fusion surgery as  
15 you performed in this case, that there's a difference  
16 in -- in the amount that you bill on a lien as opposed  
17 to a private patient who's paying for themselves?

18 A. You're incorrect on two parts there in your  
19 question, so I can't answer affirmatively.

20 Q. The -- is it correct, Doctor, that this lien  
21 that you have the patient sign gives you an interest in  
22 the outcome of the litigation?

23 A. No.

24 Q. Well, you certainly have an interest in -- in  
25 wanting to get paid for the services you provided in

1 this case; correct?

2 A. Well, I'm in business to provide medical  
3 services, and that business is hopefully not losing  
4 money.

5 Q. And if you can't tell the jury that all of  
6 the treatment that Ms. Garcia's received is related to  
7 this accident, including your surgery and all your  
8 follow-up consultations, then there's the chance that  
9 you may not get recompensated, at least by way of a  
10 verdict, for the service you provided.

11 A. Well, first, anything is possible based upon  
12 the way you phrased your question as to "chance." So  
13 I'm certain that's of no interest from an expert.

14 Secondly, there's nothing I've said medically  
15 here or in the past or will answer that has anything to  
16 do with the outcome of this trial. My services are on  
17 a lien as a delay contract. It's not a contingency. I  
18 want to make that absolutely crystal clear to you and  
19 everyone in the room.

20 Q. But, Doctor, my question was: Having the  
21 medical lien where you have not been paid yet for your  
22 services, that gives you -- you -- you have -- you have  
23 an interest, then, in getting paid, obviously? Yes?

24 A. I have an interest in justice. I have an  
25 interest in my business succeeding, but I don't have an

1 interest -- a financial interest in the outcome of this  
2 proceeding.

3 Q. You don't have any?

4 A. Correct.

5 Q. So if the jury determines at some point that  
6 your fusion surgery and all your consultations are not  
7 related to this accident, then you realize as you're  
8 sitting there testifying that you may not get paid for  
9 anything that you have performed, done in this case,  
10 except for the expert services you provided to  
11 plaintiff's counsel.

12 A. That's not correct.

13 Q. Okay. Now, Doctor, moving on. Is it --  
14 would you agree with me that nearly everyone in their  
15 life will experience low back pain? I'm not saying  
16 everyone, but nearly everyone will experience low back  
17 pain at some point in their life?

18 A. Transiently, temporarily, yes, that's common.

19 Q. And is it -- would it be correct to say that  
20 low back pain is the second most common cause of missed  
21 days of work in the U.S.; only the common cold is --  
22 precedes that?

23 A. I believe that's true.

24 Q. Okay. And is it correct to say that some  
25 well-known factors that contribute to low back pain



1 include poor conditioning?

2 A. Yes.

3 Q. Poor physical condition?

4 A. Yes.

5 Q. And including smoking?

6 A. Yes.

7 Q. And then also improper use of lift techniques  
8 and proper use of the back and lifting?

9 A. Yes. Those are risk factors for back pain.

10 Q. Would obesity be another factor that might  
11 contribute to low back pain?

12 A. Yes, it might.

13 Q. And is it correct to say that obesity can  
14 have an impact on -- in causing spondylolisthesis?

15 A. Obesity itself is not a cause of  
16 spondylolisthesis.

17 Q. Not a cause -- let me -- let me rephrase  
18 that.

19 Is it -- is it correct to say that obesity --  
20 obesity can contribute to symptoms related to  
21 spondylolisthesis?

22 A. In the face of spondylolisthesis being caused  
23 by something else, obesity can accelerate the problem.

24 Q. Is it correct to say that obesity can alter  
25 the normal body mechanics to prematurely wear out

1 joints in the back and either cause or -- or -- or  
2 progress a pars defect to -- to worsen?

3 A. It can, possibly.

4 Q. Okay. And with respect to this case and  
5 your -- your patient, Emilia Garcia, would you agree  
6 that she was not in the best of physical conditioning?

7 A. At what point?

8 Q. Well, when you first evaluated her on  
9 May 25th of 2011.

10 A. Yes, she -- she doesn't have a perfect, ideal  
11 body size.

12 Q. And that wasn't my question, Doctor. I  
13 wasn't being critical of the plaintiff. I wasn't  
14 saying that she didn't have an ideal, perfect body  
15 size. You interpreted it that way.

16 My question was -- my -- to you was: Would  
17 you agree that Ms. Garcia, at the time that you  
18 evaluated her on May 25th of 2011, excuse me, that she  
19 was of poor physical conditioning?

20 A. Well, then I'm going to have to say your --  
21 if -- if I look at this generally, you're vague because  
22 I'm not sure what part of her conditioning. I mean,  
23 her arms were strong. Her leg muscles were good.  
24 Those were well conditioned. Her back was, as I  
25 recall, tight muscles responding to her injury by the

1 time I saw her. She was overweight, Counsel, if that's  
2 what you are headed towards.

3 Q. Well, that's what -- I think I was referring  
4 to that by the word "obesity," Doctor.

5 A. I'm sorry. Then the question had to do with  
6 conditioning, so maybe you can be more specific.

7 Q. So the conditioning meaning muscle strength  
8 in the core -- core muscle strength in her body?

9 A. Well, again, her back muscles were tightened  
10 and had increased tone. And the only two reasons for  
11 increased tone are muscle spasm and contracting a  
12 muscle or having a -- a super strong physique. So she  
13 either had a really strong core or was in spasm when I  
14 saw her at the initial visit for her back muscles. I  
15 did not study her abdominal muscles, to speak of.

16 Q. Fair enough.

17 She was also an active smoker at the time of  
18 your consultation?

19 A. She was.

20 Q. And would you -- would you consider her --  
21 her size when you saw her in May of 2011, five-foot at  
22 approximately 170 pounds, to be obese or overweight?

23 A. Yes.

24 Q. And were you familiar with her work duties at  
25 her job at Aliante as a assistant cage cashier?

1           A.    Yes.

2           Q.    And her work duties included lifting weighted  
3 bags from the floor to the lower shelf?

4           A.    I'm not familiar with that part.  I was  
5 familiar with her standing most of the day.

6           Q.    Okay.  Now, would you agree that as we age,  
7 we, people -- this is a generalization I'm asking you  
8 for -- that spinal disks break down and deteriorate?

9           A.    Our disks deteriorate.  Break down, I think  
10 might be somewhat prejudicial.  They deteriorate as the  
11 normal part of aging.  I think that's fair.  I can't  
12 speak to the other aspect of your question.

13          Q.    That -- fair enough.

14                So you agree that as we age, the spinal disks  
15 deteriorate?

16          A.    Yes.

17          Q.    And that can be seen in the form of  
18 desiccation?

19          A.    That's one form of it or one finding.

20          Q.    Thinning of a disk is another condition?

21          A.    Yes.

22          Q.    Okay.  Drying and dehydration is another?

23          A.    Well, that's the same as desiccation.

24          Q.    Desiccation.  Thank you.

25                And so these conditions are known as

1 degenerative disk disease?

2 A. I'm sorry?

3 Q. These conditions, the desiccation, the  
4 thinning of a disk, is that known as degenerative disk  
5 disease?

6 A. I'm sorry. I thought we established earlier  
7 today that conditions are problems, and certainly aging  
8 is not a condition. So now you're -- you're -- the  
9 preface of your question is confusing and alternative  
10 to my prior testimony.

11 So can you break that down a bit, please?

12 Q. So I guess from your perspective, you would  
13 refer to it as a normal age-related changes,  
14 dehydration, the desiccation, the thinning of a disk?

15 A. Yes. With age, we expect to see these things  
16 happen in our disks.

17 Q. And that includes -- age-related changes will  
18 include bulges occurring; correct?

19 A. Yes, bulging but not herniations.

20 Q. Okay. And now, herniation is where there's a  
21 disruption -- there's a tear in the annulus fibrosis;  
22 correct?

23 A. That's one of the elements, yes.

24 Q. And are you -- but herniations can occur from  
25 a -- a number of factors. From an acute injury;

1 correct?

2 A. Yes, acute injury.

3 Q. Also, from sneezing, herniations can occur  
4 where there's a -- there's a tear in the annulus  
5 fibrosis.

6 A. It's an -- extremely rare, but a forceful  
7 enough sneeze is an acute injury.

8 Q. Okay. And would you agree that these  
9 age-related changes in the spine as we age occur in  
10 people who smoke cigarettes?

11 A. They age too.

12 Q. Okay. Well, they age too, but they age also  
13 in an accelerated fashion than people who don't smoke  
14 cigarettes.

15 A. Well, there's a risk for that. It's not  
16 mandated or guaranteed that someone who smokes, that  
17 that person's spine will age faster than someone  
18 else's. It is simply an observation in epidemiology.  
19 It does not necessarily apply to an individual.

20 Q. Fair enough.

21 And -- and these age-related changes that  
22 occurred to the disks in the spine start occurring  
23 in -- in -- as we age in the 30s and 40s; correct?

24 A. Well, it varies. And we -- we believe that  
25 to vary based upon both genetics and lifestyle. For

1 example, a construction worker might have more  
2 degeneration earlier than someone who sits at a desk.

3 Q. And -- and just according to your record of  
4 May 25th, 2011, I believe you had indicated or  
5 Ms. Garcia indicated to you at the time of the consult  
6 that she was a smoker for 27 years of her life; is that  
7 correct?

8 A. If it's in my consult, then it's correct.

9 Q. Okay. And you can take a moment to look at  
10 your consult just to confirm that what I said was  
11 accurate, Doctor.

12 A. Thank you.

13 Yes, you read it properly.

14 Q. Okay. And is it fair to say that people who  
15 are overweight or obese are more likely to have  
16 symptoms of degeneration disk disease and/or conditions  
17 than people who are not?

18 A. You used the phrase "disease." Can you help  
19 me understand that so I can answer accurately?

20 Q. If I take the word -- oh, I said disease or  
21 conditions, so if I take disease out -- why don't you  
22 like the word "disease"?

23 A. Because it's inaccurate --

24 Q. Why?

25 A. -- it's improper and unjust.

1           Because aging isn't a disease, otherwise  
2 we're all in trouble.

3           Q.   Well, we're not talking about our age --  
4 we're talking about the disks that break down; right?

5           A.   I'm just trying to answer your question. And  
6 I don't want to make the wrong judgment from the words  
7 you were using.

8           Q.   Fair enough. Fair enough. Okay.

9           So a disk -- a bulge, a disk bulge, would you  
10 consider that to be a normal, healthy disk?

11          A.   It depends on the context.

12          Q.   Well, a normal, healthy disk, am I not  
13 correct to say that a normal, healthy disk stays within  
14 the confines of the edges of the vertebrae?

15          A.   Well, bulging, by definition, is a diffuse  
16 relaxing of the annulus. Not nucleus material coming  
17 out beyond the edges of the vertebra. So one can have  
18 some age-related changes of the spine, if I can help  
19 you ask your question, by which there is bulging, and  
20 that person can be perfectly healthy. So it's not the  
21 perfect disk as if someone's 18 years old, but it still  
22 could be a healthy disk.

23          Q.   When you say the person can be healthy,  
24 you're talking about the overall condition of a person?

25          A.   No. I was answering the question that you



1 used because I think you used the word "healthy,"  
2 "healthy disk." I wasn't speaking to the person  
3 necessarily. I was speaking to the disk by virtue of  
4 your own question, Counsel.

5 Q. Okay. So --

6 A. Bless you.

7 Q. -- you would classify a bulging disk that is  
8 maybe flattening or protruding beyond the edge of the  
9 sides of the vertebrae, would that be considered a  
10 healthy disk?

11 A. There's a difference between bulging and  
12 protruding. So your question uses both words and  
13 mixing them. I can't answer that question.

14 Q. Let me separate it.

15 So protruding bulging disk.

16 A. I'm sorry. You didn't separate them. You  
17 put them back together.

18 Q. Well --

19 A. Those are different things.

20 Q. No, I understand they can be different  
21 things, but they can also be one and the same.

22 A. No, they can't. Actually, there's consensus  
23 literature on this topic. A bulge is very different  
24 than a protrusion.

25 Q. So a protrusion, then, in your terminology,

1 would -- would be a disk that protrudes beyond the edge  
2 of the vertebrae.

3 A. It's not my definition. It's the consensus  
4 definition published in *Spine* in 2001 by Milette and  
5 Fardon, initially. And a bulge is a diffuse relaxing  
6 of the annulus greater than 50 percent of the  
7 circumference of a disk. A herniation, of which  
8 protrusion is the form that does not burst through the  
9 ligament, is tearing in the annulus with herniated  
10 nuclear material going outside of the borders of the  
11 bone less than 50 percent of the circumference of the  
12 disk. It can be focal. It can be broad based. It can  
13 be both sides.

14 Q. Posteriorly?

15 A. Posteriorly is one area, yes.

16 Q. Okay. All right. Now, let's move on to what  
17 you testified to earlier.

18 The condition that Ms. Garcia had  
19 spondylolisthesis, which is --

20 A. Can I ask for clarification as to at what  
21 time?

22 Q. You testified to that earlier this morning.

23 A. I'm sorry. You're being vague as to what  
24 time. You mean after the injury or before the injury?

25 Q. Well, no, after -- after the injury.

1           A.    I'm just being clear.

2           Q.    Okay.  So it's -- it's what you identified  
3 from your review of the January 26th, 2011, MRI of  
4 Ms. Garcia's lumbar spine.  You had identified at the  
5 time of that consult a spondylolytic spondylolisthesis.

6           A.    Yes.

7           Q.    Okay.  So is it correct that causes of  
8 spondylolisthesis, a slipped vertebrae, can be due to  
9 trauma, degeneration, tumor, and/or birth defects?

10          A.    They can possibly be those things.

11          Q.    Okay.  And -- and an isthmic, i-s-t-h-m-i-c,  
12 spondylolisthesis would be a defect in the pars  
13 interarticularis of the vertebrae; correct?

14          A.    Yes.

15          Q.    And a traumatic spondylolisthesis would be  
16 due to direct trauma to the vertebrae.

17          A.    Yes.

18          Q.    And a traumatic spondylolisthesis could be  
19 caused by a fracture of the pedicel, the lamina, or  
20 facet joints?

21          A.    It could be possibly caused that way.

22          Q.    Which, as you've testified earlier today,  
23 when there's a -- a defect in -- in the -- in the pars  
24 interarticularis, it allows the portion of the  
25 vertebrae to slip forward on top of the one below it;

1 correct?

2 A. Correct.

3 Q. Typically occurring, as you said, at the  
4 L5 -- with the L5 disk on top of the S1.

5 A. Most commonly, yes.

6 Q. And the surgery that you had performed on  
7 Ms. Garcia, you said that was not an emergency surgery  
8 or an urgent surgery.

9 That was an elective surgery for her;  
10 correct?

11 A. Correct.

12 Q. And have you ever performed a -- an emergent  
13 or urgent surgery on a patient of this nature where  
14 they had a spondylolisthesis and pars defect?

15 A. Yes.

16 Q. Okay. And what would be considered, from  
17 your own experience, an emergent or -- or an urgent  
18 situation where you would have to get that patient in  
19 from time of consult to surgery?

20 A. The urgent part would be, and was in my  
21 patient where I did this in the last few years,  
22 progressive neurological deficit. She was getting a  
23 foot that stopped moving, called a drop foot, and was  
24 losing control of the nerves to her bladder because she  
25 had a Grade V, IV becoming V, spondylolisthesis. So I

1 had to get her in to free up her nerves quickly.

2 Q. Okay. And what -- what period of time did  
3 you perform the surgery after your consultation with  
4 the patient?

5 A. I think within 12 hours.

6 Q. Very quickly. Very soon.

7 A. Yes.

8 Q. Okay. And have you ever performed a surgery  
9 on what -- what -- what would be considered an acute  
10 Grade II spondylolisthesis?

11 A. Yes.

12 Q. Okay. And would symptoms associated with an  
13 acute Grade II spondylolisthesis include immediate  
14 onset of pain?

15 A. It could.

16 Q. And would it also include severe low back  
17 pain and leg pain?

18 A. It could.

19 Q. Okay. And -- and I believe you testified  
20 earlier that symptoms associated with a -- with a  
21 spondylolytic spondylolisthesis include pain symptoms  
22 in the legs; correct?

23 A. They can, yes.

24 Q. Well, not just can, but would -- would you  
25 agree that it's more often than not you're going to

1 have pain symptoms in the legs?

2 A. At some point with progression, yes, I agree  
3 with that.

4 Q. Typically, it's going to be about -- if we --  
5 if we proportion it, it's going to be about 20 percent  
6 in the pain in the back and about the 80 percent pain  
7 in the legs.

8 Would you agree with that?

9 A. In what setting?

10 Q. With a -- with an acute Grade II  
11 spondylolisthesis.

12 A. Immediately acute to Grade II? I don't know  
13 that I know that necessarily. Different patients  
14 present differently.

15 Q. Okay. And would you also agree that a  
16 patient who has sustained an acute injury to a Grade II  
17 spondylolisthesis will have significant decrease in  
18 functionality?

19 A. Probably developing that as a progression,  
20 yes.

21 Q. You -- you referred to this word  
22 "progression."

23 But would you agree that if there was an  
24 acute injury to a preexisting spondylolytic  
25 spondylolisthesis where there's actually the L5 slips

1 forward to such a point where there's actual pressure  
2 on the nerve as a result of the traumatic injury, not  
3 as a result of progression, but immediately,  
4 contemporaneous with the event, that there would be an  
5 immediate onset of pain?

6 A. It doesn't have to be immediate, but I would  
7 expect symptoms in the back and leg within days or  
8 weeks.

9 Q. Well, more likely you're going to expect  
10 symptoms within a few hours. If not immediately, then  
11 within a few hours on the day of the traumatic event.

12 A. That's not my experience.

13 Q. Is that not your experience or that's just  
14 not -- doesn't fit into your evaluation of Ms. Garcia  
15 in this case because her pain symptoms didn't start  
16 until three days after the motor vehicle accident?

17 A. I'm not sure that's 100 percent true. But  
18 Ms. Garcia aside, it's my experience that we treat the  
19 facts and the patients. And patients come in with  
20 symptoms, and then we have to figure out what caused  
21 those symptoms. So trying to reverse engineer it your  
22 way is illogical from a medical view. So I'm not sure  
23 I can answer your question as an expert physician or  
24 treating doctor.

25 Q. Would you agree that treatment of an acute

1 injury to a preexisting Grade II spondylolisthesis  
2 would include building up stomach and back muscles, in  
3 other words, core strengthening?

4 A. Are you asking is that a potential treatment?

5 Q. Yes.

6 A. Yes.

7 Q. And in -- in patients that had this condition  
8 who are overweight, the recommendation would be for  
9 them to lose weight; correct?

10 A. Yes. Regardless of injury.

11 Q. And would you agree that surgery for a  
12 symptomatic surgery for a -- let me rephrase that.

13 Would you agree that fusion surgery for a  
14 Grade II spondylolisthesis should only be performed  
15 where you have an unstable spondylolytic  
16 spondylolisthesis?

17 A. Not necessarily. But that's a good reason.

18 Q. Okay. Now, in this case -- actually, let  
19 me -- just give me a moment. So let me just back up  
20 for a minute, Doctor.

21 So in addition to what you're charging for  
22 your time to testify here in court today for the --  
23 it's going to end up to be a full day, I believe,  
24 \$9,000, for the 35 to 40 hours that you performed or  
25 for your services that you performed -- rendered for



1 reviewing all the medical records and drafting these  
2 reports, that comes out to about 15 to \$20,000?

3 A. Yes.

4 Q. Yes? Okay.

5 Now, on May 25th of 2011, I believe you --  
6 the only film you actually reviewed on that date was --  
7 was the MRI of the lumbar spine that was performed on  
8 May -- or, I'm sorry, performed on January 26th of  
9 2011; correct?

10 A. That's the only film I had. I did have other  
11 reports of X-rays and things, but that's the only film.

12 Q. Okay. And you had the X-ray report for the  
13 lumbar spine that was taken on February 8th of 2011?

14 A. Yes.

15 Q. Well, let's talk about that for a moment.

16 Since you didn't review this X-ray at the  
17 time of your consultation, is it fair to say that you  
18 had no reason to dispute the radiologist's findings  
19 that are contained in the report for this study?

20 A. Correct. I wouldn't have a reason to or a  
21 way to dispute it.

22 Q. Of course. And according to the radiologist,  
23 this X-ray of the lumbar spine that was taken on this  
24 day showed a Grade II anterolisthesis of L5 upon S1;  
25 correct?

1           A.    It did.

2           Q.    And it also showed L5-S1 disk space  
3 narrowing?

4           A.    Yes.

5           Q.    And I believe the radiologist noted a  
6 50 percent slippage of the superior vertebrae over the  
7 inferior vertebrae?

8           A.    I'm sorry. Give me one second, please.

9           Q.    Doctor, if you would, just tell me what  
10 report and page you're looking at.

11          A.    I'm looking at the actual document from  
12 February 8th, 2011. You said something about  
13 50 percent, but I don't see that here. So I don't know  
14 where you got that.

15          Q.    Grade II/III spondylolisthesis would be a 25  
16 to 50 percent slippage; is that correct?

17          A.    Where did you get /III? That's nowhere on  
18 here.

19          Q.    I'm asking you, Doctor. That was a question.  
20 I'm not referring to that.

21                My question to you is -- listen to the  
22 question -- a Grade II/III spondylolisthesis would be a  
23 25 to 50 percent slippage; is that correct?

24          A.    It's incorrect as phrased.

25          Q.    What would that be?

1           A.     What would what be?

2           Q.     What would be the slippage of a  
3     Grade II/III -- actually, a Grade II/III  
4     spondylolisthesis, Doctor, and I -- I stand corrected  
5     here --

6           A.     You asked me to listen to your question, and  
7     I did, Counsel.

8           Q.     -- would be a 50 to 75 percent slippage?

9           A.     Grade III --

10          Q.     Grade III.

11          A.     -- is 50 to 75 percent.   Grade II is 25 to  
12     50 percent.

13          Q.     Okay.   Thank you.

14                 The report -- the X-ray report from -- of the  
15     lumbar spine taken on 2/8 of 2011, was that Las Vegas  
16     Radiology or Nevada Imaging Center?

17          A.     I have a report from Las Vegas Radiology.

18          Q.     Okay.   And based on the radiologist's  
19     impressions on that report, is it fair to say that the  
20     radiologist did not identify or -- did not identify  
21     any -- any acute or traumatic injury to any of what he  
22     observed on the film?

23          A.     The radiologist fell silent on the presence  
24     and/or absence of cause -- of traumatic causation.   He  
25     simply reported what he saw in terms of the anatomy.

1           Q.    And based on what the radiologist saw in  
2 terms of anatomy, is it fair to say that the conditions  
3 that the radiologist noted from that 2/8/11 X-ray study  
4 showed only preexisting conditions?

5           A.    Not at all. He doesn't say that at all.

6           Q.    No, I'm not saying what the -- Doctor, I'm  
7 not saying what the radiologist --

8           A.    Then, I'm sorry. Can you ask again?

9           Q.    Yeah. So -- yeah, I'm not -- I know that the  
10 radiologist didn't actually come out and say, These are  
11 all preexisting conditions.

12                   But based on what the radiologist noted in  
13 his report for the X-ray of 2/8 of 2011, those findings  
14 that the radiologist noted would be indicative of  
15 preexisting conditions, more likely than not.

16           A.    By -- by "conditions," are we just speaking  
17 of the anatomy? Just so we don't keep fighting about  
18 the word "condition."

19           Q.    Yes.

20           A.    Not a patient's symptoms or lack thereof?

21           Q.    Correct.

22           A.    Thank you.

23                   So the anatomic condition as described by the  
24 radiologist, he -- he falls silent, and the items  
25 discussed could possibly be from multiple things. One

1 thing it could be is something that was there already  
2 or a preexisting anatomic condition possibly.

3 Q. Okay. Now, directing your attention to  
4 the -- the film that you actually did review, that  
5 would be the 1/26/11 MRI of the lumbar spine.

6 And is it correct to say that this MRI was  
7 the only test that you used to correlate your clinical  
8 findings, meaning it's the only film that you had  
9 reviewed to correlate your clinical findings?

10 A. At the first visit, yes. Later I had other  
11 films for further correlation.

12 Q. Okay. And -- and what this -- what this film  
13 showed was a 4-millimeter anterior subluxation of L5 in  
14 relation to S1 secondary to bilateral pars  
15 interarticularis defects; correct?

16 A. Yes.

17 Q. And what's meant by anterior subluxation is  
18 basically just a structural displacement of two  
19 vertebrae; correct?

20 A. Yes.

21 Q. And just -- just so I heard you correctly on  
22 your direct exam this morning, it's your opinion that  
23 this pars interarticularis defect was most likely  
24 developed congenitally or in early childhood.

25 A. Yes.

1 Q. And is it correct to say that based on the  
2 radiologist's findings as well as your own  
3 interpretation of this MRI, that there were no  
4 significant disk abnormalities to L1-2, L2-3, and L3-4?

5 A. I would agree with that.

6 Q. And is it correct to say that the L4-L5 disk  
7 showed presence of disk desiccation?

8 A. That's one thing that was shown in the disk.

9 Q. And as you said earlier, this disk  
10 desiccation represents a dehydration or drying of the  
11 disk.

12 A. I do. Or did and still do.

13 Q. And did this MRI also show what's referred to  
14 as a central hyperintense T2 signal, which could be a  
15 subligamentous annular fissure?

16 A. Yes.

17 Q. And as well as a 2-millimeter posterior  
18 annular bulge central and lateral aspects?

19 A. Yes.

20 Q. Let's talk about annular fissure for a  
21 moment.

22 An annular fissure would be a deficiency in  
23 the layers of the annulus fibrosis; correct?

24 A. Yes.

25 Q. And it could be any sort of -- strike that.

1           An annulus fissure doesn't necessarily mean  
2 that there's a tear from the inner layer of the annulus  
3 fibrosis to the outer layer.

4           A.    Tear and fissure are often synonymous,  
5 meaning the same thing. As to the locations, inner  
6 layers, outer layers, depends on what is actually shown  
7 on the film.

8           Q.    Is it correct to say that annular fissures  
9 are very common with -- with age-related changes?

10          A.    Fissures are common with age-related changes.  
11 That is one reason.

12          Q.    And -- and annular fissures occur as part of  
13 the age-related degenerative process.

14          A.    Yes.

15          Q.    Now, this -- this MRI on 1/26 of 2011 also  
16 had a -- showed an AP spinal canal of 1.4-centimeters.

17                Did you see that?

18          A.    At L4-5, yes.

19          Q.    At L4-L5, yeah.

20                And would you agree that that would be  
21 considered a normal space?

22          A.    It's within the range of normal.

23          Q.    Okay. And at this location, the L4-L5, there  
24 was no significant neural foraminal narrowing; is that  
25 correct?

1           A.    Correct.

2           Q.    Okay.  Now, moving on to the L5-S1 disk.

3                   That also showed disk desiccation with a

4 2-millimeter posterior annular bulge.

5           A.    My report says 2.2 millimeters.

6           Q.    Okay.  And -- and as you testified earlier  
7 with regard to the slippage from one MRI, the 1/26/2011  
8 MRI, to the November 2012 MRI, you yourself didn't  
9 measure the progressive change in slippage; correct?

10          A.    Well, I can see the progression as eyeballing  
11 it, but I didn't get out, you know, a measuring device  
12 and actually measure it myself.

13          Q.    Okay.  Because we can't really distinguish on  
14 an MRI imaging study the difference between a  
15 1 millimeter or a 1 millimeter and a -- and a  
16 3 millimeter; is that correct?

17          A.    No, that's incorrect.  The radiologists have  
18 very high-resolution monitors and can zoom in such that  
19 each pixel represents a fraction of a millimeter.  And  
20 they can actually give us a measurement.

21          Q.    And what I meant to say -- what I meant to  
22 say is we can't -- you can't as a neurosurgeon, nor can  
23 I, I certainly can't read films, so -- but the  
24 layperson can't eyeball it, eyeball a film and say,  
25 Okay, this -- this slippage is -- is so many



1 millimeters, can you?

2 A. Well, after learning how to look at a few  
3 films, you might be able to eyeball it.

4 Q. Okay. And this -- this film also showed  
5 facet joint hypertrophic changes; correct?

6 A. Right.

7 Q. And that's consistent with what the  
8 radiologist said in his report?

9 A. Yes.

10 Q. Okay. And that -- that term "facet  
11 hypertrophy," is a term used to describe degeneration  
12 and enlargement of the facet joints.

13 A. Well, it describes enlargement. The word  
14 "hypertrophy" means to enlarge. Like working out would  
15 cause you to have hypertrophic bicep muscles, for  
16 example. It doesn't necessarily have to be  
17 degeneration. It could be caused by degeneration. It  
18 also, more probably, is related to the fact that there  
19 was a congenital pars defect and the facets had to work  
20 harder, so they were hypertrophic, just like a bicep  
21 muscle that worked harder would become hypertrophic.

22 Q. And the facet joints are -- is it a fact that  
23 the facet joints could become enlarged as part of the  
24 body's response to aging and degeneration?

25 A. It could be possible.

1           Q.    And the reason for that is it tries to  
2 provide additional stability to counteract the  
3 instability from the degenerative disk deterioration?

4           A.    Well, I don't want to use the word  
5 "instability" or "stability" here.  So the way you  
6 phrase it is not accurate.  There's a better way to  
7 phrase it.

8           Q.    Well, let me ask you this:  If there is facet  
9 hypertrophy from age-related changes, would it be due  
10 to the body attempting to provide additional stability  
11 to -- to counteract the instability?  I'm using the  
12 same word --

13          A.    I know.

14          Q.    -- but I'm just asking you to focus on  
15 this -- on the facet hypertrophy.  Am I saying that  
16 correctly?

17          A.    It's fine.

18          Q.    Hypertrophy?

19          A.    Either way.

20          Q.    As a result of age-related changes?

21          A.    I can't answer the question.  It assumes that  
22 facet joints hypertrophy in relationship to instability  
23 as part of some degenerative process.  And instability  
24 is uncommon as part of degeneration.  There's a better  
25 way to phrase it.

1 Q. Tell us, how would you phrase it?

2 A. Thank you. As disks degenerate with age,  
3 they -- they aren't perfect cushions. So then the  
4 facet joints take on a stronger role in -- in dealing  
5 with the body's stresses, not -- not supporting  
6 instability.

7 Q. Okay. Now, also, according to the  
8 radiologist, based on these findings that we were --  
9 we've been discussing, the annular fissures and the  
10 facet hypertrophy, he concluded in this report that a  
11 combination of these findings causes mild narrowing of  
12 the lateral recess and neural foramina.

13 Do you agree with that?

14 A. Do I agree with that's what he said?

15 Q. No. Do you agree with -- I mean, if it's in  
16 the report, it's in the report, so --

17 A. Agreed.

18 Q. So what I'm asking you is whether you agree  
19 with the radiologist's impression that these -- that  
20 these conditions caused mild narrowing of the lateral  
21 recess in the neural foramina?

22 A. These anatomic conditions caused, I would  
23 call it more moderate narrowing. As I showed the jury  
24 earlier when we were looking at the films, I think mild  
25 would be an understatement based on what we saw.

1           Q.    The L5-S1 also had a spinal canal of  
2 1.3-centimeters.

3                   Did you see that?

4           A.    Yes.

5           Q.    Would you agree that that's also a normal  
6 space?

7           A.    It's within the range of what could be  
8 normal.

9           Q.    And what -- when we talk -- use the term  
10 "narrowing of the lateral recess," we're talking about  
11 a reduced space within the foramen of the spinal canal;  
12 correct?

13          A.    Going towards the foramen would be fair.

14          Q.    Okay. And foramen is an opening in the  
15 spinal canal?

16          A.    Yes.

17          Q.    And I know stenosis, I don't know if that  
18 word was used, but that refers to a constriction?

19          A.    Stenosis does refer to a constriction.

20          Q.    And based on your review of the 1/26 MRI,  
21 there was no evidence of any stenosis noted at the  
22 foramen?

23          A.    No, I pointed out the elements that  
24 contributed to stenosis at the L5-S1 foramina, which is  
25 the plural.

1 Q. That was at the -- I think you -- that was  
2 earlier today, you pointed that out on the film. That  
3 was at the L5?

4 A. L5-S1.

5 Q. S1 level?

6 A. Yes.

7 Q. And what's the relationship between having  
8 a -- a normal spinal canal space, let's say at the L5  
9 level, of 1.3, the L5-S1 level, and -- and the  
10 narrowing of the lateral recess?

11 A. What's the relationship between the two?

12 Q. Yes.

13 A. The -- the -- the relatively normal AP  
14 diameter of the canal has to do with the main pipe of  
15 nerves. And when that gets really narrowed, you get  
16 what's called cauda equina syndrome. That's not  
17 relative -- excuse me, relevant to this case. We never  
18 got that far. We caught her earlier. When you get  
19 into Grade III and Grade IV spondylolisthesis, then we  
20 get worried that the canal closes off.

21 Lateral recess stenosis has to do with the  
22 corners of the spinal canal, towards the foramen. So  
23 if you have slippage and disk material both, then the  
24 nerve has less room to head out the spine, then you  
25 start getting leg symptoms.

1           So the relationship is in the  
2 spondylolisthesis case, you're likely to get into some  
3 lateral recess stenosis and foraminal stenosis as the  
4 spine slips before you get into significant central  
5 stenosis or AP diameter stenosis.

6           Q.    And with what the radiologist noted, I know  
7 there's a disagreement between yourself and the  
8 radiologist, where the radiologist noted a mild  
9 narrowing of the lateral recess, and you -- you believe  
10 that it might have been more of a moderate narrowing;  
11 correct?

12          A.    Yes.  More specific to the neural foramina,  
13 she says lateral recess and neural foramina at L5-S1.

14          Q.    Okay.  And would you agree that mild  
15 narrowing of the lateral recess in neural foramina  
16 could represent long-standing degenerative conditions?  
17 Or if you don't like the word "degenerative,"  
18 long-standing conditions?

19          A.    It could, possibly.

20          Q.    Okay.  Which -- and if it did represent  
21 long-standing degenerative conditions, it would have  
22 predated this accident that occurred 24 days earlier?

23          A.    Yes.  I would have expected such anatomic  
24 conditions, if present, to be there before the injury.

25          Q.    So is it correct to say that there was --

1 based on your review of this 1/26 MRI of the lumbar  
2 spine, that there was no evidence of edema on the MRI  
3 study?

4 A. The report does not refer to edema, but we  
5 saw it.

6 Q. When you say "we," you're talking about  
7 yourself or you and someone else?

8 A. Well, I think there's another expert, but I  
9 don't want to foreshadow. I'll let you ask that  
10 person.

11 Q. Okay. So you think that you -- as you  
12 testified or you're saying, that you may have seen  
13 edema on this 1/26/11 film?

14 A. Your question implies possibility. I did see  
15 edema. Certainly.

16 Q. Oh, you did see it. Okay.

17 And that's -- that's a -- would you agree  
18 that that's a significant finding?

19 A. Well, it is a finding. A lot of things are  
20 significant. I wouldn't just pick one element and say,  
21 Oh, that's significant.

22 Q. Well, would that be significant to -- with  
23 regard to whether an acute injury occurred or whether  
24 there's a long-standing condition or something else?

25 A. Possibly.

1 Q. Okay. Was it -- was it a condition that was  
2 not necessarily important to you when you noted it?

3 A. It's germane. It's interesting. I don't  
4 know that it by itself is important, necessarily.

5 Q. And it's a fact that you didn't make any  
6 reference to it in your May 25th, 2011, report, did  
7 you?

8 A. It is a fact that I did not.

9 Q. Okay. And the fact that you didn't make  
10 reference to it might suggest that when you reviewed  
11 the film, either, number one, you didn't see or make  
12 any note of edema at the time that you initially  
13 reviewed the report; or two, you didn't find that any  
14 so-called presence to edema would be significant.

15 A. Are those the only two possibilities?

16 Q. That's it.

17 A. Well, then I can't answer your question if  
18 you're backing me into that corner because that's an  
19 inaccurate corner.

20 Q. Okay. Well, when did you first come up with  
21 this belief that -- that you might have observed edema  
22 on the January 26th, 2011, MRI?

23 A. Well, again, it's not a might observed. I  
24 observed it. I observed it here today. I observed it  
25 before when looking at all the documents in preparation



1 for trial. I don't know going back when I first  
2 observed it. I didn't realize it was going to be so  
3 important to you. I would have observed it much  
4 earlier. But it's certainly there.

5 Q. Okay.

6 A. I also didn't make note of the exact size of  
7 the foramina and certain things like that, but I was  
8 aware of it. I didn't put down everything about the  
9 film.

10 Q. And is it your belief that -- that you  
11 observed edema at the lower portion of the L5, top  
12 portion of the S1?

13 A. At what time?

14 Q. Today in court when you were looking at the  
15 film.

16 A. I did observe it.

17 Q. Today?

18 A. Yes. Not only today, but also today.

19 Q. Okay. Well, other than today, did you ever  
20 make note of it in any report of your -- in any of your  
21 treatment records or any of your nine expert reports  
22 that you -- that you drafted in this case?

23 A. Well, I'd have to go back and look at the  
24 expert reports. But in answering the portion of your  
25 question deals with my clinical reports, I think I fell

1 silent on the topic. I didn't say edema was present,  
2 nor did I say it was absent.

3 Q. You didn't make any reference to it  
4 whatsoever.

5 A. In those reports.

6 Q. In -- in any reports.

7 A. Again, if my testimony is not clear, there  
8 are treatment reports where I fell silent. I mean, I  
9 didn't talk about it --

10 Q. Yes.

11 A. -- either way. In my expert reports, I have  
12 to go back through them. And I don't want to take all  
13 the jury's time, but I will if you think it's  
14 important.

15 Q. Okay.

16 MR. MAZZEO: Judge, just need a moment.  
17 Thank you. Appreciate it. You work with so many  
18 pages, it's easy to get lost here.

19 BY MR. MAZZEO:

20 Q. So, all right, Doctor, I'm going to show  
21 you --

22 MR. MAZZEO: If we can turn on the ELMO,  
23 Judge.

24 MR. STRASSBURG: It's on.

25 MR. MAZZEO: Great. I just need to turn the

1 light on. We have a little glare. Let me see if I can  
2 maybe turn it off. That's better. Okay. All right.

3 BY MR. MAZZEO:

4 Q. So this is Plaintiff's 40B, Slide 1. So I  
5 believe, Doctor, this was one of the slides that we  
6 looked at on computer today.

7 You were actually looking at an imaging study  
8 not a photograph of a imaging study; correct?

9 A. Thank you. Yes.

10 Q. And I believe that you had noted you -- I  
11 think you pointed to it at one point. And it's the  
12 whitish color on the S -- top of the S1, the -- the  
13 edge or the cusp of the S1.

14 A. Yes.

15 Q. And the lower portion here where I'm  
16 pointing --

17 A. Yes.

18 Q. -- to the L5, you see that?

19 A. Yes.

20 Q. Okay. And have you heard of the term "Modic  
21 changes"?

22 A. I have.

23 Q. What is that? What does that refer to?

24 A. Dr. Michael Modic is a radiologist at  
25 Cleveland Clinic, and he described these changes in the

1 bone marrow adjacent to disk issues.

2 Q. Okay. And -- and in describing changes in  
3 the bone marrow, we're talking about -- would it be a  
4 form of ossification?

5 A. It depends.

6 Q. Osteophytic-type changes?

7 A. Well, I don't see any osteophytes here, but  
8 it depends.

9 Q. Okay. And would you agree that this -- this  
10 whitish appearance on the top of the S1 and bottom of  
11 the L5 could be indicative of Modic changes?

12 A. Could possibly.

13 Q. Okay. And, in fact, in your expert opinion,  
14 to a reasonable degree of medical probability, more  
15 likely than not, it's some form of -- given that the  
16 study was taken 24 days after the subject accident,  
17 that this discoloration and this whitish appearance is  
18 more likely than not a form of ossification indication  
19 or bone growth on top of or from within the L5 and S1;  
20 would you agree?

21 A. Not necessarily. I mean, I suppose it's  
22 possible. But in the -- in the -- in concert with the  
23 disk protrusions, the high-intensity zone at L4-5, the  
24 pars defect and, more importantly, the clinical  
25 problems, pain and leg symptoms starting after the

1 injury, I think it's more probable than not that the  
2 findings in the bone marrow here are in response to the  
3 disk stress as part of injury.

4 Q. But these -- these changes in the bone  
5 marrow, would not occur within 24 days and represent  
6 themselves as they are depicted on the film and in this  
7 photograph; would you agree?

8 A. Not necessarily.

9 Q. Let's talk about -- let's talk about these  
10 procedures that you had performed on Ms. Garcia on  
11 January -- December, actually, 26th of 2012. I just  
12 want to -- and also want to refer to some structures in  
13 the back.

14 When we refer to the term "spinal stenosis,"  
15 we're talking about a narrowing of the spinal canal  
16 that can cause chronic pain, numbness, and muscle  
17 weakness in your arms and legs; correct?

18 A. Well, you're somewhat compound. Not only in  
19 to the different -- different part of the body, but  
20 also, arms pertains to the cervical spine, meaning the  
21 neck. So I think you're speaking somewhat overbroadly.  
22 But if I'm allowed to answer overbroadly, then sure.

23 Q. And you -- you can because I was purposefully  
24 being overly broad. I was talking about spinal  
25 stenosis in the spine, the cervical, thoracic, and

1 lumbar. Certainly in the cervical, if you have spinal  
2 stenosis, the -- the pain, numbness, and muscle  
3 weakness would go into the arms. And the spinal  
4 stenosis in the lumbar spine would produce pain,  
5 numbness -- chronic pain, numbness, and muscle weakness  
6 into the legs; correct?

7 A. Correct to a degree. It's not 100 percent  
8 correct because you're speaking about the diagnosis of  
9 stenosis, not stenosis related to a spondylolytic  
10 spondylolisthesis with no onset pain.

11 Q. That's correct, I was not. I was just  
12 speaking -- I was just asking specifically about the  
13 term "spinal stenosis."

14 A. Okay. Then very generically, you're correct.

15 Q. And when we speak of foramina, we're talking  
16 about openings where the nerve roots normally exit the  
17 spinal canal?

18 A. Yes.

19 Q. And if the foramina become narrowed, painful  
20 nerve compression can result from that?

21 A. It can, yes.

22 Q. Laminectomy, as you talked about, removes the  
23 entire bony lamina in the back portion of the  
24 vertebrae?

25 A. It does.

1 Q. A portion of the enlarged facet joints and  
2 ligaments overlying the spinal cord and nerves?

3 A. Well, the facet joints, it's called a  
4 facetectomy, but otherwise, yes.

5 Q. Okay. And in this case, you did both the  
6 laminectomy and a facetectomy --

7 A. Correct.

8 Q. -- at the same time.

9 And -- and a laminotomy you also performed in  
10 this case in addition to the laminectomy.

11 A. Yes.

12 Q. The laminotomy is the removal of a small part  
13 of the lamina and ligaments, usually on one side in  
14 order to decompress the corresponding spinal cord and  
15 the -- and/or the spinal nerve root.

16 A. Well, I don't know that it's usually on one  
17 side, but in this case, it was both sides as it applies  
18 to the L4 and S1 levels.

19 Q. Have you ever performed a lamin -- sorry, a  
20 laminotomy where you removed a small part of the lamina  
21 and ligaments on one side as opposed to both?

22 A. Probably.

23 Q. Okay. And -- and there's a reason -- isn't  
24 there a reason for -- for doing a laminotomy on one  
25 side as opposed to both because it allows for the

1 natural support of the lamina left in place on the  
2 other side?

3 A. That's not the reason.

4 Q. What -- what do you think is the reason?

5 A. Because there's no reason to go on the other  
6 side in some cases.

7 Q. But if you only -- but if you do only perform  
8 a laminotomy on one side, would you agree that by  
9 leaving the other -- the lamina on the other side in  
10 place, it allows for support of that structure?

11 A. The -- the other untouched lamina is not  
12 specifically required when one does a laminotomy  
13 because a laminotomy means part of the lamina is still  
14 intact. So no additional support is really needed.

15 Q. So -- but -- but a laminotomy can be  
16 performed where the lamina is removed from both sides.

17 A. Then it's a laminectomy not a laminotomy.

18 Q. Oh, and that's -- so a laminectomy is where  
19 you remove the entire lamina.

20 A. You can do a one-sided laminectomy, I think  
21 is what you're really trying to ask me.

22 Q. Can you do a one-sided laminotomy?

23 A. Sure. I do them all the time.

24 Q. That's what I was asking you about.

25 So -- so you can do a one-sided laminotomy.



1           A.    Not in a case like this.

2           Q.    I wasn't asking you about a case like this  
3 one.

4           A.    I just want to make it clear to everybody.

5           Q.    Oh, yeah, yeah.  No, I was just asking you in  
6 general about surgical terms.  That's all.

7           A.    I see.  Yeah, one can do a one-sided  
8 laminotomy.  It's -- it's a common procedure.

9           Q.    And it's a common procedure, and it's -- is  
10 it preferred to do a one-sided laminotomy, rather than  
11 a two-sided laminotomy because it allows the additional  
12 support from the lamina that's left in place?

13          A.    No.  The lamina is still in place with a  
14 laminotomy.  Just -- you're just taking a little piece  
15 away.

16          Q.    Now, in this case, you performed bilateral  
17 laminotomies; correct?

18          A.    At L4 and S1.  But laminectomies at L5.

19          Q.    Okay.  At L4 -- at L4 and S1 you performed  
20 bilateral laminotomies.

21          A.    I did.

22          Q.    Okay.  And by performing bilateral  
23 laminotomies at those two locations, would you agree  
24 that that would increase postoperative spinal  
25 instability?

1           A.    No, not at all.

2           Q.    Facet -- hold on one second.

3                Now, a facetectomy is a procedure used for  
4 decompression of the spinal cord root; correct?

5           A.    In part.

6           Q.    And in part a decompression of the nerves --  
7 of the nerves going through the foramen.

8           A.    Also in part.

9           Q.    Okay. And also -- now, you also did a  
10 foraminotomy; correct?

11          A.    Yes.

12          Q.    Which is removal of the bone around the  
13 neural foramen.

14          A.    Yes.

15          Q.    And the bone around the neural foramen is the  
16 space between the vertebrae or where the nerve root  
17 exit the neural foramen.

18          A.    Correct.

19          Q.    Or exits the spinal canal.

20          A.    Both. Both are correct.

21          Q.    Okay. And is it -- is it correct to say that  
22 decompression surgery for spinal stenosis is elective  
23 surgery as opposed to urgent surgery?

24          A.    There are urgent reasons to do it. I would  
25 say it's more commonly elective.

1 Q. Okay. Now, in this case, you had -- from  
2 your review of the operative report -- give me one  
3 second. Let's see.

4 Well, according to the operative report, you  
5 had -- you performed an L5 gill type, G-i-l-l, type  
6 laminectomy; is that correct?

7 A. Yes.

8 Q. And you removed the gill lesion at L5 and  
9 removed the facets at each level from L4 to S1;  
10 correct?

11 A. The facets at L4-5 were partially removed,  
12 but the gill at L5 takes away the entire L5 facet.

13 Q. Okay. So then all of the facets removed from  
14 L4-L5, S1? No?

15 A. No. The L5 component is removed and only  
16 part of the -- the L4. The part that goes up to L3 is  
17 untouched.

18 Q. Sure. Okay.

19 And would you agree that extensive removal of  
20 the lamina adds to the instability of the spine?

21 A. It could, yes.

22 Q. And is it correct to say that you did not use  
23 a rod on the right side from L4 to S1?

24 A. I used it on the -- from L5 to S1. But as  
25 you may recall from my earlier testimony, I had trouble

1 with the right L4 screw, and I had no place to put the  
2 rod up there.

3 Q. Okay. And by not using a longer rod on the  
4 right side, is it possible that that could lead to  
5 instability, especially after removing the facets and  
6 lamina?

7 A. I didn't remove the facets that high. I  
8 didn't remove the lamina that high. I did  
9 laminotomies. So, no.

10 Q. So you used -- you removed a -- a portion of  
11 the lamina --

12 A. Right.

13 Q. -- at those levels?

14 A. Correct.

15 Q. So I should have qualified it, then.

16 So could the -- could your -- the fact that  
17 you didn't use a longer rod on the right side, could  
18 that lead to instability after removing a portion of  
19 the lamina on the right side?

20 A. Highly unlikely, but anything's possible, I  
21 suppose.

22 Q. And is it possible, also, that excising  
23 these -- these parts, the lamina, the facets, could  
24 result in failure of the fusion?

25 A. No. Excising these parts contribute to

1 fusion because we use that bone to create the fusion.  
2 The excision and the fusion are separate things.

3 Q. But the -- the procedure that you had  
4 performed -- well, strike that.

5 In your operative report, you referred to a  
6 allograph material that you used to support the  
7 arthrodeses.

8 A. Yes.

9 Q. What allograph material did you use?

10 A. I didn't specify the type, and I don't have  
11 the hospital file with the implant log and biologic  
12 log. It would be in there.

13 Q. Okay. Do you have any recollection as to  
14 whether it might be a biologic morphogenic protein?

15 A. It may have been.

16 Q. Okay. And -- but would it help if you had  
17 the -- oh, go ahead.

18 A. I'm sorry. I found it. It's on the last  
19 page of my operative report. It says Bacterin putty.  
20 So it's a demineralized bone putty. It has calcium,  
21 magnesium, phosphorus, things that bone cells are  
22 looking for to make more bone. Bone food.

23 Q. Bacterin putty allograph.

24 A. B-a-c-t-e-r-i-n is the company of the  
25 product.

1 Q. Okay. Does that have any relationship to a  
2 morphogenic protein?

3 A. Not that I'm aware of.

4 Q. Okay. And are there -- are there  
5 complications associated with Bacterin putty allograph?

6 A. Not when used properly, no.

7 Q. Okay. What if not used properly? What are  
8 some complications?

9 A. Well, if I opened it or the nurse opened it  
10 to give it to me and she sneezed on it before she  
11 handed it to me, the patient could get an infection.

12 Q. Okay. Is that the only complication from  
13 using a Bacterin putty allograph?

14 A. As far as I know.

15 Q. Doctor, would you -- would you agree that  
16 a -- when you -- when a patient comes to you and  
17 they -- they give you a history of the present illness  
18 and past medical history and -- and -- and they  
19 self-report to you regarding an event that might have  
20 contributed or caused their -- their pain symptoms,  
21 would you agree that a patient's recollection is better  
22 closer to the event being described and diminishes over  
23 time?

24 A. Probably.

25 Q. And in attributing injuries to a specific

1 traumatic event, is it fair to say that you consider  
2 preexisting conditions, onset of symptoms, diagnostic  
3 studies, and mechanism of injury?

4 A. If they exist, yes.

5 Q. Okay. When we refer to the term "traumatic  
6 injuries," we're referring to injuries that are  
7 sustained from a traumatic event; fair enough?

8 A. Yes.

9 Q. And in diagnosing traumatic injuries, you --  
10 you would expect that the onset of symptoms from a  
11 traumatic event would arise in close proximity to the  
12 traumatic event.

13 Is that fair -- fair to say?

14 A. Usually, but not always.

15 Q. And symptoms that arise further away from a  
16 traumatic event would usually be less likely related to  
17 that event; correct?

18 A. Generally as a trend, yes.

19 Q. And when we refer to the term "causation,"  
20 we're referring to an event causing an injury or  
21 condition?

22 A. Yes.

23 Q. And would you agree that there's a direct  
24 correlation between a patient's reporting of past  
25 medical history and history of present illness and

1 causation of injuries?

2 A. I'm not sure I understand your question.

3 Q. Okay. When a patient comes to you and  
4 self-reports and tells you that -- gives you a report  
5 of the past medical history and also the history of the  
6 present event or history of present illness or injury,  
7 those -- that self-reporting by the patient are  
8 significant factors for you as a physician in assisting  
9 you to determine causation.

10 A. They can be.

11 Q. And would you agree that another factor  
12 impacting your determination or a doctor's  
13 determination of causation would be onset of pain?

14 A. Yes.

15 Q. And would you agree that a patient's  
16 reporting of symptoms contemporaneous with an event  
17 would be more accurate than a patient recalling  
18 symptoms later on as they -- as time becomes -- as --  
19 as we get further away from that event?

20 A. Generally, yes, as a trend.

21 Q. And is that the reason why medical doctors  
22 such as yourself want to ascertain from the patient's  
23 earliest medical records the reporting of symptoms that  
24 occurred, when they first reported them following a  
25 traumatic event?



1           A.     That's one element we would look to, but not  
2 all elements we would look to.

3           Q.     Now, I just want to go over some of the --  
4 some of the information that you had at the time of  
5 your initial evaluation on May 25th, 2011.

6                     And when you had first evaluated Ms. Garcia  
7 on May 25th, you had testified earlier that you had  
8 MountainView Hospital record, the records from  
9 Dr. Gulitz, I believe --

10          A.     Yes.

11          Q.     -- maybe as well as a number of reports from  
12 imaging studies?

13          A.     Yes. There are few more items, but generally  
14 that's most of what I have.

15          Q.     Yes. And I think you also said Primary Care  
16 Consultants, that Mr. McGauran --

17          A.     Yes.

18          Q.     -- the PA?

19                     Okay. And so based on your review of the  
20 records in addition to your -- well, strike that.

21                     Based on your review of the records at the  
22 time of your initial consultation, you knew that  
23 Ms. Garcia reported that she was not injured at the  
24 scene.

25          A.     I'm sorry. One second, please.

1 Q. Sure.

2 A. I don't see from my summary any discussion of  
3 the presence or absence of injury in the State of  
4 Nevada Traffic Accident Report. But I don't have the  
5 actual accident report in front of me.

6 Q. Okay.

7 A. So if you -- if you -- I can't answer more  
8 accurately without looking at it again. I'm sorry.

9 Q. Fair enough.

10 And that's -- I was going to direct your  
11 attention to that next because I know that this morning  
12 you had indicated that you had reviewed the traffic  
13 accident report in this case. Okay.

14 MR. MAZZEO: Judge, may I approach not the  
15 witness, but behind him there are the trial -- the  
16 exhibit books, the binders?

17 THE COURT: That's fine.

18 MR. MAZZEO: Yeah? Thank you, Judge.

19 And if I may, I just want to show a -- it's  
20 not in evidence, so I just want to show it to the  
21 doctor.

22 THE COURT: That's fine.

23 MR. MAZZEO: So for the record, I'm showing  
24 the doctor Plaintiff's Exhibit 1, page 4.

25 /////

1 BY MR. MAZZEO:

2 Q. And I'm going to direct your attention to the  
3 top of that page. Take a moment to look at it --

4 A. Thank you.

5 Q. -- and tell me if that indicates to you  
6 whether or not injuries were reported at the scene.

7 A. (Witness reviewing document.)

8 Q. Doctor, if you stay on that first page.

9 A. If it's okay with you, I'd like to look at  
10 the entire document before I answer any questions.

11 Q. But before moving on to the second page, did  
12 you see the part on the first page of the location of  
13 the box that indicates that no injuries were reported  
14 at the scene?

15 A. Well, I'd rather be accurate. There's a  
16 box -- there are three boxes that can be checked by,  
17 Officer Gibbs -- yeah, Robert Gibbs; is that right?

18 Q. Well, it's Officer Figueroa, but regardless,  
19 that's fine.

20 A. Sorry. So Officer Figueroa I assume filled  
21 out the report. There are three boxes. One says  
22 Property, one says Injury, one says Fatal. And only  
23 the Property box is checked. I think that's the more  
24 accurate answer.

25 Q. Okay. But the box -- I'm not asking you

1 about property, Doctor. So stay on that page for a  
2 second.

3 The box which has Fatal and the box that has  
4 Injury, neither of those are checked; correct?

5 A. That is correct.

6 Q. Okay. Thank you. Okay.

7 Doctor, we're going to move on. It's a long  
8 report. I don't need to ask you about the other  
9 portions of that report. Okay?

10 A. Okay.

11 Q. Thanks. Thank you.

12 So does that refresh your recollection as to  
13 when -- or as to the fact that Ms. Garcia did not  
14 report that she was injured at the scene? Or you have  
15 some other understanding?

16 A. I just want to take a look at my history to  
17 see if I do have another understanding.

18 Q. Sure.

19 A. She didn't tell me if she knew she was  
20 injured or not at the scene. She just said she was in  
21 shock.

22 Q. Okay. And did you know that Ms. Garcia went  
23 to work the day after the motor vehicle accident on  
24 January 3rd of 2011?

25 A. I don't know that I knew that on May 2011

1 when I saw her, but later perhaps in her deposition, I  
2 think I came to understand that.

3 Q. Okay. And you have no reason to dispute that  
4 she went to work on May -- or January 3rd of 2011 and  
5 worked a full shift at her employment; correct?

6 A. I wouldn't have a reason to dispute that.

7 Q. And you have no reason to dispute that she  
8 went to work on January 3rd and performed all of her  
9 duties.

10 A. I would have no reason to dispute that  
11 either.

12 Q. And did you know that she had reported that  
13 she did not suffer any symptoms whatsoever until three  
14 days after the motor vehicle accident?

15 A. Well, I don't know exactly where that came  
16 from. She told me that she felt stiffness in the neck,  
17 upper back, and shoulders the following day, meaning  
18 the day after. And then had some tingling and  
19 discomfort in the lower back. And she just thought  
20 she'd be sore from the accident. And it was over the  
21 next few days she became more uncomfortable due to low  
22 back pain. So I don't know if it's at Day 2 or Day 3,  
23 but this is a dynamic experience that she was having.

24 Q. Okay. Sure. And that's what she told you  
25 about five months after this accident; right?

1           A.    Yes.

2           Q.    Okay.  And I'm going to direct your attention  
3 to Plaintiff's Exhibit 18, page 1, and this is a record  
4 from MountainView Hospital Physician Clinical Report.  
5 Going to direct your attention to about halfway down  
6 the page.  And this is additional history.  By the way,  
7 the historian is the patient.  So the information  
8 contained on this page came from Ms. Garcia.  And she  
9 indicates under Additional History paragraph she felt  
10 fine after the accident.  Patient was pain free after  
11 the accident.  Patient's symptoms started today.

12                   Today referring to January 5th of 2011;  
13 correct?

14          A.    Yes, that's what it says.

15          Q.    Okay.  And is it fair to say that you have no  
16 reason to question Ms. Garcia's self-report to  
17 MountainView Hospital on -- when she reported -- when  
18 she appeared at MountainView Hospital on January 5th of  
19 2011 as to when the symptoms started?

20          A.    I have no reason to question it.

21          Q.    Thank you.

22                   And, um, is it possible, Doctor, or do you  
23 think -- or it is it likely -- strike that.  Let me  
24 start over.

25                   Do you agree that a -- that a plaintiff

1 litigant or a patient litigant could have a motive for  
2 attributing injuries to a specific event as a result of  
3 a potential interest in a third-party claim?

4 A. Whether or not the event caused them?

5 Q. Yes.

6 A. Anything is possible, I suppose.

7 Q. Now, when -- when Ms. Garcia presented to you  
8 on May 25th of 2011, she came to you, you were the --  
9 you were -- she came to you for a second neurosurgical  
10 opinion; correct?

11 A. Yes.

12 Q. Essentially, you're her treating physician  
13 evaluating her for potential surgery in the future.

14 A. I'm the second surgeon to look at her for  
15 that reason, yes.

16 Q. That's true. So maybe on May 25th you're not  
17 actually her treating physician at that point, but  
18 you're a consultant. You're consulting her with regard  
19 to a neurosurgical consult.

20 A. Both. I mean, I am treating her as a second  
21 opinion consultant, so both are probably true.

22 Q. Okay. And as a treating physician, it's  
23 correct that you're -- at that point when you're  
24 reviewing the medical records and speaking with  
25 Ms. Garcia, you're focused more on the diagnosis and

1 treatment plan at that point than on causation;  
2 correct?

3 A. Well, I'm certainly focused on my patient  
4 and -- and getting the right diagnosis and the right  
5 treatment plan. But I don't think I skirted the issue  
6 of causation. I have a whole page on it with diagram  
7 and everything.

8 Q. Doctor, but you -- you weren't retained as an  
9 expert as -- at the time of the initial consultation;  
10 correct?

11 A. That's true.

12 Q. Okay. And -- and would you agree as a  
13 treating physician, your primary focus is to -- when  
14 you're evaluating a patient for the first time, it's to  
15 diagnose and treat the patient?

16 A. That is a primary focus.

17 Q. Because you want to relieve the complaints,  
18 the symptoms, whatever they're coming to you for.

19 A. Yes. I want to try.

20 Q. And -- and you were not -- as a treating  
21 physician, your primary focus is not to determine  
22 causation; correct?

23 A. Well, sometimes to understand the injury and  
24 the diagnosis, you have to have some understanding of  
25 causation. So I didn't downplay the discussion of



1 causation, even if it wasn't the primary focus of the  
2 evaluation or the reason for which she presented to me.

3 Q. Today I believe you said that you have  
4 special education and training in, did you say  
5 biomechanics?

6 A. I did.

7 Q. Or biomedical? I think you said  
8 biomechanics.

9 A. Biomechanics of the spine.

10 Q. Of the spine. That's what you said. Spinal  
11 biomechanics. That's what I wrote down. Okay.

12 But is it correct to say you don't have any  
13 training or education in biomechanical engineering?

14 A. Outside -- as its application to the spine,  
15 no, I don't.

16 Q. Okay. And is it correct to say that with  
17 your training in spinal biomechanics that you actually  
18 never studied the impact forces on the spine of an  
19 occupant in a car which is struck in the rear passenger  
20 door?

21 A. I've treated patients in many types of auto  
22 collisions, but I don't think I have done a specific  
23 study in that type of environment.

24 Q. Okay. And -- and I was -- I was very  
25 specific with a particular accident, but I'll take

1 it -- I'll broaden it up some.

2 Is it a fact that you've never studied the  
3 impact forces on the spine of an occupant in any  
4 vehicle who's involved in an accident?

5 A. Not outside of reading studies, both with  
6 nonpatients, meaning crash dummies and looking at  
7 epidemiologic studies of patients in accidents, I  
8 haven't done any specific study of my own or  
9 investigating.

10 Q. Okay. And would you agree that the biggest  
11 factor in attributing injuries to a specific accident  
12 such as a motor vehicle accident would be the patient's  
13 history, it would come from the patient's history?

14 A. I don't really know if that's the biggest  
15 factor, but it's an important starting point.

16 Q. And is it a fact that you often rely on a  
17 patient's self-report with regard to things such as  
18 past medical history?

19 A. Well, I do, but I'm also at the same time  
20 making an evaluation of their credibility, consistency,  
21 looking at records to see how good of a reliability I  
22 have on that history.

23 Q. Fair enough.

24 And would you agree that a patient's  
25 self-report regarding past medical history is important

1 in assessing the relatedness of injuries, treatment,  
2 and prognosis to a -- to a certain event?

3 A. It can be.

4 Q. And would you agree that a patient's  
5 self-report regarding both past medical history and  
6 history of present illness, it's a subjective appraisal  
7 given to you by the patient?

8 A. I suppose.

9 Q. Well, I say "subjective" because this  
10 self-report typically cannot be verified; is that  
11 correct?

12 A. Well, I think you're somewhat vague as to  
13 "it." It depends on the element and the patient and  
14 the records in the past and so many variables.  
15 Sometimes things are verified. Sometimes the absence  
16 of something is -- is -- is at least somewhat supported  
17 by the absence of any treatment or records supporting  
18 that absentia claim.

19 Q. Okay. But I'm specifically talking to --  
20 about what the patient tells you regarding history of  
21 present illness.

22 A. My answer was specific to that.  
23 Unfortunately, your question was somewhat broad as to  
24 it. The word "it."

25 Q. Well, if you were to -- you made a reference

1 to reviewing other medical records, correct, in -- in  
2 verifying, I guess, a patient's self-report to you when  
3 they come in for a consultation?

4 A. Right.

5 Q. And -- and so when you review other doctors'  
6 records, typically the format is the same. There might  
7 be some subtle changes from one doctor's report to  
8 another, but typically you have in -- in each treatment  
9 record, you'll have an HPI, history of present illness;  
10 right?

11 A. Generally yes.

12 Q. You'll have a PMH, a past medical history;  
13 right?

14 A. Yes, generally.

15 Q. You'll have sections for medication, social  
16 history, family history.

17 A. That's typical.

18 Q. Right?

19 And would you agree that when you review  
20 other doctors' medical records and you're looking at  
21 the information from history of present illness or past  
22 medical history, that's generally information that the  
23 patient self-reported to these other providers? Yes?

24 A. Yes.

25 Q. Thank you.

1           When -- when Ms. Garcia came to you on  
2 May 25th of 2011 and you performed a physical  
3 examination, your physical examination showed her  
4 condition as she appeared on that date, May 25th, not  
5 her condition as she appeared on January 2nd or  
6 January 3rd of 2011; correct?

7           A.     Correct.

8           Q.     Okay. When we refer to pain, patient comes  
9 to you and says, Doctor, I have pain in this part of my  
10 body, they might point to a general location on their  
11 body, right, as to where the pain's coming from?

12          A.     They might.

13          Q.     And when we talk about pain, we're talking  
14 about a -- it's a subjective self-appraisal by the  
15 patient; right?

16          A.     Pain is a subjective experience.

17          Q.     Cannot be quantified or measured; correct?

18          A.     Not purely objectively.

19          Q.     Okay. And as opposed to when we talk about  
20 objective evidence, we're talking about something that  
21 can be quantified or measured such as an MRI,  
22 diagnostic imaging study.

23          A.     Generally, yes.

24          Q.     Okay. And would you agree that where a  
25 patient's subjective complaints are not supported by

1 objective medical evidence that you -- in that  
2 situation, you might question the accuracy of the  
3 patient's reporting of the symptoms?

4 A. It depends.

5 Q. Now, in your report that we're still on, the  
6 May 25, 2011, report, you had identified the various  
7 medical records that you had reviewed in connection  
8 with your evaluation; right?

9 A. At that time, yes.

10 Q. Yes. And -- and that -- as you testified to  
11 already, that included the MountainView Hospital  
12 record --

13 A. Yes.

14 Q. -- right?

15 And that's the record I had up on the screen  
16 a few minutes ago; right?

17 A. Yes.

18 Q. And -- and at the time that she appeared at  
19 MountainView Hospital, the doctors there performed a  
20 physical examination of Ms. Garcia; correct?

21 A. Yes.

22 Q. And is it correct that at the time that they  
23 performed the physical examination, that they noted the  
24 findings in the record, the MountainView Hospital  
25 record?

1           A.    Well, they didn't -- they didn't note very  
2 much. I think it was limited. I think they said  
3 unremarkable.

4           Q.    Well, I think they said a little bit more  
5 than that, Doctor, and I will direct your attention to  
6 it. And I'll put it on. It's plaintiff --

7           THE COURT: Need a break?

8           MR. STRASSBURG: When you get to a good  
9 point. I mean, I just --

10          MR. MAZZEO: Can I just go through this real  
11 quick?

12          MR. STRASSBURG: Absolutely.

13          MR. MAZZEO: Thank you. Thank you.

14 BY MR. MAZZEO:

15          Q.    All right. Doctor, just -- so we're on  
16 Plaintiff's 18, 2. It's at the bottom there. And  
17 we're on the second page, and I'm going to direct your  
18 attention to the physical examination. And specific --  
19 specific findings on the examination, with respect to  
20 head, you see it was -- it noted nontender, no swelling  
21 of the head; correct?

22          A.    Yes, that's what it says.

23          Q.    They examined Ms. Garcia's neck. No muscle  
24 spasm in the neck, painless range of motion, nontender,  
25 no vertebral tenderness.

1 Do you see that?

2 A. I do.

3 Q. Let's go down to back. She had no back  
4 tenderness, no vertebral point tenderness or muscle  
5 spasm.

6 Do you see that as well?

7 A. I do.

8 Q. So these findings under Physical Examination,  
9 are all -- I mean, they did perform a -- a head-to-toe  
10 physical examination of Ms. Garcia; correct?

11 A. Emergency room head-to-toe exam, we'll call  
12 it.

13 Q. Okay. And with regard to her extremities,  
14 just for the jury when -- when -- when doctors refer to  
15 extremities, you're referring to the arms and legs;  
16 right?

17 A. Yes.

18 Q. Okay. And so extremities would -- were noted  
19 normal inspection, pelvis is stable, extremities  
20 atraumatic, no lower extremity edema.

21 Do you see that?

22 A. I do.

23 Q. And then they did a neuro exam. No motor  
24 deficit, no sensory deficit.

25 Do you see that as well?



1           A.    I do.

2           Q.    Okay.  And then the clinical impression was  
3 low back strain, and they put motor vehicle accident.

4                   Do you see that as well?

5           A.    Yes.

6           Q.    Okay.  And a sprain, just for the jury, is --  
7 is a stretching or tearing of the ligaments?

8           A.    Yes.

9           Q.    And -- and ligaments are the tough bands of  
10 fibrous connective tissue that connect one bone to  
11 another in the joints?

12          A.    Yes.

13          Q.    And is it correct that when we -- when the  
14 doctors and -- and medical people refer to sprains and  
15 strains, they're talking about musculoligamentous  
16 injuries?

17          A.    Yes.

18          Q.    Okay.  And is it correct that treatment and  
19 prognosis for both back strains and strains is  
20 essentially the same treatment for a sprain as is the  
21 same for a strain?

22          A.    Generally, yes.

23          Q.    Okay.

24                   MR. MAZZEO:  Good time to break, Judge.

25                   THE COURT:  Okay.  Great.  All right, folks,

1 let's take our afternoon break.

2           During our break, you're instructed not to  
3 talk with each other or with anyone else about any  
4 subject or issue connected with this trial. You are  
5 not to read, watch, or listen to any report of or  
6 commentary on the trial by any person connected with  
7 this case or by any medium of information, including,  
8 without limitation, newspapers, television, the  
9 Internet, or radio. You are not to conduct any  
10 research on your own, which means you cannot talk with  
11 others, Tweet others, text others, Google issues, or  
12 conduct any other kind of book or computer research  
13 with regard to any issue, party, witness, or attorney  
14 involved in this case. You're not to form or express  
15 any opinion on any subject connected with this trial  
16 until the case is finally submitted to you.

17           Plan on ten minutes.

18                   (The following proceedings were held  
19                   outside the presence of the jury.)

20           THE COURT: We're outside the presence of the  
21 jury.

22           We need to put anything on the record?

23           MR. MAZZEO: No, Judge.

24           MR. TINDALL: No, Your Honor.

25           THE COURT: All right. Off the record.

1 (Whereupon a short recess was taken.)

2 THE MARSHAL: Jury entering.

3 (The following proceedings were held in  
4 the presence of the jury.)

5 THE MARSHAL: Jury is present, Judge.

6 THE COURT: Thank you. Go ahead and be  
7 seated. Welcome back, folks. We're back on the  
8 record, Case No. A637772.

9 Do the parties stipulate to the presence of  
10 the jury?

11 MR. ROBERTS: Yes, Your Honor.

12 MR. MAZZEO: Yes, Judge.

13 MR. STRASSBURG: Yes.

14 THE COURT: Doctor, just be reminded you're  
15 still under oath.

16 Mr. Mazzeo, you may continue.

17 MR. MAZZEO: Thank you, Judge.

18 BY MR. MAZZEO:

19 Q. Doctor, I'm going to now direct your  
20 attention to the bills from Pacific Hospital from which  
21 you testified earlier on direct examination earlier  
22 today you were testifying about them.

23 So you had indicated, and according to the  
24 records, the Pacific bill for the -- Ms. Garcia's  
25 fusion on 12/26, and I guess as well as for some of her

1 stay at the hospital before and after that surgery was  
2 \$281,351.20; right?

3 A. I believe that's correct.

4 Q. And -- and also, I believe it's correct that  
5 patients have told you that Pacific Hospital charges  
6 are -- are high for the procedures for which they go to  
7 the hospital for; is that correct?

8 A. Patient -- my California patients have said  
9 that.

10 Q. Okay. What about your Nevada patients?

11 A. No. It's probably the one slight difference  
12 between the two states is the hospital charges here in  
13 Nevada seem to be higher than in California.

14 Q. Now, Ms. Garcia stayed at Pacific Hospital  
15 for eight days post procedure; right?

16 A. Something like that, yes.

17 Q. And Pacific charged 7 -- \$1,742 a day for her  
18 stay in a hospital bed; right?

19 A. I would have to look back at the bill, but  
20 that's in the realm of what I would expect.

21 Q. And -- and in the file that you brought with  
22 you today, do you have the bill?

23 A. I just have the summary of the bill. I don't  
24 have the full bill with me here. I have it on this  
25 flash drive, but I'd have to pull out my laptop to look

1 at it.

2 Q. Oh, I see. Okay.

3 And as far as you know, Ms. Garcia didn't  
4 have any complications from the surgery while she  
5 recovered in the hospital afterwards; right?

6 A. Just the chin, kind of like a loss of skin on  
7 the chin from lying on her front for a bit. But  
8 that -- that was gone within a month or two.

9 Q. And also, according to my notes, the  
10 anesthesia for the surgery was \$17,882.

11 Do you have any reason to dispute that?

12 A. I'm sorry. Within the hospital bill?

13 Q. Yes.

14 A. I wouldn't have a reason to dispute that,  
15 specifically.

16 Q. Okay. And I believe from what you testified  
17 to earlier as well as possibly previously, you believe  
18 that Pacific Hospital charges high markups for both  
19 services and hardware.

20 Would that be correct or just hardware?

21 A. I think it's just hardware. And then  
22 overall, their bill is higher because of that.

23 Q. And there was a charge for -- from the  
24 Pacific Hospital for devices such as other implants, to  
25 Code 278, and Pacific charged \$129,694 for that -- for

1 that code.

2 Do you have any reason to dispute that?

3 A. Well, I think that's the hardware charge.

4 Q. Okay. And as you said, you told us earlier  
5 that that hardware covers -- let me get to the page --  
6 that would be the instruments, the trays.

7 What about the -- the screws and the -- the  
8 implants themselves?

9 A. I think all the hardware, anything metallic  
10 or the acrylic I used. And then it's -- it's likely  
11 that the biologics, the Bacterin putty allograph  
12 material was in one of those charges also.

13 Q. Okay. And -- and this bill from Pacific  
14 hospital for 281,000-plus dollars for this surgery  
15 and -- and others like it, is it true that you believe  
16 that there -- there's a -- a three times markup for the  
17 list price of the hardware?

18 A. I don't know if I know that for certain. I  
19 think the hardware is -- agreed, I think it's -- it's  
20 marked up too much. But the overall bill for that stay  
21 is certainly in the realm of a -- of a fusion surgery  
22 hospital bill here in Clark County.

23 Q. And -- well, did you previously -- when you  
24 previously testified at your deposition, did you  
25 indicate and say something to the effect of that, To my

1 knowledge, they were marked up at -- at three times the  
2 list price?

3 A. I may have.

4 Q. And I can direct your attention to the page.  
5 It's page 30, line -- starting at line 16.

6 A. I did say that.

7 Q. And then also there was a charge, Pacific  
8 charge for International implants of \$115,108; is that  
9 correct?

10 A. Yes, but I think that's part of the 129.

11 Q. Okay. And -- and is it your understanding  
12 that this was marked up -- that the markup was  
13 increased greater than 50 percent above the list price?

14 A. I think so.

15 Q. Okay. Now, International implants, is that a  
16 company that provides the hardware that was used in --  
17 for Ms. Garcia's surgery?

18 A. That's my understanding.

19 Q. Okay.

20 MR. MAZZEO: And, Judge, I'll need a prior  
21 ruling on the next topic I need to go into.

22 THE COURT: Come on up.

23 MR. MAZZEO: If we can approach.

24 (A discussion was held at the bench,  
25 not reported.)

1 MR. MAZZEO: Thank you, Judge.

2 THE COURT: Okay. Go ahead.

3 MR. MAZZEO: May I continue, Judge?

4 THE COURT: You may.

5 MR. MAZZEO: Thank you.

6 BY MR. MAZZEO:

7 Q. Okay. Doctor, so you had -- during the  
8 course of your work in this case, you served as both --  
9 both a treating physician and you provided expert  
10 services as well; right?

11 A. Yes.

12 Q. And the expert services you provided was by  
13 way of reviewing the -- all the, you know, voluminous  
14 medical records and documents in this case and then  
15 offering an opinion with regard to other treatment;  
16 correct?

17 A. Yes.

18 Q. Okay. And so just want to talk to you about  
19 those for a moment. Part 1 of the -- directing your  
20 attention to -- one second.

21 So one of the reports that I want to direct  
22 your attention to would be the September 23rd, 2013,  
23 Neurosurgical Supplemental Report that you authored,  
24 and that report includes a review of Dr. Oliveri's  
25 Comprehensive Medical Evaluation and Life-Care Plan, as



1 well as Dr. Stan Smith's forensic report from July 11th  
2 of 2013; right?

3 A. Yes.

4 Q. And -- and so you reviewed this -- these --  
5 these reports as -- to render an -- an opinion as to  
6 whether you are in agreement with the findings and  
7 opinions of Dr. Oliveri and Dr. Stan Smith or not;  
8 right?

9 A. I suppose.

10 Q. Okay. Well, and after -- after reviewing  
11 Dr. Oliveri's report and Dr. Stan Smith's report, it's  
12 correct that in your supplemental report, you didn't  
13 provide any analysis of any of the information you  
14 gleaned from the reports that you reviewed; correct?

15 A. Well, only to the degree that I -- I largely  
16 agreed with the opinions set forth, and that's a little  
17 bit of an analysis in a way.

18 Q. Well, let's go to that, then. You actually  
19 provided a conclusory statement where you said, "I have  
20 reviewed these reports and am largely in agreement with  
21 the opinions set forth"; right?

22 A. I did.

23 Q. But other than that, you did not provide any  
24 analysis of any of the findings, opinions, or  
25 conclusions by Dr. Oliveri or Dr. Stan Smith.

1           A.    Notwithstanding the analysis of agreement,  
2 there was no other analysis provided.

3           Q.    Okay.  And Dr. Stan Smith, as you know, is an  
4 economist; right?

5           A.    Yes.

6           Q.    And he provided report regarding economic  
7 losses, household services, life care plan, and hedonic  
8 damages; right?

9           A.    Yes.

10          Q.    And you're not an economist; right?

11          A.    I'm not.

12          Q.    And is it fair to say that you don't know  
13 what household services Ms. Garcia provided before the  
14 motor vehicle accident; right?

15          A.    Generally, I have an idea, but I don't know  
16 to the degree that Dr. Smith probably evaluated.

17          Q.    Okay.  And -- and you have -- you don't know  
18 specifically what limitations Ms. Garcia had with  
19 regard to household services after the accident?

20          A.    Well, I have some idea since I gave her some  
21 of those restrictions, certainly after the surgery, and  
22 I've come to see her many times.  I certainly am aware  
23 of some of the things she has difficulty doing or cause  
24 her more pain.  But I would -- I would defer to  
25 Dr. Smith's expertise in that evaluation and arena.

1           Q.   Well, more specifically, not -- I'm not  
2 talking about the surgery. Of course there's going to  
3 be certain limitations. But more specifically,  
4 after -- from the time that you consulted with -- first  
5 consulted Ms. Garcia in May of 2011 up until the time  
6 of the -- the surgery, December 26th of 2012, is it  
7 fair to say that you don't know what her -- what would  
8 be considered her normal household services in terms of  
9 cooking, cleaning, mopping, things of that nature, how  
10 much she did it per week, how many times per month,  
11 what assistance she had; right?

12           A.   Again, I know a few pieces because we did  
13 talk about her household activities at times. But not  
14 to that degree which, again, I would expect Dr. Smith  
15 to handle.

16           Q.   Okay. And by the way, you're not -- is it  
17 fair to say you're not educated or skilled in this area  
18 of hedonic damages or loss of enjoyment of life feature  
19 of the damages?

20           A.   Not outside discussing them in a medical  
21 report as to someone's pain or life experience as part  
22 of a problem. I don't know how to evaluate or give  
23 value to them like an economist can. I think that's  
24 the most fair thing I can say.

25           Q.   And also, is it fair to say -- take it one

1 step further -- that you really had no basis to agree  
2 because you lack the education, skill, and experience  
3 in evaluating hedonic damages? And even household  
4 services, you had no basis to agree with Dr. Smith's  
5 economic damages, his findings, opinions, and  
6 conclusions in his report, except to say, Generally, I  
7 agree, sounds good.

8 A. I don't have the background skills and  
9 training to necessarily evaluate Dr. Smith from an  
10 economic perspective.

11 Q. Okay. And directing your attention to the  
12 September 19, 2013, supplemental report.

13 A. Okay.

14 Q. Okay. Now, this report identifies several  
15 records. These are basically -- I think you received  
16 billing charges for various medical providers that  
17 had -- are related to the treatment Ms. Garcia received  
18 following this accident; right?

19 A. Both records and bills were reviewed in this  
20 document.

21 Q. Okay. And -- and there's a chart on the  
22 second page of your -- it's actually --

23 A. The 20th page to be accurate.

24 Q. Yeah, page 20 of 21. Okay. And that's the  
25 second-to-last page of the 21-page report. Okay.

1           A.    Yes.

2           Q.    And this page has -- has a chart identifying  
3 or listing the summary of the charges for the various  
4 providers; right?

5           A.    That's right.

6           Q.    Okay. And there's 21 items that are listed,  
7 providers with -- with corresponding bills associated  
8 with their services --

9           A.    Yes.

10          Q.    -- that are identified.

11               And so with regard to your discussion about  
12 all these additional medical records and bills that you  
13 reviewed, you -- your opinion was essentially reduced  
14 to stating that, on page 21, Medical bills listed here  
15 represent care that was both reasonable and necessary  
16 for the treatment of Ms. Garcia's treatment --  
17 Ms. Garcia's injuries related to the present trauma.  
18 Most of the bills are within usual and customary range.

19               Do you see that?

20          A.    I do.

21          Q.    And so you didn't -- again, in this report,  
22 you didn't provide any specific analysis of any  
23 specific bills that you reviewed for any of these  
24 records from this report; correct?

25          A.    Well, I analyzed them for whether or not they

1 were in the usual and customary range.

2 Q. I mean, in print. You didn't identify your  
3 analysis. You gave a conclusion about, oh, they're all  
4 reasonable and customary, but you did not provide any  
5 in-depth analysis as to any specific bills. You didn't  
6 identify in your discussion any specific bills. You  
7 just gave a conclusory statement that all these bills  
8 are reasonable and customary.

9 A. It says most, not all.

10 Q. Okay. Well, you said that they -- just to be  
11 clear, Medical bills listed here represent the care  
12 that was both reasonable and necessary for the  
13 treatment of the injuries related to the present  
14 trauma. And then the second sentence is, Most of the  
15 bills are within the usual and customary range, the  
16 exception being with the -- with the Pacific  
17 Hospital --

18 A. The hardware charges, yes.

19 Q. And -- and so just directing your attention  
20 now to your October 28th, 2014 report. And that  
21 consists of 37 pages.

22 A. Okay.

23 Q. Do you have that, Doctor?

24 A. I do now, thanks.

25 Q. And by the way -- and -- and also back --

1 backing up a second. We talked about two reports, the  
2 September 19th report and the September 23rd report;  
3 right? And now we're talking about a third report.

4 The -- the summary -- your reports provide a  
5 summary of the information that's contained from the  
6 actual medical records; right?

7 A. Medical records and other documents.

8 Q. Okay. And is it fair to say that you do not  
9 yourself summarize those medical records that -- what  
10 you were asked to review? They're summarized by  
11 someone in your office at your direction, but you  
12 personally don't summarize those.

13 A. No, this is my summarization. I dictate the  
14 summary into my dictation system, and then I'm given a  
15 report to edit.

16 Q. Okay.

17 A. This is my work.

18 Q. And so directing your attention to the  
19 October 28th, 2014, supplemental report, it's your  
20 testimony that you've summarized all of the medical  
21 records that are identified in this 37-page report?

22 A. Yes.

23 Q. Okay. The reason why I ask is because some  
24 doctors have -- the staff -- staff personnel to  
25 summarize it, and then they'll review it for accuracy

1 and correctness. So that's why I was asking you that.

2 A. I see.

3 Q. So this report is a -- is -- which provides a  
4 summary of all the medical records is based on your own  
5 summary based -- through dictation.

6 A. Yes.

7 Q. Okay. So -- and then directing your  
8 attention to page 36 of 37.

9 A. Okay.

10 Q. And under Discussion, the very first  
11 sentence, it says that you have -- I have reviewed the  
12 above listed documents, including updated medical  
13 records which are consistent with my own history from  
14 Ms. Garcia from my ongoing treatment of her injuries;  
15 right?

16 A. Yes.

17 Q. And so -- so then I want to direct your  
18 attention to -- so you gave -- first, I'll ask you:  
19 You gave a blanket statement in -- on that page 36 of  
20 your report that -- that your review of all of these  
21 medical records was consistent with your own history of  
22 Ms. Garcia from your ongoing treatment of her injuries,  
23 which means that you're in agreement with all of the  
24 treatment that was rendered by the other medical  
25 providers was consistent.



1           Doctor, okay, I don't know if you're looking  
2 for something.

3           A.    I know you don't.

4           Q.    Okay. So if you would, before you flip  
5 through it, just want you to -- to focus on the  
6 question.

7           A.    I'm highly focused on your question. I think  
8 we both know that.

9           Q.    Okay. So are you looking for something in  
10 particular?

11          A.    I'm anticipating your question by skimming my  
12 record, but just my methods. Don't you worry.

13          Q.    Okay. All right. Good.

14                So -- so is it fair to say based on the  
15 statement, the reference you had on page 36 of your  
16 report, that you are in agreement with -- and it's your  
17 opinion that the treatment from the treatment records  
18 that you reviewed for this report is you're in  
19 agreement that it was reasonableness and related to  
20 this accident, the subject accident?

21          A.    Yes.

22          Q.    Okay.

23          A.    I think that's largely what I'm saying.

24          Q.    And -- and I -- and I thought -- yeah, I mean  
25 in all fairness, I thought that's what I was

1 summarizing as well, so ...

2 All right. Doctor, directing your attention  
3 to page 27 now, paragraph 14. We have a record that  
4 you summarized from Dr. Walter Kidwell's office, which  
5 states about in the bottom third of that paragraph, The  
6 diagnoses were "chronic pain syndrome, lumbar disk  
7 protrusion, failed low back" management -- I'm sorry,  
8 "failed low back surgery syndrome" -- I skipped a  
9 line -- "low back lumbosacral spine pain, medication  
10 management."

11 Do you see that?

12 A. I do.

13 Q. Okay. And so you -- you were essentially in  
14 agreement with Dr. Kidwell's appraisal that -- and  
15 opinion that the surgery that you performed was --  
16 resulted in a failed low back surgery syndrome for the  
17 patient.

18 A. No. I'm not in agreement with that phrase.  
19 I think his evaluation was reasonable -- and it  
20 actually wasn't him. It was his PA. But it wasn't  
21 unreasonable at the time. But we now know after some  
22 additional diagnostic injections and treatment that it  
23 wasn't a failed back syndrome. It was actually some  
24 L3-4 facet problems, some sacroiliac joint pain, and  
25 some hardware pain.

1           Q.    Doctor, earlier today you testified that when  
2 you saw Ms. Garcia after the accident, you said --  
3 well, let's -- I'll show you the record. I'll put it  
4 on the screen.

5                   This is a record from January 7th of 2013.  
6 And you -- you state, Amazingly, her low back -- as you  
7 testified earlier -- her lower back pain is improved  
8 compared to prior to the surgery; right?

9           A.    Right.

10          Q.    And then if we look at -- and she's telling  
11 you that about two weeks after the accident --

12          A.    Yes.

13          Q.    -- approximately?

14          A.    Not even.

15          Q.    Somewhere around there; right? Thirteen --  
16 12, 13 days.

17                   So -- now, back in December, we know prior to  
18 the surgery, December 11th, 2012, she's taking about --  
19 Lortabs about four per day, 10 milligrams; right?

20          A.    Right.

21          Q.    But two weeks after the surgery, she ups that  
22 to six Lortabs per day, which are taken -- if you  
23 account for about eight hours of sleep, six Lortabs,  
24 10 milligrams, taken within a 16-hour period, that's an  
25 increase of what she was taking prior to the surgery;

1 right? Is that a "yes" or a "no"?

2 A. I can't answer with a yes or no because it  
3 completely bastardizes the truth for me to do so.

4 Q. Okay.

5 MR. MAZZEO: Judge, I move to strike the  
6 nonresponsive answer given by Dr. Gross.

7 THE COURT: No. Sorry.

8 BY MR. MAZZEO:

9 Q. Doctor, does the January 7th, 2013, report  
10 indicate that her medications include Lortabs,  
11 10 milligrams, about six per day?

12 A. That part is true.

13 Q. Okay. Does the December 11, 2012, report  
14 indicate she takes Lortabs, 10 milligrams, about four  
15 per day?

16 A. That part also is true.

17 Q. Thank you. And would the -- if she's -- the  
18 reference to the six Lortabs, about six per day,  
19 referring to Lortabs on January 7th, 2013, would you  
20 agree that that can account for some of her diminished  
21 pain that she's feeling after your surgery 13 days  
22 earlier?

23 A. Although improbable, possibly would be the  
24 answer.

25 Q. Okay. Now, on 2/8/2013 -- I believe you were

1 asked about this date by Mr. Roberts. And on 2/8 of  
2 2013, you had indicated that based on Ms. Garcia's  
3 report of pain, she had intermittent right leg pain  
4 with some numbness in anterior thigh and posterior  
5 thigh.

6 And if you need a moment, you can you can  
7 flip to that date.

8 A. Thank you.

9 Q. And that would be Plaintiff's 24, 50.

10 A. Yes. I did say she had that.

11 Q. And is it correct to say that prior to the  
12 surgery, she never complained of any anterior thigh  
13 numbness?

14 A. I believe that's true. That was a new  
15 finding after the surgery.

16 Q. And is it correct to say that -- that the  
17 fusion caused perineural fibrosis scarring around the  
18 anterior divisional fibers?

19 A. I doubt it.

20 Q. Why do you doubt it?

21 A. Because, first, February 8th is only six  
22 weeks after surgery, and it would be way too early for  
23 any scarring. And because we use a special table with  
24 thigh pads, and I have seen multiple times in the past  
25 people with thigh numbness for a while from pressure on

1 their thighs for many hours of surgery, that's the more  
2 likely cause of the thigh symptoms.

3 Q. Doctor, you said earlier in direct  
4 examination that you hope for a -- from performing this  
5 type of surgery, you would hope for -- I believe you  
6 said 80 percent -- was it 75 to 80 percent improvement?

7 A. Usually, 70 to 80 percent is what I quote  
8 because there's studies that give me those numbers.

9 Q. And --

10 A. And that should be after someone's fully  
11 healed not six weeks later.

12 Q. Okay.

13 A. Thank you.

14 Q. Okay. And so what is the customary or  
15 typical and usual recovery time for a patient after a  
16 surgery like this?

17 A. I would say between three and six months we  
18 start to get a picture where they're going to end up.

19 Q. Okay. And -- and -- and ideally, the reason  
20 for doing the surgery, which is what you testified to  
21 on direct examination, is -- is to -- for -- for the --  
22 for the patient to wean off of medications. You -- you  
23 want to have them improve. Obviously, 100 percent is  
24 not -- is -- is not -- is not realistic, but 70 to  
25 80 percent is realistic. And with that sort of

1 improvement, you would expect that patients can be  
2 weaned off of pain medications; correct?

3 A. Well, medication reduction is not the only  
4 reason for the surgery. But it is -- it is one nice  
5 one. I would -- do hope they can wean off medications.

6 Q. Okay. And now, it's been -- since the  
7 surgery that you performed in 2012, it's been more than  
8 three years since that surgery; correct?

9 A. Yes.

10 Q. And -- and it -- and at each -- in looking at  
11 your consult -- follow-up consultations with Ms. Garcia  
12 from -- from that first post-op consult on 1/7 of 2013  
13 where you recommend that she continue pain medication  
14 management with Dr. Kidwell, and then you do that  
15 consistently at each and every consult that you have  
16 with her through 2013 and then up and through 2014.  
17 And then if we look -- if we go to 2015, January --  
18 January 22nd of 2015, same recommendation. You keep  
19 using the phrase "She's done nicely, but continue  
20 medication management per Dr. Kidwell." You do that  
21 with -- in June -- in June of 2015 and then in  
22 November, November 11th of 2015 as well; right?

23 A. That's part of what I do at those visits.

24 Q. Sure. And not only that -- and now, in  
25 addition to the medication management because of her

1 continuing pain complaints, now you're -- you're making  
2 recommendations for injections, for Dr. Kidwell  
3 to -- to follow up and perform injections and, as you  
4 testified earlier, radiofrequency ablation, both at the  
5 sacroiliac joint and at the facet joints in her lower  
6 back; right?

7 A. Yes.

8 Q. By the way, would you agree that a person who  
9 has an asymptomatic spondylolytic spondylolisthesis  
10 probably should not have chiropractic -- chiropractic  
11 adjustments in the lower spine?

12 A. I would expect forceful adjustments to the  
13 lower back to not be helpful --

14 Q. And let me phrase it a different way.

15 A. -- in that setting.

16 Q. Okay. Let me phrase it a different way.

17 With -- where a chiropractor performs  
18 chiropractic adjustments on the spine, specifically mid  
19 and -- and thoracic and lumbar portion of the spine  
20 shortly after the accident, where a patient has a  
21 previously asymptomatic spondylolytic  
22 spondylolisthesis, as in Ms. Garcia's case, is it not  
23 just possible but probable that those chiropractic  
24 adjustments could actually cause an unstable or  
25 disrupt, cause further slippage of the spondylolytic



1 spondylolisthesis?

2       A.    Your question is compound to thoracic and  
3 lumbar spine. So let me separate them. There's no  
4 problem with adjusting her thoracic spine here, her mid  
5 back, because there were no spondylolisthesis there.

6           In regards to the lumbar spine, particularly  
7 L5 where there is a spondylolytic spondylolisthesis, I  
8 could say that forceful adjustments could possibly  
9 worsen a spondylolisthesis. I can't say to a degree of  
10 probability because I don't think I've ever seen that  
11 happen. And a lot of people see chiropractors, even  
12 those who have asymptomatic spondylolisthesis. And I  
13 have never seen anyone come into my office for care  
14 having been made worse by a chiropractor's adjustment  
15 who had an unbeknownst or unknown asymptomatic  
16 spondylolisthesis before.

17       Q.    Given Ms. Garcia's constellation of symptoms  
18 she presented, then, following this accident and then  
19 her treatment -- and her treatment 12 -- or 10 days  
20 after this accident with Dr. Gulitz who performed  
21 spinal manipulation and adjustments on Ms. Garcia, is  
22 it -- and this is a hypothetical question, Doctor -- is  
23 it likely that if Ms. Garcia had -- after this  
24 accident, still had an asymptomatic spondylolytic  
25 spondylolisthesis until she received chiropractic

1 adjustments from Dr. Gulitz, is it possible that that  
2 could have caused for the progressive worsening of this  
3 spondylolisthesis that's seen in the difference between  
4 the January 26th, 2011, film and the November 19th,  
5 2012, film?

6 A. Well, you've heard it before from me, but  
7 anything's possible, although it's improbable given the  
8 evidence in this matter.

9 Q. Okay. So now, as of -- as of May 22nd,  
10 Doctor, May 22nd, 2013, you indicate that Ms. Garcia  
11 was able to return to work as a cage cashier, was able  
12 to stand all day; correct?

13 A. I did.

14 Q. Okay. And then on 7/24 of '13, she complains  
15 of residual right anterior thigh numbness, deep  
16 internal itching; is that correct?

17 A. Yes.

18 Q. And that was never present before the  
19 surgery; correct?

20 A. That's right.

21 Q. Okay. And -- and then with regard to the --  
22 the -- then we talked about the September 19th, 2013,  
23 neurosurgical supplemental report; correct?

24 A. Yes.

25 Q. And that was addressed -- as was all of your

1 supplemental reports, that's addressed, To Whom It May  
2 Concern --

3 A. Yes.

4 Q. -- right?

5 And that's actually referring to -- when you  
6 put To Whom It May Concern, you're actually sending  
7 this report to plaintiff's counsel; correct?

8 A. I'm sending it to plaintiff's counsel.

9 Q. Right.

10 A. But "to whom it may concern" might concern  
11 all of us here and you and anyone who reads it in this  
12 setting.

13 Q. But you were asked to provide a supplemental  
14 report at the request of plaintiff's counsel not by  
15 myself; correct?

16 A. Correct.

17 Q. And then on 5/24, May 24th -- I'm sorry,  
18 May 21st of 2014, Ms. Garcia comes in to you and  
19 complains of uncontrolled low back pain with radicular  
20 pain in the right lower extremity.

21 Do you see that?

22 A. The date again? I'm sorry, Counsel.

23 Q. That's fine. That's May 21st of 2014. Do I  
24 have -- maybe it's -- do I have the right date?

25 A. I don't have in my notebook a visit from that

1 date. So either you have something that my staff  
2 failed to print or maybe you have your date wrong.

3 Q. That's possible. Let me just check my record  
4 here. Maybe it's the April 1st of 2014 report.

5 A. Okay. I have that.

6 Q. But there was a report where there was a  
7 reference to uncontrolled low back pain with radicular  
8 pain in the right lower extremity. And the April 1st,  
9 2014, report refers to a flare-up of lower back pain.  
10 Anyway, in any event, I can't find that particular  
11 record right now.

12 And then January 22nd, Doctor, you note in  
13 your report that at that time that Ms. Garcia was not  
14 currently working, but that was due to employment  
15 issues.

16 That wasn't related to her physical  
17 condition; correct?

18 A. Yes.

19 Q. And then in your June 17th, 2015, report, you  
20 indicate that -- this is -- it's Plaintiff's 24,  
21 page 572. You indicate that she's not currently  
22 working and now -- and -- oops, and now cares for her  
23 ill mother; right?

24 A. I did.

25 Q. And by the way, would you agree that

1 Ms. Garcia -- Ms. Garcia caring for her ill mother  
2 suggests and implies a certain ability to perform  
3 household duties?

4 A. Certain ones, yes.

5 Q. And by the way, you didn't put it into your  
6 report and I would assume that you didn't have a  
7 discussion about what household duties Ms. Garcia would  
8 have to engage into care for her ill mother; is that  
9 correct?

10 A. I don't remember a material conversation with  
11 her about it. I just know I asked her tell me about  
12 herself and what's going on in her life. And I gave  
13 her the opportunity to talk to me about anything that  
14 sets off her back. So whatever care she's delivering  
15 apparently is within the realm of what she can do given  
16 her back situation.

17 Q. Following the motor vehicle accident, you  
18 know that she had continued working a regular schedule  
19 at her job at Aliante; is that correct?

20 A. Yes.

21 Q. And -- and that she continued working in this  
22 position for -- up until April of 2014.

23 A. Well, except after the surgery when we -- we  
24 took, I think, five or six months off.

25 Q. Sure, with the exception of that time after

1 the surgery which I believe was -- I think it was four  
2 months, but if you -- we can -- I thought it was less,  
3 but ...

4 A. I just remember she came back to see me in  
5 May of 2013 having gone back to work. So I don't know  
6 the exact date. You could be right. I'm not disputing  
7 it. I'm sure the evidence speaks for itself, but a  
8 number of months that we asked her to take off after  
9 surgery. And she had to.

10 Q. Sure. And notwithstanding the time that she  
11 took off, whether it was four months, five months,  
12 whatever, after the surgery, not withstanding that  
13 time, it's correct and it's your understanding that  
14 Ms. Garcia continued working in a full-time capacity at  
15 Aliante in her position as an assistant cage cashier  
16 from actually the day after the accident of  
17 January 3rd, 2011, up until April of 2014.

18 A. That's about right, yes.

19 Q. Okay. And did you know, though, that -- that  
20 Aliante provided what's called reasonable  
21 accommodations for employees with injuries and physical  
22 conditions and whatnot?

23 A. I don't know enough to testify about it. I  
24 remember discussing it loosely with her at some point,  
25 about her -- me wanting her to have certain things,

1 but -- and although maybe the employer said on paper  
2 they would do it, that she wasn't really getting those  
3 accommodations. But maybe I'll let her speak to that.

4 Q. And -- and then she -- and you know, as you  
5 testified, that Ms. Garcia returned to work after her  
6 recovery period following the surgery sometime, I  
7 guess, in May of 2013?

8 A. Right. And that's when I saw her and was  
9 aware she had returned to work.

10 Q. And is it correct that when she returned to  
11 her employment at Aliante, that she returned in full  
12 capacity with no restrictions?

13 A. I don't recall specifically, but that could  
14 be the case.

15 Q. Okay. And -- and do you have any reason to  
16 dispute that she was able to perform all of her duties  
17 at her job both following this accident and after she  
18 returned to work after the recovery period following  
19 the surgery?

20 A. No, but I don't -- I don't know besides  
21 standing in the cashier area what else that required,  
22 so maybe I'll let her speak to that.

23 Q. And by the way, directing your attention --  
24 we'll go back to your -- just give me one second here.

25 So directing your attention to -- from my --

1 oh, here we go.

2 Direct your attention to your May 25th, 2011,  
3 report, I know the first page indicates May 31st, but  
4 it's actually May 25th.

5 A. The visit date was May 25th. It took me a  
6 few days to review all the records and put the report  
7 together. So the actual issue date was May 31st.

8 Q. Oh, for the report. Thank you for that  
9 clarification.

10 So directing your attention to the first  
11 page, for the record, Plaintiff's 24, page 14. So  
12 this -- this report indicates that -- this report -- if  
13 you can see at the bottom, she was dizzy, dazed,  
14 confused, nauseated. She was in shock.

15 And that's what Ms. Garcia reported to you  
16 when she came to you for the consultation; right?

17 A. Yes. In regards to the date of the injury.

18 Q. Yes. In regards to the date of injury, she  
19 was reporting that -- how she felt following the  
20 accident was dizzy, dazed, confused, nauseated, and in  
21 shock; right?

22 A. Right.

23 Q. Okay. And would you agree that she never  
24 reported to any of the other providers that she was  
25 dizzy, dazed, confused, nauseated, and in shock,



1 referring to MountainView Hospital, Dr. Gulitz,  
2 Mr. McGauran, the PA at Primary Care Consultants?

3 A. Dr. Gulitz on 1/12/11 documented confusion,  
4 nausea, ringing in the ears since the motor vehicle  
5 accident.

6 Q. Okay. So -- but -- but that wasn't indicated  
7 when she went to the emergency room three days after  
8 the accident, was it?

9 A. I don't think I saw it in the emergency room  
10 record.

11 Q. As a matter of fact, as we talked about, the  
12 emergency room record indicates that she felt fine  
13 after the accident, pain free, and basically she didn't  
14 have any symptoms because she said symptoms started  
15 today; right?

16 A. Can you show me that whole record before I  
17 answer that question for accuracy? Zoom out.

18 Q. Yeah. I'll have to zoom out.

19 A. Okay.

20 Q. There you go.

21 A. So in terms of patient symptoms started  
22 today, I believe that applies to chief complaint of  
23 injuries to the head, neck, and lower back, and  
24 sacral -- oh, I got the arrow to go.

25 Q. Oh, it works?

1           A.    Well, a little bit.

2           Q.    Okay.  Nowhere on this document she indicates  
3 that she was dizzy, dazed, confused, nauseated, or in  
4 shock; right?

5           A.    I don't see any complaints of that on that  
6 page.

7           Q.    Okay.  Okay.

8           MR. MAZZEO:  Judge I need just a moment to  
9 look -- look at the records.  Thank you.

10           Your Honor, at this time, I'll pass the  
11 witness.

12           THE COURT:  Mr. Strassburg?

13           MR. STRASSBURG:  Yes.

14           THE COURT:  You want to start?

15           MR. STRASSBURG:  I'm happy to let them go  
16 right now, Judge, and do it all at once when they're  
17 fresh, when I'm fresh, when the witness is fresh.

18           THE WITNESS:  Don't worry about me.

19           MR. STRASSBURG:  Huh?

20           THE WITNESS:  I'm ready to go anytime.

21           MR. STRASSBURG:  Oh, of course you are.

22           THE COURT:  We started early today, folks.

23 Let's go ahead and take an early out.  I have a really  
24 small calendar tomorrow.  Can everybody start early,  
25 like, 9:30, 9:45?  Let's plan on 9:45.

1           MR. ROBERTS: First, we -- I can be here. I  
2 just don't know if my witness. I'll have to contact  
3 him and see if he can come early.

4           THE COURT: What time was he planning?

5           MR. ROBERTS: He was planning to come at  
6 10:00.

7           THE COURT: Let's just start at 10:00 to make  
8 sure that we have somebody here. Doesn't make sense to  
9 have you guys here and not have a witness.

10           So we'll go ahead and start at 10:00 in the  
11 morning.

12           During our break this evening, you're  
13 instructed not to talk with each other or with anyone  
14 else about any subject or issue connected with this  
15 trial. You are not to read, watch, or listen to any  
16 report of or commentary on the trial by any person  
17 connected with this case or by any medium of  
18 information, including, without limitation, newspapers,  
19 television, the Internet, or radio. You are not to  
20 conduct any research on your own, which means you  
21 cannot talk with others, Tweet others, text others,  
22 Google issues, or conduct any other kind of book or  
23 computer research with regard to any issue, party,  
24 witness, or attorney involved in this case. You're not  
25 to form or express any opinion on any subject connected

1 with this trial until the case is finally submitted to  
2 you.

3 We'll see you tomorrow at 10:00.

4 (The following proceedings were held  
5 outside the presence of the jury.)

6 THE COURT: We're outside the presence of the  
7 jury.

8 Did you guys want to make a record on  
9 something? It seemed like you wanted to make an offer  
10 on something.

11 MR. STRASSBURG: A proffer on cross about  
12 that hospital.

13 MR. MAZZEO: Can we do it outside the  
14 presence of the witness?

15 THE COURT: That's fine.

16 MR. ROBERTS: Now might be a good time to do  
17 it. Well, I thought you wanted to make a proffer with  
18 the witness.

19 MR. STRASSBURG: Gee, not really.

20 THE COURT: Okay. That's fine. We can  
21 excuse him. Thank you, Doctor. Just work with these  
22 guys about when you come back.

23 THE WITNESS: Very good. Thank you, Your  
24 Honor.

25 THE COURT: It kind of seems like we're

1 starting a lot of witnesses and not finishing with a  
2 lot of people, so we'll get you back on.

3 THE WITNESS: We'll make it work. Thank you.

4 THE COURT: Thanks for working with us.

5 All right. Now we're outside the presence of  
6 the jury and the witness. Go ahead, Mr. Strassburg.

7 MR. STRASSBURG: Thank you, Judge.

8 THE COURT: Just for the record, there was a  
9 bench conference about whether or not I was going to  
10 allow questions to this witness about -- apparently,  
11 this hospital in California had some issue with fraud,  
12 and so was there an indictment. I don't know how you  
13 indict a hospital, but there was obviously some  
14 individuals involved that were in -- in some trouble.  
15 And I said that I wasn't going to allow it based on the  
16 fact that I think it's a collateral issue, open up a  
17 can of worms, that I don't think there was any -- and  
18 correct me if I'm wrong, but I don't think there's any  
19 evidence in this case that they were using some kind of  
20 fake instruments on the plaintiff, and I think that's  
21 what the allegation was at the bench --

22 (Interruption in proceedings.)

23 THE COURT: We're back on the record. We're  
24 outside the presence: The jury and the witness.

25 You can make whatever offer or proffer you

1 would like as it relates to that last issue.

2 MR. STRASSBURG: Thank you, Judge.

3 On direct examination, the witness indicated  
4 that the billings from Pacific Hospital were reasonable  
5 and customary with the exception of the billings for  
6 the medical device implant, screws, and rods. The  
7 subject matter of the Pacific Hospital billings is the  
8 subject of a ruling in limine dated June 1, 2015,  
9 deciding Plaintiff's Motion in Limine 29 which granted  
10 that motion excluding allegations of improper billing  
11 practices against Pacific Hospital Long Beach.

12 The witness, by his testimony, has opened the  
13 door to permit us, despite this in limine ruling, to  
14 inquire as to the basis of his knowledge about the  
15 inflated prices charged for the medical implants and  
16 how long he knew about those inflated prices and  
17 whether or not he knew about the inflated prices at the  
18 time that he decided to take Ms. Garcia to surgery at  
19 Pacific Hospital in preference to Hoag or someplace  
20 else that might have accepted a lien.

21 The -- also, we would be entitled on that  
22 basis to inquire as to the basis of the witness's  
23 knowledge about Pacific Hospital that he relied upon in  
24 deciding to take her there.

25 There is testimony here that one of the

1 pedicle screws utilized in the surgery as an -- an  
2 implanted device broke during the -- the surgical  
3 procedure. On document Bates numbered -- oops. Gee, I  
4 don't have a Bates number, but it is a -- it's the  
5 surgical procedure form of Dr. Jeffrey Gross dated for  
6 the surgery 12/26/12. And it indicates the doctor's  
7 selection of the various devices to be utilized on  
8 Ms. Garcia's surgery. And he has selected the use of  
9 lumbar pedicle screws and PEEK interbody devices  
10 International implants, which was the company  
11 implicated in the fraud that was reported in the  
12 California newspapers in April 2014.

13           We would, I think, have the right to inquire  
14 as to whether he knew about the media report in April  
15 of 2014 and whether they reported information about  
16 what was really going on at Pacific Hospital, that he  
17 knew or suspected prior to that date. There is an  
18 article in the Long Beach newspaper indicating that the  
19 CEO, a Michael Drobot of Pacific Hospital, had pleaded  
20 guilty in federal court to charges brought in  
21 connection with this state's largest workers'  
22 compensation fraud scheme cheating taxpayers out of  
23 hundreds of millions of dollars.

24           The papers also reported that millions in  
25 illegal kickbacks were paid to doctors in connection

1 with that scheme which led to more than \$500 million in  
2 bills being fraudulently submitted between 2008 and  
3 2013 to, among other things, the California workers'  
4 compensation program. And the article specifically  
5 indicated that to finance the kickbacks, Drobot  
6 inflated the price of implantable devices used during  
7 spinal surgeries knowing that under California law,  
8 medical hardware was considered a pass-through cost,  
9 blah, blah, blah, blah.

10           The -- this indicates that there was public  
11 information of this fraud scheme involving the  
12 implantable medical devices that Pacific Hospital was  
13 billing for between 2008 and 2013. The surgery in  
14 question performed by the witness was done on  
15 December 26th, 2012, and falls within this period of  
16 time. The --

17           THE COURT: So the article you're talking  
18 about were 2014; right?

19           MR. STRASSBURG: Yeah, that's right. And  
20 that gives me a various astute observation, Judge. The  
21 article provides me the basis to ask the question  
22 whether or not he knew about the information reported  
23 in the articles before those articles reported. And it  
24 also provides the basis to ask whether he received any  
25 of those kickbacks for the implantable devices.



1           The substance of the conspiracy was reflected  
2 in a criminal plea that's been produced in this case in  
3 which the witness -- I'm sorry, Michael Drobot, the  
4 CEO, elocuted to various factual statements in the  
5 criminal information. Among those, he admitted certain  
6 overt acts involving the payment of kickbacks for -- in  
7 connection with spinal surgeries. There's no  
8 indication those spinal surgeries were not of the same  
9 type as this witness performed on Ms. Garcia.

10           Having opened the door to the basis of his  
11 knowledge that these charges were inflated for the  
12 implantable devices that were an instrument of this  
13 criminal fraud scheme, we believe that the preclusive  
14 effect of the motion in limine is -- should be set  
15 aside, and we should be permitted to walk through the  
16 door that's now been opened and inquire as to the basis  
17 of his knowledge for his statements that these charges  
18 were inflated and why or what adjustments, if any, he  
19 decided to make in the charges to Ms. Garcia for them.  
20 Or did he keep it all for himself?

21           Thank you, Judge.

22           THE COURT: I understand the argument. But,  
23 first of all, can't believe everything you read in the  
24 newspaper or articles.

25           Second, even if it's true, if he was found

1 guilty of some criminal fraud and it was a felony, it  
2 would come in under the statute. We have a -- we have  
3 a good example of that in Las Vegas with Dr. Kabins.  
4 If Dr. Kabins testifies in a trial of mine, which he  
5 has in several different cases, I allow certain things  
6 in about the prior criminal felony conviction.

7           In this case, unless you've got some criminal  
8 conviction against Dr. Gross, I don't think it comes  
9 in. I mean, whether or not -- I mean, if he's getting  
10 a kickback or not -- if he's getting a kickback, I bet  
11 the Feds are looking at him anyway. But that's just --  
12 it's way collateral to come in in this case. I mean,  
13 we're going to end up trying the whole case about  
14 this -- this hospital and this company in California  
15 that has -- has fraud allegations. And whether or not  
16 this witness has any knowledge about that or not, I  
17 don't think is relevant to the fact of whether or not  
18 the treatment is reasonable and necessary and whether  
19 the billing charges are usual and customary.

20           MR. STRASSBURG: But it goes to credibility  
21 of the witness, Judge. What he knew and when he knew  
22 it, that goes to credibility.

23           THE COURT: It may, but it's -- it's too  
24 collateral. It's too collateral. It's -- if -- I  
25 think all it does is confuse the jury, if that issue

1 comes in because that -- that issue is not before them.  
2 The issue of whether or not the treatment is usual and  
3 customary or the bills are usual and customary and the  
4 treatment's reasonable, that's the issue before them at  
5 this point. That's the issue.

6 And -- and if there's issues with the  
7 credibility of the doctor because he says that the  
8 treatment's reasonable or usual and customary, you  
9 can -- you can explore that. But you just can't  
10 explore it by using somebody else's criminal fraud  
11 conviction. You just -- you have to have another way  
12 to -- to -- to come at his credibility.

13 MR. STRASSBURG: Thank you, Judge, for  
14 considering our proffer.

15 THE COURT: Sorry. What else? Anything  
16 else?

17 MR. ROBERTS: Yes, Your Honor, couple of  
18 things. One, we objected during Mr. Mazzeo's  
19 cross-examination while he was asking the doctor to  
20 extrapolate his fees per surgery times the number of  
21 surgeries he did to come up with a gross number. I  
22 objected irrelevant. I just wanted to say for the  
23 record that my big problem with that is that he was  
24 asking the doctor for a gross number. This is his  
25 gross income from performing a surgery.

1           MR. STRASSBURG: No pun intended.

2           MR. ROBERTS: So in order to -- yes, of  
3 course. And his name is Dr. Gross. Thank you.

4           But the -- so it was a gross income in two  
5 different ways. But in the fundamental way I'm  
6 discussing it, it doesn't net out his costs.

7           So the only way for the jury to understand  
8 how much money he makes, which is what they want to do,  
9 you make all this money from doing surgeries, is to  
10 subtract out his costs and also to discount the -- any  
11 collateral discounts that he get -- his -- he's a  
12 collateral source on some of his own cases. He doesn't  
13 receive that full billing. He discounts it.

14          So now I'm in the position where I can't  
15 show, well, you don't really make 1.4 million a year  
16 from these surgeries. You only make 700,000 a year  
17 because you got to take out your cost, you got to take  
18 out the discounts that you give. But I don't want to  
19 talk about discounts and collateral sources. So now  
20 I'm kind of in a bind where to avoid the things that  
21 have been excluded and I don't want to talk about, I've  
22 got to leave the jury with the erroneous impression  
23 that 1.4 million is his income from these surgeries  
24 that he gets to put in his pocket. And -- and I just  
25 don't think that sort of thing should be allowed.

1           MR. MAZZEO: Judge, I don't think -- there's  
2 no evidence -- for the one thing, the jury knows  
3 there's costs associated with his -- with the procedure  
4 that he performs and the services he provides  
5 obviously. Anyone that's in business has overhead  
6 costs. So there's certainly no need to break it down  
7 for the jury to say, Well, ladies and gentlemen, of the  
8 70,000 per surgery, he's only making \$5,355. That's --  
9 that would be unrealistic. And unless I can see his --  
10 if he wants to disclose his billing records, then I  
11 would ask the Court to preclude any such contrived  
12 reporting from the doctor from -- from the witness  
13 stand because I would want to verify that. I wouldn't  
14 want him just to underestimate what he's actually  
15 bringing home and putting in his pocket.

16           So we haven't been provided any billing  
17 records or ledgers from Dr. Gross's own business. So I  
18 would strongly object to them trying to -- you know, we  
19 allow for -- or to elicit from him a breakdown of what  
20 he actually brings home. It's not necessary, and --  
21 and it's presumed anyway, that -- that's his total  
22 charges. It's not what he's going to actually take  
23 home. It's not his net, supposedly.

24           MR. TINDALL: What I would like to add to  
25 that, Your Honor, is with Mr. Roberts' admission now

1 that Dr. Gross does give discounts, I renew my  
2 objection from, I believe, yesterday, when we should be  
3 allowed to ask Dr. Gross about that. And even further,  
4 that testimony today when he testified that he was owed  
5 that money regardless of whether she recovered or not.  
6 But he didn't say how much he was owed of that. He  
7 left the door open for an implication that there are  
8 discounts, and now we know from Mr. Roberts there are.

9           So we request again, we renew our request,  
10 that we be allowed to ask Dr. Gross about issues  
11 regarding his liens where they are discounted in  
12 conjunction with Mr. Roberts' comments the other day  
13 during opening.

14           MR. ROBERTS: And, Your Honor, I want to  
15 correct the record right now. I never said he ever  
16 gave a lien discount. I have no personal knowledge as  
17 to whether he's ever discounted a lien in his life.  
18 But I do know, if he's performing 20, 25 surgeries and  
19 any of those are for insurance, I've never met an  
20 insurance company that paid full value. You always  
21 have to give the insurance company a discount. And --  
22 and that's what I'm talking about. Because they're not  
23 talking about this case or even lien cases. They were  
24 asking him how many surgeries he did per year, every  
25 one of them, and asking him to multiply that out by the

1 amount he charged in this case.

2 MR. MAZZEO: Your Honor, also, I never met a  
3 doctor who charged for -- a lien amount that's  
4 equivalent to the contract rate that he would otherwise  
5 get for an insurance -- health insurance patient or a  
6 private pay patient. So if they want to open the door,  
7 let's have at it.

8 MR. ROBERTS: Well, we all know how that  
9 works, Your Honor. You bill your charge that you  
10 always bill, and then you show the discount, and then  
11 that's the amount that you, the insurance companies,  
12 pay. The contract rate is not going to be the amount  
13 shown on the invoice to the insurance company. It's  
14 going to be the full amount, less the discount, equals  
15 the amount paid. The discount is the collateral  
16 source. Over and over the supreme court has held that  
17 the discount is the collateral source.

18 And all I'm asking is they not be allowed to  
19 do this again, that Roger can't get up and do it again.  
20 It shouldn't have come in. It's in. I don't want to  
21 draw any more attention to it than I have to. But I  
22 just -- I would like an assurance that it's not going  
23 to happen again.

24 MR. MAZZEO: And, Judge, I'm sorry.

25 THE COURT: That's enough, guys. I'm not

1 going to let you keep going back and forth all night.  
2 Sorry.

3           If you want to address it in redirect and  
4 talk about what the net is as opposed to the gross, I  
5 don't care if you do that. If you open the door for  
6 additional questions about reductions, you may open the  
7 door. Even if you do, I don't know that it's open as  
8 it relates to this patient because the question that  
9 was asked didn't relate to this patient. It talked  
10 about gross billings. So there you go.

11           MR. ROBERTS: That's why I objected to  
12 relevancy, I believe, at the time.

13           THE COURT: I got it.

14           MR. ROBERTS: So, Your Honor, a couple other  
15 issues. One is the mention of Kabins, and I will say,  
16 that --

17           THE COURT: Kabins in this case?

18           MR. ROBERTS: He is not.

19           THE COURT: Okay.

20           MR. ROBERTS: And -- and this is hearsay.

21           MR. MAZZEO: I didn't mention him.

22           MR. ROBERTS: But someone else told me  
23 that -- that -- that Mr. Mazzeo in a prior  
24 cross-examination of Dr. Oliveri asked him if he ever  
25 got referrals from other physicians. Yes. Did you



1 ever -- did you ever get referrals from spine surgeons?  
2 Yes. You ever get referrals from Dr. Kabins? And in  
3 that case, Dr. Kabins had provided no treatment, was  
4 not an issue and, of course, it was only mentioned to  
5 try to tie Dr. Kabins and his indictment to Dr. Oliveri  
6 with no basis.

7           So I just would like an assurance that he's  
8 not going to pull anything like that. Whether he's  
9 done it in the past or not, I would like an assurance  
10 it's not going to happen here.

11           THE COURT: It's probably not appropriate.

12           MR. MAZZEO: It's not appropriate, Judge. I  
13 would not do that.

14           MR. ROBERTS: And the last thing is I would  
15 like an offer of proof from Mr. Mazzeo. There's a  
16 motion in limine which precluded counsel from raising  
17 hypothetical questions that didn't have a reasonable  
18 basis in the evidence. And I didn't object at the  
19 time, because I didn't know the file well enough,  
20 and -- and I wanted to verify it. But Mr. Mazzeo asked  
21 Dr. Oliveri if a lumbar adjustment --

22           THE COURT: Dr. Gross.

23           MR. ROBERTS: Dr. Gross.

24           -- if a lumbar adjustment by a chiropractor  
25 could cause a destabilization or movement of a

1 spondylolisthesis. And in reviewing the chiropractor's  
2 records in this case, there's absolutely no evidence in  
3 the records that a lumbar adjustment was ever done by  
4 the chiropractor. So I -- I think that violates the  
5 motion in limine. And unless he can make an offer of  
6 proof as to a reasonable basis for believing such an  
7 adjustment was done, I'd ask that that be stricken from  
8 the record tomorrow.

9 MR. MAZZEO: Judge, I have to look at the  
10 records of Dr. Gulitz. So I can't respond to that  
11 right now.

12 THE COURT: Okay. Talk about it in the  
13 morning.

14 MR. ROBERTS: That's all I have.

15 MR. MAZZEO: Thank you, Judge.

16 THE COURT: Okay. Off the record. See you  
17 in the morning, guys.

18 (Thereupon, the proceedings

19 concluded at 4:47 p.m.)  
20  
21  
22  
23  
24  
25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATE OF REPORTER


STATE OF NEVADA )  
COUNTY OF CLARK ) ss:


I, Kristy L. Clark, a duly commissioned  
Notary Public, Clark County, State of Nevada, do hereby  
certify: That I reported the proceedings commencing on  
Wednesday, February 17, 2016, at 9:12 o'clock a.m.

That I thereafter transcribed my said  
shorthand notes into typewriting and that the  
typewritten transcript is a complete, true, and  
accurate transcription of my said shorthand notes.

I further certify that I am not a relative or  
employee of counsel of any of the parties, nor a  
relative or employee of the parties involved in said  
action, nor a person financially interested in the  
action.

IN WITNESS WHEREOF, I have set my hand in my  
office in the County of Clark, State of Nevada, this  
17th day of February, 2016.

  
KRISTY L. CLARK, CCR #708



1 CASE NO. A-11-637772-C  
2 DEPT. NO. 30  
3 DOCKET U  
4

5 DISTRICT COURT  
6 CLARK COUNTY, NEVADA

7 \* \* \* \* \*

8  
9 EMILIA GARCIA, individually, )  
10 Plaintiff, )  
11 vs. )  
12 JARED AWERBACH, individually; )  
13 ANDREA AWERBACH, individually; )  
14 DOES I-X, and ROE CORPORATIONS )  
15 I-X, inclusive, )  
Defendants. )  
16

17 REPORTER'S TRANSCRIPT  
18 OF  
19 JURY TRIAL  
20 BEFORE THE HONORABLE JERRY A. WIESE, II  
21 DEPARTMENT XXX  
22 DATED THURSDAY, FEBRUARY 18, 2016  
23  
24 REPORTED BY: KRISTY L. CLARK, RPR, NV CCR #708,  
25 CA CSR #13529

1 APPEARANCES:

2 For the Plaintiff:

3 GLEN J. LERNER & ASSOCIATES  
4 BY: ADAM D. SMITH, ESQ.  
4795 South Durango Drive  
Las Vegas, Nevada 89147  
5 (702) 977-1500  
asmith@glenlerner.com

6 - AND -

7 WEINBERG, WHEELER, HUDGINS, GUNN & DIAL,  
8 BY: D. LEE ROBERTS, JR., ESQ.  
BY: TIMOTHY MOTT, ESQ.  
9 BY: MARISA RODRIGUEZ-SHAPOVAL, ESQ.  
6385 South Rainbow Boulevard  
10 Suite 400  
Las Vegas, Nevada 89118  
11 (702) 938-3838  
lroberts@wwhgd.com

12  
13 For the Defendant Andrea Awerbach:

14 MAZZEO LAW, LLC  
15 BY: PETER MAZZEO, ESQ.  
BY: MARIA ESTANISLAO, ESQ.  
631 South 10th Street  
16 Las Vegas, Nevada 89101  
(702) 382-3636

17  
18 For the Defendant Jared Awerbach:

19 RESNICK & LOUIS  
20 BY: ROGER STRASSBURG, ESQ.  
BY: RANDALL W. TINDALL, ESQ.  
5940 South Rainbow Boulevard  
21 Las Vegas, Nevada 89118  
(702) 997-3800

22  
23  
24 \* \* \* \* \*

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

WITNESS:	PAGE
<u>BRIAN LEMPER, D.O.</u>	
Direct Examination by Mr. Roberts	14
Cross-Examination by Mr. Mazzeo	80
Cross-Examination by Mr. Strassburg	168
Redirect Examination by Mr. Roberts	220
Recross-Examination by Mr. Strassburg	227
 <u>ANDREW CASH, M.D.</u>	
Cross-Examination by Mr. Mazzeo	231
Cross-Examination by Mr. Strassburg	245
Redirect Examination by Mr. Mott	253

1 LAS VEGAS, NEVADA, THURSDAY, FEBRUARY 18, 2016;

2 10:01 A.M.

3

4 P R O C E E D I N G S

5 \* \* \* \* \*

6

7 THE COURT: On the record. We're outside the  
8 presence.

9 What do you got, Mr. Mazzeo?

10 MR. MAZZEO: Okay. A couple of matters. One  
11 is -- so I've looked -- there was a request or an  
12 objection, I guess, by Mr. Roberts yesterday with  
13 regard to my questioning of Dr. Gross about spinal  
14 adjustments that were performed by Dr. Gulitz, and so I  
15 have my offer of proof.

16 And so looking at Dr. Gulitz's initial  
17 report, which is dated 1/12 of 2011, he provides on  
18 the -- this is Plaintiff's 15, page 12, and --  
19 actually, page 11 starts at. He provides a description  
20 of the treatment that he provides at his clinic. The  
21 following is a description of the types of treatment  
22 benefits used in this office: Chiropractic  
23 manipulation. It gives a -- the various services that  
24 he provides. Muscle stimulation, exercises, massage,  
25 and ice.

1           And so from that, then I went to Dr. Gulitz's  
2 or The Neck and Back Clinic billing records, and so  
3 what they provide -- provided to Ms. Garcia on various  
4 dates. First one was on January 19th, is manual  
5 therapy technique, myofascial release, and they -- and  
6 that's done a number of times at the -- by Dr. Gulitz  
7 or by someone at that facility. And I would equate the  
8 manual therapy technique with being equated to a -- a  
9 spinal manipulation or adjustment of some sort. So I  
10 think I had a good-faith basis to ask that of  
11 Dr. Gross, so there's my offer of proof.

12           THE COURT: Okay.

13           MR. SMITH: If I may, Your Honor. I know  
14 Mr. Mazzeo would equate it to that, but that's not what  
15 occurred. And Dr. Gulitz has been deposed in this  
16 case, as has been Ms. Garcia as to what happened at the  
17 chiropractor. And taking a look at the page -- the  
18 page Mr. Mazzeo's looking at in the record is a page  
19 where it says, These are the types of treatment our  
20 facility performs and what we may perform on a patient,  
21 on our first visit before she ever received any  
22 treatment. Then each of the treatment records from  
23 every specific date list exactly what was done on that  
24 particular day.

25           So, for example, on -- on her first date, she



1 received therapeutic exercise, which is what he's  
2 talking about, with -- with the manipulation,  
3 electrical muscle stimulation, heat therapy. And what  
4 Dr. Gulitz and Ms. Garcia testified to is that she came  
5 in on the first day, and the first thing that he did  
6 was take an X-ray. And Dr. Gulitz looked at the X-ray  
7 himself and said, I see a bilateral pars defect. And  
8 right then at that point, he decided he was not going  
9 to do anything on her low back.

10           So what Mr. Mazzeo is doing is mixing up the  
11 terms and saying things that didn't happen and then  
12 misstating the part of the body. So, for example, when  
13 Ms. Garcia was asked about it, and this is page 50 and  
14 51 of her first deposition, when she was asked about it  
15 she said:

16           "I came in and I received heat packs and  
17 electrical stimulation. That's all I received  
18 on my low back. And on my upper back, I  
19 received some massages."

20           And she got some trigger point release which  
21 is essentially a type of massage where they push on  
22 specific muscles. Only above her mid back. He never  
23 once adjusted her low back, or any part of her body for  
24 that matter. But he also never touched her low back,  
25 and there's absolutely no evidence of him ever touching

1 her back, and that's in sworn testimony by two  
2 witnesses that have been known about for years now.

3 And to ask the question of Dr. Gross and put  
4 it in the jury's minds that there was a -- an  
5 adjustment of her low back that never happened is  
6 totally improper and misstates the actual records  
7 because in every chiropractic record, he states what he  
8 did. And it never once says an adjustment of any part  
9 of her body.

10 MR. MAZZEO: And actually, Mr. Smith is  
11 wrong. I don't equate therapeutic exercise with manual  
12 therapy techniques because that's actually a separate  
13 entry and he billed separately for that on the billing  
14 entry, and I can show that to the Court.

15 So looking at this, to me it indicates --  
16 doesn't say massage. It says various forms of therapy.  
17 You have therapeutic exercises. That's one. You have  
18 thermo chirotherapy. You have interferential current  
19 electrical muscle stimulation. And you also have  
20 manual therapy technique/myofascial release, I guess.  
21 And so that's what's indicated on this.

22 That's an offer of proof. And that's -- that  
23 would -- that would be equated to some sort of -- he's  
24 a chiropractor. So that would be equated to some sort  
25 of adjustment which I referenced in my question to

1 Dr. Gross. So --

2 THE COURT: I think there was a good-faith  
3 basis for the question.

4 MR. MAZZEO: Thank you, Judge.

5 THE COURT: Let's move on.

6 MR. MAZZEO: Thank you.

7 Oh, secondly, Judge, now, we have -- the  
8 defendant had filed a trial memorandum regarding  
9 compliance with the FCH case, Fiesta Palms case. And I  
10 know that plaintiff is calling a number of witnesses,  
11 medical -- treating physicians actually. And I just  
12 want to make sure that they are not going to render any  
13 opinion that's beyond the scope of any findings or  
14 opinions they identified or stated in their treatment  
15 record. If they do, that would be improper, unless  
16 they had supplemented with -- pursuant to 16.12 with an  
17 expert report. So I know, Dr. --

18 THE COURT: You guys know the expert reports  
19 and the medical records much better than I do, so if  
20 there's a need for an objection when something comes  
21 up, object.

22 MR. MAZZEO: I'll bring it up to the Court's  
23 attention.

24 And also, Judge, you had -- did you have an  
25 opportunity to review the trial memorandum regarding

1 Stan Smith and his --

2 THE COURT: I did.

3 MR. MAZZEO: Is that something that we can  
4 discuss? Maybe not -- Stan Smith isn't going to be  
5 called today, so I don't think we need to discuss it  
6 today. But the defense would certainly like to go on  
7 the record with our request that the Court exclude him  
8 from testifying with regard to hedonic damages and loss  
9 of enjoyment -- I'm sorry, and household services.

10 THE COURT: I'll give you time. Let's not do  
11 it right now.

12 MR. MAZZEO: No. Thank you, Judge.

13 MR. ROBERTS: He was originally scheduled  
14 this afternoon according to our plan when he was going  
15 to be one of our final witnesses. He has been  
16 rescheduled, I believe, to next Tuesday or so. We have  
17 time before Tuesday morning.

18 MR. MAZZEO: And then the last thing is I'm  
19 not going to stipulate or I will object to each time  
20 they -- the plaintiff asks a treating physician to be  
21 qualified and deemed an expert by the Court. They're  
22 treating physicians. Typically, unless they're -- and  
23 that rule, I mean, I think went by the wayside three or  
24 four years ago where the Court has to recognize an  
25 expert as an expert. They can lay the foundation for

1 it, but they don't actually have to have the Court rule  
2 on that anymore.

3 But with regard to a treating physician, I  
4 will object if they try to move each and every treating  
5 doctor in as an expert because, frankly, Dr. Cash was  
6 not designated as an expert. He's -- he's only a  
7 treating physician. Frankly, Dr. Gross wasn't  
8 designated as an expert. Even though they -- they did  
9 provide expert reports, he was -- we never received a  
10 CV, and he was never other -- otherwise formally  
11 designated in any of plaintiff's designations as an  
12 expert.

13 So with Dr. Lemper coming today, he is not an  
14 expert. He's a treating physician, so I would ask the  
15 Court for an advance ruling on the plaintiff requesting  
16 that and whether you will grant my objection to him  
17 being brought in here as an expert when he's only a  
18 treating physician.

19 THE COURT: Here's the -- the problem with  
20 that is I don't think that they necessarily have to be  
21 recognized by the Court as an expert to offer opinion  
22 testimony as an expert. But if they're not an expert,  
23 they don't get to offer opinion testimony. So with  
24 regard to treating doctors, they are experts to the  
25 extent that they're qualified as -- to testify as an

1 expert, and that's the only way they can offer opinions  
2 as to reasonableness and necessity, causation, things  
3 like that that they do as treating doctors.

4           So I mean, if they qualify as an expert and  
5 the plaintiffs ask me to recognize them as an expert, I  
6 I'm going to recognize them as an expert with the  
7 understanding that they're only an expert as -- as a  
8 treating doctor.

9           MR. MAZZEO: That's fair enough. Thank you.

10          THE COURT: And I think you -- you made some  
11 comment or an objection or some statement yesterday  
12 with regard to -- maybe it was Dr. Gross.

13          MR. MAZZEO: I think so.

14          THE COURT: And I think I said essentially  
15 the same thing --

16          MR. MAZZEO: Correct.

17          THE COURT: -- so ...

18          MR. MAZZEO: Fair enough. Thank you.

19          THE COURT: Good to go?

20          MR. MAZZEO: Ready.

21          THE COURT: All right. Let's bring our jury  
22 in.

23          MR. MAZZEO: Judge, when we break for lunch,  
24 or at that time, we have to -- we have to coordinate  
25 our expert witness schedule, plaintiff's case in chief,

1 when it will end, when we can start, and then have  
2 sufficient time at the end for closing arguments, and I  
3 guess plaintiff's punitive damages case because it may  
4 lead into a fifth week. And I would hate to have that  
5 happen, but I don't want to be cut short on the  
6 defendant's end on presenting evidence.

7 THE COURT: Sounds like a great conversation  
8 to have.

9 THE MARSHAL: Jury entering.

10 (The following proceedings were held in  
11 the presence of the jury.)

12 THE MARSHAL: Jury is present, Judge.

13 THE COURT: Thank you. You can be seated.  
14 Good morning, ladies and gentlemen. We're back on the  
15 record, Case No. A637772.

16 Do the parties stipulate to the presence of  
17 the jury?

18 MR. ROBERTS: Yes, Your Honor.

19 MR. MAZZEO: Yes, Your Honor.

20 THE COURT: All right. We had Dr. Gross on  
21 yesterday. We've heard some of Dr. Cash and some of  
22 Dr. Gross. I don't think either one of them is  
23 finished yet. And now we're going to take another  
24 doctor out of order.

25 So who's the plaintiff's next witness?

1 MR. ROBERTS: Your Honor, we would call  
2 Dr. Brian Lemper.

3 THE COURT: We will finish with the doctors  
4 that have been testifying already, just we have to work  
5 around everybody's schedules.

6 Good morning, Doctor. If you would come all  
7 the way up on the witness stand. Once you get there,  
8 I'm going to ask you to please remain standing, raise  
9 your right hand, and be sworn.

10 THE CLERK: You do solemnly swear the  
11 testimony you're about to give in this action shall be  
12 the truth, the whole truth, and nothing but the truth,  
13 so help you God.

14 THE WITNESS: I do.

15 THE CLERK: Please state your name and spell  
16 it for the record.

17 THE WITNESS: Brian Lemper. Brian with an  
18 "i," B-r-i-a-n. Lemper like temper, L-e-m-p-e-r.

19 THE CLERK: Thank you.

20 THE WITNESS: Thank you.

21 THE COURT: Go ahead and be seated. Try to  
22 talk into the microphone as much as you can.

23 THE WITNESS: Thank you.

24

25 /////



1 DIRECT EXAMINATION

2 BY MR. ROBERTS:

3 Q. Good morning, Doctor.

4 A. Good morning.

5 MR. ROBERTS: And Dr. Lemper has an issue  
6 with his back and occasionally needs to stand. He  
7 can't sit for long.

8 Is that okay if he stands --

9 THE COURT: Yep.

10 MR. ROBERTS: -- occasionally?

11 THE WITNESS: Thank you.

12 MR. ROBERTS: Feel free if you get  
13 uncomfortable, Doctor.

14 BY MR. ROBERTS:

15 Q. Dr. Lemper, could you give the jury your  
16 educational background starting with college?

17 A. I went to U of I, Chicago. That was  
18 University of Illinois. I graduated in three years  
19 with a -- I had an -- a degree with honors. I  
20 graduated from the honors college. I sat on the honors  
21 college advisory board. I was invited -- I graduated  
22 from college in 1995 and it was in the wintertime. So  
23 I applied for medical school come spring. That was at  
24 the Chicago College of Osteopathic Medicine. And I  
25 apologize, I graduated from medical school -- from

1 college in 1991, graduated from medical school in 1995.  
2 It's been a lot longer than it seems.

3           After medical school, I went to -- or I was  
4 at the Chicago College of Osteopathic Medicine where I  
5 did a four-year medical school program, graduated, took  
6 my boards, passed the osteopathic boards, went into an  
7 internship in 1996, completed the internship which was  
8 a combined trauma surgery and internal medicine. I was  
9 originally planning on becoming a neurosurgeon, and in  
10 the middle of my internship, I decided to change gear  
11 and go into pain management/anesthesiology and applied  
12 to Rush Presbyterian St. Luke's and got into the M.D.  
13 program at that point in time where I graduated from  
14 the residency by 1999 and was invited into the Rush  
15 St. Luke's fellowship program for a combined surgical  
16 training in spine as well as pain management in spine.  
17 Graduated that in the year 2000, which is when I moved  
18 to Las Vegas.

19           I was doubly board-certified in 2000. By the  
20 time 2005, I was capable of sitting for the pain  
21 subspecialty boards.

22           Q.    Could you tell us what your first board  
23 certification is in, Doctor?

24           A.    Osteopathic medicine.

25           Q.    Okay. Thank you.

1           A.     Second one was an internship board. Next was  
2 a -- the actual board certification for an  
3 anesthesiologist. That was an M.D. board.

4                     So I am an osteopath which is a D.O. The  
5 real difference in training is I can crack backs like a  
6 chiropractor can. The rest of medical training is very  
7 similar. There was just a little additional training  
8 that we did that I thought was far superior from a  
9 musculoskeletal standpoint. I feel that following that  
10 training, I was very capable to apply to any school,  
11 and have actually proven that by passing all M.D.  
12 boards since that point in time.

13           Q.     You mentioned a subspecialty board.

14                     What was that subspecialty board, Doctor?

15           A.     Correct. So a specialty is anesthesiology.  
16 So once I passed the anesthesiology board, which is  
17 a -- quite a fun board because it's a combination of a  
18 written exam and then after you pass the written exam,  
19 you get to sit in front of a board of anesthesiologists  
20 that fire questions at you like you're in the operating  
21 room.

22                     After I passed the anesthesiology boards,  
23 then I sat for my pain management boards, which was  
24 after I did a residency, I then had to apply for a  
25 subspecialty. So you're in a specialty of

1 anesthesiology. A subspecialty was pain management.  
2 Part of that, you do surgical training, you do  
3 injection training, and you do medication training.  
4 And you pretty much live in the hospital for that year.  
5 And when you're done, after a period of practicing, you  
6 can sit for the pain management boards, which is what I  
7 did in 2005. And thank God, again, in -- just in  
8 October of -- of last year, sat and passed the boards  
9 again. The first test I had taken in ten-year period  
10 of time. It was not fun studying for that, but I still  
11 got it.

12 Q. Thank you. Thank you, Doctor.

13 So you mentioned you came to Vegas in about  
14 2000?

15 A. Exactly 2000.

16 Q. And what was your first job when you came to  
17 Vegas?

18 A. When I first came to Vegas, I was an  
19 anesthesiologist that was interested in starting a pain  
20 practice, but I essentially had -- excuse me. I was  
21 doing anesthesia for a spine surgeon by the name of  
22 Patrick McNulty. I did pretty much 80 percent of all  
23 of his surgeries, and on my downtime -- he operated  
24 three days a week. So I would do anesthesia for  
25 whatever surgeon didn't have an anesthesiologist at the

1 hospital. I would hang around the doctors' lounges and  
2 kind of look for work. When you're brand new in town  
3 and you're not joining a big group, you kind of have to  
4 solicit yourself.

5 Q. Did you work at Spring Valley Hospital?

6 A. I did. I -- actually, Spring Valley  
7 Hospital, I sat -- I had a position as director of pain  
8 management for the hospital for some time.

9 Q. And what is your current work?

10 A. Presently -- in 2009, I opened up a surgery  
11 center, surgery center with an urgent care and a  
12 diagnostic center as well, all in the same facility.  
13 What I do now is patients come to see me when they have  
14 pain. They've either been treated by other doctors or  
15 they're fresh off the street, and they have an issue  
16 and they need me to identify the problem, see if I can  
17 manage the problem. And if I can't manage the problem,  
18 do I prescribe surgery in the appropriate fashion?

19 Q. The jury is going to hear a couple of names  
20 associated with your treatment. One is The Center for  
21 Surgical Intervention.

22 Could you explain to the jury what that is  
23 and what your role is in that?

24 A. Yes. That is my surgery center. My role in  
25 it is I'm a doctor that goes there and does surgeries

1 and -- and injections there. As well I own facility.

2 Q. And how does that differ from Brian A.  
3 Lemper, D.O., Limited?

4 A. Brian A. Lemper, D.O., Limited is my private  
5 practice as a doctor. You come see me. I ask you to  
6 bend over, push on your back. I might give you a shot.  
7 I might prescribe medicine or an exercise regimen.  
8 What you -- when you come into my practice, I am  
9 Brian A. Lemper, D.O., Ltd. So myself was actually  
10 incorporated for my medical practice.

11 Q. Could you describe a little bit more to the  
12 jury about your pain management practice over the last  
13 five years as it relates to people with spine pain or  
14 back pain.

15 A. In the last five years, I would say my pain  
16 management evolved going all the way back into the late  
17 '80s. I was in college and I was a mover, and I was  
18 going to be a stockbroker in college. And I had a  
19 great weekend job making excellent money being a mover.  
20 And I herniated a disk. And I went to doctor after  
21 doctor being told I had all kinds of issues going on  
22 because I had leg pain and no back pain. And I was  
23 about to get operated on for a knee problem and a  
24 physical therapist told me he thinks I had a back  
25 problem. I went and got an MRI for the back problem,

1 went to a doctor and the doctor actually said, You need  
2 back surgery, and quickly went ahead and operated on  
3 the wrong level.

4           So I had kind of a -- a situation where when  
5 I was 19 years old; I said, If these bozos are doctors,  
6 it must not be that tough to become one. And I  
7 actually was right. And I applied to medical school,  
8 got in. And once I got into medical school, I realized  
9 that I had figured out a way to make back pain better  
10 than just giving pills and shots. I make people  
11 stronger.

12           And the last five years of my practice, that  
13 has actually unleashed into -- I have a huge following  
14 of professional athletes, MMA fighters, people who are  
15 in top shape who come to see me because I don't like to  
16 prescribe medicine. I don't like to send them for  
17 surgery. I try to do everything I can to help manage  
18 their problem and make them as strong as possible so  
19 that they need me as little as possible, because that's  
20 how you survive with a back problem.

21           Q. Do you consider it one of your primary goals  
22 of your back-related practice to help people avoid  
23 surgery?

24           A. That is true. And I avoided surgery for  
25 20 years between my last two surgeries. I actually had

1 to have one about a year and a half ago, and I was able  
2 to do it without taking narcotics. And I had -- I --  
3 I -- I show my patients, I say, Don't do what I tell  
4 you. Do what I do. I have a very similar problem to  
5 you. I have difficulty sitting. I don't travel very  
6 well. I haven't flown to Europe. Not that I can't. I  
7 just have no desire to sit on an airplane for 12 hours  
8 to get somewhere. I can't possibly get there and  
9 function once I get there.

10 I get how my patients are. I also get how my  
11 patients substitute things to take care of their  
12 families too. There's -- there's a lot of personal  
13 interest with what I do. And my main goal is to fix  
14 people. My main goal is when somebody comes in my  
15 office, I've got to figure out how they will not need  
16 me.

17 Q. Doctor, do you regularly perform facet  
18 injections as part of your practice?

19 A. I do.

20 Q. And how many of those procedures could you  
21 estimate for the jury that you've done over the years?

22 A. I do a little less than 2,000 injections in a  
23 year. Okay? I've been doing that for 20 years, 15 of  
24 which -- or 16 of which have been in my own private  
25 practice. And in the last 4 or 5 years, I've been



1 working to set my schedule right so that -- you know, I  
2 don't like to overwork. I like to see patients, I like  
3 to spend time with them, and I like to get involved in  
4 their lives. I can also ramble on, too, when you ask  
5 me a question, so keep me on track.

6 Q. When -- when you told the jury you did about  
7 2,000 injections a yeah --

8 A. Correct.

9 Q. -- does that include nerve root blocks?

10 A. It includes nerve root blocks, and out of  
11 those, I would say probably 25 percent of all the  
12 injections I do are facet-related injections. Could be  
13 as high as 50 percent of the injections that I do have  
14 facet symptomatology as part of the problem.  
15 Statistically speaking, a very nice study was done on  
16 the cervical spine that showed after a car accident,  
17 you're more likely to have facet problems than any  
18 other problems at a 66.6 percent. That's just a study  
19 that kind of came about. I'm not familiar with a study  
20 for the low back saying that facet disease is a certain  
21 prevalence in the trauma, but I do know that it has  
22 been studied in the cervical spine.

23 Q. Thank you, Doctor.

24 MR. ROBERTS: Your Honor, at this time, we  
25 would ask the Court to recognize Dr. Lemper as an

1 expert in pain management and, in particular, pain  
2 management of the spine.

3 THE COURT: Objection?

4 MR. MAZZEO: Only insofar as he is here today  
5 as a treating physician.

6 MR. STRASSBURG: We welcome Dr. Lemper.

7 THE COURT: He'll be so recognized.

8 MR. ROBERTS: Thank you.

9 THE WITNESS: Thank you.

10 MR. ROBERTS: Audra, do you have our  
11 timeline?

12 BY MR. ROBERTS:

13 Q. This is the timeline that we showed the jury  
14 during opening statement.

15 And, Doctor, do you see that on your screen?

16 A. I do.

17 Q. Okay. Just because we're -- we're going a  
18 little bit back in time chronologically, when did your  
19 treatment first start with Emilia Garcia?

20 A. I want to say it was in June of '11.

21 Q. June of '11. Okay.

22 So in June of '11, did you become aware that  
23 she had received prior treatment for her back?

24 A. Prior treatment or prior evaluations?

25 Q. Let's -- let's just go with prior

1 evaluations.

2 A. Yes, I was.

3 Q. And what -- what medical records did you  
4 become aware of on your first visit?

5 A. You know, I do know I had Dr. Gulitz's notes.  
6 I do know that I had either had Cash's notes, or I had  
7 spoken to him at some point in time. Dr. Cash, sorry.  
8 And I don't know if I had actually reviewed his  
9 records, but I do know that she was familiar from his  
10 office. So I had very likely either spoken to him, or  
11 his office staff member called my staff member and  
12 somebody gave me a -- a once through about him.

13 As well, Dr. Gross was involved early on, and  
14 Dr. Gross and I actually exchanged, I would say,  
15 medical records fairly frequently.

16 Q. At the time she first came to see you in June  
17 of '11, were you aware that Dr. Cash had recommended a  
18 fusion surgery?

19 A. I was.

20 Q. Were you aware that Dr. Gross had recommended  
21 a fusion surgery?

22 A. I was. That's pretty much the reason why she  
23 would be in my office. People don't just -- you know,  
24 if you're in need of surgery, they will very likely  
25 send you to me to either identify the problem exactly

1 or to try to get them to avoid it. I look at them like  
2 I want to get them to avoid it.

3 Q. Do you recall how Ms. Garcia came to see you?

4 A. Like her -- somebody drove her or -- kind of  
5 thing or --

6 Q. Do you know who referred her to you?

7 A. I do know that Dr. Gulitz referred her.

8 Q. Okay. And did you either speak to Dr. Gulitz  
9 or review his records?

10 A. I very likely reviewed his records, but it is  
11 not the standard that I would get on the phone with a  
12 chiropractor and pick his brains for a new referral,  
13 so ...

14 Q. Do you recall the complaints that Ms. Garcia  
15 related to you at the first visit?

16 A. It was mainly low back. I remember that she  
17 had some neck problems but said that the manipulation,  
18 the chiropractor that helped that the most. At one  
19 point in time, some of the treatments was helping her  
20 low back. But overall, she felt she got to a level  
21 that helped everything except that low back problem,  
22 and she was starting to get a little frustrated because  
23 she started to believe it was getting worse.

24 THE WITNESS: Is it okay if I drink water?

25 THE COURT: That's fine.

1 BY MR. ROBERTS:

2 Q. At the initial visit, did you have a  
3 consultation with Ms. Garcia?

4 A. I did.

5 Q. Do you recall about how long that took?

6 A. My consultations are scheduled for about an  
7 hour and a half. It takes -- face-to-face time with me  
8 is about 45 minutes of face-to-face time out of that  
9 whole thing. You have one of my physician assistants  
10 is in the room as well as two medical assistants and a  
11 nurse. We go through an initial physician examination  
12 once they identify problems. Then I come in and  
13 confirm the problems. Once I confirm the problems,  
14 I'll set up a diagnostic plan and very likely go  
15 through the MRI studies or order more diagnostics that  
16 I need in order to formulate up a plan.

17 Q. Did you perform a physical examination on  
18 Ms. Garcia?

19 A. Yes.

20 MR. ROBERTS: And, Audra, if you could pull  
21 up Exhibit 12, page 4, which is already in evidence,  
22 and just put the top half of that up on the screen for  
23 the jury.

24 BY MR. ROBERTS:

25 Q. And, Doctor, this is from the medical records

1 or do -- does this page reflect the findings from her  
2 physical examination with regard to her spine?

3 A. It does. I think that there was a -- one  
4 line missing, a general line. It goes HEENT, which is  
5 the head, eyes, ears, nose, and throat. Before that,  
6 there's a general comment and I -- I believe that I  
7 said she was walking funny or --

8 MR. ROBERTS: Can you go back one page,  
9 Audra, to page --

10 THE WITNESS: Or just one more.

11 MR. ROBERTS: -- page 3?

12 BY MR. ROBERTS:

13 Q. It's at the bottom of page 3, I believe, that  
14 you're referring to, Doctor.

15 A. Yeah. That would be the only thing that I  
16 would say would possibly have something to do with it  
17 that's not visual in here.

18 Okay. So well developed, well nourished.  
19 She appears uncomfortable and emotionally labile. She  
20 sits supporting her weight on her chair with her hands.  
21 Ambulates with a bilaterally antalgic gait, but she did  
22 not need an assistive device like a cane. So  
23 basically, in a nutshell, she looked like she was in  
24 pain. She was having trouble sitting there.

25 Q. And what about the antalgic gait?

1           A.    Antalgic gait means it looked like it hurt  
2 when she was walking. So she was walking in a way  
3 where she was an abnormal gait because of pain. So it  
4 was usually short based -- sorry, wide based and short  
5 stride is the standard. I didn't describe it that much  
6 or any more than just it looked like she was hurting  
7 when she was walking.

8           Q.    Thank you, Doctor.

9                   MR. ROBERTS: Let's go to the next page  
10 again, Audra, page 4, the top half.

11 BY MR. ROBERTS:

12           Q.    And what did you observe at this time with  
13 regard to her cervical spine?

14           A.    Cervical spine, she had some tenderness to  
15 palpation. There was some palpable spasm as well as  
16 midline tenderness. However, range of motion was full.  
17 Her lordosis was maintained, which she had pretty good  
18 posture in her neck regardless. So it was -- her neck  
19 was sore. Some of the muscles were sore.

20           Q.    Now -- now, the jury's heard some of --  
21 testimony about spasms in the back.

22                   What is a palpable spasm?

23           A.    Basically, we've all been fatigued and tired  
24 and you look in the mirror and your eyelid goes like  
25 that (witness indicating). That usually happens when

1 you're fatigued. If you haven't slept a couple of  
2 nights in row or something is going on, you didn't a  
3 good REM sleep.

4           Your muscles do the same thing. When there's  
5 an issue, especially in a spine, your spine sends a  
6 message to your brain that it's broken. That's it.  
7 It's either broken or not broken. And your brain  
8 doesn't know if it's a pinched nerve, a broken bone, a  
9 blood clot, a tumor. It doesn't know. So what it  
10 does, it says, You're going to heal better if you don't  
11 move. So it spasms the area that hurts. So when your  
12 brain sends -- or your spine sends a message to your  
13 brain that I'm hurt, it reflexively spasms. In  
14 general, that lasts for about two to three months  
15 unless you have an ongoing underlying problem that  
16 keeps triggering the spasm.

17           So here she is six months or what, five  
18 months later, she shouldn't have had an ongoing spasm  
19 if she had a muscular injury. She had something  
20 underlying going on which is why I even test for a  
21 spasm. At six months out, if she's still got something  
22 acutely active there, there's an ongoing injury.

23           So the spasm itself is you put your hand on  
24 the skin, you can feel that twitching. When you can  
25 feel the twitching, it either hurts or doesn't hurt,



1 but they're guarding around that area, reflexively  
2 speaking. It's up to me to figure out can I break that  
3 spasm and in breaking that spasm, did I identify the  
4 problem and did this person get enough time to actually  
5 heal on their own while I gave them relief from the  
6 pain.

7 Q. Is this something that you are able to  
8 objectively verify yourself, or did you rely on her  
9 reporting of the spasm?

10 A. I only rely on her to say, Ouch, it hurts.  
11 But most of the time, I don't even ask them. You can  
12 tell. I mean, you bend somebody over and you push on  
13 them, you can tell who's hurting and who's not hurting.  
14 But as far as feeling a spasm, a spasm is I feel it.

15 Q. The -- the next level that you discuss is the  
16 thoracic spine.

17 A. Yes.

18 Q. And what -- where is that from -- in relation  
19 to --

20 A. The thoracic spine is every part of your  
21 spine that has a rib on it. So there's 12 levels from  
22 the base of your neck to right around the base of your  
23 diaphragm down there. And you have ribs that attach to  
24 each of the thoracic spine, the most stable areas. But  
25 they do get a lot of pain referred from the neck.

1           On her physical exam, I wasn't very impressed  
2 with her thoracic physical either.

3           Q.   And the next level identified is the lumbar  
4 spine. Please explain to the jury what your findings  
5 were on physical examination. And, again, this is on  
6 June 29th, 2011.

7           A.   Gotcha. First thing I do is I have them  
8 stand up and I have them lean over the table and I make  
9 a joke with them like I'm going to frisk them for  
10 weapons. And I get on their back and I kind of push on  
11 it, and I feel their iliac crest. From that point in  
12 time coming straight in, that's about the L4-5 level on  
13 99 percent of -- of adult people.

14               I can push on the area and feel actual  
15 spasming, but when I push on it, she winces, her knees  
16 would buckle. She was not having -- actually, I think  
17 I made her cry during the examination. I mean, it's  
18 not like I'm trying to hurt these people, but it hurts  
19 trying to figure out what's going on. And then they're  
20 on the fourth doctor, and every time they come in,  
21 they're like, It hurts when I bend over. And  
22 bending -- I know before I had my back surgery, putting  
23 on my shoes was horrible. So I understand what  
24 people -- and they go through the stress, like, I'm  
25 going to have to reproduce my problem again.

1           So on her, she had some back tenderness.  
2   There was some spasm in her paraspinal musculature. At  
3   midline, when I pushed midline on the bony processes,  
4   that hurts. That's the most significant factor to me,  
5   which is an indication that -- you push on those bones  
6   on your back, nothing should move it. That shouldn't  
7   hurt. When it radiates out or it hurts out, that tells  
8   me something's going. Something that's moving that  
9   shouldn't be moving or there's swelling over that area.

10       Q.    Thank you, Doctor.

11           MR. ROBERTS: Audra, could we go down to the  
12   bottom half starting with Impression?

13   BY MR. ROBERTS:

14       Q.    So the section of your report, Impression,  
15   explain to the jury what -- what an impression is.

16       A.    Impression is what do I think's going on.

17       Q.    Thank you. And -- and I see you've got the  
18   findings here.

19           Could you explain your impressions from your  
20   initial physical examination and discussion with  
21   Ms. Garcia?

22       A.    Correct. Number 1, posttraumatic lumbar  
23   radiculopathy. In order to have back pain, you have to  
24   have pain coming from a nerve root in your spine. Even  
25   if your disk hurts, no matter what, the only

1 transmission of that pain is from the nerve root. A  
2 nerve root is called a radical. A radiculopathy --  
3 opathy is Latin or Greek for pathological process or  
4 something's wrong with it. So a nerve radiculopathy, a  
5 lumbar radiculopathy means there's something going on  
6 with her lumbar nerve.

7           When she had the back pain, she had lower  
8 extremity radiation of symptoms. She did not have  
9 damage every inch going down her legs, but she had  
10 pain. So that was an indication of an abnormal nerve  
11 firing which is a nerve pathology, the pathology of  
12 which we found out later once we took the MRIs and  
13 identified issues.

14           Q. The first part of that impression is  
15 posttraumatic.

16           Could you explain to the jury the  
17 significance of that finding and how you came to  
18 believe more likely than not the injury you observed  
19 was posttraumatic?

20           A. She never had any indication in her medical  
21 records or in her history or in any discussion that I  
22 had with her that she had any referred nerve root pain  
23 down her legs before this trauma.

24           I know that it took a few days to manifest  
25 the lower extremity symptoms, but you cannot discount

1 the fact that she was nailed by a 3,000-plus-pound  
2 automobile. And something just wasn't right after  
3 that, and she started to have progressive lower  
4 extremity issue. By the time she got to see me, she  
5 had seen some fairly competent doctors and  
6 chiropractors, and I was her only hope to avoid  
7 surgery.

8 Q. Can you continue with your remaining  
9 impressions for the jury?

10 A. Posttraumatic low back pain with displaced  
11 intervertebral disks, facet arthropathy, and anterior  
12 subluxation of L5 on S1, 4 millimeters, with bilateral  
13 pars interarticularis defect, and history of previous  
14 asymptomatic spina bifida occulta. That's a lot.  
15 Basically, means she slipped.

16 Q. So the anterior subluxation of L5 on S1  
17 4 millimeters with bilateral pars interarticularis  
18 defect, is that the same thing as a spondylolisthesis?

19 A. That's exactly it. I'm just describing the  
20 mechanical terms of what happened without labeling it  
21 as -- as a name.

22 Q. Was this impression based just on your  
23 physical examination and discussion with Ms. Garcia, or  
24 was it also based on a review of MRIs, X-rays, or both?

25 A. As well. Everything. MRIs, X-rays, and her

1 physical exam findings.

2 Q. The 4 millimeters, what does that refer to?

3 A. The amount of slippage. Anterolisthesis,  
4 your bones in your spine are stacked up. It's like  
5 you've got two bones between a rubber tire. So you've  
6 got bone, rubber tire, bone, rubber tire going all the  
7 way up. When you have anterolisthesis of 5 on 1, the  
8 bottom two levels in your spine are -- L5 is the last  
9 lumbar bone, and S1 is the first sacral bone. So when  
10 you have anterolisthesis, anteriorly means forward  
11 slipping of the L5 bone. The only way to have forward  
12 slipping of the L5 bone and still have your lower  
13 extremities working is to have a spondylolisthesis.

14 You have spinal bones that are in a circle.  
15 So if you slip too far, a guillotine goes (noise) and  
16 cuts the spinal cord. Your body -- we were made a very  
17 wonderful way to degenerate and fracture in order to  
18 actually keep going. And that usually occurs from over  
19 time having a belly. You have a belly, you'll get  
20 spondylolisthesis. And if it fractures, that's you're  
21 still walking.

22 Q. Do you recall, Doctor, if the 4 millimeters  
23 noted in your impression was measured by you or did you  
24 rely on the radiologist's report?

25 A. You know, very likely a radiologist's report.

1 I do do evaluations on the MRIs, but it's rare that I  
2 would actually throw up a calibrated number like that.  
3 I would say large, small, Grade I, II, III, or IV.

4 Q. The -- the last part of Impression No. 2,  
5 reads, "History of previously asymptomatic spina bifida  
6 occulta."

7 Could you explain to the jury that finding?  
8 What is spina bifida occulta?

9 A. Twenty percent of all human beings made have  
10 something abnormal in their spine. They either have  
11 one less rib, one more rib, one less lumbar bone, one  
12 extra lumbar bone. Basically we all have the same  
13 number of nerves, but when we're developing, your bones  
14 and your disk all come from what's called a neural  
15 tube. And at some point in the last couple of months  
16 of development, you either make an extra bone and a  
17 disk in the lumbar spine above your sacrum or you  
18 incorporate that and have an extra level. They have  
19 proven that 20 percent of the population has this, and  
20 they're at no higher incidence than anybody else for  
21 having problems.

22 I noticed this on her. She had no problems  
23 preexisting. She had no complaints preexisting. It  
24 was a preexisting asymptomatic defect. It was just how  
25 it is.

1 Q. When you say "previously asymptomatic," did  
2 you believe that that condition --

3 A. I don't believe that the spina bifida occulta  
4 became symptomatic afterwards either. It was just --  
5 it's there. I just believe that the -- that's not even  
6 proven that it would cause pain. They do have further  
7 severity of spina bifida where you get tethering of the  
8 cord. But the children are normally born with that and  
9 are usually paralyzed or paralyzed and then released  
10 and -- and -- through surgery. And they can release  
11 those nerve roots out. But this is nothing like that  
12 kind of a spina bifida.

13 Q. So there's a wide range of --

14 A. There's a very wide range. What she had is  
15 an incomplete fusion of the posterior wall of the, I  
16 want to say, S1 spinous processes, which is of no  
17 structural difference or change. It's not even a  
18 weight-bearing part of the bone.

19 Q. So that's a very mild form?

20 A. Yes.

21 Q. Go to Impression No. 3. Could you explain  
22 that finding to the jury.

23 A. Posttraumatic cervical radiculopathy. She  
24 had nerve root irritation in her neck after the trauma.

25 Q. And does the posttraumatic indicate that you



1 also attributed that finding more likely than not to  
2 the motor vehicle collision of January 2nd, 2011?

3 A. I did. There was no other intervening  
4 incident nor -- I mean, she had some spasm, got some  
5 treatment, it got better. It was fairly  
6 straightforward.

7 Q. Finding No. 4, if could you review that with  
8 the jury.

9 A. Posttraumatic neck pain with symptoms  
10 consistent with displaced intervertebral disk and facet  
11 arthropathy. So she had neck pain, and I don't know if  
12 it was from the disks or from the facets.

13 Q. And, again, your finding is that this was  
14 posttraumatic pain?

15 A. Correct.

16 Q. And could you review Impression No. 5 now?

17 A. Number 5 is posttraumatic bilateral hip pain  
18 consistent with arthropathy or enthesopathy, which is  
19 just pain on the ligament insertion of the bone.

20 Q. Okay. Finding No. 6?

21 A. Posttraumatic myofascial pain with spasm. No  
22 muscle spasms and muscle pain.

23 Q. And, Doctor, I think you've skipped No. 6 and  
24 gone to No. 7.

25 A. Oh, six. I'm sorry.

1 Q. Yes.

2 A. Posttraumatic bilateral sacroiliac joint  
3 arthropathy. That's what SI stands for sacroiliac.

4 Q. And can you explain what arthropathy is and  
5 how you related that to the collision?

6 A. Anywhere you see opathy is pathologic  
7 finding. Just means there's something wrong with it.  
8 Arthro is the joint articular surface. When you get  
9 into a trauma and you have pain in that joint, that's a  
10 posttraumatic arthropathy. It can turn into arthritis  
11 later on, but the arthropathy is the painful joint.

12 Q. Okay. And we saw up above in your physical  
13 examination, you noted tenderness to palpation, left  
14 and right sacroiliac.

15 Explain to the jury that finding and --  
16 and -- and whether that's common in a person without a  
17 problem at their SI joint.

18 A. That's very common when somebody has a back  
19 problem and they walk around hunched over like this  
20 (witness indicating). Your sacroiliac joint is weakest  
21 with forward bending. It's the joint right where your  
22 hips attach onto your sacrum. So your sacrum is your  
23 tailbone which is -- do we have a spine? I can show  
24 you guys.

25 Q. Sure. Actually we -- Bruce is right here.

1 Here you go, Doctor.

2 A. Okay. Thanks. If it's okay, I'll stand  
3 while I do this discussion.

4 So the spine -- you have three main curves in  
5 your spine. This is -- can you hear me without this?  
6 Are you okay? I just feel like I should say tip the  
7 wait staff and -- okay. Two shows on Sunday.

8 So you have a -- you have three curves.  
9 One -- this is the back of the head. So the lordosis  
10 in the neck, lordosis in the low back. They both curve  
11 the same. Your thoracic spine is curved over like this  
12 (witness indicating). Your sacrum -- your sacroiliac  
13 joint -- this is your ilium. This is your hip bones.  
14 This is your sacrum. Sacroiliac joint is this joint  
15 space right in here. You spend all day hunched over  
16 like this, all of your stress is going right on there.

17 Right between the ilium, the L5, and the  
18 sacrum, the L5 nerve root goes right through a meshwork  
19 of ligaments. It's just a hotspot in the low back to  
20 have problems. And you either have -- you can inject  
21 into the joint and help some of the L5 irritation.  
22 Some of the L5 irritation can cause spasm over the back  
23 of the SI joint. But the bottom line is, she had  
24 tenderness not only when I pushed over her lumbar  
25 spine, but when I pushed on her posterior superior

1 iliac spines, it was painful. When she bent over  
2 forward, it was painful. So I called her painful  
3 sacroiliac joint arthropathy posttraumatic.

4 Q. Thank you, Doctor.

5 Impression No. 8.

6 A. Long-term medication used -- use for chronic  
7 posttraumatic pain. Anything longer than a month to me  
8 is long-term medication use. Your body was not  
9 built -- your kidneys were not built to have long-term  
10 medication use. Your whole body goes through a change.

11 Q. Thank you, Doctor.

12 In discussing Ms. Garcia and her symptoms  
13 with her performing your physical examination, did you  
14 find her reporting of symptoms to be consistent or  
15 inconsistent with your physical examination and review  
16 of her films?

17 A. No, she was very consistent. And when she  
18 had possibilities, you -- I mean, she -- she had the  
19 ability -- she was in my office. She could have been  
20 treated for anything. She specifically stated where  
21 she got better in her neck. She specifically told me  
22 when I pushed on her, where it didn't hurt. She was a  
23 normal person that was just trying to get out of pain.  
24 And it was kind of breaking her down. It was taking --  
25 it was taking a toll.

1           MR. ROBERTS: Audra, could we see page 5, mid  
2 point beginning with Plan?

3 BY MR. ROBERTS:

4           Q. So at the initial visit, June 29th, 2011, did  
5 you formulate an initial plan for Ms. Garcia to try to  
6 help her get better and avoid surgery?

7           A. I did. I did. Number 1, as you can see,  
8 from No. 1 on there, I shake my finger at them and I  
9 say, Don't lie to me about drugs. Don't take somebody  
10 else's drugs. If you have any problem with drugs, you  
11 tell me. Otherwise, I turn my back on you. That's  
12 No. 1.

13          Q. Let's stop there for a second.

14                 You were prescribing Lortabs at that time?

15          A. I was. And I want to say Soma, like, a  
16 muscle relaxer too.

17          Q. And are Lortabs a scheduled narcotic?

18          A. They are. And now they're Schedule 2, which  
19 is of the highest level.

20          Q. Do you, as part of your practice, take any  
21 precautions with regard to -- to drug-seeking patients  
22 that may come to see you?

23          A. I do, hugely.

24          Q. Okay. Could you -- could you tell the jury  
25 what that concern is and what precaution you take?

1           A.    If I could even start by telling you a story  
2 that back when I was in medical school, we used to do  
3 what's called triplicate forms for narcotics. Back in  
4 1996, one of our fantastic politicians did a federal  
5 law that lifted the ability and anybody could write  
6 narcotics to everybody.

7                   Now, at that point in time, I was a resident  
8 as an anesthesiologist. And then -- and in an  
9 emergency room, anytime anybody goes to the ER and they  
10 stop breathing, they call anesthesia because we put the  
11 airway and we get them breathing again. That's what we  
12 do. We're on call at the hospital for that.

13                  I watched in the '90s a prevalence of people  
14 in their 50s and 60s with alcohol problems and pill  
15 problems coming in conscious levels, and in the --  
16 before the '90, late '90s. After the '90s, I started  
17 seeing high school kids coming in on narcotics, coming  
18 in having strokes because they're taking their  
19 grandma's Oxycontin and they're snorting it and stuff  
20 like that.

21                  If I could tell you, I got into pain  
22 management to stop that from happening. I -- I -- I  
23 just -- I couldn't believe that we had just, because a  
24 law had changed, unleashed narcotics into this society  
25 and just destroyed our high school kids.

1 Q. So -- so what do you do --

2 A. So to me, I do drug testing before they come  
3 in, while they're in their treatment. All kinds of  
4 people have all kind of issues, and what I do is I  
5 guide them and help them. All kinds of people use  
6 their pains -- their pain medicines at different times  
7 of the day, and I've got to make sure that it's safe,  
8 that they're not using their grandma's medicine or  
9 their brother's medicine or their cousins's medicine,  
10 and --

11 Q. So you do --

12 A. -- so I do drug testing before, during, and  
13 throughout their treatment.

14 Q. And that's to determine if they're taking any  
15 medications that are not being prescribed and that  
16 you're not aware of?

17 A. And just to make sure everything is safe,  
18 yes. And -- and I educate people frequently because,  
19 you know, sometimes you're at your aunt's house or  
20 something like that and you're having coffee, and you  
21 can't drive home to get your pill bottle. So goes, Oh,  
22 I just had a knee surgery last week. Take my Tylenol  
23 No. 3. I get that. That happens, but I get down on my  
24 patients about it because it's the law.

25 Q. Do you have the ability to check to see if

1 people have had previous prescriptions for scheduled  
2 narcotics?

3 A. I do. Before somebody even comes into my  
4 office, I do something what's called a DEA screen which  
5 is provided by the Nevada Board of Pharmacy. What I  
6 can do is, just like the pharmacies can do, if a  
7 patient's skipping around doctor to doctor, pharmacy to  
8 pharmacy, you got to have a real reason to be on these  
9 meds. And you can't get them without me knowing it.

10 So that's also part of the initial visit.  
11 When people come in, I know a lot about them already.  
12 So they don't stay my patient if I start asking them a  
13 line of questions and they start giving me an answer  
14 that's different than the answer I know.

15 Q. Did you do a DEA screen on Ms. Garcia prior  
16 to her initial visit?

17 A. I did. I think she had, like, one pain  
18 prescription couple years prior for -- it was  
19 associated with, like, a dental procedure or something  
20 like that. So she had no previous narcotic use that I  
21 would consider indicative of anything other than a  
22 short outpatient procedure.

23 Q. Part 2 of your plan, could you explain that  
24 to the jury?

25 A. Procedures done in the office. I explained



1 risk, benefit, realistic expectations, outcomes. In  
2 general, if I screw up, you could die. If I give you  
3 an infection, you could be paralyzed or have all kind  
4 of problems. So there's -- there's a great deal of  
5 faith they have to put into me. Plus I'm sticking a  
6 needle in their spine. I knock people out because of  
7 the comfort level. I knock people out because I don't  
8 want them having nightmares of me coming at them with a  
9 needle. And I knock them out because it's a much  
10 more -- we have technology. It is safe if you do it  
11 the right way with an anesthesiologist, and their  
12 treatment is pleasant. You don't -- we've got  
13 technology. I'm sorry.

14           Is it really worth it to save 150 bucks or  
15 300 bucks to have an anesthesiologist keep you wide  
16 awake while you have to torture yourself through a  
17 procedure if I can just get you knocked out? So I  
18 usually knock out people, and I offer that to most of  
19 my injections. But there are risks associated with  
20 everything that I do.

21           Q.   And you explain that to Ms. Garcia?

22           A.   I did, yes. I even talk about death. People  
23 can die getting shots like this.

24           Q.   Number -- Item No. 4 is a lumbar support  
25 orthotic.

1           Could you explain what that was to the jury  
2 and the purpose?

3           A.   It's a tight-fitted back brace. The one that  
4 I like is -- I actually use one myself. It's a sport  
5 orthotic from Aspen. I use it skiing, and it just  
6 gives me a little bit of extra kind of stability. My  
7 patients, I tell them, here's a back support. It's got  
8 a lace up on the side of it. So what you do is you  
9 Velcro it on yourself, but then you have this lace  
10 system that just locks it in like a steel belt around  
11 you. And I say, Put it in the trunk of your car. When  
12 you go to Costco, put it on. When you unload your car,  
13 put it on. Can you use it all day long? You can, but  
14 it becomes uncomfortable right above and below. And I  
15 don't want you being dependent on something, but I want  
16 you to use it when you've got to, like, move some  
17 furniture around, you got to make the beds in the  
18 morning. Half my patients put it on for a couple of  
19 hours in the morning, brush their teeth, get ready for  
20 work, take it off.

21           Q.   Item No. 5, MRI bilateral hips, cervical  
22 thoracic, and lumbar spines.

23                   What -- what -- why did you want additional  
24 MRI as part of your plan?

25           A.   She was getting worse. That was the bottom

1 line. And if I've got an MRI from six months ago and  
2 they're telling me something that just sounds like it's  
3 getting worse, I want to make sure it's getting worse.  
4 And it was. We had some significant changes.

5 Q. Could you explain Item 6 of your plan, the  
6 selective nerve root blocks?

7 A. She had a tear at the L4-5 disk, which is  
8 here, the second-to-the-bottom one. She had all the  
9 problems with the slippage and the fractured bone at  
10 L5-S1 which is the bottom one. So you name the disk  
11 for the bone it's between. So your lumbar bones are  
12 L5, L4, L3, L2, L1 -- I'm sorry, I had an energy drink  
13 right before I came in here, so ...

14 But this disk is L4-5. This disk is L5-S1.  
15 This one has the anterolisthesis. And if you look,  
16 look at the canal that the nerve's coming out. It's a  
17 notch in the bone below, and a notch in the bone  
18 below -- above, and it fits just right together. Now,  
19 you lose disk space by having a herniation, you lose  
20 height. You have a slippage, you're likely to pinch it  
21 because it's going to slip. One of them is going to  
22 slip forward and then you don't have a canal anymore.  
23 You have two archways that have a nerve either wedged  
24 up in the corner there or wedged in the corner there  
25 or, God forbid, stuck right there between the two facet

1 articular surfaces.

2 Q. And the jury has heard a couple places  
3 already.

4 With your fingers, were you making the  
5 foramen, or were you making the central canal?

6 A. I was making the foramen. Okay? So the  
7 foramen at the slipped area has two, like, halves of the  
8 tunnel that -- it's all one bone. It's slipped.  
9 4 millimeters of a slip, that's, like, what,  
10 8 millimeters? Seven -- that's half -- it slipped half  
11 of the -- so no matter what, if you get an MRI with  
12 somebody laying down, you may not see it pinching, but  
13 you can't tell me this lady didn't walk around every  
14 time she leaned over or leaned to go get something --

15 MR. MAZZEO: Objection, Your Honor.

16 THE WITNESS: -- it wasn't nailing that  
17 nerve.

18 THE COURT: You can't keep talking when  
19 there's an objection.

20 THE WITNESS: Oh, sorry.

21 THE COURT: What's the objection?

22 MR. MAZZEO: His ancillary comments,  
23 speculation about what Ms. Garcia was feeling.

24 THE COURT: Overruled. It's overruled.

25 Go ahead.

1 BY MR. ROBERTS:

2 Q. So, Doctor, let's go back to the nerve root  
3 blocks.

4 A. Okay.

5 Q. And explain where those go, how they're done,  
6 and what would make you do that as opposed to initially  
7 trying a facet injection.

8 A. Got you. If -- if -- you don't mind if I  
9 stand up again just to take the --

10 Your whole body is mapped out. And your  
11 skin -- there's a guy by the name of Frank Netter in  
12 the '50s did a whole bunch of beautiful drawings. He  
13 was a general surgeon, but he loved art. So he did all  
14 of the textbooks. He did all kinds of human  
15 dissections. Did some wonderful things for the  
16 anatomy. Dermatomal distribution, d-e-r-m-a-t-o-m-a-l.  
17 Dermatomal distribution means if you're telling me it  
18 hurts -- when I have a patient come in, I say, Where  
19 does it hurt? Draw on your body. When they draw --  
20 you draw from here down to your thumb, that's C6. You  
21 draw here down to the palm of your hand or the back of  
22 the hand or your middle finger, that's C7. You draw a  
23 line through your knee, L4 is on the bottom, L3 is on  
24 the top. You have pain going in your calf, either L4  
25 or L5. L4 can go to the inside toe. L5 and S1 go to

1 the big toe. S1 can also go to the -- to the little  
2 toe.

3           So when you're describing spots on your body,  
4 I'm thinking, all right, what's pinching, what's  
5 irritated, what's the problem? Then I look at the MRI  
6 and I go, ah, this matches her complaint. This matches  
7 her complaint. I've got an area to do an injection.

8           So when I first saw her, she drew a picture  
9 or she described a picture, and my staff drew it out on  
10 the computer; pretty much from her bellybutton down,  
11 she had problems and complaints. And the only thing  
12 that would pretty much take care of 80 percent of that,  
13 sciatic nerve. Sciatic nerve, 4 through S1. 4-5 and  
14 S1 were her biggest problems. It's actually 4 through  
15 S2. That was where she was having her problems.

16           So what I did is I said, All right. I'm  
17 going to stop her problem right now, and if I inject  
18 all these levels -- plus I believe her groin symptoms  
19 were coming from her hips, I just want to turn it off  
20 and see what happens. And most of the time I turn it  
21 off, when something comes back, it's the primary  
22 problem. And then I attack the primary problem, and I  
23 can focus in.

24           So with her the only thing I knew on the  
25 first injection was that these two disks were causing

1 havoc. And there are two nerves on each side, and the  
2 S1 nerve goes right past the L5-S1. So I took that one  
3 as well because she was having symptoms down her leg at  
4 that area. So all I tried to do was to turn off the  
5 segments she was having pain and to get a diagnosis  
6 when she woke up.

7           Then I have her call -- call a day later and  
8 a week later, and I see, did I give you not only a  
9 diagnostic injection, but now did I provide you therapy  
10 for it? Was there a therapeutic benefit after I do the  
11 injection?

12           So if she has relief a couple weeks later,  
13 I'm actually doing diagnostic and therapeutic on her.  
14 I've got a way to fight this thing.

15       Q.    Okay. Give me just a second, Doctor. We'll  
16 skip a couple of visits. And let's -- let's go to the  
17 procedure.

18           You saw her a couple more times in between  
19 the initial visit and doing the procedure that you just  
20 described to the jury; correct?

21       A.    That is correct, yes.

22       Q.    And anything significant change in those  
23 visits?

24       A.    No. She was fairly consistent. You know,  
25 the only thing that changed is she agreed to an

1 injection. First time I saw her I -- you know, you  
2 just told me you're going to kill me. No, I didn't  
3 tell you I'm going to kill you. I said there are risks  
4 involved -- there's a lot that goes on when I first try  
5 to explain what I'm going to do to you.

6 Q. So you described your plan at the first  
7 visit, but it was not a plan that was immediately  
8 accepted by Ms. Garcia.

9 A. No. A lot of things I did in the first visit  
10 made her cry.

11 MR. ROBERTS: Audra, could you go to page 18?

12 And we're going to show you now your  
13 procedure notes for a procedure dated August 30th of  
14 2011.

15 So let's go down to the bottom of the page,  
16 Audra, Postoperative Diagnosis and Preoperative  
17 Diagnosis -- which follows Preoperative Diagnosis.

18 BY MR. ROBERTS:

19 Q. So did you go forward with the procedure that  
20 you had in your initial plan?

21 A. I did.

22 Q. Okay. And you've already described to the  
23 jury, you know, what -- what that was.

24 A. Correct, the nerve blocks.

25 Q. So what was your postoperative diagnosis?



1       A.    If we go down, postoperative diagnosis was  
2 the same. All of her diagnoses, it confirmed the fact  
3 that she had lumbar pathology but couldn't get as far  
4 as telling you -- when you do a -- a selective nerve  
5 root block, you're placing about a fourth of a table --  
6 a fourth of a teaspoon worth of medicine, about 2 cc's  
7 of medicine that -- the teaspoon is 5 cc's. So you're  
8 putting about a cc and a half to 2 cc's right on this  
9 nerve root.

10               When you do that, there's the facet right  
11 there. There's the disk right there. You actually  
12 can -- because this nerve root sends the -- the  
13 hair-thin nerves around -- swings around and goes into  
14 this facet joint. So when I do a nerve root block, I'm  
15 turning off any kind of pain that that level can have.  
16 So I'm turning off facet pain. I'm turning off disk  
17 pain if I do it bilaterally.

18               However, in the lumbar spine, you can't  
19 completely turn off the disk. So sometimes you get  
20 partial relief even when you inject the right disk  
21 correctly because sometimes nerves from the level above  
22 go down and share into that disk. That's what referred  
23 pain does. It really kind of -- it's interesting  
24 because your body has reserve pathways. Like, in your  
25 heart when you get a blockage, there's other arteries

1 there that open up when there's a blockage. Same thing  
2 with your nerves. When certain nerves stop working  
3 right or get cut, you can actually modify where your  
4 nerve structures go or actually how your nerve  
5 functions in both your spinal cord and your brain.

6           So the lumbar spine when I did the  
7 injections, she still had about 60 percent improvement  
8 when I was done with that, and maybe I knocked the edge  
9 off of her hip pain. So at that point in time, it told  
10 me that I was on the right track, but I didn't turn it  
11 off.

12           Q.   And -- and the jury heard a little bit before  
13 about the horse tail, how the spinal cord actually ends  
14 above the lumbar region and then it --

15           A.   Correct. Right at L1-L2 is when the spinal  
16 cord ends. Actually, they did a European study.  
17 Europeans end at L1. Americans end around L2.

18           Q.   And in addition to not being able to  
19 completely turn off the pain at the level you're at  
20 because of the complexity of the nerve structure --

21           A.   The shared, yes.

22           Q.   -- does the anesthesia sometimes travel up  
23 the nerves into other levels?

24           A.   Like I said, you're injecting into the body.  
25 You're not injecting into a closed compartment. You do

1 the best that you can. I use a dye that's got an  
2 iodine impregnated in it, and when I shoot an X-ray  
3 picture, it's like a black blob that I see. If you  
4 have any of my injections, I can show you what the dye  
5 is.

6 So I squirt about a quarter cc of dye first,  
7 and then I inject my medicine. I watch where the dye  
8 spreads. So I at least control it pretty darn good.  
9 But there's no way. You -- even half-hour after you do  
10 the injection, that stuff's still moving around a  
11 little bit.

12 Q. And -- and if you could look at Item 3 on  
13 your operative procedure.

14 MR. ROBERTS: Just down a little bit further,  
15 Audra, on the page.

16 BY MR. ROBERTS:

17 Q. What -- what is that? Is that the procedure  
18 you were describing to the jury?

19 A. That is the operative procedure, selected  
20 nerve root blocks, at -- and I go from the back, so I  
21 do L4, L5, and Sacral 1 on both sides. And then I went  
22 into her hip joints on each side. So bilateral  
23 interarticular hip injections. And then that thing  
24 fluoroscopy, that's just a fancy X-ray machine that I  
25 have. That's also called the C-arm. It's an X-ray

1 machine that's on an arc. And I can slide it around.  
2 I can flip it over. And I have a technician in the  
3 operating room. It's the best way to do live X rays on  
4 a human body when you're on an operating room table  
5 without having to move the body. I move the X-ray  
6 machine around the human.

7 Q. And is that what allows you to observe the  
8 dye?

9 A. And that's what allows me to observe the dye  
10 to place a needle 6 inches into a body where -- you  
11 know, you've got a beveled needle, and you stick a  
12 needle 6 inches into a body, that needle's going all  
13 kinds of places. What I do, it's a very controlled  
14 passageway. We bend the tip of the needle. I use a  
15 tool. I drive it in. It's -- pretty much anytime my  
16 needle is moving, I'm using X-ray.

17 Q. What do you mean by "drive it in"?

18 A. I use the term "driving it in" because it's  
19 called a needle driver, is what I hold. When you're  
20 sewing in surgery, you don't really hold needles and  
21 push them through and grab it. You're going to poke  
22 yourself. So you use instruments. And when I'm  
23 passing a needle, I'm also in an X-ray beam. And guys  
24 that stick their hands in the X-ray beam end up melting  
25 their fingers off over 20 years. I've got all my

1 fingers and nails in place because I wear leaded gloves  
2 and I use a needle driver.

3           So I use the phrase that I drive the needle  
4 into the canal because I'm actually steering it.  
5 It's -- I put a little bend in the needle tip, and you  
6 can push a needle through tissue, and I steer with my  
7 back hand, and I use my needle driver, and I push it  
8 right into the nerve root.

9           Q.   Do you always have to use the X-ray machine  
10 in order to perform a nerve root block?

11          A.   A selective nerve root block, to do it  
12 properly, you have to. Anybody that says they don't  
13 need to is either passing a needle 2,000 times until  
14 they hit a nerve root, which is barbaric, or they're  
15 fooling themselves.

16          Q.   So you mentioned that -- that you -- you  
17 didn't want -- you wear lead-lined gloves.

18          A.   I do.

19          Q.   Does the X-ray that you use in this procedure  
20 have the same risks of repeated exposure that -- that  
21 other X-rays have?

22          A.   Oh, yeah, especially on the patient. Almost  
23 as bad as going to the airport.

24               MR. ROBERTS: So let's look at page 19,  
25 Audra, bottom half of the page.

1 BY MR. ROBERTS:

2 Q. Your evaluation. And I think you've already  
3 told the jury about the resolution of her pain  
4 symptoms.

5 What was your plan following this?

6 A. Let's see where I'm reading. Lumbar spine.  
7 Basically, same thing I told you before. I call them  
8 the day after -- my nurses will call them the day  
9 after -- really the day after a phone call, I have  
10 Novocain that only lasts for, like, six hours. It's  
11 actually Marcaine, but I just say Novocain because  
12 everybody knows that. I have a steroid that doesn't  
13 start to work for six hours after the procedure.

14 So sometimes when I make somebody go home all  
15 happy, they go clean their garage or they go do  
16 something, and they have a huge flare-up right  
17 afterwards. So I call them the day after. I find out,  
18 you okay? If you're having a flare-up, that's normal.  
19 It's because I turned everything off, but I also stuck  
20 a needle on a nerve that was kind of PO'd to begin  
21 with. So sometimes before that nerve feels better, it  
22 hurts a little bit.

23 So the day after, a phone call I do just to  
24 make sure nothing's going wrong. And then a week  
25 later, I have her come back into the office to do a

1 full evaluation.

2 Q. So the numbing agent wears off how long after  
3 the surgery?

4 A. About six hours. That's the diagnostic  
5 aspect of the injection. And then the steroid is the  
6 therapy. If -- see, I know -- if they leave -- if I --  
7 if this patient leaves my office feeling good, I sleep  
8 better because I know my steroid is in the spot most  
9 likely is having the problem.

10 Q. If the patient has immediate relief from the  
11 numbing agent --

12 A. Correct.

13 Q. -- but then that relief returns to baseline  
14 because the steroid doesn't take effect, does that mean  
15 you haven't found the pain generator?

16 A. No. That's the opposite. That means I found  
17 a pain generator that's a bugger. That's like water  
18 puts out a fire. Okay? Steroids calm down irritated  
19 nerve roots. But if somebody's house is on fire and I  
20 throw a cup of water at it, I'm not turning that fire  
21 off. Some spine problems, I throw steroid at it, I  
22 can't put out the fire. It just keeps getting worse.  
23 That's all that that means is that I couldn't put out  
24 the fire with one shot.

25 Q. Okay.

1 MR. ROBERTS: Audra, if we go page 21.

2 BY MR. ROBERTS:

3 Q. This is September 6th of 2011. So we're  
4 talking about a week after the nerve root blocks that  
5 you just described to the jury.

6 Could you tell the jury about that visit and  
7 whether the patient was continuing to get relief from  
8 your procedure?

9 A. Actually, at this point in time, the most  
10 significant aspect is that she told me she was able to  
11 take less medication. She may have still been  
12 miserable. She may have still had pain, but the fact  
13 that she was able to take less medication, that tells  
14 me I was doing something in the right direction. And  
15 if I can get them to tolerate life without medications,  
16 get them on a regular program, I did my thing.

17 Q. Very good.

18 MR. ROBERTS: Audra, could we go to page 26,  
19 now? And if you could just blow up the top level to  
20 show the date of service of September 14th.

21 BY MR. ROBERTS:

22 Q. So now we're -- we're eight days from the  
23 previous visit, and I see at the top for the first time  
24 "emergent office visit."

25 What does that mean?



1           A.     Basically, I'm your doctor. I don't want you  
2 going to emergency room if I'm there. This woman was  
3 washing her ankles or something like that and just --  
4 her back went in a flare-up of all flare-ups. She came  
5 into my office, she couldn't stand up straight.  
6 Spondylolisthesis, the slipping, if you're bending  
7 over, that will hurt. You're slipping.

8                     So remember when I told you you could try to  
9 do this and go wham and nail something acutely, I  
10 believe she had a severe slippage or had just -- you  
11 know, I mean, like I was saying before, putting my  
12 socks on before I had my back surgery was horrible.  
13 She bent over trying to wash herself and didn't get  
14 back up right.

15                    She came into my office, and when you look at  
16 the mechanics of a spine, if you have pain bending  
17 forward -- you look at a disk with a crack in it --  
18 imagine a jelly doughnut with a hole in the back  
19 between two bones. So I got a jelly doughnut with a  
20 hole in the back and I bend forward, what's going to  
21 happen? I'm going to squirt that jelly out of the  
22 doughnut. A disk problem will get worse you bending  
23 over. When you bend over and you can't get back up,  
24 look at the knuckle joint. You bend forward, you can't  
25 get back up, these joints were swollen and inflamed.

1 And they were swollen and inflamed because she had an  
2 unstable segment at the bottom of her spine and just  
3 doing normal daily activity, she flared things up. So  
4 she came into my office, and yeah, I probably could  
5 have made her pain go away with a nerve root block, but  
6 I was trying to be more specific and to do something  
7 that would give her permanent relief. Because if I can  
8 give her temporary relief about a knuckle joint  
9 injection, I can actually burn that nerve and make it  
10 dead for about a year and a half, and you don't care  
11 that your facets hurt.

12           So my goal was -- she's not doing real well.  
13 Can I do anything to at least knock out this problem,  
14 and give her some kind of relief so I could avoid  
15 surgery.

16           Q.    If --

17           MR. ROBERTS: Audra, could we go to page 27,  
18 Impression?

19 BY MR. ROBERTS:

20           Q.    So is it fair to say that your clinical  
21 impression at this time remained fairly constant from  
22 your first visit?

23           A.    This was -- yes. This was -- what do you  
24 say? -- par for the course. She had an unstable L5-S1  
25 segment. I could give her all the shots I could and

1 she'll have flare-ups like this.

2 Q. When you refer to posttraumatic in your  
3 impression, are you still referring to the automobile  
4 collision --

5 A. Yes.

6 Q. -- on January of 2011?

7 A. She had no other trauma.

8 Q. Well, was -- was the shower incident a new  
9 and independent cause, in your medical opinion?

10 A. I don't consider bending over in the shower a  
11 trauma.

12 Q. Okay.

13 A. No. It's like -- I don't really have a  
14 similar analogy for that. That's -- I'm sorry. You've  
15 got to wash your feet. You can't wash your feet,  
16 something's wrong.

17 MR. ROBERTS: Audra, could you go to page 28?  
18 And look at line 9 of the plan, which is the -- the  
19 last item on the plan.

20 BY MR. ROBERTS:

21 Q. An extended visit is done with Dr. Lemper.  
22 The patient will be brought back to the OR now for  
23 interarticular facet blocks.

24 Are these the same thing as the knuckle  
25 joint --

1           A.    That's exactly it.  I'm a regular guy.  I  
2 break everything down to regular phrases.

3           Q.    Perfect.  So explain again the -- the  
4 difference between this and the -- why are you moving  
5 to this from the nerve root blocks, and what are you  
6 expecting to accomplish?

7           A.    Okay.  And if I can show you, in the spine,  
8 there's three reasons that you'll hurt.  Number 1, and  
9 the worst one, the disk itself hurts.  Usually when  
10 that occurs, you have good days, bad days, but no  
11 matter what, long term, it's almost impossible to get  
12 that thing calmed down because you have an unstable --  
13 this rubber tire is unstable, so it's wiggling around.  
14 That's discogenic.

15                   Number 2, you can pinch a nerve.  You could  
16 pinch a nerve from herniation.  You could pinch a nerve  
17 from -- because you've herniated -- the disk is a  
18 rubber tire that's filled with air.  When you herniate,  
19 you're losing air.  When you lose air, the canal  
20 collapses.  When you have a slippage in the -- in  
21 the -- like we described earlier, the canal can pinch  
22 it.  So impingement is another reason.

23                   Last one, bony problems.  Knuckle joints,  
24 tumors, fractures.  So when I'm doing an injection --  
25 when I said the selective nerve root block that I do or

1 an epidural that you do, that encompasses pretty much  
2 most of all three of the problems. The best way to get  
3 the disk is to actually stick a needle in the disk and  
4 inject it because sometimes the inflammation around the  
5 disk itself will impede you from turning off the disk  
6 as well. The shared nerve that might come from the  
7 level above, if you stick a needle into the disk,  
8 you're turning off the nerves that are coming from  
9 above and below. That's what's called a diskogram.

10           So when I first treated her, I did the nerve  
11 root blocks. But when she bent over, the nerves --  
12 this was a month after the injections. She was still  
13 having some improvement. So I was looking at her like,  
14 Well, what's the next thing going on? This was a  
15 continuation of the same trauma to the same area of the  
16 lumbar spine. It was just one of the three areas  
17 different was causing the pain.

18           Not saying when she first came to see me she  
19 didn't have facet disease. That just wasn't isolated.  
20 She had the benefit of having a selective nerve root  
21 block a month before and had consistent improvement in  
22 her symptoms and then had this flare-up, which was not  
23 a new pain, a different pain. It was just pointing out  
24 a different region of what I normally associate with  
25 facet pain.

1 Q. All right.

2 MR. ROBERTS: Audra, could we go to page 29,  
3 Exhibit 21? And this will indicate the procedure notes  
4 for procedure dated September 14th of 2011 at the  
5 Center for Surgical Intervention. Audra, if you could  
6 highlight Operative Procedure.

7 BY MR. ROBERTS:

8 Q. Is this the procedure you just described to  
9 the jury?

10 A. That is. That's the knuckle joint shots.

11 Q. Okay.

12 A. Basically, for six levels.

13 Q. Very good.

14 MR. ROBERTS: And, Audra, next page, the Post  
15 Procedural Evaluation. If you could highlight that for  
16 the jury and the doctor.

17 BY MR. ROBERTS:

18 Q. How successful was this procedure, Doctor?

19 A. What flared up, I turned off.

20 Q. Diagnostically speaking, what did that tell  
21 you about the pain generator?

22 A. That the nerves going to her facets were a  
23 significant portion of the pain at that day.

24 Q. Okay.

25 MR. ROBERTS: If we could go to page 34 of

1 Exhibit 21 now.

2 BY MR. ROBERTS:

3 Q. And this is an office visit of November 9th.

4 So we're talking about six weeks out.

5 If you could explain to the jury what the  
6 changes were since the last visit in which she had the  
7 facet injections.

8 A. Only gave her relief for a couple of days.  
9 So it was diagnostic at best.

10 Q. So the -- the numbing agent helped her pain,  
11 but the corticosteroid you injected did not have any  
12 long-term lasting effect?

13 A. She had instability. So I could possibly  
14 turn off the facet pain. I could possibly turn it off  
15 or get rid of a chunk of her problem for a while and --  
16 but I can't change the fact that she had an unstable  
17 segment.

18 Q. The notes of the office visit indicate that  
19 she told you she had followed up with Dr. Gross, and he  
20 is planning on doing a fusion.

21 Did she tell she was going to do the fusion?

22 A. Yeah, she wanted to. She really -- I mean,  
23 she was -- when you're not sleeping and you still got  
24 to get up and go to work, you're willing to do whatever  
25 you have to to turn off the pain problem.

1           Me, I'm willing to do whatever I can to help  
2 her avoid surgery. Problem with surgery is you do a  
3 fusion, you're pretty much guaranteed another fusion.  
4 When you lock in a level, there's what's called a  
5 Kaplan-Meier survival curve. So you fuse a level, most  
6 people don't notice a lack of movement. They actually  
7 move more level above and level below. That beats up  
8 those disks at an accelerated level. And actually,  
9 this morning I saw her 2014 MRI, and the L3-4 disk is  
10 already turning dark. So -- but -- it's already  
11 starting to degenerate a couple of months after her  
12 surgery.

13           MR. ROBERTS: Audra, could we have Exhibit 21  
14 at page 36, the plan indicated in Dr. Lemper's office  
15 notes?

16 BY MR. ROBERTS:

17           Q. So she told you she's going to get surgery.

18           Are you ready to -- to give up and -- and  
19 recommend surgery to her at this time?

20           A. Man, I really, really didn't want to. And I  
21 also knew that if she had surgery, the type she would  
22 have, this put a big dent in her lifestyle where she  
23 couldn't work. I don't know how she'd pay her bills,  
24 but I did not want her to have surgery at that point in  
25 time, but she continued to get worse.



1 Q. Okay.

2 A. Yeah.

3 Q. And this came up yesterday.

4 MR. ROBERTS: Could you highlight the  
5 signatures, Audra, both of them?

6 THE WITNESS: I've said before, sometimes I  
7 feel like if I send them for surgery, I failed, because  
8 my goal is to try to get them to avoid it.

9 BY MR. ROBERTS:

10 Q. Okay. I was -- can you see those if they're  
11 not blown up, Doctor?

12 A. What do you need me to see?

13 Q. Okay. So is that your signature?

14 A. Signature, and my PA, Todd Radivan,  
15 R-a-d-i-v-a-n.

16 Q. And I see you've signed it, but it indicates  
17 it was dictated by Mr. Radivan.

18 Could you explain how that works in your  
19 office?

20 A. Normally, follow-up clinic is done while I'm  
21 doing injections. And if somebody has an issue, I'll  
22 run out and kind of go over things with them very  
23 quickly if they have other questions or they just want  
24 to hear me tell them what's going on next.

25 I am, however, responsible for everything

1 that he does. So I -- I cosign every note. I look it  
2 over, and I actually give him the plan. Can't  
3 necessarily control every single thing that he does and  
4 says to the patients, but I trusted him at that point  
5 in time to -- to evaluate these people. And so he  
6 would see them, but what do they call that trust with  
7 verification? So I trust him, but I still review the  
8 notes before I stamp them.

9 Q. Okay. Thank you, Doctor.

10 MR. ROBERTS: Let's go to page 37, Audra.  
11 Another office visit on December 21st of '11.

12 BY MR. ROBERTS:

13 Q. Is the patient continuing to have recurring  
14 pain?

15 A. Yes.

16 MR. ROBERTS: And if we could go --

17 THE WITNESS: So in general, what I do, and  
18 this first line talks about not going to therapy.

19 However, she's walking the steps at work. The most

20 significant thing a pain patient can do is to stay

21 active. Kids at home, full-time job, go to physical

22 therapy rather than go home, go to physical therapy

23 rather than sleep an extra hour. I tell her do some

24 activity. So I'm okay with that. So I -- she was

25 walking the steps at work, and -- and that was -- and

1 if she can't do go that, she couldn't tolerate that,  
2 she couldn't tolerate physical therapy either.

3 Q. And -- and do you refer to that as self-based  
4 physical therapy?

5 A. That's exactly what that is.

6 Q. And you told Ms. Garcia you were fine with  
7 that.

8 A. Always, yes.

9 Q. Did the injuries as you had evaluated them  
10 make it difficult for Ms. Garcia to exercise and  
11 condition?

12 A. You know, I want to say that just a simple  
13 bending forward could cause a -- just with this  
14 instability like happened in the shower kind of a  
15 thing. She -- she has an unstable segment. So when  
16 you go to physical therapy, they're going to twist you  
17 and push you. And when I send people to physical  
18 therapy, Matt Smith Physical Therapy is a big name, but  
19 they label the -- my order sheet called the Lemper  
20 sweat program. And the reason they -- and they have  
21 that on the title of their physical therapy sheet is I  
22 put them in for foo-foo therapy which means they can't  
23 move, they can't -- they need ultrasound. They need  
24 some massage, hot packs. And then I put them in for  
25 sweat therapy, and I push them hard. I want them to

1 work out. If you -- if you get stronger, you can leave  
2 me. If you can't get stronger, you're stuck.

3 Q. Did she still have palpable spasms at this  
4 time?

5 A. Yeah. This was an ongoing issue.

6 MR. ROBERTS: Page 39, Audra, the Plan.

7 BY MR. ROBERTS:

8 Q. So the previous procedures had started with  
9 the selective nerve root blocks. You'd gone to the  
10 facet injections.

11 And what additional procedure are you  
12 contemplating now?

13 A. I'll say one other thing that kind of -- not  
14 too much to do with the case but a lot to do with her  
15 personality. She needed gallbladder surgery. She  
16 wouldn't even make time for that. There's kind of  
17 something going on which is her. For this woman --

18 MR. MAZZEO: Objection, Judge. Speculation.  
19 Not relevant.

20 THE COURT: I'm going to sustain that one.  
21 Ask another question.

22 MR. ROBERTS: Thank you.

23 BY MR. ROBERTS:

24 Q. And -- and I'm looking specifically at  
25 Item No. 2 of your plan.