

No. 71348

IN THE SUPREME COURT OF THE STATE OF NEVADA

Electronically Filed
Oct 15 2018 01:12 p.m.
Elizabeth A. Brown
Clerk of Supreme Court

EMILIA GARCIA,
Appellant,

v.

ANDREA AWERBACH,
Respondent.

**APPELLANT'S APPENDIX
VOLUME XV, BATES NUMBERS 3500 TO 3750**

D. Lee Roberts, Jr., Esq.
Nevada Bar No. 8877
Jeremy R. Alberts, Esq.
Nevada Bar No. 10497
Marisa Rodriguez, Esq.
Nevada Bar No. 13234
WEINBERG, WHEELER, HUDGINS,
GUNN & DIAL, LLC.
6385 S. Rainbow Blvd., Suite 400
Las Vegas, Nevada 89118
Telephone: (702) 938-3838
lroberts@wwhgd.com
jalberts@wwhgd.com
mrodriguez@wwhgd.com

Corey M. Eschweiler, Esq.
Nevada Bar No. 6635
Craig A. Henderson, Esq.
Nevada Bar No. 10077
GLEN J. LERNER & ASSOCIATES
4795 South Durango Drive
Las Vegas, Nevada 89147
Telephone: (702) 877-1500
ceschweiler@glenlerner.com
chenderson@glenlerner.com

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
I	22 – 28	Amended Complaint	01/14/2013
V	1031 – 1282	Appendix of Exhibits to Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
V, VI	1304 – 1486	Appendix of Exhibits to Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
I	1 – 6	Complaint	03/25/2011
III	642 – 646	Decision and Order Denying Defendant Andrea Awerbach's Motion for Relief from Final Court Order	04/27/2015
III	623 – 629	Decision and Order Denying Plaintiff's Motion to Strike Andrea Awerbach's Answer; Granting Plaintiff's Motion for Order to Show Cause; and Granting in Part and Denying in Part Plaintiff's Motion to Strike Supplemental Reports	02/25/2015
I	164 – 165	Defendant Andrea Awerbach's Correction to Her Responses to Plaintiff's First Set of Requests for Admission	10/20/2014
III	630 – 641	Defendant Andrea Awerbach's Motion for Relief from Final Court Order	03/13/2015
I	96 – 163	Defendant Andrea Awerbach's Motion for Summary Judgment	11/08/2013
I	13 – 21	Defendant Andrea Awerbach's Responses to Request for Admissions	06/05/2012
I	29 – 35	Defendants' Answer to Amended Complaint	02/07/2013
I	7 – 12	Defendants' Answer to Complaint	01/23/2012
I	36 – 60	Defendants' Second Supplement to List of Witnesses and Documents and Tangible Items Produced at Early Case Conference	07/22/2013
I	61 – 95	Deposition of Andrea Awerbach [Vol. 1]	09/12/2013
I, II	166 – 391	Deposition of Andrea Awerbach [Vol. 2]	10/24/2014

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXVI, XXVII	6441 – 6942	Deposition of Jared Awerbach	
III	581 – 616	Deposition of Teresa Meraz	01/08/2015
IV	948 – 997	Jury Instructions	03/08/2016
IV	998 – 1000	Jury Verdict	03/10/2016
VI, VII	1499 – 1502	Minute Order	08/22/2016
VII	1513 – 1554	Notice of Appeal	09/19/2017
III	647 – 649	Notice of Department Reassignment	08/27/2015
VII	1508 – 1512	Notice of Entry of Judgment Upon the Verdict	08/21/2017
III	617 – 622	Order Granting, in Part, and Denying, In Part, Plaintiff's Motion for Partial Summary Judgment that Defendant Jared Awerbach was Per Se Impaired Pursuant to NRS 484C.110(3); and Denying Defendant Jared Awerbach's Motion for Partial Summary Judgment on Punitive Damage Claims	01/28/2015
IV	946-947	Order Modifying Prior Order of Judge Allf	02/12/2016
VI	1487 – 1498	Order Re: Post –Trial Motions	08/12/2016
VII	1503 - 1507	Order Vacating Judgment as to Jared Awerbach only	08/21/2017
V	1001 – 1030	Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
III, IV	650 – 900	Plaintiff's Motion to Disqualify Defendant Jared Awerbach's Counsel Randall Tindall and Motion For Reassignment to Department 27 on Order Shortening Time and Request for Leave to File Extended Memorandum of Points and Authorities	09/08/2015
II, III	392 – 580	Plaintiff's Motion to Strike Defendant Andrea Awerbach's Answer	12/02/2014
V	1283 – 1303	Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
IV	933 – 945	Plaintiff's Trial Brief Regarding	02/10/2016

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
		Permissive Use	
IV	901 – 932	Reporter's Transcript of Proceedings	09/15/2015
VII, VIII	1555 – 1765	Trial Transcript – 02/08/2016	11/10/2017
VIII	1766 – 1996	Trial Transcript – 02/09/2016	11/10/2017
VIII, IX, X	1997 – 2290	Trial Transcript – 02/10/2016	11/10/2017
X	2291 – 2463	Trial Transcript – 02/11/2016	11/10/2017
X, XI	2464 – 2698	Trial Transcript – 02/12/2016	11/10/2017
XI, XII	2699 – 2924	Trial Transcript – 02/16/2016	11/10/2017
XII, XIII	2925 – 3177	Trial Transcript – 02/17/2016	11/10/2017
XIII, XIV	3178 – 3439	Trial Transcript – 02/18/2016	11/10/2017
XIV, XV	3440 – 3573	Trial Transcript – 02/19/2016	11/10/2017
XV, XVI	3574 – 3801	Trial Transcript – 02/22/2016	11/10/2017
XVI, XVII	3802 – 4038	Trial Transcript – 02/23/2016	11/10/2017
XVII, XVIII	4039 – 4346	Trial Transcript – 02/24/2016	11/10/2017
XVIII, XIX	4347 – 4586	Trial Transcript – 02/25/2016	11/10/2017
XIX, XX	4578 – 4819	Trial Transcript – 02/26/2016	11/10/2017
XX, XXI	4820 – 5045	Trial Transcript – 03/01/2016	11/10/2017
XXI, XXII	5046 – 5361	Trial Transcript – 03/02/2016	11/10/2017
XXII, XXIII	5362 – 5559	Trial Transcript – 03/03/2016	11/10/2017
XXIII, XXIV	5560 – 5802	Trial Transcript – 03/04/2016	11/10/2017

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXIV	5803 – 5977	Trial Transcript – 03/07/2016	11/10/2017
XXIV, XXV	5978 – 6203	Trial Transcript – 03/08/2016	08/23/2018
XXV, XXVI	6204 – 6422	Trial Transcript – 03/09/2016	08/23/2018
XXVI	6423 – 6440	Trial Transcript – 03/10/2016	08/23/2018

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
I	22 – 28	Amended Complaint	01/14/2013
V	1031 – 1282	Appendix of Exhibits to Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
V, VI	1304 – 1486	Appendix of Exhibits to Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
I	1 – 6	Complaint	03/25/2011
III	642 – 646	Decision and Order Denying Defendant Andrea Awerbach's Motion for Relief from Final Court Order	04/27/2015
III	623 – 629	Decision and Order Denying Plaintiff's Motion to Strike Andrea Awerbach's Answer; Granting Plaintiff's Motion for Order to Show Cause; and Granting in Part and Denying in Part Plaintiff's Motion to Strike Supplemental Reports	02/25/2015
I	164 – 165	Defendant Andrea Awerbach's Correction to Her Responses to Plaintiff's First Set of Requests for Admission	10/20/2014
III	630 – 641	Defendant Andrea Awerbach's Motion for Relief from Final Court Order	03/13/2015
I	96 – 163	Defendant Andrea Awerbach's Motion for Summary Judgment	11/08/2013
I	13 – 21	Defendant Andrea Awerbach's Responses to Request for Admissions	06/05/2012
I	29 – 35	Defendants' Answer to Amended Complaint	02/07/2013
I	7 – 12	Defendants' Answer to Complaint	01/23/2012
I	36 – 60	Defendants' Second Supplement to List of Witnesses and Documents and Tangible Items Produced at Early Case Conference	07/22/2013
I	61 – 95	Deposition of Andrea Awerbach [Vol. 1]	09/12/2013
I, II	166 – 391	Deposition of Andrea Awerbach [Vol. 2]	10/24/2014

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXVI, XXVII	6441 – 6942	Deposition of Jared Awerbach	
III	581 – 616	Deposition of Teresa Meraz	01/08/2015
IV	948 – 997	Jury Instructions	03/08/2016
IV	998 – 1000	Jury Verdict	03/10/2016
VI, VII	1499 – 1502	Minute Order	08/22/2016
VII	1513 – 1554	Notice of Appeal	09/19/2017
III	647 – 649	Notice of Department Reassignment	08/27/2015
VII	1508 – 1512	Notice of Entry of Judgment Upon the Verdict	08/21/2017
III	617 – 622	Order Granting, in Part, and Denying, In Part, Plaintiff's Motion for Partial Summary Judgment that Defendant Jared Awerbach was Per Se Impaired Pursuant to NRS 484C.110(3); and Denying Defendant Jared Awerbach's Motion for Partial Summary Judgment on Punitive Damage Claims	01/28/2015
IV	946-947	Order Modifying Prior Order of Judge Allf	02/12/2016
VI	1487 – 1498	Order Re: Post –Trial Motions	08/12/2016
VII	1503 - 1507	Order Vacating Judgment as to Jared Awerbach only	08/21/2017
V	1001 – 1030	Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
III, IV	650 – 900	Plaintiff's Motion to Disqualify Defendant Jared Awerbach's Counsel Randall Tindall and Motion For Reassignment to Department 27 on Order Shortening Time and Request for Leave to File Extended Memorandum of Points and Authorities	09/08/2015
II, III	392 – 580	Plaintiff's Motion to Strike Defendant Andrea Awerbach's Answer	12/02/2014
V	1283 – 1303	Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
IV	933 – 945	Plaintiff's Trial Brief Regarding	02/10/2016

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
		Permissive Use	
IV	901 – 932	Reporter's Transcript of Proceedings	09/15/2015
VII, VIII	1555 – 1765	Trial Transcript – 02/08/2016	11/10/2017
VIII	1766 – 1996	Trial Transcript – 02/09/2016	11/10/2017
VIII, IX, X	1997 – 2290	Trial Transcript – 02/10/2016	11/10/2017
X	2291 – 2463	Trial Transcript – 02/11/2016	11/10/2017
X, XI	2464 – 2698	Trial Transcript – 02/12/2016	11/10/2017
XI, XII	2699 – 2924	Trial Transcript – 02/16/2016	11/10/2017
XII, XIII	2925 – 3177	Trial Transcript – 02/17/2016	11/10/2017
XIII, XIV	3178 – 3439	Trial Transcript – 02/18/2016	11/10/2017
XIV, XV	3440 – 3573	Trial Transcript – 02/19/2016	11/10/2017
XV, XVI	3574 – 3801	Trial Transcript – 02/22/2016	11/10/2017
XVI, XVII	3802 – 4038	Trial Transcript – 02/23/2016	11/10/2017
XVII, XVIII	4039 – 4346	Trial Transcript – 02/24/2016	11/10/2017
XVIII, XIX	4347 – 4586	Trial Transcript – 02/25/2016	11/10/2017
XIX, XX	4578 – 4819	Trial Transcript – 02/26/2016	11/10/2017
XX, XXI	4820 – 5045	Trial Transcript – 03/01/2016	11/10/2017
XXI, XXII	5046 – 5361	Trial Transcript – 03/02/2016	11/10/2017
XXII, XXIII	5362 – 5559	Trial Transcript – 03/03/2016	11/10/2017
XXIII, XXIV	5560 – 5802	Trial Transcript – 03/04/2016	11/10/2017

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXIV	5803 – 5977	Trial Transcript – 03/07/2016	11/10/2017
XXIV, XXV	5978 – 6203	Trial Transcript – 03/08/2016	08/23/2018
XXV, XXVI	6204 – 6422	Trial Transcript – 03/09/2016	08/23/2018
XXVI	6423 – 6440	Trial Transcript – 03/10/2016	08/23/2018

1 A. Only for a day or two. So her pathology in
2 her low back is sufficient that -- or significant
3 enough that the steroid really didn't help her low
4 back, but I think it helped her neck.

5 Q. You told the jury before that you're fairly
6 conservative in reporting pain; correct?

7 A. Correct.

8 Q. When you say, The patient is in a great deal
9 of pain today, is that usual or unusual note for you to
10 make?

11 A. It's unusual verbiage. You know, most of my
12 patients will come in looking in mild to moderate
13 discomfort. But when I say severe, extreme, that's
14 very unusual verbiage. You know, I want to report
15 accurately and don't gild the lily. Just call it like
16 I see it.

17 Q. The jury has seen a number of medical records
18 where Ms. Garcia was asked to self-report her pain and
19 reported on a scale of 1 to 10 or reduction by
20 percentage.

21 Over the course of your treatment with
22 Ms. Garcia, did you form an opinion based on how
23 conservative or nonconservative she was in
24 self-reporting the pain?

25 A. Certainly. In the mid scheme of things,

1 taking the context of the thousands of pain patients I
2 see, I think she was underreporting. I think she was
3 worse than she indicated. She'd have a 7 or 8,
4 couldn't sit down --

5 MR. MAZZEO: Objection. Speculation, Judge.

6 THE COURT: I am going to let him testify
7 what his understanding is.

8 THE WITNESS: Well, yeah, it's a judgment on
9 my part having experience with thousands of patients.

10 This is a patient who was in severe pain by
11 anybody's definition. I don't know. I think I
12 probably could have made it worse if I lit her on fire,
13 but that's about how close it was when I first saw her.
14 BY MR. ROBERTS:

15 Q. Doctor, it looks like you did a urine drug
16 screen on Ms. Garcia on this visit.

17 What's the purpose of doing a urine drug
18 screen on your patients, including Ms. Garcia in this
19 case?

20 A. Well, it's required to -- for us to monitor
21 patients who we write prescriptions for. I need to
22 know if they're taking what I'm giving them, not taking
23 something I'm not giving them. And I worry about
24 illicit drugs. I don't want to do a procedure on
25 somebody and have them have a cardiac arrest because

1 they're taking methamphetamine or something. So we
2 monitor patients we write prescriptions for.

3 And that's just in keeping with state law.
4 It's not super aggressive. Our rules are at least
5 twice a year, if possible, sometimes more. If I have a
6 suspicion of something, I'll do it any time. But most
7 of the time, it's just random. Medical assistants
8 determine the schedule.

9 Q. And did Ms. Garcia pass the drug screen in
10 this case?

11 A. Yes.

12 Q. Did she fail any of the drug screens that you
13 gave her over the course of her treatment?

14 A. No.

15 Q. Okay.

16 MR. ROBERTS: If we can go to page 62, Audra.
17 BY MR. ROBERTS:

18 Q. You saw the patient next on December 5th.

19 Does that agree with your notes?

20 A. Yes, sir.

21 MR. ROBERTS: Okay. If you could pull up the
22 top half of page 62.

23 BY MR. ROBERTS:

24 Q. So what was the chief purpose of the
25 follow-up visit of December 5th of 2012, Doctor?

1 A. Reevaluation of the medication renewals. She
2 was scheduled for surgery with Dr. Gross for
3 December 26th, 2012, and indicated I will maintain her
4 medications to get her through the perioperative
5 period.

6 Q. So as of this visit, you knew she had
7 scheduled surgery with Dr. Gross.

8 A. Correct.

9 Q. And you told the jury earlier one of your
10 goals of treatment is to avoid the need for surgery.
11 Is that fair to say?

12 A. That's correct.

13 Q. And also to avoid need for lifetime
14 medications.

15 A. Correct.

16 Q. Okay. In this case, as of December 5th when
17 she's going to have surgery later that month, did you
18 agree or disagree with the decision of Ms. Garcia to
19 proceed with the lumbar fusion surgery?

20 A. No, I agreed. She has a Grade II
21 spondylolisthesis, which is a big \$50 word for one
22 vertebral body slipping forward on the other one. And
23 that can cause impingement of the nerves that come out
24 at that level of your legs. And so if you're
25 symptomatic and you've got a Grade II, that's generally

1 when you're going to get fused at some point anyway.
2 She's miserable, didn't respond to injections. So I
3 think that's her best option at this point.

4 MR. ROBERTS: Audra, if you could highlight
5 Work Status for us.

6 BY MR. ROBERTS:

7 Q. Were you aware at this time that Ms. Garcia
8 continued to work full time?

9 A. Yes.

10 Q. And were you aware of the general nature of
11 her duties?

12 A. It's my understanding she was a cashier.

13 Q. When you put work status, is that something
14 you commonly put in your medical records?

15 A. Usually, there's a notation about whether a
16 patient is working or not. In this case, I made a
17 point that she's working despite all this, and I really
18 don't know how she was doing it. It's really a
19 testament to her.

20 Q. Does the fact that she was able to work full
21 time and stand all day as a cashier indicate to you as
22 a doctor that her -- her pain was not that severe?

23 A. No. No. I mean, I've seen her in the
24 office. You can't fake what I've seen. No, not at
25 all. I mean, I -- she's one of those many patients I

1 have that need to work. And they have pain, and -- and
2 they work. Some people have that kind of work ethic.
3 Shit, I worked ten days with a kidney stone. They said
4 I looked toxic, but, you know, I could be at home and
5 be miserable, or I could be at work and be miserable.
6 Miserable either way.

7 Q. So let's move now to the next appointment.

8 It appears that the first time you saw her
9 was about a month post surgery; is that correct?

10 A. Yes, sir.

11 Q. January 30th of 2013?

12 A. Correct.

13 MR. ROBERTS: Okay. Audra, if you could put
14 up page 27.

15 BY MR. ROBERTS:

16 Q. Okay. Are we looking now at the notes from
17 the office visit with you on January 30th of 2013?

18 A. That's correct.

19 Q. How was she feeling after the surgery as she
20 reported to you that day?

21 A. In regards to her low back, pain was about a
22 7 out of 10. For being, you know, a few weeks post-op,
23 she was doing pretty well. I mean, you know, it's a
24 big surgery.

25 Q. She's about four weeks post-op at this time?

1 A. Yes. A lumbar fusion, especially at two
2 levels, that's a really big deal.

3 Q. The medication she was on post surgery, would
4 they have completely taken away the pain of the
5 surgery?

6 A. Probably not. I mean, when you're using
7 narcotic pain medications, they never really take away
8 all the pain. They can take the edge off the pain.
9 Another way -- if you take enough of them to take away
10 all the pain, generally you take away consciousness
11 too.

12 Q. Her -- her neck at this time, was that
13 improved?

14 A. Yes.

15 Q. And what pain level was she reporting in her
16 neck on that day?

17 A. About 2 to 3 out of 10.

18 Q. Okay. Let's move now to your next
19 appointment.

20 Was that April 10th of 2013?

21 A. Correct.

22 Q. About how many months post-op is that? Do
23 you note that in your report?

24 MR. ROBERTS: Audra, top of page 31.

25 THE WITNESS: Approximately, four months.

1 BY MR. ROBERTS:

2 Q. And how was Ms. Garcia doing?

3 A. She was making progress. She just started
4 physical therapy. Pain scores are about 5. We
5 discussed starting to bring her medications down, which
6 is appropriate at that point.

7 Q. And she's still on Norco at that point?

8 A. I believe so. Let me see. Yes.
9 7.5 milligrams. So that's the middle dose.

10 Q. Okay. And did you make any decisions with
11 regard to her pain medication? You're going to start
12 weaning her? Did you increase, decrease the dose that
13 day?

14 A. Let me check. I think I decreased the dose.
15 Pretty sure I did.

16 Q. Do you have experience in treating patients
17 for pain post lumbar fusion?

18 A. Yes.

19 Q. And do you treat a significant number of
20 patients in that condition?

21 A. Yes. Lots.

22 Q. Okay. Explain to the jury how long it takes
23 for the benefit of a spinal fusion to take effect. At
24 what point can the benefits be expected and how long
25 can the patient expect continued improvement from the

1 surgery?

2 MR. MAZZEO: Objection. Foundation.

3 Speculation. Can we approach, Judge?

4 THE COURT: Come on up.

5 MR. MAZZEO: Thanks.

6 (A discussion was held at the bench,

7 not reported.)

8 THE COURT: Objection as to foundation

9 sustained.

10 Ask him a question.

11 BY MR. ROBERTS:

12 Q. Okay. Let's go back to what when I was
13 asking you about treating patients for pain post lumbar
14 fusion.

15 Approximately, how many patients would you
16 say you've treated over the course of your career for
17 pain following a lumbar fusion?

18 A. Hundreds, perhaps thousands.

19 Q. And for hundreds and perhaps thousands of
20 people post lumbar fusion, did you follow their
21 treatment and manage their pain for over a year?

22 A. Yes.

23 Q. For a significant portion of those patients,
24 did you treat them for their pain post lumbar fusion
25 for at least two years?

1 A. Many, yes.

2 Q. In the course of treating your own patients
3 for pain post lumbar fusion, were you able to observe
4 patterns in the level of improvement that they achieved
5 over time post lumbar fusion?

6 A. Yes. You look at whether somebody's on track
7 or not on track. There's not a fixed level of
8 improvement you want to see that each month because it
9 varies from patient to patient.

10 Q. And --

11 A. However --

12 Q. If I could stop you before you -- you go and
13 give any opinions. Just laying foundation at this
14 point.

15 As part of your medical training, your
16 internship, your fellowship, all the things you
17 described to the jury that you did to become a pain
18 management board-certified specialist, did any of that
19 training, experience have to do with treating people
20 post surgery?

21 A. Yes.

22 Q. And as part of your education, training, and
23 experience, do you have an understanding based on your
24 medical training and experience as to what level of
25 improvement to expect in the pain of patients who are

1 post fusion?

2 A. Yes.

3 Q. So we go back and ask now.

4 A patient has just had lumbar fusion. Just
5 talking about the general population. Over what period
6 of time would you expect to start seeing improvements
7 in their pain that they had presurgery?

8 MR. MAZZEO: Objection, Judge. Speculation.

9 THE COURT: Overruled. He can answer.

10 THE WITNESS: Recognizing this is not like a
11 fractured arm where you could put a cast on and
12 immobilize it to let it heal in 6 to 12 weeks, you have
13 to use your back whether you just had surgery or not.
14 Just to stand up, you have to load the spine. Roughly,
15 it takes two years to get as good as you're going to
16 get after a lumbar fusion it. Doesn't mean you're
17 miserable for two years and then, boom, you're fine.
18 It's a transition.

19 What we look for is progress. Are they
20 getting better every day? Is the trend positive? If
21 the trend is positive and they're on track and reducing
22 medications, then at some point, the surgeons will
23 allow them to have therapy. Some surgeons don't want
24 them doing therapy too quick because they just fused
25 the area. But you put the hardware in, but the bones

1 still have to fuse, and that takes time. So roughly
2 two years to get as good as you're going to get.

3 We look for progress. Progress is measured
4 by improvement in pain improvement in function.
5 Reduction of medications. Returning to normal at some
6 point, whatever normal is.

7 BY MR. ROBERTS:

8 Q. At this point, April 10th, 2013, about four
9 months post surgery, were you seeing progress?

10 A. Certainly. Pain score's down to 5. She
11 stopped taking Valium. I reduced the strength of her
12 pain medication from 10 milligrams to 7.5 milligrams.
13 That's progress.

14 Q. Thank you, Doctor.

15 Let's go to your next date of service,
16 May 8th, of 2013. So we're about a month later, five
17 months post fusion.

18 MR. ROBERTS: Page 35.

19 BY MR. ROBERTS:

20 Q. Is the patient still making progress at this
21 time?

22 A. Yes. Yeah. She's improving, starting to
23 wean her medications even more. She indicated she had
24 some withdrawal symptoms from the medication, which is
25 normal. That's normal human physiology, but overall

1 indicates she's on track. She has returned to work and
2 her compliance was excellent. Let's see. Her pain
3 score that day was -- it's here somewhere -- 4 out of
4 10.

5 Q. So continuing to go down at that point.

6 A. Correct. So she's making progress. Again,
7 lumbar surgery is a big deal. I mean, a fusion is a
8 big deal.

9 Q. Okay. Doctor, let's go to the next monthly
10 visit on June 11th of 2013.

11 MR. ROBERTS: Page 39, Audra.

12 BY MR. ROBERTS:

13 Q. Could you review your notes and tell the jury
14 whether Ms. Garcia was continuing to make progress?

15 A. A brief note. She was six months out from
16 surgery. Doing very well. Some pain, still working,
17 on track. At that date, I was going to take her off
18 the Norco and switch her to another medication called
19 Ultram. The other name for that medication is
20 tramadol.

21 Q. Okay. Could you explain to the jury the
22 significance of the switch of medications from Norco to
23 Ultram?

24 A. Well, like I said previously, Norco,
25 hydrocodone is a Schedule 2 medication. I think Ultram

1 is Schedule 4. It's a mild analgesic. It's not
2 strong, but it does help. It's not a narcotic. It's a
3 good transition medication and something she can stay
4 on indefinitely if needed.

5 Q. And at any point in time in your treatment of
6 Ms. Garcia, did you find her to be lazy or noncompliant
7 with your instructions that you were giving her to help
8 her get better?

9 A. Never.

10 Q. The Ultram, before we move on from that, is
11 that as addictive as the Norco?

12 A. It's thought to be nonaddictive. I'm sure
13 you could dig up somebody that found a way, but
14 generally it's considered to be nonaddictive.

15 Q. Are there differences in the side effects
16 that you would expect Ms. Garcia to experience with the
17 Ultram versus the Norco?

18 A. Vast majority of people have no side effects.
19 You can totally function on it.

20 Q. Okay. Let's go to the next visit one month
21 later, July 10th of 2013.

22 MR. ROBERTS: Audra, page 46.

23 BY MR. ROBERTS:

24 Q. Okay. How was her progress at this point?

25 A. She reported -- she saw my PA that day. She

1 reported about 70 percent improvement. Pain was fairly
2 well controlled with medications she was on.

3 Q. There has been a question raised as to the
4 frequency of the visits of Ms. Garcia with you.

5 Was it really necessary to see her every
6 month at this time?

7 A. Yes. It's still a dynamic process. Things
8 are changing.

9 Q. Let's go one month later, August 7th of 2013.
10 Have any issues developed at this time since
11 her last treatment?

12 MR. ROBERTS: And we're at page 43, Audra, at
13 the top.

14 BY MR. ROBERTS:

15 Q. I think there may be a typo there in that
16 first sentence.

17 A. Yes. It's more than a month. Should be.

18 Q. About how many months are we now? Are we
19 close to ten?

20 A. Yes. Typos do occur, unfortunately.

21 She's still making progress, having a little
22 increased pain over the last month. We would -- I
23 added Robaxin to her regimen. We're going to have her
24 start physical therapy.

25 Q. She indicates that she has developed some

1 pain to her right thigh. It was numb but has now
2 become a bit painful.

3 Did you see any significance to this new
4 report by Ms. Garcia?

5 A. Those are new leg symptoms but just bears
6 watching, see what happens with some treatment.

7 Q. What were your recommendations at this time?
8 Anything new?

9 A. Physical therapy, back in a month for
10 reevaluation, sent a copy of the record to Dr. Gross.

11 Q. What were her overall pain levels at this
12 time? Had her pain gone away?

13 A. No. Pain score was a 5. So it was up a
14 little bit from the previous.

15 Q. Thank you, Doctor.

16 Let's go to her next monthly visit
17 September 10th of 2013.

18 MR. ROBERTS: Audra, let's jump ahead to
19 page 439.

20 BY MR. ROBERTS:

21 Q. And how was she doing in September of 2013,
22 Doctor?

23 A. She was doing a little better from the
24 previous month. So I think the interventions we did,
25 which was just basically add muscle relaxer, had

1 benefit. We did broach the issue of a spinal cord
2 stimulator with her on that visit.

3 Q. Okay. Could you explain to the jury what a
4 spinal cord stimulator is? They've heard a little bit
5 about the -- the trial stim from one of the other
6 doctors. But if you could just explain.

7 This is the first time a spinal cord
8 stimulator is mentioned in your records; correct?

9 A. Correct.

10 Q. And was this your idea, or did it come from
11 another doctor?

12 A. No. I was just talking about options in the
13 future because she's still having -- she's 10,
14 11 months out still having some pain. So it might be
15 something we have to look at in the future.

16 Q. Okay.

17 A. First introductory discussion.

18 Q. Very good.

19 A. So a spinal cord stimulator is a device that
20 gets implanted in the epidural space of the spine to
21 block pain signals from the periphery. The center of
22 the spine is the spinal cord. That's surrounded by
23 spinal fluid, and that's all held in by a tube, a
24 sheath called the dura. Epidural means outside the
25 dura. When a woman has a baby, they put a little

1 catheter in that space, put in anesthetic agents to
2 block the pain of labor. That's what most people
3 associate epidurals with or with the epidural space.

4 Anybody bump their shin and then to make it
5 feel better, you rub it? Does that make any sense?
6 But that's what everybody does. That's kind of a weird
7 analogy. But what's happening is by rubbing that area,
8 you're stimulating a bunch of nerves that block pain
9 signals at the level of the spine. It's called counter
10 stimulation. In the most basic sense, that's how a
11 spinal cord stimulator works. There's a lot more to
12 it, but that's the down and dirty version.

13 This thing is electronic. It will get
14 implanted in the thoracic spine, roughly at about the
15 T8 level. And at that level, if you have the right
16 number of electrodes in there and contacts, there's a
17 lot of programming stuff that goes on, you can block
18 pain signals from the low back and the legs.

19 The permanent version, when it's implanted,
20 is like a pacemaker. Little battery. I think it's
21 about this big, about that thick. And that typically
22 gets put in the top of the buttocks. And the cables go
23 under the skin, and then it inserts into the mid back
24 area. The programming device is a remote control. You
25 can turn it up, turn it down. They give you several

1 programs you can switch to.

2 And there's new technology. There's even
3 technology now that it will change the stimulation from
4 laying flat to sitting up. It's pretty interesting
5 stuff. Years ago, you could only stimulate the legs.
6 So traditionally stims are thought to be only for
7 people with severe radicular type leg pain. Excuse me.
8 But several years ago, the technology improved where if
9 you put multiple rows of leads in, you can cross talk
10 electrodes and cover the low back. And that's where
11 we're at today in the technology. And that's how a
12 spinal cord stimulator works.

13 Before putting one in permanently, we'd like
14 to know if it's going to work, so we do what's called a
15 trial, a spinal cord stimulator trial. I do those.
16 And I'll typically do it on a Monday, put in three
17 electrodes. Right on the table, when I put it in, I'll
18 light the patient up on the table, turn it on. A
19 representative from the company is there, program it.
20 We'll adjust it. We'll move it up and down till we
21 find the sweet spot, usually it's around T8 somewhere,
22 T9, and try to get the middle contacts over the sweet
23 spot. That gives you a little bit of play if it moves
24 a little bit. We'll take an X-ray so the surgeon knows
25 where to put it in if he needs to put a permanent one

1 in. And then the patient will go home with wires
2 sticking out of their back hooked up to a remote
3 control box to program it, turn it on, turn it off,
4 turn it up and down.

5 They'll live with it. They'll try do normal
6 functioning as much as they can. I -- my -- my
7 schedule is put it in on a Monday, take it out on a
8 Thursday. Why? Because I know everything I need to
9 know by Thursday. Most patients know if they like it
10 or not. I tell them personally, If you don't love it,
11 don't get it. It's got to reduce your pain, reduce
12 your meds, improve your level of function, improve your
13 quality of life. If it doesn't do that, that's a
14 pretty invasive procedure for no purpose, no good
15 purpose if it's not helping you. So I tell them
16 personally these exact words: If you don't love this,
17 don't get it.

18 Q. So put it in Monday, take it out Thursday.
19 Tuesday, Wednesday, Thursday, three days -- worn three
20 days?

21 A. The reason I don't leave it in over a weekend
22 is because if bad things happen, it's hard to react to
23 it. The longer that thing is in, the greater the risk
24 of infection. If you get an infection in the epidural
25 space, that is very big deal. That means a trip to the

1 OR to have a laminectomy to have that thing drained and
2 meningitis, all kinds of bad stuff. So I'm very
3 paranoid about infection. Knock on wood, none of my
4 patients have ever had one. But I don't want to leave
5 it in over the weekend.

6 Having said that, if on Thursday there's
7 still some uncertainty in the patient, I will give them
8 the option to leave it in over a weekend. But with
9 very close monitoring.

10 Q. Is -- is three days really enough to
11 determine more likely than not whether a permanent
12 stimulator is going to be successful?

13 A. Yes. Yes, it is. And I think the
14 cost-benefit analysis with infection versus duration.
15 And, again, when I brief the patients, and they get two
16 briefing sessions by me personally on this, if you
17 don't love it, don't get it. In other words, if you
18 come back and say I think this thing is working, no.
19 If -- let's give it a try, see if it works, no. I
20 think it helps some, no.

21 Most of my patients that go on to permanent
22 say, I love this thing. Please don't take it out.
23 That's a yes. And that's the degree to which I screen
24 patients for this. So they know, without exception,
25 that whether they liked it or not enough to go through

1 the implantation, they've been briefed where I'm
2 actually trying to talk them out of it. I really want
3 a clean trial to know whether this thing is going to do
4 any good or not. And my implantation rate is -- of the
5 patients that I trial, I screen them pretty good, about
6 70 to 80 percent go to permanent implantation. Some
7 don't. Some people hate it. They don't like the
8 sensation. Done deal.

9 Q. Your notes indicate you gave her a video to
10 look at, referring to Ms. Garcia.

11 A. Correct.

12 Q. What -- why do you do that? What's -- what's
13 the video about?

14 A. Spinal cord stimulator is a big deal. It's
15 like surgery. They really need to know what to expect,
16 what it's going to do for them. And so I brief them.
17 I give them a video from the manufacturer. They watch
18 that. They're invited to go the websites, get as much
19 information as they can. Just don't take my word for
20 it. Do your own research.

21 Q. You mentioned it's surgery to put in the
22 permanent one.

23 You place the trial stimulators. Do you
24 place the permanent stimulator if the patient selects
25 that option?

1 A. I can. I typically don't. I have put them
2 in. The ones that pain management guys put in are
3 called percutaneous leads. They're round leads.
4 They're like a piece of spaghetti. So when you put
5 them in, they're a kind of floating around in the
6 epidural space and they can move. The surgeons put in
7 what's called a paddle lead, p-a-d-d-l-e. It's not
8 round, it's flat, and it's got multiple rows of
9 contacts on it. And to put it in, you have to make a
10 hole in the bone. That's called a laminotomy. They
11 make a little hole, put the thing in there. Then they
12 anchor it in place so it doesn't move.

13 The bottom line is, it's a much better
14 device. You know, what I put in temporary is good.
15 The ones the surgeon put in is even better, so they
16 should get even a better result, gives you more
17 programming options. It's just better for the patient.
18 So I don't put in the leads anymore. I can. I just
19 don't.

20 Q. What are the benefits of doing a spinal cord
21 stimulator over other treatment options available to a
22 patient with the problems Ms. Garcia was having?

23 A. Well, all treatment options are to treat the
24 pain, improve function, improve quality of life. The
25 spinal cord stimulator is a nonmedication approach to

1 doing that. Simply said.

2 Q. So it helps the patient avoid narcotic drugs?

3 A. Correct. Or any drugs. They may come meds
4 off meds entirely on a stim.

5 Q. Let's go now to your next visit, October 16th
6 of 2013.

7 How's Ms. Garcia doing the next month?

8 A. She's ten months out from surgery, doing
9 pretty well, has reduced her medications a little bit.
10 Function was improving. Pain control is fairly good.
11 Pain score 4 out of 10.

12 Q. Still only on the Ultram at that point?

13 A. I'm sorry?

14 Q. Was she still on the Ultram at that point?

15 A. Yes.

16 Q. Okay. Let's go to the next month,
17 November 13th of 2013.

18 How's her progress?

19 A. She saw my PA that day. Indicated pain was
20 constant. Numbness in the anterior thigh on the right.
21 Having a little more spasms over the previous two
22 weeks. Aggravating factor was probably cold weather,
23 which will do that.

24 Q. Do you know what she was referring to when
25 you note that she was having more spasms in the right

1 lower extremity?

2 A. Right. She had this little dysesthesia, what
3 it's called, in the right posterior lateral thigh,
4 having little spasms associated with that.

5 Q. Okay. Let's go now to the next month,
6 December 11th of 2013, and you're continuing to see
7 Ms. Garcia monthly?

8 A. Correct.

9 Q. And did you ask her to come to see you
10 monthly or that was her decision?

11 A. No, that's what we scheduled it for.

12 Q. Was that your recommendation?

13 A. Yes. Again, she's still changing. It's not
14 that she's stable. She's not stable yet. She's doing
15 a little better, but it changes.

16 Q. Thank you, Doctor.

17 MR. ROBERTS: Audra, page 450, the office
18 visit of December 11th, 2013.

19 BY MR. ROBERTS:

20 Q. How is Ms. Garcia's pain at this time?

21 A. Neck was still bothersome, not as much as her
22 low back. She's had a little increase in headaches,
23 not sure of triggering event. Again, we discussed
24 about cold weather. Low back was doing a little bit.
25 Still having numbness in the right thigh and some

1 spasms in right lower leg. Gina indicated that she had
2 an appointment with Dr. Gross in January.

3 Q. And what's her pain level at this point,
4 Doctor?

5 A. It is 2 out of 10. Doing pretty good.

6 Q. Doing pretty good.

7 So did she report her feelings of how she was
8 doing before -- before and after the lumbar fusion?

9 She --

10 A. Well, it's continual. We monitor that. I'm
11 not quite sure what you're asking me.

12 Did I miss something?

13 Q. Do your notes indicate specifically whether
14 she's -- the lumbar surgery has improved her symptoms?

15 A. Oh, yes, it has. Yeah. She's gone from
16 miserable down to a 2. That's a pretty good jump.

17 Q. Okay. January 28th, 2014, does her progress
18 continue?

19 MR. ROBERTS: Page 461.

20 THE WITNESS: I saw her that day. Neck was
21 not bothering her. Still on track with her low back.
22 Has some low back pain radiating to her thigh. Taking
23 Ultram. Pain score that day was a 4. So it had come
24 up a little bit.

25 /////

1 BY MR. ROBERTS:

2 Q. But continuing to make progress from
3 perfusion.

4 A. Correct.

5 Q. Next visit, February 26th, 2014.

6 MR. ROBERTS: Page 458.

7 THE WITNESS: We indicate at this point her
8 back pain is starting to get worse. Pain was increased
9 with extension greater than flexion. Indicated she was
10 a little bit deconditioned. Start her on some physical
11 therapy and get X-rays of her low back. Indicated she
12 may need injections. Her pain score that day was a 4.
13 But she indicated that she was getting worse.

14 BY MR. ROBERTS:

15 Q. So, Doctor, you note low back pain that
16 radiates to her right thigh anteriorly.

17 A. Correct.

18 Q. What does that tell you?

19 A. That could be a nerve, you know, L4 nerve
20 maybe. Three.

21 Q. What about pain with extension greater than
22 flexion?

23 A. That -- that could be suggestive of facets
24 actually.

25 Q. All right. Let's go to your next visit,

1 April 2nd of 2014.

2 What was her status at that time? Let's
3 start with her neck.

4 MR. ROBERTS: And, Audra --

5 THE WITNESS: That was doing well. No pain.

6 MR. ROBERTS: Page 539 for the jury, Audra.

7 BY MR. ROBERTS:

8 Q. So the neck pain is resolved at that point?

9 A. Correct.

10 Q. What about her low back and lower extremity?

11 A. She is now 16 months out from surgery.

12 Initially did well I indicated, but now she's getting a
13 little bit worse. She's had several flares of pain.

14 She's in therapy. Pain is 50 percent low back,
15 50 percent right leg. It's worse at night. I added

16 Neurontin to her regimen. Neurontin is an
17 anticonvulsive-type medication that in this context is
18 used to treat nerve pain. And I'm thinking her back
19 and leg is -- had a component of nerve pain.

20 Q. And did you consider any further treatment
21 options for her on this visit given her continued pain?

22 A. Correct. I indicated that if her symptoms
23 continued to progress that she might need a spinal cord
24 stimulator.

25 Q. And what was her level of pain on this visit?

1 A. Five.

2 Q. Let's look at page 540.

3 MR. ROBERTS: Audra, if you could pull up
4 page 530 -- let's see. Hold on. Just a second. I
5 apologize. Make sure I found this on my notes.

6 The bottom of page 540 under Diagnosis.

7 BY MR. ROBERTS:

8 Q. And we -- we see that you have listed a
9 diagnosis, and you do this every time you prepare an
10 office visit report?

11 A. Yes.

12 Q. And what are the numbers after the diagnosis?

13 A. Those are diagnostic codes.

14 Q. Okay. So standard codes?

15 A. Correct.

16 Q. And are the descriptions standard?

17 A. Yes.

18 Q. And this is the first time that I believe
19 we've seen this last diagnosis code in your records,
20 failed lumbar surgery syndrome.

21 Could you explain to the jury what that
22 diagnosis means and how you came to believe that it was
23 appropriate to put in Ms. Garcia's report?

24 A. A little explanation. The fact there are
25 codes for each diagnosis tells you that we're having to

1 code these things according to a chart or a book
2 standard nomenclature ICD-9 codes. There's a new thing
3 called ICD-10 codes which is a real mess.

4 But in order to be standardized throughout
5 the industry with insurers, with researchers, whatever,
6 they like us to pigeonhole diagnosis into these codes.
7 Sometimes the codes really don't fit exactly what's
8 going on. But nevertheless, they're out there.

9 Failed low back surgery syndrome, 72283, is
10 really a garbage diagnosis, to use a frank word. It
11 doesn't accurately describe what's going on. It simply
12 means that somebody has had back surgery, and after a
13 period of time, they continued to have pain. That's
14 all it means. Another name for it is post-laminectomy
15 syndrome. In more specific terms, it can mean leg pain
16 after back surgery, and usually that's thought to be
17 due to fibrosis or scarring around the nerve. But
18 everybody uses it in a more liberalized manner just to
19 describe somebody who has pain despite surgery over
20 time. That's all it means. And I don't like the
21 diagnosis because it doesn't really give you any
22 detail, and people misunderstand what it really means.

23 It's like the code for facet syndrome, lumbar
24 facet pain. That's a joint in the back that can cause
25 pain. Well, the code that you have to use in the

1 industry is 7213, which is spondylosis. Well, if you
2 look up the definition of spondylosis, it doesn't say
3 facet pain. It says degeneration of the spine.
4 Nonspecific degeneration. That could mean bone spurs,
5 all kinds of stuff. But in this context, we're forced
6 to use that diagnostic code to satisfy, I don't know,
7 the gurus.

8 Q. And as you've defined the standard usage of
9 this term, pain that continues despite lumbar
10 surgery --

11 A. Correct.

12 Q. -- your use of the code was accurate.

13 A. Correct. I mean, she was on track, getting
14 better over time, but now she's going the wrong way.
15 Pain's getting worse.

16 Q. Does this mean that her lumbar fusion was not
17 medically necessary?

18 A. Oh, not at all.

19 Q. And -- and in this case, what's the basis for
20 that opinion?

21 A. Well, she had a structural abnormality that
22 was symptomatic and needed to be repaired, that
23 spondylolisthesis. That thing is not going to get
24 better over time. It's going to get worse, and she was
25 symptomatic. If she was totally asymptomatic, she

1 would have never come to anybody's attention and nobody
2 would know about it nor would anybody fix it.

3 However, the fact of the matter remains she
4 is severely symptomatic. That's a large -- a large
5 slippage, and I -- most surgeons would fix that.

6 Q. So despite the fact her pain is now
7 increasing, and I think we've seen it go from -- from 2
8 to 4 to 5 --

9 A. Correct.

10 Q. -- is that still less pain than she was in
11 before the lumbar surgery?

12 A. Certainly. No. She had a good result from
13 the surgery. But unfortunately, over a year out, she's
14 starting to get symptoms again, and we're going to have
15 to figure out what to do about it.

16 Q. Thank you, Doctor.

17 Let's go to the next monthly visit May 21st
18 of 2014.

19 MR. ROBERTS: Page 555 -- 544.

20 THE WITNESS: Which date.

21 BY MR. ROBERTS:

22 Q. May 21st, is that the next date of service?
23 Have I got that right?

24 A. Yes, sir.

25 Q. Okay. How was her low back pain now?

1 A. Pain is getting worse with radicular pain
2 down her right leg. Radicular means sciatica-like pain
3 following a nerve going down the leg. She's on
4 Neurontin, 400 milligrams, taking up to 900 milligrams.
5 That's a big dose. That should have helped the leg
6 pain, but she's still having great difficulties.

7 Q. What's her pain level at this time, Doctor?

8 A. I'll look that up. Six.

9 Q. In light of her increased pain, did you
10 discuss switching medications?

11 A. We discussed -- Gina saw her that day. She
12 had problems with oxycodone in the past. That was
13 discussed. We kept her on Ultram that day.

14 Q. And Gina is with your office also; is that
15 correct?

16 A. Correct. She's my physician assistant. And
17 we increased Neurontin significantly to 300 milligrams
18 three times a day.

19 MR. MAZZEO: Judge, can we approach, please?

20 THE COURT: Sure.

21 (A discussion was held at the bench,
22 not reported.)

23 THE COURT: We need a break? I'm not -- I
24 kept watching. I'm not seeing a break from you guys.
25 The attorneys need a break. I need a break.

1 So during our break, you're instructed not to
2 talk with each other or with anyone else about any
3 subject or issue connected with this trial. You are
4 not to read, watch, or listen to any report of or
5 commentary on the trial by any person connected with
6 this case or by any medium of information, including,
7 without limitation, newspapers, television, the
8 Internet, or radio. You are not to conduct any
9 research on your own, which means you cannot talk with
10 others, Tweet others, text others, Google issues, or
11 conduct any other kind of book or computer research
12 with regard to any issue, party, witness, or attorney
13 involved in this case. You're not to form or express
14 any opinion on any subject connected with this trial
15 until the case is finally submitted to you.

16 Take about ten minutes.

17 (The following proceedings were held
18 outside the presence of the jury.)

19 THE COURT: We're outside the presence of the
20 jury.

21 Anything we need to put on the record?

22 MR. MAZZEO: No, Your Honor.

23 MR. ROBERTS: No, Your Honor.

24 THE COURT: Okay. Off the record.

25 (Whereupon a short recess was taken.)

1 THE MARSHAL: Jury entering.

2 (The following proceedings were held in
3 the presence of the jury.)

4 THE MARSHAL: Jury is present, Judge.

5 THE COURT: Thank you. Go ahead and be
6 seated. Welcome back, folks. We're back on the
7 record, Case No. A637772.

8 Do the parties stipulate to the presence of
9 the jury?

10 MR. ROBERTS: Yes, Your Honor.

11 MR. MAZZEO: Yes, Your Honor.

12 THE COURT: Doctor, just be reminded you're
13 still under oath.

14 THE WITNESS: Yes, sir.

15 THE COURT: Go ahead, Mr. Roberts.

16 BY MR. ROBERTS:

17 Q. Okay. We're on May 21st of 2014.

18 Did Ms. Garcia express any interest at that
19 appointment in pursuing the spinal cord stimulator?

20 A. Yes. Apparently she had -- I'm sorry -- had
21 lost the video. She saw my PA that day, so she's
22 actually scheduled to come back the next day to talk to
23 me personally because I like to brief the patients
24 personally on this. And on more than one occasion as
25 well.

1 Q. And we talked briefly -- Gina, how long has
2 she been with you?

3 A. Ten years.

4 Q. Okay. So let's look now to your record of
5 May 22nd.

6 So she came back the very next day; is that
7 correct?

8 A. That's correct.

9 MR. ROBERTS: And, Audra, we're at page 547,
10 top half of the page.

11 BY MR. ROBERTS:

12 Q. So what was the purpose for coming back the
13 very next day to see you?

14 A. Well, again, when I'm briefing somebody on a
15 spinal cord stimulator, I do it personally. So I gave
16 her another video. I discussed it, used skeletons,
17 gave her the full explanation of what it is, what to
18 expect, why, and ordered a CT scan of her thoracic
19 spine because one of the potential complications is
20 trying to shove one of those things up in there and
21 there's not enough room. There's some stenosis. I
22 want to make sure there's no stenosis. And then
23 there's some screening that needs to be done.

24 And once all that's complete, we'll come
25 back. I'll brief her again, and then we'll schedule

1 the procedure if she elects to go through with it.

2 Q. And you gave her another video?

3 Is that the same kind?

4 A. Yes.

5 Q. And why do you reference psychological
6 screening? Is that something you normally do prior?

7 A. It's an industry standard. You need to do
8 that. It's required.

9 Q. And what is the purpose of that screening?

10 A. To make sure that the patients have realistic
11 expectations, make sure there's not problems that
12 having a stimulator could cause.

13 The best example I can use is somebody that
14 hears the voices, you don't want to put a stimulator in
15 them because the stim might be talking to them. I
16 mean, that's kind of a extreme situation, but that's
17 roughly what it's for. And it's adopted nationwide as
18 a standard. In fact, you could really be criticized
19 for putting one in somebody if you don't use a
20 psychologic screen.

21 Q. Thank you, Doctor.

22 She returned for her next visit on, let's
23 see, June 18th 2014, is that correct, according to your
24 notes?

25 A. Yes, sir.

1 MR. ROBERTS: Okay. Audra, page 556.

2 BY MR. ROBERTS:

3 Q. Had the patient watched the video by the time
4 she returned?

5 A. Yes. She had CTs -- CT scan, was clearing,
6 was -- was cleared, no stenosis, and clearance was
7 pending. I can't say that fast three times.

8 Q. And the CT scan was of the thoracic spine?

9 A. Correct.

10 Q. And those are the levels that are attached to
11 her rib?

12 A. Yeah. Again, that had nothing do with her
13 low back pathology. It's just to make sure I have
14 enough room to get that thing in and do it safely.

15 Q. At this point in time, what -- what are her
16 pain levels?

17 A. Let's see. Five out of 10.

18 Q. Let's go to the next month.

19 Was your next visit with Ms. Garcia July 16th
20 of 2014?

21 A. That's correct.

22 Q. And had she received medical clearance to
23 proceed with the stimulator at that time?

24 A. Yes.

25 Q. Okay. Let's go to the next month,

1 August 12th of 2014.

2 MR. ROBERTS: Audra, page 604.

3 BY MR. ROBERTS:

4 Q. Was that your next appointment?

5 A. Correct.

6 Q. Okay. Were there any purposes to that visit
7 other than your -- your normal follow-up?

8 A. The major purpose is for medications. The
9 stimulator was scheduled for August 25th, 2014.

10 Q. And what medications did she renew at that
11 time?

12 A. Ultram, Wellbutrin, Zanaflex, and Neurontin.

13 Q. And what her pain levels?

14 A. Pain score on that visit was a 5.

15 Q. Okay. You mentioned that the trial
16 stimulator placement was scheduled for August 25th of
17 2014?

18 A. That's correct.

19 Q. And -- and did you proceed with the placement
20 of the trial stimulator on that day?

21 A. Yes, I did.

22 Q. All right. You've told the jury a little bit
23 about the procedure for placing the trial stimulator.

24 Do you have with -- with you -- let's see.

25 In your -- put it this way. I have got it with me.

1 So --

2 A. That's it.

3 MR. ROBERTS: Did you have any objection to
4 the demonstrative we sent over last night?

5 MR. STRASSBURG: No objection.

6 MR. ROBERTS: Thank you.

7 Audra, if you could put up Demonstrative 11.

8 BY MR. ROBERTS:

9 Q. And is this an image from your file from your
10 placement of Ms. Garcia's trial stimulator?

11 A. Yes, it is.

12 MR. ROBERTS: Your Honor, may the witness
13 have permission to step down and explain to the --
14 procedure to the jury?

15 THE COURT: If it helps, that's fine.

16 MR. ROBERTS: Thank you.

17 BY MR. ROBERTS:

18 Q. Could you explain to the jury what's shown in
19 the image?

20 A. This vertebrae here is T -- or T12, 11, 10,
21 9, 8. This is the T8 vertebral body. Remember I
22 mentioned you want to center the contacts over --

23 THE COURT: Speak up, Doctor.

24 THE WITNESS: Yes. Want to center the
25 contacts over T8. You see three leads placed here.

1 The middle one is longer than the other two. That's
2 called a tripolar ray. What I'm trying to do is mimic
3 what the surgeon's going to put in, which is a flat
4 lead with three rows of electrical contacts. These
5 black things are contacts. By doing that, it allows
6 the programmer to interact the contacts across each
7 other to obtain the amount of stimulation --

8 BY MR. ROBERTS:

9 Q. Just hold it up very close to your mouth,
10 Doctor. Right up to your mouth.

11 A. To allow whoever programming this to
12 optimize it to get both back and legs. Again,
13 traditionally, the challenge was you don't want to get
14 legs with these things. But now with three rows of
15 contacts, you can get the entire low back. So that's
16 why I put in three leads because I want to mimic what
17 the surgeon is going to put in.

18 They're basic -- they're basically like
19 pieces of spaghetti. They're round and they're long
20 and kind of flop around when you put them in. So this
21 is a temporary lead, and it will come out a few days
22 after being put in. And then we'll assess the
23 patient's pain to determine whether she's a candidate
24 for a person implantation or not.

25 Q. Now, if the patient decides to proceed with a

1 permanent stimulator, is this used in any way in the
2 placement of the permanent stim?

3 A. The images are very important because it
4 tells the surgeon exactly where to put it. Again, I
5 want optimal stimulation. So during the course of the
6 trial right on the table, I'll move these leads up and
7 down till I find out where she has the best coverage.
8 My goal is to find the sweet spot and put these middle
9 contacts right on it. That way, the surgeon knows
10 where to put the paddle lead. The middle contacts will
11 be right on top of that. It gives you some programming
12 options later on when things change a little bit. You
13 can stimulate up, stimulate down. You usually don't
14 stimulate all the contacts. You stimulate just a few
15 of them. And you want that to occur with the middle
16 contacts. Again, if something changes, then it gives
17 you options to use the contacts higher or lower.

18 Does that make sense?

19 Q. Thank you.

20 Okay. So this was August 25th that you
21 placed the stimulator; is that correct?

22 A. That's correct.

23 Q. And your next medical record --

24 MR. ROBERTS: Audra, at page 611.

25 /////

1 BY MR. ROBERTS:

2 Q. -- is that August 28th?

3 A. Correct.

4 Q. And what was the purpose of a return in three
5 days later?

6 A. That is lead removal day or evaluation.
7 She's kept track of her pain. She comes back, reports
8 improvement. And, again, to reiterate, we're looking
9 for improvement of not only pain but function, sleep
10 pattern, overall quality of life, and does she like it.
11 Like I say, some people don't like the sensation of the
12 tingling. Some people love it. That's why we do the
13 trial. And she reported 70 percent improvement, cut
14 down on medications, activity level increased. She was
15 interested with the stimulator at that point.

16 Q. Did you consider that a successful or
17 unsuccessful trial?

18 A. No, absolutely, it's successful.

19 Q. Would you consider Ms. Garcia a candidate for
20 a permanent spinal cord stimulator?

21 A. Yes.

22 Q. Would that treatment be reasonable based on
23 her condition?

24 A. It is very reasonable and is completely
25 standard in the industry. Meets all the criteria.

1 Q. And did you refer her to a spine surgeon to
2 discuss a permanent stimulator placement following the
3 trial?

4 A. Well, she was already seeing Dr. Gross, so we
5 continued that.

6 Q. So you asked her to talk to him about it?

7 A. Yeah. She's -- he's a neurosurgeon, puts
8 them in, does a good job. Does a great job.

9 Q. Okay. Now, she returned to see you on
10 November 19th of 2014.

11 MR. ROBERTS: Audra, page 623.

12 BY MR. ROBERTS:

13 Q. And at that time, she came with a
14 prescription or recommendation from Dr. Gross; correct?

15 A. That's correct.

16 Q. And what was that recommendation?

17 A. He wanted me to do kind of a denervating
18 procedure. He wanted me to inject her facet joints,
19 hardware, and right sacroiliac joint all at the same
20 time.

21 Q. And what was the purpose of this, diagnostic
22 or therapeutic?

23 A. Both. Both. I'm going to inject her, I'm
24 going put some medication in there, try to make her
25 better. Lot of it's diagnostic.

1 Q. And explain why you're still doing diagnostic
2 procedures here.

3 A. I probably need to go ahead and indicate that
4 we did this on two occasions. She -- when I did the
5 procedure, she had about 40 percent pain relief right
6 afterward, which was suboptimal. But on the follow-up
7 visit, she was --

8 Q. And if I could stop you.

9 That first procedure, was that the one on
10 December 1st of 2014?

11 A. Correct.

12 Q. Okay. And she had about 40 percent
13 reduction?

14 A. She had about 40 percent reduction
15 immediately afterwards, but when I saw her back in the
16 office, she looked like a new person. She was
17 70 percent overall improved from it. Her pain
18 eventually returned, and I repeated the procedure at
19 the request of Dr. Gross. And, again, same result,
20 except right afterwards she had complete relief of her
21 pain and, again, showed 70 percent improvement on the
22 follow-up visits. And then the following time,
23 80 percent improvement. So she did really well from
24 this.

25 I think the reason she had a 40 percent

1 improvement afterwards is that was a lot of needle
2 sticks, and I probably didn't anesthetize the track
3 adequately on the way out on one of them. My normal
4 practice is if I do an injection, when I'm done, I'll
5 inject local anesthetic as I pull the needle out
6 because I want to anesthetize the entire track because
7 those things hurt. I mean, lot of needle sticks, it
8 hurts.

9 Q. So some of the reported post-procedure pain
10 is -- can be associated with the injection if you don't
11 numb it properly?

12 A. If you'd like to see it, I can show you the
13 needle I use for those procedures just to give you an
14 idea of the caliber of it.

15 MR. ROBERTS: Any objection, Counsel?

16 MR. MAZZEO: No objection.

17 MR. ROBERTS: Thank you, Your Honor.

18 THE WITNESS: This is a 22-gauge Quincke tip
19 spinal needle. This one's 5 inches long. For this, I
20 typically use a 5- or a 7-inch needle. One, 2 inches
21 long. You make a bunch of holes with this, something's
22 going to get sore. So that's why I think there's a
23 difference in the follow-up visit because the second
24 time I made a concerted effort to make sure I laid a
25 big track of local anesthetic on the way out through

1 the surgical site.

2 BY MR. ROBERTS:

3 Q. And to make sure that we've got all the dates
4 in the record, you just went through two different
5 injection cycles?

6 A. Correct. You have to explain that to explain
7 the radiofrequency. It's -- it's a complicated thing.

8 Q. Okay. So the first injections were on
9 December 1st?

10 A. That's correct.

11 Q. The follow-up from that was 16 days later on
12 December 17th?

13 A. Correct.

14 Q. And that's where she reported the 70 percent
15 reduction in her pain.

16 A. Correct.

17 Q. Had pain in her right leg subsided at that
18 point?

19 A. Yes.

20 Q. Had her functioning improved?

21 A. Yes. Like I said, she looked like a new
22 person.

23 Q. And then did you have -- you mentioned repeat
24 injections.

25 Did those take place on March 16th of 2015?

1 A. That's correct, sir.

2 Q. And is that where she reported complete
3 resolution of pain post procedure?

4 A. Correct.

5 Q. Again, you mentioned a follow-up visit.
6 Was that on April 6th of 2015?

7 A. Yes, sir.

8 Q. And that's where you said she reported a
9 70 percent reduction in pain?

10 A. Correct.

11 Q. And what about her functioning at that point?

12 A. Function was improved. I mean, she looked
13 great.

14 Q. Okay. Let's -- let's now go to May 4th of
15 2015, another month later.

16 MR. ROBERTS: Audra, page 657.

17 BY MR. ROBERTS:

18 Q. So we're now even further out from the last
19 injections which were back in March.

20 How is her -- how's her improvement from her
21 pain?

22 A. She had reported 80 percent after the second
23 injection lasting about two months, but her pain was
24 starting to return. We discussed stimulator again.
25 She was apprehensive about that after having such a

1 good improvement from these injections. If faced with
2 putting an implant in versus pursuing this route, she
3 was leaning more towards this route, which is
4 appropriate. I would probably do the same thing.

5 Q. Was it just the -- the surgery associated
6 with the implant that she was expressing concern about?

7 A. I think it's the whole notion of having
8 something implanted in your body for the rest of your
9 life that goes into your spine. It's electrical. You
10 have to do battery changes, you know, every so often.
11 You can't have MRIs because of -- the device currently
12 is not FDA approved for MRIs. It will at some point,
13 but right now, it's not. So those are all legitimate
14 concerns. And she enjoyed tremendous benefit from
15 those injections.

16 So the discussion with the patient and with
17 Dr. Gross went towards radiofrequency rhizotomies of
18 the area.

19 Q. So -- and we'll blend some of the records
20 together now instead of going one by one. But let's
21 focus on this time from May of 2015, just last year
22 through July of 2015, and you're having these
23 discussions about alternatives to the stimulator.

24 A. Right.

25 Q. What alternative did Dr. Gross suggest might

1 be appropriate to try at this time based on the success
2 of these injections?

3 A. Well, this is one of those occasions I
4 actually learned something. So I'll share that with
5 everybody.

6 Dr. Gross suggested doing a radiofrequency
7 rhizotomy, L3-4, L4-L5, plus right SIJ. A
8 radiofrequency rhizotomy is called a neurodestructive
9 procedure. What that means is I take an electric
10 needle and I cauterize the nerve and burn it. The
11 nerve will grow back. Takes about a year. It's a
12 standard procedure for the treatment of facet pain,
13 facet joint pain.

14 So I got on the phone with Dr. Gross because
15 I -- he proposed we do rhizotomies at the levels where
16 we had the fusion. And he made a very cogent argument,
17 one I hadn't considered nor that I know about. He told
18 me, personally, that when they do the fusion, they do
19 electrocautery of the nerves that go to the facet
20 joints. It's the same thing we do when you do a
21 radiofrequency rhizotomy, but they're in there with the
22 Bovie cutting tissues and they actually ablate the
23 entire track of the facet nerves as part of the
24 procedure.

25 A bell went off -- I mean a light went off in

1 my head. Well, that certainly explains to some degree
2 why she did well for 14 months or so and then her pain
3 returned. The whole theory being he ablated those
4 nerves, the nerves grew back, and now she's
5 experiencing pain. Plus the SIJ, sacroiliac joint, was
6 symptomatic as well, and I can radiofrequency that.

7 So after Dr. Gross explained that to me -- I
8 never knew that they ablated those nerves during a
9 fusion. I never attended a fusion except as an
10 anesthesiologist doing the anesthesia, but I haven't
11 watched the procedure. I never knew that. I don't
12 think most people know that. So that was an
13 educational moment for me, and we agreed that if the
14 patient wished to proceed with that procedure, we'd do
15 it. So we did that procedure. It's a big one, took
16 about an hour to do it.

17 Q. And that was on September 24th of 2015?

18 A. Correct, yeah. Did the procedure. It
19 worked. She did really well from it, just like she did
20 from the diagnostic injections previously. So I don't
21 know how long it's going to last for. The average
22 duration proven from a rhizotomy is about a year.

23 Q. And before we go on to the follow-up and the
24 results of the rhizotomy --

25 A. Sure.

1 Q. -- I'd like to walk the jury through what you
2 did, you know, what was entailed, the type of rhizotomy
3 that she received.

4 MR. ROBERTS: Any objection to the
5 demonstratives?

6 MR. MAZZEO: No.

7 MR. ROBERTS: Thank you.

8 Audra, if you could put up No. 1. And this
9 image is dated September 24, 2015, 3:28 p.m.

10 Could you -- Your Honor, may the witness step
11 down again?

12 THE COURT: That's fine.

13 MR. ROBERTS: Thank you.

14 BY MR. ROBERTS:

15 Q. Let me give you this.

16 A. I feel like Cher.

17 This is her hardware. That's what Dr. Gross
18 put in to fuse her spine, these big bolts and a plate.
19 This is what I'm doing. These are the radiofrequency
20 needles, and if you look carefully, you can see there's
21 a bifurcation. It's like a -- like a snake's tongue.
22 That's called a venom needle. That's a special
23 radiofrequency needle, new technology I've been using
24 for two years. The reason I use it, it makes a bigger
25 burn. And that's especially important for the

1 sacroiliac joint region because traditionally to do a
2 radiofrequency ablation of a nerve, you have to lay the
3 needle parallel to the nerve. The energy doesn't come
4 out of the tip. It comes out on the sides. To get on
5 the SIJ, you can't really get those needles parallel to
6 the nerves --

7 (Clarification by the Reporter.)

8 BY MR. ROBERTS:

9 Q. Hold it all the way up to your mouth.

10 A. -- can't get them parallel to the nerves.

11 But this new technology, the energy is not
12 only off to the sides, but it's off the tip. So it
13 allows me to place needles perpendicular to nerves and
14 burn them. You'll see that on the SIJ films. This
15 works really well. It's new technology that I will say
16 I've been doing for two years now. Maybe more. It
17 is -- it's kind of a game changer as far as doing
18 sacroiliac joints. But it also makes a bigger burn,
19 which is the bigger the burn, the bigger the lesion on
20 the nerve, the longer time it takes for it to grow back
21 because nerves grow back a millimeter at a time.

22 MR. ROBERTS: Audra, the next image, No. 2.

23 THE WITNESS: That's a lateral view looking
24 from the side. And what I'm looking for -- again, this
25 is Dr. Gross's hardware that he put in, the screws and

1 the plates. The needles are mine, and I have to work
2 around these things. What I'm looking at in this is to
3 make sure I'm not doing something wrong. The bad thing
4 about this procedure, the major complication is that
5 that needle tip here goes into where that hole is,
6 that's where the big nerve is that goes to your leg.
7 You don't want to burn that. That's a bad day.

8 So we're very careful to take X-rays to make
9 sure we're not too deep. We do some other testing,
10 electrical testing. But there's a lot of safety checks
11 that go in to make sure that you're doing the right
12 thing and not doing the wrong thing.

13 So this view is to confirm my position in the
14 lateral view and make sure I'm not encroaching upon the
15 big holes here, here, and here where the motor nerves
16 goes down to the -- sensory nerves go down to the leg.
17 Don't want to do that.

18 MR. ROBERTS: Demonstrative 3, Audra.

19 BY MR. ROBERTS:

20 Q. And these are all live films that you're
21 taking during the procedure?

22 A. Yes. We take tons of films. We keep a few
23 of them just to represent what we did.

24 That's the same procedure looking top to
25 bottom, you know, front to back on the right side.

1 Again, you can see the hardware from the fusion, and
2 then you can see the needles that I placed.

3 MR. ROBERTS: Image 5.

4 THE WITNESS: This is the -- one of the views
5 of the sacroiliac joint injections. The burning
6 needles are here, here, here, and here. These two
7 little needles I put in to mark the neural foramina
8 where the big motor nerves are that I don't want to be
9 encroaching on. So I mark those to make sure I don't
10 encroach on them, and I leave them there during the
11 procedure.

12 MR. ROBERTS: Image 5, Audra? Oh, no that is
13 5. Go to six. Sorry.

14 THE WITNESS: This is a front-to-back view of
15 the sacroiliac joint. This is the L5 nerve, and this
16 is -- some of the sacral nerves. In total, you see
17 through all the pictures, I get a total of 12 burns
18 across here. What I'm trying to do is create a
19 continuous burn along this axis because the nerves that
20 come out of these holes and go to the sacroiliac joint
21 over here are variable. You don't know exactly where
22 they are. They could be above the frame, to the side
23 or below. So you have to burn everything along this
24 axis. Again, this is the sacroiliac joint here in this
25 little crack.

1 MR. ROBERTS: Image 7.

2 THE WITNESS: This is another lateral or side
3 view of the procedure where the needle is placed in a
4 different position.

5 MR. ROBERTS: And Image 8, Audra.

6 THE WITNESS: Again, top-to-bottom view of
7 sacroiliac joint. This is another four burns I'm
8 doing. Again, the lesion's about this big, and so
9 these are going to join together to create a continuous
10 strip lesion. Lesion means burn, a burn across that
11 track.

12 BY MR. ROBERTS:

13 Q. Okay. How's that? Any more you wanted to
14 show?

15 A. No, they all look alike.

16 Q. Thanks, Doctor.

17 How long does this total procedure take?

18 A. Forty-five minutes to an hour. It's a long
19 one.

20 Q. Is this a fairly significant rhizotomy
21 procedure compared to the ones you usually do?

22 A. Yes.

23 Q. And do you use the same type of anesthesia
24 and conscious sedation for this procedure as you were
25 describing to the jury for the nerve root?

1 A. No. It's a little different.

2 Q. Can you explain that?

3 A. My goal throughout this procedure is to have
4 her conscious but comfortable. I don't care about her
5 having no analgesics on board at the end of the case.
6 In fact, I want her to have some narcotics on board
7 when I'm done because these things hurt like hell.
8 It's not a diagnostic tool. It's a treatment. It's
9 basically a surgery of -- in a sense. So for my
10 sedation, I will typically use fentanyl which lasts a
11 lot longer than Alfentanil. It lasts a couple of
12 hours. And Versed, again, for anxiety. Sometimes a
13 little propofol if necessary.

14 Q. Okay. Did Ms. Garcia come see with you one
15 week after the rhizotomies on September 30th of 2015?

16 A. Yes. Let me pull that up.

17 MR. ROBERTS: Page 694.

18 THE WITNESS: Correct.

19 BY MR. ROBERTS:

20 Q. Okay. How did the rhizotomies do?

21 A. Well, she's a week out. She was 60 percent
22 improved. Her SIJ pain had pretty much resolved as had
23 her right leg symptoms.

24 Q. That's sacroiliac joint?

25 A. Sacroiliac joint, SIJ, yes.

1 Q. And her lower extremity symptoms, the -- the
2 radiating pain gone?

3 A. Pretty much resolved.

4 Q. And what about her low back pain?

5 A. Overall, I would say 60 percent better. She
6 was doing really good. And that's really good for a
7 week out.

8 Q. Is she still going to be experiencing
9 post-procedure pain at this point?

10 A. Oh, yes.

11 Q. How long does that last following the
12 rhizotomy?

13 A. Anywhere between two and eight weeks.

14 Q. Do the nerves like it when you burn off their
15 end?

16 A. Nerves don't like being burned.

17 Q. Would you consider the rhizotomy to have been
18 a success?

19 A. I really don't consider rhizotomy a success
20 or failure till we're eight weeks out. So we'll see
21 what happens, you know, weeks down the line. And she
22 did well.

23 Q. Okay. So let's go forward to October 14th of
24 2015.

25 MR. ROBERTS: Audra, page 702.

1 BY MR. ROBERTS:

2 Q. How is she doing at her next visit?

3 A. Doing great. She did very well till about
4 four days previous. She had a little pain. The pain
5 was above and below the sites. Interestingly enough, I
6 examined her right on the sites, and she really wasn't
7 having pain or tenderness there. It was above -- above
8 the site where I did the lesioning, and then over the
9 buttocks. I thought it would just represent increased
10 activity because she was feeling better and doing more,
11 which is normal human being. Period.

12 Q. Okay. You -- you burn off the nerves, is it
13 possible that they've grown back since you did this
14 procedure?

15 A. No.

16 Q. Okay. So how many -- how far out are we from
17 the procedure now?

18 A. We're a month.

19 Q. We're a month.

20 So the nerves have not grown back; right?

21 A. Correct.

22 Q. So the jury was told in opening statements
23 that she had a return of pain so, therefore, the
24 rhizotomy could not have addressed her source of pain
25 and could not have been successful.

1 Do you agree?

2 A. Not at all.

3 Q. Explain to the jury how you could have a
4 successful rhizotomy and yet she's still reporting pain
5 a month later.

6 A. In my note, and I still agree with this, she
7 indicated that she had been more active than usual.
8 She felt better. She was doing more. And then
9 probably developed some muscle pain because of it.
10 That's like me. I'm a couch potato. If I get a wild
11 hair and go out and start lifting weights, I'll be
12 miserable for two weeks because I'm out of shape. This
13 is normal human. This is predictable.

14 Q. And did your examination determine that the
15 pain she was reporting was from the rhizotomy sites or
16 some other location?

17 A. No, it was above and below. Rhizotomy sites
18 are pretty much dead.

19 Q. So what were your recommendations for
20 Ms. Garcia on October 14th, 2015?

21 A. Start physical therapy. Basically see her
22 back in a month.

23 MR. ROBERTS: Okay. Let's go to page 712 of
24 Dr. Kidwell's records, Audra.

25 /////

1 BY MR. ROBERTS:

2 Q. I see you have another follow-up with her on
3 November 11th of 2015.

4 A. Correct.

5 Q. How's she doing?

6 A. My PA saw her, indicated she had a flare of
7 symptoms that we documented, put her on Naproxen.
8 She's doing better.

9 Q. Flare of usual pain, does that mean that her
10 pain preprocedure had returned?

11 A. No. No. The pain that we were addressing on
12 the last visit.

13 Q. All right. Let's move to December 9th of
14 2015, just a couple of months ago.

15 MR. ROBERTS: Audra, page 721 of the doctor's
16 records.

17 BY MR. ROBERTS:

18 Q. Okay. Top of the page, your notes indicate
19 that she was recently evaluated by Dr. Gross who was
20 recommending repeat radiofrequency rhizotomy to lumbar
21 spine and sacroiliac joint region up to two times per
22 year if needed.

23 And this is from your record; right?

24 A. Yes, sir. My PA saw her that day.

25 Q. Did you agree with Dr. Gross's recommendation

1 that repeat rhizotomies were advisable for Ms. Garcia
2 moving forward?

3 A. Correct.

4 Q. Now, Dr. Gross says up to two times per year
5 if needed.

6 Do you agree with his estimate of frequency?

7 A. Absolutely, with a caveat.

8 Q. Okay.

9 A. You do it when the pain comes back. If you
10 look in the literature, the standard average duration
11 of improvement is 10 to 14 months. The range is six
12 months to about two years. It's pretty standard in all
13 the literature, and that's what I see in clinic. Most
14 of my patients fall in the 10- to 14-month category.
15 Some a little less, some a little more. I've actually
16 seen two and a half years. But most people fall in
17 right around a year. It could be six months, and we
18 won't know until we see when her pain comes back and
19 have to do it again. And then we establish a pattern.
20 But invariably, you do it when the pain comes back.
21 I've been doing this for years and have many patients
22 that come back on an annual basis and get it done.

23 Q. So when Dr. Gross says up to six months -- up
24 to every six months, you don't agree it's more likely
25 than not she's going to need it every six months;

1 right?

2 A. Well, I don't know yet. We haven't reached
3 six months yet. We're at five.

4 Q. Based on what you know now and based on the
5 average duration that you've seen -- just for
6 foundation, how many rhizotomies do you do annually?

7 A. I don't know. I do about three a week. So
8 you do the math.

9 Q. Okay. So -- so three a week.
10 So it's fair to say that you do hundreds
11 annually?

12 A. Correct.

13 Q. And thousands over the course of your career?

14 A. Correct.

15 Q. And on average, more likely than not, how --
16 what range do your patients fall in where they need a
17 repeat rhizotomy?

18 A. Ten to 14 months.

19 Q. Okay. More likely than not, is Ms. Garcia
20 going to need the rhizotomies in order to have pain
21 relief for the rest of her life?

22 A. Yes.

23 Q. If Ms. Garcia does continue to receive
24 rhizotomies for the rest of her life, is it more likely
25 than not that she will still need the stimulator that

1 we've talked about, the permanent stimulator?

2 A. If --

3 MR. MAZZEO: Objection, Your Honor.

4 Foundation. Speculation.

5 THE COURT: I think he's laid the foundation
6 I'm going to allow it.

7 THE WITNESS: If she obtains satisfactory
8 results from the rhizotomy, then that would be her
9 preferential treatment going forward. She's indicated
10 pretty clearly she would rather do rhizotomies and
11 having a fabulous result from it than have an implanted
12 stimulator. If for some reason something changes and
13 the rhizotomies no longer work for her, for whatever
14 reason, there's a whole laundry list of things, then
15 the stimulator would be her next best option I think.

16 BY MR. ROBERTS:

17 Q. Okay. But that's if she stops the
18 rhizotomies.

19 A. Right. I wouldn't do both.

20 Q. So more likely than not, she's going to need
21 the rhizotomies; therefore, more likely than not, she's
22 not going to need the stimulator.

23 A. Well, I don't know. I mean, lifetime's a
24 long time. But as far as treatment algorithms, she's
25 doing well from this. If something works, you go with

1 it. This is the least invasive approach for her. But
2 if for some reason the rhizotomies are not working any
3 longer and no other cause can be found, stimulator is a
4 very good option. I would -- I would -- I would say
5 it's a distinct possibility.

6 Q. Doctor, the -- the jury's now heard about
7 just about monthly visits over several years now with
8 Ms. Garcia; correct?

9 A. Correct.

10 Q. Was it necessary to see her that often in
11 order for you to treat her medical condition?

12 A. Yes.

13 Q. What about moving forward? Do you see that
14 same frequency of monthly visits for the rest of her
15 life?

16 A. No.

17 Q. Tell the jury what you expect more likely
18 than not she's going to need in terms of future care
19 from you moving on into the future?

20 A. I would expect once --

21 MR. MAZZEO: Objection, Your Honor. Sidebar,
22 please.

23 THE COURT: Come on up.

24 (A discussion was held at the bench,
25 not reported.)

1 THE COURT: We're going to go ahead and let
2 you have your lunch, folks. Go till about 1:15 again.

3 During our lunch, you're instructed not to
4 talk with each other or with anyone else about any
5 subject or issue connected with this trial. You are
6 not to read, watch, or listen to any report of or
7 commentary on the trial by any person connected with
8 this case or by any medium of information, including,
9 without limitation, newspapers, television, the
10 Internet, or radio. You are not to conduct any
11 research on your own, which means you cannot talk with
12 others, Tweet others, text others, Google issues, or
13 conduct any other kind of book or computer research
14 with regard to any issue, party, witness, or attorney
15 involved in this case. You're not to form or express
16 any opinion on any subject connected with this trial
17 until the case is finally submitted to you.

18 See you back at 1:15.

19 (The following proceedings were held
20 outside the presence of the jury.)

21 THE COURT: We're outside the presence of the
22 jury.

23 Let Dr. Kidwell leave also and then put it on
24 the record.

25 All right. So the issue is whether or not

1 I'm going to let Dr. Kidwell testify as to what he
2 believes the plaintiff's going to need in terms of
3 future care from him. My initial thought was I think
4 with regard to a treating doctor, they can talk about
5 diagnosis and prognosis and usually necessity of their
6 own care as -- even if they haven't done an expert
7 report. But I do have an issue because I can't let an
8 expert -- or a treating doctor come in and talk about,
9 by the way, I saw this patient 30 days ago, and my
10 prognosis is I'm going to have to do another fusion
11 surgery on them and it's going to cost another 3- or
12 \$400,000 and nobody knew about that till the testimony.

13 So how do I deal with that?

14 MR. ROBERTS: Well, I think this may be less
15 insidious than your example because we -- we know from
16 the patient's actual records and his actual
17 recommendations for the patient over the last three
18 years that he told the patient he wanted to see her
19 every month. And that's the status of the record right
20 now, I need to see you every month.

21 What he's going to say is that necessity to
22 see her every month he hopes is going to go away after
23 her next rhizotomy and she stabilizes and she'll no
24 longer need to see her [sic] every month. So we're
25 actually using this in order to help the defense and to

1 show them that he's not going to need to continue with
2 the recommendations shown in his records from past
3 treatment.

4 So I -- I wanted to do this out of fairness
5 to them because I knew he wasn't going to keep seeing
6 her every month. And I'm not going to ask the jury for
7 something I know he doesn't think she needs. So in
8 light of that, maybe -- maybe the objection is
9 different, but even if they still object, I think given
10 what his testimony is and that it's not something brand
11 new that they weren't aware of, they've known all along
12 about his monthly treatments, they contend his monthly
13 treatments are unreasonable despite his recommendation,
14 I think it's -- it's tied closely enough to his
15 continuing treatment that it's within the scope of what
16 I should be able to explore.

17 MR. MAZZEO: It sounds benign coming from
18 Mr. Roberts, so -- I mean, that -- that might be okay
19 because it may be consistent with Dr. Kidwell's most
20 recent record that was disclosed to us December 9th of
21 2015 where in his treatment plan, he -- he recommends
22 two things: One is continue current physical therapy
23 regimen, and then to return one month for medications.
24 So I mean, if that's what Mr. Roberts is -- is saying
25 that Dr. Kidwell will testify to, that he actually

1 doesn't have a life-care plan or regimen for endless
2 medication and treatment for this patient, then I'm
3 fine with that, if that's what he's going to suggest.

4 THE COURT: Sounds to me like the expectation
5 is the doctor's going to testify that the treatment
6 isn't going to last forever. So I mean, based on that
7 proffer --

8 MR. ROBERTS: I think he's going to say four
9 times a year.

10 MR. MAZZEO: Visits with Dr. Kidwell four
11 times a year?

12 MR. ROBERTS: Yeah.

13 MR. MAZZEO: Just for what, for management?

14 MR. ROBERTS: For management, and to manage
15 the need for her next rhizotomy.

16 But as you can see, I don't think I need it.
17 Dr. Oliveri is going to opine and has given opinions on
18 the treatment -- on the number of office visits she's
19 going to need for pain management. I'm happy just to
20 rely on Oliveri, but ...

21 MR. MAZZEO: Yeah, that's fine. We can rely
22 on Oliveri.

23 MR. ROBERTS: Right.

24 THE COURT: You don't want to have this
25 doctor limit his future care?

1 MR. MAZZEO: Well, he hasn't said anything
2 about future care at this point, so ...

3 THE COURT: What Mr. Roberts is saying is the
4 records at this point show that she's going to continue
5 to come every month. And what he's apparently going to
6 say is that's -- in the future that's not what's
7 expected.

8 MR. ROBERTS: Not likely. And -- and
9 Mr. Smith maybe pointed out something that I
10 overlooked. He did say, I think, that they were going
11 to reduce without objection. So he's already on record
12 without objection saying that they're going to reduce
13 in the future. So maybe he should be entitled to
14 explain what that reduction is since that's come in
15 without objection.

16 MR. MAZZEO: And so is he saying that he'll
17 need to see her four times a year for the rest of her
18 life?

19 MR. ROBERTS: Yes.

20 MR. MAZZEO: That's his recommendation?

21 MR. ROBERTS: That's what he told me last
22 night.

23 MR. MAZZEO: If you can -- if you can say
24 that that's his -- or if you can give an instruction to
25 the jury that that's his best guess or that's merely

1 speculative, because he doesn't know whether he'll have
2 to see her for the rest of her life obviously.

3 THE COURT: Why don't we do this: Why don't
4 you ask the question something to the effect that you
5 indicated that in the future, your treatments are going
6 to reduce in frequency. Can you explain that? Just
7 leave it at that. And that way, we're not getting some
8 new opinion that there's additional treatment that he
9 hasn't talked about --

10 MR. ROBERTS: Only an opinion that's going to
11 reduce.

12 THE COURT: You can let Dr. Oliveri talk
13 about what the future needs are as far as his life-care
14 plan. And that way, we can guarantee that we're not
15 getting a new opinion from him as far as additional
16 treatment that's needed. If anything, he's going to
17 reduce it. But that allows him to explain it, I think.

18 Is that fair?

19 MR. ROBERTS: That sounds fair to me.

20 THE COURT: You guys okay with that?

21 MR. MAZZEO: That's fine. That's fine.

22 THE COURT: Anything else on the record?

23 MR. MAZZEO: No, Your Honor.

24 MR. ROBERTS: No, Your Honor.

25 THE COURT: All right. Off the record.

1 Thanks, guys.

2 (Thereupon, the proceedings
3 concluded at 12:09 p.m.)
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF REPORTER


STATE OF NEVADA)
COUNTY OF CLARK) ss:


I, Kristy L. Clark, a duly commissioned
Notary Public, Clark County, State of Nevada, do hereby
certify: That I reported the proceedings commencing on
Friday, February 19, 2016, at 9:20 o'clock a.m.

That I thereafter transcribed my said
shorthand notes into typewriting and that the
typewritten transcript is a complete, true and accurate
transcription of my said shorthand notes.

I further certify that I am not a relative or
employee of counsel of any of the parties, nor a
relative or employee of the parties involved in said
action, nor a person financially interested in the
action.

IN WITNESS WHEREOF, I have set my hand in my
office in the County of Clark, State of Nevada, this
19th day of February, 2016.


KRISTY L. CLARK, CCR #708



1 CASE NO. A-11-637772-C
2 DEPT. NO. 30
3 DOCKET U
4

5 DISTRICT COURT
6 CLARK COUNTY, NEVADA

7 * * * * *

8
9 EMILIA GARCIA, individually,)
10 Plaintiff,)
11 vs.)
12 JARED AWERBACH, individually;)
13 ANDREA AWERBACH, individually;)
14 DOES I-X, and ROE CORPORATIONS)
15 I-X, inclusive,)
Defendants.)
16

17 REPORTER'S TRANSCRIPT
18 OF
19 PROCEEDINGS
20 BEFORE THE HONORABLE JERRY A. WIESE, II
21 DEPARTMENT XXX
22 DATED MONDAY, FEBRUARY 22, 2016
23
24 REPORTED BY: KRISTY L. CLARK, RPR, NV CCR #708,
25 CA CSR #13529

1 APPEARANCES:

2 For the Plaintiff:

3 GLEN J. LERNER & ASSOCIATES
4 BY: ADAM D. SMITH, ESQ.
4795 South Durango Drive
Las Vegas, Nevada 89147
5 (702) 977-1500
asmith@glenlerner.com

6 - AND -

7 WEINBERG, WHEELER, HUDGINS, GUNN & DIAL,
8 BY: D. LEE ROBERTS, JR., ESQ.
BY: TIMOTHY MOTT, ESQ.
9 BY: MARISA RODRIGUEZ-SHAPOVAL, ESQ.
6385 South Rainbow Boulevard
10 Suite 400
Las Vegas, Nevada 89118
11 (702) 938-3838
lroberts@wwhgd.com

12
13 For the Defendant Andrea Awerbach:

14 MAZZEO LAW, LLC
15 BY: PETER MAZZEO, ESQ.
BY: MARIA ESTANISLAO, ESQ.
631 South 10th Street
16 Las Vegas, Nevada 89101
(702) 382-3636

17
18 For the Defendant Jared Awerbach:

19 RESNICK & LOUIS
20 BY: ROGER STRASSBURG, ESQ.
BY: RANDALL W. TINDALL, ESQ.
5940 South Rainbow Boulevard
21 Las Vegas, Nevada 89118
(702) 997-3800

22
23

24 * * * * *

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESS:	PAGE
<u>DAVID OLIVERI, M.D.</u>	
Direct Examination by Mr. Roberts	11
Cross-Examination by Mr. Mazzeo	101
Cross-Examination by Mr. Tindall	195
Redirect Examination by Mr. Roberts	213

1 LAS VEGAS, NEVADA, MONDAY, FEBRUARY 22, 2016;

2 10:28 A.M.

3
4 P R O C E E D I N G S

5 * * * * *

6
7 THE COURT: Let's go on the record, Case
8 No. A637772. We're outside the presence of the jury.
9 What do we got?

10 MR. MAZZEO: Well, do you want to go first?

11 MR. TINDALL: Sure. I don't know if Your
12 Honor has gotten a copy, but we filed this morning a
13 trial memorandum regarding the use of the videotape
14 deposition in lieu of live testimony.

15 I got word that maybe plaintiffs were going
16 to, instead of examining Mr. Awerbach, just play his
17 videotape deposition. And we submit, pursuant to
18 NRCF 32(a)(3)(A), that's not allowed because he's in
19 the jurisdiction; he's sitting right here; he's not
20 unavailable. A deposition can be used only for
21 impeachment, and you can't use it in lieu of live
22 testimony.

23 MR. MAZZEO: We join in that argument, Judge.

24 MR. SMITH: Well, that ignores -- they
25 skipped right over 32(a)(2), which specifically says

1 that the deposition of a party may be used by an
2 adverse party for any purpose. We can play it at any
3 point we want to in trial.

4 MR. TINDALL: But that can only be read in
5 conjunction with the next part. There's only certain
6 situations in which it can be used for that purpose:
7 100 miles from the jurisdiction, unavailable for some
8 other reason, dead, or trying to hide the guy.

9 He's sitting right here. It can't be done.

10 Also, they cannot do what they did with the
11 DMV representative, which is play a snippet of someone
12 else's deposition testimony in the question and then
13 essentially ask that person, "Is what you just heard
14 right?"

15 That's what they did with the DMV rep, played
16 Andrea Awerbach's deposition snippet, essentially asked
17 her, "Do you agree with that?" That's -- that's
18 impermissible.

19 MR. SMITH: 32(a)(3) applies to the use by
20 any party. 32(a)(2) applies to the use by an adverse
21 party. So we are an adverse party. We can use the
22 deposition for any purpose.

23 That is not an ambiguous provision, and it
24 does not say subject to the sections -- or subject to
25 the provisions of 32(a)(3). It specifically says "for

1 any purpose." And -- and it has to do with the use by
2 an adverse party as opposed to whether they could use
3 potentially their own client's deposition or a
4 codefendant's deposition if the codefendant was not
5 available for one of the reasons laid out in 32(a)(3).

6 THE COURT: So what section are you referring
7 to, Mr. Smith?

8 32(a)(2) talks about officer, director,
9 managing agent under 30(b)(6). I don't think that's
10 what you are referring to.

11 MR. SMITH: You're right. Maybe I don't have
12 the right statute -- or the right provision.

13 THE COURT: I mean, it does say that if you
14 have a 30(b)(6) witness --

15 MR. SMITH: Oh, that is the right provision.

16 THE COURT: -- that the deposition -- that it
17 may be used by an adverse party for any purpose.

18 MR. SMITH: Now, if you read the first
19 sentence, Your Honor, it says, "The deposition of a
20 party or of anyone who at the time of taking the
21 deposition was an officer, director," et cetera.

22 So I was reading the right provision. And
23 it's an "or" provision. So we can use the deposition
24 of a party or of a 30(b)(6) witness, which is obviously
25 not relevant in this case, for any purpose as long as

1 they're adverse.

2 THE COURT: How do I read that in conjunction
3 with (3)?

4 MR. SMITH: So (3) says, "The deposition of a
5 witness, whether or not a party, may be used by any
6 party for any purpose if one of these things applies."

7 So 32(a)(2) applies to the use of the
8 deposition of an adverse party. 32(a)(3) applies to
9 the use of any deposition of a witness if that witness
10 is unavailable.

11 So if we were trying to say that Mr. Awerbach
12 was unavailable and maybe Ms. Awerbach wanted to use
13 his deposition, she would have to comply with 32(a)(3).
14 Since we are an adverse party, we may use his
15 deposition for any purpose.

16 And 32(a)(2) does not say subject to the
17 provisions of 32(a)(3) or subject to the unavailability
18 of the witness. It says, "The deposition of an adverse
19 party may be used for any purpose."

20 THE COURT: It does seem to say that. I
21 haven't looked at your brief yet.

22 This doesn't have to be decided this morning;
23 right?

24 MR. TINDALL: I don't think so, unless
25 they're about to call Jared Awerbach.

1 THE COURT: You guys are going to call
2 Dr. Oliveri today?

3 MR. ROBERTS: We are.

4 When they were thinking their cross might not
5 last quite as long as some of the other doctors, we'd
6 indicated that, if we had some time at the end the day,
7 we might play Jared's deposition. So I think that's
8 what triggered this.

9 MR. MAZZEO: Right.

10 MR. ROBERTS: But it doesn't look now like
11 we're going to have that extra time. So we probably
12 don't need to address it.

13 THE COURT: I'll look at your brief later.

14 MR. TINDALL: Thank you.

15 MS. ESTANISLAO: Your Honor, there's one
16 more. I understand they may be calling Dr. Smith
17 tomorrow. I did -- we did file a motion regarding --
18 or a trial brief regarding Dr. Smith's testimony. He
19 has been recently excluded by Judge Johnson in another
20 case.

21 THE COURT: I read that. That's in a binder;
22 right?

23 MS. ESTANISLAO: Yes.

24 THE COURT: Yeah. That was in a binder.

25 MS. ESTANISLAO: Yes.

1 THE COURT: I read that one last week. I
2 don't know that that has to be dealt with right now
3 either while our jury is waiting; right?

4 MR. MAZZEO: Do you want to -- because he's
5 being called tomorrow morning, Judge, do you want to
6 designate a time, either when we break at noon or when
7 we come back from lunch, as to when we can discuss
8 that?

9 THE COURT: Maybe. Maybe I'll give the jury
10 an hour and 15 minutes and just give you guys an hour
11 for lunch and we can talk about it then.

12 MR. MAZZEO: Okay.

13 THE COURT: Fair enough?

14 MR. ROBERTS: Fair enough.

15 MR. MAZZEO: Yeah.

16 THE COURT: Other than that, are we ready to
17 go?

18 MR. MAZZEO: Yes.

19 MR. ROBERTS: We are, Your Honor.

20 THE COURT: Let's see if we can get a witness
21 done today.

22 THE MARSHAL: Jury entering.

23 (The following proceedings were held in
24 the presence of the jury.)

25 THE MARSHAL: Jury is present, Judge.

1 THE COURT: Thank you. Go ahead and be
2 seated. Welcome back, ladies and gentlemen.

3 We're back on the record, Case No. A637772.

4 Do the parties stipulate to the presence of
5 the jury?

6 MR. ROBERTS: Yes, Your Honor.

7 MR. MAZZEO: Yes, Your Honor.

8 MR. TINDALL: Yes, Your Honor.

9 THE COURT: Sorry for the delay, folks. My
10 calendar ran a little later than I had hoped this
11 morning.

12 Have we finished Dr. Kidwell this week? We
13 did not.

14 MR. ROBERTS: We did not, Your Honor.

15 THE COURT: We had to break halfway through
16 the day Kristy got sick. And we can't go forward
17 without a court reporter. So sorry for doing that to
18 you on Friday. Hopefully it didn't bother anybody that
19 bad that we gave you an early out on Friday.

20 We will finish Dr. Kidwell eventually. We've
21 done that with several different doctors now. I don't
22 believe he's coming back first thing this morning. I
23 think we have a different doctor today that will be
24 taken out of order. So just remember what all these
25 people say. You'll get a chance to hear the ending of

1 their testimony eventually. I'm sure that will happen.

2 So who's your next witness?

3 MR. ROBERTS: Thank you, Your Honor.

4 Plaintiff would call Dr. David Oliveri.

5 THE COURT: Good morning, Doctor. I'm going
6 to have you step all the way up on the witness stand,
7 if you would. Once you get there, please remain
8 standing and raise your right hand to be sworn.

9 THE CLERK: Do you solemnly swear the
10 testimony you're about to give in this action shall be
11 the truth, the whole truth, and nothing but the truth,
12 so help you God?

13 THE WITNESS: I do.

14 THE CLERK: Once you take your seat, please
15 state and spell your full name for the record.

16 THE WITNESS: Dr. David Oliveri. D-a-v-i-d.
17 Last name, O-l-i-v-e-r-i.

18 THE COURT: Thank you. Go ahead.

19

20 DIRECT EXAMINATION

21 BY MR. ROBERTS:

22 Q. Thank you, Your Honor.

23 Dr. Oliveri, could you tell the jury about
24 your education, starting with college.

25 A. Sure. I have a bachelor's degree in biology

1 from the University of Washington in Seattle, 1985. I
2 then attended medical school at the University of
3 Southern California in Los Angeles, completing medical
4 school in 1989.

5 I then did my internship at the veteran's
6 hospital in West Los Angeles, which is a one-year
7 program, completing in 1990. I then did my residency
8 training at Stanford University in Northern,
9 California, completing that in 1993.

10 I moved to Las Vegas in 1993. I have been a
11 practicing physician in my specialty of physical
12 medicine and rehabilitation since that time.

13 Q. And did you have any position in your last
14 year of residency at Stanford University?

15 A. I did. I was the chief resident at Stanford
16 during my last year, which is a -- a title where you,
17 in addition to being a -- being a physician training, I
18 also had an administrative role to supervise the other
19 residents for that year.

20 Q. Thank you, Doctor. Could you tell the jury
21 about your board certifications?

22 A. Yes. I am board-certified in my field of
23 expertise, which is physical medicine and
24 rehabilitation. I have been board-certified since the
25 first year possible, which was 1994.

1 Board certification is the highest level that
2 a physician can attain in their field. It means that
3 you've completed your training. It means you've been
4 in practice for a certain period of time, you have
5 submitted examples of your work to the entity, which is
6 called the board. It's a group of physicians that are
7 the top of your field where they review that work.

8 You then sit for an oral examination and a
9 written examination. And if you pass those, you are
10 deemed board-certified for a period of ten years.
11 After ten years, you have to repeat the certification
12 process. And I have repeated that twice in my main
13 field.

14 I am also board-certified in a subspecialty
15 called electrodiagnostic medicine. Electrodiagnostic
16 medicine is a subspecialty that involves the study of
17 nerve disease and disorder as well as muscle disease
18 and disorder. It involves doing nerve testing on the
19 body. And I've been board-certified since the first
20 time possible, which was 1995. And I have been
21 recertified twice in that field as well.

22 Q. Thank you, Doctor. Could you tell the jury a
23 little bit about what a physiatrist does, a doctor who
24 specializes in physical medicine and rehabilitation?

25 A. Physicians in my specialty are trained to

1 diagnose and treat individuals that have orthopedic,
2 musculoskeletal, and neurological disorders, injuries,
3 and illnesses.

4 I will tell you what I have done in my
5 specialty with that training. I have been a physician
6 associated with a rehabilitation hospital since I have
7 been in practice. The name of that hospital currently
8 is called HealthSouth. It's a rehabilitation hospital
9 on Valley View Boulevard.

10 My association with that hospital has been as
11 medical director -- associate medical director
12 initially, medical director for 15 years. And then I
13 stepped down a few years ago, and I have been the
14 president of the medical staff since that time.

15 During my time with that hospital, I admitted
16 and treated thousands of patients that have had
17 problems such as spinal cord injury, stroke, traumatic
18 brain injury, orthopedic injuries, neurological
19 disorders, multiple sclerosis, Parkinson's. The
20 patient would be admitted to me or the doctor such as
21 me, managed medically, and then treated by therapists
22 in order to maximize their level of function and
23 hopefully return home.

24 I've had an active outpatient practice since
25 1993 coming to Las Vegas where I diagnose and treat

1 patients with spinal injuries, spinal pain, orthopedic
2 problems, evaluate patients that have had injuries at
3 work, injuries outside of work. I've also been
4 involved evaluating patients with disabilities, doing
5 impairment ratings for workers' compensation or
6 work-injured individuals, doing nerve testing,
7 diagnosing and treating nerve problem.

8 And then also I've been interested over the
9 years in forensic evaluations, which is a medical-legal
10 analysis of injury and illness determining if a person
11 was injured in a particular event, determining --
12 determining appropriateness of care, the
13 appropriateness of their medical billing, whether they
14 have ongoing limitations relative to an injury, whether
15 they have future medical needs associated with that
16 injury.

17 Q. Thank you, Doctor. You mentioned a
18 subspecialty certification as a certified independent
19 medical examiner.

20 Could you tell the jury a little bit more
21 about how you use that in your practice?

22 A. The certification as an independent medical
23 evaluator is a specialized training in order to assist
24 in performing impairment evaluations, determining the
25 percentage of impairment an individual has relative to

1 an injury, and also in receiving training in how to
2 properly perform forensic evaluations, which is what I
3 did here with Ms. Garcia evaluating some of those items
4 that I just mentioned: injuries that were
5 potentially caused by an accident, future medical
6 needs, appropriateness of care and billing.

7 Q. Could you -- you mentioned that you do work
8 with people who have spine injuries?

9 A. Yes.

10 Q. What percentage of your practice has been in
11 helping people with injuries to the spine?

12 A. Well over half of my practice over the last
13 23 years has been dealing with spinal injuries.

14 Q. Could you tell the jury what a life-care plan
15 is?

16 A. A life-care plan is a report that provides an
17 analysis pertaining to the future medical needs of a
18 patient relative to a particular diagnosis or event.

19 So, for example, if a person has an injury
20 and they have a particular problem or problems from
21 that injury, a life-care plan is research regarding
22 what future medical care that person will need relative
23 to those diagnoses. It tells the reader of that report
24 the exact items of care that the person will likely
25 need in the future, the cost of that care, when the

1 care will be provided, and the duration of the care.

2 It will provide only those items that are
3 likely to occur, that are reasonably certain to occur,
4 and it will provide some information as to the basis
5 for that opinion and the basis for how those items were
6 obtained and the costs obtained.

7 Q. Doctor, do you regularly prepare life-care
8 plans as part of your practice?

9 A. I do. As part of my forensic work, I perform
10 life-care plans on a regular basis, and I have been
11 doing so for probably 15 years.

12 Q. Approximately how many life-care plans per
13 year do you estimate you prepared over that 15-year
14 period?

15 A. I -- I perform them on a weekly basis. So I
16 probably perform maybe 40 a year, something like that.

17 Q. Thank you, Doctor.

18 MR. ROBERTS: Your Honor, we'd ask the Court
19 to recognize Dr. Oliveri as an expert in physical
20 medicine and rehabilitation as well as life-care
21 planning.

22 MR. MAZZEO: No objection, Judge.

23 MR. TINDALL: No objection, Your Honor.

24 THE COURT: He'll be so recognized.

25 MR. ROBERTS: Thank you, Your Honor.

1 BY MR. ROBERTS:

2 Q. Doctor, the jury's heard from several of
3 Ms. Garcia's treating physicians who've already
4 testified here in court.

5 Are you a treating physician for Ms. Garcia?

6 A. I am not.

7 Q. Okay. What is your role in -- in this case?

8 A. My role has been that of a medical expert, an
9 evaluator to perform a forensic evaluation. I was
10 asked to evaluate Ms. Garcia initially back in --
11 excuse me -- 2013.

12 I was asked to see her face-to-face. I was
13 asked to review voluminous medical records and bills,
14 and I was asked to provide my opinions about whether or
15 not she had any injuries in the subject accident,
16 whether she had medical care that was reasonable,
17 whether the bills were appropriate, whether she had any
18 need for future care.

19 Q. Thank you, Doctor. Could you tell the jury a
20 little bit more detail about your foundation for the
21 opinions you're going to give today?

22 In other words, what were the things that you
23 did and looked at in order to provide the opinions that
24 you're going to share with them later?

25 A. Certainly. I have done many things since I

1 initially evaluated Ms. Garcia in June of 2013. So
2 we're going on almost three years since the first time
3 I saw her.

4 But initially I had her come to my office.
5 She completed some intake forms, so I was able to get
6 some information about her perception of symptoms and
7 problems that she had before and after the motor
8 vehicle accident that occurred in January of 2011. I
9 spent time with her face-to-face. I spent
10 approximately one hour with her face-to-face asking
11 questions about her history, her symptoms, her
12 problems. I did a physical examination on that day,
13 and then I reviewed a number of medical records that
14 were available up until that point in time.

15 Do you want me to explain what records I had
16 at that time?

17 Q. Yes. If you could summarize for the -- for
18 the jury what records you've reviewed.

19 A. I reviewed records such as the traffic
20 accident report, the emergency room records,
21 chiropractic records after the accident, X ray and MRI
22 studies, records of Dr. Cash, records of Dr. Gross,
23 records from Dr. Lemper, records pertaining to
24 injections that Ms. Garcia had, records from
25 Dr. Kidwell, records pertaining to the lower back

1 surgery that she underwent in December of 2012.

2 MR. MAZZEO: Excuse me, Your Honor. I would
3 object to the witness reading from a report that's not
4 in evidence. He can use it to refresh his recollection
5 only.

6 THE COURT: No, that's true, but I think this
7 is foundational in nature. I'm going to let him do it.

8 MR. ROBERTS: Thank you, Your Honor.

9 THE WITNESS: I also reviewed medical billing
10 associated with that medical care that I just
11 mentioned. I then considered all of that information
12 and developed opinions in those categories that I had
13 mentioned earlier.

14 I came to conclusions about whether or not I
15 thought Ms. Garcia was injured in the accident. I came
16 to conclusions about what injuries I thought she had as
17 a result of the January 2011 accident. I looked at
18 what medical care I thought was reasonable. I looked
19 at the billing. I looked at her current status. I
20 looked at her future medical needs.

21 BY MR. ROBERTS:

22 Q. Doctor, when you say you looked at her
23 medical billing from her treating physicians, what sort
24 of background, experience, or data do you apply in
25 order to determine if a medical bill you're looking at

1 is reasonable and customary?

2 A. As a practicing physician for almost
3 23 years, I have reviewed thousands of medical bills
4 over that period of time. In addition, as part of my
5 forensic work, my medical-legal work, I have been asked
6 by many parties, by plaintiffs and defendants, over
7 many years, to scrutinize medical bills and consider
8 whether they were reasonable for what was done.

9 I have employed information consisting of
10 database information to assist in my analysis of those
11 bills. I have looked at databases that tell me where
12 those charges sit in terms of percentiles. Are the
13 charges somewhat in the middle of the community for
14 what they did? Are they on the low side? Are they on
15 the high side? Or are they higher than the high side
16 of what the charges are?

17 I have databases that look at hospital
18 charges for our community as well as other communities
19 throughout the United States. I can tell if hospitals
20 are charging what similar hospitals charge for that
21 same type of work on a particular diagnosis. So that's
22 the methodology that I use to come up with my
23 conclusions about whether bills are reasonable for the
24 work that was done in the community where it was done.

25 Q. You mentioned that you did work or you're

1 asked to do work for both plaintiffs and defendants in
2 litigation?

3 A. Yes.

4 Q. Approximately what percentage of your
5 practice as an expert would you say is for plaintiffs
6 versus defendants?

7 A. I definitely spend more time in my practice
8 doing work -- the amount of hours that I spend is more
9 time consuming on the plaintiff work because of the
10 time I spend doing the life-care plans. I would say,
11 though, that the number of actual forensic cases I do
12 is probably maybe 60 percent plaintiff, 40 percent
13 defendant. I spend a little bit more time on the
14 plaintiff work, however.

15 Q. And have I retained you on cases in the past?

16 A. I think your office has, yes.

17 Q. And in those cases, were you being retained
18 for the defense or the plaintiff? Do you recall?

19 A. For the defendant.

20 Q. So you mentioned the physical examination of
21 Ms. Garcia. Let's go back and talk a little bit more
22 about that. Did you perform what you term a
23 comprehensive medical examination of Ms. Garcia?

24 A. Yes.

25 Q. And could you explain to the jury what the

1 findings were based on your evaluation of Ms. Garcia at
2 the initial medical examination of June 4th, 2013?

3 A. She had a well-healed scar on the midline of
4 her lower back that measured 8.5-centimeters. I
5 measured her lower back range of motion. I used an
6 electronic device that gave me specific degrees of
7 motion. She had some decreased range of motion in her
8 lower back. Her lower back extension bending backward
9 was 18 degrees. And her lower back flexion was
10 38 degrees. That's about a 50 percent reduction in her
11 lower back motion. She had some symptoms of tension
12 and pulling at the end range of both directions. She
13 had had some tingle to touch sensation over the thigh
14 on the right side. She was having some complaints of
15 numbness and pain in her right leg. She had normal
16 strength in her right lower extremity. She had normal
17 reflexes, and she had a normal gait. Gait is analysis
18 of her walking.

19 Q. Thank you. And just for context, at the time
20 you first examined Ms. Garcia, had she already
21 undergone her spinal fusion surgery?

22 A. Yes.

23 Q. Did you ever see her before her surgery?

24 A. No.

25 Q. Did Ms. Garcia take any pain questionnaires

1 during her initial medical evaluation?

2 A. Yes.

3 Q. Could you explain what that is to the jury
4 and the questionnaires that you had Ms. Garcia fill
5 out.

6 A. Yes. I had her complete some questionnaires
7 that help me with the forensic evaluation to assist in
8 looking at how Ms. Garcia perceives her symptoms. So,
9 for example, I had a pain diagram where she placed
10 marks on the pain diagram where she's having symptoms
11 of pain. I used that particular test to see if this is
12 a patient who accurately portrays the areas where they
13 have pain. Are they putting marks just in the area of
14 the lower back and in the leg where they say they have
15 pain, or are they marking it up front and back all over
16 as a person that might magnify or overstate pain would
17 do? And she had markings that were very specific for
18 the lower back and the right leg in an appropriate
19 manner.

20 Q. And what did that tell you, Doctor?

21 A. That she wasn't a magnifier of symptoms. She
22 appropriately portrayed her symptoms on that test.

23 I had her complete a pain diagram. This is
24 where you ask on a scale of 0 to 10, tell me where your
25 pain is today, and tell me over the last 30 days what's

1 your lowest and what's your highest. I do this to see,
2 again, whether the person is portraying their symptoms
3 in a reasonable fashion based on what's wrong with
4 them. Are they portraying their symptoms too low or
5 too high? And her score was a 4 out of 10 when I saw
6 her with a range of 4 to 9. I thought that was
7 appropriate.

8 Q. And the first questionnaire that you
9 described to the jury, is that -- what is that known
10 by?

11 A. Pain diagram.

12 Q. Okay. Any other questionnaires that you had
13 Ms. Garcia fill out that day?

14 A. I had her fill out a McGill Pain
15 Questionnaire, which is a self-reporting test where a
16 person circles words that describe their pain. Her
17 score was 8, which was a relatively low score, again,
18 meaning that she is not magnifying her symptoms.

19 Q. Do you still use the McGill Pain
20 Questionnaire?

21 A. I have actually decided not to use that
22 anymore. I was having a hard time finding a -- a
23 reference to validate the scores, and that's the reason
24 why.

25 Q. Okay. Any other ones? I see one more here

1 on my notes.

2 A. I had a low back disability questionnaire.
3 It's called the Oswestry, and I had her complete that.
4 Her score was 32 percent. And the score for 32 percent
5 is someone who perceives moderate disability because of
6 their lower back condition, which I thought was a
7 reasonable or appropriate score for her lower back
8 problem.

9 Q. And is this a fairly detailed exam or
10 relatively short and quick? The Oswestry.

11 A. It's -- it takes a few minutes for the
12 patient to -- to complete. It has a total of ten
13 items. They have to read five -- five or six different
14 items on each of those ten items. It takes -- it takes
15 a few minutes.

16 Q. Do you find that to be reliable?

17 A. It is. It's highly validated. It's been in
18 the spine literature for a long time.

19 Q. Okay. As part of your medical review, did
20 you attempt to determine whether Ms. Garcia had any
21 injuries or known medical problems with her lumbar
22 spine or lower back prior to the collision of
23 January 2nd, 2011?

24 A. I did assess that issue, and to the best of
25 my knowledge, she had no symptoms or injuries or

1 problems with her lower back before the accident.

2 Q. Based on your review of her medical records
3 and your physical examination, did you reach any
4 conclusions as to Ms. Garcia's physical condition and
5 any injuries she might have sustained on January 2nd,
6 2011?

7 A. I did.

8 Q. Could you tell the jury about that.

9 A. Of course. My final conclusion is -- was and
10 is that, as a result of the January 2011 accident, that
11 Ms. Garcia sustained a lumbar or lower back injury
12 involving the L5-S1 segment, and specifically I
13 determined that it was a motion segment injury to the
14 L5-S1 level.

15 And specifically what I mean by motion
16 segment is that she had injury to both the disk at that
17 level, and the facet joints, which are the small joints
18 at the back side of that -- of that motion segment.

19 She also had a secondary problem of some neck
20 pain which was a relatively minor problem. I thought
21 that it may have been just soft tissue injury. It may
22 have been something more significant. But it was a
23 relatively minor problem.

24 Q. The jury has heard about the
25 spondylolisthesis that Ms. Garcia had shown on her MRIs

1 from after the accident. Did you make a determination
2 more likely than not whether Ms. Garcia's
3 spondylolisthesis was preexisting? Was it present
4 before her accident?

5 A. It was my conclusion that, at least to some
6 extent, she had a spondylolisthesis, meaning where the
7 anatomy -- the L5 was offset compared to S1 to some
8 extent before the January 2011 accident. However, it
9 was my conclusion that this was not causing her
10 symptoms before the accident.

11 I did not rule out the potential that the
12 motor vehicle accident caused that offset to become
13 more as a result of the accident, but she had the
14 offset before.

15 Q. When you say she had the offset before, more
16 likely than not?

17 A. Yes.

18 Q. And is it -- is it possible for anyone to
19 determine the extent to which that offset or slip had
20 occurred prior to the accident?

21 MR. MAZZEO: Objection. Speculation.
22 Foundation.

23 THE COURT: I'm going to let him testify what
24 his understanding is.

25 THE WITNESS: The only way that you can tell

1 for sure is if there was an X ray done or an MRI scan
2 done right before the -- the motor vehicle accident.

3 BY MR. ROBERTS:

4 Q. Okay. And is there one?

5 A. No.

6 Q. You told the jury that in your opinion, the
7 spondylolisthesis was asymptomatic prior to the
8 automobile collision.

9 A. Yes.

10 Q. Could you tell the jury what that's based on.

11 A. It is based on three things: It's based on
12 Ms. Garcia telling me by history that she did not have
13 problems, symptoms, injuries with her lower back before
14 January 2011; it's based on the absence of reviewing
15 medical records that identify or show me that she had
16 problems before; and it's based on my education and
17 experience and understanding of medical research where
18 it is known that individuals commonly have this type
19 off offset and have no symptoms whatsoever. So those
20 three factors.

21 Q. How common is it for someone with a
22 spondylolisthesis to have no symptoms?

23 A. It's exceedingly common. Patients can have
24 X rays done of their pelvis or their body for unrelated
25 reasons, and have such a finding identified. There

1 have been a number of medical studies that identify
2 that phenomenon.

3 Q. And when you say it's common to have no
4 symptoms, are you just talking about pain or are you
5 talking about any symptoms, such as weakness,
6 irritation, soreness?

7 A. Bless you. I'm talking about lower back
8 pain. I'm talking about radiating symptoms into the
9 legs. I'm talking about things that would be
10 associated with a problem associated with that offset.

11 Q. So more likely than not, most people with a
12 spondylolisthesis are not going to have any of those
13 things?

14 A. Correct.

15 Q. As part of the scope of your assignment as an
16 expert, were you also asked to review reports that were
17 issued by experts hired by the defendants?

18 A. Yes.

19 Q. And could you tell us which reports you
20 reviewed.

21 A. I have reviewed reports from a Dr. Klein, I
22 have reviewed reports from a Dr. Poindexter, I have
23 reviewed reports from a Dr. Odell, and --

24 Q. I think that's --

25 A. Correct me if I'm wrong, I think that's it.

1 Q. I think that is it. And is it correct that
2 Dr. Poindexter agrees with you that a person can have
3 spondylolisthesis without pain?

4 A. Yes.

5 Q. So this preexisting spondylolisthesis, which
6 you believe that Ms. Garcia had, even though it was
7 asymptomatic, in your medical opinion, would this
8 structural phenomenon have increased her risk of
9 developing symptoms in an automobile collision?

10 A. I want to make sure I understand your
11 question. Are you asking me if she was potentially
12 more susceptible to injury at that level because of
13 this accident or because of having it?

14 Q. Yes, because of having it.

15 A. Yes.

16 Q. Okay. Could you explain that to the jury,
17 Doctor.

18 A. Sure. And I think this is probably a --
19 physicians could probably differ on this, but the --
20 the most resilient spine is a spine that has perfect
21 anatomy. It's the spine that is perfectly aligned.
22 It's the spine that has no age-related change of any
23 sort of wear and tear. It's probably the young,
24 perfect spine.

25 If the spine has some alteration anatomically

1 to it, whether it's some offset, whether it's some
2 age-related change to either the disks or the facet
3 joints, there is at some point more susceptibility to
4 injury or a problem following trauma.

5 Q. We've heard evidence that Ms. Garcia reported
6 no injury at the accident scene and reported no
7 significant pain until three days after the accident.
8 Is that significant, in your opinion, with regard to
9 whether the collision caused her aggravation in
10 symptoms?

11 A. It is certainly a -- a factor or a piece of
12 information that I considered. But looking at all of
13 the pieces of data, it -- it does not concern me, given
14 the type of ultimate injury and type of ultimate
15 diagnosis that was made. And I can further elaborate,
16 if you wish.

17 Q. Please -- please tell the jury the
18 conclusions you reached as to whether or not the pain
19 and the medical treatment that Ms. Garcia received
20 after the June 2nd, 2011, collision was caused by the
21 collision.

22 A. Okay.

23 Q. January. Correct the record. January 2nd,
24 2011.

25 A. Very good.

1 Q. Thank you.

2 A. There are some types of injuries from trauma
3 that develop immediate symptoms. There are, for
4 example, fractures; somebody breaks a bone in a motor
5 vehicle accident, that person is probably going to have
6 immediate symptoms. Somebody has a dislocation of part
7 of their spine, that person is probably going to have
8 immediate symptoms. A person has a disk injury to
9 their lower back where the disk actually ruptures and
10 herniates, and there's compression on a nerve root,
11 that person is probably going to have immediate onset
12 of symptoms.

13 However, Ms. Garcia did not have one of those
14 types of problems that developed immediately. She had
15 a different type of injury that involved the disk that
16 would not be unusual to gradually develop symptoms over
17 time. And I actually brought a small model of a disk
18 that I could show the jury, if -- if that's possible.

19 MR. ROBERTS: Your Honor?

20 THE COURT: Go ahead.

21 MR. ROBERTS: Thank you.

22 THE COURT: If that will help.

23 THE WITNESS: So what I have in my hand is
24 just a small model of part of the lower back. So the
25 orientation of this would be in this position. And I'm

1 going to hold it in this position. So my finger right
2 here is on the front side of the fifth lumbar or lower
3 back vertebrae. And then the fourth, third, second,
4 and first. So this is all the lumbar spine of the
5 lower back.

6 This is cut away so you can see some of
7 the -- the inside part of the bones. And then, in
8 between the individual bones -- the individual bones
9 are the disks. And I've got my finger resting on one
10 of the disks in the lower back.

11 So if you just imagine that I'm going to
12 pluck that disk out and -- and show you what it looks
13 like in my hand, I've got a disk model that shows an
14 example of a disk that actually is ruptured and
15 herniated. Okay?

16 So this disk would be in the position where
17 my hand is located. And what you'll see is the outer
18 fibers of the disk are made up of a very rigid type of
19 material. It's called the annulus fibrosis. And you
20 can see on this model almost rings that are represented
21 by that model. The inner part of the disk is the
22 nucleus, and the nucleus is more of a gel-like
23 substance. On this model, you can see that on the side
24 where my index finger is located, the annular fibers
25 are completely torn through and through, and the

1 nuclear material has actually herniated through, and
2 there's a big glob that I'm holding.

3 If a person has an acute injury to the disk
4 that involves a rupture and it's something that's this
5 large, it is going to actually compress immediately a
6 nerve root. And that person, at the scene of the
7 trauma, is going to have that nerve that it's
8 compressing become painful, and they're going to
9 develop immediate symptoms down their leg.

10 So that's going to be an example of someone
11 who, after a motor vehicle accident, is going to have
12 the onset of symptoms, terrible pain down their leg,
13 and they actually might -- depending on how much
14 compression, might have weakness, they might have foot
15 drop, they might have a very serious problem that might
16 even be a surgical emergency.

17 Ms. Garcia's injury was an injury in part to
18 those fibers, but it didn't tear all the way through.
19 In fact, we know from medical research that it's just
20 the outer third of these fibers that receive nerve
21 supply. And it's the outer third of these fibers that
22 allow us to sense pain.

23 So we knew -- we know from the eventual --
24 eventual studies that were done on Ms. Garcia that she
25 had eventually tears that reached almost to the edge of

1 the disk, but not through. It was never through.

2 So it made sense to me that she was in this
3 accident, she began to develop tears of the disks, the
4 tears have to start somewhere. And they worked their
5 way out to the edge. And the working out to the edge
6 process can take hours, it can take a day, it could
7 take a couple of days, and so the gradual onset of
8 developing symptoms and then going to the emergency
9 room at three days is consistent with the type of
10 injury that she had to the disk.

11 MR. MAZZEO: Your Honor, may we approach,
12 please?

13 THE COURT: Sure.

14 MR. MAZZEO: Thank you.

15 (A discussion was held at the bench,
16 not reported.)

17 THE COURT: At this point, the objection's
18 overruled.

19 BY MR. ROBERTS:

20 Q. And, Doctor, we were talking about your
21 comprehensive medical examination of 2013. The jury
22 has heard that Ms. Garcia continued to treat after that
23 date of your examination.

24 A. Yes.

25 Q. Tell the jury what you did to keep up with

1 her ongoing treatment as she continued to treat for
2 these problems.

3 A. I did many things. I received medical
4 records pertaining to her ongoing treatment. I had the
5 opportunity to reevaluate her on a face-to-face basis.
6 I had the opportunity speak to her by telephone on more
7 than one occasion. And as I received the -- these new
8 pieces of information, I would update my findings.

9 Q. And did you draft a report which we shared
10 with the defendants after your initial comprehensive
11 medical examination?

12 A. Yes.

13 Q. And as you continued to review records, did
14 you periodically update your reports with the new
15 information?

16 A. Yes.

17 Q. How many supplemental reports did you issue
18 in this case, Doctor?

19 A. Many. I have ten supplemental reports.

20 Q. In the course of your practice, is that a
21 lot? Is that unusual?

22 A. I have never -- in the probably 18 years I
23 have been doing forensic work, I have never had a file
24 I have worked on that has had that many reports.

25 Q. Based on your review of the records, I'd like

1 to go through the treatment that Ms. Garcia received
2 and have you give the jury your opinion about whether
3 it was reasonable and causally related to the motor
4 vehicle collision.

5 A. Okay.

6 Q. So let's start with MountainView Hospital,
7 the emergency room. Did you review the records of that
8 treatment?

9 A. I did.

10 Q. And do you recall what the clinical
11 impression of the treating physician at MountainView
12 was of Ms. Garcia's condition?

13 MR. MAZZEO: Objection to the expert reading
14 from a report before he gives an answer, Judge.

15 THE COURT: Sustained.

16 BY MR. ROBERTS:

17 Q. So my question is, do you recall?

18 A. I would have to look at the record to
19 reflect -- refresh my recollection.

20 MR. ROBERTS: Okay. Your Honor, permission
21 for the witness to refresh his recollection from the
22 record.

23 THE COURT: That's fine.

24 THE WITNESS: (Witness reviewing document.)

25 The clinical impression was that of low back

1 strain and motor vehicle --

2 MR. MAZZEO: Objection. Your Honor, the
3 doctor can't read from an expert report that's not in
4 evidence. He can refresh his recollection only.

5 THE COURT: That's true. Sustained.

6 BY MR. ROBERTS:

7 Q. So were you quoting from the record of
8 MountainView or from your notes regarding that?

9 A. I refreshed my recollection, and I was
10 telling you what that was.

11 Q. Okay. And it was low back strain?

12 A. Yes.

13 Q. And do you agree with that diagnosis?

14 A. Well, ultimately, she was found to have more
15 than a low back strain. "Low back strain" is a general
16 term that's commonly used in the emergency room setting
17 when an individual is not found to have a fracture or
18 something more severe, so it was an appropriate
19 diagnosis at that time. Ultimately, she was found to
20 have something much more significant.

21 Q. And strain, is that muscle or ligament?

22 A. It could be either. Something in the soft
23 tissues.

24 Q. Did MountainView Hospital take any MRIs or
25 X rays of Ms. Garcia's spine?

1 A. I would have to glance at my record, if
2 that's okay.

3 Q. To refresh -- you need to do that to refresh
4 your recollection, Doctor?

5 A. Yes. I don't recall them taking any
6 radiographic studies.

7 Q. Okay. Have you reviewed the records of a
8 chiropractor, Dr. Gulitz?

9 A. Yes.

10 Q. And could you tell the jury, in general, what
11 type of treatments the chiropractor provided to
12 Ms. Garcia?

13 A. Sure. The chiropractor did chiropractic
14 modalities, which were things like hot packs, and
15 things to make the symptoms feel better. And also
16 provided chiropractic adjustments. Bless you.

17 Q. Did the chiropractor do anything which could
18 have caused the spondylolisthesis?

19 A. No. Not at all.

20 Q. Did the chiropractic treatment resolve
21 Ms. Garcia's pain?

22 A. It did not.

23 Q. Was it reasonable treatment based on
24 Ms. Garcia's symptoms?

25 A. Absolutely. At that stage, it was a

1 reasonable treatment in an attempt get her symptoms
2 better. When the symptoms didn't resolve, then it was
3 appropriate to move on to the next step.

4 Q. So when Ms. Garcia's symptoms didn't resolve,
5 have you reviewed the records where the chiropractor
6 referred Ms. Garcia to Dr. Cash?

7 A. I did.

8 Q. Okay. And are you aware of the
9 recommendations made by Dr. Cash at that time?

10 A. Yes. My recollection is that Dr. Cash
11 identified that this was something more than a back
12 strain, and made a recommendation for a pain management
13 referral.

14 Q. Was it appropriate for Ms. Garcia to -- to
15 see a -- a spine surgeon like Dr. Cash at that point in
16 her treatment?

17 A. Absolutely.

18 Q. Did you review the records of the second
19 spinal surgery opinion provided by Dr. Gross, a
20 neurosurgeon?

21 A. I did.

22 Q. Okay. Was it appropriate for Ms. Garcia to
23 seek a second opinion with regard to the recommended
24 surgery?

25 A. Of course.

1 Q. Could you explain what standard practice is
2 in the community for a significant surgery like this.

3 A. Certainly. Depending on the individual
4 patient, it's certainly reasonable for a person to get
5 a second opinion by a different surgeon. They can get
6 three opinions. It really makes no difference to me
7 when it comes down to choosing a surgeon.

8 Q. So this is a reasonable and customary charge
9 for a patient to incur?

10 A. Absolutely.

11 Q. Now, the jury's heard about Dr. Gross's
12 recommendation, that he also recommended that
13 Ms. Garcia proceed with a fusion surgery, just like
14 Dr. Cash.

15 A. Okay.

16 Q. And do you know if Ms. Garcia proceeded
17 immediately to get that surgery?

18 A. Surgery was not until 2012. Dr. Gross saw
19 her in 2011, so was there a -- a waiting period. She
20 tried some other things first.

21 Q. Okay. And one of the things she tried was
22 with Dr. Lemper. Are you familiar with his records?

23 A. Yes, absolutely.

24 Q. Was it -- was it reasonable for Ms. Garcia to
25 go see Dr. Lemper and incur those charges rather than

1 proceeding immediately with the surgery?

2 A. Of course.

3 Q. Tell the jury why you believe that.

4 A. Dr. Lemper tried some injection therapy. The
5 injections had consisted of injecting some medication
6 that may have given her some therapeutic benefit. It
7 may have helped her decrease her pain to the point that
8 perhaps she didn't need surgery. Unfortunately, it
9 didn't work. So having her try that route, initially,
10 was a reasonable attempt.

11 Q. The records from Dr. Lemper indicate that he
12 performed nerve root blocks, among other items. Are
13 nerve root blocks indicated for myofascial
14 sprain-strain?

15 A. No.

16 Q. Why not?

17 A. Myofascial sprain-strain is a type of injury
18 that just involves -- so, for example, just involves
19 the muscles and soft tissues that overlie the deeper
20 structures of the spine.

21 The types of injections that Dr. Lemper
22 performed were deep injections that were guided by an
23 X ray machine to actually address the structures around
24 the disks, and so you're performing injections that
25 Dr. Lemper performed to address injury to a disk. That

1 does not have anything to do with soft tissues that
2 overlie this area. So you would never perform a nerve
3 block for a person that just had a soft tissue sprain
4 or strain. You're treating separate -- separate
5 problems.

6 Q. You indicated to the jury initially that you
7 believe there was a motion segment injury. Could you
8 tie that in again to the nerve root blocks, what that's
9 treating versus the facet blocks? And just -- I don't
10 believe the jury's heard that term before, "motion
11 segment," by the other physicians.

12 A. The term "motion segment," it's -- it just
13 simply means that -- what you can't see on the model
14 that I showed you earlier is that the lower back
15 vertebrae and disks actually have movement. That
16 movement is accomplished because there is movement at
17 the disk itself, and there's movement at the small
18 joints on the back side of the spine, which are called
19 facet joints.

20 So every segment -- a segment is two
21 vertebrae that are held together basically between --
22 with one disk and two facet joints, that is one motion
23 segment. So when I say that there was evidence of
24 motion segment injury, I mean that there is evidence of
25 injury to a disk and evidence of some injury at the

1 accompanying level to the facet joints.

2 Q. After seeing Dr. Lemper for about a year, the
3 jury's heard that she went to Dr. Kidwell prior to her
4 surgery. And have you reviewed Dr. Kidwell's records?

5 A. I have.

6 Q. Do you believe that Dr. Kidwell's treatment
7 was reasonable and appropriate?

8 A. I do.

9 Q. Prior to surgery, should a patient try to
10 exhaust conservative treatment?

11 A. Depends on the diagnosis. There are some
12 problems that emergent surgery is necessary. But if it
13 is not emergent, then most people should exhaust
14 conservative treatment measures.

15 Q. I believe it was Dr. Lemper mentioned
16 aggressive conservative treatment. It sounds a little
17 bit like an oxymoron.

18 But what is aggressive conservative
19 treatment?

20 A. Well, I think that you -- the umbrella of
21 conservative treatment includes things such as
22 observation of a patient, reassurance. It involves
23 medication. It involves physical therapy or
24 chiropractic care. And then it involves interventions
25 such as injections, where you're sticking needles into

1 the spine. That's a little bit more on the aggressive
2 side.

3 So there's a full spectrum. And the closer
4 you get to doing an elective surgery on a patient
5 that's significant, you want to try to explore all of
6 those conservative options that are even more
7 interventional.

8 Q. And to a reasonable degree of medical
9 probability, do you believe the conservative treatment,
10 even the aggressive conservative treatment that
11 Ms. Garcia received, was reasonable and appropriate?

12 A. I do, yes.

13 Q. Is it your opinion that surgery was indicated
14 by the time Ms. Garcia elected to go forward with it?

15 A. Yes, it was.

16 Q. Why do you believe that the surgery was
17 reasonable and appropriate at that time?

18 A. Enough time had passed at that point where
19 she was not going to resolve on her own.

20 When a person has that level of symptoms, and
21 she was, I think -- the accident happened in January of
22 2011. If she continued to have those symptoms for six
23 months to a year and had tried some of this
24 conservative care without success, the problem wasn't
25 going away.

1 It was also affecting her quality of life,
2 and it got to the point where I believe she wanted to
3 try some other option. So it was a reasonable decision
4 for her to make at that point in time, and I would be
5 supportive of that decision as a physician and say that
6 it's reasonable.

7 Q. Are you familiar with the reports issued by
8 defense expert, Dr. Klein?

9 A. Yes.

10 Q. And you're aware that he believes surgery was
11 inappropriate?

12 A. In so many words, yes.

13 Q. Do you agree with Dr. Klein's opinion that
14 she should have lost weight and, if she'd lost weight,
15 her pain would have resolved?

16 A. Well, I certainly agree that attempt --

17 MR. MAZZEO: Objection. Your Honor, that's
18 misstating the opinions of a defense expert.

19 MR. ROBERTS: I will -- I will rephrase.

20 THE COURT: Okay.

21 BY MR. ROBERTS:

22 Q. Could you explain to the jury, Doctor, your
23 interpretation of Dr. Klein's opinion with regard to
24 Ms. Garcia's weight as it affected her need for surgery
25 and treatment.

1 MR. STRASSBURG: Objection. Foundation,
2 Judge.

3 Approach?

4 THE COURT: Come on up.

5 (A discussion was held at the bench,
6 not reported.)

7 MR. STRASSBURG: Thank you, Judge. I will
8 withdraw that objection.

9 THE COURT: All right. Thank you.

10 BY MR. ROBERTS:

11 Q. Okay. Could you explain to the jury your
12 understanding of Dr. Klein's opinion with regard to
13 Ms. Garcia's weight as it related to her need for
14 treatment?

15 A. My understanding is that weight loss would be
16 a solution -- would have been a solution to
17 Ms. Garcia's problem. That is my understanding.

18 Q. Okay. And did you review the studies that
19 Dr. Klein cites in support of his opinion?

20 A. Yes.

21 Q. Could you explain to the jury what those
22 studies are and whether you believe they support
23 Dr. Klein's opinion on this?

24 A. I'd have to look at those individual studies.
25 I recall reviewing each of the studies that he cited

1 and determining that the studies that he cited did not
2 support his -- his ultimate conclusion. It just did
3 not support what he was claiming the studies claimed.

4 Q. The jury has heard from Dr. Kidwell, who
5 discussed a diagnosis in one of his reports of failed
6 back surgery syndrome.

7 Are you familiar with this diagnosis?

8 A. Yes.

9 Q. And do you agree with this assessment in the
10 case of Ms. Garcia?

11 A. It's an appropriate diagnosis to make. It
12 just basically means that a person still has ongoing
13 symptoms that are in need of treatment after a spine
14 surgery.

15 Q. Does this diagnosis mean that the surgery was
16 not necessary?

17 A. Of course not.

18 Q. Does it mean the surgery was not successful
19 in some ways for Ms. Garcia?

20 A. Of course not. It just means that there are
21 some symptoms that remain that are substantial enough
22 that the patient wishes to have treatment for those
23 symptoms.

24 Q. Did you review the records from Dr. Kidwell
25 and Dr. Gross with regard to the trial spinal cord

1 stimulator?

2 A. Yes.

3 Q. Did you reach an opinion as to whether a
4 permanent spinal cord stimulator would provide a
5 medical benefit to Ms. Garcia?

6 A. Yes. Based on the trial stimulator, the
7 temporary one, I thought that the implant of the
8 permanent stimulator would probably give her benefit.

9 Q. Okay. The spinal cord stimulator technology,
10 is this something that you deal with as a regular part
11 of your practice?

12 A. I do.

13 Q. Could you tell the jury a little bit about
14 your background and experience with spinal cord
15 stimulators both with regard to the medical need, their
16 effectiveness, and the cost?

17 A. Sure. It's something that was part of my
18 training as a resident. It's been part of my medical
19 practice for the last 23 years. I counsel patients as
20 to the potential need for this type of technology and
21 treatment.

22 I'm involved in the decision-making with the
23 patients. I am involved in researching costs
24 associated with the implantation of the stimulators and
25 the replacement of the device.

1 It's something that is a significant part of
2 my practice over the years.

3 Q. Thank you, Doctor. After Ms. Garcia had the
4 trial stimulator placed, the jury's heard that she
5 received facet joint injections by Dr. Kidwell.

6 Do you believe that that was reasonable and
7 appropriate treatment?

8 A. Of course.

9 Q. Okay. And explain -- explain why you believe
10 that was reasonable under the circumstances.

11 A. You're talking about after the surgery?

12 Q. After the surgery, after the spinal cord
13 stimulator, and before the rhizotomies.

14 A. Sure. Ms. Garcia had this stimulator, the
15 temporary one, that provided her some benefit. And
16 there was discussion about her having an implant
17 performed.

18 However, it's my understanding from speaking
19 with her and reviewing records that she was a bit
20 hesitant about having the implant performed and wanted
21 to look at some other options. And so Dr. Gross and
22 Dr. Kidwell looked at other options that may provide --
23 may have provided her some relief of her ongoing
24 symptoms that she had at that time, which was lower
25 back and right leg pain.

1 So the facet injections and other injections
2 that Dr. Kidwell performed were reasonable in an
3 attempt to give her some relief at that time.

4 Q. And next the jury heard about the rhizotomy
5 that Dr. Kidwell performed.

6 Do you believe that the rhizotomy was
7 reasonable and appropriate at the time it was performed
8 by Dr. Kidwell?

9 A. I do.

10 Q. Could you explain the basis for that opinion
11 to the jury?

12 A. Sure. It was -- it was based on a successful
13 temporary -- temporary response to the injections that
14 Dr. Kidwell performed leading up to the rhizotomy. And
15 with those successful injections, Dr. Kidwell thought
16 that he could provide her longer-lasting benefit, which
17 is what a rhizotomy is expected to provide.

18 So performing the rhizotomy with the
19 expectation that you can provide this patient months of
20 relief or -- not necessarily relief but months of pain
21 decrease is an appropriate treatment option.

22 Q. Explain to the jury how often you deal with
23 patients undergoing rhizotomies as part of your private
24 practice.

25 A. Rhizotomies are one of those treatments that

1 are reserved for patients who have ongoing symptoms
2 from complicated spinal injuries. It's something that
3 I discuss with patients on a regular basis. It's
4 something that I have to understand how they work. I
5 have to understand what the medical literature says.
6 And I also have to understand the costs associated with
7 them for purposes of life-care plans as well.

8 Q. Could you explain to the jury what you rely
9 upon for the opinion you're going to provide as to how
10 often Ms. Garcia may need repeat rhizotomies moving out
11 into the future?

12 A. To a certain extent, clinical experience of
13 my own. But to a larger extent, there have been
14 medical studies that look at that particular issue.
15 Those medical studies have identified the frequency of
16 repeat procedures in the patients that had it done.
17 And the range is somewhere around 6 months to about
18 18 months.

19 And so when I look at patients who've had a
20 rhizotomy done, the ideal situation would be if they've
21 had multiple rhizotomies and I can look at their
22 pattern of how they respond.

23 In Ms. Garcia's case, I believe she's only
24 had one. So I have to rely on my understanding of the
25 literature. And in that particular case, I usually

1 will say it's about once a year for a repeat.

2 Q. If Ms. Garcia has only had one rhizotomy, how
3 can you say to a reasonable degree of medical
4 probability that she's going to continue to benefit
5 from rhizotomies in the future?

6 A. I think that in your question, there is part
7 of my answer. My response to that is to a reasonable
8 degree of medical probability.

9 I don't know 100 percent that she's going to
10 need them once a year ongoing, but I am -- I'm
11 reasonably certain or I think it's reasonably probable
12 to occur in the future at that frequency. Again,
13 there's -- there has been medical research that looks
14 at how often people typically have these things done.
15 There's been medical research that looks at whether the
16 frequency of those procedures changes over time. In
17 other words, after they have two or three, do they
18 stretch them out farther in the future? And those
19 studies have shown that they don't. They typically
20 need them at about the same frequency year after year.

21 So based on those pieces of information, I
22 feel comfortable saying today that it's probably going
23 to be once a year.

24 Q. And if Ms. Garcia got relief at her first
25 rhizotomy, is she likely to get similar relief from

1 later rhizotomies?

2 A. Yes. Correct.

3 Q. Doctor, I'm now going to put up a board of
4 Exhibit 43, which has previously been marked. And this
5 is a chart of -- summarizing the invoices from the
6 medical records which have been marked as an exhibit --

7 A. Okay.

8 Q. -- previously in this litigation. I'll move
9 it where you can see it and the jury can see it.

10 Okay. Doctor, as part of your expert
11 assignment, were you provided with the supporting
12 medical billings for all of the providers that have
13 been summarized here on Exhibit 43?

14 A. Every single one of them.

15 Q. And were you also provided with the
16 associated medical records so that you could compare
17 the billing with the treatment that was performed?

18 A. Yes.

19 Q. Okay. Let's start up at the top. Fremont
20 Emergency Services, \$250.

21 Did you find that to be reasonable and
22 customary?

23 A. Yes. That's the emergency room physician
24 that saw her on the day -- three days postaccident.

25 Q. MountainView Hospital, 1/5/11.

1 Did you review that?

2 A. I did.

3 Q. Is that a reasonable and customary charge for
4 the services Ms. Garcia received?

5 A. Yes.

6 Q. Okay. Neck and Back Clinic, \$5,390.

7 What does that represent, Doctor?

8 A. That's Dr. Gulitz, the chiropractor that
9 treated her after the accident.

10 Q. Do you believe his charges were reasonable
11 and customary in the community?

12 A. Yes.

13 Q. Primary Care Consultants, 2,715.40.

14 MR. ROBERTS: Can you see that?

15 BY MR. ROBERTS:

16 Q. What was that, Doctor?

17 A. This is a primary care physician that saw her
18 for a period of a couple of months after the accident
19 in conjunction with the chiropractor.

20 Q. And was this all care that was related to the
21 automobile collision?

22 A. Yes.

23 Q. Desert Institute of Spine Care, let's see,
24 \$4,120.

25 What is that, Doctor?

1 A. This is Dr. Cash for consultation, X rays,
2 and providing her a technologically advanced lower back
3 brace.

4 Q. And the jury previously saw a line-item
5 breakdown of that number.

6 Did you find Dr. Cash's charges to be
7 reasonable and customary for the community?

8 A. Yes.

9 Q. Comprehensive Injury Institute, and the bills
10 that you reviewed total \$9,970.

11 A. Yes.

12 Q. Tell the jury what that is.

13 A. These are office consultation and follow-up
14 charges and report preparation charges for Dr. Gross.

15 Q. Okay. Did you find the total amount billed
16 by Dr. Gross for these charges of \$9,970 to be
17 reasonable and causally related to the accident?

18 A. I found the office visit charges to be
19 reasonable and appropriate. I did not find his charges
20 for report preparation to be typical for the community.
21 So I thought that those would be sort of a separate
22 charge not associated with this accident.

23 The total I came up with was based on his
24 office charges alone, which was \$5,810.

25 Q. And the reports you -- you found that that

1 was related to his expert work rather than treatment?

2 A. Correct.

3 Q. Okay. The next line item, Medical Strategy
4 Management, 77,537.35.

5 Could you explain to the jury what the
6 charges you reviewed were for Medical Strategy
7 Management?

8 A. Sure. These are the -- almost all of these
9 are the surgical bills from Dr. Gross. So this is
10 associated with the actual operation that occurred in
11 December 2012.

12 Q. This is the spine fusion?

13 A. Yes.

14 Q. And did you find those charges to all be
15 causally related to the collision of January of 2011?

16 A. Certainly the work that was done is all
17 related to the accident. I had some comment about some
18 of the individual line items.

19 Q. Okay. Tell the jury what your comment was
20 and what effect it had on your opinion as to whether
21 the total charge was reasonable.

22 A. Okay. There were some of the bills in this
23 ledger that had nothing to do with the surgery. There
24 was some billing that was actually related to review of
25 records, more of a forensic analysis. And I believe

1 that was about \$2,500 worth of those charges.

2 There were some of the line items that --
3 that I reviewed when I looked at the surgery itself
4 that were a bit of outliers in terms of the medical
5 community in which the charges were incurred.

6 Q. And when you say "outlier," you mean higher
7 than normal?

8 A. Higher than typical, yes.

9 Q. Okay.

10 A. So I basically went through line by line. In
11 my opinion, I removed or adjusted things that I thought
12 were higher than typical. And I have a new total.

13 Q. Okay.

14 A. The new total is \$59,649.24.

15 Q. 59,000?

16 A. 649.24.

17 Q. Okay. The next line item I have is Lemper
18 Pain Center, 21,421.

19 A. Okay.

20 Q. Could you tell the jury what those charges
21 were for?

22 A. These charges were for Dr. Lemper and his
23 physician assistant and for the injections performed.

24 Q. And did you find those charges to be
25 reasonable and customary?

1 A. There were also some charges here that were a
2 little bit higher than typical for what was done, and I
3 made some adjustments.

4 Q. Okay. What was your adjustment to the Lemper
5 Pain Center total invoices?

6 A. I guess I should add there was also one
7 charge for a second back brace in a very short period
8 of time after Dr. Cash's back brace, so I removed that
9 charge as well. The new total is 17,191.

10 Q. 191?

11 A. Yes.

12 Q. Now, when you tell the jury that you found
13 the charges to exceed customary charges in the
14 community are not related, are you saying that
15 Ms. Garcia doesn't owe the money?

16 I know. That's a hard question.

17 A. Okay. Thank you. Here's -- here's my
18 assessment. I think that --

19 MR. TINDALL: Objection. Exceeds the scope
20 of his report.

21 MR. ROBERTS: Withdrawn, Your Honor.

22 THE COURT: Okay.

23 BY MR. ROBERTS:

24 Q. Select Physical Therapy. Did you review
25 those charges?

1 A. Yes.

2 Q. What is that for, and did you find them
3 reasonable and appropriate?

4 A. It was for physical therapy that she had in
5 August and September of 2011. I found it to be
6 reasonable and appropriate.

7 Q. Nevada Imaging, \$4,434.56.
8 What was that for, Doctor?

9 A. Those were for imaging studies, MRI scans
10 that were done in August and September of 2011.

11 Q. Okay. We've seen those.
12 Is that charge reasonable and customary?

13 A. It is.

14 Q. The Center for Surgical Intervention,
15 \$21,081.25.

16 A. This is the surgical center for where
17 Dr. Lemper performed injections, and I thought that
18 those charges were usual and customary for the
19 community.

20 Q. So is it customary to have both a surgeon
21 charge and a facility charge for a procedure such as
22 those performed by Dr. Lemper?

23 A. It is.

24 Q. The nerve root blocks?

25 A. Correct.

1 Q. Facet injections?

2 A. Yes.

3 Q. Millennium Laboratories, \$1,357.57 -- what --
4 oops -- 75 cents.

5 What is that for, Doctor?

6 A. This is a company that assists pain
7 management physicians in doing drug screening for
8 patients that are being prescribed narcotic
9 medications. Those charges are typical for the service
10 that is provided.

11 Q. And is it reasonable and customary for a
12 physician prescribing narcotics to do drug screening as
13 part of their work?

14 A. Yes, it is. And this is also related to the
15 motor vehicle accident in question.

16 Q. Okay. The Pain Institute of Nevada, which
17 doctor is that?

18 A. This is Dr. Kidwell and his office.

19 Q. The summary is \$34,325.60.

20 Did you independently add up those invoices?

21 A. Yes.

22 Q. I'm sorry. I've got \$64,325.60.

23 A. That's what I have, as well, as the total.

24 Q. Very good.

25 And is that amount reasonable and customary

1 in the community?

2 A. I found with Dr. Kidwell's charges that his
3 procedural charges were within the usual and customary
4 for the community. His office visit charges were a
5 little bit high. And so I made an adjustment to his
6 total based on that analysis.

7 Q. Okay. So you reduced each office visit by a
8 small amount?

9 A. Yes. I think it was around \$100 per office
10 visit, and I came up with a total of \$57,225.60.

11 Q. 225.60?

12 A. Yes.

13 Q. What about the -- the frequency of the
14 visits? The jury has seen that, for an extended period
15 of time, Dr. Kidwell was seeing Ms. Garcia basically
16 monthly.

17 Did you find that to be reasonable or
18 excessive based on the treatment he was providing to
19 her?

20 A. I don't think it was unreasonable. I think
21 he has been actively treating her for complicated
22 problems for a long time.

23 As you will hear from me, I think going
24 forward, I think that her ongoing treatment can be
25 managed with a lesser number of visits. I think I had

1 made a plan for three visits a year. But I don't think
2 it was unreasonable for her to be seen more frequently
3 during all of this complicated treatment.

4 Q. Thank you, Doctor.

5 MR. ROBERTS: Your Honor, I think it's noon.
6 If this is a good time for the Court, I'm ready to take
7 a break.

8 THE COURT: All right, folks.

9 You're instructed not to talk with each other
10 or with anyone else about any subject or issue
11 connected with this trial. You are not to read, watch,
12 or listen to any report of or commentary on the trial
13 by any person connected with this case or by any medium
14 of information, including, without limitation,
15 newspapers, television, the Internet, or radio. You
16 are not to conduct any research on your own, which
17 means you cannot talk with others, Tweet others, text
18 others, Google issues, or conduct any other kind of
19 book or computer research with regard to any issue,
20 party, witness, or attorney involved in this case.
21 You're not to form or express any opinion on any
22 subject connected with this trial until the case is
23 finally submitted to you.

24 I'm going to have the lawyers come back at
25 1:00. I'm going to have you guys come back at 1:15.

1 (The following proceedings were held
2 outside the presence of the jury.)

3 THE COURT: All right. We're outside the
4 presence of the jury. I know that we talked about
5 arguing some stuff when we came back after lunch.

6 Anything else need to be put on the record
7 now?

8 MR. MAZZEO: No, Your Honor.

9 MR. ROBERTS: No, Your Honor.

10 THE COURT: Okay. Off the record. See you
11 back at 1:00.

12 (Whereupon a short recess was taken.)

13 THE COURT: Let's go back on the record.
14 Case No A637772. We're outside the presence of the
15 jury. You want to do Stan Smith, or do you want to do
16 the video deposition?

17 MR. TINDALL: Video deposition.

18 MS. ESTANISLAO: First.

19 THE COURT: Okay. I looked at the
20 defendant's trial brief regarding the exclusion and use
21 of video deposition and live -- in lieu of live
22 testimony. I think I agree with Mr. Roberts, though,
23 that I think that subpart (2) and subpart (3) of
24 Rule 32 are different, and I think that subpart (2)
25 says that an adverse party can use a deposition of

1 another party for any purpose. If you wanted to use
2 your own client's deposition in lieu of their live
3 testimony, I think subpart (3) would apply.

4 And I think that they're different.

5 MR. TINDALL: May I?

6 THE COURT: Sure.

7 MR. TINDALL: So the first question I would
8 ask this Court is this: Has this Court ever seen that
9 happen?

10 THE COURT: Seen what happen?

11 MR. TINDALL: Where an adverse party uses a
12 person's deposition testimony even though that person
13 is --

14 THE REPORTER: I'm sorry, "where an adverse
15 party uses a"?

16 MR. TINDALL: Let me start all over because I
17 don't even remember what I said.

18 Has this Court ever seen a situation --

19 MR. ROBERTS: Would you like the clerk to
20 swear the judge?

21 MR. TINDALL: Has --

22 THE COURT: Go ahead, Mr. Tindall.

23 MR. TINDALL: Has this Court ever seen a
24 situation where a live adverse party was ready,
25 willing, and able to testify and the other side just

1 played their videotape deposition?

2 THE COURT: I have.

3 MR. TINDALL: Okay. And -- and here's why

4 32 --

5 THE COURT: It's usually Mr. Eglet that does
6 it.

7 MR. TINDALL: Here's why 32(a)(2) -- (a)(1)
8 rather -- excuse me -- (a)(2) does not mean what the
9 Court thinks it means. They can't use a deposition for
10 any purpose. And we have a prime example of that in
11 the opening statement. Remember how they played
12 snippets and the Court required them to disclose to us
13 what snippets they were going to play so we could put
14 into our opening whatever we wanted to put in.

15 If you look at 32(a)(4), it reads, "If only
16 part of a deposition is offered in evidence by a party,
17 an adverse party may require the offerer to introduce
18 any other parts which ought, in fairness, be considered
19 with the part introduced."

20 So when I argued this before openings, I
21 argued to the Court they are required to show what we
22 want them to show which, in fairness, ought to be
23 considered. And the Court ruled no, because that's not
24 in evidence. They wanted to use that deposition for a
25 purpose then, but it wasn't allowed. And that would be

1 under the same rule.

2 So this -- this is not the way it's applied
3 in the Eighth Judicial District. If you look at
4 subpart (E) of 32(a)(3)(A) -- it would be (a)(3)(E) --
5 and with the case law that we cited in our memorandum,
6 there has to be a compelling reason for them to be
7 allowed to put on testimony from a deposition rather
8 than have the party testify live. Submitted.

9 THE COURT: I don't think so. I'm going to
10 let them do it. Sorry. I think subsection (2) allows
11 it.

12 MR. TINDALL: Then we would respectfully
13 choose the option in (4), if that happens, to force
14 them to play parts which ought, in fairness, to be
15 played. Because that's now our choice because at that
16 point it will be offered into evidence.

17 THE COURT: I think they're probably true; I
18 think they're correct about that. If you're going to
19 play a video deposition of a party -- now, if it's
20 being used to offer it into evidence, yeah. I mean, if
21 you're not going to call the defendant, you want to use
22 the deposition instead. And you're going to play that
23 for the jury just to have them listen to the
24 deposition, any part that they want to add, I think for
25 the rule of completeness, they get to include.

1 MR. SMITH: I think that's untimely. We
2 provided them in our pretrial disclosures with the
3 deposition testimony that we intend to use. There was
4 not an objection or a cross-designation or any
5 discussion of what they think would make that testimony
6 complete at trial.

7 THE COURT: Okay.

8 MR. SMITH: And they have 15 days pursuant to
9 16.1 in order to do that, and they didn't do it.

10 THE COURT: I'm still going to let them do it
11 because I think it's fair. Sorry.

12 MR. SMITH: Okay.

13 THE COURT: Now, if you want to play a
14 snippet of a deposition when you have somebody else on
15 the stand and you have them comment on that, that's
16 not -- I don't think that's being offered for the same
17 purpose. So I'm not going to -- if they say -- or, you
18 know, they have one witness on the stand and they want
19 to play a clip that takes, you know, three lines or
20 five lines from a deposition and have a witness comment
21 on that, I think that's different. And I'm not going
22 to make them play the whole deposition and then go back
23 and say, okay, now, what I really wanted to ask you
24 about, Doctor, was this line. I'm not going to make
25 them do that.

1 MR. TINDALL: If that is about to occur
2 again, then we need to have advance notice of that
3 because we would want to object because we don't know
4 what deposition's getting played.

5 Let's take the example of the DMV rep.
6 Andrea Awerbach's deposition was used to preface a
7 question. We would argue that that wasn't being used
8 against an adverse party. It's not against anybody.
9 It's being used for that DMV record. That shouldn't
10 have been allowed anyway. We did not object. But in
11 the future, we will want to. And to do that, we're
12 going to need to have advance notice because they just
13 can't hit play and we don't know what comes out of the
14 speaker.

15 THE COURT: If they say we're going to play a
16 video deposition now, I agree.

17 MR. ROBERTS: And we did provide advance
18 notice. The clip that we used with the DMV rep was
19 provided to them as part of our opening clips. And
20 before the DMV rep got on the stand, I said we may play
21 a clip, but it will be one of the ones from the opening
22 designation.

23 MR. TINDALL: Right. And all designations
24 have been for openings, so I think that's part of the
25 issue on our side.

1 THE COURT: All right. Let's move on.

2 MS. ESTANISLAO: Stan Smith.

3 THE COURT: Trial memorandum regarding Stan
4 Smith.

5 MS. ESTANISLAO: Your Honor --

6 THE COURT: I read Judge Johnson's order
7 where she didn't allow it. I read your brief. What
8 else do you want to add?

9 MS. ESTANISLAO: I just want to reiterate for
10 expert testimony coming in, has to be -- assist the
11 jury, and it will only assist the jury if it's based on
12 particularized facts.

13 His statistics, as he admits on page -- it's
14 on page 9 of my report, I quote from the deposition,
15 the statistics he used are based for an average person.
16 And he said -- he's not even saying this applies to
17 Ms. Garcia. He says he's not saying that she's an
18 average person; he's not saying it applies. It says if
19 the jury thinks this applies, these are the figures.
20 He's not saying she's the average person.

21 So, I mean, besides all the cases I cited, I
22 mean, this clearly is not helpful to the jury in that
23 way. He just gives figures for an average person, and
24 there's no testimony linking the plaintiff as the
25 average person.

1 THE COURT: Okay.

2 MR. SMITH: Both defendants in this case
3 brought motions to exclude this testimony. Both of
4 those motions were denied. This is simply a late
5 motion to reconsider. And Judge Johnson's order is not
6 intervening authority that would allow this Court
7 to -- to overturn its own district court order, and the
8 proof of that is very simple.

9 If her district court order is binding
10 precedent, then this Court's district court order was
11 already binding precedent, and Judge Johnson couldn't
12 have entered the order she already entered.

13 This is just simply a motion to reconsider
14 things that have already been argued. I'm not going to
15 reargue the specifics, because we've argued them and
16 they have been denied. It's an untimely motion to
17 reconsider, and it also has to be denied.

18 MS. ESTANISLAO: I agree that it's not
19 binding authority, but I understand it is persuasive.

20 THE COURT: It could be persuasive. I
21 probably give some judges' orders more weight than
22 other judges' orders. There's no way for you to know
23 which judges those are.

24 MR. MAZZEO: Do you want to share with us,
25 Judge?

1 MS. ESTANISLAO: I just want to know, is
2 Judge Johnson one of them?

3 THE COURT: Well, here's the problem. I
4 think it is essentially a motion for reconsideration.
5 And it's not timely as a motion for reconsideration.

6 What I'm going to tell you to do is when Stan
7 Smith comes -- I'm not going to exclude him at this
8 point because there's already been a ruling saying that
9 I'm not going to exclude him. If he comes and there is
10 not foundation for the testimony that he attempts to
11 offer, then you object to it at that time based on lack
12 of foundation. And I'll rule on it based on what he
13 says. Okay?

14 MS. ESTANISLAO: Thank you, Your Honor.

15 MR. TINDALL: We may voir dire him outside
16 the presence?

17 THE COURT: I doubt it. But we'll see. See
18 what he says in the presence. Okay? Anything else?

19 MR. MAZZEO: No, Judge.

20 THE COURT: Let's bring the jury back in.

21 Dr. Oliveri, if you want to come back up, you
22 can.

23 THE MARSHAL: Jury entering.

24 (The following proceedings were held
25 within the presence of the jury.)

1 THE MARSHAL: Jury is present, Judge.

2 THE COURT: Thank you. Go ahead and be
3 seated. Welcome back, ladies and gentlemen. We are
4 back on the record, Case No. A637772. Do the parties
5 stipulate to the presence of the jury?

6 MR. ROBERTS: Yes, Your Honor.

7 MR. MAZZEO: Yes, Your Honor.

8 MR. TINDALL: Yes, Your Honor.

9 THE COURT: Ladies and gentlemen, let me just
10 ask you a real quick question -- actually, a couple.
11 The first one, I know the line for Capriotti's
12 downstairs was horrible today, and it usually is on
13 Mondays. If I give you an hour and 15 minutes, does
14 anybody find that's not enough time? It's enough time
15 for everybody? Okay. Good.

16 Next question I have -- and this is for
17 planning purposes for Friday. On Friday, I have a
18 niece that's getting married that afternoon, so we have
19 to leave early. We probably need to be done by
20 2:00 o'clock that afternoon. So the question is --
21 we're trying to get as much testimony in as we can. We
22 will probably start at nine that morning.

23 It was -- it was suggested that maybe we
24 could go from nine to two, take a couple of short
25 breaks, but not take lunch and just have you guys have

1 lunch when we break at 2:00 o'clock. If there's -- I
2 mean, I know there are some people that have issues
3 where they need to eat on a regular basis.

4 Does anybody feel like we need to have lunch?
5 And it's okay if you raise your hand. If -- if you
6 raise your hand, we'll take lunch probably from 11:30
7 to 12:30 or 12:00 to 1:00. We'll come back for at
8 least an hour. Anybody want to have lunch on Friday?
9 Not seeing any hands. Everybody's good pushing through
10 and just eating when you leave?

11 Okay. That's what we'll plan on doing.
12 Hopefully that helps you guys as far as scheduling
13 witnesses and stuff.

14 MR. STRASSBURG: Message received, Judge.

15 THE COURT: What's that?

16 MR. STRASSBURG: Message received.

17 THE COURT: Okay. There you go.

18 Dr. Oliveri, just to be reminded, you're
19 still under oath.

20 Go ahead, Mr. Roberts.

21 MR. ROBERTS: Thank you, Your Honor.

22 BY MR. ROBERTS:

23 Q. Dr. Oliveri, I believe we left off by -- you
24 had just talked about Pain Institute of Nevada. What
25 does the bill summary for Medical District Surgery

1 Center represent?

2 A. This is one of the surgery centers where an
3 injection was performed in September 2012.

4 Q. Okay. Which doctor performed those
5 injections?

6 A. I would have to look at the record to refresh
7 my recollection. I don't recall as I sit here.

8 Q. Was it one of the pain management doctors?

9 A. Yes, it was.

10 Q. Dr. Kidwell perhaps?

11 A. I think so.

12 Q. Okay. Pacific Hospital of Long Beach. This
13 is a big one.

14 A. Yes.

15 Q. \$281,351.20. Did you review all of the
16 support that makes up that billing?

17 A. Yes.

18 Q. And tell the jury if you found that amount --
19 the total amount to be fair and reasonable.

20 A. I did.

21 Q. The jury has already heard from Dr. Gross,
22 who said that the markup on the hardware that was
23 placed in Ms. Garcia's spine appeared somewhat
24 excessive to him. Did you see the hardware charge on
25 there?

1 A. I did.

2 Q. Did you also find it to be excessive?

3 A. My analysis of the medical billing for
4 hospitals is one of looking at the total charge. And
5 the way that I do that is by use of database
6 information.

7 I have a subscription to a directory that
8 looks at hospital charges for any hospital in the
9 United States that subscribes and then looks at charges
10 per diagnosis. And so I can -- I can look at various
11 hospitals and their diagnoses and see how they compare
12 to their neighboring hospitals.

13 I don't have a way personally of analyzing
14 charges for hardware specifically or carving that out.
15 So that type of analysis is beyond my area of
16 expertise, and I wouldn't be able to even have a
17 comment.

18 Q. How many hospital charges have you personally
19 reviewed for a lumbar fusion over the last ten years?

20 A. Well, I have probably personally reviewed
21 hundreds of fusion charges over the last ten years.

22 Q. And have most of those been in Nevada?

23 A. Most in Nevada, but certainly other states
24 where patients have had surgeries, I have also reviewed
25 those as well.

1 Q. As you have reviewed hundreds of charges from
2 hospitals, do you find that hospitals tend to -- to
3 make more of their profit in certain line items?

4 A. I don't know. That's another thing that's
5 beyond my scope of expertise.

6 Q. The 281,351.20, does that charge appear to be
7 more than it would have cost to have the same surgery
8 done in a Nevada hospital?

9 A. There is a range of charges for Nevada
10 hospitals, as you can imagine. And I will tell you
11 that the range of charges that I see in reviews -- in
12 bills that I review ranges from the high 100,000 range
13 up to the mid \$300,000 range.

14 When I do life-care plans and map out the
15 charges associated with a lower back fusion surgery, I
16 will usually put in a charge of approximately \$225,000
17 for the cost of the hospital for that component.
18 That's what I currently do.

19 Q. When you say high 100s, you mean 180s, 190s?

20 A. Correct. Closer to 200,000 in that \$100,000
21 range.

22 Q. But you also see bills over 300?

23 A. Yes.

24 Q. National Intraoperative Monitoring, 11,178.

25 What is this charge for?

1 A. This is what is called intraoperative
2 neuromonitoring. These are technicians that assist the
3 surgeon to monitor nerve and spinal cord function
4 during the back surgery.

5 Q. Is that reasonable and necessary?

6 A. Yes.

7 Q. Is this an appropriate charge?

8 A. It is.

9 Q. Ronald Fillmore, RN-FA. What does the FA
10 stand for again at the end of RN?

11 A. I actually don't know. I -- I know what
12 Mr. Fillmore is, but I don't know the initial.

13 Q. Okay. What is Mr. Fillmore?

14 A. He is a surgical assistant for Dr. Grover
15 during this lower back surgery.

16 Q. Dr. Grover or Dr. Gross?

17 A. I'm sorry. Dr. Gross. Thank you.

18 Q. We have another fine surgeon in town named
19 Grover; right?

20 A. We do.

21 Q. The charge here of 33,924.44, did you find
22 that to be reasonable and customary?

23 A. I thought that it was high. This charge --
24 let me explain the basis for the charge. Assistants in
25 surgeries are based on percentages of the primary

1 surgeon's charges. So Nurse Fillmore is charged at
2 approximately 50 percent of Dr. Gross's surgical
3 charges for each of his components.

4 What I have determined from looking at many
5 bills from surgeries over many years is that a more
6 typical and what I would consider a reasonable
7 percentage would be 25 percent of the primary surgeon's
8 charge for the individual components. And so I looked
9 at each of the line items, and I multiplied by
10 25 percent to come up with a different number of
11 14,427.66.

12 Q. 66?

13 A. Yes. I will state, though, that there is no
14 mandated percentage that every surgeon must follow.
15 However, this is my opinion of what I think is
16 customary for the community.

17 Q. Okay. The next we have on the list is
18 Luke R. Watson, M.D. Do you know what services
19 Dr. Watson performed?

20 A. Yes. Dr. Watson was the pathologist with the
21 hospital who did the laboratory billing while -- while
22 Ms. Garcia was in the hospital.

23 Q. Do you find his charge of \$360.69 to be
24 customary and reasonable?

25 A. Yes.

1 Q. Dr. Alla Gartsman. What did Dr. Gartsman do
2 for Ms. Garcia?

3 A. She was the medical doctor who assisted
4 Ms. Garcia from a internal medicine standpoint while
5 she was admitted to the hospital during the lower back
6 surgery.

7 Q. Is it customary for a doctor with her
8 specialty to attend to a patient after this type of
9 surgery?

10 A. It is.

11 Q. Do you find her charge to be fair and
12 reasonable in the amount of \$1,462?

13 A. Yes.

14 Q. And I don't know if I can say that. Diogenes
15 Anesthesia Medical Group, Inc.

16 A. Sounds good to me.

17 Q. Okay. That is a charge for \$5,200. Did you
18 review that charge?

19 A. I did.

20 Q. What is that for?

21 A. This is the anesthesiologist that put
22 Ms. Garcia to sleep and, more importantly, woke her up
23 after the surgery.

24 Q. And this was for the lumbar fusion surgery?

25 A. Yes.

1 Q. And do you find her -- or this charge for the
2 anesthesia group to be reasonable and customary?

3 A. Yes.

4 Q. The last one on this board is a medical
5 billing from Louis Mortillaro, PhD --

6 A. Yes.

7 Q. -- in the amount of \$5,300. Did you review
8 that?

9 A. I did.

10 Q. Could you tell the jury what this is for?

11 A. Yes. Dr. Mortillaro did what is called pain
12 counseling. He is a PhD who assists individuals who
13 have problems with pain to try to have them manage that
14 and deal with their pain better.

15 Q. Did Dr. Mortillaro also do the prestimulator
16 psychological screening?

17 A. Thank you. Yes, he did.

18 Q. Okay. Do you find the charge to be customary
19 and reasonable?

20 A. I do.

21 Q. All right. It is not on this board, but as
22 preadmitted Trial Exhibit 37, there are invoices from
23 Matt Smith Physical Therapy. Did you review the
24 charges from Matt Smith Physical Therapy?

25 A. I did.

1 Q. And could you tell us what the total charges
2 are from your review that you found to be related to
3 the automobile collision of January 2nd, 2011?

4 A. \$4,500.

5 Q. Okay. 4,500?

6 A. Yes.

7 Q. Did you find that amount to be fair and
8 reasonable?

9 A. Yes.

10 Q. Trial Exhibit 39 are the records of Surgical
11 Arts Surgery Center. Did you review those bills?

12 A. I did.

13 Q. And explain to the jury what the Surgical
14 Arts Surgery Center did for Ms. Garcia.

15 A. These are charges from one of the surgery
16 centers where Dr. Kidwell performed procedures in 2014
17 and 2015.

18 Q. And what is the total amount of those
19 charges?

20 A. \$37,145.

21 Q. Did you find those charges to be fair and
22 reasonable?

23 A. Yes.

24 Q. And finally, Trial Exhibit 30 [sic] are the
25 records of the Valley View Surgery Center. Did you

1 review those records?

2 A. Yes.

3 Q. And what did that surgery center do for
4 Ms. Garcia?

5 A. This is where Dr. Kidwell performed injection
6 procedure September of 2015.

7 Q. And what were the total amount of invoices
8 from Valley View?

9 A. \$11,417.30.

10 Q. And did you find those charges to be fair and
11 reasonable?

12 A. Yes.

13 Q. Okay. Did I leave any bills out that you
14 reviewed?

15 A. No.

16 Q. Okay. Doctor, just to summarize, all of the
17 invoices now that you've opined on as far as her past
18 care, did you find all of those charges, as modified
19 during your examination today, to be related to the
20 automobile collision of January 2nd?

21 MR. MAZZEO: Foundation. Speculation.

22 THE COURT: Overruled.

23 THE WITNESS: Yes, I did.

24 BY MR. ROBERTS:

25 Q. Thank you, Doctor.

1 Okay. We've talked about life-care plans.

2 Did you formulate a life-care plan for Ms. Garcia?

3 A. Yes.

4 Q. And in connection with that life-care plan,
5 did you calculate a life expectancy for Ms. Garcia?

6 A. I determined an average life expectancy for
7 someone Ms. Garcia's age based on governmental life
8 expectancy tables.

9 Q. And what was the purpose of determining the
10 average life expectancy for someone of Ms. Garcia's
11 age?

12 A. There were some items that Ms. Garcia will
13 need on an ongoing basis. So I needed to determine
14 what the duration of that length would be or how long
15 she would need those items.

16 And while I don't know how long an individual
17 person will live, I can't predict that, I can use
18 information that's available from the government to
19 determine what the average length of time an individual
20 lives based on their sex and their current age.

21 Q. And based on the life expectancy tables,
22 you're coming to a conclusion, as far as more likely
23 than not, how long is she going to live?

24 A. Correct.

25 Q. And what did you calculate Ms. Garcia's life

1 expectancy to be for the purposes of your analysis?

2 How old did you think she was going to live?

3 A. One moment, please.

4 MR. TINDALL: Objection to the comment how
5 old does he think she's going to live. It's all based
6 on the table, not his opinion.

7 MR. ROBERTS: I can rephrase.

8 THE COURT: That's true. I think the prior
9 question said "based on the life expectancy table." So
10 why don't you rephrase it?

11 MR. ROBERTS: Thank you, Your Honor.

12 BY MR. ROBERTS:

13 Q. Based on the life expectancy table, more
14 likely than not, how long is Ms. Garcia going to live?

15 A. The life expectancy table has indicated an
16 additional 48 years.

17 Q. And that was as of what date?

18 A. That was as of October 2015.

19 Q. You've told the jury you prepared ten
20 supplemental reports?

21 A. Yes.

22 Q. Did I remember that correctly before lunch?

23 And in each of those supplemental reports or
24 in some of them, have you updated your life-care plan?

25 A. Yes.

1 Q. Okay. And as you updated the plan, has the
2 cost of the plan changed over time?

3 A. Yes.

4 Q. Has it gone up or gone down?

5 A. It's gone up.

6 Q. Okay. Could you explain to the jury why your
7 plan has gone up over time as you've issued additional
8 supplements?

9 A. When I first evaluated Ms. Garcia, it was
10 just a few months after her lower back fusion surgery.
11 She was still having symptoms. And I mapped out, to
12 the best of my ability, what I thought was going to be
13 her future medical care at that time based on what I
14 knew.

15 However, since then, I have had the ability
16 to then follow her ongoing treatment for an additional
17 two and a half years and see that her treatment has
18 evolved to include recommendations for some much more
19 advanced medical treatment in the future.

20 And primarily the reason why the medical care
21 dollar amount has gone up is that there has been a
22 recommendation initially for a spinal stimulator. And
23 then subsequently there was a recommendation for the
24 radio-frequency procedures, which Dr. Kidwell has
25 called the rhizotomies. Those are very specialized

1 procedures that are expensive to perform and require
2 expensive medical tools to administer.

3 I've added those to her medical life-care
4 plan because they are reasonably certain to occur.
5 That's why it ended up costing more.

6 Q. Thank you, Doctor. When you added in the
7 rhizotomies, did you take anything out?

8 A. Yes. I removed -- initially, it appeared
9 that there was going to be this neurostimulator as part
10 of her future needs, and then the direction changed
11 when she went in the direction of the rhizotomy.

12 So because the rhizotomy was providing her
13 decent relief, I removed the stimulator from her main
14 life-care plan. So I -- I've made adjustments both
15 ways to reflect as best I could what was likely to
16 occur in the future.

17 MR. ROBERTS: All right. Your Honor,
18 permission to display the table from the tenth
19 supplemental report of Dr. Oliveri as a demonstrative.
20 This is just what itemizes the elements of his
21 life-care plan. Tenth -- oh, ninth supplemental
22 report.

23 MR. MAZZEO: Approach, Your Honor?

24 THE COURT: Come on up.

25 /////

1 (A discussion was held at the bench,
2 not reported.)

3 THE COURT: All right. Based on our bench
4 conference, I think he's going to -- we've agreed
5 you're going to use the summary page; right?

6 MR. ROBERTS: Yes, Your Honor.

7 Your Honor, could we have the --

8 THE COURT: Just gave it to you.

9 MR. ROBERTS: Oh, there it is. Excellent.

10 Okay. Can we just highlight each line and
11 bring it up as big as we can do it? That's really not
12 much bigger.

13 BY MR. ROBERTS:

14 Q. So, Doctor, let's first talk to the jury
15 about Line Item 1 from your life-care plan, "physician
16 visits."

17 Can you explain to the jury what type of
18 physician visits you foresee for Ms. Garcia?

19 A. Sure. She needs a physician to coordinate
20 her care, see her for prescription medications, see her
21 to prescribe any type of rehabilitation. That role is
22 being provided by pain management right now, which is
23 Dr. Kidwell. So someone like him in that type of
24 specialty or with that type of expertise would be
25 appropriate ongoing.

1 I've listed what I think is a reasonable
2 amount or frequency ongoing, which is three times a
3 year, for that purpose. And based on what I think is a
4 usual and customary fee for that service of \$218 per
5 visit, at three times a year is \$654 per year in that
6 item, what I've listed there.

7 And I think I misspoke earlier. I said
8 48 years. It's actually 49 years from October 2015.
9 And then you multiply those two values to come up with
10 the yearly charge of \$32,046 on a lifelong basis.

11 Q. So the 32,000 is for her lifetime, three
12 visits a year with Dr. Kidwell or someone like him?

13 A. Correct.

14 Q. What about Line Item 2, the spine surgeon
15 physician visits? What is that for?

16 A. This is a one-time charge for a follow-up
17 consultation in the future. The reason for this is
18 that Ms. Garcia has had a lower back fusion at the L4
19 to S1 level. She is a relatively young individual at
20 this time.

21 And I'm not sure if Dr. Gross spoke about
22 this previously, but because of the fact that she's had
23 a fusion surgery at this level and because of her young
24 age and because of the fact that she is likely to live
25 for a number of decades, she needs to have a provision

1 to have another surgery at the level just above, at
2 L3-4.

3 There is a phenomenon called adjacent segment
4 pathology that occurs after lower back fusion surgeries
5 where there's additional stress and strain placed on
6 that level just above the fusion. And over a period of
7 time, typically about 20 years or so, the disk above
8 the fusion will have problems to the point that an
9 additional surgery will be required.

10 Q. Is that the same phenomenon that some
11 surgeons refer to as adjacent segment breakdown?

12 A. It is.

13 Q. Let's look at line 3, "palliative physical
14 therapy."

15 Could you explain to the jury why you're
16 including this line item in your life-care plan?

17 A. Just as you will see in, I believe, the next
18 couple of pages where I have included a provision for
19 Ms. Garcia to -- to take some pain medications, I've
20 also included this line item, which is allowing her to
21 periodically go see a physical therapist or someone
22 like that, if she's having a bad week or a bad period
23 of time, to get some treatments to temporarily make her
24 feel better. So this is a provision for her to go see
25 a therapist for some electrical stimulation, for some

1 hands-on physical therapy to update her program of
2 exercises.

3 I've made a provision for 12 visits a year on
4 an ongoing basis and used usual and customary charges
5 for that amount to equal \$170 a visit or \$2,040 yearly.

6 Q. Thank you, Doctor. Let's look at Line
7 Items 4, 5, and 6.

8 And these are medications that you believe
9 Ms. Garcia's likely to need in the future; is that
10 correct?

11 A. Actually, it's -- lines 4 and 5 are the
12 likely needed medications. Line 6 is a medication that
13 she was taking that I have removed the cost because I
14 don't think it's likely to occur.

15 Q. Could you explain those lines to the jury?

16 A. Sure. Line 4 is an analgesic class of
17 medication. This is a painkiller. And she's currently
18 using that class of medicine, a medicine called
19 tramadol. And based on her use of the medication, I
20 researched the cost and came up with a yearly cost of
21 \$1,071.

22 In the spasm medication class, she's using a
23 medicine called Zanaflex. And based on her use, the
24 yearly cost is expected to be \$925. And then, I have
25 written the total lifelong cost in the last column.

1 Q. And with line 6, what is a neuroleptic class
2 of medication?

3 A. She was taking a medicine called gabapentin
4 or Neurontin. And this is a medicine that we sometimes
5 use for people who have nerve-type pains into their arm
6 or leg.

7 However, she wasn't using it that often. And
8 I thought, based on her intermittent use, I didn't feel
9 comfortable saying that it was likely to occur for the
10 rest of her life. So I listed it to remind myself that
11 it was something she was taking, but I didn't include
12 it because I wasn't reasonably certain it was going to
13 continue.

14 Q. The jury has seen that, over a course of her
15 treatment, Ms. Garcia was taking Lortabs and later
16 Norco.

17 Do you have any medications of that class in
18 her future life-care plan?

19 A. That class is in the first category I
20 mentioned, which is analgesic medicine. It happens to
21 be a narcotic analgesic, which is stronger than what I
22 included the provision for and -- but I didn't include
23 a provision specifically for the narcotics.

24 Q. Tell the jury why you don't believe more
25 likely than not she's going to need the narcotic

1 medication in the future?

2 A. She expressed an interest to me in not
3 wanting to take narcotics. She seemed to be doing
4 relatively well with this tramadol, which is really a
5 narcotic substitute. And so I thought, you know,
6 looking at that -- that litmus test of what's
7 reasonably probable to occur in the future, that I felt
8 comfortable saying that it was going to be something
9 like tramadol.

10 Q. Thank you, Doctor. Line Item 7,
11 "radiographic services, MRI, lumbar spine, and X rays."
12 And you've got just one year for that.

13 Can you explain to the jury why you included
14 one year?

15 A. Yes. This was an MRI scan to be done in the
16 future before she needed to have that second back
17 surgery. So it would be a follow-up MRI in terms of
18 surgical planning before the future back surgery would
19 occur.

20 Q. Thank you. Can we go to the next page of the
21 table, page 2.

22 Okay. Moving on to line 8 under "surgical
23 intervention and procedures," "repeat radio-frequency
24 ablations, rhizotomies."

25 Could you explain to the jury what provisions

1 you've made for rhizotomies moving into the future?

2 A. This is the rhizotomy procedure that
3 Dr. Kidwell performed, and I have listed it as a
4 once-yearly procedure. And as I indicated before, it
5 could be as often as every six months. It could be as
6 infrequently as every 18 months. Average would be once
7 a year.

8 The cost estimate is based on what the actual
9 costs were for the procedure estimated for Dr. Kidwell
10 and the surgery center at 30 -- approximately \$30,000.

11 Q. The 30,000, how many procedures is that for?

12 A. One procedure per year.

13 Q. Line 9, "preoperative medical clearance
14 testing." And you've got one year.

15 Could you explain the need for that
16 procedure?

17 A. This would be a preoperative clearance before
18 future lower back surgery. So this would be in the
19 year 2037, her going to the medical doctor to have EKG,
20 chest X ray, blood tests before having a follow-up
21 lower back surgery.

22 Q. And finally, on this page, line 10, "lumbar
23 reconstruction surgery, one year."

24 Could you explain to the jury this item once
25 again?

1 A. Yes. This is the provision for that future
2 lower back surgery that I mentioned earlier at the
3 level just above her current fusion, at L3-4. This is
4 what was -- you mentioned as the adjacent segment
5 breakdown. I mentioned it as adjacent segment
6 pathology.

7 The provision is for another future back
8 surgery. The cost that I've listed as 289 -- 289,000
9 to 309,000 includes all of the components. So I think,
10 as I mentioned earlier, I included the hospital charge
11 estimate of about \$225,000. I included the surgeon,
12 assistant surgeon, some medication provision, back
13 brace estimate, and added those up to give the range.

14 Q. Thank you, Doctor. And do you agree that,
15 more likely than not, Ms. Garcia's going to need this
16 second lumbar reconstruction surgery in the future?

17 MR. MAZZEO: Speculation, Judge.

18 THE COURT: Come on up for a minute, guys.

19 (A discussion was held at the bench,
20 not reported.)

21 THE COURT: Objection is overruled. Go
22 ahead.

23 MR. ROBERTS: Thank you.

24 BY MR. ROBERTS:

25 Q. And, Doctor, if I could, before you answer

1 that question, back up just a little bit and -- and get
2 your foundation.

3 What education, training experience do you
4 have that would allow you to opine as to the need of a
5 future lumbar reconstruction?

6 A. As I mentioned earlier, I am very much
7 involved in the diagnosis and management of spinal
8 problems in my own patients. It has occupied more than
9 50 percent of my clinical work over the last 20-plus
10 years.

11 I am involved in decision-making regarding
12 spinal surgeries with my patients for that period of
13 time. I am familiar with the literature in spinal
14 surgery. I'm a member of the North American Spine
15 Society, which is a group of surgeons and nonsurgeons
16 that research and are involved in spinal injuries for
17 patients. And I've been a member of that society for a
18 long time.

19 I've looked at the literature that has
20 been -- that has studied this issue. And the
21 literature suggests that there is a very specific
22 percentage of individuals that go on to have future
23 spinal surgery after having an initial one in the lower
24 back.

25 And what I've indicated previously with

1 respect to Ms. Garcia, that there has been research
2 that suggests that at ten years the percentage of
3 individuals that have future surgery at that adjacent
4 segment is 36 percent of the patients. The percentage
5 at five years was half that. It was a linear
6 relationship going from zero to five years to ten years
7 with ten years being 36 percent. And at five years it
8 was 18 percent.

9 Based on all of those factors that I
10 mentioned and that linear relationship, it is
11 reasonable to conclude that certainly, by 25 years,
12 she's going to tip the scales to -- it's going to tip
13 the scales where it's going to be probable that she's
14 going to have a problem where she needs to have lower
15 back surgery.

16 I could have even suggested, with a 49-year
17 life expectancy, that it -- that it would have happened
18 even twice, but I didn't. I said it's going to happen
19 once, to a reasonable degree of certainty.

20 And so that's the basis for me saying that,
21 at the time in 2037, that it was likely to occur.

22 Q. So in including this in your report, did you
23 just rely upon Dr. Gross or did you rely upon your own
24 evaluation of Ms. Garcia's needs?

25 A. I relied primarily on my own assessment. But

1 I did call her surgeon, Dr. Gross, and I asked him his
2 opinion about it. And he was in agreement with what --
3 well, let me back up.

4 I asked him -- I told him that I had assessed
5 her. I told him that, in my assessment of the
6 literature, there is a likelihood that she would
7 require a future lower back surgery. And he told me
8 that he was in agreement with that assessment.

9 Q. Thank you, Doctor. Can we have page 3 of
10 your summary table. Okay. So now we see a grand total
11 here.

12 Could you explain to the jury how you came up
13 with that grand total?

14 A. That represents the yearly totals for each of
15 those items that we've reviewed that are simply added
16 up.

17 Q. During opening statements with Mr. Mazzeo,
18 the jury saw that there was the stimulator in a prior
19 life-care plan.

20 Is the stimulator included in your most
21 recent grand total?

22 A. No.

23 Q. Okay. In your opinion, to a reasonable
24 degree of medical probability, if she doesn't get the
25 rhizotomies, is she going to need the stimulator? Is

1 it one or the other?

2 A. I think that she would probably want the
3 stimulator if she doesn't get the rhizotomies because I
4 think she's going to want to have something that gives
5 her relief. And it looks like the rhizotomies are
6 giving her that decent relief right now. If she
7 doesn't get it with the rhizotomies, I think she's
8 going to be searching for something else.

9 Q. And what would be the cost of the stimulator
10 if the jury is to find that the rhizotomies are not
11 reasonable and necessary and want to provide the
12 stimulator instead?

13 A. I researched the stimulator, and the cost
14 over that same time frame is 431,000 to 641,000.

15 Q. And would that include both the placement of
16 the permanent stimulator and the periodic battery
17 replacements and other maintenance?

18 A. Yes. But that -- keep in mind that total
19 does not include the other items. It doesn't include
20 the physician visits. It doesn't include physical
21 therapy. It doesn't include the back surgery
22 provision. Doesn't -- does not include medications.

23 That's just the stimulator component.

24 Q. Thank you very much, Doctor. And now, to
25 sort of wrap up, all of the future life-care needs that

1 you have priced out for Ms. Garcia in your life-care
2 plan, is all of that stated to a reasonable degree of
3 medical probability?

4 A. It is, yes.

5 Q. Okay. Thank you very much, Doctor.

6 I pass the witness, Your Honor.

7 THE COURT: You're welcome.

8 Cross, Mr. Mazzeo?

9 MR. MAZZEO: Yes, Your Honor. Thank you.

10 I'll need a moment to set up, Judge.

11 May I proceed, Your Honor?

12 THE COURT: Go ahead.

13 MR. MAZZEO: Thank you.

14 CROSS-EXAMINATION

15 BY MR. MAZZEO:

16 Q. Good afternoon, Dr. Oliveri.

17 A. Good afternoon.

18 Q. So -- well, let's start with your first
19 medical evaluation.

20 As you testified to on direct, that was --
21 you first examined Ms. Garcia on July -- or June 4th of
22 2013; correct?

23 A. Yes.

24 Q. That was about five months after her fusion
25 surgery in December of 2012?

1 A. Right.

2 Q. Okay. And do you agree that your
3 comprehensive medical evaluation and life-care plan was
4 created for litigation purposes?

5 A. Yes.

6 Q. And do you agree that it was not designed to
7 be used for -- to be used by a treatment provider for
8 treatment?

9 A. Agreed.

10 Q. Okay. And is it correct that your
11 comprehensive medical evaluation contains a complete
12 statement of all the -- strike that.

13 Is it correct to say that your comprehensive
14 medical evaluation and all of the nine supplemental
15 reports contain a complete statement of all the
16 opinions that you have expressed and the basis for
17 those opinions?

18 A. Yes.

19 Q. And is it correct to say that your -- these
20 reports, including the first one and the nine
21 supplements, identify all the data and other
22 information that you relied on in forming the opinions
23 you expressed here today?

24 A. I don't know about that. I would suppose
25 that my education, my experience, those sorts of things

1 are not necessarily articulated in every single report
2 or in total in the reports. I have tried to lay out
3 the foundation for my opinions as best I could.

4 Q. Okay. So other than your education and
5 experience, though, is it correct to say that those
6 reports that you had provided to Ms. Garcia on behalf
7 of her legal claim contains all the data and other
8 information you relied upon?

9 A. I would agree with that.

10 Q. Okay. And I believe you said on direct
11 examination that about 60 percent of your work -- well,
12 strike that.

13 Is it correct to say -- I'm not sure you
14 testified to this yet.

15 Is it correct to say that about 50 to
16 60 percent of your work that you do as -- in your
17 profession is -- is done with respect to -- you perform
18 forensic medical -- medical-legal evaluations?

19 A. Yes, I think that's right.

20 Q. And -- and I take that number -- I got that
21 number from when you had testified at your deposition
22 in July of 2014.

23 But isn't it a fact, Doctor, that, as you sit
24 here today, that that number is probably higher, that
25 the amount of forensic work you do is probably greater

1 today than it was back in 2014?

2 A. I think it's still probably in that range. I
3 don't know if it's greater. I don't know that I have
4 that information.

5 Q. Okay. And isn't it a fact that you've -- at
6 least as of 2014, that you were performing anywhere
7 from three or four to eight or nine forensic
8 evaluations a year for clients of Glen Lerner's law
9 firm?

10 A. I think that -- you asked me in the last few
11 years. That was probably the range at that time, yes.

12 Q. Okay. That was the range per year, though?

13 A. Yes.

14 Q. Okay. And so that would come out to anywhere
15 from 15 to 45 forensic evaluations for clients of Glen
16 Lerner's firm over a period of five years; correct?

17 A. In the preceding five years.

18 Q. Yes?

19 A. Yes.

20 Q. Okay. And now, as of 2014, I -- I understand
21 that your total bill at that time for the forensic work
22 that you performed in this case was \$15,015.

23 And has that amount gone up?

24 A. It has.

25 Q. And it's gone up because you have performed

1 and provided additional medical records reviews and --
2 and drafted additional reports; correct?

3 A. Correct.

4 Q. So, as you sit here today, what is the total
5 bill for the work that you've performed on this case
6 for Ms. Garcia?

7 A. I don't have the -- the actual billing in
8 front of me. Can you tell me the date of my deposition
9 again?

10 Q. So that would be July 23rd, 2014.

11 A. I'm going to estimate that, since that
12 deposition, I have done an update of the life-care plan
13 on two occasions. I have reviewed expert reports. I
14 have probably done a lot of work. I'm sure it's well
15 above \$20,000, but I don't know for sure.

16 Q. Okay. Because at the time of your deposition
17 in July of 2014, at that point, you had provided the
18 initial comprehensive medical evaluation life-care plan
19 and then a first and second supplement. Correct?

20 A. Yes.

21 Q. Okay. And then your third supplemental
22 report was done in October of 2014.

23 A. Okay.

24 Q. Okay? So for those additional -- I believe
25 it's an additional seven reports, or is it an

1 additional six reports?

2 A. Okay.

3 Q. You're saying that your bill is around 20 or
4 significantly greater?

5 A. Well, I'm -- I'm telling you it's got to
6 be -- it's probably significantly greater than \$20,000,
7 but I don't know what it is. I -- as I said, I have
8 done a lot of work in this case and more than any other
9 file.

10 Q. And for your trial testimony today, you're
11 going to be here for the entire day, what do you
12 bill -- what's your -- what are you billing for the
13 time that you're here for a full day of trial
14 testimony?

15 A. I'm billing \$7,000.

16 Q. Now, as you testified to on direct, you have
17 not been retained in this case as a medical provider
18 for Ms. Garcia; correct?

19 A. As a treating physician, no.

20 Q. Correct. And -- and now, based on what
21 you've testified to, which is essentially -- well,
22 strike that.

23 Based on your medical record review, which
24 you talked about in your direct examination, your
25 physical examination that you performed on June 4th of

1 2013 as well as your interview with Ms. Garcia where
2 she gave you information about her history of present
3 illness, past medical history, et cetera, based on that
4 information, the conclusions that you reached are --
5 collectively from your testimony appear to support --
6 you're saying they support her claim for -- for these
7 damages that are attributable -- for all the medical
8 treatment that she needed that is attributable to the
9 subject accident; is that correct?

10 MR. ROBERTS: Objection. Form.

11 THE COURT: I'm going to sustain that because
12 I didn't understand it.

13 MR. MAZZEO: I know. I went -- I was a
14 little wordy with that, and I kept going on. I was
15 trying to add too much.

16 All right. Thank you for the objection.

17 BY MR. MAZZEO:

18 Q. All right. The conclusions that you -- that
19 you testified to today to say that all of the medical
20 treatment -- and you were shown this board and then you
21 were shown some other records, this board right here,
22 your conclusion that -- that all of this medical
23 treatment, based on records that you say you reviewed,
24 that -- that they're all related to the subject
25 accident, that's based -- this conclusion is based on

1 your review of all the medical records, your interview
2 of Ms. Garcia, and your physical examination. Does
3 that sum it up?

4 A. Yes.

5 Q. Okay. Now, would you agree that -- that in
6 all of the -- with all of the cases that Glen Lerner's
7 office had provided -- of the clients that you had
8 evaluated for forensic evaluation, that there is not
9 one case where you drafted a comprehensive medical
10 evaluation that was not favorable to the client of Glen
11 Lerner's office?

12 A. Oh, I don't think that -- I don't think
13 that's the case.

14 Q. Okay. And in any event, would you agree that
15 it's a pretty -- it's a pretty -- the relationship --
16 professional relationship that you -- your office has
17 with Glen Lerner's office is financially profitable for
18 both yourself and for Glen Lerner's office?

19 A. Clearly I have a -- a business in which I
20 have many referral sources from many different
21 attorneys and third parties in Las Vegas, and I've had
22 that for many years.

23 Q. But, Dr. Oliveri, I'm just specifically
24 asking you about in this case, because Ms. Garcia's a
25 client of Glen Lerner's office, your relationship with

1 Glen Lerner's office, and not just with this case but
2 all the other cases that you have worked on, it's --
3 it's a -- it's financially profitable to have that
4 relationship?

5 A. Of course.

6 Q. Okay. And now, with regard to your medical
7 evaluation that occurred on June 4th when you performed
8 the examination, would you agree that a patient's
9 self-report, what a patient reports to you, is
10 important in assessing the relatedness of treatment,
11 injuries, diagnoses to a subject accident?

12 A. Depending on what that item is, the
13 self-reporting can have varying degrees of importance,
14 but the self-reporting is oftentimes an important
15 factor.

16 Q. Okay. And when Ms. Garcia came to you on
17 June 4th of 2013, do you agree that she knew she came
18 to you for an evaluation with respect to her
19 medical-legal claim?

20 A. I don't recall if she voiced that. I can
21 tell you that I always explain to the individual coming
22 to my office why they are there in my office, that I'm
23 there to evaluate them in reference to a particular
24 accident or injury, regardless of who's referring them.

25 Q. So regardless of who's referring them, but is

1 it fair that there was an understanding when she came
2 to you on June 4th of 2013, that she knew that you were
3 retained on her behalf with respect to her
4 medical-legal claim?

5 MR. ROBERTS: Objection. Foundation.

6 THE COURT: I'm going to let him testify what
7 he knows. Overruled.

8 THE WITNESS: Again, I don't recall. I think
9 that most people seem to be aware of what's going on to
10 know who referred them to my office. But, again, I
11 explain to them that -- why they're there in my office.

12 BY MR. MAZZEO:

13 Q. And you agree that patients, when they come
14 to you for a forensic evaluation, that they're
15 self-reporting to you, that it's subjective in nature?

16 A. Of course.

17 Q. Okay. And do you agree that there are
18 plaintiff patients -- so combined when we're talking
19 about patients who have medical-legal claims so that
20 there are plaintiff litigants, that certain plaintiff
21 litigants who are -- come to you for an evaluation
22 might minimize the extent of prior injuries or symptoms
23 because it might adversely affect the present value
24 of -- the value of their present case?

25 A. I think that might occur.

1 Q. Okay. And would you agree that there is --
2 because the self-reporting is subjective, that there's
3 no way to definitively confirm what a patient said to
4 you is -- whether a patient is candid in their
5 self-reporting, I should say?

6 MR. ROBERTS: Objection. Overbroad.

7 THE COURT: I'm going to let him answer.
8 Overruled.

9 THE WITNESS: I can only work in the realm of
10 being a physician. So I look at what a person says,
11 and then I compare and contrast their statements to
12 what is in the medical records and what I know in terms
13 of medical diagnoses.

14 And then if there are discrepancies, I try to
15 either reconcile it, and if it's not reconcilable, I
16 can have problems with their statements. So I go
17 through that process to try to determine if it's -- if
18 they're being candid or not. But I'm not a human lie
19 detector.

20 BY MR. MAZZEO:

21 Q. Absolutely. And -- and -- and so that's what
22 I was asking. So you're not -- you're not sitting here
23 today vouching for Ms. Garcia's credibility in
24 reporting her past medical history; correct?

25 A. No. I'm just -- I'm telling -- I'm trying to

1 explain the process that I went through to come up with
2 my medical opinions but certainly not vouching in
3 general for a person's credibility. That's not my job.

4 Q. And isn't it a fact that you've known
5 patients and you've probably had a number of patients
6 who have had long-standing chronic symptomatic
7 conditions who have not sought treatment for a symptom
8 in the past; correct?

9 MR. ROBERTS: Objection. Irrelevant.
10 Hypothetical not based on any facts in this case.

11 THE COURT: Yeah, based on the fact he did a
12 life-care plan, I'm going to allow him to answer.
13 Overruled.

14 MR. MAZZEO: Thank you, Judge.

15 THE WITNESS: Yes.

16 BY MR. MAZZEO:

17 Q. Okay. And -- and, Doctor, just because
18 you've testified that there was -- you didn't see --
19 review any preaccident medical records of prior
20 treatment showing symptomatology to Ms. Garcia's neck
21 or back from the past doesn't necessarily mean that she
22 was asymptomatic prior to the motor vehicle accident;
23 correct?

24 A. Agreed.

25 Q. Now, let's talk about your initial report.

1 And I know in preparation for trial, you have
2 reviewed -- reviewed your reports; correct?

3 A. Yes.

4 Q. And when did you review them in relation to
5 your testimony today?

6 A. I started reviewing them last week. I have
7 looked at them periodically. Last time was this
8 weekend.

9 Q. And then in preparation for your testimony
10 today, is it correct that you've spoken with
11 plaintiff's counsel in this case?

12 A. Yes.

13 Q. And when did you speak with him or her?

14 A. Last Monday.

15 Q. And how many attorneys were present at the
16 time?

17 A. Three attorneys.

18 Q. Okay. And would they be the attorneys in
19 this courtroom?

20 A. Yes.

21 Q. Okay. And how long was your meeting for, for
22 the preparation you had?

23 A. Four hours.

24 Q. Okay. And during those four hours, is it
25 correct to say that some of the issues and topics that

1 were discussed would include some of the testimony of
2 the treating doctors who had testified already in this
3 case; correct?

4 A. I don't recall us talking too much about
5 that. It was mostly about my reports, my deposition
6 testimony. I think that was the bulk of it.

7 Q. Okay. Now, the preinjury status section you
8 have in your report -- and you have reviewed it, so I
9 just wanted to ask you a question about it. You don't
10 have to look at it yet.

11 A. Okay.

12 Q. So, now, that's -- that's a section of -- of
13 the report regarding what Ms. Garcia told you were
14 her -- her condition regarding her spine?

15 A. Before the -- before the motor vehicle
16 accident.

17 Q. Correct.

18 A. Yes.

19 Q. Okay. And -- and the information contained
20 in this section was entirely provided to you by
21 Ms. Garcia's self-report; correct?

22 A. Correct.

23 Q. And -- and then under -- you also have a --
24 you had a -- I guess it's -- the consultation and
25 interview would be interchangeable words; right? You

1 had a consultation or an interview, you spoke with
2 Ms. Garcia about various items that are contained in
3 your report?

4 A. Yes, and all that's in the History section.

5 Q. Okay. And your physical examination, which
6 you testified you had performed, that consisted of
7 looking at her active low back range of motion, you
8 assessed her sensation in the lower extremity, and also
9 you assessed her gait. Correct?

10 A. And her reflexes and her strength.

11 Q. One of the reports that you reviewed in
12 connection with your medical evaluation included
13 Dr. Cash's February 16th, 2011, record; correct?

14 A. Yes.

15 Q. And that was a consultation record, that
16 record that you reviewed?

17 A. Yes.

18 Q. Dr. Cash only conferred and consulted with
19 Ms. Garcia on that one day; right?

20 A. Yes.

21 Q. Okay. And so do you recall that in that
22 record, Dr. Cash noted the physical examination that he
23 performed on Ms. Garcia?

24 A. I don't recall what he said.

25 Q. Okay. Well, do you recall that he had

1 performed a range of motion testing of her cervical and
2 lumbar spine?

3 A. I don't remember.

4 Q. Okay. And -- well --

5 MR. MAZZEO: Your Honor, can I have the ELMO,
6 please?

7 BY MR. MAZZEO:

8 Q. I'll just show it to you rather than quote
9 from it. Okay. So I'll bring it down so you can see
10 the date. This is Andrew Cash, 2/16 of 2011, initial
11 consultation.

12 A. Okay.

13 Q. Okay. And so I'll go down to the Physical
14 Examination section of the report. And so you have
15 Physical Examination, two paragraphs. I'm directing
16 your attention to the physical examination of the
17 lumbar spine, which is the second paragraph.

18 A. Okay.

19 Q. And so Dr. Cash noted that she was severely
20 limited, 20 degrees flexion, 10 degrees extension --

21 A. Okay.

22 Q. -- right?

23 And, now, as a physiatrist, the physical
24 examinations are very important for you, correct, in
25 evaluating a patient?

1 A. I think for any physician.

2 Q. Okay. But my understanding is that for a
3 physical medicine rehabilitation doctor, you -- that's
4 a -- of course, for any physician, but very important
5 for a physiatrist as well; correct?

6 A. I don't think there's any additional
7 significance to the examination for doctors in my
8 specialty unless they happen to have special training
9 in manual medicine or something like that.

10 Q. Okay. So would you agree that with this --
11 with this finding by Dr. Cash -- the 20 degrees
12 flexion, 10 degrees extension -- that's pretty limited
13 flexion -- or movement from the waist; correct?

14 A. Yes.

15 Q. Because extension is going backwards;
16 correct? So it's -- 10 degrees is very slight movement
17 backward; right?

18 A. Normal is 25. So it's about a third normal.

19 Q. Okay. And then flexion or -- flexion is
20 forward movement from the waist; correct?

21 A. Yes.

22 Q. And it's -- you can -- you can have more -- a
23 greater movement forward with -- with flexion than you
24 can with extension; correct?

25 A. Probably the way he measured it, normal would

1 be 90 degrees.

2 Q. Okay. And that's where if you bend forward,
3 you're basically -- your head and your torso is
4 horizontal to the ground?

5 A. Right.

6 Q. Okay. So what he noted was a 20-degree
7 flexion; right?

8 A. Right.

9 Q. So a 20-degree flexion is not -- probably not
10 much more than what I'm showing you here. It's tilting
11 forward, but certainly this would be 45, I guess.

12 A. Maybe not quite. It's somewhere in between
13 those two. It would be more like 20, I would say.

14 Q. But in any event, would you agree that
15 Dr. Cash's findings of 20 degrees flexion, 10 degrees
16 extension would indicate that she had severely limited
17 mobility --

18 A. Agreed.

19 Q. -- from the waist?

20 A. Yes.

21 Q. And would you agree that if she, in fact, had
22 that, if that was an accurate assessment, that she
23 would have extreme difficulty engaging in activities of
24 daily life; right?

25 A. Not necessarily.

1 Q. Would you agree that she would have extreme
2 difficulty in bending over to tie her shoe?

3 A. If -- most people don't bend over to tie
4 their shoes with their knees straight. And physicians
5 do these tests of spinal motion with a person's knees
6 completely straight. So we're just trying to put force
7 and motion just on the lower back. So I don't know.

8 She may have had extreme difficulty bending
9 over and tying her shoes, bending her knees. She may
10 have been able to figure out a way to do it and protect
11 her lower back. So I'm not sure. She certainly
12 wouldn't have been pain-free doing daily activities.

13 Q. And -- and would you -- I just gave you two
14 examples -- examples of, I think, dressing and -- and
15 tying shoes. But would you agree, though, that we
16 engage in -- people engage in a lot of activities on a
17 daily basis, not just those two examples that I gave
18 you?

19 A. Correct.

20 Q. That's anywhere from washing and showering
21 and dressing and -- and any sorts of movements that
22 require bending, lifting, pushing, pulling, require a
23 lot of mobility in the waist; right?

24 A. Varying degrees, I would agree with that.

25 Q. Okay. And so -- and so did you -- were you

1 aware that after this accident that Ms. Garcia had --
2 the day after the accident, were you aware when she
3 first went back to work?

4 A. I don't recall as I sit here. I would have
5 to look at the records.

6 Q. And if I was to tell you that she went back
7 to work the -- the next day after the accident, would
8 that refresh your recollection?

9 A. That sounds about right.

10 Q. Now, it sounds about right, but I don't
11 believe that you had indicated anything in your -- in
12 your report, your comprehensive medical evaluation, as
13 to whether she -- how much time she missed from work or
14 whether she was able to, you know, continue working and
15 whether she was able to complete all of her duties that
16 are expected of her in her job position; is that
17 correct?

18 A. I would have to look at my report. I don't
19 remember as I sit here.

20 Q. Feel free. And we're just looking at that
21 first report of July 4th of 2013. And if I can -- if I
22 can assist -- direct your attention to page 4, there's
23 a section on occupational history, but there may be --
24 you can look at any page in the report.

25 A. I would agree that I did not analyze what she

1 did right after the accident in my report.

2 Q. Well, as a matter of fact, at the time of
3 your evaluation, you did note that she was working full
4 duty; correct?

5 A. Correct.

6 Q. And -- and the other thing you noted was what
7 her occupation was. She was a cage cashier at Aliante
8 Casino --

9 A. Correct.

10 Q. -- right?

11 And then also you had indicated the time that
12 she missed, that she missed about four months of work
13 post accident --

14 A. Yes.

15 Q. -- right? But you didn't -- you didn't
16 specify when she missed those four months; right?

17 A. Agreed.

18 Q. Isn't it a fact that those four months that
19 she missed came after the fusion surgery in December of
20 2012?

21 A. I think that's correct.

22 Q. Okay. So would it surprise you to learn that
23 she went to work the next day after the accident and
24 worked a full day?

25 A. No, I think that's probably consistent with

1 what I had indicated in the report about her time away
2 from work as that four months after surgery.

3 Q. Okay. And that Ms. Garcia continued working
4 with the exception of those four months after the
5 fusion surgery, and that was at the end of 2012. So
6 she was off for four months in the early part of 2013,
7 that -- do you also know that Ms. Garcia continued
8 working for -- from the time of the accident for the
9 next three years, three months, with the exception of
10 those four months after the surgery?

11 A. I don't remember the exact time frame. But I
12 know that she did continue to work for quite some time,
13 and the way you're characterizing it sounds about right
14 to me.

15 Q. Okay. And did you understand that Ms. Garcia
16 had an opportunity to -- to request reasonable
17 accommodations at Aliante to accommodate any physical
18 condition she might have that would limit her ability
19 to perform her duties?

20 A. I am not aware of that.

21 Q. Okay. Then -- then is it -- then I would
22 presume that you're not aware that she never made a
23 request for reasonable accommodations for those three
24 years and three months that she worked at Aliante after
25 this accident; correct?

1 A. Correct.

2 Q. Okay. So getting back to Dr. Cash's physical
3 examination. By the way, he didn't use a goniometer
4 when he performed this range of motion evaluation of
5 her lumbar spine. He testified to that last week.

6 So would you agree that if this is accurate,
7 20 degrees flexion, 10 degrees extension, that that
8 would -- that that restricted range of motion in the
9 waist would severely impede her ability to not just --
10 to both work and to engage in all sorts of activities?

11 A. I would say -- I would say it would be
12 expected to create difficulties for her, but I would
13 not necessarily equate that to impeding her
14 difficulties. Because, again, this is a -- a range of
15 motion done with the person's knees straight. And the
16 actual functional activities that -- that you discussed
17 or we discussed involve dynamic movements that include
18 bending of the knees that make it easier on the back.

19 Q. Doctor, you today, this morning, testified
20 about -- you gave an opinion about Ms. Garcia's
21 physical injury, and you had -- one of the first things
22 you had mentioned was a motion segment injury. Do you
23 recall that?

24 A. Yes.

25 Q. And, now, you had defined that for the jury

1 that that was an injury to both the facet joints and to
2 the disks --

3 A. Yes.

4 Q. -- correct?

5 Now, you have reviewed a whole bunch of
6 medical records in this case; right?

7 A. Yes.

8 Q. And would you agree that there was no other
9 doctor -- none -- strike that.

10 Would you agree that none of Ms. Garcia's
11 treating physicians had identified or made a diagnosis
12 of a motion segment injury or pathology as a result of
13 this accident?

14 A. I guess I need you to clarify if you're
15 asking if they used that specific terminology of motion
16 segment injury.

17 Q. Yeah, let's start with that. Isn't it a fact
18 that no other doctor in this case made a diagnosis in
19 any of the records you reviewed of motion segment
20 injury or motion segment pathology?

21 A. Probably not. I don't think I saw that exact
22 terminology used.

23 Q. And as a matter of fact, with respect to --
24 with respect to the facet joints and the disks, at the
25 time that you performed your evaluation in June of

1 2013, isn't it a fact that -- that no doctor had yet
2 identified whether there was a facet and/or disk
3 involvement, there was only a -- an impression made,
4 but no one actually identified that there was both a
5 facet joint and a disk involved at that point?

6 A. I don't know about that.

7 Q. Okay. Well, from your review and preparation
8 for trial, can you tell me if you recall reviewing any
9 medical opinions from any doctors in this case that
10 they -- it was their belief that there was both a facet
11 injury and a disk injury of June of 2013?

12 A. Well, I think -- I think it was clearly
13 implied medically that there was based on all the tests
14 that were done. She had procedures to the facet joints
15 that gave her temporary benefit. She had procedures
16 near the disks that gave her temporary benefit.

17 And so I think that the doctors, by virtue of
18 their procedures and what's implied by those, would
19 have stated it was both facet and disk. I don't think
20 that the doctors were interviewed for a definitive
21 opinion at that time. And so it may not be in a report
22 or filed as such, but I think it was -- it was obvious
23 to me.

24 Q. Well, you had testified on direct that you
25 thought -- when referring to Dr. Lemper's selective

1 nerve root block -- that was the first one that he
2 gave, August 30th of 2011 -- you said you thought there
3 might have been something therapeutic -- therapeutic
4 benefit.

5 She got relief for about one or two days;
6 right?

7 A. Yes.

8 Q. But on your direct examination -- you
9 wouldn't consider that as being diagnostic of a pain
10 generator?

11 A. You're going to have to clarify this for me.

12 Q. Okay. You essentially -- on direct
13 examination earlier today, you basically said that
14 didn't work. You did not consider that Dr. Lemper --
15 Dr. Lemper's selective nerve root block worked. There
16 might have been some therapeutic benefit, but you
17 didn't see that as a diagnostic tool that identified a
18 pain generator.

19 A. No, I don't think that's -- that was not my
20 direct testimony.

21 I think I -- I indicated to the jury that his
22 procedure did not resolve her problems, but I didn't
23 say anything about the -- specifically the diagnostic
24 benefit. I don't believe that was my testimony.

25 Q. And what your testimony was -- from what I

1 wrote down, you said there was some therapeutic
2 benefit.

3 A. That's correct.

4 Q. Okay. And then after that, I wrote down but
5 you didn't -- you didn't consider that to have worked;
6 you said it didn't work.

7 A. Right. So it didn't work in resolving her
8 problems. So she continued to have ongoing problems
9 that then required the physicians to look for other
10 alternatives to treat her.

11 Q. Okay. Okay. Well, we'll come back to
12 Dr. Lemper's selective nerve root block in a little
13 while.

14 THE COURT: Hold on a second, Mr. Mazzeo.

15 Anybody need a break over there? Let's take
16 a break.

17 During our break, you're instructed not to
18 talk with each other or with anyone else about any
19 subject or issue connected with this trial. You are
20 not to read, watch, or listen to any report of or
21 commentary on the trial by any person connected with
22 this case or by any medium of information, including,
23 without limitation, newspapers, television, the
24 Internet, or radio. You are not to conduct any
25 research on your own, which means you cannot talk with

1 others, Tweet others, text others, Google issues, or
2 conduct any other kind of book or computer research
3 with regard to any issue, party, witness, or attorney
4 involved in this case. You're not to form or express
5 any opinion on any subject connected with this trial
6 until the case is finally submitted to you.

7 Take about ten minutes, folks.

8 (The following proceedings were held
9 outside the presence of the jury.)

10 THE COURT: All right. We're outside the
11 presence.

12 Anything we need to put on the record, guys?

13 MR. ROBERTS: Not us, Your Honor.

14 MR. MAZZEO: No, Your Honor.

15 THE COURT: Okay. Off the record.

16 (Whereupon a short recess was taken.)

17 (The following proceedings were held in
18 the presence of the jury.)

19 THE MARSHAL: The jury is present, Judge.

20 THE COURT: Thank you. Go ahead and be
21 seated, folks.

22 We're back on the record in Case No. A637772.

23 Do the parties stipulate to the presence the
24 jury?

25 MR. ROBERTS: Yes, Your Honor.

1 MR. MAZZEO: Yes, Your Honor.

2 MR. TINDALL: Yes, Your Honor.

3 THE COURT: Doctor, just be reminded you're
4 still under oath.

5 THE WITNESS: Yes.

6 THE COURT: Mr. Mazzeo, you may proceed.

7 BY MR. MAZZEO:

8 Q. Doctor, by the way, with -- when you have a
9 condition known as a spondylolytic spondylolisthesis,
10 to determine whether this condition is stable or
11 unstable after an incident, trauma, or traumatic event,
12 is it correct to say that one -- one way of
13 ascertaining whether it's become unstable, this
14 condition, is by way of flexion and extension and
15 X rays?

16 A. Yes.

17 Q. Okay. And based on your review of the
18 records, you know that Dr. Cash had performed -- had --
19 had plaintiff -- or Ms. Garcia submit to X rays in his
20 office on February 16th of 2011.

21 And I'll -- I'll put up the report for you.
22 I just -- it's Plaintiff's 23, page 3. And I'm
23 directing your attention to the radiology and lab
24 reports down at the bottom.

25 And what he notes -- so he did X rays, fore

1 view cervical. And then he referred to a slow,
2 sweeping, gradual kyphotic deformity, stable in flexion
3 and extension with little, if any, spondylosis,
4 including the facet joints.

5 Do you see that?

6 A. Yes.

7 Q. And then he -- of the lumbar spine.

8 He noted he did not identify -- so he didn't
9 identify any instability to the neck or to the lumbar
10 spine; is that correct?

11 A. The flexion extension he's referring to here,
12 it looks like that's just for the cervical. And he's
13 not specifically talking about it with the lower back
14 X ray unless there's something on the next page.

15 Q. But what I'm -- what I'm referring to -- no.
16 It's on that page, Doctor.

17 What I'm referring to is that Dr. Cash did
18 not identify or note that there was any instability to
19 the spondylolytic spondylolisthesis at the L -- L5-S1
20 juncture; correct?

21 A. I guess what I'm saying is, I don't think
22 that this page even comments on his analysis of that.
23 I'm not seeing that.

24 Q. Okay. Fair enough. Now, part of your --
25 part of your evaluation was to -- to -- was to

1 ascertain mechanism of injury; correct?

2 A. Yes.

3 Q. And you actually have a section in your
4 report identified as Mechanism of Injury; correct?

5 A. By history, yes.

6 Q. By history. Okay.

7 And -- and when we talk about mechanism of
8 injury, we're talking about the event or circumstances
9 that cause the alleged injuries; correct?

10 A. Yes.

11 Q. Okay. And -- and one of the reasons for
12 understanding and identifying the mechanism of
13 injury -- injury is to medically and biomechanically
14 understand the injury which occurred and the
15 appropriate treatment for such injury; correct?

16 A. Yes.

17 Q. And as you just indicated, your understanding
18 of the mechanism of injury in this case was based on
19 Ms. Garcia's self-report to you?

20 A. Yes.

21 Q. And isn't it a fact that you don't know what
22 part of Ms. Garcia, if any, struck the inside of her
23 vehicle, if at all, at the time of the impact?

24 A. Agreed.

25 Q. And isn't it a fact that you don't know the

1 manner in which Ms. Garcia's body moved as a result of
2 the impact from this accident?

3 A. Agreed.

4 Q. And you don't know the -- is it fair to say
5 you don't know the severity of the impact that
6 Ms. Garcia -- that that occurred to the vehicle or to
7 Ms. Garcia as a result of this impact?

8 A. Well, I've seen the traffic accident report.
9 But I certainly don't have that level of expertise to
10 analyze the accident reconstruction and those sorts of
11 things.

12 Q. Well, okay. Fair enough. And isn't it a
13 fact that the traffic accident report does not allude
14 to or indicate that -- the severity with which the
15 impact occurred to Ms. Garcia as a result of this
16 accident?

17 A. Agreed.

18 Q. Another section in your report is -- is
19 "chief complaints"; is that correct?

20 A. Yes.

21 Q. And the chief complaints are the symptoms
22 that Ms. Garcia reported to you was -- were her
23 symptoms that she reported to you on the date of your
24 evaluation; correct?

25 A. Yes.

1 Q. Okay. Now, moving on to "history of present
2 illness," the history of present illness was based on
3 your -- the self-report by Ms. Garcia to you; correct?

4 A. Yes.

5 Q. And what she reported to you as of June of
6 2013 was that she had an initial 70 percent improvement
7 after the surgery?

8 A. Yes.

9 Q. That had -- that had diminished to 50 percent
10 by May of 2013?

11 A. Yes.

12 Q. And so this -- this -- strike that.

13 Typically -- one second.

14 Typically, based on your experience as a --
15 as a treating physician and a forensic expert, when you
16 have a two-level fusion, the optimal result is about an
17 80 percent improvement; correct?

18 A. When I counsel patients, I will tell them
19 that -- depending -- certainly depending on how long
20 they've had the problem, we can give realistic
21 expectations. But a 50 percent reduction in symptoms
22 is considered a good outcome. An 80 percent reduction
23 in symptoms would be considered an excellent outcome.

24 Q. Okay. Now -- so -- and at the time of your
25 evaluation, Ms. Garcia told you that she had ongoing

1 symptoms with constant low back pain that were worse in
2 the morning --

3 A. Yes.

4 Q. -- right?

5 Constant numbness in the right thigh?

6 A. Yes.

7 Q. Okay. And -- and with respect to her
8 reduction in activities of daily living, did you
9 indicate that in your report within -- based on the
10 self-report of what Ms. Garcia told you?

11 A. To a certain degree, on page 3 of my report,
12 I discussed some of those things.

13 Q. Okay. And those things that you discussed on
14 page 3 were her limitations at the time of your
15 evaluation; correct?

16 A. Yes.

17 Q. And did -- did Ms. Garcia tell you that as
18 of -- let me just find the correct page -- I believe as
19 of April of 2013, so about two months before your
20 evaluation, that she had driven to Texas to see her
21 mom?

22 A. I don't recall discussing that.

23 Q. Okay. Did Ms. Garcia ever tell you -- aside
24 from the exact date, did she ever tell you, though,
25 that she drove to Texas to see her mom?

1 A. I don't remember discussing that with her at
2 all.

3 Q. Okay. Okay. Now, you also took a social
4 history from Ms. Garcia as well. And that section, the
5 information that Ms. Garcia reported to you, that was
6 her self-report?

7 A. Yes.

8 Q. And at that time Ms. Garcia told you she was
9 not psychologically stable?

10 A. Correct.

11 Q. And that's a subjective statement; correct?

12 A. Correct.

13 Q. And, however, at the time of your evaluation
14 when she told you this, you had not determined the
15 nature or scope of what she was referring to --

16 A. Right.

17 Q. -- correct?

18 So you didn't attribute that statement that
19 she was not psychologically stable to the motor vehicle
20 accident; correct?

21 A. Right. I didn't know necessarily one way or
22 the other.

23 Q. Now, you also -- oh, on direct examination,
24 you were asked questions about -- about the treatment
25 she received -- well, actually, the evaluation she had

1 at MountainView Hospital; correct?

2 A. Yes.

3 Q. Because she didn't -- she wasn't treated at
4 MountainView Hospital.

5 She was just evaluated for her alleged
6 complaints; correct?

7 A. Well, I -- I think there was a treatment
8 recommendation for some medications, and I think they
9 gave her a prescription. So I think that falls in the
10 umbrella of treatment.

11 Q. Okay. Aside from the prescriptions they gave
12 her when she was released from the hospital, she wasn't
13 otherwise treated with any -- any form of therapy at
14 the hospital?

15 A. Agreed.

16 Q. Okay. And I believe on direct examination
17 you were asked by Mr. Roberts about any
18 radio-diagnostic testing and -- that she had submitted
19 to.

20 And I believe on direct you said you don't
21 recall that -- MountainView Hospital taking any
22 radio-diagnostic studies; right?

23 A. Right.

24 Q. I believe -- and this might refresh your
25 recollection -- if we look at your report for

1 January 5th of 2011, she, in fact, had an X ray of the
2 chest on January 5th and a CT scan of the brain on
3 January 5th.

4 And I'll just direct your attention to
5 page 5.

6 A. Right.

7 MR. ROBERTS: Objection, Your Honor.
8 Mischaracterizes the record.

9 MR. MAZZEO: Your answer was?

10 THE COURT: I don't know if it
11 mischaracterizes the record. Let's let him answer and
12 see what he says.

13 THE WITNESS: I see that summary in my
14 report.

15 BY MR. MAZZEO:

16 Q. Okay. And that -- that summary would
17 indicate that -- unless -- unless you can articulate
18 otherwise, that would indicate that she had that --
19 these diagnostic studies at MountainView Hospital?

20 A. Something tells me that this CT scan may have
21 been an error, that it may have been the wrong patient.

22 Q. Is that based on a record you were given by
23 Plaintiff's counsel?

24 A. Or a discussion that I had with them in
25 preparation for today. But I'm not sure.

1 Q. Okay. Fair enough. All right.

2 You also testified on direct examination that
3 the chiropractic treatment that she received and the
4 physical therapy didn't resolve her pain.

5 A. Correct.

6 Q. But based on your review of the records by
7 the chiropractor and the physical therapist, would you
8 agree that she had an improvement from the treatment
9 she was getting?

10 A. Agreed.

11 Q. Okay. And -- and you gave an opinion today
12 regarding the reasonable and -- reasonableness of the
13 charges from the physical therapist.

14 Who was the physical therapist she treated
15 with in August and September of 2011?

16 A. It was Select Physical Therapy, I believe.

17 Q. And I didn't see -- maybe you can show me --
18 direct my attention where in your report you actually
19 reviewed records from Select Physical Therapy.

20 A. I didn't have those records when I authored
21 that first report.

22 Q. Okay. So you had those records -- you
23 referred to billing, I know, later on. And I see that
24 you had -- you were given billing records from Select
25 Physical Therapy. But I didn't see in any of your

1 reports that you actually reviewed Select Physical
2 Therapy records, if at all.

3 Can you tell us if you did?

4 A. I don't know if I did. I thought that I had
5 reviewed the physical therapy treatment records from
6 Select, but I'm not sure. I've done a lot of different
7 reports.

8 Q. Okay. Now, I note in -- in your second -- in
9 your second report, which is your first supplemental
10 report, dated July 23rd of 2013, on page 2, you have --
11 you received a bill, in any event, from Key Health,
12 physical therapy charges.

13 That might have been the biller for Select
14 Physical Therapy?

15 A. Yes, that's correct.

16 Q. Okay. So you had the billing.

17 But as you -- as you said a moment ago,
18 you're not sure if you actually reviewed the Select
19 Physical Therapy records?

20 A. I guess I'd have to look through each of my
21 reports to tell -- to give you the answer. I don't
22 know.

23 Q. Fair enough. Well -- so you've said that
24 that treatment -- it didn't resolve her pain.

25 Can you tell us how much treatment she

1 receive at Select Physical Therapy?

2 Not just the dates, the -- the first date and
3 the ending date. I want to know how many dates she
4 actually sought treatment for.

5 A. I think it was around ten treatments or so
6 during that time frame.

7 Q. Okay. And -- and what's your understanding
8 as to the improvement she received at Select Physical
9 Therapy?

10 A. I could not tell you.

11 Q. Okay. Now, also you testified about
12 questionnaires during the course of your comprehensive
13 medical evaluation.

14 You had administered various questionnaires
15 to Ms. Garcia; correct?

16 A. Yes.

17 Q. And you identified them -- I guess they were
18 basically pain questionnaires; correct?

19 A. Yes.

20 Q. And in this case -- I know you don't use this
21 questionnaire anymore, but you referred to the McGill
22 Pain Questionnaire.

23 A. Yes.

24 Q. And this questionnaire -- this questionnaire
25 was based on -- or the responses by the -- by the

1 patient is -- is based on the -- the patient's own
2 perception of their own pain, which is a -- which is
3 subjective; correct?

4 A. Yes.

5 Q. And with this McGill Pain Questionnaire,
6 that's where the patient chooses words from a list of
7 20 different categories; correct?

8 A. Yes.

9 Q. And do you agree that a person without any
10 pain could ascribe pain to a condition which does not
11 exist?

12 A. Sure.

13 Q. You had -- there's -- and that -- and that's
14 because, with this pain questionnaire, McGill Pain
15 Questionnaire, there's no validity indicator for this
16 test; correct?

17 A. Tell me what you mean by that. I don't
18 understand.

19 Q. Well, there's no indicator, meaning that --
20 that a patient could basically identify any words to
21 indicate that -- any level of pain.

22 And McGill Pain Questionnaire does not have
23 any way to validate or verify the patient's perception?

24 A. I would agree with that.

25 Q. Okay. And I think that's one of the reasons

1 why you no longer use this pain questionnaire.

2 A. That is correct.

3 Q. Okay. And the pain -- this McGill
4 questionnaire -- the validity of the test depends on
5 the accuracy or honesty of the person answering the
6 test; correct?

7 A. Yes.

8 Q. And -- and so you also agree that this test
9 can be manipulated to exaggerate the degree of pain?

10 A. It could.

11 Q. You talked about the Oswestry Low Back Pain
12 Disability Questionnaire as well; right?

13 A. Yes.

14 Q. And that's to -- to assess pain related to
15 disability in persons with low back pain; right?

16 A. Yes.

17 Q. I believe on direct examination you said that
18 Ms. Garcia scored a 32 percent on this; correct?

19 A. Yes.

20 Q. And -- and that -- that 32 percent falls
21 between a perception of moderate disability?

22 A. Correct.

23 Q. Now, this test, it's self-administered
24 questionnaire, subjective questionnaire with ten
25 categories; right?

1 A. Right.

2 Q. And most patients complete this in about five
3 minutes or less?

4 A. Probably.

5 Q. And it's the measure of the -- a patient's
6 perceived disability, but it's not an actual disability
7 reflection; correct?

8 A. Agreed.

9 Q. And the limitations with this test is that
10 it's very subjective; correct?

11 A. It is. All of these tests are that we're
12 talking about -- or, I'm sorry, questionnaires.

13 Q. And would you agree that a patient with a
14 medical-legal claim has the ability to exaggerate the
15 results of disability for monetary gain?

16 A. They could.

17 Q. And you agree that -- that the answers to the
18 questions that are in this Oswestry Low Back Pain
19 Disability Questionnaire could be influenced by a
20 party's interest in a litigation?

21 A. If a person were savvy enough to understand
22 what the questionnaire was, they could manipulate the
23 answers one way or the other.

24 Q. Okay. Now, let's move on to -- you had
25 testified that MountainView Hospital -- there was a

1 diagnosis -- let me -- give me a moment. I just need
2 to turn to my notes.

3 Okay. So, Doctor, you -- you had testified
4 that you reviewed various medical records in this case
5 including those from MountainView Hospital; correct?

6 A. Yes.

7 Q. And you saw that the diagnosis or impression
8 was of low back strain --

9 A. Yes.

10 Q. -- correct?

11 And -- and you also know that Dr. Gulitz
12 diagnosed Ms. Garcia with cervical lumbar sprain and
13 strain?

14 A. Yes.

15 Q. And sprain and strain, for the jury, is -- is
16 basically myofascial muscular pain causing spasms?

17 A. Spasms or pain.

18 Q. Okay. And if you have myofascial muscular
19 pain, that doesn't necessarily indicate disks or facet
20 joints; right?

21 A. Correct.

22 Q. So let's go to -- I believe you offered an
23 opinion regarding -- you -- you -- your opinion -- one
24 of your opinions in this case. And I guess your
25 diagnosis was a L5-S1 motion segment injury with

1 aggravation of a previously asymptomatic spondylolytic
2 spondylolisthesis; correct?

3 A. Right.

4 Q. And the word you used was "spondylotic,"
5 which is synonymous with spondylolysis; correct?

6 A. Right.

7 Q. And when we talk about spondylolysis, we're
8 talking about a pars defect?

9 A. Yes.

10 Q. And that's a defect in the interarticular
11 joint; it's part of the facet joint?

12 A. It's part of the bony structure that goes to
13 the facet joint.

14 Q. And a pars defect usually occurs or develops
15 from a young age or from a -- an injury causing a
16 fracture, correct, or a defect?

17 A. Congenital usually. It could be trauma in
18 childhood, but most of the time, it's just congenital;
19 you're born with that defect.

20 Q. Okay. And your testimony in this case was
21 that -- by the way -- strike that.

22 You had -- I don't think you reviewed actual
23 MRI films in this case, but you reviewed the MRI
24 reports; is that correct?

25 A. Initially in 2013, just the reports.

1 Eventually, as you know, I looked at the MRI scans
2 directly.

3 Q. Okay. So -- and the MRI report showing the
4 condition of the spondylolytic spondylolisthesis,
5 the -- your assessment was that it had predated the
6 subject motor vehicle accident?

7 A. The offset at L5-S1 I thought probably did
8 predate at least to some degree.

9 Q. And when we talk about the offset, we're
10 talking about the pars defect and the slipped
11 vertebrae?

12 A. Correct.

13 Q. Okay. And it's -- and you can state that
14 confidently to a reasonable degree of medical
15 probability that the spondylolytic spondylolisthesis
16 existed prior to the motor vehicle accident?

17 A. Yes.

18 Q. Your understanding was that -- from
19 Ms. Garcia's self-report was that she was asymptomatic
20 in this location prior to the accident?

21 A. Correct.

22 Q. Okay. And so your determination of this, of
23 the fact that -- strike that.

24 Okay. All right. What I wrote down from
25 your direct examination, Doctor, was that there were

1 three things. You determined that -- that she would
2 have been asymptomatic -- or you believed that she was
3 asymptomatic if -- in this with regard to the area of
4 the L5-S1, the pars defect and the slipped vertebrae,
5 because, No. 1, she reported to you that she was
6 asymptomatic prior to the motor vehicle accident;
7 right?

8 A. Right.

9 Q. And then you -- No. 2 was the absence of any
10 prior medical records. You didn't review any other
11 records that would indicate that she was symptomatic;
12 right?

13 A. Right.

14 Q. And then the third thing you said was that
15 it's common for patients who have a spondylolisthesis
16 to be asymptomatic -- to be not symptomatic or
17 asymptomatic?

18 A. Right.

19 Q. Those three things. Okay.

20 And would you agree, though, that there
21 was -- none of the reports that you reviewed, the MRI
22 reports that you reviewed at the time of your
23 evaluation on June of 2013, indicated that she
24 sustained an acute injury to this pars defect and
25 spondylolisthesis?

1 A. The terminology of acute injury has to be
2 defined because MRI has limitations in identifying
3 acute injury. There wasn't any evidence of hemorrhage.
4 There wasn't evidence of dislocation at that level.
5 And so I did not see any of those findings of acute
6 injury at that level.

7 Q. Okay. And also neither Drs. Cash or
8 Dr. Gross ever identified any objective finding of an
9 acute injury from their review of any diagnostic study?

10 A. I don't think that I saw in their records
11 anything like that.

12 Q. Okay. Now, you had mentioned that the motion
13 segment -- that you -- well, you had told us that
14 motion segment injury involves both disk and the facet
15 joints; right?

16 A. Yes.

17 Q. And -- and I believe you came to a
18 determination that -- your opinion regarding this
19 diagnosis of motion segment injury was, in part, based
20 on the injections given by Dr. Lemper?

21 A. Right.

22 Q. Okay. Okay. Let's talk about Dr. Lemper's
23 selective nerve root block for a minute. You contend
24 that Ms. Garcia received relief from the selective
25 nerve root block which was now -- his selective nerve

1 root block was to the disks and the interarticular
2 facet joints; is that correct?

3 A. He initially did the injections to the nerve
4 blocks -- or I'm sorry. He initially did nerve block
5 injections and then subsequently did facet block
6 injections.

7 Q. That's correct. So I'm -- so initially he
8 did the selective nerve root block to identify whether
9 the source was discogenic on August 30th of 2011.

10 A. Correct.

11 Q. And then he subsequently, on September 14th
12 of 2011, did what is referred to as a medial branch
13 block or facet joint block --

14 A. Correct.

15 Q. -- correct?

16 Okay. And he -- and when he did those
17 blocks, he did it at -- he did it at three levels. Let
18 me -- let me be more specific.

19 The selective nerve root block he did at the
20 levels L3-4, L4-5, and L5-S1 bilaterally; correct?

21 A. Yes.

22 Q. Bilaterally -- bilaterally means right and
23 left side?

24 A. Yes.

25 Q. Okay. And so three levels on both sides

1 would be six different sites or six different joints
2 that he injected --

3 A. Yes.

4 Q. -- correct?

5 And that was to identify whether the source
6 of pain was discogenic; right?

7 A. There were two components. One was to
8 potentially provide some benefit to Ms. Garcia by
9 injecting some medicine into those joints. And the
10 next potentially was to get some information as to
11 whether a problem existed at those levels because he
12 put some numbing medicine in the areas as well.

13 And if the problem existed, at least in part,
14 to those facet joints, or to -- if we are talking about
15 nerve roots, the numbing medicine would numb up the
16 painful area, and you could assess in the first 30
17 minutes to few hours whether the person had any
18 reduction in their pain and get some information about
19 the pain generator.

20 Q. And we've already discussed the fact that she
21 received one to two days of relief after the selective
22 nerve root block; right?

23 A. Yes.

24 Q. But because it was performed at three levels
25 bilaterally, is it fair to say that Dr. Lemper was not

1 able to identify the pain generator, whether it was
2 right or left side, and which level?

3 A. Right. He wasn't -- you can't narrow it down
4 to one particular side or one particular level. You
5 can just say that there's something going on with one
6 of those lower back disks.

7 Q. Right. And, now, Ms. Garcia -- Garcia had
8 reported a 60 percent resolution of low back pain and
9 lower extremities and 30 percent reduction in hip
10 symptoms.

11 A. At the time the injection was done, yes.

12 Q. Right. And when we say "at the time," we're
13 talking immediately post procedure she reported this?

14 A. Correct.

15 Q. And -- and then she also reported a complete
16 resolution from the interarticular facet blocks;
17 correct?

18 A. Yes.

19 Q. And that was also at the time -- immediately
20 following the procedure; correct?

21 A. Yes.

22 Q. Before she was released from Dr. Lemper's
23 facility, that's when she reported it; correct?

24 A. Yes.

25 Q. Okay. Now, you also know that, when

1 Ms. Garcia had the selective nerve root block
2 performed, that she was given a sedative --

3 A. Yes.

4 Q. -- correct?

5 And -- and do you agree that relief reported
6 within 30 minutes or even 45 minutes of -- of post
7 procedure could be due to the effects of the anesthesia
8 and narcotics used for the procedure?

9 A. I would agree that sedatives can influence
10 the reporting of a patient after an injection. I think
11 that I have seen that happen in my patients sometimes.

12 Q. And that's because the effects of the
13 sedative remain in the body for a certain period of
14 time after the procedure.

15 A. Depending on what sedative is used, it
16 remains in the body for some period of time.

17 Q. And we know in this case that Dr. Lemper had
18 used -- well, actually, his anesthesiologist with him
19 had used the general anesthesia of midazolam and
20 there's a local anesthetic of marcaine that was used.

21 A. Okay. I actually don't remember what they
22 used, but they used something.

23 Q. Okay. So -- and Dr. Lemper already
24 testified, so that's already in evidence and -- and in
25 the record.

1 So would you agree that the sedative effect
2 from the general anesthesia midazolam and the local
3 anesthetic marcaine might -- might account for some of
4 the reporting of resolution of symptoms following this
5 procedure?

6 A. I think it's a potential that it could
7 report -- I'm sorry -- could be responsible for some of
8 the change.

9 Q. And would you agree that following the
10 procedure, if Ms. Garcia's in a non-weight-bearing
11 position before discharge, that might account for some
12 of the resolution?

13 A. It might.

14 Q. And isn't it a fact that you cannot, as you
15 sit here today, state to what extent the sedative and
16 the prone position that Ms. Garcia was in, to what --
17 to what extent that accounted for 60 percent of the
18 resolution of her low back and lower extremity
19 symptoms?

20 A. I -- no, I think that I could tell you that I
21 would agree with you that it might have contributed --
22 contributed to some of that perceived improvement, but
23 certainly not all of it, and probably not even half of
24 the improvement.

25 Q. Isn't it a fact, Doctor, that you have no

1 basis to say that, considering that -- well, strike
2 that.

3 How long does the midazolam -- how long are
4 the effects in the body for?

5 A. I don't know exactly. It's a medicine. It's
6 benzodiazepine. It's short acting; probably a matter
7 of an hour or two.

8 Q. And local anesthetic marcaine?

9 A. Well, the marcaine lasts for hours.

10 Q. For about four to eight hours?

11 A. Yes.

12 Q. Okay. And so you would agree that if she
13 reported a resolution of symptoms within 30 minutes
14 post procedure, even 45 minutes post procedure, and
15 she's sitting on a gurney, sitting or lying down, that
16 she's still feeling the effects of this local
17 anesthetic that was injected into her, this marcaine?

18 A. Well, let's be clear. The marcaine was
19 injected as part of the medicine that was injected
20 either at the nerve root level or at the facet joint
21 level, which is where they wanted the medication for
22 diagnostic purposes.

23 So any effect that the marcaine would be,
24 because it's -- it's placed in the area of pain
25 generation. I think the potential influence that could

1 confuse the results would be the effect of the
2 midazolam, which is used as a sedative, and the fact
3 she's not weight bearing or moving around. I think
4 those are the two things that could confuse some of the
5 results.

6 Q. And so isn't it fair to say that you do not
7 know to what extent -- you can't sit up there and say,
8 well, you know, I know to a reasonable degree of
9 medical probability that her reporting of resolution of
10 pain following this procedure that 33 percent was
11 attributable to this and 60 is other -- 66 percent is
12 attributable to something else? You can't say that,
13 can you?

14 A. Of course I'm not going to give you a
15 percentage like that. But I can tell you, I mean, this
16 is something that is commonly done by pain management
17 physicians. And I realize that it's not -- I realize
18 that this -- this point you're bringing up as a factor,
19 that always has to be considered and reconciled when
20 you're evaluating the results. And I'm -- I'm telling
21 you that while I can't give you a percentage, I think
22 that -- that the influence is probably potentially
23 present, but I don't think it's up to half of the --
24 the results.

25 Q. Let's move on to the -- to the imaging

1 studies that you reviewed in this -- in this case. And
2 specifically you had the reports -- the X rays that
3 were done on January 17th of 2011, cervical thoracic
4 lumbar spine, you have the 1/26/11 MRI report as well;
5 correct?

6 A. Yes.

7 Q. Okay. And you also had the Nevada Imaging
8 report from August of 2011.

9 A. Yes.

10 Q. Okay. So the -- referring to the
11 1/26/2011 MRI report, several findings in that report
12 in addition to the 4-millimeter anterior subluxation at
13 L5 over S1; correct?

14 A. Yes.

15 Q. So -- and by the way, when I reference -- the
16 term "subluxation" is -- also refers to spondylo- --
17 it's a slipped vertebrae; right?

18 A. You could use the word "slip." I guess I --
19 I used the word "offset" earlier. The word "slip"
20 implies that you got something that's sort of actively
21 moving. But -- and I don't think that that's what was
22 happening. It wasn't like it was up unstable, about
23 ready to slip off the edge. That's why I usually
24 don't -- I refrain from using the word "slip." I
25 usually use the word "offset."

1 Q. Okay. Thank you for that clarification.
2 So -- so "subluxation" refers to an offset?
3 A. Correct.
4 Q. Okay. And then on -- also this MRI showed an
5 L4-L5 disk desiccation; correct?
6 A. Yes.
7 Q. Which represents, as we have heard in trial,
8 a drying or dehydration of a disk?
9 A. Yes.
10 Q. Okay. And this -- this is a chronic
11 radiographic finding predating the motor vehicle
12 accident?
13 A. Yes.
14 Q. And then the L5-S1 shows disk desiccation
15 with a 2-millimeter annular disk bulge --
16 A. Yes.
17 Q. -- right?
18 And also a facet -- at this level, a facet
19 joint hypertrophic change; right?
20 A. I didn't summarize that, but that would not
21 surprise me if it's in the report.
22 Q. Okay. Well, let me ask you about that.
23 Before I move on to the next question, let me just show
24 it to you. So we're -- since you didn't have it in
25 your report.

1 So we're looking at the January 26th, 2011,
2 MRI report from Las Vegas Radiology for the lumbar
3 spine; correct?

4 A. Yes.

5 Q. Okay. And then we -- it ends at referencing
6 no abnormalities at L1-2, 2-3 or 3-4; correct?

7 A. Correct.

8 Q. And that's Plaintiff's 195. Going to move on
9 to Plaintiff's 196. And this continues at the top, and
10 I just want to direct your attention to the L5-S1. It
11 says there are facet joint hypertrophic changes; right?

12 A. Yes.

13 Q. Okay. And you have no reason to dispute
14 those findings by the radiologists in this report;
15 correct?

16 A. Correct.

17 Q. Okay. And would you agree that facet
18 hypertrophic change is a -- facet joint hypertrophic
19 change is a age-related, degenerative-type finding to
20 the facet joint?

21 A. Yes.

22 Q. And it's something you would probably expect
23 to find in a person who has a spondylolisthesis?

24 A. Yes.

25 Q. Why is that?

1 A. Because when there's that developmental
2 change of this small area of bone, there is a change to
3 the associated facet joint that's right nearby. So
4 it's not unusual to see a reported finding where they
5 say hypertrophic change. Hypertrophic just means that
6 the joint just looks like it has maybe a little bone
7 spurring to it.

8 Q. And the report also shows a -- let's see, "a
9 combination of the findings causes mild narrowing of
10 the lateral recess and neural foramina."

11 Do you that see that?

12 A. Yes.

13 Q. Do you have any reason to dispute that these
14 findings that were present -- that the radiologist
15 identified as present, that the combination of them
16 caused mild narrowing of the lateral recess and neural
17 foramina?

18 A. No reason to dispute it.

19 Q. Okay. And would you agree that this
20 foraminal narrowing is -- it most likely predated the
21 subject accident?

22 A. Probably to at least some extent. I think it
23 was probably there before.

24 Q. Okay. And also the mild narrowing -- mild
25 narrowing of the lateral recess neural foramina --

1 well, that also predated the accident; correct?

2 A. Yes. Same answer.

3 Q. Right. Okay. And -- oh, would you agree
4 that there was no indication from your summary or -- of
5 the MRI report -- or from the MRI report itself, there
6 was no indication on the image that this -- this
7 particular imaging study of any traumatic or acute
8 changes in the structures at L4-5, L5-S1?

9 A. Same answer as I gave earlier. The MRI can
10 identify certain acute changes, like a fracture,
11 bleeding, hemorrhage, dislocation, and none of those
12 findings were identified on this report.

13 Q. Okay. And there was no evidence of any
14 hemorrhage or specific finding of edema --

15 A. Correct.

16 Q. -- on this?

17 And -- and the -- the MRI -- was this one of
18 the MRIs that you actually reviewed later on? You
19 actually looked at the film?

20 A. Yes.

21 Q. Okay. And -- and then so -- and also looking
22 at the film, you didn't change any opinions with regard
23 to the findings by the radiologist; correct?

24 A. I think my measurement of the offset was a
25 little bit different, but other than that measurement

1 difference, I didn't have any alterations to her
2 findings.

3 Q. Okay. So even after reviewing the actual
4 imaging study, you didn't note any evidence of any
5 hemorrhage or finding of edema on the film; correct?

6 A. Correct.

7 Q. Okay. And -- and edema is also known as an
8 increased collection of fluid?

9 A. Yes.

10 Q. And would you agree that if there was a
11 finding of edema, that it would be a -- indicative of a
12 change resulting from an acute trauma?

13 A. It could be.

14 Q. Now, you're familiar with the term
15 "degenerative disk disease"; correct?

16 A. Yes.

17 Q. And that term is something that's used to
18 refer to the deterioration of a disk as we age, which
19 is a -- which is progressive in each decade of life;
20 correct?

21 A. Yes.

22 Q. Meaning, for some examples, we have -- you
23 start to see bulges, even herniations in the third
24 decade of life, and then they -- progressively more of
25 them as we get into the fourth and fifth and sixth

1 decades of life; correct?

2 A. Radiographically, that is what -- that is
3 something that is seen in a certain percentage of
4 people.

5 Q. So -- and it's your opinion that this -- it's
6 your opinion that this motor vehicle accident caused an
7 aggravation of a preexisting asymptomatic condition;
8 correct?

9 A. As a very general description of what
10 happened, yes. But then I gave you a specific
11 explanation of what -- what occurred.

12 Q. And -- and if this motor vehicle accident
13 caused an aggravation of a preexisting condition,
14 meaning an aggravation of a preexisting spondylolytic
15 spondylolisthesis, that -- that the best-case scenario
16 for the plaintiff would be an apportionment of this
17 injury, of this condition to the subject accident;
18 correct?

19 MR. ROBERTS: Objection. Calls for legal
20 conclusion.

21 THE COURT: I'm going to let him answer.

22 MR. MAZZEO: Thank you, Judge.

23 THE COURT: I don't know that -- the term may
24 imply a legal issue, but I don't know that the jury
25 understands the legal issue as -- so I'm going to let

1 him answer.

2 THE WITNESS: Anytime -- I'm sorry. May I?

3 THE COURT: Go ahead.

4 THE WITNESS: Anytime there is a preexisting
5 condition, issue, there's always the potential for
6 apportionment. When it becomes an issue is when that
7 preexisting condition would likely have resulted in the
8 need for treatment for that individual had the accident
9 not occurred.

10 So in the case of -- of Ms. Garcia, I
11 considered her preexisting condition of having this
12 offset at L5-S1, but there is nothing that tells me the
13 offset at L5-S1 would likely have become symptomatic in
14 the future or would likely have resulted in her needing
15 treatment in the future.

16 So for that reason, there -- even though she
17 had it before, there isn't an indication to give a
18 percentage of her need for care to this preexisting
19 condition, simply because she would not have likely
20 required treatment for it in the future.

21 BY MR. MAZZEO:

22 Q. Okay. Now, also, Doctor, on direct
23 examination earlier, you were using your anatomical
24 figure up there to show the jurors. You pulled a disk
25 out, and it showed a herniation; right?

1 A. Yes.

2 Q. For the nucleus pulposus to escape from the
3 an annulus fibrosis?

4 A. Yes.

5 Q. And -- but based on the review of the films,
6 there was no indication that -- and that's all --
7 strike that.

8 That's -- also refers to an annular fissure;
9 right?

10 A. That would be one reason that a person may
11 have ultimately a disk herniation.

12 Q. Okay. Because an annular fissure is a
13 general term that refers to a disruption or tear in the
14 annulus fibrosis?

15 A. Correct.

16 Q. And -- and the annulus fibrosis are these
17 concentric circles of fiber and tissue, and it doesn't
18 necessarily have to mean a tear in the entire wall of
19 the disk; correct?

20 A. Correct.

21 Q. Okay. Because a herniation is just one form
22 of an annular fissure that results in the -- that
23 gel -- gel material escaping from within; correct?

24 A. Because of a through-and-through tear.

25 Q. Okay. And there was no indication on any

1 MRI -- well, on the MRI from January 26th of 2011 that
2 there was a breach in the entire wall of the annulus
3 fibrosis; correct?

4 A. Correct.

5 Q. And there was no indication from the MRI,
6 from the report and from your review of the film later
7 on, that there was any of this gel coming in contact
8 with any nerves in the neural -- in the foramen, in the
9 openings of the -- of the facet joints; correct?

10 A. Agreed.

11 Q. Okay. I -- you also talked about the -- the
12 surgical procedures performed by Dr. Gross in your
13 direct examination; correct?

14 A. Briefly.

15 Q. And -- now, a laminotomy -- let's just talk
16 about some of these terms for the jury. They've heard
17 some of these terms as well.

18 A laminectomy is a procedure which removes
19 the entire lamina from the vertebral bone; correct?

20 A. Yes.

21 Q. And a laminotomy is a procedure that just
22 removes a portion of the lamina; correct?

23 A. Yes.

24 Q. Okay. I believe you stated that you've
25 treated hundreds of patients postoperatively; correct?

1 A. Yes.

2 Q. And would you agree that laminectomies are
3 typically an outpatient procedure with a high recovery
4 rate?

5 A. Yes. If it's -- if you're talking about just
6 that procedure alone.

7 Q. Correct.

8 A. Yes.

9 Q. Okay. Generally with an laminectomy,
10 recovery time is within a few days?

11 A. Before they become functional and are moving
12 around, yes.

13 Q. Okay. And is it -- it's correct to say that,
14 when a laminectomy is performed, typically it's -- or
15 generally it's performed to treat compression or
16 foraminal narrowing of -- foraminal narrowing of a --
17 of the -- of the -- of the spine?

18 A. Of the -- either the spine or an exiting
19 nerve root.

20 Q. Okay. And -- and in addition to that, I kind
21 of got stuck with -- on a question.

22 It's generally performed -- performed to
23 treat either some type of spinal stenosis compression
24 or narrowing?

25 A. Agreed.

1 Q. Okay. Thank you. And in this case -- in
2 this case, the laminectomy that was performed by
3 Dr. Gross was performed to treat the foraminal
4 narrowing in Ms. Garcia's spine, correct, in the nerve
5 root?

6 A. I think he'd have to answer that question.

7 Q. Okay. But if it was -- if his treatment
8 was -- if the laminectomy that he performed was -- was
9 done to treat the -- the foraminal narrowing in
10 Ms. Garcia's spine, then that would have been related
11 to a preexisting condition; correct?

12 A. No. This is complicated because Ms. Garcia
13 would never be having surgery for a preexisting
14 condition that was not causing her symptoms.

15 So she was having surgery because she had
16 injury to this segment. She was having lower back
17 pain, and Dr. Gross was performing a surgery directed
18 at that segment and the segment above. What he had to
19 do to accomplish that is a combination of things that
20 involved his area of expertise to answer those
21 questions.

22 But she wouldn't be having the surgery just
23 based on an X ray or an MRI scan that is not causing
24 her symptoms.

25 Q. So -- okay. So essentially your answer boils

1 down to the fact that Ms. Garcia had surgery --
2 Dr. Gross performed the surgery because Ms. Garcia had
3 symptoms in her lower back?

4 A. She had symptoms following the accident.
5 Those symptoms correlated with a number of factors that
6 included imaging studies and injection results. And
7 the problem did not resolve after an appropriate amount
8 of treatment time and an appropriate amount of
9 conservative care.

10 Q. And you understand from reading the expert
11 reports of the -- of the defense experts that it's
12 disputed -- there's a dispute as to what the pain
13 generator was in Ms. Garcia's back; right?

14 A. I have seen those -- I've seen their reports,
15 yes.

16 Q. Okay. And there's a dispute as to whether --
17 by the defense as to whether Ms. Garcia needed this
18 fusion surgery performed by Dr. Gross to treat her low
19 back complaints.

20 A. Yes, I do -- I'm aware of that, yes.

21 Q. Okay. And this reference that you referred
22 to in your report of motion segment injury, would you
23 agree that there's no findings on any diagnostic
24 imaging studies showing a symptomatic motion segment
25 injury?

1 A. I guess I need to clarify your question. I
2 want to make sure -- you're asking me if I can put up
3 an MRI scan and -- and point to a particular finding
4 that shows my diagnosis?

5 Q. Correct.

6 A. No, I cannot do that.

7 Q. Okay. And would you agree that there are no
8 findings on any diagnostic studies, MRIs and X rays,
9 showing any acute changes to the spondylolisthesis or
10 the spondylolysis?

11 A. Agreed.

12 Q. Would you agree that there is no objective
13 medical evidence that the previously "asymptomatic"
14 spondylolytic spondylolisthesis ever became symptomatic
15 from the motor vehicle accident?

16 A. Could you repeat that, please?

17 Q. Would you agree that there's no objective
18 medical evidence that the preexisting asymptomatic
19 spondylolytic spondylolisthesis ever became symptomatic
20 as a result of the motor vehicle accident?

21 A. I think that the injection results provide
22 some objective information. But I would agree -- I
23 think the intent of your question is objective in terms
24 of an imaging study, and I would agree there's not an
25 imaging study that shows that.

1 Q. Okay. You referred to -- okay. I mean, this
2 is -- I was going to get to this later, but we'll talk
3 about it now.

4 The radio-frequency ablations -- Dr. Kidwell
5 had performed a radio-frequency ablation on Ms. Garcia
6 in September. I believe it was September of 2015.

7 A. Yes.

8 Q. And -- and this radio-frequency ablation
9 is -- essentially, effectively it burns the nerves.
10 The objective of the technician or the pain management
11 interventionalist is to burn the nerves to stop the
12 signal of pain stemming from that location.

13 A. From that structure, correct.

14 Q. From that structure. Okay.

15 And do you agree that, once those nerves are
16 burned, that they're not going to regenerate within a
17 month?

18 A. For sure. Agreed.

19 Q. Okay. And -- and as you testified, typically
20 you -- you see, I guess, in the literature and from
21 your own experience, typically after a radio-frequency
22 ablation, you're going to see a -- the pain to recur
23 within 8 to -- 8 to 16 months. I'll have to look at
24 your --

25 A. 6 to 18.

1 Q. Oh, 6 to 18 months?

2 A. Yes.

3 Q. Okay. Certainly not within three months
4 after the procedure; correct?

5 A. Agreed.

6 Q. Not within two or one month after the
7 procedure; correct?

8 A. Agreed.

9 Q. Okay. And -- and it's your opinion that this
10 radio-frequency ablation performed by Dr. Kidwell was
11 successful?

12 A. Yes.

13 Q. Now, would you agree that if -- after this
14 procedure was performed, that if Ms. Garcia had a
15 flare-up of the usual pain within a month after this
16 procedure, that the -- that Dr. Kidwell probably didn't
17 target the pain generator?

18 A. No, I wouldn't agree with that.

19 I'm aware that she had a flare-up of pain the
20 following month and -- but I don't believe that that is
21 an indicator that he -- that the procedure was
22 unsuccessful.

23 Q. Well, his goal -- Dr. Kidwell's goal, when he
24 performed this radio-frequency ablation, was to do two
25 things.

1 One was to -- was to eliminate the pain that
2 Ms. Garcia was complaining about at or around the time
3 that she had the procedure done; correct?

4 A. I don't know that the goal at -- with pain
5 management is to eliminate. I'm sure it is to
6 significantly decrease.

7 Q. But you would agree, though, that if -- if
8 the nerves are burned by way of this rhizotomy, that
9 once they're burned, she's certainly not going to feel
10 pain coming from where the nerves were burned; right?

11 A. Right.

12 Q. Okay. And we know that he did a
13 radio-frequency bilateral at L3 -- L3-4 and L4-5 facets
14 as well as the right sacroiliac joint?

15 A. Correct.

16 Q. Okay. Notwithstanding that, she returned --
17 and you're familiar with the fact -- you testified that
18 she had a flare-up in the usual pain; right?

19 A. She had a flare-up of pain.

20 Q. Well, she actually testified -- she actually
21 reported that she -- and I'm referring to
22 Plaintiff's 26, page 712. And it's on the screen for
23 you. So here it is.

24 We had the procedure done September -- it was
25 September -- I believe around September 15th of 2015;

1 correct?

2 A. September 24th.

3 Q. I'm sorry. September 24th.

4 A. I think.

5 Q. Okay. So -- and -- and then she had a --

6 after that, she had a -- an office visit on

7 September -- with -- with Dr. -- with Dr. Kidwell, her

8 next office visit was September 30th, a week -- a week

9 after the procedure, September 30th. Here we go.

10 Right?

11 A. Yes.

12 Q. "She returns today for reevaluation. She is

13 one week status post radio-frequency rhizotomy,"

14 et cetera, et cetera; right?

15 A. Yes.

16 Q. Okay. And then the very next office visit --

17 here we have -- this is Plaintiff's 26712.

18 It's -- the date of service is November 11th,

19 2012; right?

20 A. Yes.

21 Q. And -- and she said she was "experiencing

22 flare-up in usual pain at last office visit."

23 Did I -- did I quote that correctly?

24 A. Yes.

25 Q. Okay. So she's not saying that she's

1 experiencing flare-up in usual -- she's identifying
2 when she had the flare-up in usual pain and that she
3 had it at the last office visit of September 30th of
4 2015.

5 A. Okay. I'm getting a little dizzy here. If
6 you could put the September 30 back --

7 Q. Sure.

8 A. -- please.

9 Q. There it is. That's Plaintiff's 26694.

10 This was the very next -- Doctor, this was
11 the very next treatment date that she had -- or consult
12 date, not treatment date, consultation date after the
13 rhizotomy on 9/24; correct?

14 A. Yes. But I think -- if I could, this is
15 dated September 30th. The next note you showed me was
16 November. And --

17 Q. Here it is.

18 A. -- they're referencing at the last office
19 visit she had a flare. The note you showed me on
20 September 30th doesn't talk about the flare.

21 There's a note that's missing in this
22 sequence that talks about the flare-up of pain, and it
23 was after September 30th.

24 Q. Oh. But before November 11th?

25 A. Yes. That's my recollection.

1 Q. Okay. Okay. Here we go. I think I had them
2 out of order. Sorry.

3 Here we have October 14th of 2015. That's
4 Plaintiff's 26702.

5 So this would have been -- this actually
6 would have been the next office visit after
7 September 30th of 2015.

8 A. Okay.

9 Q. Okay. So -- so then -- so then it's your
10 understanding that the November 11th, 2015, report
11 would be when it says she was experiencing flare and
12 the usual pain at last office visit.

13 She's talking about October 14th?

14 A. That's my understanding.

15 Q. Okay.

16 A. That makes sense.

17 Q. Fair enough. And so October 14th would be --
18 that would be 20 days from when she had the
19 radio-frequency ablation.

20 A. Yes.

21 Q. Okay. All right. So let's just go to
22 this -- this page, then.

23 When she's referring to usual pain, that
24 would be the pain that she was -- she would have had --
25 that preexisted the radio-frequency ablation and the --

1 which would have been the reason why she had the RFA in
2 the first place; correct?

3 MR. ROBERTS: Objection. Foundation.
4 Mischaracterizes the record.

5 THE COURT: He can answer with his
6 understanding.

7 THE WITNESS: I think that it could be
8 interpreted that way. It's not totally clear, and it's
9 inconsistent with what is described in the prior note
10 from October.

11 BY MR. MAZZEO:

12 Q. Now, we know that when she had -- on -- on
13 October 14th, when she had a reevaluation, if the
14 procedure was September 24th, it wasn't a month status
15 post radio-frequency; correct?

16 A. Right.

17 Q. Less than a month?

18 A. Yes.

19 Q. Okay. And also in this report, October 14th,
20 Dr. Kidwell notes, "She's really deconditioned."

21 Do you see that?

22 A. Yes.

23 Q. Now, you -- you contend that all of the
24 medical treatment -- basically your direct examination
25 with Mr. Roberts today was -- you went through the

1 board where he showed you all of the -- all of the
2 medical providers that Ms. Garcia saw after this
3 accident. And -- and you attributed and you contend
4 that all of the treatment that is indicated -- all
5 those providers that are indicated on that board was
6 reasonable, necessary, and related to the accident;
7 correct?

8 A. Right.

9 Q. Okay. And -- now, you agree that you would
10 have no opinion whether -- with regard to the back
11 brace, we know that she was given a back brace by
12 Dr. Cash and then another by Dr. Lemper; right?

13 A. Yes.

14 Q. And you have no opinion whether she would
15 need this back brace to -- for normal activities of
16 daily living; correct?

17 A. I'm not sure I understand.

18 Q. Well, you have no opinion as to whether she
19 would need this back brace to engage in daily
20 activities and basic functioning, do you?

21 In other words, simply -- just because she
22 was given a back brace doesn't mean that it was -- she
23 needed it to -- to engage in her daily activities;
24 correct?

25 A. I would agree.