

No. 71348

IN THE SUPREME COURT OF THE STATE OF NEVADA

---

Electronically Filed  
Oct 15 2018 01:13 p.m.  
Elizabeth A. Brown  
Clerk of Supreme Court

EMILIA GARCIA,  
Appellant,

v.

ANDREA AWERBACH,  
Respondent.

---

**APPELLANT'S APPENDIX  
VOLUME XVII, BATES NUMBERS 4001 TO 4250**

---

D. Lee Roberts, Jr., Esq.  
Nevada Bar No. 8877  
Jeremy R. Alberts, Esq.  
Nevada Bar No. 10497  
Marisa Rodriguez, Esq.  
Nevada Bar No. 13234  
WEINBERG, WHEELER, HUDGINS,  
GUNN & DIAL, LLC.  
6385 S. Rainbow Blvd., Suite 400  
Las Vegas, Nevada 89118  
Telephone: (702) 938-3838  
lroberts@wwhgd.com  
jalberts@wwhgd.com  
mrodriguez@wwhgd.com

Corey M. Eschweiler, Esq.  
Nevada Bar No. 6635  
Craig A. Henderson, Esq.  
Nevada Bar No. 10077  
GLEN J. LERNER & ASSOCIATES  
4795 South Durango Drive  
Las Vegas, Nevada 89147  
Telephone: (702) 877-1500  
ceschweiler@glenlerner.com  
chenderson@glenlerner.com

## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
I	22 – 28	Amended Complaint	01/14/2013
V	1031 – 1282	Appendix of Exhibits to Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
V, VI	1304 – 1486	Appendix of Exhibits to Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
I	1 – 6	Complaint	03/25/2011
III	642 – 646	Decision and Order Denying Defendant Andrea Awerbach's Motion for Relief from Final Court Order	04/27/2015
III	623 – 629	Decision and Order Denying Plaintiff's Motion to Strike Andrea Awerbach's Answer; Granting Plaintiff's Motion for Order to Show Cause; and Granting in Part and Denying in Part Plaintiff's Motion to Strike Supplemental Reports	02/25/2015
I	164 – 165	Defendant Andrea Awerbach's Correction to Her Responses to Plaintiff's First Set of Requests for Admission	10/20/2014
III	630 – 641	Defendant Andrea Awerbach's Motion for Relief from Final Court Order	03/13/2015
I	96 – 163	Defendant Andrea Awerbach's Motion for Summary Judgment	11/08/2013
I	13 – 21	Defendant Andrea Awerbach's Responses to Request for Admissions	06/05/2012
I	29 – 35	Defendants' Answer to Amended Complaint	02/07/2013
I	7 – 12	Defendants' Answer to Complaint	01/23/2012
I	36 – 60	Defendants' Second Supplement to List of Witnesses and Documents and Tangible Items Produced at Early Case Conference	07/22/2013
I	61 – 95	Deposition of Andrea Awerbach [Vol. 1]	09/12/2013
I, II	166 – 391	Deposition of Andrea Awerbach [Vol. 2]	10/24/2014

## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
XXVI, XXVII	6441 – 6942	Deposition of Jared Awerbach	
III	581 – 616	Deposition of Teresa Meraz	01/08/2015
IV	948 – 997	Jury Instructions	03/08/2016
IV	998 – 1000	Jury Verdict	03/10/2016
VI, VII	1499 – 1502	Minute Order	08/22/2016
VII	1513 – 1554	Notice of Appeal	09/19/2017
III	647 – 649	Notice of Department Reassignment	08/27/2015
VII	1508 – 1512	Notice of Entry of Judgment Upon the Verdict	08/21/2017
III	617 – 622	Order Granting, in Part, and Denying, In Part, Plaintiff's Motion for Partial Summary Judgment that Defendant Jared Awerbach was Per Se Impaired Pursuant to NRS 484C.110(3); and Denying Defendant Jared Awerbach's Motion for Partial Summary Judgment on Punitive Damage Claims	01/28/2015
IV	946-947	Order Modifying Prior Order of Judge Allf	02/12/2016
VI	1487 – 1498	Order Re: Post –Trial Motions	08/12/2016
VII	1503 - 1507	Order Vacating Judgment as to Jared Awerbach only	08/21/2017
V	1001 – 1030	Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
III, IV	650 – 900	Plaintiff's Motion to Disqualify Defendant Jared Awerbach's Counsel Randall Tindall and Motion For Reassignment to Department 27 on Order Shortening Time and Request for Leave to File Extended Memorandum of Points and Authorities	09/08/2015
II, III	392 – 580	Plaintiff's Motion to Strike Defendant Andrea Awerbach's Answer	12/02/2014
V	1283 – 1303	Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
IV	933 – 945	Plaintiff's Trial Brief Regarding	02/10/2016

## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
		Permissive Use	
IV	901 – 932	Reporter's Transcript of Proceedings	09/15/2015
VII, VIII	1555 – 1765	Trial Transcript – 02/08/2016	11/10/2017
VIII	1766 – 1996	Trial Transcript – 02/09/2016	11/10/2017
VIII, IX, X	1997 – 2290	Trial Transcript – 02/10/2016	11/10/2017
X	2291 – 2463	Trial Transcript – 02/11/2016	11/10/2017
X, XI	2464 – 2698	Trial Transcript – 02/12/2016	11/10/2017
XI, XII	2699 – 2924	Trial Transcript – 02/16/2016	11/10/2017
XII, XIII	2925 – 3177	Trial Transcript – 02/17/2016	11/10/2017
XIII, XIV	3178 – 3439	Trial Transcript – 02/18/2016	11/10/2017
XIV, XV	3440 – 3573	Trial Transcript – 02/19/2016	11/10/2017
XV, XVI	3574 – 3801	Trial Transcript – 02/22/2016	11/10/2017
XVI, XVII	3802 – 4038	Trial Transcript – 02/23/2016	11/10/2017
XVII, XVIII	4039 – 4346	Trial Transcript – 02/24/2016	11/10/2017
XVIII, XIX	4347 – 4586	Trial Transcript – 02/25/2016	11/10/2017
XIX, XX	4578 – 4819	Trial Transcript – 02/26/2016	11/10/2017
XX, XXI	4820 – 5045	Trial Transcript – 03/01/2016	11/10/2017
XXI, XXII	5046 – 5361	Trial Transcript – 03/02/2016	11/10/2017
XXII, XXIII	5362 – 5559	Trial Transcript – 03/03/2016	11/10/2017
XXIII, XXIV	5560 – 5802	Trial Transcript – 03/04/2016	11/10/2017

ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
XXIV	5803 – 5977	Trial Transcript – 03/07/2016	11/10/2017
XXIV, XXV	5978 – 6203	Trial Transcript – 03/08/2016	08/23/2018
XXV, XXVI	6204 – 6422	Trial Transcript – 03/09/2016	08/23/2018
XXVI	6423 – 6440	Trial Transcript – 03/10/2016	08/23/2018



## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
I	22 – 28	Amended Complaint	01/14/2013
V	1031 – 1282	Appendix of Exhibits to Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
V, VI	1304 – 1486	Appendix of Exhibits to Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
I	1 – 6	Complaint	03/25/2011
III	642 – 646	Decision and Order Denying Defendant Andrea Awerbach's Motion for Relief from Final Court Order	04/27/2015
III	623 – 629	Decision and Order Denying Plaintiff's Motion to Strike Andrea Awerbach's Answer; Granting Plaintiff's Motion for Order to Show Cause; and Granting in Part and Denying in Part Plaintiff's Motion to Strike Supplemental Reports	02/25/2015
I	164 – 165	Defendant Andrea Awerbach's Correction to Her Responses to Plaintiff's First Set of Requests for Admission	10/20/2014
III	630 – 641	Defendant Andrea Awerbach's Motion for Relief from Final Court Order	03/13/2015
I	96 – 163	Defendant Andrea Awerbach's Motion for Summary Judgment	11/08/2013
I	13 – 21	Defendant Andrea Awerbach's Responses to Request for Admissions	06/05/2012
I	29 – 35	Defendants' Answer to Amended Complaint	02/07/2013
I	7 – 12	Defendants' Answer to Complaint	01/23/2012
I	36 – 60	Defendants' Second Supplement to List of Witnesses and Documents and Tangible Items Produced at Early Case Conference	07/22/2013
I	61 – 95	Deposition of Andrea Awerbach [Vol. 1]	09/12/2013
I, II	166 – 391	Deposition of Andrea Awerbach [Vol. 2]	10/24/2014

## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
XXVI, XXVII	6441 – 6942	Deposition of Jared Awerbach	
III	581 – 616	Deposition of Teresa Meraz	01/08/2015
IV	948 – 997	Jury Instructions	03/08/2016
IV	998 – 1000	Jury Verdict	03/10/2016
VI, VII	1499 – 1502	Minute Order	08/22/2016
VII	1513 – 1554	Notice of Appeal	09/19/2017
III	647 – 649	Notice of Department Reassignment	08/27/2015
VII	1508 – 1512	Notice of Entry of Judgment Upon the Verdict	08/21/2017
III	617 – 622	Order Granting, in Part, and Denying, In Part, Plaintiff's Motion for Partial Summary Judgment that Defendant Jared Awerbach was Per Se Impaired Pursuant to NRS 484C.110(3); and Denying Defendant Jared Awerbach's Motion for Partial Summary Judgment on Punitive Damage Claims	01/28/2015
IV	946-947	Order Modifying Prior Order of Judge Allf	02/12/2016
VI	1487 – 1498	Order Re: Post –Trial Motions	08/12/2016
VII	1503 - 1507	Order Vacating Judgment as to Jared Awerbach only	08/21/2017
V	1001 – 1030	Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
III, IV	650 – 900	Plaintiff's Motion to Disqualify Defendant Jared Awerbach's Counsel Randall Tindall and Motion For Reassignment to Department 27 on Order Shortening Time and Request for Leave to File Extended Memorandum of Points and Authorities	09/08/2015
II, III	392 – 580	Plaintiff's Motion to Strike Defendant Andrea Awerbach's Answer	12/02/2014
V	1283 – 1303	Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
IV	933 – 945	Plaintiff's Trial Brief Regarding	02/10/2016



## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
		Permissive Use	
IV	901 – 932	Reporter's Transcript of Proceedings	09/15/2015
VII, VIII	1555 – 1765	Trial Transcript – 02/08/2016	11/10/2017
VIII	1766 – 1996	Trial Transcript – 02/09/2016	11/10/2017
VIII, IX, X	1997 – 2290	Trial Transcript – 02/10/2016	11/10/2017
X	2291 – 2463	Trial Transcript – 02/11/2016	11/10/2017
X, XI	2464 – 2698	Trial Transcript – 02/12/2016	11/10/2017
XI, XII	2699 – 2924	Trial Transcript – 02/16/2016	11/10/2017
XII, XIII	2925 – 3177	Trial Transcript – 02/17/2016	11/10/2017
XIII, XIV	3178 – 3439	Trial Transcript – 02/18/2016	11/10/2017
XIV, XV	3440 – 3573	Trial Transcript – 02/19/2016	11/10/2017
XV, XVI	3574 – 3801	Trial Transcript – 02/22/2016	11/10/2017
XVI, XVII	3802 – 4038	Trial Transcript – 02/23/2016	11/10/2017
XVII, XVIII	4039 – 4346	Trial Transcript – 02/24/2016	11/10/2017
XVIII, XIX	4347 – 4586	Trial Transcript – 02/25/2016	11/10/2017
XIX, XX	4578 – 4819	Trial Transcript – 02/26/2016	11/10/2017
XX, XXI	4820 – 5045	Trial Transcript – 03/01/2016	11/10/2017
XXI, XXII	5046 – 5361	Trial Transcript – 03/02/2016	11/10/2017
XXII, XXIII	5362 – 5559	Trial Transcript – 03/03/2016	11/10/2017
XXIII, XXIV	5560 – 5802	Trial Transcript – 03/04/2016	11/10/2017

## ALPHABETICAL INDEX

<b>Vol</b>	<b>Page Numbers</b>	<b>Description</b>	<b>Date Filed</b>
XXIV	5803 – 5977	Trial Transcript – 03/07/2016	11/10/2017
XXIV, XXV	5978 – 6203	Trial Transcript – 03/08/2016	08/23/2018
XXV, XXVI	6204 – 6422	Trial Transcript – 03/09/2016	08/23/2018
XXVI	6423 – 6440	Trial Transcript – 03/10/2016	08/23/2018

1 nonunion of a spinal fusion, is micromotion?

2 A. I don't know that micromotion is the cause.  
3 But micromotion and pseudoarthrosis happen at the same  
4 time. They usually go together. I don't know which is  
5 the chicken and which is the egg always, but ...

6 Q. Well, again, I want to be fair to you. Do  
7 you acknowledge that micromotion can cause nonunion?  
8 Or do you think that nonunion happens first and then  
9 micromotion follows?

10 A. And, again, either can occur.

11 Q. All right. Well, let's talk about the  
12 chicken. If micromotion causes nonunion, can you  
13 explain -- is there a recognized medical mechanism that  
14 accounts for that finding?

15 A. Well, the -- the biology behind it is if  
16 there isn't stability in the fusion construct, meaning  
17 it there's motion in it, it won't fuse.

18 Q. But why?

19 A. I wasn't quite finished with my answer.

20 Q. Sorry.

21 A. Except to the degree that there should be  
22 some axial stress, fusion actually occurs when there is  
23 some shared stress. And that's why those spacers and  
24 the -- between the bones are important. Stress being  
25 weight across the fusion.

1 I'm sorry. Your next question was?

2 Q. Now, before you did the surgery, you had a  
3 meeting with your patient; right?

4 A. Yes.

5 Q. And the purpose of the meeting was to get her  
6 informed consent to your surgical procedure. True?

7 A. In part, yes.

8 Q. And in that meeting, you described the risks  
9 and benefits of the surgery; right?

10 A. Yes.

11 Q. You didn't beat around the bush; right?

12 A. I don't.

13 Q. You didn't sugarcoat this one at all; right?

14 A. I don't.

15 Q. And all of the risks that you listed to her,  
16 the last one is always death; right?

17 A. It is.

18 Q. Okay. And she asked you questions, yes?

19 A. Yes.

20 Q. And you answered her questions, yes?

21 A. I did.

22 Q. Okay. You didn't give her any guarantees  
23 this was going to work?

24 A. Correct.

25 Q. You discussed with her the likelihood of

1 incomplete pain reduction based upon outcome  
2 statistics; right?

3 A. Yes.

4 Q. And based on what you told her, you were of  
5 the view that she understood that this might not work;  
6 right?

7 A. I don't think that's true.

8 Q. She understood that you might not be able to  
9 fully reduce the slippage; true?

10 A. That's true.

11 Q. And the slippage was the motion that was  
12 causing the pain. True?

13 A. Yes, in part. There were other reasons for  
14 her pain.

15 Q. Now, the outcome statistics that you shared  
16 with her to -- to get this informed consent, do you  
17 have those memorized or do you have to look them up on  
18 some document or some database and read those to the  
19 patient?

20 A. I don't normally read them to the patient.  
21 But I do have them in my files, and they are not  
22 memorized except the general consensus on these papers  
23 is patients, 70 to 80 percent have a good or excellent  
24 outcome with this type of surgery.

25 Q. So this kind of rule of thumb that we have

1 heard that -- that some spinal surgeons say about one  
2 third, one third, one third, you don't subscribe to  
3 that; right?

4 A. It doesn't appear in the medical literature.

5 Q. Now, after the accident but before your  
6 surgery, were there any other subsequent incidents that  
7 Ms. Garcia's spine was subjected to that were medically  
8 relevant to you in coming to the opinion you have  
9 expressed here today on causation?

10 A. No.

11 Q. Now, you recollect that on September 14th,  
12 2011, Ms. Garcia had an emergent appointment with  
13 Dr. Lemper; right?

14 A. Yes.

15 Q. And she came in and related to him that  
16 something happened in the shower the previous night;  
17 correct?

18 A. Yes.

19 Q. And he immediately injected her -- her facets  
20 at L3-L4, L4-L5, and L5-S1 bilaterally. True?

21 A. He did.

22 Q. Okay. And you weren't involved in the  
23 decision to undertake that procedure; right?

24 A. Correct.

25 Q. And that's because it was an emergency

1 situation and she needed it now, and so Dr. Lemper  
2 handled it; right?

3 A. I suppose.

4 Q. And the history provided has been the subject  
5 of other -- of depositions. And do you recollect ever  
6 speaking to Ms. Garcia about what happened in the  
7 shower on September 13th, 2011?

8 A. No.

9 Q. And, you know, I think what you're looking  
10 for is -- try page GJL201. Do you have that in your  
11 book there?

12 A. Well, I brought my book. You just tell me  
13 what that is. Maybe I have it in front of me.

14 Q. Why don't I just show it to you. It is from  
15 Exhibit 24. And it's from one of your neurosurgical  
16 consultation follow-ups, November 1st, 2011. Do you  
17 remember that?

18 A. Thank you. I don't. But I will pull it up.

19 Q. Second paragraph.

20 A. Oh, indeed. I apologize. I did talk to her.

21 Q. I'm here for you, Doctor.

22 A. Finally.

23 Q. So what she said was three weeks later, she  
24 felt a shocking pain in her low back while in the  
25 shower. She crawled into bed and could not move for

1 over a day. Do you see that?

2 A. I do.

3 Q. Did Ms. Garcia ever told you -- tell you that  
4 she also crawled out of the shower that day?

5 MR. ROBERTS: Objection. Misconstrues  
6 evidence.

7 THE COURT: Overruled. The way he asked it,  
8 he's just asking if he was told that.

9 THE WITNESS: I don't know.

10 BY MR. STRASSBURG:

11 Q. Okay. Now, is it possible, is it even  
12 conceivable that a slip and fall in a shower can cause  
13 pain or injury to a spine in the condition of  
14 Ms. Garcia's?

15 MR. ROBERTS: Objection. Hypothetical not  
16 based on the facts in this case.

17 THE COURT: Overruled. He can answer.

18 THE WITNESS: Given that this is a complete  
19 hypothetical, since there's no evidence of any slip or  
20 fall, it's simply pain while in the shower, anything's  
21 possible.

22 BY MR. STRASSBURG:

23 Q. So it is possible that a slip and fall in the  
24 shower can cause the kind of condition that you've been  
25 treating for four years; right?



1           A.    Well, possibly, but unlikely, given that we  
2 were already treating her for these symptoms and she  
3 described to Dr. Lemper an increase in the symptoms  
4 while in the shower and not describe any slip and fall.

5           Q.    Is it possible that merely bending over in  
6 the shower to wash your legs, you know, could produce  
7 forces in the back that would further injure  
8 Ms. Garcia's spine?

9           A.    Well, it's extremely highly unlikely given, I  
10 expect, she had been showering for the better part of  
11 over 30 years prior to that day; but as a reasonable  
12 man, anything is possible.

13          Q.    And so that's why the fact that she hadn't  
14 had any pain before this accident was so important to  
15 you; right?

16                   Right?

17          A.    It's an important factor.

18          Q.    Yes, because it showed you that all of her  
19 activities of daily living that her spine had gotten  
20 used to for 30 years had not caused her any pain;  
21 right?

22          A.    I'm not aware of any prior pain, correct.

23          Q.    Okay. And that -- but the importance of that  
24 fact to you was that everything she was doing with her  
25 spine for those 30 years -- walking, running, whatever;

1 right? -- did not subject her spine to forces great  
2 enough to injure it. True?

3 A. I don't know that I could speak to the daily  
4 forces on her spine --

5 Q. Well, she didn't have any pain, Doctor.

6 A. I didn't finish my answer. I don't think I  
7 can speak to those forces. What I can speak to is the  
8 clinical picture. No pain before, a traumatic event,  
9 and then pain after from that event very close in  
10 proximity to that event with the reasonable mechanism.  
11 So I have to look at all that information.

12 Q. And the clinical picture is important because  
13 it showed you that all of the forces her spine had been  
14 subjected to for years and years before the accident  
15 did not cause her pain in her back or legs. True?

16 A. Correct. I'm not aware of any such preinjury  
17 back or leg symptoms of any import.

18 Q. All right. Now, you have charged for your  
19 services for the care and treatment of Ms. Garcia.  
20 True?

21 A. I have.

22 Q. And you have -- you decided from the get-go  
23 to accept her as a patient to be treated on a lien;  
24 right?

25 A. Yes.

1 Q. And you understand how medical liens work in  
2 Nevada; true?

3 A. What do you mean?

4 Q. You understand how medical liens work; right?

5 A. I don't know what you mean. If you can be  
6 specific, I will be happy to answer.

7 Q. Sure. You know what a lien is; right?

8 A. I do.

9 Q. And you know what working is; right?

10 MR. ROBERTS: Objection. Vague.

11 THE COURT: Sustained.

12 BY MR. STRASSBURG:

13 Q. All right. Let me try again. You know what  
14 to expect with a lien; right?

15 A. Again --

16 Q. Still too vague?

17 A. -- I don't know what you mean.

18 Q. Okay. So you know that when you treat on a  
19 lien for a patient who has a case in litigation that  
20 the only way you get paid is if she gets money; right?

21 A. No. I think I have testified earlier in this  
22 courtroom, and again today, the outcome of the trial  
23 has nothing to do with the payment for services.

24 Q. Nothing whatsoever?

25 A. Correct.

1 Q. You could care less how this case comes out;  
2 right?

3 A. I hope justice is served, but I'm not  
4 contingent --

5 Q. Don't we all.

6 A. I didn't finish my answer. Would you like  
7 the rest of it?

8 Q. Sure.

9 A. There's nothing contingent about my services,  
10 and she and I discussed that well prior to any  
11 litigation I was aware of.

12 Q. Now, do you still own that lien?

13 A. I do.

14 MR. ROBERTS: Objection. Irrelevant.

15 THE COURT: Sustained. I don't know that it  
16 matters.

17 BY MR. STRASSBURG:

18 Q. Okay. Now, have you entered into any  
19 agreements, contracts with any of the providers of  
20 medical services to Ms. Garcia other than yourself to  
21 serve as a collection agent for any of those parties?

22 A. Just one other.

23 Q. And that was Pacific Hospital of Long Beach;  
24 right?

25 A. No.

1 Q. Who was it?

2 A. The assistant surgeon, Ron Fillmore, RN-FA.

3 Q. Okay.

4 THE COURT: You know, I'm going to go back.

5 I sustained a -- an objection to whether or not he  
6 still owned the lien. I think that is relevant, so I'm  
7 going to overrule that objection.

8 MR. STRASSBURG: Okay.

9 MR. ROBERTS: He answered anyway, Judge.

10 MR. TINDALL: May we approach?

11 THE COURT: Come on up.

12 (A discussion was held at the bench,  
13 not reported.)

14 BY MR. STRASSBURG:

15 Q. Do you know whether the bill from Pacific  
16 Hospital has been guaranteed for payment by anybody?

17 A. I don't know.

18 Q. Now, you indicated that all the charges of  
19 Pacific Hospital were reasonable except hardware;  
20 right?

21 A. Correct.

22 Q. And if I could just show you from the -- this  
23 would be Exhibit 24338. Oh, there's pages missing.

24 Hmm.

25 MR. ROBERTS: Objection. Move to strike.

1           THE COURT: Yes, sustained. I don't know if  
2 that was a statement or question.

3 BY MR. STRASSBURG:

4           Q. Do you recollect what -- exactly what Pacific  
5 Hospital charged for the International Implants that  
6 you used in your surgery?

7           A. I think it was between 115,000 and 124,000.  
8 They might have been conflicting bills.

9           Q. Now, if I told you it was \$115,108, would  
10 that ring a bell?

11          A. I suppose.

12          Q. Now, the bills of Pacific Hospital, there's a  
13 line item -- and you reviewed those bills; right?

14          A. Yes, I did.

15          Q. There's a line item for International  
16 Implants. That's the 115; right? And then there's a  
17 line item for other implants, 129,694. Do you know  
18 what those are?

19          A. I think the other implants is the  
20 International Implants plus the bone putty.

21          Q. Okay. So they're duplicate charges. They  
22 both have the implants in them; it's just the other one  
23 has the bone putty?

24          A. That's my belief. Otherwise, the hospital  
25 bill -- 90-some percent of the hospital bill would be

1 those two charges, which doesn't make sense, looking at  
2 the rest the bill.

3 Q. Yeah, it didn't to me either. So 14,000 for  
4 bone putty?

5 A. I suppose. I did say those were a little  
6 high.

7 Q. What did each one of those screws cost?

8 A. I would have to look at the bill.

9 Q. Well, it's not by the screw. It's -- it's  
10 just like a lump. Do you know what each screw cost?

11 A. I don't have that knowledge in front of me.  
12 But it is by the part. It's not by the lump. You're  
13 seeing the lump amount on the bill, but I'm sure  
14 there's a breakdown in the distributor sales log or  
15 what have you.

16 Q. But the International Implant, those were the  
17 implants that you used; right?

18 A. International Implants is a distributor. The  
19 actual implants are listed on the op report from which  
20 company.

21 Q. Okay. So that's the U.S. Spine Titanium  
22 Alloy --

23 A. Yes.

24 Q. -- is the manufacturer?

25 A. Correct.

1 Q. Okay. I see. So International Implants is  
2 just like the Home Depot, and U.S. Spine is -- is like  
3 the Makita tools?

4 A. Yes.

5 Q. Okay. Got it. Now, when was the last time  
6 you saw Ms. Garcia?

7 A. A couple of weeks ago.

8 Q. And did you have occasion to assess her  
9 physical condition a couple weeks ago?

10 A. Yes.

11 Q. Anything about her physical condition that  
12 would prevent her from coming to court?

13 A. I mean, she has days where she has to rest  
14 due to some symptoms. I don't know that she could sit  
15 here every day all day.

16 Q. But generally she's -- has the physical  
17 capability to come to court?

18 A. Generally, yes.

19 Q. And have you issued her any instructions  
20 limiting her activities?

21 A. She and I discussed common sense, and she  
22 limits herself, avoiding a lot of lifting and bending,  
23 if possible.

24 Q. So you didn't put her under any restrictions  
25 that would have prevented her from coming to court for



1 this trial; right?

2 MR. ROBERTS: Objection. Irrelevant.

3 THE COURT: Overruled.

4 THE WITNESS: I didn't personally, but I  
5 wasn't asked by her either way to evaluate that.

6 MR. STRASSBURG: I'm going to stop, Judge.

7 Thank you.

8 MR. ROBERTS: Yes, thank you.

9 THE COURT: Mr. Roberts.

10 MR. ROBERTS: Losing my voice. Yes, Your  
11 Honor.

12

13 REDIRECT EXAMINATION

14 BY MR. ROBERTS:

15 Q. And -- and, Doctor, from my redirect and  
16 follow-up questions, I'm not only going to be following  
17 up on some things that you have said on  
18 cross-examination by Mr. Strassburg, but I'm also going  
19 to take you way back when to when you first came and  
20 were cross-examined by Mr. Mazzeo. And there are a  
21 couple of things I just want to clarify from that  
22 cross-examination.

23 A. Okay. Thank you.

24 Q. First of all -- and -- and all of these  
25 things I am about to ask you, give me your opinion to a

1 reasonable degree of medical probability more likely  
2 than not. If you can't do that, just let me know.  
3 Okay?

4 A. Understood.

5 Q. All right. More likely than not, would  
6 losing weight have caused Ms. Garcia's pain to go away  
7 without need for your surgery?

8 A. No.

9 Q. More likely than not, would quitting smoking  
10 have caused her pain to go away without the need for  
11 your surgery?

12 A. No.

13 Q. More likely than not, if she lost weight,  
14 would she have still needed the rhizotomy?

15 MR. MAZZEO: Objection. Foundation.  
16 Speculation.

17 THE COURT: I'm going to allow him to answer  
18 based on what he understands. Overruled.

19 THE WITNESS: Yes. Thank you. Yes.

20 BY MR. ROBERTS:

21 Q. Okay. Clarify -- I've forgotten the way I  
22 phrased the question. Clarify your "yes." Say it in a  
23 complete sentence for me.

24 A. Even if she had lost more weight, she would  
25 have still needed the rhizotomies that she has

1 benefited from and still needs ongoing.

2 Q. Very good. Thank you for that, Doctor.

3 The shower incident which Mr. Strassburg just  
4 discussed with you, was your initial recommendation to  
5 Ms. Garcia that she needed surgery, the spinal fusion,  
6 before or after the shower incident?

7 A. Before the shower incident.

8 Q. And Dr. Cash's recommendation for surgery,  
9 was that before or after the shower incident?

10 A. Also before the shower incident.

11 Q. To a reasonable degree of medical  
12 probability, did the shower incident cause her need for  
13 the surgery you performed?

14 A. No.

15 Q. Okay. You were shown a small snippet of  
16 Dr. Cash's testimony here before the jury which related  
17 to micromotion. I just wanted to go back and show you  
18 a little bit more of that and then ask you a follow-up  
19 question. Okay?

20 A. Okay.

21 Q. That line of questioning began -- and this is  
22 back on February the 16th, and the line of questioning  
23 which led to Dr. Cash's testimony began at page 181:

24 "And, now, you mentioned surgery. Can you  
25 specify the treatment that you recommend for

1 Ms. Garcia?"

2           So Dr. Cash was not talking about any actual  
3 physical condition. He was talking about the reason  
4 you have the screws, to eliminate motion. So putting  
5 that in context, let me ask you: Have you seen any  
6 medical evidence that Ms. Garcia has micromotion in her  
7 fusion post surgery?

8           A. No.

9           Q. Have you seen any medical evidence that the  
10 bones that you put in did not properly fuse?

11          A. No.

12           MR. ROBERTS: Audra, can you put up surgery  
13 part two? You can just blow up any one of those  
14 pictures. They've all got the hardware.

15 BY MR. ROBERTS:

16          Q. Okay. Now, we -- you had a discussion with  
17 Mr. Strassburg, and you spent some time talking about  
18 the screws and the rods. Tell the jury what the main  
19 purpose of those screws and rods is.

20          A. To assist in stabilizing the spine while the  
21 bone fusion is healing.

22          Q. Once you have a successful fusion, are the  
23 screws and rods still needed?

24          A. Technically, no. They're obsolete at that  
25 point. The bone fusion becomes stronger than the

1 actual screws and rods.

2 Q. And -- and if the hardware's causing  
3 significant pain, do you sometimes have surgery to  
4 remove it?

5 A. Sometimes. Yes.

6 Q. Did the lack of the one screw in the -- in  
7 the associated rod, L4, cause a failure to fuse?

8 A. No.

9 Q. Did it cause any damage or pain to  
10 Ms. Garcia?

11 A. Well, I asked her to wear the brace after  
12 surgery a little longer than usual just to make sure,  
13 because we didn't have a screw at that one segment.  
14 But other than having to wear the brace a little  
15 longer, it did not cause any damage to her not to have  
16 a screw there.

17 Q. To a reasonable degree of medical  
18 probability, is any of Ms. Garcia's current pain caused  
19 by the fact that you were unable or made a decision not  
20 to place the one L4 screw?

21 A. No. And we know that by virtue of her  
22 response to the pain management after the rhizotomies  
23 and what have you.

24 Q. Okay. You were asked a few questions about  
25 pain from the hardware. And -- and let me just ask you

1 a couple of follow-up questions with regard to that.

2           You previously told the jury, in your medical  
3 opinion, the need for the fusion was caused by the  
4 automobile collision?

5           A.     Correct.

6           Q.     If she had not had the fusion, could she be  
7 having hardware pain?

8           A.     She would be having spondylolisthesis pain,  
9 not hardware pain, if she didn't have the surgery.

10          Q.     Okay.

11                 MR. ROBERTS:   Almost done, Your Honor.

12 BY MR. ROBERTS:

13          Q.     Okay. Did you review the records of  
14 Dr. Gulitz, the chiropractor?

15          A.     Yes.

16          Q.     And those complete records are marked as  
17 Exhibit 15 in this litigation.

18                 Did Dr. Gulitz perform any adjustments to  
19 Ms. Garcia's lumbar spine which could have caused or  
20 contributed to the spondylolisthesis?

21          A.     I need to take a quick peek.

22          Q.     Thank you.

23          A.     (Witness reviewing document.)

24                 I'm looking for my summary of those records.

25 Here we go. Well, I don't see a description of the

1 actual treatments in front of me, although I have  
2 looked at them before. However, it would be highly  
3 unlikely that an adjustment to the lower back would be  
4 something that would lead to a spondylolisthesis or  
5 cause one.

6 Q. And as you sit here today, you don't recall  
7 whether or not the chiropractor even did an adjustment  
8 to her lower back?

9 A. I apologize. I would have to go back  
10 through, and I didn't memorize my own file, as you all  
11 know.

12 Q. And I am sorry. Thank you, Doctor.

13 You were asked by Mr. Strassburg about  
14 whether you had done a flexion-extension X ray prior to  
15 the surgery.

16 A. Yes.

17 Q. Let me ask you this question: Did you need a  
18 flexion-extension X ray in order to determine if  
19 Ms. Garcia's surgery was medically necessary?

20 A. No.

21 Q. And I -- correct me if I'm wrong, but I  
22 believe you testified, when you were here with us last  
23 week, that you believe more likely than not the  
24 spondylolisthesis was preexisting the collision?

25 A. I believe the spondylolysis was, and I think

1 we either determined she would have had a  
2 spondylolisthesis without symptoms or was in good  
3 alignment without symptoms. I don't think we can know  
4 that where there's no reason to do a film before the  
5 injury.

6 Q. Thank you, Doctor.

7 Assume for me that the collision did not  
8 actually cause an instability in the spondylolisthesis,  
9 and that it was preexisting. Does that -- would that  
10 change any of the opinions given to the jury?

11 MR. MAZZEO: Objection. Vague. Speculation.  
12 Foundation.

13 THE COURT: I'm going to allow him to answer.  
14 Overruled.

15 THE WITNESS: In your hypothetical, if there  
16 was a spondylolisthesis that preexisted --

17 BY MR. ROBERTS:

18 Q. Yes.

19 A. -- the injury, and the facts remain that  
20 Ms. Garcia was asymptomatic before the accident but  
21 became symptomatic as a result of the injury, those  
22 symptoms and the treatment are still because of the  
23 injury. So even if there was -- because I did make  
24 that one of the possibilities -- even if there was some  
25 instability prior to the accident, if there were no



1 symptoms, the treatment she had is still related to the  
2 injury.

3 MR. ROBERTS: Thank you, Doctor.

4 BY MR. ROBERTS:

5 Q. And, finally, you actually opened up  
6 Ms. Garcia and you were able to look inside, like  
7 anyone else. Could you tell the jury what you saw as  
8 far as any conditions that you believe could have been  
9 contributing to Ms. Garcia's pain.

10 A. Sure. It was clear to me and documented in  
11 my operative report that she had --

12 MR. MAZZEO: Objection, Your Honor. Beyond  
13 the scope of cross-examination.

14 THE COURT: I don't think it is. Overruled.

15 THE WITNESS: I saw the actual  
16 spondylolisthesis, the slippage. And when I put the  
17 screws and rods in, I was able to leverage it back into  
18 position. In fact, earlier this afternoon, counsel  
19 showed the X ray in the operating room, and you can see  
20 the bones were in alignment, which is why she felt  
21 better after surgery.

22 I also saw the disk herniations, both at the  
23 L4-5 and L5-S1 level, and I cleaned those up when I  
24 freed the nerves, as counsel asked me about earlier.  
25 And then, lastly, I saw the nerves particularly on the

1 right side narrowed, their path was narrowed by a  
2 combination of the disk pushing up toward it from my  
3 view and the slip narrowing the foramen where the nerve  
4 passes. So I cleaned all that up. And by removing the  
5 bone, I made more room for the nerve; by realigning, I  
6 made more room for the nerve; and by cleaning up the  
7 disk, I made more room for the nerve so I could take  
8 care of the decompression goal of the surgery.

9 MR. ROBERTS: Thank you so much. We  
10 appreciate you coming back again a second time, Doctor.

11 THE WITNESS: Thank you.

12 THE COURT: Anybody else?

13 MR. MAZZEO: No, Your Honor.

14 THE COURT: Mr. Strassburg.

15

16 RE-CROSS-EXAMINATION

17 BY MR. STRASSBURG:

18 Q. Excuse me. True or false, the L5 vertebra  
19 had to move to cause the injury from the collision that  
20 you treated?

21 MR. ROBERTS: Objection. Vague as to time.

22 MR. STRASSBURG: Fair. Let me clear that up.

23 THE COURT: I think that might be --

24 BY MR. STRASSBURG:

25 Q. Let me clear that up. I want to get this

1 right.

2           So as a result of the forces on the spine  
3 from the collision, in your opinion, the L5 vertebra  
4 had to slip to cause the injuries that you treated?  
5 True or false?

6           A.    One of the injuries, but not all of the  
7 injuries.

8           Q.    Which one?

9           A.    The spondylolisthesis. Not the protrusion at  
10 L4-5 or L5-S1.

11          Q.    You -- you indicated that you saw no evidence  
12 of micromotion, no evidence of improper fusion; right?  
13 Remember that?

14          A.    Yes.

15          Q.    But you never took a CAT scan. True?

16          A.    I just did X rays and an MRI.

17          Q.    You've indicated the bone fusion is stronger  
18 than the screws and rod, but that's only if there's  
19 actual union of the particular bone grafts; right?

20          A.    Correct.

21          Q.    And about how many separate individual bone  
22 grafts do you put in that area that has to fuse?

23          A.    Technically four.

24          Q.    And those you cut away from the rest of the  
25 spine?

1           A.    From the bone we removed, we recycle it, and  
2 then the bone putty are crunched and mixed together to  
3 make a graft.

4           Q.    So it's four pieces of bone that you have cut  
5 away from the rest of the spine. True?

6           A.    It's a Play-Doh. It's not a piece. But  
7 otherwise true.

8           Q.    Well, I'm trying to understand. You said  
9 that for the graft you use the patient's own bone?

10          A.    In part, we do.

11          Q.    Okay. And those are the actual pieces of  
12 bone that you have cut away; right?

13          A.    They start as pieces and then we crunch them  
14 into a fine mill, mix them with the putty, and regraft  
15 them.

16          Q.    And about how big are the pieces in the fine  
17 mill?

18          A.    I would say like a grain of rice, give or  
19 take, maybe a little smaller.

20          Q.    So there's hundreds of these pieces after you  
21 grind them up; right?

22          A.    Sure.

23          Q.    And you put them in the putty; right?

24          A.    Right.

25          Q.    And all those hundreds of pieces of bone

1 graft in the putty, they all have to fuse together;  
2 right?

3 A. Yes.

4 Q. And if they don't all fuse together, then you  
5 have this condition we were talking about, nonunion;  
6 right?

7 A. We could have that possibly.

8 Q. And nonunion can cause a complication in the  
9 form of pain. True?

10 A. It can.

11 MR. ROBERTS: Objection. Hypothetical not  
12 based on the record.

13 THE COURT: Overruled.

14 THE WITNESS: It can possibly.

15 BY MR. STRASSBURG:

16 Q. Now, regarding the pain from the hardware, I  
17 heard your testimony, and I -- it seems like you would  
18 also agree that if the need for the surgery is not  
19 related to the accident, then the hardware pain and any  
20 other complication from the surgery would also not be  
21 related to the accident. True or false?

22 A. Could I trouble you to read that back so I  
23 make sure I answer that correctly?

24 Q. Yes, sure. Why don't I try a better  
25 question. Let me try again.

1           A.     Thank you.

2           Q.     So if the condition -- the medical condition  
3 that you addressed in your surgery was not caused  
4 hypothetically -- I know you don't agree, but  
5 hypothetically, that was not caused by the collision,  
6 then complications from that surgery would also not be  
7 caused by the collision? True?

8           A.     That would be possibly true, based on your  
9 hypothetical.

10           MR. STRASSBURG: Thank you, sir.

11           MR. ROBERTS: One more time, Your Honor?

12           THE COURT: Sure.

13           MR. ROBERTS: Thank you.

14                   FURTHER REDIRECT EXAMINATION

15 BY MR. ROBERTS:

16           Q.     Doctor, in your opinion, did all of the bone  
17 grafts fuse that you placed in Ms. Garcia?

18           A.     Yes.

19           Q.     Have you seen any evidence of nonunion?

20           A.     I have not seen any evidence of nonunion.

21           Q.     And you've also been provided medical records  
22 from other treatment providers of Ms. Garcia; right?

23           A.     I have.

24           Q.     Have you seen any of her treatment providers  
25 that have suggested there was nonunion?

1           A.    No.

2           Q.    And you've seen all of the reports of the  
3 doctors hired by the defendants; right?

4           A.    Yes.

5           Q.    Did any of their experts opine in any of  
6 their reports that there was nonunion?

7           A.    No.

8           Q.    Thank you, Doctor.

9           THE COURT:  Any more?

10          MR. MAZZEO:  Nothing further, Your Honor.

11          THE COURT:  Ladies and gentlemen, any  
12 questions for Dr. Gross?  Yep.  We have at least one.  
13 Now we have a couple.  Come on up, Counsel.

14                   (A discussion was held at the bench,  
15                   not reported.)

16          THE COURT:  All right.  Doctor, couple of  
17 questions.  First one:  Is it unusual not to be able to  
18 install a screw as in L4?  Does it mean anything not to  
19 be able to install the screw?

20          THE WITNESS:  It happens from time to time.  
21 I don't know that I would say it's particularly  
22 unusual, but it's not the norm.  I had expected to  
23 place a screw at L4, and when it had come out through  
24 the bone, then there wasn't enough bone to try again.

25                   And does it mean anything?  No.  There are

1 some surgeries where we just put screws on one side,  
2 and it's adequate. Some doctors do surgery from the  
3 front of the spine by itself and don't put any screws,  
4 and that can work too. In fact, 30 years ago, we  
5 didn't have those screws, patients just had the bone  
6 graft and they were in body casts, and it still worked.  
7 So does it mean anything in the long run? No, it  
8 worked just fine.

9 THE COURT: Okay. Thank you. Mark that next  
10 in order.

11 This one has several different parts. So  
12 would someone experience pain as a pars defect fails?

13 You know what I'm going to do? I'm going to  
14 read several of these together.

15 Would someone experience pain as a pars  
16 defect fails? Would the spine become unstable after?  
17 If so, how soon could it become unstable, and why,  
18 pertaining to the pars defect?

19 THE WITNESS: Okay. I think I can -- I can  
20 do it all. There are two kinds of pars defects. There  
21 are the kind you're born with and there are the kind  
22 that can happen from a fracture.

23 This was not a case where there was a  
24 fracture. If a fracture occurs, those usually become  
25 rapidly unstable right away. The kind you're born with



1 generally don't become unstable by themselves. They  
2 require help with some type of injury. Because there's  
3 a very tough fibrous tissue, the gristle that's holding  
4 that together. And that gristle's weaker than bone and  
5 more susceptible to injury.

6           When it's injured, it doesn't heal back like  
7 a bone might. And the slippage then can occur at  
8 varying rates. There's no rule. Sometimes it's within  
9 days it becomes quite manifest and is just overtly  
10 unstable immediately or early.

11           Sometimes it's a slow, progressive, or what  
12 my favorite spine professor would call a glacial  
13 process where it takes time for this to be fully  
14 manifest because there are other muscles and things  
15 trying to hold the spine in check, and the instability  
16 is a slow thing, fighting against the muscles trying to  
17 hold it.

18           I think I got all the parts.

19           THE COURT: The next question, you may have  
20 already answered it: Is this a factor with the  
21 plaintiff?

22           THE WITNESS: The -- the plaintiff or the  
23 patient falls into the category of a preexisting defect  
24 that was stable. Then that gristle got disconnected,  
25 and I saw that at surgery. It was that loose fibrous

1 tissue. And she slowly slipped. We saw that in the  
2 sequential films going from -- I think it was 4 to  
3 about 8 then about 10.2 millimeters over -- over  
4 months.

5 THE COURT: Okay. Next question: Could an  
6 adjustment to the lower back affect the back pertaining  
7 to the pars defect?

8 THE WITNESS: Possibly. I suppose I would be  
9 unreasonable to say that a very rough chiropractor who  
10 forces someone with a pars defect in the lower back  
11 could bring forth an instability related with that  
12 defect in place. I have never seen that in my  
13 practice. And I do work with a lot of chiropractors,  
14 but I suppose it's possible.

15 THE COURT: Okay. Next question: Was there  
16 an adjustment to the lower back on her first or  
17 follow-up visit?

18 THE WITNESS: Well, that is a question I was  
19 asked a little bit earlier also, and would I be allowed  
20 to look at that as Exhibit 15 for just a moment? Would  
21 that be fair to answer the question?

22 THE COURT: Sure.

23 THE WITNESS: That was Dr. Gulitz's?

24 MR. ROBERTS: Yes. I've got a copy of it  
25 right here, Your Honor. May I provide it? If I may

1 approach.

2 THE COURT: That's fine.

3 MR. ROBERTS: And for the record, I'm  
4 providing the witness a complete copy of Exhibit 15.

5 THE WITNESS: Thank you. Just take a quick  
6 look. In the first few visits after the injury, I -- I  
7 don't see chiropractic manipulation or adjustments as  
8 any part of the treatment. And I think the question  
9 was restricted to the -- was it the first few visits  
10 after the injury?

11 THE COURT: Was there an adjustment to the  
12 lower back on her first or follow-up visits?

13 THE WITNESS: Well, as I march through the  
14 records, I don't see adjustments. It looks like  
15 therapeutic exercise, electrical stimulation, heat  
16 therapy was the initial treatment. I'm now a month  
17 after the injury, and I have yet to see chiropractic  
18 treatment as part of the treatment at all in terms of  
19 adjustments. Would that -- would that suffice?

20 THE COURT: I think that's good. Thank you,  
21 Doctor.

22 Mark that next in order.

23 Mr. Roberts, any follow-ups based on those?

24 MR. ROBERTS: Yes.  
25

1                                   FURTHER REDIRECT EXAMINATION

2   BY MR. ROBERTS:

3           Q.    The treatments from the chiropractor that you  
4 just listed for the jury --

5           A.    Yes.

6           Q.    -- could any of those things have caused a  
7 spondylolisthesis?  Electrical stimulation?  Heat  
8 therapy?

9           A.    Not to heat or electrical stimulation.  I  
10 suppose therapeutic exercise could have.  There's just  
11 no documentation showing that she was doing an exercise  
12 and, boom, it got worse.

13                   MR. ROBERTS:  That's all, Your Honor.  Thank  
14 you.

15                   MR. MAZZEO:  Nothing, Your Honor.

16                   THE COURT:  Defense table?  
17

18                                   FURTHER RECROSS-EXAMINATION

19   BY MR. STRASSBURG:

20           Q.    So if I understand your answer to the juror's  
21 question correctly, you believe that the slippage  
22 didn't just stop shortly after the accident; it  
23 continued progressively, and it was shown in the -- in  
24 the August 19th, 2011, imaging studies, it was shown on  
25 the November 19th, 2012, imaging studies, that the

1 slippage continued to -- to progress, to widen; right?

2 A. Yes.

3 Q. And the widening -- the continued widening of  
4 that slippage enabled the -- the nerve roots to become  
5 evermore impinged upon by the actual bone of the  
6 vertebra; right?

7 A. In part, yes.

8 Q. And the -- and the bone -- as the vertebra  
9 move forward, the bone that makes up the foramen, that  
10 hole that the nerve comes out, it gets smaller and  
11 smaller and squeezes and squeezes on those nerves, and  
12 that's what created the pain; right?

13 A. That's not what created the pain, but there  
14 was progressive narrowing where the nerve passes.

15 Q. And did the narrowing go so far as to  
16 mechanically impinge upon the nerve root itself?

17 A. Somewhat. As I showed on the MRI films last  
18 week, there was a little pressure against the nerve.  
19 But I wouldn't -- I wouldn't dramatize it to say it was  
20 squeezed and squeezed.

21 Pain from the back isn't just from irritation  
22 of those nerves. It's from the slippage mechanical  
23 pain and the disk herniation discogenic pain.

24 MR. STRASSBURG: Thank you, sir.

25 THE COURT: Any more?

1 MR. ROBERTS: No follow-up, Your Honor.

2 Thank you.

3 THE COURT: Thank you, Doctor. Appreciate

4 your time.

5 THE WITNESS: Thank you. Thank you,

6 everyone.

7 THE COURT: All right, folks, tomorrow is

8 Wednesday, we can start early. So we're going to start

9 at 9:00 o'clock.

10 During our break this evening, you're

11 instructed not to talk with each other or with anyone

12 else about any subject or issue connected with this

13 trial. You are not to read, watch, or listen to any

14 report of or commentary on the trial by any person

15 connected with the case or by any medium of

16 information, including, without limitation, newspapers,

17 television, the Internet, or radio.

18 You are not to conduct any research on your

19 own, which means you cannot talk with others, Tweet

20 others, text others, Google issues, or perform any

21 other kind of book or computer research with regard to

22 any issue, party, witness, or attorney involved in this

23 case.

24 You're not to form or express any opinion on

25 any subject connected with this trial until the case is

1 finally submitted to you.

2 See you tomorrow at 9:00. Have a good night.

3 (The following proceedings were held  
4 outside the presence of the jury.)

5 MR. STRASSBURG: So, Lee, tomorrow is  
6 Kidwell.

7 THE COURT: Is there anything we need to do  
8 on the record before we go off?

9 MR. MAZZEO: No, Your Honor.

10 MR. ROBERTS: No, Your Honor.

11 MR. STRASSBURG: No.

12 THE COURT: All right. Off the record.

13 (Thereupon, the proceedings  
14 concluded at 5:06 p.m.)

15

16

17

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CERTIFICATE OF REPORTER


STATE OF NEVADA )  
COUNTY OF CLARK ) ss:

I, Kristy L. Clark, a duly commissioned  
Notary Public, Clark County, State of Nevada, do hereby  
certify: That I reported the proceedings commencing on  
Tuesday, February 23, 2016, at 9:59 o'clock a.m.


That I thereafter transcribed my said  
shorthand notes into typewriting and that the  
typewritten transcript is a complete, true and accurate  
transcription of my said shorthand notes.

I further certify that I am not a relative or  
employee of counsel of any of the parties, nor a  
relative or employee of the parties involved in said  
action, nor a person financially interested in the  
action.

IN WITNESS WHEREOF, I have set my hand in my  
office in the County of Clark, State of Nevada, this  
23rd day of February, 2016.

  
KRISTY L. CLARK, CCR #708





1 CASE NO. A-11-637772-C  
2 DEPT. NO. 30  
3 DOCKET U  
4

5 DISTRICT COURT  
6 CLARK COUNTY, NEVADA

7 \* \* \* \* \*

8  
9 EMILIA GARCIA, individually, )  
10 Plaintiff, )  
11 vs. )  
12 JARED AWERBACH, individually; )  
13 ANDREA AWERBACH, individually; )  
14 DOES I-X, and ROE CORPORATIONS )  
15 I-X, inclusive, )  
Defendants. )  
16

17 REPORTER'S TRANSCRIPT  
18 OF  
19 JURY TRIAL  
20 BEFORE THE HONORABLE JERRY A. WIESE, II  
21 DEPARTMENT XXX  
22 DATED WEDNESDAY, FEBRUARY 24, 2016  
23  
24 REPORTED BY: KRISTY L. CLARK, RPR, NV CCR #708,  
25 CA CSR #13529

1 APPEARANCES:

2 For the Plaintiff:

3 GLEN J. LERNER & ASSOCIATES  
4 BY: ADAM D. SMITH, ESQ.  
4795 South Durango Drive  
Las Vegas, Nevada 89147  
5 (702) 977-1500  
asmith@glenlerner.com

6 - AND -

7 WEINBERG, WHEELER, HUDGINS, GUNN & DIAL,  
8 BY: D. LEE ROBERTS, JR., ESQ.  
BY: TIMOTHY MOTT, ESQ.  
9 BY: MARISA RODRIGUEZ-SHAPOVAL, ESQ.  
6385 South Rainbow Boulevard  
10 Suite 400  
Las Vegas, Nevada 89118  
11 (702) 938-3838  
lroberts@wwhgd.com

12  
13 For the Defendant Andrea Awerbach:

14 MAZZEO LAW, LLC  
15 BY: PETER MAZZEO, ESQ.  
BY: MARIA ESTANISLAO, ESQ.  
631 South 10th Street  
16 Las Vegas, Nevada 89101  
(702) 382-3636

17  
18 For the Defendant Jared Awerbach:

19 RESNICK & LOUIS  
20 BY: ROGER STRASSBURG, ESQ.  
BY: RANDALL W. TINDALL, ESQ.  
5940 South Rainbow Boulevard  
21 Las Vegas, Nevada 89118  
(702) 997-3800

22  
23

24 \* \* \* \* \*

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

WITNESS:	PAGE
<u>WALTER M. KIDWELL, M.D.</u>	
Direct Examination by Mr. Roberts	5
Cross-Examination by Mr. Mazzeo	6
Cross-Examination by Mr. Strassburg	92
Redirect Examination by Mr. Roberts	180
Recross-Examination by Mr. Mazzeo	206
Recross-Examination by Mr. Strassburg	220
Further Redirect Examination by Mr. Roberts	223
Further Recross-Examination by Mr. Strassburg	224
 <u>EMILY GARCIA</u>	
Direct Examination by Ms. Rodriguez-Shapoval	240
Cross-Examination by Mr. Mazzeo	261
Redirect Examination by Ms. Rodriguez-Shapoval	289

1 LAS VEGAS, NEVADA, WEDNESDAY, FEBRUARY 24, 2016;  
2 9:06 A.M.

3  
4 P R O C E E D I N G S  
5 \* \* \* \* \*  
6

7 THE COURT: All right. We're back on the  
8 record, Case No. A637772.

9 Do the parties stipulate to the presence of  
10 the jury?

11 MR. ROBERTS: Yes, Your Honor.

12 MR. MAZZEO: Yes, Your Honor.

13 THE COURT: All right. We are back with  
14 Dr. Kidwell.

15 Since it's been a while, I'm going to have  
16 you resworn again. If you would stand and raise your  
17 right hand.

18 THE CLERK: You do solemnly swear the  
19 testimony you're about to give in this action shall be  
20 the truth, the whole truth, and nothing but the truth,  
21 so help you God.

22 THE WITNESS: I do.

23 THE CLERK: Please be seated. Please state  
24 and spell your full name.

25 THE WITNESS: Walter Morris Kidwell.

1 K-i-d-w-e-l-l.

2 THE COURT: Thanks for coming back, Doctor.  
3 Go ahead, Mr. Roberts.

4 MR. ROBERTS: Thank you, Your Honor.

5

6 DIRECT EXAMINATION

7 BY MR. ROBERTS:

8 Q. Good morning, Dr. Kidwell.

9 A. Good morning, sir.

10 Q. When we last broke, you had just told the  
11 jury that you expected Ms. Garcia's visits to you to  
12 reduce in the future.

13 Could you explain how much?

14 A. Certainly. My normal practice is, once  
15 someone becomes into a stable routine and I have  
16 established care with them quite some time, I'll  
17 liberalize the time between their visits.

18 My intention with Ms. Garcia would be to get  
19 her through the next radiofrequency rhizotomy and then,  
20 once stable, probably liberalize her to four visits a  
21 year. Obviously, if something happens that she needs  
22 to come in early, I'll be happy to see her. But  
23 assuming that she's in a stable routine, that would be  
24 all that's necessary.

25 Q. Thank you, Doctor. And just to wrap up,

1 thinking back to when you were with us last time and  
2 the opinion you just gave, are all of your opinions  
3 stated to a reasonable degree of medical probability?

4 A. Yes.

5 MR. MAZZEO: Objection. Speculation.

6 THE COURT: Overruled.

7 MR. ROBERTS: Thank you, Doctor.

8 That's all I have, Your Honor.

9 THE COURT: Cross, Mr. Mazzeo?

10 MR. MAZZEO: Yes, Your Honor. Thank you.

11

12 CROSS-EXAMINATION

13 BY MR. MAZZEO:

14 Q. Good morning, Dr. Kidwell.

15 A. Good morning.

16 Q. Now, Dr. Kidwell, it's correct that Glen  
17 Lerner's office had referred Ms. Garcia to you as a  
18 patient?

19 A. No. My records indicate that she came from  
20 Dr. Gulitz on a referral, I think, in 2011.

21 Q. Okay. And, now, you have worked with Glen  
22 Lerner's office on other cases; correct?

23 A. Yes.

24 Q. And at one point I believe you had  
25 testified -- not -- not here but previously at a

1 deposition -- testified that you may have worked on  
2 more than 50 cases of -- of clients from Glen Lerner's  
3 office; is that correct?

4 A. Sure. I've been in town almost 16 years. So  
5 in that time, yeah, I'm certain it exceeds 50 patients.

6 Q. Probably more than 100, 150?

7 A. I don't know. I actually don't track that.  
8 But I would say, just by feel, it's well in excess of  
9 50.

10 Q. Sure. And you've also had a number of cases  
11 referred in addition -- other than this case -- well,  
12 let me rephrase that.

13 You've also had a number of cases and  
14 patients referred by Dr. Gross to you for treatment as  
15 well; correct?

16 A. Yes.

17 Q. Okay. And would you say that -- the --  
18 you've had an ongoing professional relationship between  
19 you, your facility, and Glen Lerner's office for a  
20 number of years now?

21 A. A professional -- what do you mean by  
22 "professional relationship"?

23 Q. Whereby you will work on and treat clients of  
24 Glen Lerner's office.

25 A. Yes. I -- I treat patients from lots of

1 attorneys, including Glen Lerner's office.

2 Q. Sure. And -- and your relationship with Glen  
3 Lerner's office, as well as other plaintiffs law firms  
4 in town, is mutually -- it's a mutually -- mutually  
5 profitable relationship. Would you agree?

6 A. Well, I can't speak to the profitability of  
7 their practice. I have no knowledge of that. I do  
8 treat patients. And I profit from that absolutely,  
9 just like any physician profits from treating  
10 physicians [sic]. That's why you go to school.

11 Q. And -- okay. Moving on, would you agree that  
12 a person's recollection regarding an event is better  
13 closer to the event being described and diminishes over  
14 time?

15 A. Possibly. I don't say you can -- I don't  
16 think you can make that blanket statement 100 percent  
17 because there are certain events in our life that stick  
18 out pretty well and you remember.

19 Q. Sure.

20 A. What I had for coffee last week, I have no  
21 idea. But there are certain life events that are  
22 indelibly etched in my mind. So I don't think that's a  
23 total accurate blanket statement.

24 Q. And I wasn't saying 100 percent -- to  
25 100 percent.



1           What I was asking you was that the actual  
2 memory of an event --

3           A.    Yeah.

4           Q.    -- the details of the event are better closer  
5 to the event being described than they -- than a memory  
6 is, let's say, five years from the event.

7           A.    I'd say, general speaking, probably true.  
8 Although, again, it depends on the nature of the event  
9 and the significance it has on that individual.

10          Q.    And in attributing -- in attributing injuries  
11 to a specific traumatic event, some things that you  
12 would consider would be preexisting conditions, onset  
13 of symptoms, diagnostic studies, and mechanism of  
14 injury; is that correct?

15          A.    I'll take that.  Yes, sir.

16          Q.    Okay.  And would you agree that the onset of  
17 pain following a traumatic event is a significant  
18 factor impacting causation?

19          A.    You mean the proximity of onset of pain to  
20 the collision?

21          Q.    Yes.

22          A.    It's a factor.

23          Q.    Okay.  And would you agree that a patient's  
24 reporting of symptoms at the time of the event or  
25 contemporaneous with an event is more accurate than

1 recalling the onset of symptoms later on?

2       A.    Again, that goes back -- speaking in  
3 specifics in this case, I think it depends on the  
4 significance of the actual event. There are life  
5 events that people can recall with absolute clarity and  
6 certainty, and there are nonimportant events that you  
7 don't recall.

8               And as for proximity, it's normal in our  
9 practice to have people develop symptoms within a few  
10 days or even a week or so after an event. I think --  
11 even develop symptoms six or eight months after an  
12 event. That certainly diminishes the proximity.

13       Q.    So -- and what you're focusing on in your  
14 answer is the severity of the events.

15               So if -- there are some events in our lives  
16 that can be very significant which are indelibly  
17 imprinted on our mind, as you said; right?

18       A.    Correct.

19       Q.    And there's other events that are less  
20 significant that we may not -- may not be as imprinted  
21 on our mind; correct?

22       A.    Correct.

23       Q.    So -- and -- and so for -- by way of example,  
24 an accident which results in -- let's say a  
25 small-impact accident that results in no injuries at

1 the time of the accident, that would probably be less  
2 significant in terms of one's memory than an accident  
3 that resulted in death --

4 A. Right.

5 Q. -- or paralysis?

6 A. And you know it's all so individualized, but  
7 I think it comes down to the significance of the event  
8 to the individual.

9 Q. Okay.

10 A. Something that's important to you may not be  
11 important to me.

12 Q. Now, is it -- generally speaking, is it -- is  
13 it fair to say that you want to ascertain from -- from  
14 a patient's early medical records when and what  
15 symptoms were reported following an accident?

16 A. Correct.

17 Q. Would you agree that the accuracy of a  
18 patient's self-report is based on, to some extent, the  
19 consistency of statements that have been made in  
20 various medical records?

21 A. I think you need to repeat that.

22 Q. Yeah. The accuracy of a patient's  
23 self-report -- so in this case we have Ms. Garcia that  
24 saw you on -- in August of 2012.

25 A. Correct.

1 Q. And -- which was 20 months after the  
2 accident; correct?

3 A. That's correct.

4 Q. Okay. So the accuracy of -- of a patient's  
5 self-report to you and to other medical providers is  
6 based on the consistency in the self-report to you  
7 and -- and to other medical providers.

8 Would you agree?

9 A. I would say that what the patient reports to  
10 me is their recollection of the events. What other  
11 physicians have documented is what they have documented  
12 of what -- of the patient reporting their events to  
13 them.

14 Q. Correct.

15 A. So that relies on the patient's reporting,  
16 but it also requires good documentation by the other  
17 physicians. So there's a lot of variables here, just  
18 to be complete.

19 Q. And -- and in this --

20 A. In my review of Ms. Garcia's complaints, what  
21 I saw was consistent with what I saw with other  
22 records.

23 Q. Oh, very good. Okay.

24 And -- and based on what you've reviewed in  
25 this case, you haven't -- you haven't made -- offered

1 an opinion that any doctors misreported anything that  
2 Ms. Garcia might have told them at the time of their  
3 evaluation, have you?

4 A. I don't think I've presented that at all.

5 Q. No. Okay.

6 A. With -- with the exception I have done  
7 some -- I do believe in expert rebuttal. I disagreed  
8 with some of the conclusions in that.

9 Q. Fair enough. But with regards to  
10 Ms. Garcia's reporting to them of her history of the  
11 present illness, you haven't made any determination and  
12 you certainly haven't testified that any of the  
13 treatment providers made mistakes with the entries with  
14 regard to her self-reporting?

15 A. I haven't addressed it one way or the other.

16 Q. Very good. And it didn't come across -- it  
17 didn't come to your attention that any treatment  
18 providers made any mistakes in -- in the reporting  
19 of -- or in documenting what Ms. Garcia reported to  
20 them; correct?

21 A. Nothing comes to my mind right now.

22 Q. Okay. So, again, getting back to the  
23 accuracy of a patient's self-reporting, would you --  
24 would you agree that the accuracy of a patient's  
25 self-reporting is based on the consistency of reporting

1 from one physician to another?

2 A. No. I don't think that's correct at all.

3 What you're saying, their accuracy, they report what  
4 they report. Accuracy is how close to the target they  
5 are.

6 So what you're saying is what other  
7 physicians might have recorded reflects the accuracy of  
8 her self-reporting. I have no way to validate that.  
9 All I can say is what a patient reports to me is what  
10 they report. And if I see any inconsistencies over  
11 time, then that brings in the question. But she was  
12 there.

13 Q. Sure.

14 A. I mean -- so you can't rely on anybody else  
15 more than the patient to self-report because they were  
16 the ones that were there.

17 Q. Exactly, Doctor. So I'm not -- I'm not  
18 focusing on what the doctors documented in the report.  
19 I'm focusing on what Ms. Garcia told the doctors, which  
20 is now reflected in -- in each of these medical  
21 providers' reports.

22 Do you understand?

23 A. Yes, I do.

24 Q. Okay. So that's what I'm talking about.

25 So the accuracy of what -- what -- of what

1 Ms. Garcia reported to you and to Dr. Cash and to -- at  
2 MountainView Hospital, and these other doctors, would  
3 you agree that the accuracy -- we -- we want to look at  
4 a consistency in Ms. Garcia's self-report.

5 Do you agree?

6 A. Yeah. I mean, every patient has certain  
7 amount of inconsistencies, what ends up being  
8 documented, because I see an awful lot of these. But  
9 for the most part, I'd agree with you.

10 Q. Okay. Doctor, now, I believe that you said  
11 that -- a few minutes ago, you had mentioned that you  
12 believe that Dr. Gulitz had referred Ms. Garcia to you  
13 as a patient; correct?

14 A. Correct.

15 Q. Okay. But, in fact, Ms. Garcia represented  
16 in her deposition testimony that she was referred to  
17 you by her attorney, Glen Lerner's office.

18 A. I have a referral in my record from  
19 Dr. Gulitz's office. It well predates the actual time  
20 we saw her, but her original referral was to my office.

21 When I saw her, she was a transfer of care  
22 from Dr. Lemper. So Dr. Lemper initially treated her,  
23 and I -- I believe the situation -- I'd indicated some  
24 administrative issues, but I believe the situation was  
25 she was looking for a doctor closer to where she lived.

1           Q.    And that Glen Lerner had coordinated that  
2 to --

3           A.    Probably gave her -- gave her my name off a  
4 list. I don't know.

5           Q.    Okay.

6           A.    I don't have documentation of that.

7           Q.    Fair --

8           A.    But I do know the original referral came from  
9 Dr. Gulitz.

10          Q.    Fair enough. Okay. So we're going to look  
11 at some medical records here.

12                Okay. The first one I'm showing you,  
13 Doctor -- and I will -- I'll identify all of these for  
14 the record.

15                This is from MountainView. This is the  
16 physician clinical report, as you can see at the top.  
17 This is Plaintiff's 18, document 1 in evidence. The  
18 treatment date here is January 5th of 2011. And I just  
19 want to direct your attention to the history provided  
20 by Ms. Garcia on the day of the accident.

21                You've seen this report, correct, Doctor?

22          A.    Yes, I have.

23          Q.    Okay. So -- and what this shows is  
24 Ms. Garcia reported she felt fine after the accident --

25          A.    Correct.



1 Q. -- she was pain free after the accident, and  
2 patient symptoms started today.

3 Do you see that?

4 A. Correct.

5 Q. Thank you. Then I'm going to go to -- the  
6 next one is Dr. Gulitz's report. It's page --  
7 Plaintiff's Exhibit 15, page 2. This is from  
8 January 12th of 2011. And I just want to direct your  
9 attention to the bottom of the page.

10 "Ms. Garcia stated" -- so that's her  
11 self-reporting it -- "that, after the collision, she  
12 was feeling shaky, nervous, in pain, and upset."

13 Do you see that?

14 A. Correct.

15 Q. Thank you.

16 A. Can I see the date of the visit, please?

17 Q. Oh, yeah. We have to go to -- this is the --  
18 Plaintiff's 15, 2, is actually -- it's January 12th of  
19 2011.

20 A. All right.

21 Q. This stamp line is not the date -- the stamp  
22 line is not the date of treatment.

23 A. Correct.

24 MR. MAZZEO: Right? Did I get that correct?

25 MR. ROBERTS: I wasn't following you. I'm

1    sorry.

2                   MR. MAZZEO:  Oh, okay.

3    BY MR. MAZZEO:

4           Q.    Okay.  Just for -- so there's no  
5   misunderstanding, I'm going to now show you -- this is  
6   document -- Plaintiff's 15, page 1.  And the date of  
7   treatment is -- date of initial exam, 1/12 of '11.

8           A.    Thank you.

9           Q.    Do you see that?  Okay.  Very good.

10                   And now we have Dr. Andrew Cash's treatment  
11   record of 2/16 of 2011.

12           A.    Correct.

13           Q.    And that's where Ms. Garcia reported,  
14   "Patient fought through the pain over the next four  
15   days because she did not want to miss work."

16                   Do you see that?

17           A.    Correct.

18           Q.    Okay.  And -- and that statement to you and  
19   to the reasonable person would indicate that she had  
20   pain from the time of the accident for the next four  
21   days; correct?

22           A.    Correct.

23           Q.    Thank you.  Now, moving on to Dr. Gross's  
24   record from May 31st of 2011, "Ms. Garcia states she  
25   recalls being jerked from side to side with forward

1 flexion of her body. She was dizzy, dazed, confused,  
2 and nauseated. She was in shock."

3 Do you see that?

4 A. Correct.

5 Q. Okay. And that indicates in the way that  
6 it's reported that she had pain immediately at the time  
7 of the accident. Yes?

8 A. Not necessarily.

9 Q. Okay. That's the way you read it?

10 A. The way I read all four of those together, if  
11 you want to --

12 Q. No, I don't. Stop.

13 A. -- me to address a composite.

14 Q. No. I was just showing you what -- what  
15 Ms. Garcia reported.

16 A. Okay.

17 Q. Would you agree that in the records I showed  
18 you that there is an inconsistency between the -- what  
19 Ms. Garcia reported as to the onset of symptoms?

20 A. I would agree that at face value there was an  
21 inconsistency, but in a sense, there's not.

22 Q. Thank you. Doctor, let's move on. Let's  
23 look at another report here.

24 So we're going back to the MountainView  
25 Hospital record, January 5th of 2011. We're going to

1 go to -- and that's Plaintiff's 18, page 1. We're  
2 going to move on to Plaintiff's 18, page 2, "Social  
3 history: nonsmoker, no alcohol use or drug use."

4 Do you see that?

5 A. Yes.

6 Q. Thank you. Now, moving back to the same  
7 record I showed you before from Dr. Gulitz from  
8 January 12th -- I'm sorry -- January 12th of 2011,  
9 "Social history: She does not smoke. She indicated  
10 she does drink alcoholic beverages socially."

11 Do you see that?

12 A. Yes.

13 Q. And you see that there's a -- there's an  
14 inconsistency between what she reported to MountainView  
15 Hospital and seven days later what she reported to  
16 Dr. Gulitz. Yes?

17 A. On that? Are you kidding me?

18 Q. Yes. On that, yes.

19 A. Okay.

20 Q. I'm not kidding.

21 A. I'll say there's an inconsistency. Okay.

22 Q. Thank you, Doctor.

23 Now -- and just let me highlight this.

24 And you do see that, on this record of  
25 January 12th, she said she does not smoke?

1           A.     Correct.

2           Q.     That means she doesn't smoke occasionally;  
3 she doesn't smoke at all.    Right?

4           A.     That means they recorded that she doesn't  
5 smoke.

6           Q.     Okay.

7           A.     This is an emergency room.

8           Q.     Oh, wait.   No, Doctor.   That's Dr. Gulitz.

9           A.     Gulitz?   Okay.

10          Q.     Dr. Gulitz reported that.

11          A.     Right.

12          Q.     Thank you.

13          A.     Um --

14          Q.     No, I'm not asking for an explanation.   I'm  
15 showing you entries in the record.

16          A.     Okay.

17          Q.     You don't have to explain and justify why  
18 there might be inconsistencies.   I'm pointing out  
19 inconsistencies.

20                 Okay.   Moving on to Primary Care Consultants,  
21 Plaintiff's 16, page 4.   This is for January 12th, same  
22 day as Dr. Gulitz, "positive for occasional tobacco  
23 use.   Positive for occasional alcohol use."

24                 Do you see that?

25          A.     Yes.

1 Q. Thank you.

2 Dr. Andrew Cash, Plaintiff's 23, page 3, "She  
3 smokes a pack a month."

4 Do you see that?

5 A. Yes.

6 Q. Thank you. Moving on to Dr. Gross's report,  
7 May 31st, 2011, second page. This is Plaintiff's 24,  
8 16. "Social history: She smokes about six cigarettes  
9 a week, and she noted she had smoked for 27 years";  
10 correct?

11 A. Correct.

12 Q. Moving on to Dr. Lemper's report. This is  
13 Dr. Lemper's report -- Plaintiff's Exhibit 21, page 2.  
14 And this is Dr. Lemper's report from 6/29 of 2011. And  
15 she -- this is about a month after she met with  
16 Dr. Gross. She indicates that she smokes just under a  
17 pack per day.

18 Do you see that?

19 A. No. That doesn't say "just under a pack per  
20 day." That says "less than."

21 Q. Less than a pack per day?

22 A. Zero is less than a pack per day.

23 Q. Well -- but if -- but we can get -- we can  
24 get funny with these words.

25 But if it says "less than" -- and that's a

1 less-than sign; correct?

2 A. Right. But I think you need to actually  
3 interpret what that means.

4 Q. And -- and, Doctor, is this a less-than sign?

5 A. Yes, it is.

6 Q. She's not saying half a pack a day; she's not  
7 saying six cigarettes a day. She's saying less than  
8 one pack per day. Yes?

9 A. Correct.

10 Q. Thank you. Now, moving on to your record.  
11 Now, your record -- this is on the screen. This is  
12 from November 7th, 2012. And that's Plaintiff's 26,  
13 page 66. And you see that -- I'll zoom in on it.

14 She indicates to you that she does not smoke;  
15 correct?

16 A. Correct.

17 Q. Is that an accurate statement or did you  
18 maybe make a mistake in putting this entry in here?

19 A. Well, the answer is well beyond that. These  
20 kind of entries are clearly --

21 Q. No, no, no, Doctor -- Doctor --

22 A. I can explain --

23 Q. Don't give a self-serving answer.

24 MR. ROBERTS: Objection, Your Honor. Let the  
25 witness answer.

1           MR. MAZZEO: It's nonresponsive, Judge. I'm  
2 asking about -- I asked him a specific question about  
3 this -- this entry, not a self-serving answer for the  
4 plaintiff.

5           THE COURT: You asked, "Is this an accurate  
6 statement or did you maybe make a mistake in putting  
7 this entry in here?" He gave you two choices. If  
8 there's a different choice, you just have to let him  
9 know.

10           THE WITNESS: There's a different choice.  
11 BY MR. MAZZEO:

12           Q.    Okay.

13           A.    Um --

14           Q.    No, no, no. I'm not asking you about a third  
15 choice --

16           A.    Okay.

17           Q.    -- to explain it.

18                   Did you -- did you -- is this an inaccurate  
19 statement in this report?

20           A.    I don't know. There's a third choice that  
21 you won't let me explain.

22           Q.    I'm ask -- I'm asking you about this one  
23 sentence.

24                   Do you see it? "The patient" --

25           A.    Correct.



1 Q. -- "does not smoke."

2 A. I do not personally collect that data. So  
3 there is an alternative explanation.

4 Q. Does someone else from your office collect  
5 that data?

6 A. Yes.

7 Q. And is it your contention that someone else  
8 from your office may have made a mistake? Yes or no.

9 A. That's certainly possible.

10 Q. Okay. Thank you. And by the way, that date  
11 again was November 6th of 2012. And then we have -- we  
12 go to Dr. Gross's report of November 13th, seven days  
13 later, of 2012. And she tells Dr. Gross, who she's  
14 gearing up for surgery at the end of December, "She  
15 agreed to fully quit smoking to enhance the fusion  
16 rate, and I explained that to her." Do you see that?

17 A. Yes.

18 Q. I read that correctly?

19 A. Yes.

20 Q. Thank you. Do you agree that, based on the  
21 records that I showed you, that there are  
22 inconsistencies in Ms. Garcia's reporting of the onset  
23 of symptoms and smoking?

24 A. Well, there certainly appears to be  
25 inconsistencies on whether she smoked or how much she

1 smoked, absolutely. Inconsistencies in the reporting  
2 of it anyway.

3 Q. And in the reporting --

4 A. Or the collection the data.

5 Q. And in the reporting of the onset of  
6 symptoms; correct?

7 A. Actually, like I said, at face value, it  
8 appears so.

9 Q. Thank you.

10 A. But if you dissect the words that are there,  
11 actually, I believe it's consistent.

12 Q. Okay. Oh, okay.

13 A. I can explain that.

14 Q. Would you agree that the inconsistencies in  
15 the records that I have just pointed out to you impacts  
16 Ms. Garcia's overall credibility in her reporting of  
17 not just items regarding onset of symptoms and smoking  
18 but other items as well? Yes or no.

19 A. I don't think you can make that statement in  
20 the context of what you presented.

21 Q. Do you agree, Doctor, that some patients may  
22 attribute a preexisting condition to a subsequent --  
23 subsequent traumatic event where a third party might be  
24 responsible?

25 A. I would say yes, that's happened.

1 Q. Okay. And -- and that's because --  
2 especially in a situation where a -- a party patient  
3 litigant has a financial interest in the claim?

4 A. I think there's literature to support that,  
5 particularly in the workmen's comp community.

6 Q. Now, you've testified that all your treatment  
7 is related to the subject accident; correct?

8 A. Correct.

9 Q. Yep. And that's your opinion; right?

10 A. Yes.

11 Q. And you understand that your opinion here  
12 is -- is in dispute with regard to this litigation?

13 A. Seems like every time I'm up here, my opinion  
14 is in dispute.

15 Q. Okay. That's -- that's probably a fair  
16 assessment. Okay. So --

17 A. Nature of the beast.

18 Q. So just because, Dr. Kidwell, you say that  
19 all treatment is related to the motor vehicle accident,  
20 it doesn't necessarily mean that it is; you are just  
21 offering your opinion?

22 A. Within a reasonable degree of medical  
23 probability, yes, sir.

24 Q. Well, I know that you've testified with that  
25 catchall phrase that was asked and prompted to you

1 by -- by Mr. Roberts, but nonetheless, it doesn't  
2 mean -- this reasonable degree of medical probability,  
3 it still doesn't mean that all your treatment is  
4 necessarily related to the subject accident just  
5 because you say it is.

6 MR. ROBERTS: Objection. Is there a  
7 question?

8 THE WITNESS: Well, actually, I think it  
9 does. I have treated her longer, more than anybody  
10 else. I have had the opportunity to see her over time.  
11 You take out the specter of litigation, we wouldn't  
12 even be here, but still her treatment plan would have  
13 been exactly the same.

14 BY MR. MAZZEO:

15 Q. Generally, you would expect -- is this fair  
16 to say? -- about an 88 to 92 percent success rate for a  
17 two-level fusion?

18 A. 88 to 89?

19 Q. No, 88 to 92 percent success rate.

20 A. Shoot, I can't quote you the numbers on that  
21 on a fusion. You'd have to ask my surgical colleagues.

22 Q. Fair enough. Now -- but you did testify that  
23 you believed -- in your estimation and your opinion,  
24 you believe that the fusion was successful?

25 A. Oh, yes, it appears to be very successful.

1 Q. Okay. Now, in your report --

2 A. She had a Grade II spondylolisthesis that was  
3 now stabilized.

4 Q. Doctor, you answered the question. You don't  
5 have to go on. Thank you.

6 Now, in your report -- here we go. In your  
7 report, and I think you testified on direct examination  
8 last week, you had said -- and I was looking at my  
9 notes, so I was trying to figure out which day you had  
10 said in -- in 2013 when Ms. Garcia reported to you that  
11 she started to develop some pain in her right thigh.

12 And -- and I believe I have located a record.  
13 August 7th of 2013, I believe, is the -- is the record  
14 date. And that would be -- that would be  
15 Plaintiff's 26. Let's see. That would be  
16 Plaintiff's 26, page 43. Okay. So that's August 7th.  
17 She reports she developed some pain -- some pain in her  
18 right thigh. Do you see that?

19 A. Yes.

20 Q. Okay. Would that be the first time that  
21 she -- she reported to you that she developed pain  
22 following her surgery? That she developed pain in the  
23 right thigh.

24 A. She's a month out from surgery. I expect her  
25 to have a lot of pain in a lot of places. I don't

1 think that's significant.

2 Q. Well, no, this is August. We're -- we're  
3 eight months out from surgery. This is the August 7th.  
4 What I was --

5 A. My mistake. Sorry.

6 Q. No, that's fine. That's fine. But what I  
7 was asking you about -- because I remember you  
8 testified the other day -- and I had something in  
9 November, but I found this record as -- as the first  
10 date following her surgery, which was about eight  
11 months later, that she reported to you that she had  
12 developed some pain in the right thigh?

13 A. Correct.

14 Q. And so my question was -- and we can -- we  
15 can look at some of your records. My question was, was  
16 that the first time, in 2013, that she reported that to  
17 you?

18 A. I think so.

19 Q. Okay. And just -- just for accuracy, we're  
20 going to look at some of your records. So we're going  
21 to go to January 30th, 2013, and this is Plaintiff's  
22 26, page 27. And -- and the chief complaint's neck and  
23 low back pain -- well, that's --

24 A. January 30th. Let me --

25 Q. Right.

1           A.    -- look at something.

2           Q.    But in any event, she did not report -- what  
3 I'm showing you is -- I just want to be clear that she  
4 didn't report right anterior thigh pain or right thigh  
5 pain when she saw you on January 30th. Correct?

6           A.    We can look at her pain diagram and make  
7 sure, if you'd like.

8           Q.    Well, do you have a copy of the -- you have a  
9 copy of the full report with you?

10          A.    I can pull up on my computer her pain  
11 diagram, if you wish.

12          Q.    Well, let me ask you this. You don't have to  
13 pull it up. So if she did in the pain diagram -- you  
14 look at the pain diagram when a patient fills it out;  
15 right?

16          A.    Yes.

17          Q.    And you use the -- you look at the pain  
18 diagram and, from that, you extract information which  
19 you then put into your report; correct?

20          A.    Correct.

21          Q.    Okay. So if she had indicated that she had  
22 pain in her thigh or leg, you would have indicated  
23 that. Yes?

24          A.    Possibly.

25          Q.    Okay.

1           A.    It depends the significance of it.

2           Q.    Okay. All right. Well, in any event, it  
3 wasn't anything that you deemed to be significant -- if  
4 she did report it to you, it wasn't anything that you  
5 deemed to be significant to put it into your report?

6           A.    Correct.

7           Q.    Okay. Let's move on to your next record. I  
8 believe the next treatment date you have is April --  
9 April 10 of 2013. And you note she's doing fairly  
10 well; her pain scores are down, 5 over 10. You see  
11 that?

12          A.    Correct.

13          Q.    Otherwise, under this Chief Complaint  
14 section, there's no indication of her reporting any  
15 pain in her thigh or leg; correct?

16          A.    Correct.

17          Q.    Okay. Then moving on to -- moving on to the  
18 next record, May 8th of 2013, again, under Chief  
19 Complaint, that paragraph, that's where you would  
20 indicate if she was reporting any -- any -- any  
21 indication of pain in her -- in her low back or thigh,  
22 that would be indicated there; correct?

23          A.    Correct.

24          Q.    Okay. And she didn't indicate anything  
25 there?



1           A.    No, she was pretty much on track.

2           Q.    She's on track. All right. Good. Good.

3   Good.

4                   And we're going to move on to then the next  
5   record, Plaintiff's 26, page 39. And so this is June.

6   I know the words are small. Can everybody see that?

7   June 11th, 2013. Again, we're six months out from the  
8   surgery. She's on track still?

9           A.    Yes.

10          Q.    She's not reporting any pain in her thigh or  
11   down the leg?

12          A.    Well, she's still having some pain. I don't  
13   note specifically in the thigh, but we reduced her  
14   medications, got her off the narcotic and put her on  
15   Ultram, which is significant, so she's on track.

16          Q.    Very good. Very, very good.

17                   And then we -- and then -- all right. So --  
18   so you're thinking at this point she's doing fairly  
19   well with her recovery, with -- this is some indication  
20   that the surgery's a success; correct?

21          A.    Correct. She's on track.

22          Q.    Okay. All right. Now, what I'm going to  
23   show you is Dr. -- this is Dr. Gross's record. It's in  
24   evidence. January 7th. So we're about 7, 11, 12, 13  
25   days out after the surgery?

1           A.     Correct.

2           Q.     This is Dr. Gross's record, Exhibit 24,  
3 page 44. And, now, she's reporting she's having some  
4 right anterior thigh numbness and rare, brief,  
5 temporary shock-like pain since the surgery. Do you  
6 see that?

7           A.     Correct.

8           Q.     Thank you. Now we're going to go to the  
9 February 2013 record, and this is Plaintiff's  
10 Exhibit 24, page 50. And so now this is two -- this  
11 is -- this is a little over a month after the  
12 accident -- after the surgery, and she's reporting to  
13 Dr. Gross that she has intermittent right leg pain with  
14 some numbness in the anterior thigh and posterior  
15 thigh. You see that?

16          A.     Yes.

17          Q.     Thank you. And then we go on to Dr. Gross's  
18 April 3rd, 2013, report. Plaintiff's Exhibit 24,  
19 page 56. And she's reporting to Dr. Gross she still  
20 has some intermittent right leg pain where it had been  
21 numb. Do you see that?

22          A.     Correct.

23          Q.     And -- and Dr. Gross also noted she had  
24 missed some appointments. This is the April 3rd, 2013,  
25 report. She missed some appointments while visiting

1 her sick mother in Texas. Do you see that entry?

2 A. Correct.

3 Q. Okay. Okay. So is it correct, true or  
4 false, Doctor, she's reporting to Dr. Gross that she's  
5 having pain in her right anterior thigh and numbness  
6 within a -- two weeks after the surgery, a month after  
7 the surgery, two months after the surgery, but she's  
8 not reporting those same symptoms to you when you're  
9 seeing her in February, April, May. Is that correct?

10 A. Incorrect.

11 Q. Okay. Well, we are going to move on because  
12 we went over your medical records, didn't we?

13 A. I've got pain diagrams that show she  
14 documented everything.

15 Q. Doctor, we went over your medical records,  
16 though, didn't we? The records where you have the --  
17 you made entries about --

18 A. Sure.

19 Q. -- Ms. Garcia's --

20 A. But that's an incorrect statement.

21 Q. Are you saying that your records are  
22 incorrect?

23 A. No. I'm saying -- you're saying that she  
24 didn't report it, and indeed she did. We just didn't  
25 include it as part of the pain complex. But I have

1 pain diagrams that demonstrate it with her own hand.

2 Q. Thank you, Doctor.

3 Now, on April 2nd of 2014, Doctor, you used  
4 the diagnosis of failed back surgery syndrome. Yes?

5 A. That's correct.

6 Q. And you also used that diagnosis -- that  
7 wasn't only time you used that diagnosis; correct?

8 A. Correct.

9 Q. You also used that diagnosis August 25th of  
10 2014, a failed low back surgery syndrome. Yes?

11 A. I don't know. I don't have that in front of  
12 me, but I'll take your word for it.

13 Q. Okay. Do you have -- do you have that record  
14 in front of you on your computer?

15 A. Which date again, sir?

16 Q. Yeah, that would be -- I believe I said  
17 August 25th, 2014.

18 A. August 25, 2014, is when I performed the  
19 spinal cord stimulator trial.

20 Q. Correct.

21 A. One of the diagnoses was failed low back  
22 surgery syndrome.

23 Q. Okay.

24 A. I did have a diagnosis for that.

25 Q. Now, that term -- and I know you talked about

1 it on direct examination, but that term -- one second,  
2 please. That term refers to chronic back and/or leg  
3 pain that occurs after a back surgery?

4 A. Correct.

5 Q. And is it correct to say that when pain  
6 persists after a back surgery, that it's true that the  
7 cause of the pain need to be reevaluated?

8 A. I would say generally true. Yes.

9 Q. And is it -- is it also correct to say that  
10 it may be the case that the surgery was performed  
11 to -- to address an issue that was not the true pain  
12 generator? Not saying in this case, but in general.

13 A. Well, that's a pretty big speculative term,  
14 but I would say possible.

15 Q. Okay. And -- and it may -- when we use the  
16 term and reference failed back surgery syndrome, it may  
17 also reference damage from a spinal procedure itself  
18 that is causing the pain?

19 A. I think I stated in my prior testimony that  
20 failed back surgery syndrome really is a nonspecific  
21 diagnosis. That's why we don't like it. We're forced  
22 to use it because of coding; however, it doesn't tell  
23 you anything about what really is going on.

24 Q. Thank you.

25 A. So the questions you ask are generally

1 correct, but I think the take-home message with failed  
2 back surgery syndrome, it's a terrible diagnosis. It's  
3 something that's forced on us by a coding industry that  
4 tells you nothing about the nature of the problem.

5 Q. Exactly. Because you -- when -- when -- when  
6 there's pain that persists after a surgery, that --  
7 after a surgery that you deem to be successful, if pain  
8 continues to persist, you may not know the source of  
9 that pain. Correct? You have -- you want to ascertain  
10 what it is; right?

11 A. Correct.

12 Q. Okay. And -- and it's possible that -- it's  
13 possible that the pain may be due to -- due to a --  
14 the -- the results or effects of a spinal procedure  
15 that could have caused the additional pain after  
16 surgery. Yes or no?

17 A. In some cases -- and there's a lot of  
18 different reasons for pain. The list is long and  
19 distinguished. But you can develop something as simple  
20 as epidural fibrosis. That means scarring on a nerve  
21 that occurs after a surgery. And that usually occurs  
22 later on in the course of recovery.

23 Q. So --

24 A. So that's -- that's just one of many  
25 possibilities.

1 Q. One of many possibilities; right?

2 A. Correct.

3 Q. And, hence, the reason why you're going to  
4 use this garbage-bag diagnosis of failed back surgery  
5 syndrome; right?

6 A. Well, I'm kind of forced into using it. Like  
7 I said, it's a coding standard. There are a lot of  
8 diagnoses that don't make sense. As I previously  
9 testified, the word "spondylosis" for facet pain.  
10 Well, "spondylosis" doesn't mean facet pain, but we're  
11 forced to use that code in order to do the procedures,  
12 so we're stuck with that.

13 Q. And if there's pain that arises after surgery  
14 and you don't know what the cause is, then if you don't  
15 want to use failed back surgery syndrome, you can use  
16 another word ending in "syndrome," right, until you  
17 find out what the cause is?

18 A. I don't follow you on that one.

19 Q. Well --

20 A. Ending in syndrome? What do you mean?

21 THE REPORTER: I'm sorry, pending and  
22 syndrome?

23 THE WITNESS: I'm sorry?

24 THE REPORTER: I didn't get what you said.

25 THE WITNESS: I said I don't know what you

1 mean by blank syndrome.

2 BY MR. MAZZEO:

3 Q. You can use any term. If you don't like the  
4 word or the phrase "failed back surgery syndrome," you  
5 can use any term that -- there could be another  
6 garbage-bag term that you can use to say there could --  
7 this pain could be from a multitude of different -- it  
8 could arise from a multitude of different reasons --

9 A. No.

10 Q. -- and we have to figure out what it is;  
11 right?

12 A. Well, the other name is post laminectomy  
13 syndrome. But, yes, I mean, that's unsatisfying to all  
14 of us. We want to determine what's going on.

15 Q. For a lack of a better term, you guys use  
16 "post laminectomy -- laminectomy syndrome" or "failed  
17 back surgery syndrome"; right?

18 A. Correct.

19 Q. Okay. And you do agree that Ms. Garcia  
20 reported new complaints after the surgery that she  
21 hadn't previously reported prior to the surgery?

22 A. Are you referring to the thigh pain?

23 Q. Yes.

24 A. Certainly I will give you that.

25 Q. Okay. You'll give that to me because



1 we're -- we are --

2 A. Well, actually -- actually, let me check one  
3 thing just to be totally accurate. Give me one second.

4 Q. Sure. Just let us know what you're looking  
5 at.

6 A. I'm pulling out my archive records that has  
7 pain diagrams in it. It's the same thing that's on my  
8 disks that I submit. It's a rather large file, so it  
9 takes a second.

10 Q. That's fine. And -- and what you're focusing  
11 on, just so we're clear, you're focusing on the -- my  
12 last question, which referred to Ms. Garcia reporting  
13 new pain complaints after the surgery; right?

14 A. That's what I am focusing on.

15 Q. Thank you.

16 A. Okay. On her initial visit -- I have the  
17 patient registration form. We're looking at --

18 Q. You don't have to -- oh, go ahead.

19 A. On her initial visit -- I'm looking at her  
20 pain diagram to where she illustrates pain in both  
21 thighs. So there was something there to begin with. I  
22 can show it to you, if you'd like.

23 Q. Pain and numbness in both thighs from August  
24 of 2012?

25 A. This is my initial consultation -- what's the

1 date on that?

2 Q. August 15th of 2012.

3 A. Yes, sir.

4 Q. It's not something that you had -- was that  
5 something that you had noted in your initial  
6 consultation report?

7 A. Yeah, down both legs. But if you look at the  
8 pain diagram, you can clearly see where she's drawing  
9 it on her thighs as well.

10 Q. Indicating anterior thigh numbness and pain?

11 A. It's indicating pain scores, I will give you  
12 that. You're welcome to look at it.

13 Q. But not indicating anterior thigh pain, thigh  
14 numbness and pain. Just pain scores?

15 A. I just see sevens and eights all drawn on  
16 here right across her anterior thigh. So she did have  
17 that before.

18 Q. Do you agree that if the surgery was  
19 successful -- and you have -- strike that.

20 You have worked on and you have treated a lot  
21 of patients who have had surgery; right?

22 A. Yes.

23 Q. Okay. And would you agree that there are a  
24 good number of -- of patients who have this two-level  
25 fusion surgery who do not need additional diagnostic

1 injections -- procedures after the surgery to ascertain  
2 what the pain generator is?

3 A. I think what you're saying is, are there  
4 people that go on to become pain free and I never see  
5 them again? That's correct.

6 Q. Yeah. And actually, with -- there's probably  
7 a good -- there's probably a fairly large number of  
8 patients who have fusion surgery who go on afterwards  
9 that you won't see again. They won't need additional  
10 diagnostic procedures to ascertain the source of  
11 additional pains.

12 A. Correct. And I don't know the current  
13 numbers. Several years ago, the numbers they threw out  
14 in the surgical community for innerbody fusion, single  
15 level, was that 50 percent get better, 30 percent no  
16 change, and 20 percent get worse.

17 Again, this -- I don't have any actual study  
18 on those numbers that the community threw out several  
19 years ago. That was all surgeons, all patients across  
20 the board.

21 Q. So as you sit here today, you are not  
22 standing by those figures, then?

23 A. No, because the success rate, to my  
24 understanding, was higher when you're treating actually  
25 a spondylolisthesis.

1           Q.    Okay.  Good.  Thank you.  And would you agree  
2 that most patients that -- that have had a surgery for  
3 treating a spondylolisthesis generally do not need a  
4 lifetime of pain meds after the surgery?

5           A.    "Generally" is a big word.

6           Q.    Most of the time?

7           A.    I would say more than 50 percent of the  
8 patients go on to recover completely.

9           Q.    Yeah.  And I'm not saying recover -- okay.  
10                   And would you say that more than 80 percent  
11 of the patients recover sufficiently enough that they  
12 don't need a lifetime of pain meds after the surgery?

13          A.    No, no, I can't agree or quote that number.  
14 I don't know.

15          Q.    Okay.  Would you agree that most patients  
16 that have had a two-level fusion to correct a  
17 spondylolisthesis would not need a trial spinal cord  
18 stimulator to attempt to reduce the increased continual  
19 pain following the surgery?

20          A.    Well, if you have already stated that  
21 50 percent or more will go on to a full recovery,  
22 then -- then that obviates a spinal cord stimulator in  
23 that population just by numbers.

24          Q.    So that's a yes?

25          A.    No.  Those who fail to recover --

1           Q.    Not asking about those that failed to  
2 recover. I'm asking you that most of those that have a  
3 two-level fusion surgery to correct a spondylolisthesis  
4 do not need -- go on to need a -- a trial spinal cord  
5 stimulator.

6           A.    Well, your question is deceiving. That's the  
7 same question worded differently.

8                   When you say 50 percent or so go on to full  
9 recovery, that infers no pain meds, no additional  
10 therapies.

11          Q.    It's a simple -- simple question, Doctor.

12          A.    But it's not that simple. You're leaving out  
13 the rest of the population who didn't recover.

14          Q.    I'm not -- I'm not asking you about the small  
15 percentage that don't recover.

16          A.    Well, it's not that small. Okay?

17          Q.    Percentage-wise, most -- from your own words  
18 on the witness stand under oath --

19          A.    Most.

20          Q.    -- you said that most patients that have had  
21 a spinal fusion to the -- to correct the  
22 spondylolisthesis, it's successful. Yes?

23          A.    Correct. "Most" means greater than  
24 50 percent.

25          Q.    Yes. And you don't know the statistics; it

1 could be as high as 80, 90 percent?

2 A. I don't think so.

3 Q. Okay. But you don't know?

4 A. Well, I treat patients a lot. So I --

5 Q. Statistically you don't know the answer?

6 A. Not to that degree of --

7 Q. Fair enough.

8 A. -- certainty, I don't.

9 Q. But you do know the answer to a degree of  
10 certainty that most that receive a two-level spinal  
11 fusion surgery to correct a spondylolisthesis go on  
12 to -- for -- for complete recovery; right?

13 A. Yeah. I believe it's a little greater than  
14 50 percent.

15 Q. Thank you. And that's -- and we're talking  
16 complete recovery?

17 A. Then again, if you throw out those innerbody  
18 fusions on a single level, that actually would suggest  
19 less. So I'd have to -- to be accurate, refer to my  
20 surgical colleagues for the recent numbers on that.

21 Q. Fair enough. But based on the testimony  
22 you -- and I'm not asking you for numbers. We're  
23 just -- from your testimony, you're just saying greater  
24 than 50 percent?

25 I'm not pinning you down to 51 percent, 60,

1 or 80 percent; but we know it's greater than  
2 50 percent.

3 A. We know that I believe it's greater than  
4 50 percent.

5 Q. Okay. And -- and that's all I'm asking you.

6 A. Okay.

7 Q. I'm asking you your opinion, as you sit here  
8 today.

9 A. Okay.

10 Q. Not asking you to give an opinion of what  
11 Dr. Gross believes but what you believe, Dr. Kidwell;  
12 right?

13 A. Correct.

14 Q. Okay. So, now, moving on to that question  
15 again, would you agree that most patients who have a  
16 two-level spinal fusion to correct a spondylolisthesis  
17 would not need a trial spinal cord stimulator after the  
18 surgery? Yes or no.

19 A. Yeah, I think I answered that. Because it's  
20 the same population that went on to full recovery.

21 Q. Thank you.

22 Would you agree that most patients -- another  
23 question, and I anticipate the same answer.

24 A. Okay.

25 Q. Most patients who have a two-level fusion

1 surgery to treat a spondylolisthesis would not need a  
2 lifetime of radiofrequency ablations costing  
3 \$1,440,000?

4 A. Well, the cost got me by surprise because I  
5 don't know that number.

6 Q. Okay.

7 A. So let's just say I have no knowledge of  
8 that.

9 But the same population, if 50 percent got  
10 better to full recovery, that infers that no other  
11 treatment was necessary.

12 Q. Thank you.

13 And -- and -- and to continue with that, you  
14 wouldn't need typically -- and most patients who have  
15 this type of fusion surgery wouldn't need an option of  
16 having a permanent spinal cord stimulator to reduce  
17 pain levels after a surgery like this. Yes?

18 A. Correct. Same answer, same population.

19 Q. Correct. Thank you.

20 Now, the other day you testified that you had  
21 spoken with -- you sat down with Plaintiff's counsel  
22 for three hours and 40 minutes to discuss your trial  
23 testimony; correct?

24 A. Correct.

25 Q. And when you sat down with Mr. -- you sat



1 down with Mr. Roberts; correct?

2 A. Yes.

3 Q. As well as other attorneys?

4 A. Correct.

5 Q. Okay. That would be Mr. Smith?

6 A. Yes.

7 Q. And Mr. Mott?

8 A. Correct.

9 Q. Anyone else?

10 A. I don't believe so. A court reporter -- no,

11 there wasn't a reporter there.

12 Q. No?

13 A. I think that was it.

14 Q. Okay. And -- now, before you sat down with

15 them, you felt reasonably certain that all your

16 treatment was related to the subject accident; right?

17 A. Correct.

18 Q. However, during your meeting with Plaintiff's

19 counsel, they expressed to you concerns about you being

20 able to tie in all your treatment to the motor vehicle

21 accident, and that's why they sat down with you for 3

22 hours and 40 minutes; right?

23 A. I didn't get a sense there were any concerns.

24 Where did you get that?

25 Q. They -- they discussed with you your trial

1 testimony, which included treatment that you provided  
2 to Ms. Garcia; right?

3 A. Correct. It was a very nice, helpful review  
4 going through all of my medical records. I thought it  
5 was very helpful.

6 Q. Very helpful. And they -- and what you  
7 needed to do was justify why certain diagnostic tests  
8 fell short of diagnosing a pain generator; isn't that  
9 correct?

10 A. No. I don't understand what you mean by  
11 something fell short.

12 Q. Didn't you have to -- I know I wasn't there.  
13 I wasn't there, right, when you met with  
14 Plaintiff's counsel?

15 A. No, sir, you weren't there.

16 Q. No.

17 A. I would have remembered that.

18 Q. Yeah. And isn't it a fact that, when you sat  
19 down with them, you needed to justify why the surgery  
20 was not successful, because she still had -- Ms. Garcia  
21 was still having pain complaints after the surgery;  
22 right?

23 A. Are you --

24 Q. You needed to justify --

25 A. Are you -- no, not "justify." That's not the

1 right word.

2 Q. Okay. Withdrawn. I'll move on --

3 A. Okay.

4 Q. -- Doctor.

5 Now, as you testified, Dr. Gross focused  
6 on -- his surgery focused on Ms. Garcia's spondylolytic  
7 spondylolisthesis at L5-S1?

8 A. Well, she had two-level fusion. So that  
9 would include L4-5.

10 Q. Correct.

11 A. Correct.

12 Q. Right. Okay. It focused on those two  
13 levels, and specifically it was to address the  
14 spondylolytic -- the pars defect with the  
15 spondylolisthesis?

16 A. Again, Dr. Gross could probably speak much  
17 more eloquently than I could on this.

18 However, the surgery was to fuse L4-5 and  
19 L5-S1. There's a large slippage spondylolisthesis at  
20 the L5-S1 level. However, had she not had pain, she  
21 never would have come to anybody's attention and,  
22 therefore, wouldn't have had surgery.

23 So what we're treating is her pain  
24 complaints.

25 Q. Sure.

1           A.    Obviously, she had a structural defect that  
2 became symptomatic from -- I mean, it's obvious it  
3 became symptomatic from the collision.

4           Q.    Well, that's your opinion that it became  
5 symptomatic from the collision. That's in dispute.

6           A.    Well, to me, it's obvious.

7           Q.    From -- for you, it's obvious. Okay.

8           A.    So --

9           Q.    But do you --

10          A.    -- had she not had symptoms, she would have  
11 not sought treatment, nor would she have had surgery or  
12 an injection.

13          Q.    That's a -- that's a great point. Because  
14 patients come to you and they -- they come to you and  
15 to other doctors because they have symptoms; right?

16          A.    Correct.

17          Q.    And they -- and these symptoms are in the  
18 form of pain generally and other symptoms; right?

19          A.    Correct.

20          Q.    And pain is a subjective component of the  
21 evaluation, of the reporting by a patient; correct?

22          A.    All pain complaints are subjective, and most  
23 medical complaints are subjective. If you come to me  
24 with shortness of breath, chest pain going down your  
25 arm --

1 Q. Sure.

2 A. -- that's totally subjective, but it is what  
3 you tell me it is.

4 Q. Exactly. So -- and, now, the spondylolytic  
5 spondylolisthesis was a condition that preexisted the  
6 motor vehicle accident. Yes?

7 A. Most likely. I don't think we have any data  
8 to show that it was preexisting, but most of the time  
9 you consider that slippage a chronic thing.

10 Q. And we've established that postsurgery  
11 Ms. Garcia continued to complain of pain. Yes?

12 A. Well, we have established that she was on  
13 track for recovery. You know it takes two years to  
14 recover from a fusion. That -- it takes two years to  
15 get as good as you're going to get.

16 She was on track, improving. And then, it  
17 looks like, about eight months out she started to  
18 develop some pain. Further on, it became worse and  
19 worse. So that's really what happened.

20 Q. That's what I was asking you.

21 Postsurgery, did it continue --

22 A. Well, "postsurgery" is a big word. Is it a  
23 month postsurgery? Two months postsurgery? Ten months  
24 postsurgery?

25 Q. Oh. Fair enough.

1           A.    The chronology is important.

2           Q.    No, you're right.  You're right.

3                    So when I say "postsurgery," within a year,  
4 not your two-year time for when she would have optimal  
5 recovery from a fusion.

6                    So within a year after the surgery, she  
7 continued to complain of pain in her low back and legs.  
8 Yes?

9           A.    Well, there was a point in time it started  
10 getting worse.

11          Q.    Okay.

12          A.    Fair enough?

13          Q.    So she continued to complain.  Yes?

14          A.    Correct.

15          Q.    Okay.  Thanks.  And given that you have been  
16 treating Ms. Garcia -- and in each of your records, you  
17 said --

18                   By the way, when Ms. Garcia came to see you  
19 in August of 2012, you knew that she had a  
20 medical-legal claim; she was -- already commenced  
21 litigation?

22          A.    Correct.

23          Q.    Okay.  And -- and so typically you take --  
24 when Ms. Garcia came to you -- you were retained in  
25 this case as a treating physician.  Yes?

1           A.    Yes.

2           Q.    Not as a forensic expert?

3           A.    No.

4           Q.    Right?

5                   And so -- and you agree that your primary  
6 function is to diagnose and treat a patient.  Yes?

7           A.    Yes.

8           Q.    Okay.  And you were not retained to determine  
9 causation?

10          A.    When I first see a patient in treatment, I'm  
11 not being retained to see that patient.  I am treating  
12 that patient.

13                   I get retained when I'm asked to provide a  
14 report.  Up to that time, there's no retention.  It's  
15 not -- I don't work for Glen Lerner's office.  I don't  
16 work for --

17          Q.    Sure.

18          A.    -- Ed Bernstein's office.  I don't work for  
19 Bob Vannah's office.  I work for my patient.  I treat  
20 my patient.

21          Q.    And you're not an advocate on behalf of the  
22 patient with respect to her medical-legal claim; right?

23          A.    No, I'm an advocate for accuracy.  That's --  
24 that's my goal, to treat a patient appropriately and be  
25 as accurate as I can.

1           Q.    When you say "accurate," accurate in your  
2 treatment, accurate in documenting your treatment  
3 records; correct?

4           A.    Sure.

5           Q.    Okay.

6           A.    Absolutely.

7           Q.    So now -- so getting back to my question, you  
8 weren't retained to determine causation -- I'm not  
9 saying you didn't, but your -- if your primary focus is  
10 to diagnose and treat a patient, then your primary  
11 focus is not to determine causation. Yes?

12          A.    Yes and no. I've been doing this a long  
13 time. So when I see a case is in litigation for  
14 whatever source, I always include a statement of  
15 causation on the initial visit. But I have not been  
16 retained to do that.

17          Q.    No, no, no. I -- and I understand that. No.  
18 Fair enough.

19                But -- but knowing that -- that at the time  
20 that Ms. Garcia came to see you in August of 2012, you  
21 knew when she spoke to you -- and I guess when she was  
22 referred by Glen Lerner's office. But when she spoke  
23 to you at the initial consultation, you learned,  
24 certainly at that point if not earlier, that she  
25 already had litigation ongoing with respect to the



1 complaints for which she was seeing you for.

2 A. That's completely obvious. Sure. I know  
3 she's in litigation.

4 Q. Yeah.

5 A. That's all I know. But, again, I render  
6 causation statements based on a temporal relationship  
7 between relative onset of symptoms and the collision.  
8 And I do that because I've done enough depositions to  
9 know it always comes up. They're going to want to  
10 know, so I include it automatically so I don't have to  
11 go back and do it again.

12 Q. Exactly, Doctor. Very -- I'm glad you made  
13 that point, because you typically include that when you  
14 know that patients -- you include a causation --  
15 causation section when you know that patients are --

16 A. Correct.

17 Q. -- involved in a medical-legal claim; right?

18 A. And you've reviewed some of my records in the  
19 past. And you know very well, if there's a -- an issue  
20 of preexisting, I'll include that and I'll try to  
21 differentiate what's new, what's old. Yes, you've seen  
22 my records before.

23 Q. I have seen your records before, and I've  
24 deposed you before, and I've cross-examined you at  
25 trial before. Yes?

1           A.    Yes, sir.

2           Q.    Okay.  So -- so typically -- and -- and I  
3 think you made a very good point.  When you --  
4 you'll -- you'll include a causation reference in your  
5 treatment records when you know that the patient has a  
6 medical-legal claim; right?  You just said that?

7           A.    Yes.  I'll go out of my way on medical-legal  
8 claims and workmen's comp to define it a little better.

9                   In a nonlitigation-type case, I will still  
10 try to include in my statement when something happened  
11 or what caused it.  Example, "Guy fell off a ladder at  
12 home, hurt his back."  Simple statement like that.

13          Q.    Sure.  Because --

14          A.    I include that in all records.

15          Q.    I'm sorry.

16          A.    But since it comes up so often and I've done  
17 a lot of depositions, I automatically include it as a  
18 specific section in things where I know litigation is  
19 pending.

20          Q.    Sure.

21          A.    Workmen's comp, personal injury.

22          Q.    Absolutely.  So and -- and you still make a  
23 reference -- you'll -- you'll make a reference in  
24 almost every one of your treatment records of this  
25 patient, Ms. Garcia, as to the causation; right?

1           A.    You mean further-on records -- I mean, later  
2 records throughout the course of the treatment?

3           Q.    During the course of treatment, in your --

4           A.    No.  Actually, I don't include that every  
5 visit.  It's already been established on the first  
6 visit.  I don't need to say -- repeat stuff over and  
7 over again.  I pay for transcriptionists at a rate, so  
8 I tend not to be too wordy.

9           Q.    Right.  And your understanding, though, as  
10 you're treating Ms. Garcia from August of 2012 up  
11 until, I believe you said -- I'm not sure if you said a  
12 few weeks ago.

13          A.    Last week.

14          Q.    Last week.  Okay.

15                So from August of 2012 up until -- we're  
16 going on three and a half years, I guess, at this  
17 point.

18                So for three and a half years, the treatment  
19 that you've given her, in your mind, has been all  
20 related to the subject accident.  Yes?

21          A.    Oh, yes.  I think that's totally obvious.

22          Q.    Okay.  So after meeting with Plaintiff's  
23 counsel for 3 hours and 40 minutes prior to your direct  
24 examination where essentially, Doctor, you basically  
25 just went through, from what I saw -- I'm sitting over

1 here and I'm seeing Mr. Roberts just going through one  
2 record after another and what did she say and what was  
3 your finding.

4           So, basically, you went through your medical  
5 records on direct examination, and then you were asked  
6 opinions about the accident-related treatment; correct?

7           A.    What's the question?

8           Q.    So I know -- I know it was a little -- that  
9 was a little preamble.

10          A.    You got me a little confused. Go ahead.

11          Q.    That's -- fair enough. So you're not going  
12 to come in here now today and say that "Ladies and  
13 gentlemen, my opinions are wrong. She probably had  
14 issues that were not related to this accident."

15                You're not going to say that because you've  
16 already made a determination, because she's a -- she's  
17 a litigant, that all her treatment is related to the  
18 accident based on Ms. Garcia's self-report to you;  
19 right?

20          A.    You have three questions wrapped into that.

21          Q.    You want me to --

22          A.    I guess the short version is, are you saying  
23 I'm going to change my opinion? The answer is no.

24          Q.    Correct. You're not. Okay.

25                Now, let's -- give me a second, Doctor. I'm

1 going to take a look at something. We're actually  
2 going to back up. We're going to back up to her very  
3 first treatment visit.

4 And this is Plaintiff's 18, page 1.  
5 Physician clinical report, again, January 5th of 2011.  
6 Couple of questions for you.

7 And, by the way, Doctor, did you review this  
8 at some point, if not with your initial consultation  
9 but at some point during your treatment of Ms. Garcia?

10 A. I probably did.

11 Q. Okay. We're going to go on to the second  
12 page, and I want to focus on -- by the way, January 5th  
13 of 2011 was three days after the accident. Yes?

14 A. Yes, sir.

15 Q. Okay. So we're going to go on to  
16 Plaintiff's 18, page 2. We're going to focus on the  
17 physical examination that was -- that was done at the  
18 hospital there.

19 Now, at the -- at the hospital, Ms. Garcia  
20 complained of neck pain; right?

21 A. Correct.

22 Q. And -- and then a physical examination was  
23 performed on Ms. Garcia on January 5th of 2011;  
24 correct?

25 A. Correct.

1 Q. And -- and what the record shows is that --  
2 so it shows, with regard to her head, "Head was  
3 nontender. No swelling of the head."

4 You see that?

5 A. Correct.

6 Q. And then going on to the neck, "No muscle  
7 spasm in the neck. Painless range of motion.  
8 Nontender. No vertebral tenderness."

9 Do you see that?

10 A. Yes, I do.

11 Q. And then back, "No back tenderness. No  
12 vertebral point tenderness or muscle spasm."

13 Do you see that?

14 A. Correct.

15 Q. Extremities -- now, when we talk about  
16 extremities, what are we talking about?

17 A. Arms and legs.

18 Q. Right. Okay.

19 So "Normal inspection. Pelvis is stable.  
20 Extremities atraumatic."

21 What does that mean?

22 A. No bone sticking out, no bruising.

23 Q. Okay. And "no lower extremity edema."

24 A. Correct.

25 Q. Edema is accumulation of fluid?

1           A.     Correct.

2           Q.     So -- and typically, when you're in a -- when  
3 you have a traumatic -- if -- if part of the body  
4 suffers a -- a traumatic assault or injury, you might  
5 see some swelling and edema; right?

6           A.     What they're actually looking for is probably  
7 DVTs, clots, or compartment syndrome or something like  
8 that. I think that's what that statement is really  
9 looking for.

10          Q.     Okay. "Neuro exam: No motor deficit. No  
11 sensory deficit."

12                 Do you see that?

13          A.     That's correct. That's what it says.

14          Q.     Okay. And the clinical impression is low  
15 back strain; right?

16          A.     Low back strain.

17          Q.     Right. Now, that -- that term, "low back  
18 strain," refers to stretching or tearing of a muscle or  
19 tendon?

20          A.     Basically, yes.

21          Q.     Okay. And when they -- when you refer --  
22 when a reference is made to low back strain, we're  
23 talking about musculoligamentous injuries; right?

24          A.     Correct.

25          Q.     And would you agree, Doctor, that -- would

1 you agree that some well-known factors that contribute  
2 to low back pain include the conditioning that a person  
3 is in, whether they're in good condition -- physical  
4 condition or in poor physical condition; right?

5 A. Are you talking about chronic low back, or  
6 are you talking about a simple strain?

7 Q. Well, it could be chronic low back pain and  
8 even a simple strain.

9 A. Well, a strain is usually a result of some  
10 precipitating event --

11 Q. Okay.

12 A. -- which obviates -- which is probably not  
13 related to being overweight or smoking or whatever.  
14 It's -- it's an acute event.

15 Q. Okay. And if it's a chronic condition, then  
16 we're -- then that's something that poor physical  
17 conditioning could have a -- that could be a factor  
18 that could contribute to that; right?

19 A. That could be a contributing factor to  
20 chronic low back pain --

21 Q. And --

22 A. -- without any other etiology that you could  
23 find.

24 Q. Sure. Would you agree that smoking could  
25 also be a factor that could contribute to low back



1 pain?

2 A. Smoking, as you know, is bad for a lot of  
3 different reasons. It causes bodies to degenerate a  
4 little bit, in addition to cancers, lung disease, all  
5 that other bad stuff that happens. It can accelerate  
6 the aging process a little bit.

7 Now, directly causing pain? I don't know  
8 that. It's pretty well documented that most smokers  
9 don't do well after a fusion if they continue to smoke.

10 Q. Sure.

11 A. I think you can say that. I would say that's  
12 pretty well-documented literature.

13 Q. And -- and the reason for -- just for the  
14 jury's edification, when we talk about smoking and --  
15 and the -- the implication of smoking on -- on a  
16 patient's recovery, smoking -- and you can correct me  
17 if I'm wrong here -- doesn't that constrict or restrict  
18 the blood vessels and capillaries and it reduces the  
19 blood flow?

20 A. Well, depends on the structure. You know, in  
21 a simple sprain-strain, I don't think it would do much  
22 to inhibit healing.

23 Q. Sure.

24 A. The disks, you know, they're blood supply  
25 from the end plates above and below the vertebral body.

1 So the nutrients that go to a disk to keep it healthy  
2 basically happen by passive diffusion.

3 So nicotine is a vasoconstrictor. And I  
4 think that's why people don't heal after a fusion,  
5 because the blood supply is just not there in a space  
6 that already has a lousy blood supply.

7 Q. Okay. Thank you. Would you agree that a  
8 person's weight might be a factor that can contribute  
9 to chronic low back pain?

10 A. Well, if you have chronic low back pain to  
11 begin with. There are people who lose a lot of weight,  
12 and the pain gets a little bit better. Absolutely  
13 correct.

14 Q. Do you agree that a person who has a  
15 preexisting spondylolytic spondylolisthesis, that  
16 weight -- if a person is carrying excess weight, that  
17 that could actually be a factor that causes the  
18 progression of --

19 A. I don't know that specifically. There's lots  
20 of skinny guys with spondylolisthesis too. So I don't  
21 know if you can make that statement. And there's a lot  
22 of people who are overweight that have absolutely no  
23 back pain. So I don't know if you can make that  
24 correlation either.

25 Q. And -- okay. And -- and would you agree that

1 a person who's working and standing during an  
2 eight-hour shift could cause that -- could -- could  
3 experience low back pain from the continuous loading  
4 upon the disks?

5 A. I don't know. The human being is made to  
6 stand up. I mean, a lot of people work eight-hour  
7 shifts and they get a little stiffness. We all do. I  
8 know when I'm doing procedures wearing lead all day  
9 long, my upper back starts hurting. But we're made to  
10 stand erect. We're Homo sapiens. So the body is built  
11 for that. So I don't think that's a huge factor  
12 either.

13 Q. Okay.

14 A. Otherwise, we would all be crawling on our  
15 knees.

16 Q. I am sorry. I didn't hear that.

17 A. I said, otherwise, we would be crawling on  
18 our knees preferentially.

19 Q. And then we'd end up with four legs instead  
20 of --

21 A. And a tail.

22 Q. Okay. So I'm going to show you  
23 Dr. Gross's -- his report from April 3rd of 2013. Kind  
24 of looked at this earlier, but this is the first page  
25 of it. April 3rd, it's Plaintiff's 24, page 83. I'm

1 going to take us to the -- the fourth page of this  
2 report.

3           Okay. Now, Dr. Gross made some references  
4 with regard to summary of treatment for this injury.  
5 And he noted in this report Dr. Lemper's -- the  
6 transforaminal epidural steroid injection plus the  
7 bilateral hip injections on 8/30 of 2011?

8           A. Yes.

9           Q. Do you see that?

10           Also he noted the L -- L3 to S1, and that's  
11 three levels, bilateral facet and joint injections on  
12 9/14 of 2011. Do you see that?

13           A. Yes.

14           Q. Now, according -- and then also he referred  
15 to your bilateral L5 and S1 selective nerve root block  
16 on 9/27 of '12; right?

17           A. Correct.

18           Q. Now, what he noted was that with regard to  
19 Dr. Lemper's initial injection, notwithstanding --  
20 notwithstanding any testimony from Dr. Lemper or from  
21 you that that might have been a successful injection,  
22 what Dr. Gross reports here is "no response from the  
23 patient." And then in parenthesis "temporary response  
24 per Dr. Lemper's notes."

25           Would you agree that the temporary response

1 and temporary relief that she relieve -- that she  
2 experienced -- she reported following this procedure  
3 was -- and because it was only temporary, that -- let  
4 me see, that was -- I'm sorry -- that that would not be  
5 a -- in terms of a diagnostic tool, we can't rely on  
6 this -- this -- this epidural steroid injection by  
7 Dr. Lemper to have affirmed a pain generator at the  
8 levels that Dr. Lemper injected? Correct?

9       A.    Are you aware -- epidural injections are not  
10 a diagnostic tool for anybody, no matter who's doing  
11 it, Dr. Lemper or anybody. They're not a diagnostic  
12 tool. The object of the procedure is to deliver a  
13 corticosteroid near the target. But if you put it in a  
14 sufficient volume, it will spread up and down two,  
15 three segments.

16            If something good happens -- in other words,  
17 pain is relieved over time -- all you can say is they  
18 had a positive response to the steroid. It gives you  
19 no diagnostic information except maybe there's a pain  
20 generator close to where you put it, but it's not  
21 considered a diagnostic tool.

22       Q.    Fair enough. So -- and it's done for  
23 therapeutic purposes?

24       A.    That's correct.

25       Q.    Okay. Now, in this case, because it was --

1 she had a only temporary response, we can't say that  
2 this was therapeutic for her because we expect the  
3 steroid that's injected to last much longer than one  
4 day; right?

5 A. "Expect" is a strong word. You never know  
6 what you're going to get until you try it. An adequate  
7 or a significant improvement would be significant  
8 improvement over time.

9 Q. She didn't have that.

10 A. No. She had a temporary response. My read  
11 of that is that it was very short-lived.

12 Q. Very short-lived. Okay.

13 Moving on to the L3 to S1 three-level  
14 bilateral facet joint injections. Dr. Gross noted  
15 "return to baseline post injury pain and improved  
16 motion with worsening since."

17 So -- and we've already talked to Dr. Lemper  
18 about whether -- whether this was beneficial or not.  
19 Now, this is both -- when we talk about a facet joint  
20 injection, kind of look at that as both diagnostic and  
21 therapeutic; right?

22 A. If you keep the volumes low enough, it  
23 certainly can be diagnostic.

24 Q. Sure. Okay. But we know that Dr. Lemper  
25 wasn't able to identify any pain generator following

1 this procedure.

2 A. I don't have enough information from that  
3 statement to draw a conclusion.

4 Q. Fair enough. Okay. And then going -- going  
5 down to 9/27 of 2012, you performed a bilateral L5 and  
6 S1 selective nerve root block; correct?

7 A. Correct.

8 Q. Now, selective nerve root block, different  
9 than an epidural steroid injection, that is diagnostic,  
10 right, and potentially therapeutic?

11 A. Yes. When I do those, I specifically want to  
12 anesthetize the target segment. So I keep the volumes  
13 very low, less -- less than -- probably 1 cc or less.  
14 I concentrate the steroid to a higher concentration.  
15 There's a higher concentration of local anesthetic  
16 because I really want to numb the segment up.

17 What -- that note from Dr. Gross doesn't  
18 indicate that when I saw the patient immediately  
19 post-op, 20 minutes later, got her up and moving  
20 around, she had complete relief of her pain. That's  
21 the diagnostic part?

22 What happened days later is not the  
23 diagnostic part. That's the steroid help. And in this  
24 case, I don't think it provided any long-term  
25 substantial relief.

1           Q.    And -- and when you're -- when you're asking  
2 the patient to identify her response to this procedure,  
3 after the procedure, she's still -- she's in the  
4 recovery room?

5           A.    Correct.

6           Q.    She's lying on a gurney?

7           A.    Yeah. We get them up, though.

8           Q.    Well, you get them up because they have to  
9 get up at some point and leave; right?

10          A.    No, no, it's part of an exam. We get them up  
11 and move them around, have them flex and extend. You  
12 know, it's a diagnostic tool. So I don't want to just  
13 sit there, are you comfortable lying there in no pain.  
14 We get them up, move them around, find out.

15          Q.    And you understand that there's -- there's to  
16 a certain extent -- because you have been in this  
17 business for a long time -- that a patient who has --  
18 coming in to you with chronic pain, complaining of  
19 that, there's a certain placebo effect when -- after  
20 these procedures, because there's the anticipatory --  
21 an expectation by the patient that finally I'm going to  
22 get relief because I'm getting an injection, I'm  
23 getting a procedure.

24          A.    Well, like you said, I do an awful lot of  
25 these, and I get all kinds of reporting. I have some



1 patients say, well, 50 percent's gone, 20 percent is  
2 gone, 30 percent is gone, all of it's gone, 80 percent.  
3 And, I mean, I get all kind of numbers. So I don't --  
4 I don't think there's a huge placebo response on this.  
5 Patients generally tell me, when we move them around --  
6 you know, if you're lying there, it's one thing. You  
7 get them up, move them around, it's another. That's  
8 why we do it. It's called provocation. We're trying  
9 to provoke their pain after I have anesthetized a  
10 segment.

11               So I think what you can glean from this is  
12 that something's symptomatic at the L5-S1 level in that  
13 area. That's the area I targeted.

14           Q.    Okay.

15           A.    And I anesthetized the nerves, and the nerves  
16 are numb, pain goes away. You got to think, hmm, might  
17 be where the pain is coming from.

18           Q.    Then -- and -- and then she -- I think, per  
19 your October 10th, 2012 report, it shows that she had  
20 about one to two days of relief from the symptoms and  
21 then they returned?

22           A.    That's absolutely correct.

23           Q.    Okay. Now -- and then you also had  
24 performed -- and on 12/1 of 2014 and March 16 of 2015,  
25 you performed both facet injections and a sacroiliac

1 joint injection?

2 A. Correct.

3 Q. And on 6/10 -- and give me a second. I am  
4 going to direct your attention to your record of  
5 June 10th of 2014 -- or 2015. I'm sorry.

6 And Ms. Garcia -- and this is just for the  
7 record. So this is the June 10th of 2015. And what  
8 Ms. Garcia reports to you is that she had two sets of  
9 these combined injections that included the right SIJ  
10 plus facet joint injections bilateral at L3-4 plus  
11 hardware blocks --

12 A. Correct.

13 Q. -- right?

14 And she said she had reported significant --  
15 significant sustained improvement on the few  
16 occasions -- on both occasions for a few weeks only;  
17 right?

18 A. Correct. That's when she had pretty  
19 remarkable improvement from them.

20 Q. Okay. And -- and then on 9/24, Doctor,  
21 9/24 of 2015, you had performed the radiofrequency  
22 ablation?

23 A. That's correct.

24 Q. That's correct. Okay. So you performed a  
25 radiofrequency ablation at the sacroiliac joint?

1           A.     Correct.

2           Q.     And also radiofrequency ablation bilateral at  
3 L3-4 and L4-L5?

4           A.     Correct.

5           Q.     Okay. And I know that you testified that was  
6 successful, in your estimation. I believe you  
7 testified, though, you really want to -- your  
8 determination as to whether a radiofrequency ablation  
9 is successful is, you told us last week, you really  
10 want to see -- its success or failure depends on -- you  
11 want to look eight weeks out.

12          A.     When I do a procedure like that, it's  
13 painful. It's -- it's a -- the nerves really get  
14 unhappy about being burned. So some patients feel  
15 better within a week or two. Sometimes they have a lot  
16 of muscle pain and spasm afterward. So I don't really  
17 evaluate, say this thing didn't work, until we're eight  
18 weeks out. And in her case, we're five months out, and  
19 she's doing really well.

20          Q.     Okay. So -- so then we look at your report,  
21 Plaintiff's 26, page 694. This is the first visit  
22 after the radiofrequency ablation, September 30th of  
23 2015. Yes?

24          A.     Yes, sir.

25          Q.     Okay. She talks about how well she's doing.

1 She's -- low back pain decreased. Yes?

2 A. Correct.

3 Q. Okay. And then her very next visit after  
4 that was on October 14th, and that's where you had --  
5 you had testified that there's a belief that the pain  
6 is really above and below the radiofrequency sites.  
7 She -- oh, here it is. Until about -- she developed --  
8 she was doing well until about four days ago. She  
9 developed some pain. Do you see that?

10 A. Correct. That wasn't my belief. I actually  
11 examined her.

12 Q. Okay. Okay.

13 Now, going to November 11th, so we're just  
14 under eight weeks out from the -- from the procedure  
15 that you gave -- that you performed on -- on 9/24 of  
16 '15. This is Plaintiff's 26, page 712. And you note  
17 that she's experiencing flare-up -- it says "flare." I  
18 imagine you meant flare-up.

19 A. Well, actually, my PA saw her that day. And  
20 she was relating to the prior visit, the flare of  
21 symptoms.

22 Q. Okay. Okay.

23 Is it flare-up? I know the word "up" is  
24 missing. Is that what was meant?

25 A. You can just say there was a flare.

1 Q. Flare?

2 A. Flare-up, same thing.

3 Q. Same thing. Okay. So if I use "flare-up,"  
4 it's the same thing as "flare"?

5 A. That works for me.

6 Q. Okay. So it says, "She was experiencing  
7 flare" -- or flare-up -- "in the usual pain at the last  
8 office visit -- visit." You see that?

9 A. Correct.

10 Q. Okay. Now, last office visit we looked at  
11 was October 14th of 2015; right? That was the one  
12 prior to November 11th.

13 A. Okay.

14 Q. Well, on -- on October 14th, it's no one's  
15 contention that she was experiencing usual pain; right?

16 A. Oh, I think you're misreading the whole  
17 thing.

18 Q. My question -- it's not whether I'm  
19 misreading it. I'm asking you a question. On  
20 October 14th, 2015 --

21 A. Correct.

22 Q. -- is it fair to say that when we talk about  
23 usual pain, we're talking about something that is  
24 constant, continuous, ongoing?

25 A. No, I think Gina meant the following visit,

1 she was referring to the flare of pain. I  
2 specifically -- specifically went out of my way to  
3 indicate that it wasn't at the procedure sites. And  
4 there's a reason for doing that.

5 What -- I'm really looking to make sure  
6 there's not an infection or something after the  
7 procedure. So she had no pain at the procedure sites  
8 nor is she having swelling or redness. That's what I'm  
9 worried about is infection.

10 And I noted that her pain was really above  
11 and below. So I have to explain that. And then after  
12 some discussion, she had been a little more active  
13 because she felt better. I mean, this is normal human  
14 behavior.

15 Q. I understand that, Doctor, and that's --  
16 that's what you said. What I'm looking at here is the  
17 November 11th, 2015, report, where Gina, your PA --

18 A. Yes.

19 Q. -- where she indicated several -- couple  
20 words here. She was experiencing a flare-up in usual  
21 pain at last office visit.

22 So my question then: When we talk about  
23 usual pain, we're talking something that is constant,  
24 ongoing; right?

25 A. Right. I think she got it wrong. She didn't

1 read my note.

2 Q. I understand that's your opinion as you're  
3 explaining this. But --

4 A. Well, I have to explain it. I'm responsible  
5 for her.

6 Q. Got it. Okay. And when we say "flare-up" --  
7 when we say "flare-up," generally that term -- so -- so  
8 she is not just saying usual pain, but when we refer to  
9 the term "flare-up," we're referring to something that  
10 has happened previously. Yes?

11 A. Right.

12 Q. Okay.

13 A. What are you getting at? I'm kind of getting  
14 lost here.

15 Q. You're getting lost? Okay. Look at the  
16 screen.

17 A. I get that.

18 Q. Okay. And so what Gina reported was that  
19 Ms. Garcia was experiencing flare-up in usual pain at  
20 last office visit?

21 A. Correct.

22 Q. Okay. Thank you.

23 By the way, your -- your -- the sacroiliac  
24 joint injections and the facet joint injections that  
25 you performed on 12/1 of 2014 and 3/16 of '15 never --

1 you never actually identified the pain generator from  
2 those injections, did you?

3 A. You know, actually I think we did.

4 Q. You're -- you're saying -- because I didn't  
5 see it on any report. You're saying that you  
6 identified whether it was right side or left side and  
7 you identified the specific location?

8 A. No. As I testified last week, after my  
9 discussion with Dr. Gross, I did not realize -- and  
10 Dr. Gross educated me on this because I'm not a spine  
11 surgeon -- that when they do a fusion, they do  
12 electrocautery of the facet nerves, the medial  
13 branches, the place I do the radiofrequency.

14 Q. Right. I'm not asking you to repeat your  
15 testimony that you gave on direct from last week.

16 A. Well, that's how I explain it. I mean --

17 Q. Okay. Well, you know, let's move on to  
18 something here, Doctor. So you believe that your --  
19 this -- this radiofrequency -- or strike that.

20 It's your contention as you sit here today  
21 that you believe that Ms. Garcia's -- all the treatment  
22 you gave to Ms. Garcia was appropriate, it was  
23 reasonable, and it was related to her pain complaints;  
24 right?

25 A. Correct.



1 Q. And -- and you believe that your -- your  
2 procedures actually was appropriate in identifying pain  
3 generators for Ms. Garcia; correct?

4 A. Yes, I do.

5 Q. And -- and that's from -- and we're talking  
6 about from when you first saw Ms. Garcia in August of  
7 2012 up to the present. Yes?

8 A. Correct.

9 Q. Okay.

10 THE COURT: You at a good break point,  
11 Mr. Mazzeo?

12 MR. MAZZEO: Yes, Your Honor.

13 THE COURT: Let's go ahead and take a break  
14 for a few minutes, folks. I want to make sure  
15 everybody stays alert and awake.

16 During our break, you're instructed not to  
17 talk with each other or with anyone else about any  
18 subject or issue connected with this trial. You are  
19 not to read, watch, or listen to any report of or  
20 commentary on the trial by any person connected with  
21 this case or by any medium of information, including,  
22 without limitation, newspapers, television, the  
23 Internet, or radio.

24 You are not to conduct any research on your  
25 own, which means you cannot talk with others, Tweet

1 others, text others, Google issues, or perform any  
2 other kind of book or computer research with regard to  
3 any issue, party, witness, or attorney involved in this  
4 case.

5           You're not to form or express any opinion on  
6 any subject connected with this trial until the case is  
7 finally submitted to you.

8           See you in ten minutes.

9           (The following proceedings were held  
10           outside the presence of the jury.)

11           THE COURT: All right. We're outside the  
12 presence. Anything we need to put on the record?

13           MR. ROBERTS: No.

14           MR. MAZZEO: No, Your Honor.

15           THE COURT: You did make an objection earlier  
16 that I didn't get a chance to rule on. It would have  
17 been overruled anyway.

18           MR. MAZZEO: Thank you, Judge.

19           THE COURT: You guys -- you do -- you have a  
20 hard time giving him a chance to answer, back and  
21 forth. It's hard on her.

22           MR. MAZZEO: I will keep that in mind.

23           THE COURT: So relax.

24           MR. MAZZEO: I will keep that in mind, Judge.

25           THE COURT: Off the record.

1 (Whereupon a short recess was taken.)

2 THE MARSHAL: Jury entering.

3 (The following proceedings were held in  
4 the presence of the jury.)

5 THE MARSHAL: Jury is present, Judge.

6 THE COURT: Thank you. Go ahead and be  
7 seated. Welcome back. We're back on the record, Case  
8 No. A637772. Do the parties stipulate to the presence  
9 of the jury?

10 MR. ROBERTS: Yes, Your Honor.

11 MR. MAZZEO: Yes, Your Honor.

12 THE COURT: All right. Doctor, just be  
13 reminded you're still under oath.

14 THE WITNESS: Yes, sir.

15 THE COURT: Mr. Mazzeo, go ahead.

16 MR. MAZZEO: Yes, thank you, Judge.

17 BY MR. MAZZEO:

18 Q. Okay. Dr. Kidwell, I believe the other day  
19 when you were on direct examination, you -- when you  
20 were referencing the -- your -- I believe it was the  
21 9/27/12 selective nerve root block, you had indicated  
22 at that time -- and I'm just looking for my place  
23 here -- that you don't believe that -- that Ms. Garcia  
24 received -- received the -- the relief that you had  
25 anticipated or expected?

1           A.    Post procedurally, she had complete relief of  
2 pain, so that's the diagnostic part. And, you know,  
3 that's not anticipated or not. It is what it is. It's  
4 just a data point. As far as sustained improvement,  
5 she did not enjoy it. That's correct.

6           Q.    Okay. Thank you.

7                   And, now, also in -- in conjunction with your  
8 review of records in this case, you had reviewed  
9 radiodiagnostic imaging studies; correct?

10          A.    I reviewed reports.

11          Q.    Reports?

12          A.    Yes, sir.

13          Q.    And I believe I remembered, from your prior  
14 testimony that you have given on other cases, you  
15 typically don't review the diagnostic imaging studies;  
16 you'll rely on the radiologist's findings that are  
17 contained in his or her report. Correct?

18          A.    Correct. Generally the patients don't bring  
19 them. If they do, I review them. If they don't, I  
20 don't. Unless there's a reason for me to pull them.  
21 Then sometimes I send for them.

22          Q.    Okay. Now -- and you reviewed those reports  
23 during the course of the treatment as well as in  
24 preparation for your trial testimony today; correct?

25          A.    That's correct.

1 Q. And is it correct to say that from the  
2 reports that you reviewed -- and let's be specific. I  
3 believe you reviewed the X ray report of 2/8 of 2011.

4 A. I believe so. Let me see what I have on my  
5 notes.

6 Q. Sure.

7 A. I reviewed those, the initial X ray reports  
8 as well as a couple of MRIs.

9 Q. And an MRI report from 1/26 of 2011?

10 A. Yes, sir.

11 Q. Okay. And -- and I'll focus on those two.  
12 With regard to the X ray report, I know there were --

13 A. Hang on. What was that date again?

14 Q. 1/26 of 2011.

15 A. Yeah, probably reviewed that report. Also  
16 have August 19th, 2011.

17 Q. And 2011. Okay. So initially the -- the  
18 X ray report from -- I know there were two lumbar  
19 X rays that were done in -- one in January of 2011, one  
20 in February of 2011. And --

21 A. I will take your word for it. I don't have  
22 that in front of me.

23 Q. Just so we're clear, the one that you  
24 reviewed -- the report that you reviewed was the  
25 2/8/2011?

1           A.    I believe so.  It's in my notes.  I went  
2 through them all.  I just don't have the dates  
3 committed to memory.

4           Q.    Fair enough.  And, now, after your review of  
5 that report, is it correct that the radiologist did not  
6 indicate any findings of acute or traumatic injury?

7           A.    On the X rays?

8           Q.    Yes.

9           A.    Yeah.  What they're looking for, fractures.  
10 And there weren't any.

11          Q.    Well, whatever he was looking for.

12                He did not indicate any -- any findings of  
13 acute or traumatic injury in that report?

14          A.    Well, actually, that's really all that shows  
15 is an acute injury as a fracture.  So ...

16          Q.    Okay.  Well, let's move on to the lumbar  
17 spine -- the MRI of the lumbar spine on 1/26 of 2011.

18                You reviewed that report drafted by the  
19 radiologist?

20          A.    Yes, sir.

21          Q.    Okay.  And --

22          A.    1/26/2011.  Let me find that.

23          Q.    Sure.

24          A.    Okay.

25          Q.    And what the radiologist noted were a number

1 of findings.

2 One in particular was a bilateral pars  
3 interarticularis defects and the spondylolisthesis?

4 A. Correct.

5 Q. And that was a preexisting condition I  
6 believe you've testified to?

7 A. Probably.

8 Q. Okay. Also the radiologist noted facet joint  
9 hypertrophic changes as well?

10 A. Correct.

11 Q. And would you agree that those would have  
12 been preexisting conditions?

13 A. Probably.

14 Q. Okay.

15 A. Without actual imaging before, you can't say  
16 with absolute certainty. That's why I say "probably."

17 Q. Certainly. And is it fair to say that the  
18 radiologist did not identify any findings of any acute  
19 or traumatic injury in his report?

20 A. Well, again, you have to understand what --  
21 what would be an acute finding or a traumatic finding.

22 Generally, on an MRI, that comes down to a  
23 fracture or a bone contusion. Those are really the  
24 only things that show up as acute findings.

25 Q. Well, in addition to that, you -- you can

1 actually -- I'm sure you've seen imaging reports where  
2 the radiologist noted the presence of edema.

3 A. Well, that's what I'm suggesting. Edema is  
4 how you know -- when you see a bone contusion, you see  
5 acute edema. That's how they know it's acute. Other  
6 than that, it's a fracture, which is associated with  
7 edema as well.

8 Q. Is it correct to say that the radiologist  
9 with respect to this MRI --

10 A. I can say that --

11 Q. -- didn't -- hold on -- did not identify any  
12 presence of edema?

13 A. Correct. Did not identify -- well, did not  
14 identify a fracture or a bone contusion.

15 Q. Okay.

16 A. I can say that.

17 Q. Okay. Now, also with respect to Ms. Garcia,  
18 is it correct to say that you never placed any work  
19 restrictions on her during her course of treatment?

20 A. I don't know. I haven't reviewed it to that  
21 degree, so I can't tell you. I don't think I have.

22 Q. Okay. Well, based on your best recollection,  
23 as you sit -- strike that.

24 You -- you did review your treatment records  
25 in preparation for your trial testimony; correct?



1           A.    I will say, as far as I know, I did not.   But  
2 if you pull something up later that I missed --

3           Q.    Oh, no.   I just --

4           A.    -- I stand corrected.

5           Q.    No.   I think that's -- I didn't see anything  
6 on your treatment records.

7           A.    I didn't either.

8           Q.    Okay.   And is it correct that you never  
9 imposed any restrictions on Ms. Garcia with respect to  
10 her activities of daily living?

11          A.    Correct.

12          Q.    And is it correct to say that you're not  
13 aware of any physical restrictions or limitations that  
14 Ms. Garcia had at any time during your treatment of  
15 her?

16          A.    Did she have physical limitations?   Oh,  
17 absolutely.

18          Q.    Well, not -- not -- I'm talking about  
19 physical restrictions, things that she's unable to do  
20 with respect to activities of daily living and work.

21          A.    Oh, you mean did somebody put her on work  
22 restrictions?   Is that what you're asking?   Or are you  
23 asking my opinion of what she should be doing?

24          Q.    Okay.   Let me rephrase it.   Fair enough.  
25 Okay.

1           From reviewing your medical records, I did  
2 not see anywhere in your medical records where you may  
3 have identified that Ms. Garcia had certain limited --  
4 physical limitations which would impede her ability to  
5 engage in activities of daily living and -- and  
6 accomplishing her work requirements.

7           A.     Actually, she had a big limitation. She was  
8 in severe pain. I did not put work restrictions on her  
9 because she indicated that she really had to work. So  
10 that's typical of my practice. I will not impose work  
11 restrictions because most of my patients have to work.  
12 They have to eat, so they work for a living.

13          Q.     And you know that at the time -- during the  
14 time that Ms. Garcia was treating with you, with the  
15 exception of four months after the surgery, that she  
16 was working 40 hours a week at the Aliante Casino?

17          A.     Correct.

18          Q.     And is it correct to say that, during the  
19 course of your treatment with Ms. Garcia, that she did  
20 not discuss with you any limitations that she may have  
21 had in her activities of daily living?

22                 And I'm not talking about pain. I'm talking  
23 about physical limitations in her activities of daily  
24 living.

25          A.     I -- I actually spoke to that indirectly in

1 one of my notes where -- indicating she continues to  
2 work. "Quite frankly, I don't know how she does it."  
3 That's a direct quote. I forget which note it is.

4 But, no, we discussed that. But, again, the  
5 vast majority of my patients indicate they have to  
6 continue working. And -- and so I don't give them work  
7 limitation because it'll harm them as far as their job.

8 Q. Okay. And -- and do you know -- do you know  
9 that Ms. Garcia continued working at Aliante until  
10 April of 2014?

11 A. Yeah. I know she's -- she worked up to some  
12 point in time. I thought it was 2014 --

13 Q. Okay.

14 A. -- or 2015. I'm not sure. But I know at  
15 some point she was no longer working.

16 Q. Okay. Now, is it correct to say that you did  
17 not direct -- or you did not advise Ms. Garcia that  
18 she's unable to come in -- to come to court and sit  
19 during this trial because of any physical limitations,  
20 did you?

21 A. You know, I don't know if we gave her a note  
22 or not. I can't tell you.

23 Q. Okay.

24 MR. MAZZEO: Thank you. I'll pass the  
25 witness.

1 THE COURT: Mr. Strassburg or Mr. Tindall?

2 MR. STRASSBURG: Thank you, Judge.

3

4 CROSS-EXAMINATION

5 BY MR. STRASSBURG:

6 Q. Dr. Kidwell, why wouldn't you know if you

7 didn't give her a note to come to trial?

8 A. Well, I've got 8,600 pages of records in  
9 front of me. So I don't have committed to memory every  
10 little detail, to be honest.

11 We might have -- my staff might have provided  
12 me that; they might not have. So I can't say with any  
13 reasonable degree that I have knowledge of that.

14 Q. But, I mean, you saw her a week ago.

15 A. She was seen in my office a week ago by my  
16 PA.

17 Q. Not you?

18 A. No, sir. I'm due to see her next month.

19 Q. These office visits that we've seen in the  
20 medical records, how many of those are -- is she seeing  
21 you personally compared to how many she's seeing  
22 somebody else?

23 A. I haven't added it up, but it's probably a  
24 three-to-one ratio.

25 Q. Three-to-one how?

1           A.    Two visits -- or two to three visits with my  
2 PA versus one with me.

3           Q.    Okay.  Bear with me a second, Doctor.

4           A.    Don't get tangled up.  Man, that looks  
5 dangerous.

6           Q.    There's a hookup here somewhere.  Oh, I see.  
7 Okay.  I left it up here.

8                   MR. STRASSBURG:  Judge, could I have the  
9 television, please?

10                   THE COURT:  You're up and running.  You're up  
11 and running.

12 BY MR. STRASSBURG:

13           Q.    All right.  Dr. Kidwell, good morning.

14           A.    Good morning.

15           Q.    I'm Roger Strassburg.  We met out in the  
16 hall.  I represent the other defendant, Jared Awerbach,  
17 the driver of the car.

18           A.    Okay.

19           Q.    As I said to other witnesses, I want to be  
20 entirely fair to you.  So if I ask a question that's  
21 not clear or that you don't think's fair, just let me  
22 know and we'll clear it up on the spot.  Okay?

23           A.    Fair enough.

24           Q.    I also wanted to acknowledge and thank you  
25 for your service to this country as a flight surgeon in

1 the military.

2 A. Well, quite frankly, I should have paid them  
3 I had such a good time.

4 Q. Good to know. Okay. I take it back.

5 A. My only regret is retiring. Anyway ...

6 Q. Now, you know one of the issues in the case  
7 is causation of her pain; right?

8 A. Correct.

9 Q. Now, are you here as a testifying expert as  
10 well as a treating physician or just as a treating  
11 physician or don't you know? I mean, maybe you --

12 A. Well, you know, I'm not a lawyer nor do I  
13 pretend to be one, and I know the rules of what an  
14 expert is has changed over the last year so.

15 So, to the best of my knowledge, I'm a  
16 treating expert.

17 Q. Okay. And in that line, you've had occasion  
18 to review other medical records in her case file;  
19 right?

20 A. Yes.

21 Q. And you've had occasion to familiarize  
22 yourself with the work by Dr. Gross, the surgeon. Yes?

23 A. Yes.

24 Q. And you needed to do that as part of your  
25 treatment because you were -- you needed to have some

1 general understanding of what Dr. Gross did and what he  
2 thought to assist you in performing your treatment;  
3 right?

4 A. Well, I think it made it pretty clear at one  
5 point we did coordinate care, because Dr. Gross asked  
6 me to do something and I clarified it with him. And  
7 like I previously stated, he actually taught me  
8 something very good.

9 Q. He took you to school?

10 A. No, I wouldn't use those words. He had a  
11 rationale for a procedure he wanted to be performed,  
12 and the rationale was perfectly appropriate and it  
13 worked. The proof's in the pudding.

14 Q. And that rationale was -- is that he, I think  
15 you said, cauterized certain nerves --

16 A. Right.

17 Q. -- in the spine when he removed the bone and  
18 put in the rods and screws and bone grafts; right?

19 A. Correct -- well, I don't know about bone  
20 grafts.

21 But to perform a fusion -- and you've seen  
22 the pictures I have that actually demonstrates the  
23 hardware he put in, the screws and the plates. Like I  
24 say, I've never seen a fusion except doing anesthesia  
25 for him. But I've never operated doing a fusion, so I

1 did not know that he would cauterize the nerves that go  
2 to the facet joints at time of fusion. It's just like  
3 me doing a rhizotomy, even better, because he's got the  
4 perfect exposure and he can cauterize the heck out of  
5 those things. So that was -- that was new to me.

6 Q. And -- and the -- the source of your  
7 knowledge of his cauterizing of these nerves during  
8 surgery was himself; right?

9 A. Yes.

10 Q. He -- he told you orally over the phone?

11 A. Correct.

12 Q. And did you have occasion to consult his  
13 operative notes for any further information about that  
14 activity?

15 A. Oh, no, I didn't need to. I mean, why would  
16 I want to?

17 Q. Oh, I don't know. Just to learn some more  
18 about a matter that you didn't know anything about  
19 before.

20 A. Well, it's not that I don't know anything  
21 about it. I didn't know that he cauterized the nerves  
22 and facet joints as part of his procedure, but I've  
23 read plenty of op notes. I don't need to read another  
24 one.

25 Q. And based on what he told you --



1           A.    And I have seen his op notes.  Don't get me  
2 wrong; I have read them.  But I -- what you're  
3 inferring is, after my conversation with Dr. Gross, I  
4 should have gone back and reread his op notes.  That's  
5 not the case.

6           Q.    No, no, no.  Really, don't get defensive.  
7 I'm not implying anything.  I'm just asking.

8           A.    I'm just trying to be accurate.

9           Q.    I'm fine with that too, and I'm fine with you  
10 being on your guard.  This is a very important case,  
11 and we need to be accurate.

12                   Now, did Dr. Gross tell you or did you know  
13 what piece of medical equipment he utilized to perform  
14 this cauterization of the nerves?

15           A.    The device may come under different names,  
16 but basically it's an electrocautery device.  In  
17 general, we call it a Bovie.

18           Q.    B-o-v-i-e?

19           A.    Correct.  Now, there -- there's different  
20 manufacturers.  Electrocautery is what it's called.  
21 When I do surgery, I use electrocautery too, so I'm  
22 familiar with it.

23           Q.    And how many volts does the Bovie that  
24 Dr. Gross utilized on Ms. Garcia employ?

25           A.    Oh, I have no idea.  I wasn't there.

1 Q. And what is the zone of influence of the  
2 cauterization of the Bovie that Dr. Gross utilized on  
3 Ms. Garcia?

4 A. Again, I wasn't there. I'm speculating. I  
5 have used electrocautery --

6 Q. No --

7 A. -- in the course of my --

8 Q. -- you can't speculate. I mean, you can  
9 estimate, you can approximate, but you can't speculate.  
10 Okay?

11 A. Okay.

12 Q. So try again.

13 A. In my experience utilizing electrocautery for  
14 surgery, it creates a -- a burn, not just a little  
15 light skin-turning-white burn; it actually chars tissue  
16 when it's on cut and coagulate.

17 Q. That's a setting on the machine?

18 A. Correct.

19 Q. And did you ask Dr. Gross what setting he  
20 used on the cauterization Bovie when he did it on  
21 Ms. Garcia?

22 A. No. No. There would be no reason to do  
23 that.

24 Q. Well, are there other settings besides, you  
25 know, the one you just mentioned?

1           A.    There's cut and coagulate.

2           Q.    There's only two?

3           A.    On the ones I've utilized.

4           Q.    Do you know if Dr. Gross's Bovie had more  
5 than two settings?

6           A.    No idea.  Wasn't there, sir.

7           Q.    Okay.  And what's the difference between cut  
8 and cauterize?

9           A.    I can't tell you the exact voltage.  One is  
10 for dissecting tissue.  One is -- creates a bigger  
11 bubble for cauterizing blood vessels, let's say.

12                   In the course of surgery, when I'm doing a  
13 dissection -- I do these things when I put in spinal  
14 cord stimulators -- you dissect the tissue.  You sure  
15 as heck don't want to make a big burn across the skin.  
16 As you open the skin, you will cauterize just below the  
17 skin where there are bleeders.  And then you can use  
18 the different setting to actually cut the tissue.

19           Q.    All right.  And did Dr. Gross tell you --  
20 well -- and let me ask you this.

21                   It sounds like you assumed that he set the  
22 Bovie on "cauterize" when he used it on Ms. Garcia;  
23 true?

24           A.    No.  I --

25           Q.    So it could have been "cut"?

1           A.    I didn't give it any thought for -- the very  
2 reason is Dr. Gross is a suburb, experienced  
3 neurosurgeon. So I take it at face value he cauterized  
4 the nerves.

5                   What setting he used is absolutely irrelevant  
6 to the conversation. I wasn't there nor am I going to  
7 scrutinize it.

8           Q.    So you don't presume to second-guess a  
9 surgeon like Dr. Gross; right?

10          A.    That's a large statement.

11                   In the good course of medical care, I had a  
12 question and we had a discussion, which is normal.

13          Q.    All right. And can you tell us exactly which  
14 nerves at which levels Dr. Gross told you that he used  
15 this Bovie on?

16          A.    I know which joints enervated by which  
17 nerves. Each facet joint has two nerves that supply  
18 enervation to them. They overlap.

19                   So in the course of his fusion -- my computer  
20 is messing up. In the course of his fusion, in order  
21 to fuse the levels he fused -- and he was going to  
22 cauterize the nerves -- I would expect it would be L3,  
23 L4, L5, and S1.

24                   I'd have to have a skeleton to show you what  
25 the nomenclature corresponds to. But in the course of

1 the fusion, that would be expected.

2 Q. And the cauterization would not be of the  
3 nerve roots; it would be of the medial branch?

4 A. Correct. The nerves that exit the spine at  
5 each segmental level is the -- the dorsal root is what  
6 it's called.

7 MR. STRASSBURG: Randy, can I have the model?  
8 I'm sorry.

9 THE WITNESS: I can demonstrate this.

10 BY MR. STRASSBURG:

11 Q. Yeah. Do you mind?

12 A. Not at all.

13 Q. It might be clearer.

14 A. It's actually kind of a complicated subject,  
15 so this will be good. If I can stand up.

16 Q. Give us the clear version.

17 A. Okay.

18 Q. Yeah, can you come down here?

19 THE WITNESS: Judge?

20 THE COURT: That's fine.

21 BY MR. STRASSBURG:

22 Q. Let's stand right in the middle. We get  
23 close.

24 A. All right. This -- these are the nerves that  
25 do the important things. These are the ones that go to

1 your legs. They provide sensory and motor.

2           These are the dorsal roots or the nerves that  
3 go down to the legs. If you cut one of these, you  
4 won't walk so well.

5           These little cracks here are the facet  
6 joints. There's a branch that comes off this nerve --  
7 off this big nerve and runs right along here that goes  
8 into the joint. That's called the medial branch of the  
9 dorsal ramus. Actually, down here it's a lateral  
10 branch, but that's not important.

11           Each joint has two nerves. So this joint  
12 here would be enervated by this nerve and this nerve.  
13 This nerve wraps around; this one comes down.

14           So to denervate -- in other words, make this  
15 joint insensitive to pain -- you'd have to cut both of  
16 these nerves.

17       Q.   And can you see this medial branch without a  
18 microscope?

19       A.   If you're dissecting? Oddly enough -- this  
20 is an important point. You can cauterize a sensory  
21 nerve anywhere in the body as a treatment. Trouble is,  
22 you can't find them.

23           But for these you can because they always sit  
24 in the same location, which is right here (witness  
25 indicating). So when I do radiofrequency rhizotomies

1 on people, I put the electrical needle right here. And  
2 Dr. Gross is --

3 Q. And just for the record, you're indicating  
4 the notch, the foraminal -- this notch --

5 A. Right.

6 Q. -- here between the -- what is this? The --

7 A. The transverse process and the superior  
8 articulating process.

9 Q. Which are these two points here?

10 A. Which is this thing sticking up right here.  
11 The nerves are always there.

12 Therefore, when you're doing a surgery, it  
13 would be quite easy just to Bovie the entire notch  
14 then. And you'd probably get a better burn doing it --  
15 an open surgical procedure than I do with a needle  
16 because I have to get a needle to lay parallel with the  
17 nerve. Except with my new technology, I would have a  
18 bifurcated needle that makes a bigger burn, about --

19 Q. That's the Venom?

20 A. Yes.

21 THE COURT: Hold on a second.

22 Tom, can you get the microphone for the  
23 doctor, please?

24 You're -- you're -- it's fine. You're  
25 talking to the jury. Kristy's just having a hard time

1 hearing you. I can't hear you.

2 Just put that right up close to your mouth.

3 THE WITNESS: Where were we?

4 BY MR. STRASSBURG:

5 Q. We were talking about the nerve in the valley  
6 between these two bones and the zone of influence of  
7 the cauterizing heat bubble, I think you called it.

8 A. Correct.

9 Q. How big is that zone of influence of that  
10 bubble? Does it fill up the whole valley?

11 A. It's not that big. It depends on what he's  
12 doing with it.

13 With electrocautery in surgery, you could  
14 cauterize here, cauterize here, cauterize here. And so  
15 you'll go right down the gutter, a little bit lateral,  
16 a little bit medial. It would be very simple and easy  
17 to denervate that whole area, much easier than the way  
18 I do it with a -- with a conventional radiofrequency  
19 rhizotomy.

20 So I anticipate he would be able to even make  
21 a bigger burn than I could.

22 Q. About how big could he make that burn?

23 A. As big as he wanted.

24 Q. Can you give me a range? Quarter inch?

25 Eighth of an inch?



1           A.    It could.  I mean, there's no reason to go  
2 beyond the gutter, and so I would assume that he would  
3 Bovie inside the entire gutter.  And that's an  
4 assumption.  I wasn't there.  But it makes perfect  
5 logical sense.

6           Q.    Why don't you take your seat again.  I don't  
7 know that you'll need the mike.

8           THE COURT:  Thank you, Doctor.

9 BY MR. STRASSBURG:

10          Q.    All right.  Do you have an opinion as to the  
11 medical process that caused Ms. Garcia's pain?

12          A.    Pain is not anatomic.  It is physiologic.  
13 What that means, if you look at an MRI, an X ray, a CT  
14 scan, you can't see pain.  You can only see anatomy.

15                Like I previously testified, it's like  
16 looking at a car, a picture of a car with a broken  
17 windshield, flat tire, bent fender.  From that picture,  
18 you cannot derive any information into how the engine  
19 runs.  It's only a snapshot in time of anatomy.

20                Pain is physiologic.  It's a chemical  
21 reaction basically, stimulation of certain receptors  
22 that receive pain.

23                So putting it all together, my medical  
24 opinion was that she was injured in the collision.  
25 She's probably initially stunned, most people are, and

1 developed pain over the subsequent few days, which is  
2 normal for many patients. Everything she was reporting  
3 is not out of the normal scope of what I see with  
4 patients.

5           Now, if she came back six months later and  
6 said, "Well, I got hurt six months ago, and now I'm  
7 having pain all of a sudden," that's not within the  
8 normal scope of what we see with acute injuries. But  
9 to be initially stunned and shocked, your adrenaline is  
10 up, and then over the next few days to develop pain,  
11 that's perfectly normal.

12       Q.    So it sounds like -- you correct me if I'm  
13 wrong -- that, in your judgment, that all of the pain  
14 symptoms that she's experienced during the time you  
15 have seen her were caused by the collision; true?

16       A.    Correct. That's what I was treating her for.

17       Q.    Okay. And the -- can you explain to us the  
18 medical mechanism that you believe resulted in the  
19 forces of the collision resulting in the pain?

20       A.    It's my understanding that, as a part of the  
21 collision, she was traveling approximately 35 miles an  
22 hour, struck by another vehicle, causing her vehicle to  
23 spin at least 180 degrees. It's pretty high velocity,  
24 probably hyperextended or laterally flexed her spine.  
25 She already had a spondylolisthesis there. The

1 sequence of events caused that to become damaged with  
2 progressive pain. That's it in a nutshell.

3 Q. All right. So when you say the forces of the  
4 collision resulted in lateral movement of her spine,  
5 which way do you believe her spine moved?

6 A. I don't know. I mean, she spun 180 degrees.  
7 If you ever watch a video of people -- of crash test  
8 dummies, they get flopped all over the place. I mean,  
9 nobody is really there to videotape it, but I dare say  
10 if you took one of the crash test dummies and put it in  
11 an 180-degree spin when traveling 35 miles an hour, it  
12 would result in some shaking up, for lack of a better  
13 word.

14 I'm not a biomechanic engineer. I have done  
15 some airline -- airplane crash reconstructions in my  
16 job as a flight surgeon in the Navy, but no, I don't  
17 hold myself out to be a biomechanic engineer.

18 But then again, it really doesn't take a  
19 rocket scientist to know that, if you get hit by a car  
20 and your car spins 180 degrees, that's a substantial  
21 impact. Period.

22 Q. All right. Now, do you have an opinion as to  
23 how the physical forces of this collision and the  
24 spinning affected her spinal vertebra?

25 A. Adversely?

1           Q.    Yeah.  Can you describe the nature of the  
2 adversity.

3           A.    Again, I wasn't there.  I can't reconstruct  
4 it.  But I think if you took anybody, put them in a car  
5 traveling 35 miles an hour, hit them, cause their  
6 vehicle to spin, that they're that going to be moving  
7 about the cabin a little bit and have some energy  
8 imparted to them.

9           Q.    Well, just to enable us to better understand  
10 where you're coming from, is it your belief that the  
11 forces of the accident caused the -- this L5 vertebra  
12 to slip forward over the disk between it and the S1?

13          A.    Well, absence edema on an MRI, you would have  
14 to expect that the pars defect was preexisting and was  
15 a spondylolisthesis to some degree.  Could the injury  
16 have exacerbated that shearing?  Sure.  I would go that  
17 far.

18                   But what most of my testimony -- most of my  
19 causation is based on a temporal relationship between  
20 the onset of symptoms and this traumatic event.  And I  
21 know of no other preexisting pain, I know of no other  
22 traumatic event or any other rational cause to suggest  
23 that something else caused this lady's pain.

24                   It started at this point in time; that's when  
25 her symptoms started.  Did she have some spinal

1 pathology before that? I would say more likely than  
2 not. But I -- there's no documentation that I know of  
3 out there to suggest that she was symptomatic.

4 Q. So if -- if I understand you correctly,  
5 then -- you tell me if I don't -- it sounds like you  
6 can't really be specific as to the exact kind of  
7 medical mechanism that accounts for her pain symptoms;  
8 true?

9 A. No. Again, I can be absolutely certain with  
10 the information I have -- and this is my opinion --  
11 that there is a temporal relationship between the onset  
12 of her symptoms and the subject collision.

13 Obviously, nobody was there except her.  
14 There's no GoPro in the car to watch how she flopped  
15 around. I know that's a very scientific word, "flopped  
16 around," but for lack of a better description. Think  
17 about it.

18 You're traveling along at a moderate rate of  
19 speed, you get hit, and your vehicle spins violently.  
20 I mean, getting hit and spinning is not a nonviolent  
21 act. It's a violent act. Your car is not supposed to  
22 do that. And it's not smooth.

23 MR. MAZZEO: Objection, Your Honor.  
24 Foundation. Speculation. Beyond the scope of this  
25 expert's expertise.

1           THE COURT: You've talked about it for a  
2 little while now. I'm going to let him -- I'm going to  
3 let him testify what his general understanding is. You  
4 can't talk about forces and vectors and that type of  
5 thing like that.

6           THE WITNESS: Okay. Well, I have ridden in  
7 F4s, F14s, and various other planes, and I'm well  
8 familiar with G forces. I pulled 9 Gs in an F16 one  
9 time.

10           MR. MAZZEO: Move to strike. There's no  
11 question pending.

12 BY MR. STRASSBURG:

13           Q. And those 9 Gs --

14           THE COURT: I'm going to allow it.

15 BY MR. STRASSBURG:

16           Q. -- injured your back, did they?

17           A. Played a little havoc with my neck.

18           Q. But your spine was okay?

19           A. Well, I don't know. I haven't imaged it  
20 before and after.

21           Q. But you don't have --

22           A. Actually, I can relate to that, if you want a  
23 personal experience --

24           Q. No.

25           A. -- if you will allow me.

1           Q.    No, I won't. I want you to answer the  
2 questions I ask you. I have let you take the bit in  
3 your mouth and run with them, but let's answer the  
4 questions I ask you. All right?

5           A.    Okay.

6           Q.    All right. So -- bless you.

7                   It sounds as though your opinion is based  
8 most firmly on your reasoning that she's pain free  
9 before; she hurts afterwards; the only thing between  
10 those two is this accident; and, therefore, it has to  
11 be the collision. Right? Stands to reason, doesn't  
12 it?

13          A.    It absolutely stands to reason. I agree with  
14 you.

15          Q.    All right. So what it doesn't stand is that  
16 you're not able -- because you weren't there, because  
17 it's outside the scope, you're not able to offer a  
18 medical mechanism that actually explains how the forces  
19 in the accident resulted in these pain symptoms; true?

20          A.    Well, having not been there, I cannot tell  
21 you exactly how she, quote, flopped around the  
22 compartment of her car from that impact. So all I can  
23 say is that she sustained a violent act.

24                   MR. MAZZEO: Objection, Your Honor.  
25 That's -- he can't say that. Approach, please.

1 THE COURT: Come on up.

2 (A discussion was held at the bench,  
3 not reported.)

4 THE COURT: Objection is overruled.

5 BY MR. STRASSBURG:

6 Q. I forgot where I was. Let's go on to  
7 something else. Let's do a bit of an overview here.  
8 And let me get this chart up here, because it occurs to  
9 me that you are one of the physicians who has seen her  
10 the longest and the most often; right?

11 A. Correct.

12 Q. And you've had an opportunity to observe her,  
13 you know, maybe it's once a month, once a quarter,  
14 whatever, but you have -- over years, you had a chance  
15 to observe her; right?

16 A. Correct.

17 Q. All right. And when Ms. Garcia came in to  
18 see you, you or your assistants asked her to  
19 characterize her pain symptoms; right?

20 A. Correct.

21 Q. All right. And you had her write them down.  
22 Didn't you?

23 A. We had her fill out pain diagrams, yes, sir.

24 Q. Okay. And a pain diagram is -- it's like a  
25 picture of a silhouette of a human body, front and



1 back, and the patient indicates on the diagram where it  
2 hurts and how much; right?

3 A. Yes, sir.

4 Q. Okay. Because that gives you over time,  
5 then, kind of a record of her self-reporting of her  
6 pain symptoms; right?

7 A. Yes.

8 Q. And that's useful to you as a conscientious  
9 physician because you ascribe credibility to the  
10 self-report of the patient for purposes of treatment;  
11 right?

12 A. Are you saying do I believe my patients?

13 Q. Yeah.

14 A. Pretty much. Unless I am presented with  
15 something to make me think otherwise.

16 Q. And she did not?

17 A. No.

18 Q. I -- that's true, she did not; right?

19 A. That's correct. She did not.

20 Q. Just so -- you can't always --

21 A. I understand.

22 Q. All right. So on -- on the pain -- I'm  
23 sorry. On the chronology -- and it should be right in  
24 front of you on your screen too, Doctor. Your line  
25 shows you started seeing her -- you first saw her on

1 August 15th, 2012; right? And you saw her, or your  
2 office did, regularly thereafter; right?

3 A. Can I come up and look at your screen? This  
4 one is pretty small.

5 Q. Yeah, sure. Whatever you need to do.

6 THE COURT: Go ahead.

7 THE WITNESS: That's still pretty small.

8 Okay. Let me get a microphone. Okay.

9 BY MR. STRASSBURG:

10 Q. So that looks about right, like the -- that  
11 is an accurate depiction of basically the time you saw  
12 her or your office did?

13 A. Kind of looks so, yes, sir.

14 Q. Okay. I mean, these are the easy ones.

15 A. Right. I just don't have the days committed  
16 to memory, so I will take your word for it.

17 Q. Fair enough. Fair enough.

18 And not all of these indications on the chart  
19 are actual times that you performed a therapeutic  
20 procedure; right?

21 A. Correct. This is pretty nice.

22 Q. Why don't you stand over there so everybody  
23 can see. And -- and you -- your -- okay. And you --  
24 okay.

25 And so the therapeutic procedures that you

1 performed were here. On 9/27 of 2012, you did the  
2 nerve roots; right?

3 A. Correct.

4 Q. And then on 9/16/2014, that was the trial of  
5 the spinal cord stim?

6 A. Correct.

7 Q. And that's something you put in, see if it  
8 works, and then take out; right?

9 A. It's a test run, yes, sir.

10 Q. Okay. And then on -- you also did -- you  
11 injected the facet joints on 12/1; right?

12 A. I'm assuming your dates are correct. Again,  
13 I don't have it committed to memory.

14 Q. No, and -- and I'm not suggesting you should  
15 or that you're less credible for not doing so. I'm  
16 just trying to explain to everybody where we are.

17 A. Yes.

18 Q. Okay. And there is a difference between  
19 epidural injections and the selective nerve root block  
20 injections; right?

21 A. In my hands, there's a difference because --  
22 it's the same injections as far as where the needle  
23 goes. It's just selective nerve block is more specific  
24 to a level because of higher concentration and lower  
25 volume.

1           Q.    Exactly right.  And what I'm getting at here  
2 is typically, when there's an epidural that everybody's  
3 familiar with, you're going into the thecal sac area  
4 here; right?  Your -- I mean, your needle is actually  
5 going into kind of the main channel; right?

6           A.    No.  That requires a little explanation.

7           Q.    Well, let me ask the second part of it, and  
8 then you can clarify it.  Right?  Because I'm sure  
9 you'll be better at that than I will.

10                   The selective nerve root and the facet  
11 injections, those are different because they actually  
12 go to a particular facet capsule, which is a -- kind of  
13 a structure that surrounds the facet and encapsulates  
14 it.  The nerve root blocks, they go a particular nerve  
15 root area.

16                   And so the facet injections and the selective  
17 nerve root blocks, those are more specific to  
18 individual body structures than an epidural, which may  
19 affect many levels at once; is that true?

20           A.    Partially.  It requires explanation.

21           Q.    Have at it.

22           A.    There are three mechanisms of how to perform  
23 an epidural injection in the lumbar spine.  The first  
24 is an intralaminar, then there is translaminar.  That  
25 means the needle is directed between the spinous

1 processes into the epidural space. That is the old  
2 garden-variety epidural injection. When women have  
3 babies, that's where you put a catheter to anesthetize  
4 the nerve roots to help with the pain of labor.

5 Q. So one injection can affect several levels;  
6 right?

7 A. Right. It's a shotgun, puts medication all  
8 over the place.

9 The second way of doing it is a caudal  
10 epidural. Right here, at the bottom of the sacrum,  
11 there's a little hole which you can put a needle in  
12 through, and it's like a translaminar. Medication goes  
13 everywhere. It's a little bit lower.

14 The third mechanism is a transforaminal  
15 injection, and transforaminal means through the  
16 foramen. The foramen is the hole that the nerves come  
17 out. So a transforaminal injection is conducted by  
18 putting a needle right here, medication follows the  
19 nerve root track and then goes into the epidural space.  
20 That is identical to the location that they use for a  
21 selective nerve root block.

22 So any difference between a transforaminal  
23 injection and a selective nerve root block is  
24 concentration and volume. The needle placement is  
25 exactly the same.

1           The reason for doing it this way -- and most  
2 practitioners in my field do it this way now because  
3 there's a very low incidence of getting into the spinal  
4 fluid and causing spinal headache. This is little more  
5 specific to a level, but one of the risks of the  
6 translaminar going through the middle is going too  
7 deep, puncturing the dura, getting into the spinal  
8 fluid, and that can cause a miserable headache. If  
9 anybody has never had a spinal headache, that's what a  
10 spinal headache is.

11           So with the transforaminal method, it's a  
12 matter of concentration and volume. If I want to  
13 spread it around, I put a high volume in. It still  
14 spreads all around. If I want to be specific, I keep  
15 the volume very low, 1 ml., and I concentrate my  
16 medication to get more bang for the buck.

17       Q.   Thank you. Now that you have explained that,  
18 which -- could you share with us which ones of your  
19 procedures -- or forget the rhizotomy because that's  
20 different. But which ones of your procedures were the  
21 targeted, small volume in a specific location?

22       A.   Right here.

23       Q.   And you're indicating the 9/27/2012 nerve  
24 root bilateral at L5 and S1; right?

25       A.   Right. In fact, the therapeutic component of

1 that actually functions as an epidural injection.

2 Q. Okay. And, in that instance, you were  
3 targeting specific structures, in this case, the nerve  
4 roots at L5 and S1; right?

5 A. Yeah. What I'm really targeting is the L5-S1  
6 level; to a lesser extent, L4-5.

7 Q. Because that's where you think the injection  
8 will do the most good; right?

9 A. Correct.

10 Q. Because that's where you think there is some  
11 condition that the injection will correct; true?

12 A. Well, the condition I'm trying to correct is  
13 pain.

14 Q. And you think --

15 A. All the shots on the planet are not going to  
16 correct the spondylolisthesis.

17 Q. Right. But you are shooting your needle in  
18 these locations because that's what you think will  
19 correct the pain. Fair?

20 A. Correct. My suspicion is the pain generator  
21 is at L5-S1, possibly L4-5. Those are my two  
22 candidates.

23 Q. In the nerve root; right?

24 A. No, not in the nerve root. I don't put it in  
25 the nerve. That would be really bad. Patient wouldn't

1 tolerate that. If I stick a needle in the nerve and  
2 inject, we're going to all have a bad --

3 Q. In the close vicinity of this nerve root?

4 A. My target is the posterior disk and the nerve  
5 root's sheath, that whole area.

6 Q. Because you think there's some inflammation  
7 there that the corticosteroid will correct; true?

8 A. Correct. The purpose of the corticosteroid  
9 is to break the chemical reaction of inflammation, thus  
10 treating the pain by reducing swelling and  
11 inflammation. Inflammation causes swelling, so ...

12 Q. Fair enough. And so the reason you injected  
13 these nerve root at L5-S1 bilaterally is because you  
14 believed that there was inflammation there that the  
15 corticosteroid would reduce; true?

16 A. Generally, pain in the spine is caused by  
17 inflammation or -- let me get more specific --  
18 activation of what's called nociceptors,  
19 n-o-c-i-c-e-p-t-o-r-s. Nociceptors are the nerve  
20 endings that are responsible for pain. For instance --

21 Q. Excuse me. Excuse me. Let me just -- we're  
22 kind of pressed for time. Let me just focus the  
23 question.

24 The reason that on 9/27/2012 you did these  
25 injections of nerve roots at L5-S1 bilaterally is that



1 you believed there was some inflammation in the  
2 vicinity of those nerve roots that your corticosteroid  
3 would correct. True or false?

4 A. True. Vis-a-vis activation of nociceptors.

5 Q. Fair enough. Thank you. Now --

6 A. Are we done up here?

7 Q. No.

8 A. Oh, okay.

9 Q. No. We're almost done.

10 Now, with respect to the injection here on  
11 December 1st of 2014, where you inject the facet joints  
12 and you inject the hardware points at the pedicle  
13 screws, you recollect doing that?

14 A. Yes.

15 Q. And you did that on Dr. Gross's orders;  
16 right?

17 A. Yes.

18 Q. And you did the same procedure on Dr. Gross's  
19 orders on March 16th of 2015; true?

20 A. That's correct.

21 Q. And did you use the same anesthesia and the  
22 same corticosteroid for both?

23 A. Yes.

24 Q. And was your procedure on December 1st and  
25 March 16th, was -- with respect to the facet joints at

1 L3, your injection was targeted specifically to those  
2 joints; true?

3 A. Correct.

4 Q. Because you believed there was inflammation  
5 in those joints that the corticosteroid would correct;  
6 true?

7 A. Correct.

8 Q. And then the -- you also injected the SI  
9 joint but only on the right; true?

10 A. Correct.

11 Q. And that's because you believed that there  
12 was some sort of -- I hate that.

13 You believe there was some sort of condition,  
14 some inflammation in the joint that your injection  
15 would correct; true?

16 A. That's correct.

17 Q. Okay. Why don't you take your seat again.

18 THE COURT: You may want to move that TV  
19 screen back out of the way.

20 MR. STRASSBURG: Sure. Thanks, Judge.

21 BY MR. STRASSBURG:

22 Q. Can you see it on your screen? Or you're  
23 welcome to come back down here.

24 A. I can see this. That's fine.

25 Q. So with respect to your injection, the one on

1 September 27th, as -- as you testified to Mr. Mazzeo,  
2 your records indicate that she only received two or  
3 three days of benefit; true?

4 A. Correct.

5 Q. And with respect to your procedure on  
6 August 25th, 2014, on September 16, 2014, you also  
7 indicated once the -- the -- the equipment had been  
8 removed that she was still experiencing low back pain  
9 with radicular pain down the right lower extremity;  
10 true?

11 A. After the spinal cord stimulator trial?

12 Q. Yeah.

13 A. After I pulled it out?

14 Q. Well, this would be in September.  
15 September 16, 2014, after the stimulator trial on  
16 August 25, 2014.

17 A. Sure. Yes.

18 Q. And then the December 1st, 2014, the  
19 March 16th, 2015, those procedures, from the  
20 December 1st procedure, she had significant improvement  
21 that only lasted a month and she -- and the March 16,  
22 she had improvement that lasted a few weeks only; true?

23 A. Correct.

24 Q. All right. And then you performed a  
25 rhizotomy on September 24, 2015. And, again, was that

1 at the direction of Dr. Gross?

2 A. The rhizotomy?

3 Q. Yeah.

4 A. Not direction. After she had significant  
5 improvement from two sets of injections, it was very  
6 much his opinion and mine to proceed with that.

7 Q. Does cauterization allow the nerves to  
8 regenerate?

9 A. Well, it doesn't allow them to regenerate.  
10 They regenerate in spite of the cauterization.

11 Q. Well, do they grow around the part that's  
12 cauterized, or does the part that has been cauterized,  
13 like, rebud, like pruning a bush?

14 A. They regrow. I don't know exact path they  
15 take. I think they regrow in the channel, because when  
16 I go back to do repeat rhizotomies, I find the nerve in  
17 the same place.

18 Q. But you are aware that nerves that have been  
19 cauterized can bud in different directions from the  
20 original path; true?

21 A. Yes, that can happen.

22 Q. In fact, that's pretty typical the way --  
23 when nerves are damaged by -- by heat, they kind of --  
24 they regenerate themselves kind of wild; right? In a  
25 bramble?

1           A.    I think you're talking about a neuroma.

2           Q.    Yeah.

3           A.    I don't know if anyone's ever classified  
4 these as neuroma. I do know when I do repeat  
5 rhizotomies on people, and I have done some year after  
6 year, the nerves are always right where I expect them  
7 to be.

8           Q.    And what's the margin of --

9           A.    So I don't have to chase them anywhere else.

10          Q.    What's the margin of error for hitting those  
11 little medial branch nerves?

12          A.    The variability of where the nerves are  
13 originally is usually traditionally thought to be a  
14 needle width away. That's using an 18-gauge  
15 radiofrequency needle. So the original approach is to  
16 do multiple burns. With the Venom needle, it'd make  
17 such a big lesion, that's really not necessary.

18          Q.    And how big a lesion does the Venom needle  
19 that you used on Ms. Garcia make?

20          A.    Probably 2 millimeters distal to the tip of  
21 the needle and then the full width of the needle plus  
22 another 2 or 3 millimeters. So I would say roughly 6  
23 or 7 millimeters.

24          Q.    By two?

25          A.    No, no, that's from the distal tip. The

1 whole needle -- it's probably -- let me go to memory.  
2 Probably about 6 or 7 by 7 or 8 millimeters total. We  
3 actually --

4 Q. So if it's an oval, I mean the zone of  
5 influence is an oval. What would be the dimensions  
6 long and -- and high?

7 A. Probably about 6 to 7 by 7 to 8. I mean --

8 Q. In millimeters?

9 A. Millimeters. I can pull that information.  
10 We actually demoed this with chicken breasts. It's  
11 pretty remarkable.

12 Q. You yourself --

13 A. Yes.

14 Q. -- did it with chicken breasts?

15 A. Yeah. When I was first looking at the new  
16 technology, I was pretty skeptical. And we set up some  
17 chicken breasts, put the needles in there, and ran it.  
18 And it was a nice lesion. It was very impressive.

19 Q. And the lesion was in the chicken meat;  
20 right?

21 A. Correct. Or the tissue.

22 Q. Or the muscle?

23 A. Correct.

24 Q. And what kind of effect does the -- this  
25 Venom needle, with its large zone of influence, have on

1 the muscle of the chicken that you observed?

2 A. It cooks it.

3 Q. Does it -- medium rare? Medium? Well done?

4 A. It cooks --

5 Q. Charred?

6 A. Have you ever cooked a chicken breast? It  
7 cooks it. It cooks it thoroughly for that -- it was --  
8 it was a very nice demo.

9 Q. Well, now, you just leave my cooking skills  
10 out of this.

11 A. I don't know anybody that eats chicken rare,  
12 but I could be wrong.

13 Q. So it's --

14 A. But it cooks it thoroughly. It doesn't char  
15 it. It turns it from the fleshy pink color to white,  
16 cooked chicken.

17 Q. Well, now -- and what does it do to any  
18 nerves that are in the meat? Did you happen to demo  
19 that, or did you just confine your demonstration to the  
20 meat itself?

21 A. Oh, just to the meat itself. I've got over  
22 two years' experience in Venom now and been very  
23 satisfied with the results, that it's equivalent to  
24 conventional radiofrequency rhizotomy doing multiple  
25 passes.

1       Q.    Now, let me show you this image that I want  
2 to talk to you about.  And -- and, again, you're  
3 welcome to join us in the well of the court if that  
4 would be more comfortable, although if Kristy shoots  
5 you afterwards, it's --

6       A.    I'll duck.

7       Q.    So do you see here that we have charted  
8 your -- your -- the numbers from the self-reporting  
9 that Ms. Garcia gives you when she comes in to see you?  
10 Do you see that?

11      A.    Yes.

12      Q.    And you can see, I think, that the -- and,  
13 again, I don't expect you to -- to memorize the dates,  
14 and I'm not going to ask you dates and say, "Ooh, well,  
15 you know, you miss" -- no.

16            But, generally, you can see that the numbers  
17 run from 8 out of 10 to, it looks like, 3; right?

18      A.    Yes.

19      Q.    And --

20      A.    Or --

21      Q.    -- there are ups and downs; right?

22      A.    Correct.

23      Q.    And the -- do you have a recollection of what  
24 her pain number was when she was discharged from the ER  
25 on January 5th, 2011?



1           A.    No, I don't have that.

2           Q.    If I told you it was 6 of 10, would that  
3 sound right to you?

4           A.    Yeah, I'd be fine with that.

5           Q.    Well, I would show this to you, but I know --  
6 let me show you this document and see if I can refresh  
7 your recollection. And it is GJL Bates No. 80. Hold  
8 on.

9                   Let me do it, bearing in mind your rulings,  
10 Judge.

11           MR. ROBERTS: Exhibit 26, page 233.

12           MR. STRASSBURG: Oh, great. Hey, thanks.

13 Mr. Roberts, I appreciate your -- I will take help from  
14 whatever corner. Thank you.

15 BY MR. STRASSBURG:

16           Q.    And let me show you -- show us all this  
17 document. I'll represent to you this is a document in  
18 evidence, came from the ER at the hospital. And she  
19 was -- the hospital records indicate that she reported  
20 her pain level on departure as 6 of 10 --

21           A.    Yes, I will acknowledge that.

22           Q.    Sound right to you? Thank you, sir.

23                   So if we use this information, then, and we  
24 overlay it on our chart -- because, again, to be fair  
25 to you, I thought it would be fair to -- I mean, you --

1 you've been examined on individual treatments, visits,  
2 that kind of thing. I want to try to look at the whole  
3 course of treatment from an overview.

4           And here, would you not agree, that we can  
5 see that, if you lay it off at 6 -- okay. It's a  
6 little bit low, but general -- this is the 6-out-of-10  
7 line, and it goes across here. And you can see that,  
8 on a number of occasions after the surgery, her pain  
9 that she reported to you was actually worse than it was  
10 when the ER cut her loose; right?

11           A. Oh, when I first saw her on initial visit,  
12 she was far worse than 6 out of 10 in the ER visit.

13           Q. And did you ever ask her, after you looked at  
14 the records, you know, "Emilia, I mean, you're 6 of 10  
15 when you leave the ER. You do the chiro care. You get  
16 better, and your neck pain goes away. You do a little  
17 bit of physical therapy, and you're down to, like, 4.  
18 And now you come see me, and all of a sudden, you're  
19 worse. What happened?" Did you ever ask her?

20           A. No. I didn't have the ER visit when I first  
21 saw her. But in the course of treatment -- what? Did  
22 I see her a year later? -- she was definitely  
23 progressively getting worse. I think that's what you  
24 can glean from that.

25           Q. Well, did you ever ask her, "Hey, what --

1 what happened during that year before I saw you?"

2 A. Well, no, I did not ask her specifically  
3 other than nature of her treatment with Dr. Lemper.  
4 That's what I asked her.

5 Q. I mean, did you ever ask her whether she'd  
6 experienced any other, you know, accidents after the --  
7 the motor vehicle accident or -- or had engaged in any  
8 activity that exacerbated the pain?

9 A. Well, certainly. I go through that with  
10 them.

11 Q. Sure.

12 A. I don't have any information anything else  
13 happened.

14 Q. Now, the cycles -- she went through kind of  
15 cycles of -- of pain.

16 Would you give me that? Would you agree with  
17 that?

18 A. That little V you're showing there,  
19 certainly.

20 Q. Yeah, that's the first cycle, the up and  
21 down. There's also, then, another cycle, the second  
22 cycle.

23 Then there's a third cycle of pain; right?

24 A. Right.

25 Q. And there's a fourth cycle of pain; right?

1           A.     Correct.

2           Q.     And after the rhizotomy, the pain's been  
3 trending downward. But based on your knowledge of how  
4 these patients go, would you not expect there to be  
5 another cycle, another increase in pain?

6           A.     Actually not. This is a nice graph. Your  
7 last data point is December 9th of 2015. Since then  
8 we've seen her two or three more times, most recently  
9 last week. And her pain was still down around a 4.

10                  So that's a very good trend as far as showing  
11 that the rhizotomy is working.

12           Q.     Sure.

13           A.     She's not cycling. Now, her pain is going to  
14 come back, I predict. And when that happens, we'll do  
15 the rhizotomy again.

16           Q.     And how much pain does she have to report to  
17 you before you'll say, "Okay. I'll do another  
18 rhizotomy"? Is it 5 out of 10? 6? 7?

19           A.     No. No. There's no fixed number. I leave  
20 this option to my patients because there's more than  
21 pain scores that tell the story. It's level of  
22 function, quality of life.

23                  And she's been through a rhizotomy. She  
24 knows what it's all about. So she'll basically tell me  
25 when she's ready to do it again.

1           Q.    All right.  Now, it's no secret that we have  
2 different viewpoints.

3                   But can we not at least agree that, based on  
4 this chart, her pain across the entire course of  
5 treatment was variable?  It went up, it went down in  
6 pain cycles; true?

7           A.    Yes.

8           Q.    And can we also not agree that, on occasions,  
9 her pain was worse during the period of time you were  
10 treating her than it was when she left the ER?

11          A.    Oh, absolutely.  Very first time I saw her,  
12 her pain was off the charts.

13                   I think if you look in my note, I went out of  
14 my way to remark about her level of discomfort.  When I  
15 use the word "severe pain," "can't sit," that's a big  
16 deal because that's not nomenclature I generally use.

17                   Most of my patients come in with a moderate  
18 level of pain, and I document that.  When I say  
19 somebody is miserable, can't sit, pacing the room,  
20 that's a note to myself that this is really, no  
21 kidding, severe.

22                   And, if anything, I think she underreports --

23          Q.    Okay.

24          A.    -- at least at that time.

25          Q.    Are you hungry?

1 THE COURT: I am.

2 THE WITNESS: For lunch? I have clinic in  
3 about an hour and a half. So ...

4 MR. STRASSBURG: The judge saw right through  
5 me.

6 THE COURT: He says he's got a clinic in an  
7 hour and a half, so how much longer do you have? You  
8 guys want to come up for a minute?

9 (A discussion was held at the bench,  
10 not reported.)

11 THE COURT: All right. Folks, we're going to  
12 send you to lunch.

13 During our lunch break, you're instructed not  
14 to talk with each other or with anyone else, about any  
15 subject or issue connected with this trial. You are  
16 not to read, watch, or listen to any report of or  
17 commentary on the trial by any person connected with  
18 this case or by any medium of information, including,  
19 without limitation, newspapers, television, the  
20 Internet, or radio.

21 You are not to conduct any research on your  
22 own, which means you cannot talk with others, Tweet  
23 others, text others, Google issues, or conduct any  
24 other kind of book or computer research with regard to  
25 any issue, party, witness, or attorney involved in this

1 case.

2           You're not to form or express any opinion on  
3 any subject connected with this trial until the case is  
4 finally submitted to you.

5           Let's just take an hour. Go to about five  
6 after 1:00.

7                       (The following proceedings were held  
8                       outside the presence of the jury.)

9           THE COURT: Hold on. Hold on. We're outside  
10 the presence, but we're still on the record.

11           The issue, I guess, Doctor is this: It  
12 sounds like we're going to probably have, I'm guessing,  
13 at least an hour and a half with you still. So I don't  
14 know if -- if there's a possibility of you moving your  
15 clinic.

16           THE WITNESS: This is, like, the fifth time  
17 I've rescheduled patients.

18           THE COURT: I know. And the other option is  
19 we end up bringing you back another -- a third time.

20           There's no way we could keep going right now  
21 and even get you out of here by 1:00 o'clock. So  
22 that's why I sent the jury to lunch.

23           So I'm going to let you kind of decide that,  
24 and --

25           MR. STRASSBURG: Doctor, I'm sorry about the

1 time. I really am.

2 THE WITNESS: Calculations are enormous.

3 THE COURT: All right. So is there anything  
4 else we need to put on the record right now?

5 MR. MAZZEO: Yes, Your Honor, just briefly.

6 It was regarding an objection I raised. We  
7 had a conference at the bench, and you had overruled my  
8 objection. And it was with regard to Dr. Kidwell's  
9 testimony where his understanding of the accident was  
10 that Ms. Garcia's car was broadsided, it spun  
11 180 degrees. And from that he was able to postulate  
12 that she was involved in a violent act, and that was  
13 not something that -- that was beyond the scope of this  
14 expert witness's specialized knowledge.

15 He doesn't have a specialized knowledge to  
16 make that -- offer that opinion. And -- and he was  
17 suggesting to the jury that her body was involved in a  
18 violent act as a result of the simple description of  
19 her car being struck and her car spinning 180 degrees.

20 So I just wanted to go on the record with  
21 that, that I think that he should have been precluded  
22 and his testimony regarding that should have been  
23 stricken. Thank you.

24 THE COURT: And I think I told you I wasn't  
25 going to allow him to talk about delta-v's and vectors



1 and forces. But a layman's statement that he thought  
2 it was a violent accident, I thought was fine.

3 MR. MAZZEO: Okay. That's it.

4 MR. ROBERTS: And at the bench we also noted  
5 that this line of questioning had been going on for  
6 some amount of time without objection and that -- that  
7 this wasn't a new subject area and it had been waived.

8 MR. MAZZEO: And what I had noted then in  
9 response was that what I was objecting to was not  
10 Dr. Kidwell talking about the circumstance -- his  
11 knowledge of the circumstances of the accident, but I  
12 was specifically objecting to his reference to the term  
13 "violent act" where -- I believe he referenced it  
14 twice; I objected twice.

15 THE COURT: Okay. Anything else?

16 MR. MAZZEO: No, Your Honor.

17 THE COURT: All right. Off the record.

18 (Whereupon a short recess was taken.)

19 THE COURT: Bring them back, Tom.

20 THE MARSHAL: Jury entering.

21 (The following proceedings were held in  
22 the presence of the jury.)

23 THE MARSHAL: Jury is present, Judge.

24 THE COURT: Thank you. Go ahead and be  
25 seated, folks.

1           We're back on the record, Case No. A637772.  
2           Do the parties stipulate to the presence of  
3 the jury?

4           MR. ROBERTS: Yes, Your Honor.

5           MR. MAZZEO: Yes, Your Honor.

6           MR. STRASSBURG: Yes.

7           THE COURT: All right. Doctor, just be  
8 reminded you're still under oath.

9           THE WITNESS: Yes, sir.

10          THE COURT: Mr. Strassburg, go ahead.

11          MR. STRASSBURG: Thank you, Judge.

12 BY MR. STRASSBURG:

13          Q. Thank you, Doctor, for making yourself  
14 available.

15                 Directing your attention to the screen here,  
16 this is an analysis and maybe -- why don't you come  
17 down here, and we'll just talk about it together so  
18 you -- you can all see.

19                 This is an analysis of the clinical  
20 neurological tests that were performed by Dr. Gross as  
21 part of his clinical assessments of Emilia Garcia.

22                 Are you familiar with straight leg raise,  
23 those kind of clinical neurological assessments?

24          A. Correct.

25          Q. And would you tell us what is a -- why don't

1 you stand to the side so everybody can see.

2 What -- what is a straight leg raise, and  
3 what does it measure?

4 A. If you -- if you recall, sciatica basically  
5 is pain that goes down the leg and emanates from the  
6 back. That's the general term we're talking about  
7 is -- we call it radicular pain. The common term is  
8 sciatica.

9 One of the ways to possibly bring that out is  
10 doing a straight leg raise test where you stretch the  
11 nerve basically.

12 Q. Is your mike on?

13 A. I think so.

14 Q. Okay.

15 A. So you stretch the nerve by stretching the  
16 leg up, and there's a couple of different maneuvers,  
17 but that's basically what a straight leg -- straight  
18 leg raising test is.

19 Q. All right. So the purpose of the straight  
20 leg raise test is clinically -- so a clinician like  
21 yourself or Dr. Gross can see if, by moving the  
22 straight leg up and down, you can provoke -- you used  
23 that word -- a pain response indicative of nerve pain;  
24 right?

25 A. Well, indicative of a sciatica-type pain.

1 Nerve pain actually means nerve --

2 THE REPORTER: I'm sorry. "Nerve pain"?

3 THE WITNESS: May or not be nerve pain, pain  
4 transmitted by the nerve from nerve irritations, which  
5 you're talking about.

6 BY MR. STRASSBURG:

7 Q. Okay. And that would include impingement on  
8 a nerve; right?

9 A. Generally impingement causes more weakness  
10 and numbness. Irritation of nociceptors causes the  
11 pain.

12 Q. And Ms. Garcia manifested self-reports of  
13 weakness and numbness in her legs; right?

14 A. Correct.

15 Q. Now, the -- a negative straight leg raise  
16 would tend to indicate that there's no nerve that's  
17 being impinged upon or has been inflamed in a  
18 sciatica-type way; right?

19 A. Actually, if it's negative, it really doesn't  
20 mean much. If it's positive, it means something.

21 Q. All right. And the -- if I'm showing you --  
22 these are the individual times that Dr. Gross performed  
23 an assessment on Ms. Garcia by performing this straight  
24 leg raise test, left and right, did a lateral hip test,  
25 the FABER test.

1 Do you know what that is?

2 A. Yeah. It stands for flexion, extension,  
3 external rotation. Another name for it is Patrick's  
4 test.

5 Q. And what is a clinician trying to measure or  
6 detect with the FABER test?

7 A. Usually, that brings out hip pain.

8 Q. All right. And then there is the abduction  
9 test -- I'm sorry. Excuse me.

10 Why don't you tell us what that test  
11 signifies.

12 A. Again, these are all maneuvers to look at  
13 pressure on SIJ, sacroiliac joint.

14 Q. All right. So, I mean, do you see how the  
15 patient -- I mean, since she got over the surgery in  
16 oh, you know, the beginning of, say, 2013, she is --  
17 clinically, she's negative, right, but she is still  
18 coming to you and reporting substantial levels of pain;  
19 true?

20 A. True.

21 Q. And then also the chart indicates the  
22 findings of the clinician from palpating various  
23 muscles in her back.

24 Are you familiar with clinical assessments of  
25 back muscles through palpitations?

1           A.    Yes.

2           Q.    And could you describe that for us?

3           A.    It's quite simple.  You feel -- you look for  
4 tight muscles.  A really bad spasm in the spine will  
5 stick out pretty good.  I mean, you can palpate it.  A  
6 minor one, not so much.  And then it's all about  
7 degree.  Some muscles, particularly in your neck, you  
8 can play like a guitar string.  It's really taut.

9           Q.    All right.  And is that an objective finding  
10 or a subjective one?

11          A.    Well, I know with lower back, before the  
12 objective-subjective, most physicians will tell you  
13 that their examination is objective.  As far as the  
14 patient being able to reproduce that or embellish it,  
15 that's not the case.  I mean, they can't do that.  It's  
16 totally objective.

17          Q.    Well, if I'm palpitating the nerve -- what do  
18 you do that?  Do you do that with your thumbs and you  
19 run them up the nerve?

20          A.    No.  No.  You don't run up the nerve at all.  
21 You run up and down the spine.

22          Q.    I'm sorry.  The muscle.  My fault.  
23                Yeah, you rub up the muscle?

24          A.    You can feel it.  You can feel the tension.  
25 You actually palpate each segment.

1 Q. And the clinician can actually feel the  
2 tension of the muscle?

3 A. Yes, sir.

4 Q. And you can feel if there's a spasm?

5 A. Well, the tension is a spasm.

6 Q. And you can feel if the muscle's tender,  
7 unusually?

8 A. No. No, you can't feel tenderness. That's  
9 what a patient reports.

10 Q. All right. But spasm and those other things  
11 you mentioned would be something that the clinician can  
12 get his hand on --

13 A. Correct.

14 Q. -- literally?

15 All right. So you see from the charting here  
16 that Dr. Gross is charting in his records that, when he  
17 palpates -- or palpitates her back muscles, he scores  
18 her as mildly tender literally for years after the  
19 surgical procedure; true?

20 A. That's what you're saying. I don't have  
21 these records to independently verify that, but I will  
22 take your word for it.

23 Q. So if this information is -- is accurate,  
24 then, this would indicate that, for years after the  
25 surgical procedure, although Dr. Gross could not find a

1 clinical indication of nerve inflammation or  
2 impairment, he was finding, through her reports of  
3 tenderness, that she was symptomatic during that same  
4 time period; true?

5 A. Oh, she remained symptomatic throughout that  
6 period. The main graphs illustrate that clearly.

7 Q. But symptomatic in the sense her muscles were  
8 tender throughout that period; right?

9 A. Again, I don't -- if you want to show me the  
10 records to independently verify that. But taking that  
11 on face value, I'd say that, if this is correct, it  
12 would indicate there was tenderness.

13 Q. All right. All right. And you can take the  
14 stand again.

15 And is that indicative to you of any  
16 particular -- well, let me withdraw that and ask it  
17 this way.

18 Let's just include a little bit more  
19 information from his records. All right. And you may  
20 want to -- you can come back down or you can look at it  
21 on your screen. But we've added some indications  
22 from -- some quotations from information that's  
23 contained in Dr. Gross's records.

24 And do you see that on -- for example, on  
25 here, in April of 2013, Dr. Gross's records are showing



1 "low back pain, well managed, happy with how doing."

2 But at that same time, then, she -- she is indicating

3 on your records that she's a 5 out of 10 painwise;

4 right?

5 A. Correct.

6 Q. And when she left the ER in January of 2011,

7 she was only 6 out of 10; right? We established that;

8 true?

9 A. Correct.

10 Q. And then here, in -- in May of 2013, she's

11 telling Dr. Gross she's happy, she's back to work, can

12 stand all day; and she's telling you that her pain is,

13 like, 4 out of 10.

14 A. Right. That's -- that's not at all

15 inconsistent.

16 Q. And here, she's -- in October of 2013, she's

17 telling Dr. Gross that she still has low back pain but

18 she's getting along well; and, at the same time, she's

19 telling you that, well, she has this episode of 7 out

20 of 10, which then drops down to a 4 out of 10 -- 7 out

21 of 10 in September and then 4 out of 10 in October.

22 Do you see that?

23 A. Yes, I see it.

24 What was the date -- when was the surgery on

25 this graph?

1 Q. You see this dotted line here?

2 And that's an excellent question. I'm glad  
3 you brought up that. The surgery -- the surgical  
4 procedure is this dotted line here, and you see it's  
5 labeled up here.

6 So everything this way is postsurgery, and  
7 everything this way is before surgery.

8 A. Okay.

9 Q. And do you see here where in January of 2014  
10 she says she has low back pain and she has some  
11 numbness, but she's denying leg pain, and her pain  
12 level is 4 out of 10 when she comes to see you; right?

13 A. Correct.

14 Q. And then she has this flare-up that Dr. Gross  
15 charts in April of 2014, and you see her pain now is  
16 going up. She's on an upswing.

17 She's at 5 out of 10; right?

18 A. Correct.

19 Q. Okay. And then after the -- what's the right  
20 term? Is it rhizotomy or ablation or -- what do you  
21 call it?

22 A. All of the above. You can call it ablation.  
23 You can call it rhizotomy.

24 Q. All right. What do you call it?

25 A. I usually call it a rhizotomy, but that's a

1 big word. So I say "nerve burning."

2 Q. Nerve burning. Oh, that sounds so  
3 destructive.

4 A. It is destructive. It is destructive.

5 Q. All right.

6 A. That's the whole idea.

7 Q. And in his -- in Dr. Gross's records and in  
8 yours, she still had leg pain and low back pain after  
9 the nerve burning. It was just on a downward slope;  
10 right?

11 A. Right. Look at this. This is a good graph.  
12 So she had surgery here; she's on track. Has a bump in  
13 the road, but you can see even past this bump in the  
14 road, her pain's progressing, and that's why we did a  
15 spinal cord stimulator.

16 Q. No, the spinal cord stimulator is over here.

17 A. That's right, over here.

18 Q. Uh-huh. Here, why don't you stand over here  
19 so they can see what you're pointing at.

20 A. So we're talking about stimulation in here.  
21 Pain scores vary from week to week. Nobody is  
22 absolutely consistent in my practice. We know better.

23 You can see the trend is here that her pain  
24 is getting worse and worse and worse. We did those two  
25 interventions and brought her pain down when we do the

1 rhizotomy. And now her pain as of here is about this  
2 level. So she's tapered off here from the rhizotomy  
3 and is consistent.

4 Q. So --

5 A. Over the next two or three months, we can  
6 tell for sure, but, you know, I anticipate more than  
7 five or six months, seven months, she's going to need  
8 another rhizotomy.

9 Q. Because the pain is going to go back up?

10 A. The nerves are going to regenerate, so  
11 predictably, the pain will go back up. Maybe not. It  
12 might take 14 months. It may be as long or two or  
13 three months from now. Who knows.

14 Q. But we can agree that her pain profile since  
15 the surgery has been up and down, highly variable;  
16 right?

17 A. Right. That's perfectly normal for somebody  
18 in this situation.

19 Q. Okay. Thank you, sir. You can ...

20 Now, let me show you this chart. Now, when  
21 you do your charting, or your assistant does your  
22 charting, you have a section in your chart that is  
23 called Review of Symptoms; right?

24 A. Correct.

25 Q. And the -- the -- you select from various

1 bodily systems and you comment upon symptoms related to  
2 those particular body systems; right?

3 A. Review of systems is something you capture as  
4 far as other symptoms they're having.

5 Q. Fair enough.

6 A. Most of the time, it's not something I am  
7 treating; sometimes it is.

8 Q. All right. Now, it --

9 A. If somebody's having bleeding problems, I  
10 want to know about that before I do a procedure, things  
11 like that.

12 Q. All right. Now, in your review of systems,  
13 you have a category called musculoskeletal.

14 A. Yes, I believe so. Yes.

15 Q. And in that category, you -- you mean the  
16 system that involves both the muscles, right, and the  
17 skeleton; true?

18 A. Correct.

19 Q. So if somebody has a sore muscle, that's  
20 where you would make your notation in that category;  
21 right?

22 A. It's not that specific.

23 Q. If somebody has a bruise in the muscle, you  
24 would make your note in that category; right?

25 A. Well, actually, my staff collects that. And

1 what happens is they say -- musculoskeletal basically  
2 means spine -- are you having spine problems?

3 Q. And then the neurological category means are  
4 you having a problem that's neurological in source;  
5 true?

6 A. Correct. Do you have --

7 Q. A pinched nerve?

8 A. -- numbness in your legs? Are you passing  
9 out? That could be neurological logic.

10 Q. So radicular leg pain, that would be  
11 neurological; right?

12 A. If the patient knows it's neurological, yes.

13 Q. And then, like a bruise in the muscle,  
14 tissue, that would be musculoskeletal; true?

15 A. Well, again, if they're sophisticated enough  
16 to know the categories.

17 Q. And you --

18 A. They might report -- and I'm using general  
19 terms in my patients. You know, we don't ask them are  
20 you having any neurologic problems. Most of my  
21 patients really don't know what that means to the  
22 degree we know what that means. They'll say, what are  
23 you talking about? Well, are you having any symptoms  
24 of anything? Well, my leg gets numb. I get headaches.  
25 Headaches could be a head category; it could be

1 neurologic category. So the categories are kind of  
2 fluid from my patients. So my staff does the best  
3 to -- to capture any other symptoms they might be  
4 having.

5 Q. And then you review these records; right?

6 A. Yes.

7 Q. You don't let your staff run amuck  
8 unsupervised; right?

9 A. I hope not.

10 Q. And you review the records for accuracy;  
11 true?

12 A. To the best of my ability. Sometimes I don't  
13 review every line.

14 Q. Right. But if they screw something up, then  
15 you make them change it so it's accurate; true?

16 A. Correct. If I catch it.

17 Q. Now, in your records, we have charted the  
18 period of time during which your review of systems in  
19 the musculoskeletal category mention back pain. And  
20 that is shown on this yellow line right here.

21 A. Correct.

22 Q. And do you see it?

23 A. Correct.

24 Q. And would you agree that throughout the  
25 tenure of your treatment of Ms. Garcia, you -- your --

1 you and your staff, under your supervision, you  
2 categorized her back pain as musculoskeletal; true?

3 A. Okay.

4 Q. And then above it, leg pain. Your staff and  
5 you on a number of occasions, see, for periods of time,  
6 you categorized her leg pain as musculoskeletal; true?

7 A. I don't understand. You mean categorize leg  
8 pain as musculoskeletal?

9 Q. No. In -- in your Review of Systems  
10 category, you noted leg pain; true?

11 A. Okay.

12 Q. And then for neurological, on a number of  
13 occasions, during these time periods shown in blue, you  
14 categorized for neurological conditions both leg  
15 numbness and leg weakness; true?

16 A. I'll take your word for it. I'd have to go  
17 through all my records to acknowledge that degree of  
18 accuracy. Would you like me to do that?

19 Q. No. You're not aware of any specific  
20 instance that would prompt you to dispute that; right?

21 A. No. My -- my point is the relevance of where  
22 you're going with this.

23 Q. And then in the category of Neurological in  
24 your Review of Systems, nobody in your office or you  
25 ever charted leg pain for Ms. Garcia as a neurological



1 matter; true?

2 A. Again, I will have to go through every record  
3 to know that. But if, in fact, that's the case, I will  
4 take your word for it.

5 Q. Now, you also charted weight; true?

6 A. Yes.

7 Q. And you -- you kept track of Ms. Garcia's  
8 weight throughout her -- I'm sorry -- throughout the  
9 tenure of her -- your seeing her. And based on the  
10 records, her weight when you first saw her was  
11 175 pounds. Does that sound right to you?

12 A. I'm going to look it up. Yes, 175, correct.

13 Q. All right. And her weight generally  
14 fluctuated between 175 and 185 pounds; right?

15 A. Let me see. I know it was 165 to 175. Let  
16 me see if I got a 185 in there.

17 Q. Yeah, 185. You might look at April 6th of  
18 2015.

19 A. That's correct.

20 Q. All right. Now, let me show you this chart.  
21 And this one I put on a board. Can I -- can I impose  
22 upon you to come on down, and we'll keep the blood  
23 moving in your --

24 A. Any day with a pulse is a good one.

25 Q. And I will put this --

1 MR. STRASSBURG: Lee, I will put this on the  
2 screen for you. Do you have it?

3 MR. ROBERTS: I do.

4 BY MR. STRASSBURG:

5 Q. Now, what we have here is the chart of your  
6 patient's pain assessments, her self-reporting of that  
7 up here. And it runs from the time you first saw her  
8 before the surgery in August here until the last day we  
9 got records in the case, which was December 9th of  
10 2016. And it shows up here the categories that you and  
11 your staff were assigning to these various complaints.

12 And it shows Dr. Lemper's period of  
13 treatment, some quotations from his -- his records.  
14 And this is Dr. Gross down here, which shows -- with  
15 some quotations there from the records.

16 Here is the chiropractic. When she sees the  
17 lawyer. Here is the physical therapy session and  
18 another physical therapy. There was one assessment by  
19 Matt Smith, a physical therapist. And then there was  
20 another assessment by Matt Smith over here in November  
21 2016 [sic] before she commenced a period of treatment,  
22 nine visits up until the end of December when the  
23 record production stopped.

24 THE COURT: Mr. Strassburg, let me interrupt  
25 you for just a second. I can tell Mr. Blurton can't

1 see this. Do you have this in a bigger format?

2 MR. STRASSBURG: Well, no. This is as big as  
3 I -- wait.

4 JUROR NO. 1: Judge, may I? I can see it on  
5 the monitor here. It's okay, but I'm watching him  
6 because he's pointing things out.

7 THE COURT: All right.

8 JUROR NO. 1: I'm okay.

9 THE COURT: Okay. I appreciate it. It  
10 looked like you were struggling to see it, looking back  
11 and forth. So if you can see it on the monitor, that's  
12 great.

13 JUROR NO. 1: Yep.

14 MR. STRASSBURG: Can everybody see it? Okay.

15 THE WITNESS: It's a struggle for me, but ...

16 BY MR. STRASSBURG:

17 Q. Doctor, why don't we switch sides here. I'll  
18 be on -- I'll look at this from your side. And -- and  
19 I want to point out some things to you and ask you a  
20 couple of questions about them. Now, in -- in your  
21 profession, you use a term called "conservative  
22 therapy"; right?

23 A. Correct.

24 Q. And conservative therapy is chiropractic,  
25 massage, electro -- whatever they do, that kind of

1 stuff. And then there's physical therapy; right?

2 A. Physical therapy is considered part of  
3 conservative therapy.

4 Q. And physical therapy is specifically targeted  
5 exercises supervised by a trained professional to  
6 exercise particular muscle groups; true?

7 A. It can include the other modalities you  
8 mentioned as well: electrical stimulation;  
9 ultrasound; range of motion; diathermy, which is heat  
10 and cold treatments; anything along those lines.

11 Q. And would you agree with me that sometimes  
12 those PT people can just work wonders with patients;  
13 right?

14 A. Yeah, most -- most patients who get injured,  
15 whether they fall off a ladder or whatever, they get  
16 better with conservative treatment, we never see them.

17 Q. And you can -- you've observed in your  
18 professional experience that even patients that come to  
19 you with low back pain that radiates to other parts of  
20 the body can be helped by physical therapy; right?

21 A. Sure. Physical therapy and chiropractic we  
22 kind of use as synonymous.

23 Q. But it's not the same thing; right? Physical  
24 therapy is a specific brand of therapeutic treatment  
25 that involves -- you okay?

1 JUROR NO. 10: Yeah, the stem cracked.

2 MR. STRASSBURG: Hold on. Hold on. Let me  
3 just regroup here and get another question.

4 BY MR. STRASSBURG:

5 Q. Physical therapy involves using kinetics --  
6 motion and exercise and resistance -- to strengthen  
7 particular muscles. And it can be in the back; right?

8 A. Generally speaking, I would say yes. There's  
9 some caveats.

10 Q. Sure. I understand. And the muscle groups  
11 that you can strengthen are in the core of the body;  
12 right?

13 A. Correct.

14 Q. And there's special exercises that are --  
15 have been devised and validated especially for the core  
16 muscle groups that involve the lower back; true?

17 A. Sure.

18 Q. And you send your patients to those kind of  
19 physical therapists from time to time; right?

20 A. Yes, I do.

21 Q. Because you've seen that that works; true?

22 A. Most of the time, it does.

23 Q. And, now, physical therapy is the kind of  
24 thing that takes effort over a period of time; right?

25 A. By "effort," what do you mean?

1           Q.    Well, you got to go to the physical  
2 therapist, you have to do the exercises. They stay on  
3 you. They're -- some are like drill sergeants. Trust  
4 me, I know. Right?

5           A.    You know what? It's -- it's not all equal.  
6 I mean, I like to say that, you know, I like to think  
7 that, but there are some therapy places where they  
8 stick them on a bicycle and they run them for an hour  
9 or half hour.

10          Q.    But you don't send your people to those;  
11 right?

12          A.    Try not to.

13          Q.    You send your patients to good physical  
14 therapy shops; right?

15          A.    Ooh, that is -- that -- that assumes that I  
16 know the inner workings of all the physical therapy  
17 places, which I don't. I do know when patients come  
18 back complaining to me that they're not getting any  
19 better because they are not doing any exercises. So I  
20 endeavor to send patients to good physical therapy  
21 places. What they do when they get there, I don't  
22 control or know.

23          Q.    Well, let me ask you this: Now, we see here  
24 that in this time period from January to, like, through  
25 May, the first five months post accident, Ms. Garcia

1 saw a chiropractor?

2 A. Correct.

3 Q. And then for ten visits over a period of a  
4 month in 2012, she went to Select PT; right?

5 A. Correct. This is interesting. This is  
6 really good. So we're going from January, March,  
7 April, so we're looking at 15, 16 months here.

8 Q. No, you're looking at nine months.  
9 January 2011 through September --

10 A. Oh.

11 Q. -- of 2011.

12 A. I missed the -- this is August. Okay, nine  
13 months. So what you're showing here is very  
14 instructive. She didn't get better. A strain should  
15 be better. All of our experts, defense experts testify  
16 to that. Allow 6 to 12 weeks of visits. Okay. That's  
17 appropriate for a strain. And a strain should get  
18 better within three months of treatment, absolutely  
19 100 percent correct.

20 And when they don't get better, something  
21 else is going on. She did not get better. She  
22 continued to have symptoms. This clearly illustrates  
23 her pain waxing and waning. It clearly shows that she  
24 got worse and the interventions that followed with this  
25 increase in symptoms. So this is very illustrative. I

1 like this.

2 Q. You're welcome, Doctor.

3 So it also shows and proves, does it not,  
4 that the only -- oh, by the way, are you familiar with  
5 Select Physical Therapy?

6 A. The group?

7 Q. Yeah.

8 A. Not specifically, no. I don't have any  
9 knowledge of anybody over there that I know of.

10 Q. But you've heard the --

11 A. I heard the name of the practice.

12 Q. And do they have a good reputation?

13 A. I don't know.

14 Q. Okay. But what you do know is looking at  
15 this, between the time of the accident in January of  
16 2011 and two years later, April of 2013, the only  
17 physical therapy that Ms. Garcia subjected herself to  
18 was this one-month period here with Select Physical  
19 Therapy; true?

20 A. Correct. She did have a -- looks like to be  
21 two and a half months of chiropractor treatments.

22 Q. That's from January to May.

23 A. Oh, more than that.

24 Q. No, January to May, that's like five months.

25 A. Correct. But most patients will self-select



1 anyway. If you could do anything to -- within three or  
2 four months, most people get better, just leave them  
3 the heck alone. Give some anti-inflammatories, take  
4 some Aleve, stretch. Most people will get better on  
5 their own.

6 Q. Do you have an opinion as to what is the  
7 minimum length that physical therapy should be employed  
8 on a patient with Ms. Garcia's profile before declaring  
9 it to be a failure? Is one month enough?

10 A. Well, again, you're segregating physical  
11 therapy from chiropractic. I tend to lump them  
12 together. And the individual practitioners are  
13 different in the veracity for which they treat  
14 patients.

15 Q. That's not what I asked you.

16 A. I know.

17 Q. I want to you unlump them. All right?

18 A. Okay.

19 Q. Because Gulitz, he's one provider. And  
20 Select Physical Therapy, they're another separate  
21 provider; true?

22 A. Correct.

23 Q. I mean, Gulitz doesn't work for Select as an  
24 employee; right? They're separate; true?

25 A. Correct.

1           Q.    All right.  So let me ask you again.  With  
2   respect to physical therapy alone, what, in your  
3   professional opinion, is the minimum amount of time for  
4   a patient like Ms. Garcia that physical therapy should  
5   be tried before it's declared to be a failure?

6           A.    I will tell you what I do with my practice.

7           Q.    No, give me a number and then you can  
8   explain.

9           A.    Okay.  From time of injury, I like to see  
10  anywhere between 8 and 12 weeks of therapy and see  
11  50 percent improvement.  There are caveats with that.  
12  If somebody has radiculopathy, that accelerates the  
13  treatment process.  But when I saw her, she was over a  
14  year out, and she was in extreme pain.

15          Q.    Now, Ms. Garcia went to Dr. Cash in  
16  February 2016, about two and a half months after, and  
17  reported to him 40 percent improvement from what she  
18  was going through with the chiropractor at that time.  
19  Do you see that?

20          A.    Yes, I do.

21          Q.    Now, would 40 percent improvement from simple  
22  chiropractic over the first two and a half months,  
23  would that, in your professional opinion, warrant a  
24  longer trial in physical therapy than -- than turning  
25  immediately to surgery?

1           A.     So the timeline is she saw Cash here;  
2 correct?

3           Q.     Yeah, that's right. February of --  
4 February 2011.

5           A.     And, again, you're discriminating between  
6 physical therapy and chiropractic as a utility. I am  
7 not. She went on and did therapy for, looks like, five  
8 months.

9           Q.     All right. So for purposes of my question --

10          A.     I mean, you discriminate. I don't. That's  
11 our disagreement.

12          Q.     But I'm asking the questions. So would you  
13 play along with me --

14          A.     I'll try.

15          Q.     -- and discriminate between chiro and PT.  
16 Please. Just for purposes of the question. Okay?

17          A.     You're -- you're basically saying physical  
18 therapy is better than chiropractic.

19          Q.     No. Let's say I'm not. I'm just trying to  
20 establish timelines. Let's assume that you have in  
21 mind the best physical therapy guy in town; right? And  
22 would you agree with me that before we contemplate a  
23 \$400,000 spine surgery, that we should subject the  
24 patient to a longer trial in physical therapy than just  
25 a month?

1           A.    If that was done at the very beginning, I  
2 would agree with you. But to my reckoning, she  
3 satisfied that requirement.

4           Q.    Okay.

5           A.    I'm not going -- I'm not going to --

6           Q.    You're indicating chiro; right?

7           A.    Yes, I am.

8           Q.    Okay. Because you don't want to unbundle the  
9 two. You want to view them as one; right?

10          A.    I do view them as one, yes.

11          Q.    I understand. I mean, I'm just trying to get  
12 the parameters of your answer out so we all know what  
13 we're talking about.

14          A.    We're talking to a guy who was originally  
15 prejudiced against chiropractors. And with experience,  
16 including treating myself, I'd learned the utility of  
17 manipulation and the adjunctive modalities such as  
18 E-stim, heat, diathermy.

19                I had an osteopath in my office. Did  
20 magnificent care. I use chiropractors. I personally  
21 use a chiropractor when my neck is acting up. So I  
22 know what they can do.

23          Q.    With manipulation?

24          A.    Not just manipulation. It's a whole spectrum  
25 of treatment. It's --

1 Q. But you know that she -- she never got  
2 manipulation?

3 A. No. They don't have to manipulate. It's  
4 therapy. It's doing the modality --

5 Q. It's back rubs.

6 A. I wish it was back rubs.

7 Q. Hot towels?

8 A. Hot towels are good along with electrical  
9 stimulation.

10 Q. Do you know what Gulitz did to her?

11 A. I haven't reviewed -- I don't have his  
12 records committed to memory, so I don't know what  
13 modalities he employed.

14 Q. I'm not suggesting you should.

15 But can we agree that she -- she is reporting  
16 pain from 6 to 8 at the get-go with the chiropractor;  
17 and then all the way down here later, after all of this  
18 treatment, all of the money, all of the effort, Matt  
19 Smith, when he assesses her in November of 2016, it's 4  
20 out of 10 resting and it's 7 out of 10 in pain with  
21 activity; true?

22 A. True. Oh, I -- I may not take your word for  
23 it. I have -- I haven't independently verified that  
24 record in the last five minutes.

25 Q. And after the rhizotomy, she still has the

1 low back pain and the leg numbness which you've  
2 indicated is an indicator for radiculopathy; true?

3 A. Can be, yes. It also can be part of a  
4 referral pattern of pain from the sacroiliac joint.  
5 That's why, when I did the rhizotomy, the leg pain  
6 resolved.

7 Q. All right. Thank you, Doctor. You can  
8 retake the stand, and let me see if there's something I  
9 can ...

10 In coming to your opinions you expressed here  
11 today, did you ever see a photograph of her car?

12 A. Yes.

13 Q. Bet you a lawyer showed you that; right?

14 A. Yeah. I didn't get it any other way.

15 Q. Now -- sorry. In your records which have  
16 been marked as Exhibit 26, there is a document Bates  
17 numbered GJL710 that's in evidence. And let me direct  
18 your attention to that document here.

19 And do you see at the top the part I've  
20 highlighted?

21 A. Yes.

22 Q. And do you recognize this form from your  
23 office?

24 A. Yes.

25 Q. And do you see -- who fills this form out?

1 One of your staff members or does the patient do this?

2 A. The patient fills that out.

3 Q. All right. And do you see where it says,  
4 "Who referred you to us?" And Ms. Garcia wrote in  
5 "attorney."

6 Do you see that?

7 A. Yes, I do.

8 Q. All right. And then also there are  
9 additional information questions, which for -- the  
10 first one is "pain No. 1," where she fills in "mid  
11 back, my legs," which she characterizes as "burning,  
12 shooting spasm, numb."

13 And do you see where it says "date started"?

14 A. Yes.

15 Q. And Ms. Garcia wrote in that the mid back  
16 pain started on January 6th, 2011.

17 Do you see that?

18 A. Correct.

19 Q. And then she has another description of pain,  
20 "lower abdomen, pelvic area, shooting spasm," and she  
21 says that started on January 6th, 2011.

22 Do you see that?

23 A. Correct.

24 Q. And then she says, "pain No. 3, back of the  
25 head down my neck and back, ache, sharp."

1           And do you see that she said that started on  
2 July 1st, 2012?

3           A.    Yes.

4           Q.    Okay.  Now, do you know -- did she ever  
5 relate to you any incident between January 6th of 2011  
6 and July 1st, 2012, that would account for this pain  
7 No. 3?

8           A.    Hang on.  You said July 1st, 2012?

9           Q.    Yeah.  Are you on the page?

10          A.    Right.  I'm looking at my initial  
11 consultation too.

12          Q.    Oh, okay.  That's fine.  I mean, review what  
13 you need to.

14          A.    Okay.  So the question is?

15          Q.    Did she ever indicate to you, to your  
16 recollection, anything that happened to her between  
17 January 6th of 2011 and July 1st of 2012 that would  
18 account for this new pain?

19          A.    No.  But if you look at the ER records, that  
20 was her complaint -- presenting complaint to the  
21 emergency room.

22          Q.    Well, you know, I thought you might try that.  
23 But she doesn't -- if it was in the ER, wouldn't she  
24 have -- wouldn't you have expected her to say it  
25 incepted on January 6th, 2011, like the other two



1 pains; right?

2 A. Sure. No, I have no explanation for that.

3 Q. Bless you.

4 And when you first saw her on August 15th of  
5 2012, she indicated to you that her pain was 8 out of  
6 10 --

7 A. Correct.

8 Q. -- as we discussed?

9 And she also indicated to you at the time  
10 that she was able to work?

11 A. Yes.

12 Q. And she indicated to you that she was not  
13 currently having neck pain; true?

14 A. On the first visit, she was having neck pain.

15 Q. In her family history, for review of  
16 symptoms, she was asked to identify whether she was  
17 having neck pain, and she didn't fill that out.

18 Do you think that was just an oversight on  
19 her part?

20 A. Oh, I don't know. I don't know. When I  
21 evaluated her and saw her, if you look at her pain  
22 diagram, she was having neck pain. So go onto this  
23 form to see her pain diagram.

24 Q. Now, you also had her fill out, when you  
25 first saw her, a questionnaire; right?

1           A.    That's correct.

2           Q.    All right.  And the questionnaire appears in  
3 Exhibit 26 at GJL709.  And there she indicated that  
4 washing and dressing increased her pain, but she  
5 managed not to change her way of doing it.

6                   Do you see that?

7           A.    Yes, sir.

8           Q.    And do you see that she also indicated at the  
9 time, in August of 2012, that the pain prevented her  
10 from -- oh, that's not good.  Huh ...

11                   She indicated that she could walk half a  
12 mile?

13          A.    That's what she indicated.

14                   Can we go to page 3 of this document?

15          Q.    Hmm?

16          A.    Can we go to page 3 of this document?

17          Q.    Maybe.  If you behave.

18          A.    I'll try.

19          Q.    She was asked -- let me ask you -- she was  
20 able to sit an hour; true?

21          A.    True.

22          Q.    And she was able to stand for two hours;  
23 true?

24          A.    True.

25          Q.    She was able to sleep three hours at a time;

1 true?

2 A. True.

3 Q. And she said that she hardly had any social  
4 life because of the pain; is that true?

5 A. I don't know. I can't see that.

6 Q. Do you see that one? Did I get it?

7 JUROR NO. 1: No.

8 THE WITNESS: Not yet.

9 BY MR. STRASSBURG:

10 Q. And she was only able to drive 30 minutes;  
11 right?

12 A. Yes.

13 Q. And the pain was gradually increasing; true?

14 A. Correct.

15 Q. Now, would you agree that treatment that is  
16 not effective to reduce the pain should not be repeated  
17 as it would be unnecessary?

18 A. That's a pretty broad stroke with a brush.

19 Q. Let me paint with a smaller brush.

20 Directing your attention, Exhibit 26, your  
21 office visit notes of October 10, 2012. Do you see  
22 where you were -- you were charting the status of the  
23 postbilateral L5 plus S1 selective nerve root blocks?  
24 You said, "Her symptoms have returned after a day or  
25 two." And you said, "I recommend repeating the

1 injection with a little more medication. If that does  
2 not help her, then further injections would not be  
3 indicated."

4 Do you see that?

5 A. Yes. That's correct.

6 Q. Hold on. I'm just skipping some stuff. A  
7 couple of quick questions about the rhizotomy, and then  
8 I'll call it a day.

9 The -- you did two procedures, right, one on  
10 the facets and then another one on the sacroiliac area  
11 of the SI joint; right?

12 A. Correct. On two occasions.

13 Q. And you only treated the right L5 medial  
14 branch; true?

15 A. As part of the rhizotomy?

16 Q. Yeah.

17 A. No, I treated the L3, L4, L5, bilateral 3,  
18 bilateral 4, right L5, and then all the sacral roots.  
19 I did a total of 12 lesions on the sacrum.

20 Q. All right. So if I show you a side view of  
21 the spine, your first procedure -- and, by the way, do  
22 you see that on your screen?

23 A. Yes, I do.

24 Q. Your first procedure was to position a needle  
25 that has -- it's got, like, a little tip that comes out

1 like this; right?

2 A. On the rhizotomy?

3 Q. The needle, yeah, the Venom needle.

4 A. Yes.

5 Q. And that's to make a bigger lesion, right, a

6 bigger burn?

7 A. Correct.

8 Q. Feel the Bern?

9 A. Yes.

10 Q. And you are shooting, then, to hit the medial

11 branch of the -- the nerve that comes out of the nerve

12 root positioned between the L3 and L4 vertebra; true?

13 A. Yes, sir.

14 Q. And you're also shooting to hit the medial

15 nerve that is an offshoot of the big nerve that is the

16 nerve root; true?

17 A. Right. Medial branch and dorsal ramus is

18 what it's called.

19 Q. All right. And the procedure that we're

20 showing here -- the first radiofrequency procedure you

21 performed was done bilaterally on both sides at L4 and

22 L5; true?

23 A. Correct.

24 Q. Now, the -- the nerve of which the -- the

25 medial branch is a part also continues to the back

1 muscles; right?

2 A. Correct. It continues to what's called the  
3 multifidus muscles.

4 Q. And where are the multifidus muscles?

5 A. They're very deep. They're basically between  
6 the joints here.

7 Q. All right. So they're back here?

8 A. Well, they're real deep. Yes, sir.

9 Q. Real deep. Okay.

10 A. They're real small too.

11 Q. Okay. And these nerves, what -- use that  
12 word. Innervate?

13 A. Innervate.

14 Q. Could you spell that for us?

15 A. I-n-n-e-r-v-a-t-e.

16 Q. And innervate means carry nerve signals of a  
17 sensory nature back and forth; right?

18 A. Correct.

19 Q. Okay. So when a muscle says "ouch," the way  
20 it gets that message to the brain is down that nerve  
21 passage; right?

22 A. Correct.

23 Q. And if something obstructs that nerve  
24 passage, the muscle can be shouting, "Ouch, ouch,  
25 ouch." I'm tender. Don't palpitate me. It hurts," all

1 it wants and the brain never finds out about it.

2 The brain thinks everything's hunky-dory  
3 because there's a block in the nerve; right?

4 A. Well, that's the theory of a rhizotomy. I  
5 mean, basically it's directed towards the facet joint  
6 and the facet joint capsule. But the structure hurts.  
7 The brain doesn't know it. The signal is not going  
8 there.

9 Q. Fair enough. So let's say you miss with the  
10 needle. And if instead of rhizotomizing the nerve that  
11 goes to the facet, the zone of influence also includes  
12 the nerve coming from the multifidus, right, then  
13 muscle pain would be obstructed in getting to the  
14 brain; true?

15 A. Well, you're using the term "muscle pain" in  
16 a larger sense. The multifidus muscle is a very small  
17 muscle. It doesn't speak for the lumbar --

18 Q. You might want to start that over again.

19 A. The multifidus muscle is a very small, tiny  
20 muscle deep in the back. In fact, we use that as an  
21 indicator to determine if we're in the right spot  
22 before we burn. We intentionally denervate that little  
23 muscle along with the joint. That does not denervate  
24 the paravertebral muscles, which are the big muscles  
25 that run up and down your spine?

1           So that's standard. That's -- that's the way  
2 it's done. You cannot do a rhizotomy on a medial  
3 branch without taking out a little bit of the  
4 multifidus.

5           Q. And the reason for that is that, not only do  
6 you do that -- that, like, pilot study in the  
7 beginning, but also when the needle gets into this  
8 location, right, the zone of influence, the radio --  
9 it's like a microwave; isn't it?

10          A. Right. But your needle placement here is  
11 actually in the wrong spot. It should be a little  
12 further down to capture the entire medial branch.  
13 That's what I'm targeting.

14          Q. All right. So then your needle position is  
15 even closer to the nerve that services the multifidus  
16 muscle than is shown in this detail view; true?

17          A. Like I said, by intention, we will ablate  
18 the -- the nerve that goes to the multifidus. That's  
19 part of the procedure. It's unavoidable.

20          Q. All right. And why don't you tell us what  
21 the word "ablate" means.

22          A. Nerve burning.

23          Q. So that means render inoperable; right?

24          A. Well, just like you described, it cuts the  
25 communication from the periphery where the pain is to



1 the brain. That communication is that sensory nerve.

2 Q. All right. Now, for the second procedure you  
3 did with the RF needle, it was a little different.

4 First of all, it was in the sacroiliac -- the  
5 sacrum area; right?

6 A. Correct.

7 Q. Okay. And let's see. All right. So -- and  
8 what we're talking about is this area of the spine;  
9 true?

10 A. No, sir.

11 Q. Okay. You better come on down. Let's get  
12 this right.

13 A. These nerves here, that would be bad to burn.  
14 Turn it over.

15 Q. Right.

16 A. This is the sacroiliac joint. This is where  
17 the pelvis hooks onto the sacrum. These holes here  
18 represent the neuroforamina for the S1, S2, S3, and S4  
19 nerves. Burning those would also be bad. That would  
20 be a complication.

21 What I want to do is burn the little nerves  
22 that come out of these foramen and go to the joint.  
23 They're really variable where they sit, so I have to  
24 create almost a strip lesion, you know, a continuous  
25 burn from here to here, to get it done.

1 Q. All right. Showing you the picture here.

2 Is that generally an accurate depiction of  
3 what we're talking about?

4 A. No. This is too close to the foramen. I  
5 want to be out here, right along this axis here. I  
6 want to be a millimeter or two off the foramen when I  
7 do the rhizotomy. During rhizotomy, one of the major  
8 dorsal roots would be a very bad idea.

9 Q. Bear with me just a second.

10 And could you estimate for us the lesion size  
11 of the Venom needle?

12 A. Well, like I said, when I do this, I do -- I  
13 create what's called a strip lesion. I place the Venom  
14 needle close enough that it's almost one continuous  
15 lesion. I think we showed that last week on the fluoro  
16 pictures.

17 So the lesion size is going to be, I don't  
18 know, the whole length, maybe 10 centimeters or --  
19 maybe not that long.

20 Yeah, that's a good picture right there on  
21 the right.

22 Q. All right. Why don't you come down here so  
23 we can just visit about this briefly together.

24 And you can share with us just -- just what  
25 we're seeing here.

1           A.    Very good picture except these needles are  
2 not Venom.  These are traditional RF needles.

3           Q.    Venoms would be bigger?

4           A.    No.  They're bifurcated.

5           Q.    But they would make a bigger yellow spot?

6           A.    There's more to it than that.  If you use a  
7 conventional radiofrequency needle, this will not get  
8 the job done unless you use something called bipolar.

9                   The conventional radiofrequency needle, the  
10 energy -- the heat is distributed laterally off the  
11 needle.  So in order to do a rhizotomy, you have to  
12 place the needle parallel to the nerve, not  
13 perpendicular to it, because the energy doesn't come  
14 off the tip.

15                   One of the nice things about Venom is it's  
16 bifurcated and the energy comes off the tip so that you  
17 can place it perpendicular.  And then if you get them  
18 close enough together, you can create a continuous  
19 strip lesion; lesion meaning burn, burn a continuous  
20 strip of tissue.

21           Q.    And that's what you did on Ms. Garcia?

22           A.    Yes.  Otherwise, if you just do a  
23 conventional radiofrequency needle in this position,  
24 that will not work.

25           Q.    And you only did this continuous strip lesion

1 on the right side?

2 A. Correct.

3 Q. Doctor, I have enjoyed our time together.  
4 Thank you for your patience in answering my questions  
5 I'm going to pass you to the other lawyers. Thank you.

6 THE WITNESS: Certainly.

7 THE COURT: Mr. Roberts, I think we're back  
8 to redirect.

9 MR. ROBERTS: We are, Your Honor.

10

11 REDIRECT EXAMINATION

12 BY MR. ROBERTS:

13 Q. I'm not going to use all of them.

14 A. You scared me.

15 Q. Doctor, as a regular part of your practice,  
16 is it common for you to review medical errors from  
17 previous providers?

18 A. Yes.

19 Q. Is it unusual for you to see variations in  
20 the way that incidents are described in the medical  
21 records from various providers?

22 A. No. That's a common occurrence.

23 Q. Did you see any discrepancies in the medical  
24 records that you reviewed for Ms. Garcia and that  
25 counsel showed you again today which would cause you to

1 question your causation opinions that you've given to  
2 the jury?

3 A. No, sir.

4 Q. Would you say that patient reports regarding  
5 their frequency of smoking and alcohol use vary more or  
6 less than other things in patient records?

7 MR. MAZZEO: Objection. Vague.

8 THE COURT: I'm going to let him answer.  
9 Overruled.

10 THE WITNESS: I would agree with that. And  
11 I'd go further to say that most people don't realize,  
12 but that information is collected by staff and not by  
13 the physicians themselves. That's part of the -- if  
14 you look at the MRG and the MR templates, that stuff's  
15 all templated.

16 For example, the one that said "less than a  
17 pack a day," well, "less than a pack a day" varies  
18 between zero and a pack a day. I've been -- I've done  
19 the same thing, the way my staff collects records.

20 And as far as the smoking, there's virtually  
21 no significance to the variability.

22 BY MR. ROBERTS:

23 Q. I believe it was -- I don't remember which  
24 one it was at this point. But you told one of the  
25 lawyers for -- for the defense that, based on your

1 understanding of the success of fusion surgeries for  
2 spondylolisthesis, that more often than not the patient  
3 had a good result.

4 A. Correct. Meaning greater than 50 percent.

5 Q. Greater than 50 percent.

6 And is that something that you would consider  
7 when determining whether it was a good idea to try a  
8 fusion?

9 A. Well, sure. If there was only a 10 percent  
10 success rate, there wouldn't be many fusions performed.

11 Q. You were asked -- shown some records from  
12 Dr. Gross where Ms. Garcia was reporting leg pain, and  
13 you were questioned about the fact that your summary of  
14 Ms. Garcia's symptoms did not include leg pain for  
15 certain months where leg pain was reported to  
16 Dr. Gross.

17 Do you recall that?

18 A. Absolutely correct. But if you look at her  
19 pain diagrams, you can see it clearly illustrated every  
20 month.

21 Q. And that's what I'd like to do with the jury.

22 Audra, could you go to Exhibit 26,  
23 Dr. Kidwell's records, page 132.

24 And you can tell me if this is one of the  
25 pain diagrams that you're referring to.

1           A.     That's correct.   That's October 10th, 2012.

2           Q.     Okay.   If you could blow up one of the people  
3 there, Audra.

4                   And you -- you mentioned that this was  
5 Ms. Garcia's own hand; is that correct?

6           A.     Correct.

7           Q.     And there are numbers written on the body.  
8                   How was Ms. Garcia instructed to fill this  
9 out?

10          A.     It was her pain scores.

11          Q.     Okay.   And, Audra, if you could go to the  
12 posterior view or the back-side view.

13                   And so this was before the surgery  
14 October 10th of 2012; correct?

15          A.     Correct.

16          Q.     And in the lumbar region, Ms. Garcia's  
17 reporting pain in the 7 levels and all the way down the  
18 legs at the 6 levels.

19          A.     That's correct.

20          Q.     Okay.   Audra, could we go to the next pain  
21 diagram, which is at page 130.

22                   And, once again, we've got pain being  
23 reported down the front and back of the legs and in the  
24 lumbar; correct?

25          A.     That's correct.

1 Q. Audra, could we go to page 129.

2 This is December 5th of 2012.

3 Was this the last pain diagram before her  
4 fusion surgery?

5 A. Yes, sir.

6 Q. And could you blow up the posterior view,  
7 Audra.

8 So we've got leg pain in the 7s and lumbar  
9 in the 8s?

10 A. Correct.

11 Q. All right. Now, let's take a look at after  
12 the surgery during the period of time where you don't  
13 mention leg pain in your reports.

14 A. Correct.

15 Q. Audra, page 128.

16 And this is about one month after the  
17 surgery.

18 And in her own hand, Ms. Garcia is showing  
19 leg pain in the front and leg pain in the back at a  
20 5 level; correct?

21 A. Correct.

22 Q. And in the area of the fusion a 7 level?

23 A. Correct.

24 Q. The leg pain, however, is not as extensive as  
25 in presurgery diagrams. Would you agree?



1           A.     Correct.

2           Q.     Let's take a look at the next diagram during  
3 the period of time where your reports don't mention leg  
4 pain, page 127.

5                   Is this a diagram from April 10th of 2015?

6           MR. MAZZEO:   2015?

7           MR. ROBERTS:   I'm sorry.   I misread that.

8           THE WITNESS:   April 10th, correct.

9 BY MR. ROBERTS:

10          Q.     Okay.   And can you read that, Doctor?   Did I  
11 get that right?   Is that a 3 or a 5 down there?

12          A.     I'll find out here in a second.

13          Q.     Based on the sequence, I thought it was -- I  
14 think it's a 3.

15                   MR. MAZZEO:   It corresponds --

16                   THE WITNESS:   It is.

17 BY MR. ROBERTS:

18          Q.     Okay.   Very good.   And so April 10th of 2013,  
19 does she indicate leg pain down the front of the leg?

20          A.     That's correct.

21          Q.     And her lumbar pain has decreased to a 5?

22          A.     That's correct.

23          Q.     Audra, page 126.   And I can read this one.

24                   You can confirm that I'm at May 8th of 2013,  
25 about five months out from the surgery.

1           A.    Yes, that's correct, sir.

2           Q.    Okay.  And, once again, leg pain in the

3 front?

4           A.    Correct.

5           Q.    Lumbar pain reduced to 4.

6           A.    Correct.

7           Q.    Audra, page 125.

8                   Six months out from the surgery, June 11,

9 2013, still have the leg pain in the front?

10          A.    Yes.

11          Q.    And lumbar pain has gone down to a 3 on this

12 self-report; correct?

13          A.    Correct.

14          Q.    So is it fair to say, during this period of

15 six months from the surgery moving forward, every time

16 that she saw you, she reported the leg pain to you in

17 the front?

18          A.    Correct.

19          Q.    Now, Mr. Strassburg showed you a chart that

20 he had done of some reported pain levels from

21 Ms. Garcia.

22          A.    Correct.

23          Q.    And he put on there a baseline of 6 based on

24 her emergency room report.

25          A.    Correct.

1           Q.    Okay.  It sounds like from that that  
2  Ms. Garcia should have just stayed away from all the  
3  doctors, received no treatment, and her pain would have  
4  never been greater than 6.

5                   Do you agree with that?

6           A.    That's what it seems like.  No, I think  
7  that's not a good expectation.  All that means is that  
8  on that date she had a 6 out of 10 pain.  That's all it  
9  means.

10          Q.    You agreed that, if the pain continues after  
11 surgery -- after you do the fusion surgery and the  
12 patient still has pain, then you need to reevaluate the  
13 pain generators.

14                   You agreed with that; correct?

15          A.    Yeah, given enough time.  Sure.

16          Q.    When you agreed with that, did you mean to  
17 agree that, in Ms. Garcia's case, the surgery didn't  
18 resolve any of her pain generators?

19          A.    Not at all.  She was doing fabulous for quite  
20 a while.

21          Q.    Let me -- just a sec.

22                   You were asked about your coding and  
23 diagnosis of failed low back surgery syndrome --

24          A.    Correct.

25          Q.    -- after the surgery.

1           That wasn't your only diagnosis, postsurgery,  
2 was it?

3           A.    No.  A more exact diagnosis would be lumbar  
4 discopathy with radiculopathy.

5           Q.    Okay.  And, Audra, could we have page 659.

6                   And this is from a date of service of May 4th  
7 of 2015.  So this was about a year ago.

8                   And, Audra, if you could just blow up the top  
9 third of the page, diagnosis.

10                  Okay.  And we've talked about the fifth  
11 diagnosis, failed low back surgery syndrome.

12                  What other things were you indicating here?  
13 And could you briefly explain that to the jury, what  
14 each one of those codings would indicate to you?

15           A.    Chronic pain syndrome.  By definition, if  
16 pain exceeds six months and is fairly continuous during  
17 that period of time, that's chronic pain.

18                  Number 2, sacroiliitis.  That speaks to pain  
19 or degeneration or inflammation in the -- in the  
20 sacroiliac joint, in this context, thought to be  
21 painful sacroiliac joint.

22                  Number 3, spondylosis without myopathy.  
23 That's coding shorthand for facet joint pain.

24                  And I don't invent these codes.  I just have  
25 to use them.

1           2210, disk protrusion lumbar. Really, take  
2 that to be lumbar discopathy, abnormal disk. That's  
3 all that means.

4           Failed low back surgery syndrome. I think  
5 I've talked long on that already. It's not a very good  
6 diagnosis that we're forced to use because of coding.

7           Next one, 724.2, low back pain.  
8 Self-explanatory.

9           And medication management, that's a code that  
10 I'm providing medications for her over time. It's just  
11 another code.

12          Q.    So did you reevaluate Ms. Garcia's pain  
13 generators following her surgery?

14          A.    Yes, I did. We -- well, no, the next step --  
15 I'm sorry. The next step we did was a spinal cord  
16 stimulator trial because her pain was getting worse  
17 after surgery.

18                Did the trial. It was successful. Then  
19 Dr. Gross asked me to do the interventions of the facet  
20 joints, the hardware, and the sacroiliac joint.

21          Q.    Now, let me stop you there.

22                When you say that you did the stimulator  
23 trial and it was successful, does that mean that it  
24 identified a pain generator?

25          A.    Not to a specific -- specific level, no.

1 What it did is identified whether or not she would be a  
2 good candidate for placement of the stimulator should  
3 she choose to go that route.

4 Q. So it didn't isolate a particular level, but  
5 you knew you had coverage of where her pain was coming  
6 from?

7 A. Correct.

8 Q. And what about the rhizotomies? You --  
9 you -- you've done one rhizotomy; correct?

10 A. Correct.

11 Q. And, in your view, was it successful?

12 A. Yes. To date, I think it's very successful.

13 Q. Does that mean that the places where you  
14 ablated the nerves were generating pain?

15 A. That's what you would infer, yes, sir.

16 Q. And I know you said some things were  
17 possible, and I believe it was the -- with the  
18 multifidus muscle --

19 A. Correct.

20 Q. -- that you're also ablating nerve?

21 A. Correct.

22 Q. Leading to that muscle, more likely than not,  
23 if her pain was just myofascial, myofascial  
24 sprain-strain, would the rhizotomies have resolved her  
25 pain to the extent they did?

1           A.    No.   Myofascial pain specifically speaks to  
2 myofascial pain syndrome which is manifested by trigger  
3 points.   And trigger points are kind of their own  
4 animal.

5           Q.    If Ms. Garcia's only injury in the collision  
6 was myofascial sprain-strain, would she still be having  
7 symptoms years afterwards?

8           A.    No.   As I said, I would expect her symptoms  
9 from a sprain-strain to resolve within three months  
10 with conservative therapy.   And those are people I  
11 never get.   I never see them.   They get better.   Well,  
12 I do see some because I see people acutely.

13                   But all I do when I get an acute patient is  
14 put them in chiropractic or physical therapy, get them  
15 muscle relaxers, anti-inflammatories, and watch them  
16 progress.   And most of them get better.

17           Q.    What if your patients -- talking about the  
18 more likely than not, a patient that receives no  
19 physical therapy and no chiropractic care, does  
20 myofascial sprain-strain last indefinitely?

21           A.    No.   It generally gets better on their own.  
22 If you leave people alone, they'll generally get better  
23 over time.   But most people need to work and function,  
24 and so we -- we treat them.

25           Q.    You talked about a indication in your records

1 with Mr. Strassburg about musculoskeletal pain.

2 A. Correct.

3 Q. Tell the jury what that is. What was that  
4 intended to communicate?

5 A. Well, review of system, that's really taking  
6 everything out of context. Review of systems is just  
7 something we're required to collect, look for other  
8 symptoms of things that might be a red flag.

9 You know, if a patient's passing out every  
10 day, that's something I need to know so I can send them  
11 out to appropriate physician for that. Or if they're  
12 peeing blood -- I hate to be so graphic -- it's  
13 something I'd like to know. Maybe they have kidney  
14 cancer.

15 That's what review of systems purpose of is  
16 to collect other information. To ask patients to  
17 categorize them into specific categories is ridiculous.  
18 We give them examples, you know, of what it is. But  
19 I'm not going to get focused on that.

20 Q. Is myofascial pain a type of musculoskeletal  
21 pain?

22 A. Sure.

23 Q. Is facet pain a type of musculoskeletal pain?

24 A. Yes.

25 Q. Is disk pain a type of musculoskeletal pain?



1           A.    Yes.

2           Q.    You mentioned -- I think you showed a little  
3 surprise at \$1,400,000 for lifetime rhizotomies, and  
4 you said you weren't familiar with the cost.

5                   Do you recall that?

6           A.    The cost of rhizotomies?

7           Q.    Well, the cost -- the lifetime cost projected  
8 for Ms. Garcia.

9           A.    Right. I'm not surprised, though, given the  
10 sacroiliac joint component of it. So ...

11          Q.    Are you familiar with the cost of the  
12 procedure that you performed, both including your fees  
13 and the surgery center fees?

14          A.    I can't give you the exact number, but I have  
15 a rough idea.

16          Q.    Give us a range. An estimate, not a  
17 speculation.

18          A.    Oh, shoot. Bilateral, probably around  
19 \$12,000, I'm thinking.

20          Q.    Okay. For your fees?

21          A.    Yes.

22          Q.    Plus the surgery center fees on top of that?

23          A.    Correct. Again, I am guesstimating. I want  
24 to emphasize that.

25          Q.    Let's see. You were shown a page from the

1 form that Ms. Garcia filled out at her initial visit.

2 And, Audra, if you could put up Exhibit 26,  
3 page 103.

4 This is the one that was identified by  
5 Mr. Strassburg by the Bates number, which was GJL710.  
6 It's actually Exhibit 26, page 103, where she indicated  
7 up at the top who referred us.

8 And she put "attorney"; right?

9 A. Correct.

10 Q. And you saw that?

11 Let's go to page 10 out of 10 of this same  
12 form, Audra, page 110.

13 And down in the bottom right-hand corner, can  
14 you tell us the date Ms. Garcia filled this out?

15 A. 8/15/2012.

16 Q. Okay. And that's about the first time you  
17 saw her; correct?

18 A. Correct.

19 Q. You told Mr. Mazzeo that you thought  
20 Dr. Gulitz had referred her to you.

21 A. Right.

22 Q. And, Audra, could we have page 385 out of  
23 Dr. Kidwell's records. And if you just blow up the top  
24 portion right there.

25 What do your records show as the referral

1 source?

2 A. Dr. Gulitz.

3 Q. And what date do you show that you received a  
4 referral from Dr. Gulitz?

5 A. May 24th, 2011.

6 Q. Okay. So that -- that's -- over a year  
7 before she filled out this form, you'd gotten a  
8 referral from Dr. Gulitz?

9 A. Correct.

10 Q. You were asked by Mr. Strassburg about  
11 weight.

12 And you said, I believe, that based on the  
13 records you looked at, her weight had varied from about  
14 175 to 185 during your period of treatment?

15 A. 165 to 185.

16 Q. 165 to 185. Okay.

17 Audra, if you could put up Exhibit 26,  
18 page 255.

19 And this is from your records, but this is  
20 actually a record that was produced by Brian Lemper on  
21 6/29/11, so six months after the surgery.

22 Can you see what the weight was, as indicated  
23 by Dr. Lemper?

24 A. 175.

25 Q. And in the emergency room notes, weight of

1 75 kilograms.

2 Can you do a conversion?

3 Well, might have do that later.

4 A. Let's see. 70 kilograms, roughly 155 pounds.  
5 So add 10. About 160-165.

6 Q. Okay. So -- and this is two days -- three  
7 days after the incident. About 165.

8 So it's -- it's all within the same range as  
9 when you were seeing her; is that correct?

10 A. Correct.

11 Q. To a reasonable degree of medical  
12 probability, was her back pain caused by her weight?

13 A. No.

14 Q. We've heard a lot about self-reporting by  
15 Ms. Garcia, and you do rely on that; correct?

16 A. Correct. I rely on that in all patients.

17 Q. We've also talked a little bit about  
18 palpitations of the back.

19 And if you remember that chart that  
20 Mr. Strassburg had up where he had the lines going  
21 across and you talked to him about palpitating and  
22 tenderness.

23 A. Correct.

24 Q. Okay. He -- he did not have a line on there  
25 for spasm, did he?

1           A.    No.

2           Q.    And -- and is that -- "spasm," when we see  
3 that on a medical record from a -- a medical  
4 examination, is that something the patient  
5 self-reports, or is that something the physician  
6 palpates to feel the spasm?

7           A.    No.  It's -- that's noticed on physical  
8 examination.

9           Q.    And is a notation of muscle spasm in the  
10 lumbar region an objective or a subjective finding?

11          A.    Subjective.

12          Q.    Can a patient fake a lumbar spasm?

13          A.    No.  I don't know how they could, no.

14          Q.    Explain to the jury -- and I think they may  
15 have heard a little bit about this already.

16                But what -- what causes that spasm that you  
17 feel on palpitation when examining a patient?

18          A.    Spasm is a -- it's kind of a reflex.  It's  
19 your body telling you not to move because it hurts.  So  
20 there's usually -- you know, over time, there's a  
21 primary problem causing the secondary effect.

22                The primary problem is generally ligament  
23 tears, disk pain, facet pain.  And that's what's  
24 triggering the muscle spasm to remind you not to move.  
25 If you fractured your femur, your leg would go into

1 intense spasm to try to splint that.

2 Q. So let me rephrase and maybe add something  
3 another doctor told us.

4 Correct to say that's your body trying to  
5 keep the spine from moving because your brain knows  
6 something is wrong down there?

7 A. Right. Your brain is telling you, "Don't  
8 move, dummy."

9 Q. So let's take a look at some of the records  
10 and the history of the spasm that was objectively found  
11 from Ms. Garcia's examinations.

12 Audra, if you could go to Exhibit 15, page 4.

13 And this is The Neck and Back Clinic.

14 That's Dr. Gulitz, correct, the chiropractor?

15 A. Yes, I believe so.

16 Q. And we're looking at the initial report dated  
17 1/12/11.

18 So this is about ten days postaccident?

19 A. Correct.

20 Q. Okay. And if you could blow up the  
21 lumbosacral examination, we see tenderness mentioned in  
22 the first sentence.

23 What does it indicate in the second sentence  
24 with regard to muscle spasm?

25 A. "Positive for muscle spasm." So that's on

1 direct examination by feel.

2 Q. Okay. And that's an objective finding which  
3 Ms. Garcia could not have been faking; correct?

4 A. Correct.

5 Q. Audra, if we go to page 14 of Exhibit 15.

6 And this is the -- the next day, 1/13/11,

7 seen by Matthew Olmstead.

8 If we look at the assessment the next day,  
9 what do you see there?

10 A. January 13th, 2011.

11 Q. Right. And under "assessment," what's the  
12 second coding diagnosis?

13 A. Muscle spasm.

14 Q. All right. Audra, if we could go to  
15 Exhibit 16, page 1.

16 This is from the initial history and physical  
17 examination done by Primary Care Consultants, the  
18 physician's assistant at primary care office.

19 If you could go down and just look at that  
20 bottom right-hand corner, Audra.

21 You see "tonicity." You following?

22 A. Got it.

23 Q. Okay. What is tonicity?

24 A. That's code for spasm. Hypertonic means the  
25 muscles are tight and in spasm.

1 Q. Okay. So that means that the primary care PA  
2 found muscle spasm?

3 A. Correct.

4 Q. And if we look at the next page, page 2,  
5 Audra.

6 Bottom third, under "medications," we can see  
7 that, in fact, the PA prescribed an antispasmodic to  
8 decrease muscle hypotonicity; right?

9 A. Correct.

10 Q. And what did he prescribe?

11 A. Zanaflex.

12 Q. And based on your review of the records, how  
13 long did Ms. Garcia continue to take Zanaflex?

14 A. Ooh. I don't have that off the top of my  
15 head.

16 Q. Okay.

17 A. I think she took it for a while. In fact --  
18 give me a second here. She continues to take it.  
19 She's been on it for a long time.

20 Q. She's still on it?

21 A. Yeah. I'm still prescribing it for her.

22 Q. Okay. And -- and, in fact, let's take a look  
23 at when she came -- well, hold on just a second.

24 Let's -- before we go onto your records, she saw

25 Dr. Lemper before you; correct?



1           A.    Correct.

2           Q.    Audra, could we have Exhibit 26, page 256.

3                   And this is Dr. Lemper's examination of  
4 Ms. Garcia on June 29th of 2011, seven months after the  
5 collision, under "lumbar spine."

6                   Okay. Did Dr. Lemper find palpable spasm in  
7 the lumbar spine?

8           A.    Yes.

9           Q.    And "palpable" means he felt it; right?

10          A.    Correct. That's what "palpable" means. He  
11 palpated -- pressed on the back to feel that manually.

12          Q.    Once again, an objective rather than a  
13 subjective finding?

14          A.    Correct.

15          Q.    All right. So let's take a look at your  
16 records. The first time you saw her, we're going to  
17 August 15th of 2012, about a year later.

18                   Audra, page 5. And now I can't find it.

19                   Do you see whether you tested -- oh, I see  
20 it. Under "lumbar spine," right in the middle. It's  
21 kind of small on my page. It's hard to read.

22                   So at your first examination, what did you  
23 say about spasm in the lumbar spine?

24          A.    "Severe spasm is noted in the paravertebral  
25 musculature."

1 Q. And that's something you felt yourself?

2 A. Right. And those are the big muscles of the  
3 low back.

4 Q. Okay. Is that the multifidus muscle?

5 A. No. You can't feel the multifidus muscle on  
6 exam. It's way too deep and too small.

7 Q. Okay. January 30th, 2013, Audra, page 28,  
8 under "diagnosis."

9 Are you continuing to diagnose Ms. Garcia  
10 with muscle spasm?

11 A. Correct.

12 Q. And that's something you're continuing to  
13 feel yourself?

14 A. Yes.

15 Q. That's not self-reported?

16 A. No.

17 Q. And this is sort of -- let's come full  
18 circle.

19 Come down to page 714, Audra.

20 And this is a diagnosis from just before the  
21 end of the year. We're at page 714, the very top,  
22 where it says "diagnosis."

23 And the -- the parens, is that -- is that the  
24 date of your diagnosis?

25 A. That's the date of the visit.

1 Q. The date of the visit. Okay.  
2 So that's November 11th, 2015?  
3 A. Correct.  
4 Q. Recently.  
5 And you're still finding spasm?  
6 A. Correct.  
7 Q. And you're still feeling that yourself?  
8 A. And she's still on Zanaflex, yes.  
9 Q. Okay. So is it fair to say that, from her  
10 initial visit to the chiropractor and the primary care,  
11 ten days after this collision, until months ago,  
12 Ms. Garcia was objectively diagnosed with muscle spasm  
13 in her lumbar region?  
14 A. Correct.  
15 Q. And that was not self-reported; correct?  
16 A. No.  
17 Q. All right. Okay. Going back to your  
18 exhibits -- and you had the records from MountainView,  
19 the emergency room?  
20 A. Yes, sir.  
21 Q. Okay. Audra, Exhibit 26, page 233.  
22 Physical assessment from the emergency room,  
23 January 5th of 2011, second line, "patient appears  
24 uncomfortable and in pain, shows apparent trauma."  
25 See that?

1           A.    Correct.

2           Q.    Okay.  But let's look at what the emergency  
3 room found when they palpitated her lumbar region.

4                Audra, go to page 230 under "physical exam."

5           Okay.  Do you see where they did a physical  
6 exam of Ms. Garcia?

7           A.    Correct.

8           Q.    Okay.  And do you see where it says "back"  
9 right down toward the bottom of the list?

10          A.    Correct.

11          Q.    No back tenderness, no vertebral point  
12 tenderness or muscle spasm --

13          A.    Correct.

14          Q.    -- three days out from the accident.

15                Now, if the problem that had been causing  
16 Ms. Garcia's pain from January 12th of 2011 up until  
17 today had been preexisting the accident, would you have  
18 expected the physician to palpitate muscle spasm three  
19 days after the accident?

20          A.    No.

21          Q.    And I may have made my question unclear.

22                So you would have expected muscle spasm or  
23 you wouldn't?

24          A.    I would expect, if spasm was a chronic  
25 preexisting condition, she would most certainly have it

1 on that visit. The fact that she wasn't exhibiting it  
2 just means there was no spasm that day. But if that  
3 had been a chronic preexisting problem, you'd think it  
4 would have been there.

5 Q. Okay. Has Ms. Garcia had palpable spasms on  
6 almost every examination from January 12th, 2011, until  
7 today?

8 A. Yes.

9 Q. And she didn't have any three days after this  
10 accident?

11 A. Correct.

12 Q. Is that objective evidence that the accident  
13 was causally related to Ms. Garcia's injuries?

14 A. It's objective evidence that the spasm began  
15 after her collision, it sounds like, within a week or  
16 two, and it's persisted ever since.

17 Q. Is that -- is that consistent with what  
18 you've told the jury about how this pain comes on  
19 slowly after the accident and grows and that's not  
20 unusual?

21 A. Yes.

22 MR. ROBERTS: Thank you, Doctor.

23 That's all I have, Your Honor.

24 THE COURT: Mr. Mazzeo?

25 MR. MAZZEO: Yes, Your Honor. Thank you.

1 THE COURT: Everybody okay for a few more  
2 minutes?

3

4 RECROSS-EXAMINATION

5 BY MR. MAZZEO:

6 Q. Okay. Doctor, is it correct that spasm can  
7 refer to sprain and strain as well? Spasm can --  
8 withdrawn.

9 Spasm can -- can -- can be the result of a  
10 sprain and strain as well; correct?

11 A. Yes.

12 Q. Okay. And you said that palpating for a  
13 spasm, that's objective rather than a subjective  
14 finding; correct?

15 A. Correct.

16 Q. But isn't it a fact that the clinician who  
17 does the palpating, the -- the feel from -- of the --  
18 what -- what a clinician feels when they palpate the  
19 back muscles might vary from one clinician to another?

20 A. Well, you could say that. But then the  
21 absence or presence by itself, it doesn't quantify it.  
22 So ...

23 Q. So --

24 A. So if it's there, it's there.

25 Q. And to some extent there's going to be a -- a

1 certain subjectivity based on the -- based on what the  
2 clinician feels in the back; correct?

3 A. I'd say there might be variability in the  
4 degree to which they report.

5 Q. Okay. And -- now, with respect to -- I had  
6 asked you earlier on cross-examination about your  
7 record from August 7th of 2013. And -- and let me  
8 direct your attention to what I was referring to.

9 This is Plaintiff's 26, page 43.

10 Oh, can we switch please.

11 Thanks, Judge.

12 THE COURT: Sorry about that.

13 MR. MAZZEO: That's all right.

14 THE COURT: Do you want the camera or the  
15 left side? You want the ELMO.

16 MR. MAZZEO: The ELMO, yeah.

17 MR. ROBERTS: Pete, mind if I steal my  
18 computer?

19 MR. MAZZEO: Sure. If you need it.

20 BY MR. MAZZEO:

21 Q. Okay. So this record, August the 7th of  
22 2013 -- and -- and what I -- you may recall, Doctor,  
23 what I pointed out to you was that Ms. Garcia, on this  
24 date, reported to you that she's developed some pain to  
25 her right thigh --

1           A.     Correct.

2           Q.     -- right?

3                     And in the way that this phrased -- this is  
4 phrased, it indicates that she's reporting this to you  
5 for the first time, that she's developed some pain to  
6 the right thigh.

7                     Do you see that?

8           A.     What's the date of that visit again, sir?

9           Q.     Sure.   August 7th, 2013.

10          A.     Let me look that up.

11          Q.     That's -- I don't know if you have the  
12 same --

13          A.     I do.

14          Q.     -- records.

15          A.     I have the identical record.

16          Q.     Plaintiff's 26, page 43.

17          A.     Yes, I have it.

18          Q.     Okay.   So the -- I didn't think I got an  
19 answer from you.

20          A.     I think that's the first time I actually  
21 started addressing it.

22          Q.     Okay.   And -- and -- and the way that that  
23 sentence is phrased, it's -- it's indicating --  
24 indicative of Ms. Garcia first report -- verbalizing it  
25 to you at a session -- at a consultation with you?



1           A.    All I can say is historically that's probably  
2 the first time that I addressed it. Clearly, her pain  
3 diagram showed that it was there.

4           Q.    Okay. Now, on -- on redirect by Mr. Roberts,  
5 we looked at some pain diagrams that were put up on the  
6 screen; right?

7           A.    Correct.

8           Q.    And the pain diagrams are diagrams that are  
9 filled in by Ms. Garcia --

10          A.    Correct.

11          Q.    -- correct? Okay.

12                Now, filling in the pain diagrams is not the  
13 same as -- same thing as Ms. Garcia actually reporting  
14 to you during the consultation, "Doctor, I have -- I  
15 want to tell you that I have pain that's in my right  
16 thigh"?

17          A.    Right. But I can't extrapolate that because  
18 the only information I have is the pain diagram and  
19 what I document on that date. So I can't extrapolate  
20 that to mean that's the first time she reported or not.

21                Clearly, she did report it on her pain  
22 diagrams multiple occasions, every occasion.

23          Q.    Okay.

24          A.    So that might be a -- remiss on my fault for  
25 not -- or my part for not identifying earlier.

1 Q. For picking it up?

2 A. I don't know. I don't know. See, this is  
3 all I have to work with and the pain diagram.

4 Q. Understood. So it might be remiss on your  
5 part for not picking it up from the pain diagram;  
6 right?

7 A. And discussing it in my notes, what it  
8 relates to.

9 Q. Okay. But we know that on August 7 is -- we  
10 know that she's actually verbalizing -- when you say  
11 "she reports," she's verbalizing to you at the time of  
12 the consultation that she's developed some pain to her  
13 right thigh?

14 A. Right. That statement, though, doesn't say  
15 when. It just says she's developed.

16 Q. Well --

17 A. And, again, looking at her pain diagrams, I  
18 can infer or actually document that she reported that  
19 pain all along.

20 Q. Well, reported it on -- on a pain diagram  
21 nonverbally as opposed to verbally at the time of the  
22 consultation.

23 A. No. You can't infer that. All we know is  
24 that that's what I document on that day. We don't  
25 know, because I don't recall, what we discussed on the

1 previous visits. This is the first time that I put it  
2 in my record.

3 Q. Well, if we look at the way the sentence is  
4 phrased, she reports that she's developed some pain to  
5 her right thigh.

6 I think we can extrapolate from that that she  
7 didn't tell you that three months earlier and you're  
8 just putting it into this report on August 7th;  
9 correct?

10 A. Well, on her pain diagram, she did tell me  
11 that.

12 Q. I'm not talking about the pain --

13 A. For whatever reason, I just didn't document  
14 it.

15 Q. I'm not talking about the pain diagram. I'm  
16 talking about her verbally telling you this -- this  
17 statement.

18 Are you saying this statement might -- might  
19 have been told to you by Ms. Garcia three months  
20 earlier? Four months earlier?

21 A. No. I'm saying -- because this is two years  
22 ago, three years ago -- that on that date I documented  
23 that she had right leg pain. I wrote the words  
24 "developed." It doesn't say exactly when she  
25 developed. Looking at the pain diagrams, I can assess

1 that she had it all along.

2 Q. Okay. But we're looking at the beginning of  
3 the sentence, "She reports."

4 A. Right.

5 Q. More likely than not, she's actually  
6 verbalizing this to you on August 7th at the time of  
7 the consultation? Yes or no?

8 A. No. I don't know if you can say "more likely  
9 than not."

10 Q. Okay. November 19th, 2014, we have a -- a  
11 record from -- your record where Dr. Gross -- and you  
12 can bring it up, November 19th.

13 A. Which year?

14 Q. 2014.

15 A. Okay.

16 Q. Plaintiff's 26, page 623. Okay. So that's  
17 November 19th. And you had testified on  
18 cross-examination by Mr. Strassburg that this is where  
19 she had seen Dr. Gross.

20 Now, it was Dr. Gross that actually  
21 prescribed and requested that you do a combined right  
22 SIJ, sacroiliac joint, plus bilateral L3-4 facet  
23 injection --

24 A. Plus SIJ.

25 Q. -- plus hardware injections --