

No. 71348

IN THE SUPREME COURT OF THE STATE OF NEVADA

Electronically Filed
Oct 15 2018 01:14 p.m.
Elizabeth A. Brown
Clerk of Supreme Court

EMILIA GARCIA,
Appellant,

v.

ANDREA AWERBACH,
Respondent.

**APPELLANT'S APPENDIX
VOLUME XVIII, BATES NUMBERS 4251 TO 4500**

D. Lee Roberts, Jr., Esq.
Nevada Bar No. 8877
Jeremy R. Alberts, Esq.
Nevada Bar No. 10497
Marisa Rodriguez, Esq.
Nevada Bar No. 13234
WEINBERG, WHEELER, HUDGINS,
GUNN & DIAL, LLC.
6385 S. Rainbow Blvd., Suite 400
Las Vegas, Nevada 89118
Telephone: (702) 938-3838
lroberts@wwhgd.com
jalberts@wwhgd.com
mrodriguez@wwhgd.com

Corey M. Eschweiler, Esq.
Nevada Bar No. 6635
Craig A. Henderson, Esq.
Nevada Bar No. 10077
GLEN J. LERNER & ASSOCIATES
4795 South Durango Drive
Las Vegas, Nevada 89147
Telephone: (702) 877-1500
ceschweiler@glenlerner.com
chenderson@glenlerner.com

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
I	22 – 28	Amended Complaint	01/14/2013
V	1031 – 1282	Appendix of Exhibits to Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
V, VI	1304 – 1486	Appendix of Exhibits to Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
I	1 – 6	Complaint	03/25/2011
III	642 – 646	Decision and Order Denying Defendant Andrea Awerbach's Motion for Relief from Final Court Order	04/27/2015
III	623 – 629	Decision and Order Denying Plaintiff's Motion to Strike Andrea Awerbach's Answer; Granting Plaintiff's Motion for Order to Show Cause; and Granting in Part and Denying in Part Plaintiff's Motion to Strike Supplemental Reports	02/25/2015
I	164 – 165	Defendant Andrea Awerbach's Correction to Her Responses to Plaintiff's First Set of Requests for Admission	10/20/2014
III	630 – 641	Defendant Andrea Awerbach's Motion for Relief from Final Court Order	03/13/2015
I	96 – 163	Defendant Andrea Awerbach's Motion for Summary Judgment	11/08/2013
I	13 – 21	Defendant Andrea Awerbach's Responses to Request for Admissions	06/05/2012
I	29 – 35	Defendants' Answer to Amended Complaint	02/07/2013
I	7 – 12	Defendants' Answer to Complaint	01/23/2012
I	36 – 60	Defendants' Second Supplement to List of Witnesses and Documents and Tangible Items Produced at Early Case Conference	07/22/2013
I	61 – 95	Deposition of Andrea Awerbach [Vol. 1]	09/12/2013
I, II	166 – 391	Deposition of Andrea Awerbach [Vol. 2]	10/24/2014

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXVI, XXVII	6441 – 6942	Deposition of Jared Awerbach	
III	581 – 616	Deposition of Teresa Meraz	01/08/2015
IV	948 – 997	Jury Instructions	03/08/2016
IV	998 – 1000	Jury Verdict	03/10/2016
VI, VII	1499 – 1502	Minute Order	08/22/2016
VII	1513 – 1554	Notice of Appeal	09/19/2017
III	647 – 649	Notice of Department Reassignment	08/27/2015
VII	1508 – 1512	Notice of Entry of Judgment Upon the Verdict	08/21/2017
III	617 – 622	Order Granting, in Part, and Denying, In Part, Plaintiff's Motion for Partial Summary Judgment that Defendant Jared Awerbach was Per Se Impaired Pursuant to NRS 484C.110(3); and Denying Defendant Jared Awerbach's Motion for Partial Summary Judgment on Punitive Damage Claims	01/28/2015
IV	946-947	Order Modifying Prior Order of Judge Allf	02/12/2016
VI	1487 – 1498	Order Re: Post –Trial Motions	08/12/2016
VII	1503 - 1507	Order Vacating Judgment as to Jared Awerbach only	08/21/2017
V	1001 – 1030	Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
III, IV	650 – 900	Plaintiff's Motion to Disqualify Defendant Jared Awerbach's Counsel Randall Tindall and Motion For Reassignment to Department 27 on Order Shortening Time and Request for Leave to File Extended Memorandum of Points and Authorities	09/08/2015
II, III	392 – 580	Plaintiff's Motion to Strike Defendant Andrea Awerbach's Answer	12/02/2014
V	1283 – 1303	Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
IV	933 – 945	Plaintiff's Trial Brief Regarding	02/10/2016

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
		Permissive Use	
IV	901 – 932	Reporter’s Transcript of Proceedings	09/15/2015
VII, VIII	1555 – 1765	Trial Transcript – 02/08/2016	11/10/2017
VIII	1766 – 1996	Trial Transcript – 02/09/2016	11/10/2017
VIII, IX, X	1997 – 2290	Trial Transcript – 02/10/2016	11/10/2017
X	2291 – 2463	Trial Transcript – 02/11/2016	11/10/2017
X, XI	2464 – 2698	Trial Transcript – 02/12/2016	11/10/2017
XI, XII	2699 – 2924	Trial Transcript – 02/16/2016	11/10/2017
XII, XIII	2925 – 3177	Trial Transcript – 02/17/2016	11/10/2017
XIII, XIV	3178 – 3439	Trial Transcript – 02/18/2016	11/10/2017
XIV, XV	3440 – 3573	Trial Transcript – 02/19/2016	11/10/2017
XV, XVI	3574 – 3801	Trial Transcript – 02/22/2016	11/10/2017
XVI, XVII	3802 – 4038	Trial Transcript – 02/23/2016	11/10/2017
XVII, XVIII	4039 – 4346	Trial Transcript – 02/24/2016	11/10/2017
XVIII, XIX	4347 – 4586	Trial Transcript – 02/25/2016	11/10/2017
XIX, XX	4578 – 4819	Trial Transcript – 02/26/2016	11/10/2017
XX, XXI	4820 – 5045	Trial Transcript – 03/01/2016	11/10/2017
XXI, XXII	5046 – 5361	Trial Transcript – 03/02/2016	11/10/2017
XXII, XXIII	5362 – 5559	Trial Transcript – 03/03/2016	11/10/2017
XXIII, XXIV	5560 – 5802	Trial Transcript – 03/04/2016	11/10/2017

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXIV	5803 – 5977	Trial Transcript – 03/07/2016	11/10/2017
XXIV, XXV	5978 – 6203	Trial Transcript – 03/08/2016	08/23/2018
XXV, XXVI	6204 – 6422	Trial Transcript – 03/09/2016	08/23/2018
XXVI	6423 – 6440	Trial Transcript – 03/10/2016	08/23/2018

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
I	22 – 28	Amended Complaint	01/14/2013
V	1031 – 1282	Appendix of Exhibits to Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
V, VI	1304 – 1486	Appendix of Exhibits to Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
I	1 – 6	Complaint	03/25/2011
III	642 – 646	Decision and Order Denying Defendant Andrea Awerbach's Motion for Relief from Final Court Order	04/27/2015
III	623 – 629	Decision and Order Denying Plaintiff's Motion to Strike Andrea Awerbach's Answer; Granting Plaintiff's Motion for Order to Show Cause; and Granting in Part and Denying in Part Plaintiff's Motion to Strike Supplemental Reports	02/25/2015
I	164 – 165	Defendant Andrea Awerbach's Correction to Her Responses to Plaintiff's First Set of Requests for Admission	10/20/2014
III	630 – 641	Defendant Andrea Awerbach's Motion for Relief from Final Court Order	03/13/2015
I	96 – 163	Defendant Andrea Awerbach's Motion for Summary Judgment	11/08/2013
I	13 – 21	Defendant Andrea Awerbach's Responses to Request for Admissions	06/05/2012
I	29 – 35	Defendants' Answer to Amended Complaint	02/07/2013
I	7 – 12	Defendants' Answer to Complaint	01/23/2012
I	36 – 60	Defendants' Second Supplement to List of Witnesses and Documents and Tangible Items Produced at Early Case Conference	07/22/2013
I	61 – 95	Deposition of Andrea Awerbach [Vol. 1]	09/12/2013
I, II	166 – 391	Deposition of Andrea Awerbach [Vol. 2]	10/24/2014

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXVI, XXVII	6441 – 6942	Deposition of Jared Awerbach	
III	581 – 616	Deposition of Teresa Meraz	01/08/2015
IV	948 – 997	Jury Instructions	03/08/2016
IV	998 – 1000	Jury Verdict	03/10/2016
VI, VII	1499 – 1502	Minute Order	08/22/2016
VII	1513 – 1554	Notice of Appeal	09/19/2017
III	647 – 649	Notice of Department Reassignment	08/27/2015
VII	1508 – 1512	Notice of Entry of Judgment Upon the Verdict	08/21/2017
III	617 – 622	Order Granting, in Part, and Denying, In Part, Plaintiff's Motion for Partial Summary Judgment that Defendant Jared Awerbach was Per Se Impaired Pursuant to NRS 484C.110(3); and Denying Defendant Jared Awerbach's Motion for Partial Summary Judgment on Punitive Damage Claims	01/28/2015
IV	946-947	Order Modifying Prior Order of Judge Allf	02/12/2016
VI	1487 – 1498	Order Re: Post –Trial Motions	08/12/2016
VII	1503 - 1507	Order Vacating Judgment as to Jared Awerbach only	08/21/2017
V	1001 – 1030	Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
III, IV	650 – 900	Plaintiff's Motion to Disqualify Defendant Jared Awerbach's Counsel Randall Tindall and Motion For Reassignment to Department 27 on Order Shortening Time and Request for Leave to File Extended Memorandum of Points and Authorities	09/08/2015
II, III	392 – 580	Plaintiff's Motion to Strike Defendant Andrea Awerbach's Answer	12/02/2014
V	1283 – 1303	Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
IV	933 – 945	Plaintiff's Trial Brief Regarding	02/10/2016

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
		Permissive Use	
IV	901 – 932	Reporter’s Transcript of Proceedings	09/15/2015
VII, VIII	1555 – 1765	Trial Transcript – 02/08/2016	11/10/2017
VIII	1766 – 1996	Trial Transcript – 02/09/2016	11/10/2017
VIII, IX, X	1997 – 2290	Trial Transcript – 02/10/2016	11/10/2017
X	2291 – 2463	Trial Transcript – 02/11/2016	11/10/2017
X, XI	2464 – 2698	Trial Transcript – 02/12/2016	11/10/2017
XI, XII	2699 – 2924	Trial Transcript – 02/16/2016	11/10/2017
XII, XIII	2925 – 3177	Trial Transcript – 02/17/2016	11/10/2017
XIII, XIV	3178 – 3439	Trial Transcript – 02/18/2016	11/10/2017
XIV, XV	3440 – 3573	Trial Transcript – 02/19/2016	11/10/2017
XV, XVI	3574 – 3801	Trial Transcript – 02/22/2016	11/10/2017
XVI, XVII	3802 – 4038	Trial Transcript – 02/23/2016	11/10/2017
XVII, XVIII	4039 – 4346	Trial Transcript – 02/24/2016	11/10/2017
XVIII, XIX	4347 – 4586	Trial Transcript – 02/25/2016	11/10/2017
XIX, XX	4578 – 4819	Trial Transcript – 02/26/2016	11/10/2017
XX, XXI	4820 – 5045	Trial Transcript – 03/01/2016	11/10/2017
XXI, XXII	5046 – 5361	Trial Transcript – 03/02/2016	11/10/2017
XXII, XXIII	5362 – 5559	Trial Transcript – 03/03/2016	11/10/2017
XXIII, XXIV	5560 – 5802	Trial Transcript – 03/04/2016	11/10/2017

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXIV	5803 – 5977	Trial Transcript – 03/07/2016	11/10/2017
XXIV, XXV	5978 – 6203	Trial Transcript – 03/08/2016	08/23/2018
XXV, XXVI	6204 – 6422	Trial Transcript – 03/09/2016	08/23/2018
XXVI	6423 – 6440	Trial Transcript – 03/10/2016	08/23/2018

1 A. Correct.

2 Q. -- right?

3 And that -- so that wasn't your
4 recommendation.

5 Prior to you receiving this request from
6 Dr. Gross, you hadn't made an independent determination
7 of doing this procedure on regard to Ms. Garcia; is
8 that correct?

9 A. That's correct. He sent that over on a
10 prescription, and that's often how I communicate with
11 surgeons.

12 Q. Okay. And did -- did you have any dialogue
13 with Dr. Gross or did he indicate in any paperwork why
14 he was requesting that you do an injection at the
15 hardware -- hardware injections?

16 A. I didn't have his notes because there's a
17 delay getting them from transcription. So to expedite
18 the process, he and other surgeons will send over a
19 prescription requesting a procedure be done. And then
20 subsequent to this procedure, I actually called
21 Dr. Gross to make sure I had it right.

22 Q. Subsequent to the procedure?

23 A. No. Subsequent to this note here.

24 Q. Oh, okay. Fair enough. And -- and he told
25 you, yes, you have it right?

1 A. Right.

2 Q. He wanted you to do injections of three

3 different parts, the -- the hardware that he had

4 inserted as a result of his surgery --

5 A. Correct.

6 Q. -- right?

7 And the L3-4 facet joint injections

8 bilateral --

9 A. Correct.

10 Q. -- two levels? And -- not two levels, each

11 side. Right and left?

12 A. Bilateral. Yeah, both sides.

13 Q. And also the right side sacroiliac joint --

14 A. Correct.

15 Q. -- correct?

16 And the reason why he wanted you to do that

17 because -- still trying to find out where this pain is

18 coming from; right?

19 A. It's a diagnostic tool, and it's a

20 therapeutic tool.

21 Q. Right. "Diagnostic" means we want to

22 ascertain whether this -- this -- the pain generator is

23 at the SI joint on the right side or whether it's

24 bilateral at the L3-4 facet joint; right?

25 A. Not "or." It's a combined procedure. So

1 we're anesthetizing all those structures at the same
2 time.

3 Q. Sure. And he's asking you -- he's not
4 saying, "Just anesthetize the SI joint because I know
5 that the pain is generating from that point"; right?

6 A. I don't understand that last statement. "I
7 know"? What do you mean?

8 Q. Well, he, Dr. Gross, isn't telling you,
9 "Dr. Kidwell, I know where the pain is from. So I only
10 want you to do one location"?

11 A. Based on her examination --

12 MR. ROBERTS: Objection. Foundation.

13 THE COURT: I'm going to let him answer.
14 Overruled.

15 MR. MAZZEO: Go ahead.

16 THE WITNESS: Based on his assessment of the
17 patient, which includes physical examinations, he's
18 made a determination that her SIJ is tender, which is a
19 different part of the body than the lumbar spine where
20 the hardware is placed.

21 It's a combined thing. So he wanted me to
22 anesthetize all those structures at once and assess her
23 pain.

24 BY MR. MAZZEO:

25 Q. And -- and with Dr. Gross believing that the

1 SI joint might be tender, it does not necessarily mean
2 that that is the pain generator for the pain that she's
3 experiencing?

4 A. Well, actually, if you go through all the
5 literature, there really is no test that works for SIJ
6 pain. You've got to numb it up and see if it goes
7 away.

8 Q. Okay. So as you've said -- and now we're --
9 we've circled around, and now we understand and we know
10 that the reason why Dr. Gross wanted you to do this
11 injection at the multiple sites was diagnostic; he
12 wanted to diagnose and try to pinpoint where the pain
13 is coming from.

14 A. Well, the -- yes. But the second part of it
15 too is therapeutic. I'm putting a bunch of cortisone
16 in there trying to make her better.

17 Q. Right. And so it's diagnostic-therapeutic.
18 Therapeutic to relieve pain symptoms if any pain
19 symptoms are coming from any of these locations?

20 A. Correct.

21 Q. Now, why did Dr. -- did Dr. Gross explain to
22 you why he wanted you to inject the hardware?

23 A. Yes.

24 Q. What was it? Why?

25 A. Well, think of the hardware as the facet

1 joints. When they put the screws in, they ablate facet
2 joints. So it's kind of a pseudo facet joint
3 injection. It's putting local anesthetic around where
4 the screws and plates are and a little bit deeper.

5 Q. Okay. And so, I guess, ultimately Dr. Gross
6 was concerned with pain coming from the location of the
7 hardware?

8 A. Well, coming, yeah, right around the area. I
9 mean, like I explained before, his theory was that you
10 could have return of nerve growth to the facet joints
11 where all these screws going through the facet joints
12 now are right next to them. And so let's anesthetize
13 the entire structure because her back pain pattern
14 didn't really suggest just SIJ pain; it suggested SIJ
15 plus low back. That's why he did that.

16 Q. Okay. So -- and he's uncertain. That's why
17 we're doing this procedure. He wants to give her
18 therapy. But he also wants to, for diagnostic
19 purposes, see if he's -- see if the pain generator is
20 coming from the SI joint plus --

21 A. Well, we're all uncertain until we do it.

22 Q. Exactly. Okay. Fair enough.

23 And so -- and then, as a result of this, your
24 testimony is that Ms. Garcia obtained some relief?

25 A. Correct.

1 Q. And you don't know, though -- neither does
2 Dr. Gross -- whether that relief came from pain that
3 was actually stemming from the hardware, do you?

4 A. No. And, ultimately, I didn't treat the
5 hardware. I did a rhizotomy to denervate the medial
6 branch dorsal ramus on the facet joints.

7 Q. Now, moving on to the facet joints, you did a
8 rhizotomy on September 24th of 2015. Yes?

9 A. Yes.

10 Q. And, now, that was bilateral at -- would that
11 be three levels? You did bilateral L3-4, L4-5, and
12 then the SI joint right side --

13 A. Correct.

14 Q. -- at L5?

15 So that would be five levels?

16 A. Well, the nomenclature is very confusing. It
17 used to be -- I don't know -- three or four years ago
18 we would identify the levels we did medial branch
19 blocks and rhizotomies by the nerves.

20 And the coding industry of the wonderful AMA
21 changed the nomenclature to where we identify it by the
22 joint. It's understood that there are two nerves that
23 go to each joint. So we don't identify the nerve.
24 It's understood which nerves go to which joint.

25 So what I did was I -- the nerves I actually

1 burned were L2, L3, L4 bilaterally, right L5, right S1,
2 right S2, and right S3.

3 Q. Total, I think you said -- was that eight?

4 A. Total of 6 burns in the low back and 12 in
5 the SIJ, which is part of low back because I did L5.

6 Q. Okay. So -- and your testimony is that she
7 obtained relief from that as a result of that
8 procedure?

9 A. Correct.

10 Q. But given that you -- that you had so many
11 burns, six burns at three different levels bilaterally
12 and then the 12 in the SI joint, as of today, as you
13 sit here today testifying, you can't tell us whether
14 the pain generator was left L3-4, left L4-5, right
15 L3-4, right L4-5; right?

16 A. No. She had bilateral pain.

17 Q. Okay. But you can't tell us what level it
18 was at either because you did multiple levels?

19 A. Oh, true.

20 Q. Okay.

21 MR. MAZZEO: Nothing further.

22 THE COURT: Mr. Roberts or --

23 MR. STRASSBURG: I'm not insulted.

24 THE COURT: Mr. Strassburg?

25

1 A. No. This is actually more descriptive. In
2 fact, the new terminology -- we have a new thing called
3 ICD-10, which is its own list. I think 65,000 currents
4 to almost 200,000. In the future, we'll be describing
5 disk pathology by level.

6 THE COURT: She froze.

7 (A discussion was held at the bench,
8 not reported.)

9 THE COURT: All right. Go ahead,
10 Mr. Strassburg. Sorry.

11 BY MR. STRASSBURG:

12 Q. Regarding who -- whether Ms. Garcia was
13 referred to you by her lawyers or not, did you know
14 that in her deposition, she testified that she called
15 her lawyer's office and asked for someone closer than
16 Lemper and they gave her you?

17 Did you know she gave that testimony?

18 A. I haven't read her deposition, no.

19 Q. Now, pain -- postsurgical pain is a
20 recognized complication of the kind of spinal fusion
21 surgery that Dr. Gross did to Ms. Garcia; true?

22 A. Correct.

23 Q. And --

24 A. I don't know if you call it an actual
25 complication. A better word might be "sequelae."

1 Q. Okay. And pain after surgery, it's
2 recognized, can result from nonunion of the bone grafts
3 used in the fusion. True?

4 A. Correct.

5 Q. And would you also agree that if the surgery
6 is to address a condition that's not caused by the
7 collision, then the complications resulting from that
8 surgery also aren't caused by the collision?

9 A. In this case, the treatment -- the initial
10 condition that necessitated treatment was pain.
11 Absence pain, she never would have come to anybody's
12 attention, she would never have sought care, she never
13 would have had injections, chiropractic treatments, nor
14 would she have had surgery.

15 The fact that she had a spondylolisthesis
16 probably made her a little more susceptible to injury,
17 so everything follows from that. But absent any
18 symptoms, she wouldn't have sought treatment. She
19 wouldn't have had surgery.

20 Q. Did you hear my question, Doctor?

21 A. Well, I might have got it wrong. Let's try
22 it again.

23 Q. All right. Let's try again. Would you agree
24 that if the surgery is to treat a condition that is not
25 caused by the collision, then complications resulting

1 from that surgery are also not causally related to the
2 collision? Would you agree?

3 A. In a general sense, yes.

4 MR. STRASSBURG: I am not going to prolong
5 this any longer. Thank you for your time, sir. I know
6 you have a busy schedule.

7 THE WITNESS: Thank you, sir.

8 THE COURT: Any more, Mr. Roberts?

9 MR. ROBERTS: Just one clarification.

10

11 FURTHER REDIRECT EXAMINATION

12 BY MR. ROBERTS:

13 Q. Let's see. The light here. And this is --
14 did I get that right?

15 A. Yeah. The bottom one.

16 Q. Okay. So the bottom one is singular or
17 plural? Bottom one is plural; right?

18 A. Yes.

19 Q. Okay. Forgot my Latin roots. So when --
20 when you distinguished it and said it was really a
21 sequelae, what did you mean?

22 A. It's a known risk of surgery. All the
23 consents that I have read from surgeons say, I can
24 structurally fix your problem, but you may still have
25 pain. That's a known sequelae, aftermath.

1 Q. Aftermath. In fact, it's the same root as
2 "sequel." And everyone who reads good books knows
3 about a sequel, right, what that is?

4 A. Right. When you say complication, that
5 infers that something went wrong that should not have
6 gone wrong. And that's not the case with pain after
7 surgery. Pain is kind of its own beast. Nobody can
8 predict it.

9 MR. ROBERTS: Thank you, Doctor. Thank you.

10 MR. MAZZEO: Nothing further.

11

12 FURTHER RECROSS EXAMINATION

13 BY MR. STRASSBURG:

14 Q. Well, it's recognized that surgery of the
15 type that Ms. Garcia had that is not appropriately done
16 can lead to pain as a complication. True?

17 A. The big operative word there is "not
18 appropriately done." I don't think we explored that at
19 all.

20 So if a surgery was done wrongly -- let's say
21 he said he's going to fix L5-S1, he fixed L3-4, that
22 would fall into the category of which you spoke. If
23 you do the surgery that you intend to do and pain comes
24 back -- in other words, in this case, she was getting
25 better, then the pain increased -- I don't know if you

1 can call that a complication at all.

2 Q. So it's recognized that the surgery of this
3 type can result in a complication that takes the form
4 of new pain. True?

5 A. Again, I wouldn't call it a complication. I
6 would call it a known sequelae.

7 Q. So it's recognized that a known sequelae can
8 take the form of new pain, pain that wasn't present
9 before this surgery. True?

10 A. That is true.

11 Q. And based on your logic for the accident,
12 that if she doesn't have pain before the accident, but
13 she has pain after the accident, the accident must be
14 the cause, then if she doesn't have the pain from the
15 surgery before, but she has the pain from the surgery
16 after, the surgery must be the cause. True?

17 A. It's hard to make that black-and-white
18 statement. I understand your logic. Let me go through
19 it in my mind again.

20 The patient had no pain before the collision,
21 developed pain afterwards, had surgery, was
22 progressing, and then increased -- then developed an
23 increase of pain for explainable reasons.

24 We did a spinal cord stimulator, which is a
25 standard procedure to somebody who has pain after

1 surgery that returns later on. And then we tried these
2 rhizotomies, and they worked. So I'm not going to say
3 that it's an inappropriate result. It is what it is.

4 Does that answer your question? I'm not
5 trying to be evasive; I'm trying to be accurate.

6 Q. Let me try it again. When you described the
7 logic of your causation opinion that the accident must
8 have caused the postaccident pain because she didn't
9 have pain before the accident --

10 A. Right.

11 Q. -- I'm just asking you, would you apply the
12 same logic to new pain after spinal fusion surgery that
13 isn't present before the surgery? Would you also
14 conclude it must be caused by the surgery?

15 A. I would say possibly.

16 Q. Fair enough. Thank you, sir.

17 MR. ROBERTS: Nothing further, Your Honor.

18 THE COURT: Ladies and gentlemen, any
19 questions? Not seeing any hands.

20 Thank you, Doctor. Appreciate your time.

21 THE WITNESS: Thank you, sir.

22 THE COURT: Let's go ahead and take our
23 afternoon break, folks.

24 During our break, you're instructed not to
25 talk with each other or with anyone else about any

1 subject or issue connected with this trial. You are
2 not to read, watch, or listen to any report of or
3 commentary on the trial by any person connected with
4 this case or by any medium of information, including,
5 without limitation, newspapers, television, the
6 Internet, or radio.

7 You are not to conduct any research on your
8 own, which means you cannot talk with others, Tweet
9 others, text others, Google issues, or perform any
10 other kind of book or computer research with regard to
11 any issue, party, witness, or attorney involved in this
12 case.

13 You're not to form or express any opinion on
14 any subject connected with the trial until the case is
15 finally submitted to you.

16 Plan on 15, because if I say 10, you know
17 it's going to be 15 anyway.

18 (The following proceedings were held
19 outside the presence of the jury.)

20 THE COURT: We are outside the presence of
21 the jury. Did you guys want to talk about the
22 deposition before we went off?

23 MR. MAZZEO: Randy? Roger?

24 MR. TINDALL: Just a second.

25 MR. MAZZEO: Yes, Your Honor.

1 MR. TINDALL: Just need to get this
2 transcript.

3 THE COURT: Why don't we do this, guys.
4 Let's go off the record. We'll come back in a couple
5 of minutes once you've got your stuff together.

6 MR. TINDALL: Okay.

7 THE COURT REPORTER: Off the record?

8 THE COURT: Off the record.

9 (Whereupon a short recess was taken.)

10 THE COURT: Back on the record. We're
11 outside the presence of the jury. Let's talk about the
12 deposition.

13 MR. TINDALL: Yes, Your Honor. This is the
14 issue of what they're going to play out of Jared
15 Awerbach's depo. I have two objections and one
16 addition I would like to have them have to play.

17 So the -- the objection begins at 104, 2,
18 through 105, 3. I can give you a copy so you can
19 follow along.

20 THE COURT: Great. Thanks.

21 MR. TINDALL: The part that I have a problem
22 with, based on relevance and prejudice to outweigh
23 probative value is 104, 22, through 105, 3, which is
24 information about having kids in the car. I believe
25 it's inflammatory.

1 They -- what they're establishing here is one
2 of the elements of negligent entrustment. They don't
3 need that portion of it, given the other portions that
4 they will be reading there. And then -- well, just
5 stop there. Submitted on that part.

6 THE COURT: We already talked about this
7 before opening; right?

8 MR. TINDALL: I don't know that we talked --
9 I don't know that there was a ruling on the kids part.

10 THE COURT: I think we allowed it, because
11 the argument was that it goes to reckless disregard as
12 far as a punitive damages claim.

13 MR. TINDALL: Okay. Then --

14 THE COURT: I'm going to allow it.

15 MR. TINDALL: Okay. The portion, then, that
16 we would like to have added --

17 MR. MAZZEO: I'm sorry, Judge. I'm sorry.
18 The ruling was what? It goes to reckless disregard on
19 the punitive damages claim against Andrea or against
20 Jared?

21 THE COURT: Maybe against both.

22 MR. MAZZEO: Well, no, I mean, where's --
23 where's there any foundation that Andrea was aware that
24 the kids were in the car? If that's not there, then
25 this is totally inflammatory. And the unfair prejudice

1 certainly outweighs any -- there's no probative value.

2 MR. SMITH: Well, first off, it's all one
3 case. But also, Jared's testimony is the foundation.
4 The car seat was in the car. Their stuff was in the
5 car. And we -- you did already rule on this. It's
6 page 33 and 34 of the transcript on February 12th,
7 2016. We had this whole discussion.

8 THE COURT: It's already done. Yeah, let's
9 not do it again.

10 What else?

11 MR. TINDALL: All right. At 121, 9 through
12 19, they're going to read that part. And I don't have
13 a problem with that. But if you look, that begins in
14 the middle of a sentence.

15 THE COURT: Hold on. Hold on.

16 MR. SMITH: We actually agree to this one,
17 where you said start at "traffic cop" and end with
18 "person." We had made a little bit of a mistake in the
19 designations. So Randy had asked us to start where it
20 says "traffic cop" on line 9 and end where it says
21 "person" on line 19. It's page 121. And we agree to
22 that.

23 MR. TINDALL: All right. All right. And
24 then added to that, what we claim should be read is all
25 of line 19 -- time out. Page 121, 19, all of that

1 line, through 122, 1, should be read. And then 127,
2 21, through 128, 21.

3 THE COURT: You didn't give me any of that.

4 MR. TINDALL: No. The markings on there
5 aren't -- aren't my markings. I'm just handing that to
6 the Court. That's actually Pete's transcript. So the
7 stuff that is marked isn't of any relevance.

8 If you go to 122 -- excuse me -- 127, 21.

9 THE COURT: I don't have that. That's what
10 I'm saying.

11 MR. TINDALL: Oh, you don't? We got a --
12 I'll read it to you.

13 THE COURT: All I have is what you just
14 handed me.

15 MR. TINDALL: All right. Let me just read
16 it.

17 MR. SMITH: I think all you need to read is
18 starting on line 12 on page 128, which the question is:

19 "And then the reason that you failed the
20 sobriety test was not that you were impaired from
21 marijuana but that you were nervous about taking the
22 test?"

23 And he explains that. And the prior part
24 that Mr. Tindall is asking about is the setup for that.
25 And the reason they want to introduce that testimony is

1 to introduce testimony that Mr. Awerbach was not
2 impaired. And that has been excluded. And that's why
3 we will not agree to these sections that they're
4 seeking to add, because it violates the Court's orders.

5 THE COURT: Okay.

6 MR. TINDALL: Let me -- let me say what it is
7 I want read.

8 Thanks, Adam. But don't I think that
9 probably comes as what I'm trying say here.

10 MR. MAZZEO: I join.

11 THE COURT: Thanks for trying.

12 MR. SMITH: I think I accurately read the
13 transcript. So 127, 21. And this is the part where
14 we'd like to begin, which ties into what they've listed
15 earlier.

16 "QUESTION: And you said that when you
17 talked to the police officer, you admitted that
18 you smoked marijuana?

19 "ANSWER: He said, 'Oh, God.' He's
20 standing outside the car and he said, 'Oh, God,
21 you smell like a Christmas tree. Have you been
22 smoking?' I said, 'Yeah, yeah,' because I had
23 the -- I said, 'Yeah, yeah,' because I had the
24 marijuana on my person. And I didn't want to
25 be caught with that because I was already

1 facing two possession charges. I already had
2 two possession-of-marijuana charges. And in
3 the state of Nevada, if you get caught with
4 three possession-of-marijuana charges, you do a
5 year in County. And that was not an option.

6 "QUESTION: So your testimony today is
7 that you lied to the cop in order to avoid
8 jail?

9 "ANSWER: My testimony has remained the
10 same the whole time.

11 "QUESTION: Okay. And then the reason
12 that you failed the sobriety test was not that
13 you were impaired from marijuana but that you
14 were nervous about taking the test?

15 "ANSWER: I was nervous about being that
16 close to an officer with that much weed on me.
17 I was nervous about my current situation.

18 "QUESTION: So it wasn't -- it wasn't any
19 impairment from any marijuana?

20 "ANSWER: No, sir.

21 "QUESTION: Anyone besides what we have
22 talked about, the police officer, my client" --

23 Actually, that's -- that's -- I went too far.
24 We don't need to go any further.

25 So our position is this gives a -- for the --

1 for the issue of fairness, when they're trying to bring
2 out how it is he lied to the police officer, we now
3 know why he lied. They've already brought up
4 impairment. And we're not offering this to -- to
5 dissuade anybody from being impaired. He is, per se,
6 impaired. But the issue here is, was he willfully
7 driving under the influence, which is a issue we get to
8 rebut. They have to prove that still as part of the
9 punitive damages claim. And this is information that
10 tends to disprove that.

11 Submitted.

12 THE COURT: Well, I think I am okay with part
13 of what you want to read as far as if -- if he wants to
14 bring up the -- the three-strikes rule, but I don't
15 think you get to go to that one question and answer
16 that talked about "so you weren't impaired," because
17 the impairment is what's been found as matter of law by
18 Judge Allf. So I don't think he gets to say, "No, I
19 wasn't impaired." So if you don't get that last
20 question and answer that you want, I don't know that
21 you want the three strikes information in.

22 MR. TINDALL: Well, we need something in,
23 because it tends to minimize what they're trying
24 to -- to claim.

25 THE COURT: Let me see your transcript. I

1 mean, I'll tell you where I will -- I'm okay allowing
2 stuff, but ...

3 MR. SMITH: If the compromise is that the
4 last two questions about him not being impaired are
5 taken out, we'd have, obviously, less of an objection.

6 THE COURT: Trying to figure out how to make
7 this go up and down.

8 MR. TINDALL: Would you like me to show you?

9 THE COURT: Yeah. Show me how to work your
10 computer, will you? So you want to start -- oops.

11 MR. TINDALL: I believe it's 127, 22. You
12 got my notes now.

13 THE COURT: All right. Give me a second
14 here. Having a hard time with your computer. Does
15 anybody have a hard copy of this?

16 MR. SMITH: I have a hard copy of those pages
17 right here, if you would like.

18 THE COURT: Can I just see those?

19 MR. SMITH: Absolutely.

20 THE COURT: All right. Let me give you your
21 computer back, Mr. Tindall.

22 So you want to start on 127 where?

23 MR. TINDALL: 127, 21.

24 THE COURT: I'm okay with you going 127, 21,
25 through 128, 7 --

1 MR. TINDALL: Okay.

2 THE COURT: -- which talks about the
3 three-strikes rule. But I don't think that he gets to
4 deny that he was impaired or that he admitted that he
5 was under the influence and that that was a lie.

6 MR. TINDALL: Understood. So you guys will
7 incorporate that?

8 MR. SMITH: We'll add it.

9 MR. TINDALL: Thank you.

10 MR. ROBERTS: Can we get that again, just to
11 make sure that Audra --

12 MR. TINDALL: 127, 21, through 128, 7.

13 THE COURT: Right. So that's all we're
14 adding, in addition to what they have marked on here?

15 MR. TINDALL: Right.

16 THE COURT: Okay. Just for the record, I
17 don't have a copy of that transcript in front of me, so
18 if somebody reads something that shouldn't be read,
19 you're going to have to object real quick.

20 MR. ROBERTS: And just so I can ask, I know
21 last time, Pete, with the officer, wanted to read his
22 section at the end. Is it okay to play it all
23 sequentially as it comes, in order, or do you want the
24 clip you just designated to come at the end separate?

25 MR. TINDALL: No. Sequential is fine.

1 MR. ROBERTS: Okay.

2 THE COURT: So you're playing that by video.
3 Is that what the plan is?

4 MR. ROBERTS: Yes, Your Honor.

5 MR. TINDALL: Oh, well, I see what you're
6 asking. Yeah, I'd actually like it to tie into when
7 you play 129, 9 through 19.

8 MR. ROBERTS: So it's all going to come in
9 the order in which his testimony came in. We'll do it
10 that way.

11 MR. TINDALL: Okay.

12 MR. MAZZEO: Now -- now, with respect to
13 Andrea, Judge, just for completeness, we have -- they
14 want to read in three sections. I'm fine with the
15 first section, page 165, line 10, through 166, line 17.
16 And then moving on to 169, they start at line 9 and
17 then cut it off at 15, when, in fact, there's
18 additional information. I can show it to you?

19 THE COURT: You guys don't have copies of
20 these transcripts for me?

21 MR. MAZZEO: Judge, I -- I --

22 THE COURT: That's okay. Show me what you
23 got.

24 MR. MAZZEO: Sorry about that. And so I
25 would go through the entire one, page 168. So from

1 167, 9, I would take that down to the end, go to 168,
2 the entire page. I know some of her other testimony is
3 not favorable to the plaintiff, but we should have it
4 in there for completeness.

5 MR. SMITH: What are you asking to add?

6 THE COURT: End of 167 and all of 168.

7 MR. SMITH: Thank you.

8 THE COURT: I'm okay with that.

9 MR. SMITH: Okay. We won't argue with you.

10 THE COURT: There's an objection on the
11 bottom of page 168. Just take that objection out.

12 MR. MAZZEO: Yep.

13 THE COURT: I'm going to overrule it. I
14 think it's all fair. And I think it does complete the
15 picture of her discussion about what -- what she thinks
16 she remembers being there. I think that's all fair.

17 So go 167, line 9, through the end of 168;
18 right?

19 MR. MAZZEO: Thank you. Yep.

20 THE COURT: Are we going to play both of
21 these videos? Is that the plan next?

22 MR. SMITH: Not right now.

23 MR. ROBERTS: We've got live witnesses, so
24 we'd like to proceed with those and play the videos
25 when we have -- when we have a moment of downtime, if

1 possible.

2 THE COURT: All right. We ready to go?

3 MR. MAZZEO: Ready.

4 MR. ROBERTS: Ready.

5 THE COURT: Bring the jury back.

6 THE MARSHAL: Jury entering.

7 (The following proceedings were held in
8 the presence of the jury.)

9 THE MARSHAL: Jury is present, Judge.

10 THE COURT: Thank you. Go ahead and be
11 seated. We are back on the record in Case No.
12 A-637772.

13 Do the parties stipulate to the presence of
14 the jury?

15 MR. MAZZEO: Yes, Your Honor.

16 MR. TINDALL: Yes, Your Honor.

17 THE COURT: Yeah.

18 MR. SMITH: Yes, Your Honor.

19 THE COURT: All right. Who's our next
20 witness?

21 MS. RODRIGUEZ-SHAPOVAL: Your Honor,
22 plaintiff calls Emily Garcia.

23 THE COURT: Come on up, ma'am.

24 Is this not Emily Garcia?

25 MS. RODRIGUEZ-SHAPOVAL: No, Your Honor.

1 MS. GARCIA: I'm the mother.

2 THE COURT: Come on in. We're going to have
3 you step all the way up on the witness stand. Once you
4 get there, if you'd please remain standing and raise
5 your right hand to be sworn.

6 THE CLERK: You do solemnly swear the
7 testimony you're about to give in this action shall be
8 the truth, the whole truth, and nothing but the truth,
9 so help you God?

10 THE WITNESS: I do.

11 THE CLERK: Please be seated.

12 Please state your name and spell it for the
13 record.

14 THE WITNESS: Emily Garcia. E-m-i-l-y,
15 G-a-r-c-i-a.

16 THE COURT: Go ahead.

17

18 DIRECT EXAMINATION

19 BY MS. RODRIGUEZ-SHAPOVAL:

20 Q. Good afternoon. Would you please introduce
21 yourself to the jury.

22 A. Good afternoon. I'm Emily, like I just said.

23 Q. And, Ms. Garcia, what is your relationship to
24 the plaintiff, Emilia Garcia?

25 A. I'm her daughter.

1 Q. Ms. Garcia, you will please tell the jury
2 about the other members of your family.

3 A. Sophia is 13 now. And that's my sister. And
4 Lennay is 11, my younger sister. I have a dog named
5 Clyde. We have another dog named Boy. Yeah, that's
6 our little family.

7 Q. So your sisters are 11 and 13. How old are
8 you?

9 A. I'm 19.

10 Q. And do you live with your sisters and your
11 mom?

12 A. Yeah.

13 Q. Where do you live?

14 A. We live here in Vegas, like, by the -- by
15 Aliante.

16 Q. How long have you lived in -- in Las Vegas?

17 A. Basically, my whole life. I think we moved
18 here -- I was about three or four. I was born in
19 Tucson, Arizona.

20 Q. Thank you. And are you working right now?

21 A. Yeah.

22 Q. Can you tell us what you do?

23 A. I'm a dental assistant. I've been doing that
24 for about six months now.

25 Q. Ms. Garcia, we are here today because of an

1 accident, a collision that took place on January 2,
2 2011, where a car driven by one of the defendants hit a
3 car driven by your mom. Do you remember the time
4 around that collision?

5 A. Yeah.

6 Q. How old were you at that time?

7 A. I was 14.

8 Q. And do you remember how old your sisters
9 were?

10 A. Sophia must have been eight years old and
11 Lennay was six.

12 Q. And how -- do you remember how you found out
13 that your mom had been in a collision?

14 A. My uncle told us.

15 Q. Did you find out before she came home?

16 A. Yeah. Yeah. He had -- she had been working
17 that day, and she hadn't come home her usual time. So
18 my uncle told us that she told him that she had been in
19 an accident.

20 Q. And, Emily, if it's easier for you to --

21 MS. RODRIGUEZ-SHAPOVAL: You can hear her
22 fine?

23 THE COURT REPORTER: Yes.

24 BY MS. RODRIGUEZ-SHAPOVAL:

25 Q. Do you remember when your mom arrived to the

1 house after the collision?

2 A. Yeah. She came home in the tow truck,
3 because I guess the tow truck driver gave her a ride
4 home. And the car was on the back of the tow truck.
5 So I remember getting home and greeting her. And then
6 we all walked inside the house.

7 Q. Do you remember what she did that night?

8 A. I briefly remember that night. I just
9 basically remember her getting home and getting off of
10 the tow truck. But as far as the rest of the night, I
11 really don't remember much else.

12 Q. Okay. Did there come a time when you
13 realized that your mom was hurting from the accident?

14 A. A specific time?

15 Q. Well, if you don't remember a specific time,
16 that's fine. But did you, at any given time, realize
17 that she was in pain?

18 A. I mean, eventually, I did realize. But it's
19 been so long ago that it's -- it's -- it's hard to
20 picture her not in pain because it's -- you know, I've
21 gotten so used to the fact that she's always in pain.
22 And that's something that we've kind of gotten
23 accustomed to. So it's hard for me to remember that
24 specific time where I actually realized that she was
25 hurt.

1 Q. But do you remember anything that she was
2 doing that made you realize she was not pain-free?

3 A. We weren't doing -- we weren't doing any --
4 any of our usual activities. So she would come home
5 from work and just go straight to bed. So there wasn't
6 really any in-between. So that was one of the first
7 signs. And, of course, she -- she complained a lot.
8 She would cry a lot. It was -- it was a lot that
9 happened.

10 Q. Thank you, Ms. Garcia. Now, before we go
11 more into detail about what happened to -- how things
12 changed in your house after the accident, let's tell
13 the members of the jury about your family and household
14 before the accident. Who -- who supported your
15 household?

16 A. My mom.

17 Q. Does that mean that she was paying for the
18 bills?

19 A. Yes.

20 Q. Did you work?

21 A. No.

22 Q. Were you -- you were too young to work at
23 that time?

24 A. Yeah.

25 Q. So you were not working, but were you helping

1 around with the household responsibilities, the chores
2 around the house?

3 A. Every so often, yeah.

4 Q. Okay. So just to get more specifics, who was
5 responsible for cooking?

6 A. My mom. My mom cooked a lot.

7 Q. Who would do the cleaning of the house,
8 mopping and sweeping?

9 A. Mostly my mom.

10 Q. Okay. How about laundry?

11 A. I would do my laundry, but she would do hers
12 and my sisters'.

13 Q. So would it be fair to say that for the most
14 part, your mom was responsible for the household -- for
15 the household --

16 MR. TINDALL: Objection. Leading.

17 MR. MAZZEO: Your Honor --

18 THE COURT: Sustained.

19 BY MS. RODRIGUEZ-SHAPOVAL:

20 Q. So who was responsible, for the most part,
21 for the household responsibilities?

22 A. My mom mostly. She -- I was younger, you
23 know, before the accident, so it was her mostly taking
24 over mostly everything.

25 Q. Now, you mentioned that your sisters were, I

1 think you said, six and eight before the accident?

2 A. Yes.

3 Q. What grades were they in, if you remember?

4 A. Sophia must have been in third grade, and
5 Lennay in first.

6 Q. Who got them ready for school?

7 A. My mom.

8 Q. Did you help at all with your little sisters?

9 A. No. I -- I -- starting in middle school, I
10 always left before. So my mom would always get them
11 ready.

12 Q. How about after school? Did you pick them up
13 from school?

14 A. Yeah. I would pick them up from school. Or
15 when my uncle was living with us, he would take me to
16 pick them up if I wasn't able to walk there, because my
17 mom worked during the day. So at that time when we got
18 out of school, she would be working.

19 Q. So for what period of time would you be with
20 your little sisters till your mom came home?

21 A. Till -- from around 2:00 or 3:00 o'clock,
22 until about 5:30, almost 6:00.

23 Q. Okay. And who would help them with their
24 homework?

25 A. My mom would mostly do --

1 Q. Okay. So after she got home or --

2 A. Yeah.

3 Q. Okay. And who would take care of dinner?

4 A. My mom.

5 Q. Okay. Thank you. Now, if you could please
6 tell the members of the jury about the fun activities
7 you did before the accident. Do you remember what
8 activities you did?

9 A. My mom went through a phase where she really
10 wanted to lose weight and, you know, stay active. So
11 there would be periods of time where we would go
12 walking often, where, you know, when Lennay was little,
13 we would take her in a stroller or we would take our
14 dogs walking with us. So going for walks.

15 We spent a lot of time at the pool. My
16 sisters love swimming. So we would go to the pool all
17 the time.

18 The park. For birthdays, I remember when I
19 was younger, we would have really, really big parties.
20 I mean, like, parties to where my teachers would come,
21 a lot of family, lots of food and -- or my sisters,
22 like, going to Mini Grand Prix or Circus Circus.

23 Q. Would you go to those places -- like, for
24 example, you mentioned that they like to go to the Mini
25 Grand Prix and the Circus Circus -- all the time or on

1 special occasions?

2 A. I wouldn't say all the time, but it was
3 pretty often. I mean, as much as we can -- as much as
4 we could have, we would go.

5 Q. So who would be the person to take you to
6 these places?

7 A. My mom.

8 Q. Would she get into the -- I think the
9 Adventure Dome is the place that has roller coasters
10 and rides and an arcade; am I correct?

11 A. Yeah.

12 Q. Okay. Would your mom go with you and -- and
13 enjoy those activities too?

14 A. Yeah. Yeah. She would go with us and she
15 would get on the rides.

16 Q. Would you consider your mom to be fun?

17 A. Yeah.

18 Q. Okay. Now, let's -- let's think about how
19 things changed after the accident. How would you
20 describe your household after the accident?

21 A. It got really boring. Really serious, I
22 guess you could say. It was -- it was very different.
23 Very different.

24 Q. What would your mom do after coming home from
25 work?

1 A. Sleep. She would come home and go straight
2 to bed.

3 Q. Do you think that your role within the
4 household changed?

5 A. Most definitely. Yeah. It was -- it was
6 completely different.

7 Q. Can you --

8 A. I was having to do what my mom would normally
9 have to do. So from that point on, it was me having to
10 learn how to cook, me cleaning up more, looking after
11 my sisters a lot more. You know, when -- before, it
12 was my mom would come home from work, and she would do
13 a lot of the things. And it wasn't so much of me
14 having to do -- do a lot of the stuff after she got
15 home.

16 But then after the accident, it was, like,
17 even when she was home, I was still having to keep up,
18 like if she wasn't there basically. Yes. So my
19 responsibilities were a lot greater.

20 Q. You mentioned cooking. Would you be doing
21 the cooking and also taking care of the grocery
22 shopping?

23 A. Yeah. My mom would have me -- we would do a
24 list together of -- you know, she would ask me, well,
25 what do you want to get so you will be able to make it?

1 You know, asking me what I -- what I would be able to
2 make. And she would take me to the store and have me
3 pick out the groceries. Sometimes it was to the point
4 where she wouldn't be able to get out of the car. So
5 she would have to wait in the car while we went and did
6 the groceries -- the grocery shopping.

7 Q. How about at the house? You mentioned that
8 now you were responsible for maintaining the house.
9 Were your uncle and your grandmother helping you with
10 that?

11 A. My uncle didn't really do much. As -- I
12 mean, he would -- he would take me to pick up my
13 sisters from school. But he didn't -- yeah, he didn't
14 really do much. And my grandma, she would clean up
15 after -- she would clean up in the house. But I don't
16 really remember her cooking much.

17 Q. And now, Emily, the accident happened almost
18 five years ago -- or over five years ago now. How soon
19 after that did your uncle and your grandmother move
20 out?

21 A. A few months after.

22 Q. Okay. So they haven't lived there for most
23 of the last five years?

24 A. No. No.

25 Q. Going back to the household responsibility,

1 were your sisters helping you?

2 A. After the accident?

3 Q. Uh-huh.

4 A. Sometimes. Yeah, they were still pretty

5 young. So sometimes I would have Lennay dust the

6 entertainment center, or I started teaching Sophia how

7 to separate the colors in the laundry. Then I would do

8 the laundry. So just little steps like that that I

9 would have them, you know, do. And just little things.

10 Q. So did they like you asking them to

11 participate in -- to contribute to the household, when

12 before they didn't have to do it?

13 A. No.

14 Q. Did they have a nickname for you during that

15 time?

16 A. Yeah. They used to call me the evil stepmom.

17 Q. And how did that make you feel?

18 A. Not good. It was like my bond with them had

19 changed from being able to be a big sister to having to

20 discipline them and -- and be more of a -- of a mother

21 figure and taking care of them. So that was -- that

22 was really tough.

23 Q. Did you notice that your relationship with

24 your mother changed as well?

25 MR. MAZZEO: I couldn't hear the question.

1 MS. RODRIGUEZ-SHAPOVAL: Did she notice her
2 relationship with her mother changed.

3 MR. MAZZEO: Okay.

4 MR. TINDALL: May we approach, Your Honor?

5 THE COURT: Sure. Come on up.

6 (A discussion was held at the bench,
7 not reported.)

8 THE COURT: Objection sustained.

9 BY MS. RODRIGUEZ-SHAPOVAL:

10 Q. Emily, do you remember when your mom had back
11 surgery?

12 A. Yeah.

13 Q. When was that?

14 A. That was December of, I think, 2012.

15 Q. How did the surgery affect your mother?

16 A. My mom's always been someone to not want to
17 rely on anyone and do things for herself. So after the
18 surgery, that changed completely. I mean, I had -- I
19 was having to do everything for her. And for her to
20 have to ask me to do -- to take care of her was -- was
21 a really big thing for us. Having to help her shower,
22 having to help her go to the bathroom, having to help
23 her eat. So after the surgery, it was a really big
24 impact on us.

25 Q. Can you give us an example of other things

1 that you did for your mom during that time. Things
2 that your mother couldn't do for herself.

3 A. Besides what I mentioned before?

4 Q. So at the beginning, after the surgery, was
5 she able to shower herself, or did you help her?

6 A. No. I had to help her shower.

7 Q. Okay. How about eating? Would you have to
8 help her with that?

9 MR. TINDALL: Objection. Leading.

10 THE WITNESS: Yeah.

11 THE COURT: Overruled.

12 BY MS. RODRIGUEZ-SHAPOVAL:

13 Q. How about when it was time to get out of bed?
14 Would you have to help with that?

15 A. Yeah. She wasn't able to get herself out of
16 bed.

17 Q. Now, how long did this period last that you
18 had to help her with all of these activities?

19 A. Looking back, it felt like a really long
20 time. But that's probably because I was on winter
21 break from school. So I was -- I was home all the time
22 during that time after her surgery. So it felt like --
23 it felt like it was a long time. But I would say a
24 couple of weeks was -- that it lasted that I -- I was
25 having to do everything for her.

1 Q. Did you eventually notice that your mom got
2 better after the surgery?

3 A. Yeah. Little by little. And after a time, I
4 had asked her too if -- if she had felt a difference
5 since -- since after the surgery -- I mean, since the
6 surgery. And she said that she had felt like she
7 was -- she was feeling better.

8 Q. Thank you, Emily.

9 Have you witnessed your mom be in pain after
10 the accident?

11 A. Yeah. Plenty of times.

12 Q. Now, what are some of the things that she
13 does that makes you realize she's in pain?

14 A. She's very outspoken. So if she doesn't
15 verbally tell us that, then we know because she grunts.
16 She grunts really loud. It's almost like -- like when
17 someone knocks the air out of you. And she's just --
18 and it almost sounds like she can't breathe. And
19 it's -- it sounds like the pain just like ...

20 Q. So you actually hear her grunt? Did you hear
21 her grunt before the accident?

22 A. No. Never.

23 Q. Okay.

24 A. Never.

25 Q. Had she ever complained about pain before the

1 accident?

2 A. No.

3 Q. Thank you.

4 When the collision took place, do you
5 remember what grade you were in?

6 A. It was my freshman year of high school, ninth
7 grade.

8 Q. So you were towards the end of your first
9 semester?

10 A. Yeah. So it was January at the end of my
11 first semester.

12 Q. And how were you doing academically?

13 A. I was doing okay. Not too bad.

14 Q. Okay. How about your attendance? How was
15 that?

16 A. I rarely missed school. It was maybe a
17 couple of days.

18 Q. After the accident, did that change? Did
19 your school -- was your schooling affected?

20 A. Yeah. Looking back on my transcript --

21 MR. TINDALL: Irrelevant, Your Honor.

22 Objection.

23 THE COURT: Overruled.

24 BY MS. RODRIGUEZ-SHAPOVAL:

25 Q. You may answer.

1 A. Looking back at my transcripts, there was --
2 there was a huge difference between my -- my first
3 semester and my second semester. My second semester,
4 I -- it was mostly Fs. And I missed maybe even more
5 than a month of school. So it changed dramatically.

6 MR. MAZZEO: Objection, Judge. Speculation.
7 Move to strike.

8 THE COURT: Overruled.

9 BY MS. RODRIGUEZ-SHAPOVAL:

10 Q. Did there come a point where you and your
11 mother had to go see your counselor?

12 A. Yeah. Towards the end of my junior year, I
13 thought about dropping out, because I -- I felt like
14 there was -- there was no going back from, you know,
15 having missed so much school and just not being able to
16 focus in school.

17 MR. TINDALL: Move to strike. It's the same
18 objection we had at the bench.

19 THE COURT: I don't know that this is
20 relevant, so I'm going to sustain it on this issue.

21 BY MS. RODRIGUEZ-SHAPOVAL:

22 Q. Emily, do you know if your mom felt
23 responsible for the way that you were doing in school?

24 A. Yeah.

25 Q. How do you know that?

1 A. When we had the meeting with my counselor --

2 MR. TINDALL: Same objection, Your Honor.

3 THE COURT: Different issue. I'm going to
4 allow this.

5 THE WITNESS: When we had the meeting with my
6 counselor, she expressed that she was -- that she felt,
7 you know, guilty for -- for me having to not be able to
8 focus in school. And it was -- it was very emotional
9 for the both of us because we both had realized that,
10 you know, not only had our life at home been affected,
11 but my life, you know, outside of home had been
12 affected as well. So that was really hard for me to
13 have to think about dropping out of school to take care
14 of things at home.

15 MR. TINDALL: Move to strike. Same
16 objection.

17 THE COURT: Sustained.

18 BY MS. RODRIGUEZ-SHAPOVAL:

19 Q. Emily, I know it's been a long time since the
20 accident took place, but how -- how would you describe
21 your mom now? How do you think she's doing?

22 A. She's --

23 MR. MAZZEO: Objection. Vague.

24 THE COURT: She can answer to what she
25 understands.

1 Overruled.

2 THE WITNESS: She's not as well as she was
3 before the accident.

4 MR. MAZZEO: I'm sorry, Your Honor. Could
5 you ask the witness to speak into the mic. She's
6 talking to the side.

7 THE COURT: There's a microphone right there
8 in front of you. Try to talk into the microphone so
9 everybody can hear you. Keep going.

10 BY MS. RODRIGUEZ-SHAPOVAL:

11 Q. So the question was, how is your mom doing
12 now?

13 A. So she's not as well as she was before the
14 accident. But slowly she's gotten a lot better. I
15 mean, it was -- it was really bad after the surgery.
16 And I honestly didn't think that we would have seen
17 better days, because it was -- it was traumatizing to
18 see her so -- so vulnerable and unable to do things.
19 But she's gotten a lot better. And she's -- she's able
20 to do a lot more things now.

21 BY MS. RODRIGUEZ-SHAPOVAL:

22 Q. Can you give us an example of the things that
23 she does around the house?

24 A. She cooks dinner for us. She cleans her
25 room. She cleans the kitchen.

1 Q. Are your sisters helping now?

2 A. Yeah. Yeah, it's more evenly parted now. So
3 they're older. Sophia is now 14 -- or almost 14. And
4 Lennay is 11. So they're able to do a lot more around
5 the house.

6 Q. And how about the activities that you were
7 telling us about? Is she able to go with you to Circus
8 Circus or Grand Prix?

9 A. No. She's -- she's very fearful of hurting
10 herself, so --

11 MR. MAZZEO: Objection, Your Honor, as to
12 what she's thinks -- thinks is a frame of mind for
13 someone else.

14 THE COURT: Sustained.

15 BY MS. RODRIGUEZ-SHAPOVAL:

16 Q. But has your mom ever gone with you to those
17 places? Yes or no?

18 MR. MAZZEO: I'm -- what's the question?

19 MS. RODRIGUEZ-SHAPOVAL: Has her mom ever
20 gone with her to those places.

21 MR. MAZZEO: At any time?

22 MS. RODRIGUEZ-SHAPOVAL: After -- right now,
23 currently, after the accident.

24 MR. MAZZEO: Okay.

25 THE WITNESS: No. Now, for my -- for Sophia

1 and Lennay's birthdays, I'm the one that takes them
2 out. So it's -- it's not so much of a family thing
3 now, where we do things together. It's really just me
4 and my sisters that go out and do things. So it hurts
5 that she can't be able to participate in that stuff
6 with us.

7 BY MS. RODRIGUEZ-SHAPOVAL:

8 Q. And you also mentioned earlier that your mom
9 used to throw big parties for you and cook a lot for
10 everyone. Is she doing -- has she been doing that
11 during the past five years?

12 A. No.

13 Q. Do you think your sisters are missing out?

14 MR. MAZZEO: Objection, Your Honor.

15 THE COURT: Sustained.

16 BY MS. RODRIGUEZ-SHAPOVAL:

17 Q. Do you see a difference between your
18 childhood and what your mom was able to do for you and
19 what your sisters are getting now?

20 MR. MAZZEO: Objection. Speculation.
21 Foundation.

22 THE COURT: Overruled. She can answer.

23 THE WITNESS: Yeah. There's a big
24 difference. They haven't been able to enjoy the parts
25 of my mom that I have been able to.

1 MS. RODRIGUEZ-SHAPOVAL: Thank you, Emily. I
2 have no further questions.

3 THE COURT: Mr. Mazzeo, cross?

4 MR. MAZZEO: Yes, Your Honor. Thank you.

5 MR. STRASSBURG: Judge, perhaps we should
6 take a short break.

7 MR. MAZZEO: Emily --

8 THE COURT: You need a break.

9 MR. MAZZEO: -- do you need a break?

10 THE WITNESS: I'm okay.

11 MR. MAZZEO: You're okay to go on?

12 THE COURT: Some Kleenexes right here.

13 MR. MAZZEO: Okay.

14 May I proceed, Your Honor?

15 THE COURT: Yep. Go ahead.

16 MR. MAZZEO: Thank you.

17

18 CROSS-EXAMINATION

19 BY MR. MAZZEO:

20 Q. Emily -- can I call you Emily for the
21 purposes of this testimony today?

22 A. Yes.

23 Q. Thank you.

24 So, Emily -- so I know you -- you started out
25 testifying today that this accident -- you're 19 years

1 old now?

2 A. Yes.

3 Q. Right. And you were -- I believe you said
4 you were -- was it 14 at the time of the accident?

5 A. Yes.

6 Q. You were in your first year of high school?

7 A. Yes.

8 Q. And from your -- what I gathered from your
9 testimony is that you had a hard time remembering
10 exactly the circumstances surrounding the accident and
11 the events shortly after the accident. Fair enough?

12 A. Yes.

13 Q. Okay. And -- and you also don't have any
14 firsthand knowledge of this accident that occurred with
15 your mom and another vehicle; correct?

16 A. I'm sorry. Can you rephrase that.

17 Q. Yeah. You didn't actually witness the
18 accident that your mom was in; right?

19 A. No.

20 Q. Okay. And did you know prior to testifying
21 today that your mom had claimed that she wasn't injured
22 at the scene after the accident?

23 A. No.

24 Q. Okay. And did you know that your mom was not
25 treated by any medical professionals at the scene of

1 the accident?

2 A. Yes.

3 Q. Okay. So let's -- let's talk about your
4 family for a few minutes. Now, your -- your full name
5 is -- today you testified -- when you took the stand,
6 you said you're Emily Garcia; correct?

7 A. Yes.

8 Q. And is your full name Emily Garcia Reyna?

9 A. Emily Marlene Garcia Reyna.

10 Q. Okay. And -- and so at the time, you were
11 going to Legacy High School?

12 A. At what time?

13 Q. At the time of the accident.

14 A. No.

15 Q. Oh, you weren't. When did you start high
16 school? What -- when after this accident did you start
17 high school?

18 A. I was already in high school when the
19 accident happened.

20 Q. Oh, okay. Did there come a point when you
21 went to Legacy High School?

22 A. Yes.

23 Q. Oh, okay. And -- and your father is George
24 Garcia?

25 A. Yes.

1 MS. RODRIGUEZ-SHAPOVAL: Objection. Your
2 Honor, may we approach?

3 THE COURT: Sure.

4 (A discussion was held at the bench,
5 not reported.)

6 THE COURT: Objection's sustained.

7 MR. MAZZEO: I'm sorry. I'm sorry, Counsel.
8 Sorry one other thing.

9 (A discussion was held at the bench,
10 not reported.)

11 THE COURT: Objection sustained.

12 BY MR. MAZZEO:

13 Q. Emily, so one of the -- one of the complaints
14 that your mom had prior to the accident -- or actually
15 after the accident was she complained about not having
16 enough money to pay bills; is that correct? If you
17 remember. If you know.

18 A. Yes. That I remember.

19 Q. Okay. And isn't it a fact that your mom had
20 money concerns prior to the accident?

21 A. I can't remember prior.

22 Q. Okay. And do you recall testifying in a
23 deposition on December 17th of 2013, Emily?

24 A. Yes.

25 Q. And do you recall being asked a question

1 about whether your mom had any concerns about money and
2 being able to pay rent and utilities, et cetera, prior
3 to the accident?

4 A. I'm sorry. What was the question?

5 Q. Do you recall being asked a question about
6 whether your mom had any complaints and concerns about
7 being able to -- about not having enough money to pay
8 rent or other types of utilities prior to the accident?

9 A. Yes.

10 Q. Okay. And by the way, prior to your
11 testimony today, is it -- would I be correct to say
12 that you met with plaintiff's counsel?

13 A. Yes.

14 Q. At their office?

15 A. No.

16 Q. Okay. Where did you meet with them?

17 A. Starbucks.

18 Q. And how long did you meet for?

19 A. Couple of hours.

20 Q. Okay. And -- and who was the attorney you
21 met with? Ms. Rodriguez-Shapoval?

22 A. No.

23 Q. Okay.

24 A. I'm sorry.

25 Q. Maybe you know her by the first name, Marisa.

1 A. Yes, Marisa.

2 Q. And aside from Marisa, did you meet with any
3 other attorney at the time?

4 A. At what time? I'm sorry.

5 Q. At the time that you met at Starbucks to --
6 in anticipation of your trial testimony?

7 A. When exactly?

8 Q. Well, why don't you tell me when. When did
9 you meet with Marisa at Starbucks?

10 A. Yesterday.

11 Q. Okay. And that's when. When -- when you met
12 with Marisa at Starbucks yesterday, did you meet with
13 any other attorney aside from Marisa?

14 A. No.

15 Q. Okay. And you met with her, you said, for a
16 few hours?

17 A. Yes.

18 Q. And when you met with for a few hours, she
19 told you -- she talked to you about your anticipated
20 testimony at this trial; correct?

21 A. Yes.

22 Q. And she told you -- and she wanted to go over
23 those topics with you that she would be discussing with
24 you and -- and asking you about when you took the stand
25 today; correct?

1 A. Yes.

2 Q. And -- and she had asked you a number of
3 questions about -- she'd asked you questions about
4 questions that she would ask you -- or strike that.

5 She told you questions that she would ask you
6 about at the time that you took the stand today;
7 correct?

8 A. Yes.

9 Q. Okay. And -- and when she asked you certain
10 questions about what your testimony would be, you gave
11 her responses; right?

12 A. Yes.

13 Q. And there were times during that meeting with
14 her that she asked you the questions more than once.
15 She asked you the questions several times; right?

16 A. Yes.

17 Q. Okay. And you responded each time?

18 A. No.

19 Q. Okay. You used -- you used a couple of words
20 today, and -- and I was curious, as I was sitting there
21 listening to you testify, whether those were your words
22 or whether those were words that Marisa gave to you.

23 You said that you had -- that your bond with
24 your sisters had changed. That bond -- that word
25 "bond," is that something that you thought of or is

1 that something that was suggested to you at the time
2 you met with Marisa?

3 A. I thought of it.

4 Q. And, now, is it correct to say that prior
5 to -- oh, so -- and you know -- prior to testifying
6 today, you know that your mom has a -- has a monetary
7 interest in this lawsuit?

8 A. A monetary -- I'm sorry?

9 Q. Do you know what "monetary interest" means?

10 A. No.

11 Q. Okay. You know that your mom is seeking
12 money as a result of this trial?

13 A. Yes.

14 Q. Okay. It's no secret; right?

15 A. No.

16 Q. Okay. And you know that prior to the motor
17 vehicle accident -- or it's correct to say that, prior
18 to the motor vehicle accident, you had chores around
19 the house; right?

20 A. Yes.

21 Q. And isn't it a fact that, prior to the motor
22 vehicle accident, you would normally be the first
23 person up in the house?

24 A. Yes.

25 Q. Okay. And -- and that -- isn't it a fact

1 that your -- your mother would not help you with
2 anything before you would leave for school in the
3 morning? Is that a fact?

4 A. I wouldn't say it's a fact. I can't -- I
5 can't remember.

6 Q. Can't remember. Okay. Okay. Do you
7 recall -- at the time of your deposition, you gave
8 testimony; right?

9 A. Yes.

10 Q. And -- and you had to go to a -- to an
11 office, and you sat at a table, and there was a court
12 reporter there; correct?

13 A. Yes.

14 Q. And -- and at the beginning of your
15 deposition, you were you asked -- you were told to tell
16 the truth; correct?

17 A. Yes.

18 Q. And you did tell the truth?

19 A. Yes, to as much as I could remember.

20 Q. Okay. Fair enough. And do you recall being
21 asked the question -- at the time of your deposition,
22 do you recall being asked that -- if your mom would
23 help you with anything before you left to go to school,
24 referring to prior to the motor vehicle accident? Do
25 you recall being asked that question?

1 A. No. I don't recall.

2 Q. Okay.

3 MR. MAZZEO: Publish the deposition, please,
4 for Emily Garcia.

5 THE COURT: You got it? It will be
6 published.

7 MR. MAZZEO: And can that be shown to the
8 witness, please.

9 THE COURT: Yep. Give her a second.

10 MR. MAZZEO: I know you have to cut it. I
11 wasn't rushing.

12 THE CLERK: There's two volumes.

13 MR. MAZZEO: This is Emily. There's one for
14 Emilia. It's for Emily, not Emilia.

15 THE CLERK: There's Volumes I and III for
16 Emily.

17 MR. MAZZEO: Then it would be Volume I.
18 Thank you.

19 THE CLERK: You're welcome.

20 MR. MAZZEO: No, this is Emilia. Yeah, I
21 think Emily testified once.

22 BY MR. MAZZEO:

23 Q. Emily, did you -- how many times did you
24 testify at a deposition? Just once; right?

25 A. Just once.

1 MR. MAZZEO: Just once.
2 THE CLERK: Her deposition's already
3 published?
4 MR. MAZZEO: No. No.
5 THE CLERK: I don't have one for her.
6 MR. TINDALL: I have one, Your Honor.
7 MR. MAZZEO: Judge, we have a certified copy.
8 Can I --
9 THE COURT: That will work.
10 MR. MAZZEO: That will work?
11 THE COURT: Can we publish a certified copy?
12 MR. MAZZEO: We can present the Court with --
13 MR. TINDALL: Here we go.
14 THE COURT: There you go, Emily.
15 THE WITNESS: Thank you.
16 MR. MAZZEO: All right.
17 THE COURT: Published the original. You have
18 handed her the certified copy to use. That's fine.
19 MR. MAZZEO: Okay. Thank you, Judge.
20 BY MR. MAZZEO:
21 Q. Okay. Emily, I'm going to direct your
22 attention to page 24, and we're going to start at
23 line 14. And I'm going to ask you, do you remember
24 being asked the following questions and giving the
25 following responses:

1 "QUESTION: And I'm talking again before
2 the accident. Okay. So would you get up
3 before everybody else? Did you help with the
4 other two girls?

5 "ANSWER: No.

6 "QUESTION: Would you get yourself ready
7 for school?

8 "ANSWER: Yes.

9 "QUESTION: Did your mom help you with
10 anything before you left to go to school?

11 "ANSWER: No.

12 "QUESTION: Were you gone before she even
13 woke up?

14 "ANSWER: Yes."

15 Do you recall being asked those questions and
16 giving those answers?

17 A. Yes.

18 Q. Thank you. Okay. You can close the booklet.
19 Thank you.

20 Now, you -- I -- is it also correct to say
21 that, prior to the accident, your mother would
22 sometimes help your other sisters get dressed and get
23 them breakfast?

24 A. Prior to the accident?

25 Q. Prior.

1 A. I believe so.

2 Q. Okay. And -- and so -- and I asked you
3 sometimes. But she didn't usually do that; is that
4 correct?

5 A. I'm not sure.

6 Q. Okay. And is it also correct that you would
7 be home from school before your mother got home from
8 work prior to the accident?

9 A. Yes.

10 Q. Okay. And is it a fact that you were
11 responsible for your younger sisters after school prior
12 to the accident?

13 A. Yes.

14 Q. And prior to the accident, you were the one
15 who made sure that your sisters behaved; correct?

16 A. I'm sorry. What is your question?

17 Q. You -- you -- you were the one who made
18 sure -- as the older sister, you made sure your sisters
19 behaved prior to the accident?

20 A. Yes.

21 Q. Okay. And it was you, Emily, who got your
22 sisters a snack after school; correct? Prior to the
23 accident.

24 A. Sometimes.

25 Q. Okay. And it's also correct that your mom,

1 prior to the accident, she -- her work schedule was --
2 if you remember, she was working Saturday and Sunday;
3 right?

4 A. Yes.

5 Q. And so isn't it a fact that you would watch
6 your sisters on the weekends because your mom was at
7 work?

8 A. Yes.

9 Q. Okay. And isn't it a fact that, prior to the
10 accident, you would cook several nights a week or
11 assist with -- or assist your mom in cooking?

12 A. Assist my mom with cooking?

13 Q. With cooking.

14 A. Not that I recall.

15 Q. Now, also prior to the accident, you and your
16 mom would clean up after dinner; correct?

17 A. Yes.

18 Q. Now, before the accident, part of cleaning up
19 means that you would help clean the dishes with your
20 mom; right?

21 A. Excuse me. Yes.

22 Q. And also prior to the accident, you would
23 help your mom clean the house; right?

24 A. Yes.

25 Q. And also prior to the accident, you would

1 help your mom empty the trash?

2 A. Yes.

3 Q. And as you testified to on direct
4 examination, you would do your own laundry, right --

5 A. Yes.

6 Q. -- before the accident?

7 And then also prior to the accident, you
8 would grocery shop with your mom; right?

9 A. I would go with her.

10 Q. Right. And, now, after the accident -- and I
11 know it's been five years, a little over five years.

12 So now, since the accident, you have cooked dinner;
13 right?

14 A. Yes.

15 Q. And you have cleaned dishes in the house;
16 right?

17 A. Yes.

18 Q. And you have cleaned the kitchen since the
19 accident?

20 A. Yes.

21 Q. Okay. And what I mean by that is you do that
22 on a regular basis, cleaning the kitchen?

23 A. When? I'm sorry.

24 Q. Well, when -- during the week and on
25 weekends.

1 A. After the incident?

2 Q. Yes.

3 A. Yes.

4 Q. And you would also assist your mom in
5 cleaning the house after the accident; correct?

6 A. Assist her in cleaning the house?

7 Q. Help your mom clean the house after the
8 accident.

9 A. I would clean it myself.

10 Q. By yourself?

11 A. Yeah.

12 Q. Okay. You would clean -- you would -- you
13 were responsible for cleaning the bathroom?

14 A. My bathroom, yes.

15 Q. Okay. And after the accident, you would
16 still empty the trash; right?

17 A. Yes.

18 Q. And after the accident, you'd still do
19 laundry; yes?

20 A. Yes.

21 Q. And after the accident, you would vacuum;
22 right?

23 A. Yes.

24 Q. And after the accident, you would still
25 grocery shop with your mom?

1 A. I would do the grocery shopping.

2 Q. By yourself?

3 A. She would take me. Whether it was her taking

4 me to the store and waiting for me or going in there

5 with us, but I would -- I would do the shopping.

6 Q. Okay. You'd do it, but your mom was with

7 you? Sometimes in the car; sometimes in the store?

8 A. Yes.

9 Q. Okay. Now, before the accident, it's correct

10 to say that your mom would wash herself; right?

11 A. I'm sorry?

12 Q. She would wash herself, shower and wash

13 herself?

14 A. Prior to the accident?

15 Q. Yes.

16 A. Yes.

17 Q. And prior to the accident, she would dress

18 herself?

19 A. Yes.

20 Q. And prior to the accident, she would go to

21 work?

22 A. Yes.

23 Q. And she'd work all day?

24 A. Yes.

25 Q. And then -- and then she would work all week,

1 the five days out of the week --

2 A. Yes.

3 Q. -- right.

4 And she would drive a car; right?

5 A. Yes.

6 Q. And she -- when she drove the car, she would

7 drive it to work; right?

8 A. Yes.

9 Q. And she -- after -- and then in addition to

10 driving herself to work, she would also drive the car

11 to the store; correct?

12 A. Yes.

13 Q. And she would drive to the park; correct?

14 A. If we didn't walk to the park, yes.

15 Q. Okay. Fair enough. Thank you.

16 Now, after the accident, it's correct to say

17 that your mom would wash herself; right?

18 A. Yes.

19 Q. Okay. And what I mean is I know you had

20 testified and told us that -- you gave us a specific

21 time after the surgery where you had to assist your mom

22 when she got back from the hospital; right?

23 A. Yes.

24 Q. And you said that that -- that was for, I

25 guess, a couple of weeks when you were on Christmas

1 break --

2 A. Yes.

3 Q. -- right? Okay.

4 So that didn't go on for months; that was
5 just for a week or two after the surgery?

6 A. Yeah, it was just after the surgery.

7 Q. Right. Okay. And just for a week or two
8 after the surgery?

9 A. A couple weeks, yeah.

10 Q. Okay. So other than that time, from the time
11 of the accident, January 2011, up until December of
12 2012, prior to the surgery, your mom would wash
13 herself; right?

14 A. Yes.

15 Q. You didn't assist her?

16 A. No.

17 Q. Okay. And your mom would dress herself,
18 correct, during that time?

19 A. Sometimes she had trouble with her shoes.

20 Q. Okay. But otherwise she would dress herself
21 from January 2011, after the accident, up until prior
22 to the surgery in December of 2012; right?

23 A. If there was something that she needed help
24 with, I mean, other than if she asked for help, then,
25 yeah, she would dress herself.

1 Q. Okay. And there were times when you weren't
2 around where she had to dress herself; right?

3 A. Yeah.

4 Q. Okay. Yeah. As a matter of fact, most of
5 the time during the week, during that same time period,
6 you weren't assisting her in dressing herself; she was
7 doing it herself. Right?

8 A. She was working during the week. I mean, I
9 was always home when she was home.

10 Q. She was working during the -- no, I'm asking
11 you about her dressing herself.

12 Most of the time between January of 2011 and
13 December of 2012 your mom would be dressing herself,
14 not with your assistance?

15 A. Yes.

16 Q. Okay. And after the accident, your mom
17 continued working at -- at her job, right, at Aliante;
18 right?

19 A. Yes.

20 Q. And -- and so -- and she would work there all
21 day; right?

22 A. Yes.

23 Q. And she -- she'd work there all week as well;
24 right?

25 A. Yes.

1 Q. And she would drive her car to work. Yes?

2 A. Yes.

3 Q. And she would drive her car back home from

4 work. Yes?

5 A. Yes.

6 Q. And then she would also drive her car to the

7 store for grocery shopping?

8 A. Yes.

9 Q. And in April do you recall -- after the

10 surgery in April of 2013, do you remember you went with

11 your mom -- I believe you went with your mom when she

12 went to Texas.

13 A. Yes.

14 Q. She drove to Texas; right?

15 A. Yes.

16 Q. That was about three months after the

17 surgery; right?

18 A. Yes.

19 Q. That was in the spring of 2013?

20 A. Yes.

21 Q. It's a pretty long ride; right?

22 A. Yes.

23 Q. Very long?

24 A. Yes.

25 Q. We're talking 13 hours long; right?

1 A. Yes.

2 Q. Sure. And -- and your mom drove the car;
3 right?

4 A. Yes.

5 Q. You didn't drive the car?

6 A. I didn't. My cousin was with us. She drove
7 some of it, but it was mostly my mom.

8 Q. Sure. And that was -- that was -- that trip
9 to Texas in April of 2013 was to visit your
10 grandmother --

11 A. Yes.

12 Q. -- right? Your mom's mother?

13 A. Yes.

14 Q. Okay. And that trip was two years after the
15 motor vehicle accident; correct?

16 A. Yes.

17 Q. And then -- and then you had to turn
18 around -- you were there for about -- what was it? -- a
19 week? Nine days? Ten days, if you recall?

20 A. Around two weeks.

21 Q. Two weeks. And then you had to turn around.
22 And then your mom, you, yourself, your cousin
23 drove back from Texas back to Vegas; right?

24 A. Yes.

25 Q. About the same length of time? 13-hour trip;

1 right?

2 A. Yeah.

3 Q. Okay. And, as a matter of fact, Emily, did
4 you also again go to Texas -- shouldn't say "again."

5 Did there come time when you went to Texas
6 the year before in 2012?

7 A. Yeah.

8 Q. That time, you flew to Texas; right?

9 A. Yes.

10 Q. And that was to visit your uncle?

11 A. Yes.

12 Q. Which would be your mom's brother --

13 A. Yes.

14 Q. -- right?

15 And she went with you and your sisters;

16 right?

17 A. Yes.

18 Q. And your mother had no problem flying in
19 2012; correct?

20 A. Yeah, she did.

21 Q. But she did it. She actually got on the
22 plane flew there; right?

23 A. She did it, yes.

24 Q. She did it.

25 And in connection with -- with your mom's

1 claim for which we are here at trial for, did you come
2 to learn that your mom had a preexisting condition in
3 her lower back?

4 A. No.

5 Q. Okay. Have you -- in the course of -- with
6 regard to any discussions you've had with -- with any
7 attorneys or -- or your mom with respect to this case,
8 did she ever discuss with you her -- any physical
9 condition that she had either before or after this
10 accident?

11 A. Not that I remember.

12 Q. Okay. Now, at the time of the accident,
13 you -- you-all -- you and your mom and your sisters
14 lived in an apartment complex; right?

15 A. Yeah.

16 Q. The apartment complex had a swimming pool?

17 A. Yes.

18 Q. On direct examination you said that you like
19 to go swimming; right?

20 A. Yes.

21 Q. And then in 2013 you, your mom, and your
22 sisters moved from the apartment; right?

23 Do you not remember the date? And that's
24 okay if you don't.

25 Did you ever move from the apartment?

1 A. Yes.

2 Q. Okay. You did.

3 And are you uncertain as to when? Maybe
4 you're not certain if it was in 2013 or when it was?

5 A. The beginning of 2013.

6 Q. Okay.

7 A. Yeah.

8 Q. After her surgery. After your mom's surgery;
9 right?

10 A. Yes.

11 Q. Okay. And when -- and you -- where you moved
12 to, you moved to single-level home; right?

13 A. Yes.

14 Q. The home was larger than your apartment?

15 A. Yes.

16 Q. It didn't have a swimming pool?

17 A. No.

18 Q. Okay. So -- so after you moved there, you --
19 you didn't have the benefit of a swimming pool, at your
20 new home, which you had at the apartment complex;
21 right?

22 A. Yes.

23 Q. Okay. And so your home -- you actually had
24 more space in this new home; right?

25 A. Yeah.

1 Q. And did you-all assist in helping move your
2 belongings and your furniture from the apartment to the
3 new home?

4 A. Yeah. I had friends from high school that --
5 they had trucks.

6 Q. Okay.

7 A. So they -- they did most of the -- the labor
8 work.

9 Q. Okay. And this new home had a bigger kitchen
10 than your apartment; right?

11 A. Yeah.

12 Q. And this new home had a dining room; right?

13 A. Yes.

14 Q. This new home had more space to clean; didn't
15 it?

16 A. Yes.

17 Q. Okay.

18 A. I'm sorry. A dining room?

19 Q. Yeah, a dining room.

20 A. The -- the table was in the kitchen. So it
21 was basically like a big kitchen where the table was,
22 and then a living room separate.

23 Q. Okay. Like a --

24 A. So it was like two rooms.

25 Q. Like a kitchenette, sort of?

1 A. Yeah.

2 Q. Table's off to the side of the kitchen?

3 A. Yes.

4 Q. Okay. Now, you understand that your
5 testimony is -- today is in support of your mom's
6 claim; right?

7 A. Yes.

8 Q. And -- and you've spoken with your mom about
9 testifying on her behalf; right?

10 A. Yes.

11 Q. And at any time did you tell your mom you
12 didn't want to testify in court?

13 A. No.

14 Q. Okay. And -- now, you know that you've --
15 appreciate you coming today.

16 And you know that you've taken an oath to
17 tell the truth today; right?

18 A. Yes.

19 Q. And did your mom tell you that she would give
20 you some money after the -- from -- after the trial if
21 you testified today?

22 A. No.

23 Q. Did she tell you she'd give you any money
24 after testifying?

25 A. She told me that she would help with my

1 school.

2 Q. Okay. And, Emily, you love your mom; right?

3 A. Yes, I do.

4 Q. And, even before the accident, would you
5 agree that it's been somewhat of a struggle growing up
6 in a single-parent household with your mom?

7 A. Yes.

8 Q. Okay. And you know that you coming here
9 today that your testimony will have an impact on the
10 outcome of the lawsuit or the trial; right?

11 A. Yes.

12 Q. And you know that because you were told that;
13 right?

14 A. No.

15 Q. Okay. You know, as you sit here, that --
16 that your -- your testimony might impact how much money
17 your mom receives from this jury as a result?

18 A. No.

19 Q. Now, is it fair to say that you want to see
20 your mom get money for being involved in this accident?

21 A. Yes.

22 Q. Okay. And is it fair to say that you want to
23 see your mom get compensated only for the injuries that
24 she sustained from the accident; right?

25 Or do you have not a -- or don't you have an

1 opinion about that?

2 A. No, I don't.

3 Q. Doesn't matter. Okay.

4 MR. MAZZEO: I'll pass the witness.

5 Thank you, Emily.

6 THE COURT: Mr. Tindall?

7 MR. TINDALL: No questions, Your Honor.

8 THE COURT: More from the plaintiff?

9 MS. RODRIGUEZ-SHAPOVAL: Yes, Your Honor.

10 One second.

11

12 REDIRECT EXAMINATION

13 BY MS. RODRIGUEZ-SHAPOVAL:

14 Q. Emily, Counsel asked you about our meeting at
15 Starbucks yesterday.

16 A. Yes.

17 Q. Did I tell you what to say?

18 A. No.

19 Q. Did we talk about what you remembered?

20 A. Yes.

21 MR. MAZZEO: Objection. Leading, Your Honor.

22 THE COURT: Haven't suggested the answer.

23 Overruled.

24 BY MS. RODRIGUEZ-SHAPOVAL:

25 Q. I did tell you to tell the truth?

1 A. Yes.

2 Q. Is your testimony here the truth?

3 A. Yes, it is.

4 MR. MAZZEO: Objection. Your Honor, leading.

5 THE COURT: Overruled.

6 BY MS. RODRIGUEZ-SHAPOVAL:

7 Q. Emily, counsel also mentioned about your
8 deposition.

9 How old were you when you gave your
10 deposition?

11 A. Seventeen.

12 Q. And were you trying to be -- were you trying
13 to give a testimony to the best of your memory?

14 A. Yes.

15 Q. Were you trying to be truthful?

16 A. Yes.

17 Q. He also asked you a question about a trip
18 that you took to Texas April 2013.

19 Do you remember that?

20 A. Yes.

21 Q. Why did you go to Texas that year?

22 A. We got a call saying that my grandma was
23 really sick, that she had not much longer to live.

24 Q. Thank you. He also asked you about another
25 trip that you took to Texas in 2012 where you flew, you

1 and your mother.

2 Was she able to carry her luggage?

3 A. No.

4 Q. Who carried her luggage for her?

5 A. I did.

6 Q. Counsel also asked you about having -- about
7 helping your mom around the house before the accident
8 and after the accident.

9 Would your mom have been able to do
10 everything herself before the accident?

11 MR. MAZZEO: Objection. Speculation.

12 THE COURT: The way you asked it, I'm going
13 sustain the objection and have you rephrase it.

14 BY MS. RODRIGUEZ-SHAPOVAL:

15 Q. Did your mom ever ask you to help her because
16 she couldn't do something herself?

17 A. Before the incident?

18 Q. Before the accident.

19 A. No.

20 Q. How about after the accident?

21 A. Yes.

22 Q. Emily, did your mom have any back pain before
23 the accident?

24 A. No.

25 Q. Did your mom have any pain after the

1 accident?

2 MR. MAZZEO: Objection. Speculation.

3 THE WITNESS: Yeah.

4 THE COURT: Based on her prior testimony, I
5 think she has an understanding. So I'm going to allow
6 her to answer.

7 BY MS. RODRIGUEZ-SHAPOVAL:

8 Q. Did the accident completely change both your
9 life and your mom's life?

10 MR. MAZZEO: Objection. Beyond the scope of
11 direct -- or cross, Your Honor.

12 THE COURT: How it changed her life is not
13 relevant. So I'm going to sustain it for now.

14 BY MS. RODRIGUEZ-SHAPOVAL:

15 Q. Emily, based on your observations, has the
16 accident changed your mom's life?

17 MR. MAZZEO: Objection, Your Honor.
18 Speculation.

19 THE COURT: I'm going to allow her to answer.
20 Overruled.

21 THE WITNESS: Yes.

22 MS. RODRIGUEZ-SHAPOVAL: Thank you.

23 No further questions.

24 MR. MAZZEO: Nothing further, Your Honor.

25 MS. RODRIGUEZ-SHAPOVAL: Thank you, Your

1 Honor.

2 THE COURT: Mr. Tindall, anything?

3 MR. TINDALL: Nothing, Your Honor.

4 THE COURT: Ladies and gentlemen, any
5 questions?

6 I'm not seeing any hands.

7 All right. Thank you. You're excused.

8 THE WITNESS: Okay. Thank you.

9 THE COURT: Come on up real quick, please.

10 (A discussion was held at the bench,
11 not reported.)

12 THE COURT: All right. Folks, we don't have
13 much time left. So I'm going to go ahead and just let
14 you go a little bit early. Sorry. I know that's going
15 to hurt everybody's feelings.

16 I think I can get done with my calendar
17 tomorrow by 10:00. So let have everybody come in by
18 10:00.

19 During this evening you're instructed not to
20 talk with each other or with anyone else, about any
21 subject or issue connected with this trial. You are
22 not to read, watch, or listen to any report of or
23 commentary on the trial by any person connected with
24 this case or by any medium of information, including,
25 without limitation, newspapers, television, the

1 Internet, or radio. You are not to conduct any
2 research on your own, which means you cannot talk with
3 others, Tweet others, text others, Google issues, or
4 conduct any other kind of book or computer research
5 with regard to any issue, party, witness, or attorney,
6 involved in this case. You're not to form or express
7 any opinion on any subject connected with this trial
8 until the case is finally submitted to you.

9 See you tomorrow at 10:00. Have a good
10 night.

11 (The following proceedings were held
12 outside the presence of the jury.)

13 THE COURT: All right. We're outside the
14 presence. Anything on the record?

15 MR. ROBERTS: No. I think there's a request
16 pending to have Scher taken -- S-c-h-e-r.

17 THE COURT: It's a doctor.

18 MR. STRASSBURG: He just landed. So he's
19 here from Seattle right now.

20 MR. ROBERTS: So I believe there's a request
21 to take him out of order in our case. And -- and we've
22 agreed to that as a courtesy since he's got limited
23 availability. So we'll be starting him at 10:00
24 tomorrow.

25 MR. MAZZEO: Roger. Starting Scher at 10:00

1 tomorrow morning.

2 MR. STRASSBURG: Right.

3 MR. MAZZEO: Yes.

4 MR. ROBERTS: Okay. And --

5 THE COURT: Is that going to take all day?

6 MR. MAZZEO: No.

7 MR. STRASSBURG: Well ...

8 They have it coming.

9 I don't think so, Judge. It depends on
10 Mr. Roberts' cross. But, you know, usually these guys
11 are like a four- or five-hour project.

12 THE COURT: So I guess my question is, if --
13 if he doesn't take the whole day, you have -- what do
14 you have left?

15 MR. ROBERTS: We have clips of Ms. Awerbach.
16 We have clips of Jared Awerbach. We have very a brief
17 direct examination of Jared Awerbach. And we've got
18 Emilia Garcia. And then we are ready to close our
19 case.

20 THE COURT: Okay.

21 MR. ROBERTS: If not for the out-of-order
22 witness, we would expect to close tomorrow. But ...

23 THE COURT: Okay. Well, you still may be
24 able to if we get that witness on and off quickly;
25 right?

1 MR. MAZZEO: Depends on your cross.

2 MR. STRASSBURG: Hey, Judge, as long as we're
3 here, could we air out one other issue?

4 THE COURT: Sure.

5 MR. STRASSBURG: Dr. Scher is going to
6 provide a technical opinion that covers both the
7 accident reconstruction, the physics of that, the
8 physical formulas that were utilized, the computer
9 software that he used to -- to model this accident,
10 which is then poured into his biomechanical analysis
11 and opinion, and run through another piece of software
12 that they use to model aircraft seats and seat belts
13 and biomechanical modeling stuff, which is the basis
14 for his opinions.

15 To assist the jury, we have -- actually, he
16 has prepared a PowerPoint of 98 slides, which I don't
17 believe that -- I see you laugh. I remember that case.
18 I was here for that one, Judge -- which I don't know if
19 he's going to use them all, but we believe it would
20 assist the jury to be able to see a summary of his oral
21 testimony after he's concluded it.

22 So what I was thinking of doing was -- and I
23 know that the other side has objections, so I thought
24 it would be good to talk about it -- is I thought I
25 would ask him to describe a particular segment of his

1 work, and then I would bring up the slide and ask him
2 if he can, you know, explain that it's an accurate
3 summarization of the formulas that he's talked about
4 and the photogrammetric analysis of the photographs,
5 that kind of stuff.

6 Also, some of the slides are demonstrative,
7 in that they set forth demonstrative evidence. It's
8 already in evidence. Like, for example the vehicles.
9 He did work using photogrammetrical analysis of
10 photographs. That would be very helpful to the jury to
11 have the photograph in front of them while he explains
12 that. This is just to give you a sense of the nature
13 of these slides.

14 So I have -- yesterday night, I disclosed the
15 entire PowerPoint to the other side to -- to try to
16 give them a full, fair opportunity to object -- to
17 raise any objections that -- that they may have.

18 And so I just wanted to mention that now and
19 just a preview of just the mechanics of what we would
20 be doing tomorrow. And I'm trying to do it to save
21 time as well.

22 THE COURT: Appreciate that.

23 MR. SMITH: I'm glad he brought this up. And
24 I meant to -- and would have brought it up tomorrow, I
25 guess. I forgot to bring it up today. So I'm glad

1 we're talking about it, because we do object to the
2 entire PowerPoint.

3 An expert can't get up here and give a
4 PowerPoint presentation. Let's start with that first.
5 It's a 98-slide PowerPoint presentation.

6 THE COURT: I'm not going to let him just get
7 up and talk for 98 slides, but if he uses them as he
8 just indicated, he's going to ask questions, and then
9 he's going to put the PowerPoint up to demonstrate what
10 the person is talking about and have them say whether
11 or not that summarizes what they talked about --

12 MR. SMITH: Let me address the specifics of
13 what's in it, because the specifics of what is in the
14 PowerPoint is -- almost all should be excluded.

15 First, there are a number of medical opinions
16 in his PowerPoint that apparently he intends to give.
17 There's an order from this Court on December 31st,
18 2014, excluding Dr. Scher from offering any medical
19 opinions. That is a large portion of his PowerPoint.

20 There are many, many demonstratives in his
21 PowerPoint that, in addition to relating to these
22 medical opinions, are not in his report. And he can't
23 use demonstratives that aren't in his report and that
24 he didn't rely upon to offer his opinions that -- that
25 have nothing to do with his opinions.

1 In addition, he can't put text up on the
2 screen and show the text to the jury, essentially
3 reading his conclusions and writing. That's exactly
4 the same thing that counsel objected to with our
5 doctors and our experts. They weren't allowed to read
6 from their reports. So he can't put his reports up on
7 the screen.

8 He also has a number of opinions in his
9 PowerPoint that are not in any of his reports. So, for
10 example -- to give you one example -- and I'm not going
11 to go through every single of the 98 slides. But to
12 give you one example, he claims in his PowerPoint that
13 he saw an exemplar of Mr. Awerbach's vehicle.

14 In his report, he never mentions an exemplar
15 of Mr. Awerbach's vehicle. He doesn't include any
16 pictures of an exemplar of Mr. Awerbach's vehicle. Yet
17 in his PowerPoint, there are a number of slides where
18 he claims to have inspected an exemplar vehicle,
19 because he didn't inspect Mr. Awerbach's vehicle, and
20 where he takes pictures of a vehicle that were never
21 previously produced to us.

22 There are many other opinions in the
23 PowerPoint, including rebuttal opinions to one of our
24 experts, et cetera, that are part of his reports. And
25 he can't expand on the scope of his reports at trial.

1 So I don't -- maybe Your Honor wants to take
2 it on a case-by-case basis or you want a list from me
3 in the morning of what's inappropriate or maybe what's
4 appropriate.

5 There are a few that they can use. They can
6 use pictures of the vehicles. We don't have a problem
7 with that. They can use a couple of the slides that
8 they used in opening, because I think Your Honor
9 already allowed them. Those are the slides of his
10 ultimate conclusion that the activities of daily living
11 exerted less force on Ms. Garcia's spine than the motor
12 vehicle accident.

13 They can't use spine models. He can't talk
14 about the way that a spondylolisthesis works. He
15 includes in his PowerPoint medical journal articles.
16 He also includes other articles that -- that he claims
17 are related to this and related to his field, but he
18 can't show the jury the articles he's relying upon.

19 I think I have made the point clear that the
20 vast majority of what's in there is not appropriate.
21 The things that are in there that were used in opening
22 and that don't relate to medical opinion, we don't
23 object to. That's like pictures of the vehicle, and
24 then there's a couple of diagrams from his report.

25 The remainder of it's brand-new. New

1 diagrams showing what a brand-new analysis is and all
2 of the other things I talked about, those they can't
3 use. And that's the vast majority of the slides.

4 MR. STRASSBURG: Well, after 32 years of
5 doing this work, I -- I guess maybe I don't know what
6 I'm doing. But I can tell you, Judge, that I have put
7 biomechanical accident recon guys on the stand before,
8 and Scher's opinions are within normal limits. We are
9 doing it by PowerPoint in an effort to try to speed
10 this process along.

11 I'm happy to provide -- and you'll be getting
12 these PowerPoints. I mean, they'll come up on your
13 screen along with it, so there won't be any secrets.

14 This idea that he is going to offer medical
15 opinions, you know, there they go again, Judge. We've
16 had this argument with them for two years. And the
17 ruling invariably is that biomechanical engineers can
18 offer medical opinions about causation of injury.

19 And Scher, even throughout his deposition, he
20 says, "Well, I'm not offering a medical opinion." And
21 he's not offering a medical opinion. He's offering
22 opinions that biomechanical engineers offer about how
23 the forces, physical forces of the collision, affect
24 the human body and what aspect -- you know, what form
25 those effects take.

1 Judge, this is -- this is all compelled by
2 Hallmark. Hallmark has provided a roadmap for the
3 presentation of all kinds of scientific evidence that
4 will assist the jury, and we will provide testimony
5 that hits all the factors of Hallmark so you can
6 satisfy your gatekeeping function to make sure that
7 Dr. Scher's opinions are based upon solid science,
8 objective physical principles, properly applied.

9 As to showing journal articles, you know,
10 Mr. Smith -- again, the -- the articles were just
11 shown, little snips, the title page, just to show the
12 jury they exist. He's going to tell them the titles,
13 and that's it. It's just to show that the testimony
14 he's providing, the methodology he utilizes is
15 supported by sound science published in peer-reviewed
16 journals with the Society of Automotive Engineers that
17 validated, tested, and uses this software.

18 The demonstratives that have nothing to do
19 with the opinion, well, Judge, I have gotten to know
20 you over these last three weeks, and I think I can
21 pretty much foresee what you're going to do to me if I
22 try to offer demonstratives that don't have anything to
23 do with the subject of the expert's opinion.

24 And, you know, Judge, I join you in
25 condemning that kind of conduct, and I hope you spank

1 me hard if I'm foolish enough to try something like
2 that.

3 That's about all I got to say, Judge. I
4 guess we'll have to wait on the day to see what --

5 THE COURT: Here's what my suggestion would
6 be. Because, I mean, I'm not going to let an expert
7 just get up there and start talking and teaching --

8 MR. STRASSBURG: Judge, I'm going to ask him
9 questions.

10 THE COURT: Okay. Give me a hard copy of his
11 PowerPoint slides before we start.

12 MR. STRASSBURG: Yes, sir, I will.

13 THE COURT: And before you put something up,
14 let's -- I guess we may have to talk about each one.

15 MR. STRASSBURG: Do we have a -- we don't
16 have a remote for the TV, do we?

17 THE COURT: It's not my TV.

18 MR. MAZZEO: You mean for the PowerPoint?

19 MR. STRASSBURG: Can you black out that
20 screen so I can show everybody in the room except the
21 jury the image?

22 MR. MAZZEO: You can do that on your own
23 computer. You can take it off the main screen and just
24 have it on yours.

25 MR. SMITH: If we each have a copy, you can

1 tell us what slide number it is and we can reference it
2 as well.

3 MR. STRASSBURG: That's fair.

4 MR. MAZZEO: And, Judge -- well, just -- so,
5 Judge, you're not -- okay.

6 MR. TINDALL: Did Your Honor mean one at time
7 as he's on the stand, or did you mean one at a time
8 prior to him getting on the stand?

9 THE COURT: I'm thinking while he's on the
10 stand.

11 MR. TINDALL: Okay.

12 MR. STRASSBURG: I'm thinking that too,
13 Judge, because I may skip some.

14 MR. SMITH: So we're going to have 90
15 objections?

16 MR. MAZZEO: Well, yeah, that --

17 THE COURT: Long day, isn't it?

18 MR. MAZZEO: I don't think that's -- that's
19 what --

20 MR. STRASSBURG: Judge --

21 MR. MAZZEO: -- what we anticipated.

22 MR. STRASSBURG: Judge, just so you know,
23 this is the key defense witness. This is the guy that
24 says that accident didn't create physical forces that
25 were any greater than the forces her spine had gotten

1 used to in the 30 days -- 30 years of daily living. So
2 this is the one they've got to kill.

3 MR. MAZZEO: And also, Judge, Mr. Smith has
4 no legal basis to -- to preclude the diagrams to the
5 extent that this will assist the trier of fact, the
6 jury, in understanding the methodology, the findings by
7 the expert. So it's certainly within the province of
8 what Dr. Scher can do. He can use diagrams.

9 As a matter of fact, I think plaintiff took
10 artistic license with a diagram of the back and
11 surgical procedure, where they actually put words in it
12 that were not on the actual film. And we took issue
13 with it, we objected. You permitted that because it
14 was a matter of -- it was just artistic matter of
15 interpretation, and he could be cross-examined on it.

16 So I have seen the slides, and they will
17 assist the trier of fact. So I don't --

18 THE COURT: I haven't seen them.

19 MR. MAZZEO: I know you haven't seen them,
20 but I don't want to have to run up there 96 times. My
21 legs will get tired. I think it will interrupt the
22 flow and the testimony of this -- of this expert
23 witness.

24 So if you get the slides beforehand, I would
25 like a preliminary ruling at least on which slides you

1 are --

2 THE COURT: I don't know that we need 96
3 slides during the witness's testimony. But if -- if
4 you want to use 96 slides, we may have to come up every
5 time so -- if there's an objection to them.

6 MR. STRASSBURG: Judge --

7 THE COURT: Sorry, guys.

8 MR. STRASSBURG: Judge --

9 THE COURT: I'm not going to rule in advance
10 that you can put 96 slides up.

11 MR. STRASSBURG: Judge --

12 THE COURT: I haven't seen them.

13 MR. STRASSBURG: Judge, I'm not asking you to
14 do that, and I appreciate your willingness to keep an
15 open mind. If a picture is worth a thousand words, we
16 have got a million words here that won't have to be
17 spoken. So it can save some time. It can aid the
18 understanding of the jury, assist their comprehension,
19 of the issues.

20 THE COURT: I understand the arguments, guys.
21 Give me a hard copy tomorrow, and let's deal with them
22 as they come up.

23 MR. SMITH: Can I ask you one question?

24 THE COURT: That's all we can do.

25 MR. SMITH: Not about a specific slide, but a

1 majority of our objections are going to be related to
2 these being opinions that are not in his report.

3 Would it help you to have his report to
4 assist in determining whether the objection is
5 appropriate or not?

6 THE COURT: You guys know the report a lot
7 better than I do. You're going to be able to point me
8 to where it is or where it isn't.

9 MR. STRASSBURG: And, Judge, there's more
10 than one, and it has a technical addenda which is
11 really scintillating stuff.

12 THE COURT: Yeah. If it's not in the -- if
13 you object it's not in the report, you're going to have
14 to show me that it is. And, again, it's going to be a
15 long day, it sounds like.

16 MR. ROBERTS: I'll wear my Fitbit.

17 THE COURT: Have a good night, guys. Off the
18 record.

19 MR. ROBERTS: Thank you, Judge.

20 (Thereupon, the proceedings
21 concluded at 5:04 p.m.)

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF REPORTER


STATE OF NEVADA)
COUNTY OF CLARK) ss:


I, Kristy L. Clark, a duly commissioned
Notary Public, Clark County, State of Nevada, do hereby
certify: That I reported the proceedings commencing on
Wednesday, February 24, 2016, at 9:06 o'clock a.m.

That I thereafter transcribed my said
shorthand notes into typewriting and that the
typewritten transcript is a complete, true and accurate
transcription of my said shorthand notes.

I further certify that I am not a relative or
employee of counsel of any of the parties, nor a
relative or employee of the parties involved in said
action, nor a person financially interested in the
action.

IN WITNESS WHEREOF, I have set my hand in my
office in the County of Clark, State of Nevada, this
24th day of February, 2016.


KRISTY L. CLARK, CCR #708



1 CASE NO. A-11-637772-C
2 DEPT. NO. 30
3 DOCKET U
4

5 DISTRICT COURT
6 CLARK COUNTY, NEVADA

7 * * * * *

8
9 EMILIA GARCIA, individually,)
10 Plaintiff,)
11 vs.)
12 JARED AWERBACH, individually;)
13 ANDREA AWERBACH, individually;)
14 DOES I-X, and ROE CORPORATIONS)
15 I-X, inclusive,)
Defendants.)
16

17 REPORTER'S TRANSCRIPT
18 OF
19 JURY TRIAL
20 BEFORE THE HONORABLE JERRY A. WIESE, II
21 DEPARTMENT XXX
22 DATED THURSDAY, FEBRUARY 25, 2016
23

24 REPORTED BY: KRISTY L. CLARK, RPR, NV CCR #708,
25 CA CSR #13529

1 APPEARANCES:

2 For the Plaintiff:

3 GLEN J. LERNER & ASSOCIATES
4 BY: ADAM D. SMITH, ESQ.
4795 South Durango Drive
Las Vegas, Nevada 89147
5 (702) 977-1500
asmith@glenlerner.com

6 - AND -

7 WEINBERG, WHEELER, HUDGINS, GUNN & DIAL,
8 BY: D. LEE ROBERTS, JR., ESQ.
BY: TIMOTHY MOTT, ESQ.
9 BY: MARISA RODRIGUEZ-SHAPOVAL, ESQ.
6385 South Rainbow Boulevard
10 Suite 400
Las Vegas, Nevada 89118
11 (702) 938-3838
lroberts@wwhgd.com

12
13 For the Defendant Andrea Awerbach:

14 MAZZEO LAW, LLC
15 BY: PETER MAZZEO, ESQ.
BY: MARIA ESTANISLAO, ESQ.
631 South 10th Street
16 Las Vegas, Nevada 89101
(702) 382-3636

17
18 For the Defendant Jared Awerbach:

19 RESNICK & LOUIS
20 BY: ROGER STRASSBURG, ESQ.
BY: RANDALL W. TINDALL, ESQ.
5940 South Rainbow Boulevard
21 Las Vegas, Nevada 89118
(702) 997-3800

22
23

24 * * * * *

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESS:	PAGE
<u>IRVING SCHER, PH.D.</u>	
Direct Examination by Mr. Strassburg	5
Voir Dire Examination by Mr. Roberts	68, 177
Voir Dire Examination by Mr. Strassburg	148
Voir Dire Examination by Mr. Mazzeo	171

1 LAS VEGAS, NEVADA, THURSDAY, FEBRUARY 25, 2016;

2 10:50 A.M.

3
4 P R O C E E D I N G S

5 * * * * *

6
7 THE COURT: Bring the jury in.

8 THE MARSHAL: Jury entering.

9 (The following proceedings were held in
10 the presence of the jury.)

11 THE MARSHAL: Jury is present, Judge.

12 THE COURT: Thank you. Go ahead and be
13 seated, folks. Welcome back. We're back on the
14 record, Case No. A637772.

15 Do the parties stipulate to the presence of
16 the jury?

17 MR. MAZZEO: Yes, Your Honor.

18 MR. STRASSBURG: Yes, Your Honor.

19 MR. ROBERTS: Yes, Your Honor.

20 THE COURT: Sorry for the delay, folks. We
21 had some technical difficulties we were working
22 through.

23 We are still in the plaintiff's case. The
24 plaintiffs have not rested. They still have additional
25 witnesses, but we have a defense witness that has to be

1 on today. So we're going to take that witness out of
2 order is my understanding.

3 So, Mr. Strassburg, who's your witness?

4 MR. STRASSBURG: Jared Awerbach would call
5 Dr. Irving Scher from Seattle, Washington.

6 Dr. Scher?

7 THE COURT: Come on up, sir. I'll have you
8 step all the way up on the witness stand. Once you get
9 here, please remain standing, raise your right hand,
10 and be sworn.

11 THE CLERK: You do solemnly swear the
12 testimony you're about to give in this action shall be
13 the truth, the whole truth, and nothing but the truth,
14 so help you God.

15 THE WITNESS: I do.

16 THE CLERK: Please state your name and spell
17 it for the record, please.

18 THE WITNESS: Irving Scher. I-r-v-i-n-g.
19 Last name is S-c-h-e-r.

20 THE COURT: Thank you.

21 Go ahead, Mr. Strassburg.

22

23 DIRECT EXAMINATION

24 BY MR. STRASSBURG:

25 Q. Dr. Scher, what did I engage you to do?

1 A. To do two parts of an analysis, an accident
2 reconstruction analysis; that is, to figure out what
3 happened to the vehicles in the accident. And then a
4 biomechanical engineering analysis, which is what
5 happened to the occupants during the accident.

6 Q. And how old a man are you?

7 A. I'm 42.

8 Q. Where are you from?

9 A. I live in Seattle, Washington.

10 Q. Okay. Do you have any education that was
11 useful to you in performing the assignment that I gave
12 you?

13 A. Yes.

14 Q. And would you share that with us?

15 A. Sure. I went to undergrad at the University
16 of Pennsylvania -- that's in Philadelphia -- where I
17 majored in mechanical engineering and applied
18 mechanics. I got a minor in chemistry there.

19 And then I went to UC Berkeley, where I
20 studied mechanical engineering. And I got my master's
21 and PhD at Berkeley. My concentrations were in dynamic
22 systems -- that's how objects move and how they
23 interact -- and biomechanics.

24 And then, after that, I was an adjunct
25 professor at USC for a period of time. And now I'm

1 part of guidance engineering up in Seattle, Washington.
2 But I'm also part of the applied biomechanics lab at
3 the University of Washington.

4 Q. And in your education at -- what was it? --
5 the University of Pennsylvania?

6 A. Yes.

7 Q. And in Philadelphia?

8 A. That's right.

9 Q. What was your grade point?

10 A. It was a 3.58.

11 Q. And what were the courses that you were
12 taking in which you earned that 3.58 out of 4?

13 A. Standard mechanical engineering courses:
14 statics, dynamics, strength of materials, physics.
15 It was very heavy in math as well. I also took a
16 number of courses in chemistry, for example, organic
17 chemistry and physical chemistry.

18 Q. And in your postgraduate program, did you get
19 grades in that program at Berkeley?

20 A. I did.

21 Q. And what was your grade point?

22 A. It was a 3.71.

23 Q. Out of?

24 A. Out of 4.

25 Q. Now, you mentioned a word, "biomechanics."

1 Would you tell us what you mean by that?

2 A. Sure. Biomechanics is the study of the human
3 body as a mechanical system. So it's essentially
4 applying the principles of engineering mechanics to
5 biological systems of the human body.

6 Q. All right. And do you have a illustration of
7 an example of a human body performing a load-bearing
8 activity that might be relevant to explain how you
9 applied biomechanics in this case?

10 A. Yes.

11 MR. STRASSBURG: Permission to show Slide 3?

12 MR. ROBERTS: No objection, Your Honor.

13 THE COURT: That's fine.

14 BY MR. STRASSBURG:

15 Q. And please explain how this slide illustrates
16 the application of biomechanics that you performed for
17 this case.

18 A. Sure. In this picture we have an individual
19 during one of these strongman competitions lifting an
20 atlas ball, a very big, heavy ball. And as a
21 biomechanical engineer, the first thing that goes
22 through my mind is there are huge loads on the lumbar
23 spine.

24 Because if you look at what's happening as a
25 mechanical system, you have the muscles in the back

1 pulling with a very short lever arm on the vertebrae.
2 Then you have this large mass very distant from the
3 what is essentially the fulcrum. And it's very heavy,
4 very long lever arm. And those have to balance at
5 least quasi-statically.

6 And so what you wind up finding out is that
7 the forces from the muscles on the lumbar spine
8 compress the lumbar spine with very, very large loads.

9 Q. Now, I see that you've utilized a male
10 illustration in this. This case involves, as you know,
11 a female.

12 Can you give us a verbal illustration of how
13 these would apply in the case of, say, a female?

14 A. Sure. For example, if a woman is lifting an
15 atlas ball, that would be the same type of analysis.
16 But it applies to lifting any object, whether it's a
17 box, a bag of coins. If a woman is pregnant and has a
18 child, and that child is going to be distant from the
19 spine, that mass over that long lever arm is going to
20 create large loads on the lumbar spine.

21 It's the same type of analysis.

22 Q. And, obviously, as we saw yesterday,
23 Ms. Garcia has been pregnant on three occasions.

24 A. She has.

25 Q. Okay. And how would you characterize the

1 loads on the lumbar spine that a typical pregnancy
2 would impose?

3 A. In general, they would be higher than one
4 would expect. Loads on the lumbar spine tend to be
5 higher than I think people realize in general.

6 Q. Well, now, you mentioned a lever, a fulcrum.

7 Would -- would the loads from carrying a
8 child to term -- would it just be the weight of the
9 child or would it be less or more?

10 A. It's the weight of the child plus the upper
11 body. All of the mass that's above the level of the
12 lumbar spine that we're interested in would come into
13 play.

14 Q. Now, do -- does biomechanics that you are in,
15 does it concern itself with injury?

16 A. It does.

17 Q. Now, as a biomechanical engineer, when you
18 use the term "injury," do you use it the way a
19 physician does or in some other -- with some other
20 meaning?

21 A. No. As a biomechanical engineer, when I
22 think of injury, I think of damage to structures of the
23 body, so physically breaking a bone or tearing a
24 ligament or evulsing part of a ligament off of a bone.

25 Medical doctors include pain as injury. And

1 because that's subjective, we don't deal with that in
2 biomechanical engineering.

3 Q. You just deal with facts?

4 A. Just with the objective damage to the
5 structures of the body.

6 Q. Now, do you have a illustration with you that
7 would enable you to illustrate for us how biomechanics
8 principles are applied to the study of injury as
9 biomechanical engineers like yourself understand that
10 term?

11 A. Yes.

12 MR. STRASSBURG: Permission to show Slide 4?

13 MR. ROBERTS: No objection, Your Honor.

14 THE COURT: That's fine.

15 BY MR. STRASSBURG:

16 Q. Now, I'm showing you Slide 4. You have
17 brought a -- a picture of what appears to be an X ray
18 or some medical imaging and a list of relationships.

19 Can you explain to us with this illustration
20 how biomechanics studies this relationship between the
21 physical forces and injury as biomechanical engineers
22 understand that term?

23 It's sort of like damage -- yeah, it's sort
24 of damage but not pain; right?

25 A. That's correct.

1 Q. Okay. Go ahead.

2 A. So as an injury biomechanist, I look at the
3 relation between mechanical loads and damage to the
4 structures of the body.

5 And so if you look on the right-hand side of
6 the slide, you'll see an X ray of the tibia and fibula.
7 That's the shin bone and the small bone that goes on
8 the outside of the tibia. And the two orange circles
9 indicate fractures of those bones. It happens to be
10 what's called a spiral fracture of the tibia and
11 fibula.

12 And the mechanism is -- and this is where the
13 biomechanics becomes important. It's a torsion, a
14 twisting of the tibia that creates this type of spiral
15 fracture. And we know that from biomechanical
16 engineering studies. We also know from these
17 biomechanical engineering studies how much torque it
18 takes and how to try to prevent that.

19 In this case it was a ski that did not
20 release during a twisting fall, and so the bindings
21 actually allowed too much torque to be applied to the
22 tibia. And as injury biomechanists, we want to try to
23 prevent that torque from being applied.

24 So it's not just analyzing accidents
25 afterwards for, say, the purpose of litigation. It's

1 actually to improve safety, and that's the main focus
2 of injury biomechanics.

3 Q. Now, when -- when you say the term
4 "mechanism," how do biomechanical engineers, when they
5 analyze human systems, use the concept of a mechanism?

6 A. The mechanism here is the forces, the
7 torques, and the directions of those forces and torques
8 as they apply to the structures of the body and would
9 those forces and torques create the damage that we're
10 seeing.

11 For example, in this slide, if there were a
12 large compressive load instead of a torsion, the
13 fracture would be different or maybe the person
14 wouldn't have been injured. So we know what load was
15 applied to the tibia in this case, in the picture,
16 based on the fracture itself.

17 Q. And have you applied the term -- the concept
18 of tolerance in -- in performing a biomechanical
19 analysis?

20 A. There are a lot of different ways to do that.
21 There are biomechanical engineering studies that look
22 at how much force, how much torque it takes to create
23 damage to tibia, to vertebrae, to different structures
24 of the body. But there's another way of doing it as
25 well, and that's to look at what forces the body can

1 withstand or resist under normal activities. And you
2 can use that as a lower limit for what the body can
3 tolerate.

4 Q. Without injury?

5 A. That's right.

6 Q. All right. And then injury severity, how do
7 you factor that into a biomechanical analysis?

8 A. Sure. Essentially, if you have 10,000 pounds
9 applied to a structure versus 2,000 pounds, the
10 10,000 pounds will have more likelihood to create
11 damage and would likely create more damage. So it's
12 the relationship of the amount of force, the amount of
13 torque to the amount of damage.

14 Q. Okay. Now, the factor of likelihood, how do
15 biomechanical engineers use that idea in performing the
16 kind of biomechanical analysis that you did in this
17 case?

18 A. We use what's called a factor of risk
19 analysis. Essentially, you have some level that you
20 choose as the tolerance value or the amount of force or
21 torque that the structure can withstand. And then you
22 look at the loads that are applied in the activity that
23 you're interested in, and you see what percentage of
24 the tolerance value you come to.

25 If it's less than 1, injury likelihood is

1 low. If it's greater than 1, it's high. And if it's
2 much greater than 1, then injury likelihood is very
3 high.

4 Q. And does the biomechanical analysis of
5 likelihood -- does that have anything to do with
6 epidemiology?

7 A. No, it does not.

8 Q. What does it have to do with?

9 A. This is a relationship between forces.
10 Certainly you can have likelihoods from epidemiology.

11 Epidemiology is the study of injuries and
12 illness and the rates that they occur at. So it's
13 essentially statistics. This is different. This is
14 forces and the relationship of forces.

15 Q. Now, Dr. Scher, are you just a hired gun for
16 lawyers to bring into court, or do you do biomechanical
17 engineering outside the litigation context?

18 A. Most of my time is spent doing other
19 activities, other biomechanical engineering endeavors.
20 Litigation takes up maybe 30 to 40 percent of my time
21 depending on, you know, the week that we're in.

22 Q. So what other kind of biomechanical work do
23 you do that's got nothing to do with litigation?

24 A. My main focus is snow-sport and water-sport
25 safety. So I look at how injuries are created during

1 skiing and snowboarding and water sports like
2 waterskiing, wakeboarding, and things like that. And I
3 do a lot of research and try to promote safety in those
4 areas.

5 I happen to be one of the two U.S.
6 representatives for snow-sport safety in the ISO and
7 the scientific chairman for the International Society
8 for Ski Safety. Things like that. So that's what most
9 of my time is taken up with.

10 Q. So can you tell us what makes Lindsey Vonn so
11 fast?

12 A. She's good.

13 Q. Okay. Now, in your -- Guidance Engineering,
14 who founded that company?

15 A. Me and two other people.

16 Q. And what does it do?

17 A. We do engineering consulting work. We do
18 engineering analyses for cases like this. But we also
19 do a lot of research for product development, for
20 snow-sport safety, water-sport safety, things of that
21 nature as well.

22 Q. Do you have any experience providing -- doing
23 accident reconstruction and biomechanical analyses with
24 respect to automobile accidents?

25 A. Yes.

1 Q. Tell us that.

2 A. I have done automobile crashes, analyzed them
3 for, jeez, about 10 or 11 years now. And while it's
4 not the main focus of my work, the same principles that
5 apply for preventing injuries in recreational sports
6 apply to motor vehicles as well.

7 Q. And what are the scientific disciplines that
8 one must master to do a valid accident reconstruction?

9 A. I think you have to have a good understanding
10 of physics, mechanics in general, and you have to be
11 reasonably good at math.

12 Q. And do you have any licenses as an engineer?

13 A. I do.

14 Q. And what are they?

15 A. I'm a professional engineer in the state of
16 Washington, California, and Alaska.

17 Q. And what is your discipline?

18 A. Mechanical engineering.

19 Q. And how long have you been a licensed PE in
20 those states?

21 A. I think starting in 2004. But I could be
22 wrong on that date. I think that's what it is.

23 Q. And have you practiced mechanical engineering
24 for biomechanical purposes ever since your licensure?

25 A. I have.

1 Q. Now -- and have you had occasion to submit
2 yourself to a court of law for qualification as an
3 expert in biomechanics on prior occasions?

4 A. I have.

5 Q. And have you been so qualified?

6 A. I have.

7 Q. Now, one of the issues I want to get out of
8 the way first is, do you see that there is a difference
9 between what biomechanical engineers such as
10 yourself --

11 Oh, I should ask, how come you don't have a
12 license in biomechanical engineering?

13 A. There's not one offered. There is no PE
14 discipline of biomechanics.

15 Q. So does that mean biomechanics isn't like a
16 real science?

17 A. No, it's real. There are departments all
18 over and universities all over the country that study
19 this. There are divisions of the National Institute of
20 Health that deal with biomechanics. You know, Harvard
21 has a program. Stanford has a program. Penn has a
22 program, University of Washington.

23 This is a real discipline. It just doesn't
24 happen to have a PE license for it.

25 Q. And do biomechanical engineers ever work in

1 industry, or do they just work in consulting?

2 A. Well, they do both.

3 Q. Could you give me some examples of the
4 application of biomechanical engineering in industry
5 that we might be familiar with?

6 A. Sure. I have friends who work for a company
7 that does restraint systems, so airbags and seat belts
8 for fire trucks and ambulances. And those
9 biomechanists look at safety in those vehicles.

10 I have friends who do medical devices. So
11 whether it's a stent or a hip replacement or a knee
12 replacement, helping to design those and make them
13 better for the end user.

14 So these are all biomechanical engineers in
15 industry.

16 Q. Now, viewed biomechanically, does the
17 human -- is the human body subjected to the same
18 physical forces and laws as any inanimate physical
19 system is, or are there different ones that are special
20 to the body?

21 A. It's the same laws of physics. The same laws
22 of physics apply to cars, people, animals, everything.

23 Q. Okay. As I promised now, could you
24 explain -- I get -- do you see any difference between
25 what biomechanical engineers do and what physicians do

1 when it -- when it comes to determining the cause of
2 injuries?

3 A. Yes.

4 Q. And could you describe for us that
5 difference?

6 A. I can. I have an illustration, I think, that
7 will help describe it better, if it's okay to show
8 that.

9 MR. STRASSBURG: Fair enough. Permission to
10 show Slide 5?

11 MR. ROBERTS: Objection. Hearsay. Incorrect
12 statement of the law.

13 THE COURT: Come on up.

14 (A discussion was held at the bench,
15 not reported.)

16 THE COURT: Objection is overruled. You can
17 show Slide 5.

18 BY MR. STRASSBURG:

19 Q. Dr. Scher, without treading into the
20 medicine, can you use this slide to describe for us how
21 biomechanical engineering perceives the difference
22 between what it does and medicine?

23 A. Sure. So the way I like to describe this is,
24 going from the upper left in the slide where it says
25 "event" to the bottom right in the slide that says

1 "outcome." I usually like to lay these out one at a
2 time.

3 So if we have some type of event -- whether
4 it's an auto accident, someone skiing, someone walking,
5 whatever it is, they trip, they fall, they land on
6 something -- during that event, there are forces and
7 motions, forces upon the individual and motions created
8 from the forces and their actions. Those forces and
9 motion cans create injury.

10 And here -- this is a broader sense of
11 injury. This is not just damage to the structures of
12 the body. It could also be pain. There could be some
13 problem. And the person needs to figure out what's
14 wrong and how to get better. They need to get
15 diagnosed and treated to get to an eventual outcome.
16 Hopefully they have the same function, the same
17 abilities as they had before the event.

18 The link between the event and the injury and
19 specifically damage to the structures of the human
20 body, that's biomechanical engineering. The forces,
21 the motions, looking at the physics of what happened,
22 the physics for the person.

23 After the injury, the diagnosis and
24 treatment, that's not biomechanical engineering. That
25 would fall under the category of medicine. That's what

1 medical doctors do, not biomechanical engineers.

2 Q. Now, did you perform an analysis of the
3 forces and motions involved in Ms. Garcia's accident on
4 January 2nd, 2011?

5 A. I did.

6 Q. And what is the difference, as you see it,
7 between forces and motion?

8 A. Motions are generally how different body
9 parts move specifically relative to one another, and
10 force is -- as we all take the term "force" -- would
11 mean having something press on or -- or shear or
12 move -- or not move, but apply a force, apply a
13 physical force to a structure.

14 Q. Now, just as a preview of where we're going
15 in all this, I'd ask you, have you come to any
16 conclusions about this accident based upon your
17 biomechanical engineering?

18 A. Yes.

19 Q. All right. And can you preview for us, real
20 short, just quick, what those conclusions are?

21 MR. ROBERTS: Objection. Foundation.

22 THE COURT: I think I have to sustain that at
23 this point.

24 MR. STRASSBURG: Okay. All right. Before we
25 get into these bases for his opinions, I move that he

1 be recognized by the Court as an expert in
2 biomechanical engineering.

3 MR. ROBERTS: No objection, Your Honor.

4 THE COURT: He'll be so recognized.

5 MR. STRASSBURG: Thank you.

6 BY MR. STRASSBURG:

7 Q. Now, in performing your analysis, did you
8 utilize a particular methodology?

9 A. I did.

10 Q. And is the methodology you use one that you
11 cooked up on your own, or is it a standard analysis
12 procedure in biomechanical engineering?

13 A. It would be standard for analyzing the
14 biomechanics of a motor vehicle accident.

15 Q. And has it been recognized by many
16 professional organizations outside the litigation
17 context?

18 A. Yes.

19 Q. Explain.

20 A. For example, the government, through NHTSA,
21 the National Highway Transportation Safety
22 Administration, they actually analyze a certain number
23 of accidents per year and they use the same methodology
24 that I used in this case.

25 Q. All right. And you performed two types of

1 investigations?

2 A. It has two parts, yes.

3 Q. And what were they?

4 A. The accident reconstruction part, that's what
5 happened to the vehicles. And the biomechanical
6 engineering part, that's what happened to the people.

7 Q. In this case Ms. Garcia?

8 A. That's right.

9 Q. And when you analyze biomechanically what
10 happened to her, what level of specificity did your
11 analysis -- was it powerful enough to take you to? Was
12 it just the gross level of her body or more
13 particularized to parts of her body?

14 A. Not sure I understand your question.

15 Q. I don't blame you.

16 Did you -- what I meant was, did you just
17 look at how her body moved, or did you look at how her
18 spine moved?

19 A. I look at how her body moved and how her
20 spine moved.

21 Q. All right. And how were you able to do
22 something like that?

23 A. So using the accident reconstruction to
24 figure out what happened to the vehicles, I was then
25 able to use a computer simulation using a software

1 package that is standard in the biomechanical
2 engineering community. And I looked at what happens to
3 the occupants or someone of the same height and weight
4 as Ms. Garcia with the vehicle moving how it did in the
5 accident.

6 Q. And did you perform any analysis of forces?

7 A. Yes.

8 Q. Would you tell us what?

9 A. Sure. Using that same computer package, it
10 actually provides information about the forces and the
11 torques that occur at various levels of the spine. So
12 I'm able to get forces from the accident, and then I
13 compared them to forces of other activities and looked
14 at the difference between the two force levels.

15 Q. These other activities like what?

16 A. For example, walking or picking up a 20-pound
17 box or package or picking up a 25-pound bag of coins,
18 things like that.

19 Q. And did you make any attempts to double-check
20 your work?

21 A. I did.

22 Q. How did you do that?

23 A. I looked at the national databases,
24 specifically the one that I mentioned a few minutes
25 ago, the one from NHTSA, and I wanted to see if there

1 were similar accidents; and, if there were, would they
2 have injuries that are being claimed in this case.

3 Q. And when you did your accident reconstruction
4 analysis, did you do -- make any efforts to check your
5 work on that?

6 A. I did.

7 Q. How?

8 A. I used a two-part analysis series. The first
9 was I analyzed the motion of the vehicles themselves
10 using a software package called PC-Crash, and I imagine
11 we'll get into that. And then I checked the work with
12 a basic set of hand calculations using crush energy,
13 and they matched up very well.

14 Q. All right. And is there a slide that you
15 have that summarizes what we've just covered?

16 A. There is.

17 MR. STRASSBURG: Permission to show 7?

18 MR. ROBERTS: No objection.

19 THE COURT: That's fine.

20 BY MR. STRASSBURG:

21 Q. Why don't you come down here. Do you mind?

22 A. I don't mind.

23 Q. Right here, please, and let's just make
24 sure -- all right. Now, is this the roadmap for your
25 entire presentation?

1 A. It is.

2 Q. Okay. So when we get to here, are you done?

3 A. I'm done.

4 Q. Okay. Now, in performing the accident
5 reconstruction analysis, what were the -- the -- what
6 was the data that you utilized to -- to do this with
7 respect to the motions of the vehicles?

8 A. Well, sure. Pretty much everything that you
9 provided me. So there were deposition testimonies;
10 there were repair estimates; photographs of the
11 vehicles. I went to a satellite imagery to get what
12 the roadway look like, the measurements of the roadway,
13 things of that nature. And then I took
14 vehicle-specific information -- for example, wheel base
15 and weights of the vehicles -- and --

16 Q. Which vehicles?

17 A. The Hyundai Santa Fe that Ms. Garcia was
18 driving and the Suzuki Forenza that Mr. Awerbach was
19 driving. And --

20 Q. Well, wait a minute. Do you -- did you
21 actually look at the vehicles involved in the accident?

22 A. No, I didn't personally inspect the
23 physical -- physically, the vehicles. I used the
24 photographs in a process called photogrammetry to look
25 at what the damage was on the vehicles.

1 Q. All right. And did you do anything to check
2 the results of your photogrammetry analysis of the
3 actual photographs of the actual vehicles?

4 A. I'm sorry. One more time.

5 Q. Okay. How did you use the photogrammetry
6 analysis? Did you just look at the vehicles in the
7 crash report or did you look at other vehicles as well?

8 MR. ROBERTS: Objection. Beyond the scope of
9 his report.

10 MR. STRASSBURG: This is the exemplar.

11 THE COURT: Come on up for a minute.

12 (A discussion was held at the bench,
13 not reported.)

14 MR. STRASSBURG: I will withdraw the
15 question.

16 BY MR. STRASSBURG:

17 Q. Now, in your biomechanical engineering
18 analysis, when you looked at the motion of her body,
19 how did you relate that to lumbar spine forces in the
20 accident?

21 A. Sure. So when I did the analysis using the
22 program called MADYMO, it actually provided the motions
23 and the forces on the lumbar spine in the simulation
24 itself.

25 Q. Okay. And when you did the analysis of the

1 motions of the vehicles, did you use computer software
2 or did you do that by hand?

3 A. Both.

4 Q. And the software?

5 A. The software is a program called PC-Crash.
6 It allows you to do the balance of linear momentum, the
7 balance of angular momentum, the conservation of energy
8 quickly and easily, easier than I can do it by hand.
9 So I can do a number of parameters and look at how they
10 affect the motion of the vehicles?

11 The hand calculations parts were the crush
12 analysis to check that the PC-Crash model was giving me
13 results that I could believe in.

14 Q. Okay. So to get to here, motions of the
15 vehicles, that's the PC-Crash part.

16 A. That's correct.

17 Q. Then to get to here, B, 1B, that's the crush
18 energy analysis by hand that you did.

19 A. That's right.

20 Q. Okay. And then you take those results, and
21 you pour them into here, which is the MADYMO software;
22 right?

23 A. That's right.

24 Q. All right. And then how do you -- and that
25 gets you to B, which is the lumbar spine force from

1 this particular accident; right?

2 A. That's right, on someone of the same height
3 and weight as Ms. Garcia.

4 Q. All right. And then how do you get from the
5 results of the MADYMO analysis of spine forces to the
6 lumbar spine force from other activities?

7 A. Sure. For that, it's essentially the method
8 that I was talking about earlier where the person was
9 lifting the Atlas ball, but there's a piece of software
10 that I use that does those calculations for me very
11 quickly, and it's called Michigan 3D.

12 And so I put in the various positions and
13 forces that someone of Ms. Garcia's size would have to
14 lift or would be lifting or moving, and then it would
15 provide me with the forces on the lumbar spine.

16 Q. So when you say other activities, you don't
17 mean in this accident; you mean before this accident?

18 A. Before and after.

19 Q. All right. Like activities of daily life;
20 right?

21 A. That's right.

22 Q. Now, when -- after you get the results from
23 your analysis for lumbar spine force from this
24 accident, your analysis for lumbar spine force from the
25 other activities of daily living before the accident,

1 then how do you get to part D, the comparing the
2 forces?

3 A. Sure. As the name implies, you compare the
4 two. What we know is if the spine can withstand the
5 forces of the everyday activities without creating
6 damage to the structures of the spine, then it should
7 be able to withstand those same forces or lower forces
8 in the accident.

9 Q. So is it like if you can run a mile, well,
10 then you can run half a mile?

11 A. Sure. Yeah.

12 Q. All right. And, then, how did you get from
13 the comparison of forces to checking the national
14 databases?

15 A. Sure. So my result for 2D, the comparison of
16 forces, said that the likelihood for injury was very
17 low. The forces from the subject accident -- well,
18 we'll get into that. But I then wanted to check with
19 the NASS/CDS database -- that's the NHTSA database --
20 to see if, in fact, accidents like this would be likely
21 to create this damage. And the answer was no, it's not
22 likely.

23 MR. ROBERTS: Objection. Foundation.

24 THE COURT: I'm going to sustain that at this
25 point.

1 BY MR. STRASSBURG:

2 Q. All right. Let's get started.

3 Okay. Great. Thank you. Thank you very
4 much.

5 Now, let -- let me just talk again about this
6 concept of force comparison. Remember we just covered
7 that?

8 A. Sure.

9 Q. Do you have an illustration with you that
10 explains how you utilize this comparison of forces to
11 come to the conclusions you're going to express here
12 today?

13 A. Yes.

14 MR. STRASSBURG: Permission to show Slide 8?

15 MR. ROBERTS: No objection.

16 THE COURT: That's fine.

17 BY MR. STRASSBURG:

18 Q. All right. Would you explain to us, and --
19 and maybe you ought to come down here just so we can --
20 it seems to be quicker if we do it this way.

21 Could you explain to us how this illustrates
22 the logic you employed of your -- with your force
23 comparison.

24 A. Sure. So the idea is that the forces
25 preaccident from activities of daily living, if those

1 were applied to the lumbar spine, then the structures
2 of the spine, the ligaments, the muscle, all of it
3 could resist those forces without damage. So that's --

4 Q. How do we know that?

5 A. Because we know she doesn't have pain, she
6 doesn't have any problems before the accident.

7 Q. All right. So let me get this straight. Did
8 you do any bone-sampling of her spine to see how strong
9 her bones were?

10 A. No.

11 Q. Did you do any, like, analysis of the degree
12 of deterioration of the bones of her spine to see how
13 strong they were?

14 A. No.

15 Q. And did you do any analysis of the disks in
16 her spine to see what their, like, frictional
17 coefficient was?

18 A. That doesn't make any sense, but no.

19 Q. Don't beat around the bush, Doctor.

20 A. Sorry.

21 Q. You know, if you got a comment, just hit me.

22 All right. So did you -- did you do -- do
23 any analysis to see what condition her facets were in
24 to -- you know, for her particular spine?

25 A. So I did review the medical records. I did

1 look at what was in there. But this force comparison
2 does not require that. We know that her spine could
3 resist the forces of activities of daily living before
4 the accident. So that gives us a -- a bound that we
5 know below that level the spine should be able to
6 resist the forces.

7 Q. All right. And so, then, of what relevance
8 is it to you, the forces on her spine from the
9 accident?

10 A. Well, if the forces from the accident are
11 lower than the forces that can be resisted by the
12 spine, then it would not create damage to the spine.

13 MR. STRASSBURG: Permission to show 9.

14 MR. ROBERTS: Objection to foundation, Your
15 Honor, particularly the green arrow within the yellow
16 arrow. No foundation for that.

17 THE COURT: There's not. Sustained.

18 BY MR. STRASSBURG:

19 Q. Okay. So if the -- the logic, then, is that,
20 if her spine was strong enough to resist and manage the
21 forces that it had gotten used to over the 30 some-odd
22 years of her life -- right? -- then you know that, just
23 by logic, that therefore the spine had to have the
24 strength to summon up at least a resistive force equal
25 to those forces from preaccident activities of daily

1 living; right?

2 A. That's right.

3 MR. ROBERTS: Objection. Leading.

4 MR. STRASSBURG: Summaries, Judge.

5 THE COURT: It was leading, though.

6 Sustained.

7 MR. STRASSBURG: Was summarizing -- I'll shut
8 up.

9 BY MR. STRASSBURG:

10 Q. So what is your logic, then, fitting into
11 this image of how you then take the -- the output of
12 your calculation or the forces on the spine from this
13 accident, how do you relate that to this logic here on
14 the screen?

15 A. I think we've said it a few times, but if the
16 forces in the accident are lower than the forces that
17 the spine can resist, then you're not going to create
18 spine damage.

19 MR. STRASSBURG: Permission to show Slide 9
20 now?

21 MR. ROBERTS: Same objection, Your Honor.

22 THE COURT: I don't know what the forces from
23 the accident are. You haven't laid that foundation
24 yet. Sustained.

25 MR. STRASSBURG: Never mind. Okay.

1 BY MR. STRASSBURG:

2 Q. All right. Let's begin.

3 Let me direct your attention to your accident
4 reconstruction analysis. You with me?

5 A. I am.

6 Q. Okay. And we start with the vehicles in the
7 collision. What vehicles did you analyze?

8 A. A 2001 Hyundai Santa Fe and a 2007 Suzuki
9 Forenza.

10 Q. And did you perform any analysis of the
11 actual vehicles in the accident?

12 A. No. This is not with the actual physical
13 vehicles that were involved in the accident.

14 Q. What did you use in their place?

15 A. Computer models and exemplar vehicles and
16 data specific to the vehicles in the crash.

17 MR. ROBERTS: Objection. Move to strike just
18 one portion of that, Your Honor.

19 THE COURT: Let's just talk about the
20 exemplar of the Santa Fe.

21 MR. STRASSBURG: Judge, the Suzuki exemplar
22 inspection was from --

23 THE COURT: Come on up, guys, if we're going
24 to have a little discussion.

25 /////

1 (A discussion was held at the bench,
2 not reported.)

3 THE COURT: Objection is overruled.

4 MR. STRASSBURG: All right. Permission to
5 show Slide 12?

6 MR. ROBERTS: No objection.

7 THE COURT: That's fine.

8 BY MR. STRASSBURG:

9 Q. All right. Would you describe for us the
10 vehicles that you analyzed both photographically and as
11 exemplars?

12 A. Yes. These are just generic pictures of the
13 two vehicles -- or the make and model and year of the
14 vehicles involved in the crash.

15 Q. All right. And is the analysis of exemplar
16 vehicles a recognized technique in your discipline?

17 A. It is.

18 Q. Has it been validated by peer-reviewed
19 scientific studies?

20 A. It has.

21 Q. And has that borne out the test of time?

22 A. It has.

23 Q. And is the analysis of photographs of
24 vehicles involved in accidents for the use in accident
25 reconstruction, is that a legitimate standard technique

1 in your discipline?

2 A. It is.

3 Q. And has it been the subject and validated in
4 peer-reviewed scientific investigations and studies?

5 A. It has.

6 Q. And has it borne the test of time?

7 A. It has.

8 Q. And did you use them both?

9 A. I did.

10 Q. All right. In -- in doing your analysis,
11 what relevant facts about the accident did you harvest
12 from your review of the records?

13 A. The accident occurred January 2nd of 2011, at
14 about 6:00 p.m. It happened about 100 feet north of
15 Peak Drive on Rainbow Boulevard. We had Ms. Garcia in
16 her Santa Fe traveling south at approximately 30 miles
17 per hour in what we call the No. 1 lane. So there's
18 five lanes, two in each direction and then a middle
19 lane, a turn lane, if you will. She's in the left lane
20 of the two.

21 At that time and location, we have
22 Mr. Awerbach coming out of Villa Del Sol. He's going
23 to go northbound on Rainbow, so he's making a left
24 turn. And his -- the front of his Suzuki contacts the
25 passenger side rear, so the rear door area, of

1 Ms. Garcia's vehicle.

2 Q. Okay. And did you harvest any information
3 about the rest location?

4 A. Yes. Ms. Garcia testified that she spun
5 around and was facing the opposite direction at the end
6 of the event.

7 Q. All right.

8 A. Or I should say her vehicle was facing the
9 opposite direction.

10 MR. STRASSBURG: Permission to show Slide 13?

11 MR. ROBERTS: No objection.

12 THE COURT: That's fine.

13 BY MR. STRASSBURG:

14 Q. Is Slide 13 an accurate summary for us of the
15 information that you just testified to that you
16 harvested from your review of the records?

17 A. Yes.

18 Q. And the sources of the information are set
19 forth on this slide?

20 A. They are.

21 Q. Now, what information did you harvest
22 regarding the Suzuki?

23 A. That it was making a left turn, it contacted
24 the Santa Fe, and then it could not be moved
25 afterwards.

1 MR. STRASSBURG: Okay. Permission to show
2 14?

3 MR. ROBERTS: No objection.

4 THE COURT: That's fine.

5 BY MR. STRASSBURG:

6 Q. Does 14 accurately summarize the information
7 you harvested from -- regarding the Suzuki?

8 A. Yes.

9 Q. And the sources of that information set forth
10 at the bottom?

11 A. Yes.

12 Q. All right. Now, after you got this
13 information, particularized, as you say to the -- to
14 this particular accident and vehicles, what types of
15 vehicle motion did you analyze?

16 A. In general, we break down motion into two
17 categories: linear motion and rotational motion.

18 Q. And, like, why do you do that?

19 A. Well, they're different, and you need to
20 treat them as different. So you have to as an
21 engineer.

22 MR. STRASSBURG: Permission to show Slide 15?

23 MR. ROBERTS: No objection.

24 THE COURT: That's fine.

25 /////

1 BY MR. STRASSBURG:

2 Q. Now, does Slide 15 accurately depict,
3 generically, for -- for a generic vehicle, these two
4 types of motion?

5 A. Yes, although it doesn't really show the
6 initial positions shaded out as I would have hoped.

7 Essentially, linear motion, for the left-hand
8 part of the slide, the car is going to the right. So
9 you're moving along in a straight line.

10 Rotational motion, on the right-hand side of
11 the slide, is the vehicle spinning around.

12 Q. Is -- is there a physical, scientifically
13 described process that would account for how
14 Ms. Garcia's vehicle would be subjected to rotational
15 motion?

16 A. Well, the physics drives it, yes.

17 Q. All right. And did you -- did you undertake
18 any considerations of center of mass or center of
19 rotation of these vehicles or not?

20 A. I did.

21 Q. And how did that factor into your just
22 overall assessment?

23 A. Sure. The force applied to the Santa Fe did
24 not go through its center of mass. It was actually
25 behind its center of mass and at an angle. So the

1 force that was applied to the vehicle created a lateral
2 force, so a linear motion related to that.

3 And then that same force created what we call
4 a torque -- so it's a force over a moment arm -- that
5 created a rotational motion of Ms. Garcia's vehicle at
6 the same time.

7 Q. All right. Now, in -- in doing a -- did you
8 do a quantification of these motions and forces?

9 A. I did.

10 Q. What was your first step in performing that
11 quantification?

12 A. The very first thing is to look at the
13 vehicles, the photographs, the repair estimates, things
14 of that nature.

15 Q. All right. And what is the purpose of
16 that -- that analysis? What's the overall logic that
17 you're going to use to perform your first calculation?

18 A. Well, the first thing that I need to do is to
19 be able to line up the vehicles. I need to be able to
20 match up the -- the damage areas between the two so we
21 can see how they contacted.

22 Q. All right. Now, did you perform any analysis
23 of the difference between the velocity of the vehicles
24 after the collision compared to before?

25 A. Yes.

1 Q. And why was that important to you?

2 A. That difference between just prior to the
3 collision and just after the vehicles separate, the
4 change in velocity, what we call delta-v, is a good
5 indicator of accident severity when there's not
6 intrusion into the seated area. So if -- if the door
7 doesn't crush in and hit someone, then delta-v is a
8 good indicator of severity. And that's why we look at
9 it.

10 MR. STRASSBURG: Permission to show Slide 16?

11 MR. ROBERTS: Objection to the extent this is
12 intended to show the actual locations of these
13 vehicles. No objection if you are simply demonstrating
14 to the jury where he placed them in his analysis.

15 MR. STRASSBURG: I agree.

16 THE COURT: Okay. That's fine.

17 BY MR. STRASSBURG:

18 Q. Now, how does Slide 16 illustrate the
19 analysis you performed in calculating this quantity,
20 delta-v?

21 A. This slide is simply showing what we mean by
22 delta-v or change in velocity.

23 So in the left column, we have just prior to
24 impact, each vehicle has initial velocities; during the
25 impact, there's forces between the vehicles that

1 accelerate the vehicles; and then after the impact,
2 they separate, and each has its own velocity
3 afterwards.

4 The difference between the final velocity and
5 the initial velocity, we call that the change in
6 velocity. And, again, that's a good indicator of
7 accident severity.

8 Q. Now, look, Doctor, really, who you trying to
9 kid here? Even I know that after vehicles collide,
10 there is no acceleration; there's only deceleration. I
11 mean, what are you talking about here?

12 A. In engineering, we use "acceleration" for
13 both positive and negative. So positive acceleration
14 you might call normal acceleration, and a negative
15 acceleration you might call deceleration. But in
16 physics and engineering, we just call it acceleration.

17 Q. Okay. Now, what about this final velocity?
18 Isn't this just zero?

19 A. After the cars come to rest, yes. But
20 immediately after separation of the two vehicles, no,
21 they're not zero.

22 Q. And does the delta-v measure between, like, a
23 little bit before a collision compared to the final
24 velocity -- or final rest place or a little bit after
25 the collision?

1 A. It's just before and just after vehicle
2 contact.

3 Q. And why is that helpful to you?

4 A. Again, it's a good indicator of how severe
5 the accident is.

6 Q. Now, has the utilization of delta-v to
7 determine accident severity for biomechanical analysis,
8 has that been recognized in your discipline as the
9 standard technique?

10 A. Yes.

11 Q. Has it been the subject of peer-reviewed
12 scientific articles validating its accuracy?

13 A. Yes.

14 Q. And has it been utilized outside the
15 litigation context, or is it just for courts and
16 lawyers?

17 A. No, we use it in general too.

18 Q. Yeah, like what?

19 A. For example, the NASS, the NASS database,
20 they indicate delta-v in the accidents that they
21 analyze. Again, it's an indicator of severity.

22 Q. Okay. But -- okay. So it's close enough for
23 government work.

24 But what makes you think it's close enough
25 for you to swear to in a court of law?

1 A. I'm not sure what you mean by "close enough."

2 Q. What familiarity do you have with the
3 validity studies of delta-v?

4 A. Well, delta-v is just a metric, a number that
5 we have as part of our analysis. So it's -- it's just
6 part of an analysis. It's not valid or invalid.

7 Q. And how would you characterize the scientific
8 studies that have validated its use in the way that you
9 used it here? Are they extensive? Are they sparse?
10 Are they questionable? What are they?

11 A. If you look at the motor vehicle accident
12 reconstruction literature, you'll find the term
13 "delta-v" and that metric used all over. It's very
14 common. We've been using it for a long time in the
15 community.

16 Q. Does it represent the predominant school of
17 thought, the vast majority school of thought, or is it
18 kind of a close-to-the-minority position?

19 A. I think just about everyone uses it.

20 Q. Okay.

21 THE COURT: You at a good breaking point,
22 Mr. Strassburg?

23 MR. STRASSBURG: Yeah. If you want, sure.
24 Go ahead.

25 THE COURT: I have a meeting at noon.

1 MR. STRASSBURG: No problem. I'll stop
2 wherever you want.

3 THE COURT: Let's go ahead and take our lunch
4 break, folks. We'll go till 1:15. I'll be back before
5 then.

6 During our break, you're instructed not to
7 talk with each other or with anyone else, about any
8 subject or issue connected with this trial. You are
9 not to read, watch, or listen to any report of or
10 commentary on the trial by any person connected with
11 this case or by any medium of information, including,
12 without limitation, newspapers, television, the
13 Internet, or radio.

14 You are not to conduct any research on your
15 own, which means you cannot talk with others, Tweet
16 others, text others, Google issues, or conduct any
17 other kind of book or computer research with regard to
18 any issue, party, witness, or attorney involved in this
19 case.

20 You're not to form or express any opinion on
21 any subject connected with this trial until the case is
22 finally submitted to you.

23 Before you leave, let me just ask you, does
24 anybody have a problem if we were going to start at
25 8:30 tomorrow morning? Anybody have to take kids to

1 school or something that 8:30 is a problem for them?

2 Because I told you we have to end early at
3 2:00 o'clock, and we're just going to kind of go
4 through. So I'm thinking, if we start at 8:30, we can
5 maybe take a 15-minute break about 10:00 or 10:30,
6 another 15-minute break around noon or so, and go till
7 2:00.

8 I think that's what our plan is going to be
9 as long as we have witnesses here.

10 All right. Thank you, folks. See you back
11 at 1:15.

12 (The following proceedings were held
13 outside the presence of the jury.)

14 THE COURT: All right. We're outside the
15 presence of the jury.

16 Anything we need to put on the record,
17 Counsel?

18 MR. SMITH: I would like to make a record
19 about the discussion of the exemplar vehicle.

20 THE COURT: Okay.

21 MR. SMITH: I think Your Honor should not
22 allow a discussion of it as we go forward. And let me
23 explain why.

24 THE COURT: You want to leave our witness
25 here, or should we excuse him? Do you care?

1 MR. SMITH: I think we can leave him here
2 because, if the Court changes its ruling, then he'll be
3 aware of the ruling.

4 THE COURT: Okay.

5 MR. SMITH: The first time we were ever
6 provided notice of there being an exemplar vehicle of
7 the Suzuki Forenza was when we received the 98-page
8 PowerPoint a day or two ago.

9 Mr. -- or Dr. Scher referred to exemplars
10 when he was on the stand today, and Mr. Roberts made an
11 objection to that. We approached the bench and were
12 later -- in a later approaching of the bench were given
13 page 56, line 11, of Dr. Scher's deposition as the
14 proof that we had been told in the past about the
15 exemplar of the Suzuki because there clearly is no
16 mention of an exemplar of the Suzuki in any of his
17 reports.

18 Page 56, line 11, of the deposition does not
19 talk about an exemplar. And let me read the entire
20 section of that deposition that would explain to the
21 Court what was being discussed. And it starts on
22 line 7, again, page 56.

23 "So if we look at Table 2 on page 5 of
24 that same report, those crush depths, plural,
25 are estimates?

1 "ANSWER: That's right. And this is just
2 one example going from zero inches -- sorry --
3 I'm looking at the column that says '2007
4 Suzuki Forenza,' and you see the C1 through C6
5 in the left column?

6 "QUESTION: Yes.

7 "ANSWER: So those are estimates of the
8 crush depth starting from zero at one end to
9 4 inches of depth at the other end.

10 "QUESTION: And those are straight from
11 the photographs; right?

12 "ANSWER: Those are from photographs and
13 an exemplar inspection.

14 And if you look at Table 2 on page 5 of the
15 report, which we were discussing, Table 2 includes
16 values from both of the vehicles.

17 So when he says "photographs," plural, he's
18 talking about photographs of both of the vehicles
19 because that is all Dr. Scher had in his possession
20 about the Suzuki at the time.

21 And then when he says "exemplar," singular,
22 he must be talking about the exemplar of the Santa Fe
23 because, at that point in time and until we started
24 trial, there was never a discussion of an exemplar of
25 the Suzuki Forenza.

1 And keeping in mind, Your Honor, that's an
2 89-page deposition where I was allowed to ask questions
3 before I was cut off with a time limit. And then
4 counsel for the defendants was entitled to ask
5 questions as well. And there is absolutely no
6 discussion of exemplars, plural, or any exemplar of the
7 Suzuki Forenza in that deposition.

8 And Your Honor made a comment at the bench
9 that maybe the comment in the -- in the deposition
10 about exemplars, plural, is vague. But it's not. It's
11 "exemplar." And even if it was vague, there's no
12 follow-up in the deposition. There's no mention of it
13 in the deposition.

14 And what they intend to do today is put up
15 pictures of an exemplar Suzuki that we've never been
16 given before and then provide the jury with
17 measurements of that exemplar vehicle that we've also
18 never been given before and that are not in either the
19 deposition or in the reports.

20 So the jury can't be provided information
21 today that Dr. Scher did not have and did not rely upon
22 when he produced his reports and made his opinions.
23 And -- and if he did have that information or relied
24 upon it, then he had to have given it to us by the time
25 he authored his opinions. And as you would expect, his

1 report includes a list of what he relied upon, and
2 there is no exemplar of a Suzuki.

3 Again, we learned about this a couple days
4 ago, and we were given these pictures -- or maybe it
5 was even yesterday, but within the last couple of days.
6 It's the first time we were ever given any of these
7 pictures or measurements.

8 MR. STRASSBURG: Judge, Dr. Scher was deposed
9 by Mr. Smith on March 4, 2015. Reading from page 42,
10 line 2.

11 "QUESTION: Besides testimony from
12 Ms. Garcia and Mr. Awerbach and pictures of the
13 vehicles, what information do you actually
14 have?

15 "ANSWER: Repair estimate for her vehicle;
16 satellite imaging -- imagery that gives me
17 information about the location; information
18 from the accident report; data from crash tests
19 run by NHTSA, of course; the laws of physics,
20 but I think that's a given; certainly
21 information regarding other vehicle parameters
22 from, say, places like expert auto stats and
23 things of that nature. I forgot exemplar
24 vehicles. Sorry. Yes, there's also undamaged
25 vehicles that are substantially similar to the

1 vehicles involved in this accident."

2 MR. SMITH: And I took that in the deposition
3 as a general statement of what he relies upon, but he
4 had not provided us with the pictures and the
5 measurements. And nowhere in here or anything is there
6 a discussion of the pictures and the measurements that
7 he's now claiming he relied upon to offer his opinions.

8 So even if there was a use of a plural -- and
9 I apologize. They didn't give us that page when we
10 were up there. But if you look at that, that's a
11 section about every -- the types of things that he
12 relies upon and -- and what he would rely upon.

13 And even if he was talking about the specific
14 one, he still has to have told us what his measurements
15 were so that we could provide them to our own rebuttal
16 expert, which we have, to actually go through and do
17 his calculations.

18 He didn't have any of that, and he can't
19 spring new pictures and -- and new data on us at trial.
20 And that's what they intend to do, not just discuss
21 even that he looked at an exemplar, that the exemplar
22 is the basis for his analysis, here's the measurement
23 that he did, here's the photographs he relied upon, all
24 things that we weren't provided until the last couple
25 of days.

1 MR. STRASSBURG: Judge, not so. The
2 photograph of the Suzuki exemplar is in Dr. Scher's
3 file that was produced. He'll be calling it up from
4 the server and can submit that to you.

5 MR. SMITH: I have a flash drive that he gave
6 me at his deposition on my computer that does not have
7 any of that. And that was his entire file, and that's
8 what was attached to his deposition.

9 So I disagree with Mr. Strassburg, and I
10 would ask him to prove when was that ever given to us.
11 We have -- they gave us his flash drive twice. We have
12 the originals. I have a copy on my computer. I went
13 through it after we got that slide show in order to
14 verify. That is not on there, and I'm happy to show
15 that file to the Court.

16 MR. STRASSBURG: Do you have it?

17 THE COURT: So let me ask -- Dr. Scher, I'm
18 going to ask you a question. In your August 21, 2014,
19 report, you have a section entitled "Inspection of an
20 Exemplar Hyundai Santa Fe."

21 Do you have a section in either of your
22 reports that deals with an inspection of the other
23 exemplar vehicle?

24 THE WITNESS: No, Your Honor.

25 THE COURT: Why?

1 THE WITNESS: I hadn't done the inspection at
2 the time the report was issued.

3 THE COURT: When did you do it?

4 THE WITNESS: Sometime after -- there was a
5 report and rebuttal to Dr. Freeman, and that's when I
6 went and got the Suzuki Forenza exemplar.

7 THE COURT: Was it before your October 10,
8 2014, report or after?

9 THE WITNESS: It would be after the three
10 reports.

11 THE COURT: Was it before or after your
12 deposition?

13 THE WITNESS: Before my deposition.

14 THE COURT: I mean, I think that the
15 deposition says that there are -- I mean, it says that
16 there are exemplar vehicles that he relied on.

17 I mean, whether or not he can use photographs
18 and measurements that weren't disclosed is a different
19 issue. I mean, I don't have a problem with him saying
20 that he relied on exemplar vehicles. But I think, if
21 he has specific measurements, it probably should have
22 been disclosed.

23 MR. STRASSBURG: Well, Judge, I want to be
24 entirely fair to the plaintiff in a case like this.

25 Dr. Scher, can you give your opinions without

1 recourse to photographs of the exemplar Suzuki?

2 THE WITNESS: I believe so, yes.

3 THE COURT: Yeah, let's just --

4 MR. STRASSBURG: Fair enough.

5 THE COURT: Let's have him offer his opinions
6 without talking about the measurements or without the
7 pictures of the exemplar to the Suzuki.

8 MR. STRASSBURG: Fair enough. I'm fine with
9 that, Judge.

10 THE COURT: I think that's more fair based on
11 the fact that they're saying that this is something
12 that they haven't seen before.

13 MR. STRASSBURG: More fair is always better,
14 Judge.

15 THE COURT: I try.

16 MR. STRASSBURG: Hey. All right.

17 THE COURT: Is that all we need to do?

18 MR. SMITH: Yes, Your Honor.

19 THE COURT: All right. Off the record.

20 (Whereupon a short recess was taken.)

21 (The following proceedings were held
22 outside the presence of the jury.)

23 THE MARSHAL: Remain seated. Come to order.

24 THE COURT: We ready?

25 MR. SMITH: We have one thing to ask about.

1 THE COURT: Hold on. We're missing somebody.
2 Where is Mr. Mazzeo?

3 MS. ESTANISLAO: He is on his way.

4 THE COURT: Are we waiting for him?

5 MS. ESTANISLAO: No. You may proceed.

6 THE COURT: You're okay arguing for him?

7 All right. Let's go back on the record,
8 then. We're outside the presence.

9 What do you got?

10 MR. SMITH: Before we took a break, Dr. Scher
11 mentioned a rebuttal to Dr. Freeman, a report that he
12 wrote. And that is not something that we received.

13 THE COURT: Okay.

14 MR. STRASSBURG: What do you mean by this
15 rebuttal?

16 THE WITNESS: Maybe I misspoke, but it was a
17 rebuttal to him. It was a report detailing some of the
18 arguments against me being able to testify. I
19 attribute that to Mr. -- or Dr. Freeman, but I guess
20 maybe it wasn't Dr. Freeman. Maybe it was plaintiff's
21 counsel.

22 MR. SMITH: So, then, that would be the
23 response that he made to our Hallmark motion. And --
24 and we also don't think that anything in his response
25 to the Hallmark motion that isn't in his earlier

1 reports is admissible, because once we file the
2 Hallmark motion, he can't supplement his opinions based
3 upon our arguments.

4 THE COURT: Probably true. It's got to be in
5 the reports or the deposition. Limit him to that.

6 MR. SMITH: Okay.

7 THE COURT: Anything else?

8 MR. SMITH: That was it.

9 THE COURT: We ready to go or we going to
10 wait for Mr. Mazzeo?

11 MS. ESTANISLAO: No, we're ready to go.

12 THE COURT: Ready to go? Okay. Let's go.

13 THE MARSHAL: Jury entering.

14 (The following proceedings were held in
15 the presence of the jury.)

16 THE MARSHAL: Jury is present, Judge.

17 THE COURT: Thank you.

18 Go ahead and be seated.

19 Back on the record, Case No. A637772.

20 Do the parties stipulate to the presence of
21 the jury?

22 MS. ESTANISLAO: Yes, Your Honor.

23 MR. ROBERTS: Yes, Your Honor.

24 THE COURT: Thank you.

25 Doctor, just be reminded, you're still under

1 oath.

2 Go ahead.

3 BY MR. STRASSBURG:

4 Q. Dr. Scher, describe for us, please, the
5 inputs that you utilized to put into the PC-Crash
6 modeling software that you used.

7 A. Sure. PC-Crash uses vehicle-specific
8 information for the vehicles in this accident, and then
9 it uses speeds and angles of the vehicles relative to
10 one another and the orientation of the vehicles.

11 Q. Okay. And after you input that into
12 PC-Crash, what are the outputs of PC-Crash?

13 A. The rest positions of the vehicles, the
14 vehicle motions over time. So it integrates the
15 equations of motion forward in time from the accident
16 through to when the vehicles come to rest. It gives
17 the speeds and rotations for the vehicle. And it also
18 gives the damage energy. So that's the energy
19 attenuated or absorbed by the vehicles to create the
20 damages to the vehicles in the accident.

21 Q. Do you use generic vehicles or do you use the
22 actual ones involved in this accident?

23 A. The information for the vehicles is
24 case-specific. So it's vehicles involved in this
25 accident.

1 Q. All right. Did you use any information from
2 the Santa Fe?

3 A. Yes.

4 Q. Could you describe it, please.

5 A. Sure. I assume you mean the subject
6 Santa Fe. And for that, we used the damage information
7 to figure out where the vehicle was hit. So that's the
8 photographs and repair estimates.

9 Q. Did you make use of the damage photographed?

10 A. I did.

11 MR. STRASSBURG: Permission to display 30?

12 THE COURT: Any objection to 30?

13 MR. ROBERTS: Let me flip forward to it, Your
14 Honor.

15 No objection, Your Honor.

16 THE COURT: Okay. Go ahead.

17 BY MR. STRASSBURG:

18 Q. What use did you make of the information on
19 Slide 30?

20 A. So here we see the rear passenger door on the
21 Santa Fe. We can see the damage to the bottom portion
22 to --

23 Is it okay to point to some things on the
24 screen?

25 Q. Come on down.

1 A. Here we see -- doesn't show up too well on
2 this screen, but there is damage here on the bottom
3 portion of the door. You can see this contact transfer
4 mark. There's damage to the rocker panel. You can see
5 the tires flat.

6 So the impact is along this section of the
7 vehicle from about the end of the driver's door over to
8 the wheel.

9 Q. Where is the center of gravity or center of
10 rotation on the vehicle?

11 A. It's going to be closer to the center of the
12 vehicle. If you were to look along the line, I would
13 say in between the front and back door, it's going to
14 be in that ballpark, maybe a little bit forward.

15 Q. Okay. And what's the significance of that
16 offset between the place of impact and the center of
17 rotation? Stay there, would you, Doctor.

18 A. It basically means that, because the force is
19 not through the center of mass, it's going to create
20 rotation, it's going to create a torque about the
21 center of mass of the vehicle. So the vehicle's going
22 to rotate during the accident.

23 Q. All right. Do you have occasion to review
24 anything else about the damage to the Santa Fe?

25 A. There was a -- a damage estimate, a repair

1 estimate, for the vehicle as well.

2 MR. STRASSBURG: Permission to show 32?

3 MR. ROBERTS: Sorry. Thirty --

4 MR. STRASSBURG: 32.

5 MR. ROBERTS: No objection.

6 THE COURT: That's fine.

7 BY MR. STRASSBURG:

8 Q. Please describe the use you put to this
9 information.

10 A. So the damage estimate matched up well with
11 what they saw in the pictures. It showed what parts
12 the vehicle would need to be repaired, replaced. And
13 so the front right rocker panel -- or I'm sorry -- rear
14 rocker panel would have to be replaced, the doors.
15 There would be refinishing of the quarter panel. And
16 then the right rear wheel had damage. So there was
17 contact to the wheel that also damaged the suspension
18 components too. So that was all consistent with what
19 we see in the pictures.

20 Q. All right. You mentioned the term
21 "exemplar." Define that.

22 A. An exemplar vehicle is essentially a like
23 make, model and, if not the same year, then what we
24 call a sister clone. So, basically, the vehicles from
25 a manufacturer may be the same for multiple years. So

1 if you have a 2001 in an accident, the 2002 and 2003
2 may be the same. It's called a sister clone.

3 Q. Did you make any use of that information for
4 your analysis here?

5 A. Yes. For the check portion of my analysis.

6 MR. STRASSBURG: Permission to show 33?

7 THE COURT: Any objection to 33?

8 MR. ROBERTS: No objection.

9 THE COURT: Go ahead.

10 BY MR. STRASSBURG:

11 Q. Explain the use you made of information from
12 the exemplar.

13 A. We don't see it in this picture, but there's
14 others where I have tape measures in the picture, and I
15 have measured components of the vehicle.

16 MR. STRASSBURG: Permission to show 34.

17 MR. ROBERTS: No objection.

18 THE COURT: That's fine.

19 THE WITNESS: Here we go. So we actually get
20 a measure of distance that we can then use to do
21 photogrammetry with the actual pictures of the subject
22 vehicle, the vehicle that was in the accident. And,
23 just as important, we get a measure of distances here
24 that we can look at for the accident vehicle so that we
25 can say there is this much space from the back of the

1 front door to the tire. That's 50 inches. And
2 we'll -- I'll show you why that's important and
3 interesting in a minute.

4 MR. STRASSBURG: Permission to show 36.

5 THE COURT: Any objection to 36?

6 MR. ROBERTS: Yes, Your Honor. I believe
7 beyond the scope of his report. That's --

8 THE COURT: Come on up.

9 (A discussion was held at the bench,
10 not reported.)

11 THE COURT: So I'm going to sustain the
12 objection on the foundation ground only.

13 BY MR. STRASSBURG:

14 Q. Okay. Would you explain, Doctor, how you
15 utilize your analysis of measurements of the vehicle,
16 this 50 inches, to make a determination of crush?

17 A. Sure. The process is called photogrammetry.
18 So I took pictures of the subject vehicles that we had
19 that were given to me and then pictures of the exemplar
20 vehicle, and knowing lengths, so distances, in the
21 pictures of the exemplar, I can match them up and, with
22 the aid of a computer, figure out how much deformation
23 there is in the vehicle, the subject vehicle, the
24 accident vehicle.

25 Q. Prepare an illustration to show the results

1 of your calculations from a perspective that will make
2 them meaningful?

3 A. Yes.

4 MR. STRASSBURG: Permission to show 36.

5 MR. ROBERTS: No objection, Your Honor.

6 THE COURT: Go ahead.

7 BY MR. STRASSBURG:

8 Q. Explain 36, please, how that illustrates what
9 you just described.

10 A. Sure. We see a top-down view of a schematic
11 of a Santa Fe. And in orange I have drawn in what I
12 think is the damage profile for the Santa Fe. And you
13 can see it goes across the 50 inches that I showed you
14 in the picture a few minutes ago.

15 And what is labeled from left to right going
16 with the arrows above the 50 inches is the amount of
17 crush into the vehicle -- that's permanent deformation
18 of the vehicle -- that was produced in the accident.

19 Q. Did you perform any analysis of the front of
20 Mr. Awerbach's Suzuki?

21 A. Yes.

22 Q. Describe.

23 A. I think the -- that it would be easiest to
24 show a picture of the vehicle and show how it matched
25 up in orientation with this, using the picture.

1 MR. STRASSBURG: Permission to show 37?

2 MR. ROBERTS: No objection.

3 THE COURT: Go ahead.

4 BY MR. STRASSBURG:

5 Q. Hold on. I can do this.

6 Okay. Proceed.

7 A. So here we have a picture of the Suzuki that
8 was involved in the accident. Obviously, this is the
9 front bumper.

10 It's a little bit hard to see on the screen
11 here, but there are marks that go along the bumper
12 starting from about the point here (witness indicating)
13 all the way over to the driver's side. And these marks
14 actually match up well with the damage here (witness
15 indicating). It's about 50 inches going over to the
16 wheel.

17 So we know that the impact was no further
18 than this area here on the passenger side of the
19 Suzuki. And from the other pictures of the vehicle, we
20 know that there's more damage on the driver's side over
21 here than on this portion. We actually don't see any
22 deformation, permanent damage, to the frame, the bumper
23 system, anything on this side except the bumper cover's
24 pulled off.

25 And that's consistent with the bumper

1 interacting with this tire as it's turning. The tire
2 on the Santa Fe would grab on to the bumper of the
3 Suzuki and actually pull it off. It's only held on
4 with very small plastic screws or clips. So to pull
5 the front bumper cover off actually is -- is not that
6 much force. But it gives us an indication of how the
7 vehicles were lined up during the accident.

8 Q. All right. So the quantifications that you
9 harvested from this case with this specific
10 particularized data about this accident, you inputted
11 this into PC-Crash. And what were the results when you
12 ran that program?

13 MR. ROBERTS: Objection. Foundation.
14 Permission to voir dire the witness, Your
15 Honor?

16 THE COURT: Come on up for a minute first.
17 (A discussion was held at the bench,
18 not reported.)

19 THE COURT: All right. So I'm going to let
20 Mr. Roberts ask some questions out of order here.

21 MR. ROBERTS: Thank you, Your Honor.

22

23 VOIR DIRE EXAMINATION

24 BY MR. ROBERTS:

25 Q. Dr. Scher, Mr. Strassburg just asked you

1 about what your calculation was on PC-Crash. I just
2 want to go back and try to ask you a few questions
3 about foundation.

4 There's certain things that you had to enter
5 into PC-Crash in order to get the answer you want to
6 give; right?

7 A. That's correct.

8 Q. And the accuracy of your delta-v is based on
9 that accuracy of your input data; correct?

10 A. Yes.

11 Q. Okay. You told Mr. Strassburg that -- and he
12 showed a PowerPoint -- the first thing you did is
13 you're looking at the point of the collision, because
14 you have to tell PC-Crash where the vehicles were at
15 the first point of impact; right?

16 A. That is true.

17 Q. And you put 100 feet north of Peak on the
18 PowerPoint slide. Do you recall that?

19 A. That's from the accident report. That's what
20 the police reported. The actual distance is actually
21 greater than that. But that's on the police report.
22 Yes.

23 Q. So when you say the -- and that was what I
24 was getting to, because you didn't place the point of
25 collision 100 feet north of Peak, did you?

1 A. No, I did not.

2 Q. Okay. How did you determine what the point
3 of impact was if it was different from the police
4 report?

5 A. Based on the testimony from Mr. Awerbach and
6 Ms. Garcia.

7 Q. Did either one of them testify as to the
8 point of impact?

9 A. They testified about how the accident
10 happened, about how Mr. Awerbach was pulling out of
11 the, I guess, driveway, for lack of a better term, from
12 Villa Del Sol. So that happens to be, I think, 200 and
13 some-odd feet north of the intersection, not 100 feet
14 as the police reported. And it does say
15 "approximately" on the police report.

16 Q. So you had Mr. Awerbach going straight across
17 the lanes, correct, until he turned a little bit at the
18 end which way?

19 A. Mr. Awerbach turned left. So he didn't go
20 straight across; he actually turns left, as if he was
21 going north onto Rainbow.

22 Q. So you had him going straight across and then
23 immediately before impact turning a little bit to the
24 left; right?

25 A. That's incorrect.

1 Q. Okay. Do you have -- perhaps we could have
2 his animation that you wanted to show the jury.

3 So -- well, that's okay.

4 MR. STRASSBURG: Yeah, if you'll stipulate to
5 let him see it, I'd be happy to show it to him.

6 MR. ROBERTS: Sure.

7 MR. STRASSBURG: Okay. You got it.

8 THE COURT: Which slide is that?

9 MR. ROBERTS: 46, I think.

10 MR. STRASSBURG: Well, the -- it is shown in
11 static form on Slide 49, but it is shown on video on
12 this, which we can present.

13 MR. ROBERTS: If you could just put the first
14 frame up and stop there. Okay. So if the jury is
15 looking at this --

16 MR. STRASSBURG: Judge, I'd ask to be able to
17 show them the whole video. If he's going to voir dire
18 him, he ought to have to voir dire him on the whole
19 thing.

20 MR. ROBERTS: If that's what Mr. Strassburg
21 wants to do, we can show him the whole thing. Then
22 we'll go back to this frame.

23 THE COURT: Okay.

24 MR. STRASSBURG: Dr. Scher, my computer
25 skills being what they are, I wondered if you could

1 help me with this.

2 THE WITNESS: Sure.

3 MR. STRASSBURG: If you don't mind.

4 THE WITNESS: Not a problem.

5 MR. STRASSBURG: Don't break nothing now.

6 THE WITNESS: I'll try. See where the --

7 okay. So I'm going to play it, and I'll back it up.

8 MR. ROBERTS: Okay.

9 THE WITNESS: There we go. And now we can --

10 I think we will be able to explain this.

11 MR. STRASSBURG: I think you want to do the

12 stop at the first panel.

13 THE WITNESS: Right here?

14 MR. STRASSBURG: Yeah, I think so.

15 Is that right, Mr. Roberts?

16 BY MR. ROBERTS:

17 Q. That's correct. Now, Doctor, you'd agree

18 that the -- Jared said that he came out of this

19 driveway -- right? -- and was turning left?

20 A. That's correct.

21 Q. Okay. So you don't have him cutting across,

22 like some people do, making a left-hand turn; you've

23 got him coming straight out?

24 MR. STRASSBURG: Can you see him with

25 Mr. Roberts in the way? Or can you see --

1 THE WITNESS: Yeah. So I do not have, in
2 this particular slide, Mr. Awerbach going a sharp angle
3 north on Rainbow at the time of the impact. So on this
4 slide, that's correct.

5 BY MR. ROBERTS:

6 Q. And that's not based on any evidence that
7 you've seen in the record on this case; right?

8 A. What's not based on --

9 Q. Neither Mr. Awerbach or Ms. Garcia testified
10 as to the angle that he came out of the driveway to
11 make his left-hand turn; right?

12 A. No. That's true.

13 Q. And the position right here is about 200 feet
14 from Peak Drive, not 100 feet as in the police report.

15 A. That's right. The police were off by a
16 little bit. They say "approximately."

17 Q. So you're just guessing at this; right?

18 A. No, it's not a guess. It's actually part of
19 a part of a family of solutions that work to produce
20 the accident kinematics as we know them.

21 Q. And we'll get to that in a second. But here
22 you've got a little bit of a left-hand angle right
23 before impact; right?

24 A. There is a slight angle, yes.

25 Q. Okay. Were you aware that Mr. Awerbach said

1 that he initially turned right to avoid the collision?

2 A. He said a lot of things. He may have said
3 that. I don't recall specifically.

4 Q. And then he said he turned right and then he
5 came back left, but he never said what the angle was
6 when the impact occurred; right?

7 A. I'm not sure he would know. I don't think he
8 did say.

9 Q. So when he said he turned it right, he could
10 have been right or left, and you don't know; right?

11 A. No, because that would be inconsistent with
12 Ms. Garcia's testimony.

13 Q. Okay. So let's look at this angle right
14 here. Ms. Garcia's coming this direction from north to
15 south; correct?

16 A. Sorry. Let me stand over here because it's
17 hard to see.

18 Q. Sure. That's fine. Please do.

19 So Ms. Garcia's coming right here from north
20 to south before the impact; right?

21 A. Yes.

22 Q. You've got an angle. What angle is this of
23 her vehicle toward the median?

24 A. I don't recall for this slide. Obviously,
25 it's a little bit to the left. You know, I would

1 approximate it as maybe 10 degrees, but I don't recall
2 off the top of my head.

3 Q. Okay. There's no evidence in the record of
4 what her angle was at impact; right?

5 A. No. She merely says that she swerved to the
6 left.

7 Q. But you don't know how much she swerved?

8 A. That's right.

9 Q. Okay. Now, you told the jury it's one of a
10 family of solutions that make things fit?

11 A. That's right.

12 Q. So before we move on to that, one more
13 factor. You have to input a coefficient of friction in
14 PC-Crash; correct?

15 A. Yes.

16 Q. And, typically, you can go out to the roadway
17 where the accident occurred and you can measure that
18 coefficient of friction; right?

19 A. You could.

20 Q. And that's how much resistance the pavement
21 offers. Some pavement is slicker than other pavement;
22 right?

23 A. Sure. Just to be clear, coefficient of
24 friction is dependent upon the two materials that are
25 in contact. So it's the resistance to motion across

1 the surfaces.

2 Q. And you used an average out of a book for
3 typical asphalt; right?

4 A. I used 0.8, which is from a reference. But I
5 also tested other coefficients of friction to see if it
6 would make a difference.

7 Q. Okay. When you say "tested," you mean you
8 put different data into your program?

9 A. That's right. So it's called a sensitivity
10 analysis. What you do is you vary, say, coefficient of
11 friction for one of the particular impact scenarios.
12 And you see, does it make a large difference in the
13 output in what happens? And, within reasonable ranges
14 of coefficient of friction, it does not affect this
15 accident, the kinematics in the accident.

16 Q. And you say you assume there were no skid
17 marks, even though the vehicles spun 180 degrees;
18 right?

19 A. Right. I didn't see pictures of skid marks.
20 That's correct.

21 Q. And skid marks could be based on what the
22 coefficient of friction was. If there's of lots oil on
23 the road, it might be slicker than a coefficient of
24 friction you would otherwise expect?

25 A. There would have to be a lot of oil on the

1 road to make a difference in this accident.

2 Q. Do you know how often it rains in Las Vegas?

3 A. I don't.

4 Q. So you mentioned that you put in a family of
5 solutions. And you do iterations. And just so the
6 jury understands, what you're doing is you're -- you're
7 looking at a -- you're plugging in the speeds. And the
8 speed of Mr. Awerbach's vehicle, you put in at 20 miles
9 and 14 miles; right?

10 A. No. Actually -- so I adjusted the speeds of
11 Mr. Awerbach's vehicle and Ms. Garcia's vehicle for a
12 much larger range than that.

13 Q. Okay. Did you adjust Mr. Awerbach's up to
14 30?

15 A. I'll have to take a look at my notes. I
16 don't remember what the top end was. But I can tell
17 you, for the accident, the upper bound is about 20.

18 Q. That's right. You found the most likely was
19 14; the upper boundary is 20.

20 And you discredited Mr. Awerbach's top range
21 of 30 when you did your analysis; right?

22 A. Well -- so I discounted the 30 that
23 Mr. Awerbach said. That's correct. But, no, I don't
24 think the most likely was 20. I think it was 18, if I
25 remember correctly.

1 Q. And you used 30 for Ms. Garcia.

2 A. That's right.

3 Q. For --

4 A. For this one. But I've also adjusted that,
5 so there's a range that I use in my analysis.

6 Q. Okay. And then what you do is you -- you put
7 in different angles of impact, you put in different
8 input data, and then you check or validate the outcome
9 by seeing if it matches up the actual resting point of
10 the vehicles; right?

11 A. So we don't know the resting points exactly.
12 But in this particular case, we have testimony that
13 Ms. Garcia was essentially turned around. She was
14 facing the opposite direction after the accident. And
15 so that's what we matched to.

16 You'll see at the end of this particular
17 slide her vehicle was in a different lane. We have
18 other -- well, I didn't put it in animation. But we
19 have other runs where she has to swerve to the left,
20 because her steering wheel is turned to the left. She
21 actually goes straight back into her lane, as she
22 testifies, facing the opposite direction with the
23 speeds that we were just talking about.

24 Q. So correct me if I'm wrong, but your report
25 says, "An iterative process was performed" -- which is

1 fancy word for, "repetitive," "over and over again";
2 right?

3 A. Not fancy, but sure.

4 Q. -- "process was performed to determine which
5 speed and impact configuration would result in the
6 final point of rest to the vehicles and calculated
7 energy from the crush energy analysis."

8 So you're trying to validate your approach by
9 seeing if the vehicles end up in your animation where
10 they actually ended up in real life; right?

11 A. In the orientation in this case, yes.

12 And then we check that, as you just read, by
13 looking at the energy. For example, we didn't know
14 before -- wait.

15 I didn't know before I started the analysis
16 if Ms. Garcia's vehicle just turned 180 degrees or it
17 rotated all the way around and then went another
18 180 degrees. But because the damage to the vehicles
19 would have to be so great in order for that to happen,
20 we know that could not have been the case that she
21 could only have gone 180 degrees around.

22 Q. Okay. If you could now play it to the end of
23 the last frame for the jury.

24 A. Sure. Yeah. (Witness complies.)

25 Q. Okay. You would agree with me that, although

1 you may have other iterations and other animations that
2 you've done, the one you -- the one you want to show
3 the jury, Ms. Garcia's vehicle is spun around and it's
4 not in the lane where she said it was facing oncoming
5 traffic but it's across the median and over on the
6 other side of the road; right?

7 A. In this one it is, yes.

8 Q. So if you assume that in actuality
9 Ms. Garcia's vehicle is in this lane where she said it
10 was, this iteration could not be reality; right?

11 A. It is with a slight change in steering angle.
12 Actually, I have a picture if you want me to pull it
13 up.

14 Q. Sir, if all of your inputs are accurate, the
15 final resting place of the vehicles will be where they
16 ended up in real life; right?

17 A. Sure.

18 Q. And there's no evidence in real life this is
19 where the vehicles ended up.

20 In fact, it's inconsistent with real life;
21 right?

22 MR. MAZZEO: Objection, Your Honor. Could we
23 approach?

24 THE COURT: Sure.

25 /////

1 (A discussion was held at the bench,
2 not reported.)

3 THE COURT: All right. Mr. Strassburg, go
4 ahead.

5 BY MR. STRASSBURG:

6 Q. Dr. Scher, would you come down here, please.

7 All right. Now, just so we're clear, the
8 results that you expect to get from PC-Crash are --
9 fall into generally what categories?

10 A. So there is the velocity and rotation of the
11 vehicles. There's the general rest orientations and
12 positions. And there's also the damage energy, the
13 amount of damage to the vehicles.

14 Q. All right. Do you expect to receive any
15 outputs on forces?

16 A. Yes.

17 Q. And motions?

18 A. Yes.

19 Q. All right. And what are the inputs, then, to
20 the MADYMO simulation of the biomechanical forces on
21 the body? Are they this force and motion?

22 MR. ROBERTS: Objection, Your Honor. The
23 MADYMO is the biomechanical, and it's not valid if the
24 PC-Crash isn't valid.

25 THE COURT: Can we stick with the PC-Crash

1 foundation first or no?

2 MR. STRASSBURG: Sure.

3 BY MR. STRASSBURG:

4 Q. Let's talk about force. All right.

5 To accurately assess the forces that are
6 developed in this accident, what are the -- what's the
7 minimum number -- set of inputs that you need to have
8 reasonable information on to input into the PC-Crash
9 system?

10 A. So you input speeds. You input the angles
11 that the vehicles are relative to each other and --
12 let's see -- vehicle-specific information.

13 So you need to know what the vehicles are,
14 for example, their wheel base, their weights, things of
15 that nature. And then you -- then PC-Crash uses the
16 laws of physics: balance of linear momentum, balance
17 of angular momentum, and conservation of energy.

18 And that's how it gives you the positions and
19 velocities over time and the amount of energy lost in
20 the form of damage to the vehicles.

21 Q. All right. And what specific -- what is the
22 minimum specific set of variables that you need to
23 input into PC-Crash to get a valid result as to motion?

24 A. So you need this set right here. Yeah, the
25 speeds, the angles, the vehicle specs.

1 Q. All right. Is the distance available for
2 Mr. Awerbach's vehicle to travel, is that of any
3 relevance?

4 A. If you mean from where he starts at the exit
5 to Villa Del Sol, that's not critical in this, no.
6 Because we're looking for what results match up with
7 the testimony, specifically the orientation of the
8 vehicle at the end, at Santa Fe.

9 Q. Can you check it with the distance
10 information?

11 A. Yes.

12 Q. Did you?

13 A. I did.

14 Q. All right. Now, anything else that you had
15 to input into PC-Crash to get a valid result for your
16 purposes?

17 A. That's really it. We talked about
18 coefficient of friction as well. That's a variable
19 that we can adjust.

20 Q. Anything else?

21 A. No. That's really it.

22 Q. All right. So just to summarize, for your
23 purposes of utilizing PC-Crash in a valid way, to come
24 to a valid determination of the probable forces
25 involved in the accident on the vehicle, the probable

1 motions involved on the vehicle, you needed to input
2 information on six topics: speeds, angles, vehicle
3 specifications, physical principles governing these
4 motions, distance, and coefficient of friction; true?

5 A. That's correct.

6 Q. Anything else?

7 A. Not that I can think of.

8 Q. Fair enough. Now, what was the source that
9 you used for the speed information?

10 A. So the speeds, I started with the testimony
11 that was given and found that the testimony could not
12 produce accurate results. So I adjusted the speeds up
13 and down to figure out what ranges of speeds were
14 possible.

15 Q. Well, did you start with the actual
16 testimony?

17 A. I did.

18 Q. And what was it for Ms. Garcia's speed?

19 A. She said she was going at about 30 miles an
20 hour.

21 Q. Did you need Mr. Awerbach's speed?

22 A. Yes.

23 Q. And how did you come by that information?

24 A. He initially testified that -- I believe he
25 said he was going 20 to 30.

1 Q. All right. So it sounds like, to summarize,
2 that you had testimony evidence of speeds that you used
3 as an initial starting point.

4 A. That's right.

5 Q. All right. Now -- and then when you did your
6 iterations, did you -- it sounds like you had occasion
7 to make adjustments to the speed information?

8 A. That's correct.

9 Q. Now, what makes you think -- I mean, the
10 adjustments that you made, did you just pick it out of
11 thin air or did you follow generally accepted valid,
12 validated scientific principles in doing that?

13 A. It's a standard technique of adjusting the
14 speeds within reasonable ranges. If the testimony is
15 that Ms. Garcia's going 30 miles an hour, we're not
16 going to start her vehicle at 80 miles an hour. We're
17 not going to start her vehicle at 0 miles an hour.

18 So we adjust it -- what I typically do is
19 2-mile-an-hour increments going up and down from the
20 starting point.

21 Q. All right. And why -- what makes you think
22 that that's scientifically valid?

23 A. Well, what I'm doing is I'm bounding the
24 range of possible solutions to this accident. So I'm
25 trying out a variety of different parameters, the

1 variables, and looking at what creates the right
2 solutions. And I might not know exactly which one of
3 those solutions is right, but at least I know it's
4 under a certain mile per hour and over a certain mile
5 per hour.

6 Q. All right. So it sounds like you are -- tell
7 me if this is an accurate summary.

8 It sounds like what you are utilizing is the
9 physical principles that govern these moving objects,
10 just as objects, they're a given; right?

11 A. That's true.

12 Q. They're a constant; right?

13 A. That's right.

14 Q. And what -- what you did was start with the
15 initial fallible testimony and you applied the -- the
16 given standard constant physical principles to see how
17 they worked themselves out on the -- given these
18 particular speeds; right?

19 MR. ROBERTS: Objection to form.

20 THE WITNESS: That's right.

21 THE COURT: Sustained.

22 BY MR. STRASSBURG:

23 Q. And what -- how have you come to become
24 familiar with the kinds of adjustments that you need to
25 meet -- make to -- once you -- you use these initial

1 speed data?

2 A. This is all part of the process of learning
3 how to do accident reconstruction analyses. There's
4 never -- I shouldn't say "never."

5 It's very rare that you have such precise
6 information that you can pinpoint exactly what happened
7 to each vehicle at every instant in time. What you
8 usually find is that there are ranges that work for a
9 particular variable like speed or angle. And within
10 those narrow ranges, you can have the solution, in
11 other words, the actual dynamics of the accident.

12 Q. And are there -- is there peer-reviewed
13 literature validating these adjustments or do you
14 just -- does each guy just make it up as he goes?

15 A. This is a process of looking at the variables
16 that you input and making sure that they're reasonable.
17 So it's a standard practice that I do and, I think,
18 others do as well.

19 Q. All right. And what factors do you take into
20 account to determine whether your adjustments are
21 reasonable?

22 A. Well, for example, I mentioned earlier that
23 Ms. Garcia's vehicle couldn't turn 360 degrees and then
24 another 180. And so, for that, I'm looking at the
25 damage to the vehicles. And I know that the damage to

1 the vehicles does not support the amount of force, the
2 amount of kinetic energy it would take to rotate her
3 vehicle one and a half times around because we don't
4 see that damage on her car or Mr. Awerbach's car.

5 Q. And what scientific analysis, if any, did you
6 perform on this deformation to the vehicles themselves?

7 A. Right. So that is basically looking at the
8 pictures, doing the photogrammetry, and coming back to
9 what the energy is to create the damage to the
10 structures of the car, permanent damage.

11 Q. Did you utilize any calculations of crush to
12 validate your adjustments?

13 A. Yes. So the crush analysis validates the
14 PC-Crash setup. So I looked at what energies would
15 relate to the amount of permanent damage to both
16 vehicles, and then I looked at the energy that PC-Crash
17 said was absorbed by the vehicles in the crash and then
18 looked at were they in the same range. And the answer
19 is, for a certain number, yes, they were.

20 Q. All right. And did you have occasion to
21 perform, as part of this, a crush energy analysis?

22 A. Yes.

23 Q. Would you tell us what that is and how it
24 validates your adjustments?

25 A. So I think we've gone through this a few

1 times.

2 The crush energy analysis is looking at how
3 much energy it takes to permanently form the vehicles.
4 And then you match up the amounts of force applied to
5 each vehicle and make sure that they are -- they are
6 equal. And then you have the energy for both.

7 Q. All right. And you -- did you do that for
8 these particular vehicles?

9 A. I did.

10 MR. STRASSBURG: Permission to show 25?

11 MR. ROBERTS: Just a second, Your Honor.

12 No objection.

13 THE COURT: Go ahead.

14 BY MR. STRASSBURG:

15 Q. Now, how does -- could you explain for us --
16 come down and explain for us how does Slide 25 itemize
17 the steps you went through in performing the
18 deformation analysis to check your adjustment.

19 A. So what I look for is the amount of crush in
20 the vehicles. So I compared the photographs of the
21 damaged vehicles to exemplar vehicles, so undamaged
22 vehicle. I do photogrammetry to come up with what I
23 call the upper balance. So I try to assume that
24 there's more damage than there is. So if there's a
25 question of whether it's 1 inch or 2 inches, I go with

1 the 2 inches of damage. And then I look at the repair
2 estimates to make sure that the damage is what I think
3 it is.

4 So I've then overestimated crush. And that
5 gives me the maximum energy to deform the vehicles in
6 the accident. And that number needs to be the same or
7 in the same ballpark as what PC-Crash tells me is the
8 damage energy to the vehicles, because it calculates
9 that as well.

10 Q. And is the use of this crush energy method to
11 validate the adjustments you made on PC-Crash -- has
12 that been studied in research?

13 A. It has.

14 Q. Has it been validated?

15 A. It has.

16 Q. And are there research studies,
17 peer-reviewed, validating this crush energy analysis to
18 check PC-Crash?

19 A. Well, the crush energy analysis is a method
20 unto itself, and there are peer-reviewed scientific
21 journal articles that walk you through the process that
22 I just described.

23 Q. Can you name any of them?

24 A. There's a -- a paper by Hull -- let's see.
25 There's Cipriani. It's in the Fricke book on

1 traffic -- traffic accident reconstruction. It's
2 fairly common.

3 MR. STRASSBURG: Permission to show 26?

4 MR. ROBERTS: Objection. Hearsay.

5 THE COURT: I don't think it's necessary.

6 BY MR. STRASSBURG:

7 Q. Now, to determine crush, is this something
8 you eyeball or are there formulas?

9 A. So this is photogrammetry. It uses
10 comparisons to known lengths. So it's not eyeballing
11 it.

12 Q. Is there any mathematic formulas that
13 determine crush or is it done some other way?

14 A. There's formulas that determine energy from
15 crush.

16 Q. And was that utilized in making your
17 adjustments?

18 A. It was.

19 Q. And could you -- was it vehicle specific?
20 Was it just in general?

21 A. It does use vehicle-specific parameters that
22 are developed and obtained from government crash tests.

23 MR. STRASSBURG: Permission to show 27?

24 MR. ROBERTS: No objection.

25 THE COURT: Go ahead.

1 BY MR. STRASSBURG:

2 Q. Would you explain to us -- would you identify
3 for us the formula here.

4 A. Sure. This formula on the bottom is the
5 energy of deformation. So it's the energy required to
6 create the damage to the front of this vehicle, and it
7 uses vehicle-specific parameters. Those are A and B.
8 Those are stiffness coefficients.

9 Essentially, A is the amount -- or it relates
10 to the amount of energy it takes to start plastically
11 deforming or permanently deforming a component or a
12 vehicle. And then B is the actual stiffness, so the
13 amount -- it relates to the amount of energy per depth
14 of crush. And so if you look at the vehicle -- may I
15 show you?

16 Q. Certainly.

17 A. If you look at the front of the vehicle,
18 here's 50 inches of width. And then you have the
19 amount of crush going from zero over on the passenger
20 side of this vehicle to 4 inches on the driver's side
21 of this vehicle. And we use the amount of crush in a
22 formula like this.

23 This is actually a simplified formula where
24 we use the full width, W. So that's 50 inches here.
25 And C is the average amount of crush for this profile.

1 But when we do this on the computer, this
2 formula actually would take up about two pages because
3 it requires the amount of crush at various positions
4 along the front. And then you do essentially this type
5 of formula for that whole front end of the car.

6 Q. And to get the quantities that you plugged in
7 the formula -- you say that they're vehicle specific.

8 So you mean the Hyundai and the Suzuki?

9 A. That's right.

10 Q. And how did you gather that -- those
11 quantification -- quantities?

12 A. Right. So the stiffness coefficients are
13 from government crash tests, and then you get that
14 information. And, actually, there's a company that
15 takes it and specifically develops those stiffness
16 coefficients.

17 And then you can also match it up. In this
18 particular case, we want to make sure that the force is
19 equal and opposite on the vehicles during the
20 collision. And so we match it up that way as well.

21 Q. And is this a process that's been validated
22 in peer-reviewed scientific studies?

23 A. It is.

24 Q. Is it standard in your profession?

25 A. It is.

1 Q. You mentioned stiffness coefficient.
2 Do you have a slide that illustrates that?

3 A. I do.

4 MR. STRASSBURG: Permission to show 28?

5 MR. ROBERTS: No objection.

6 THE COURT: Go ahead.

7 BY MR. STRASSBURG:

8 Q. Please explain Slide 28 and Stiffness
9 Coefficient A.

10 A. This is a little bit hard to see on the
11 screen here. But we have three panels -- precontact,
12 contact, and postcontact -- as someone kind of pushing
13 on a side of a door.

14 You see maybe -- no, it's a little bit
15 difficult here. That person is pressing in the door
16 panel. And then postcontact it pops out.

17 This is to really show that Coefficient A
18 relates to what we call elastic deformation. So the
19 amount of energy that is absorbed by the car before it
20 permanently deforms.

21 Q. Do you have a slide for -- to illustrate
22 Coefficient B?

23 A. Yes.

24 MR. STRASSBURG: Permission to show 29?

25 MR. ROBERTS: No objection.

1 THE COURT: Go ahead.

2 THE WITNESS: This is the same idea, but now
3 the individual is hitting the door much harder. And
4 hopefully you can see there's permanent deformation.
5 There's a dent in the door. So now Stiffness
6 Coefficient B relates to permanent damage, the amount
7 of force to create a certain amount of depth of crush
8 or depth of damage.

9 BY MR. STRASSBURG:

10 Q. Okay. Did you make any effort to determine a
11 crush profile?

12 A. I did.

13 Q. What is that?

14 A. So I think we've seen it on here. The crush
15 profile for the Santa Fe was essentially from the back
16 of the driver's door over to -- I'm sorry -- the
17 passenger's front door to the passenger rear wheel.
18 And then, on Mr. Awerbach's vehicle, it went from
19 essentially the passenger side headlight all the way
20 over to the driver's side end of the bumper. And that
21 crush went from 0 inches, so no damage on the passenger
22 side, to -- I overestimated 4 inches on the driver's
23 side.

24 Q. And did you use any vehicle-specific
25 information from photographs of the Suzuki?

1 A. Yes.

2 MR. STRASSBURG: Permission to show 44?

3 MR. ROBERTS: No objection.

4 THE COURT: Go ahead.

5 BY MR. STRASSBURG:

6 Q. Explain what you did.

7 A. So this is, again, showing the crush depth,
8 where we have no permanent deformation inward, at the
9 passenger side headlight all the way over to the
10 driver's side, where we know that there was some
11 damage. And I've overestimated it 4 inches on this
12 side.

13 Q. Is there anything that enables you to derive
14 the angle of impact from damage?

15 A. Yes. In order to create the profiles that we
16 have for the damage to both vehicles, they have to be
17 oriented in a certain way. And that's obviously this
18 portion of the Suzuki at the front driver's side in
19 contact with the rear passenger side of the Santa Fe.

20 Q. All right. And do you have a -- is deriving
21 angle of impact from deformation, is that a validated,
22 peer-reviewed method, or is that just something you
23 came up with for this case?

24 A. No, this is standard in the accident
25 reconstruction community.

1 Q. And what are the principles that govern the
2 use of this kind of data to derive angles of impact?

3 A. Essentially, it's the same equations of
4 motion. It's all classic mechanics that allow us to do
5 this.

6 Q. All right. You can go back up.

7 A. Thanks.

8 Q. Now, does the energy of deformation for these
9 two particular vehicles that you calculated, would you
10 explain how you use that physical quantity -- and, by
11 the way, is it a guess or is it derived from formulas
12 or something else?

13 A. I'm not following you. I'm sorry.

14 Q. The energy of deformation, is that a derived
15 figure from objective physical formulas or is it just a
16 guess?

17 A. It's from formulas and the evidence that we
18 just discussed.

19 Q. And what are the names of the formulas?

20 A. Essentially, it's a balance of linear
21 momentum. You would use an angular momentum as well.

22 Q. All right. And let me ask you, the physical
23 principle of conservation of energy, did you make any
24 use of that?

25 A. Yes.

1 MR. STRASSBURG: Permission to show 19?

2 THE COURT: That's fine. Go ahead.

3 BY MR. STRASSBURG:

4 Q. Please explain to us, from Slide 19, how --
5 the -- what the conservation of energy principle means.

6 A. Essentially, energy cannot be created or
7 destroyed. So the energy before the accident -- the
8 energy of the systems, the cars -- is -- and the
9 occupants -- is the same before and after.

10 Q. Okay. And is this a valid principle, this
11 conservation of energy, or is this something new?

12 A. This is one of the principles of physics.
13 It's held as long as anyone's tested it.

14 Q. All right. And how did you go about
15 calculating total energy preimpact and total energy
16 post?

17 A. So the energy of the vehicles is essentially
18 related to its kinetic energy beforehand. So for the
19 linear component of each vehicle, it's one-half mass
20 times velocity squared. And each vehicle has
21 rotational velocity. In our particular accident here,
22 there's no rotational motion before, but if there were,
23 it would be one-half -- we call it "I", so it's a
24 moment of inertia. It's essentially rotational mass
25 times the angular velocity squared.

1 MR. STRASSBURG: Permission to show 20.

2 MR. ROBERTS: No objection.

3 THE COURT: Go ahead.

4 BY MR. STRASSBURG:

5 Q. Using Slide 20, how does this illustrate the
6 calculations you performed for these particular
7 vehicles to calculate preimpact energy?

8 A. So the energy of Mr. Awerbach's vehicle and
9 Ms. Garcia's vehicle would be added together to give
10 you the total energy before the impact.

11 You have linear components for both. So
12 that's the first two cars with the lines with the
13 arrows. And then there'd be rotational energy as well,
14 and you can see that there is none for the right two
15 pictures for these vehicles just before contact.

16 Q. All right. So this establishes a quantified
17 amount of energy for the left side of this universally
18 applicable formula of conservation of energy; true?

19 A. That's true.

20 Q. And how did you calculate the other side for
21 postimpact?

22 A. Then you have the kinetic energy of the
23 vehicles after impact. That's the linear and angular
24 components for both vehicles. You also have the amount
25 of energy that was absorbed by each vehicle to create

1 the permanent deformation, the damage to the vehicles.
2 And then there's also any friction on the roadway and
3 then sound and heat, things of that nature.

4 In general, for a motor vehicle accident, we
5 ignore sound and heat and just use the other
6 components.

7 MR. STRASSBURG: Permission to show 21?

8 MR. ROBERTS: No objection.

9 THE COURT: Go ahead.

10 BY MR. STRASSBURG:

11 Q. All right. So you have basically these two
12 sides to your formula; right?

13 A. That's correct.

14 Q. And on the one side of the equation, there's
15 preimpact, which is just linear; right?

16 A. In this case, that's right.

17 Q. Okay. And to calculate the speed of
18 Awerbach's -- or to calculate the energy of Awerbach's
19 vehicle -- why don't you come down here, sir.

20 To quantify Awerbach's vehicle, the energy,
21 you utilized the physical parameters of his car; right?

22 A. That's right.

23 Q. And did you have information quantifying
24 those physical parameters of his car that satisfied the
25 requirements of -- the standard requirements for such

1 inputs in accident reconstruction analysis?

2 A. Yes.

3 Q. And they were?

4 A. The mass of the vehicle.

5 Q. And the speed?

6 A. Yes. For kinetic energy, it's also the
7 speed. And that's initially based on testimony. And
8 then we went through the process -- the iterative
9 process to determine correct speed ranges.

10 Q. And this iterative process is akin to
11 balancing formulas in chemistry; right?

12 A. That's an interesting way of thinking about
13 it. Sure. Yeah.

14 Q. Yeah. And, in fact, you're balancing one
15 side of the conservation of energy with the other side
16 because you know they have to stay equal; right?

17 A. That's right.

18 Q. And you know that, if you make iterative
19 changes on one quantity, it will determine iterative
20 changes on the other side of the equation to other
21 quantities within known ranges; right?

22 A. That's correct.

23 Q. And those ranges have been validated, yes, in
24 the literature?

25 A. Ranges for the damage? No, that's specific

1 to this case.

2 Q. All right. Fair enough. And the same for
3 Ms. Awerbach's vehicle?

4 A. That's right.

5 Q. All right. And then postimpact -- again,
6 this is just milliseconds after the collision; right?

7 A. That's true.

8 Q. And then you use the same linear information.

9 Then quantifying the rotational energy for
10 the Awerbach vehicle, summing to the rotational energy
11 for the Garcia vehicle, what quantities went into that?

12 A. So this is part of the process that you
13 actually solve all of the equations of motion together,
14 and it gives the velocities and the angular rates for
15 the vehicles afterward. So you need to know the masses
16 of the vehicles, which we know, as well as the moments
17 of inertia in the vehicles.

18 Q. To calculate the rotational energy?

19 A. That's right.

20 Q. And how do you calculate the moments of
21 inertia?

22 A. That's a vehicle-specific parameter that we
23 know.

24 Q. And how do you calculate it?

25 A. It's given to us in the vehicle specs.

1 Q. And who issues the vehicle specs to you?
2 A. There's a database of them.
3 Q. Named?
4 A. Shoot. I forget the name of it. It's --
5 it's part of PC-Crash's modeling, though.
6 Q. And is it part of the validation for PC-Crash
7 too?
8 A. I believe so, yes.
9 Q. All right. And then to those four quantities
10 you add deformation of the Awerbach vehicle; right?
11 A. Uh-huh.
12 Q. That was the energy of deformation that we
13 already talked about?
14 A. Uh-huh.
15 Q. And that involves the quantities specific to
16 the Awerbach vehicle we just discussed?
17 A. That's right.
18 Q. And then you add the energy of deformation to
19 the Garcia vehicle?
20 A. That's right.
21 Q. And that involves the physical quantities
22 that we just discussed?
23 A. It does.
24 Q. All right. And then you use the coefficient
25 of friction?

1 A. That's right.

2 Q. Now, what makes you think you used the right
3 coefficient of friction, one that was accurate and
4 valid?

5 A. The coefficient of friction has been tested.
6 It's been published in literature for dry asphalt.
7 It's about .8. I used a range, the iterative process
8 again. Going down to .7 and up to .9 did not make a
9 difference in the dynamics of this accident.

10 Q. And then you utilized heat and sound?

11 A. That is -- those are negligible quantities in
12 an accident like this, and they are typically ignored
13 in accident reconstruction.

14 Q. All right. So when you're talking the
15 iterative process, it sounds like you start with
16 preimpact quantities; right? And then you look at
17 postimpact results; right?

18 A. That's right.

19 Q. And then you reverse-engineer from the
20 postimpact back to the preimpact and check; right?

21 A. That's correct.

22 Q. Okay. And the physical quantities that you
23 derive for this postimpact analysis, it sounds like
24 those serve as limits on the amount of adjustment that
25 this whole system can tolerate; right?

1 A. That's right.

2 Q. Explain that to everybody.

3 A. I think we've gone through this a few times.
4 This is -- for example, the damage to the vehicles
5 represents a certain amount of energy lost in the
6 accident. And it can't be -- we didn't have 20 inches
7 of crush on Ms. Garcia's vehicle. It was only a few
8 inches of crush.

9 So that bounds what we have for the damage
10 component, which bounds what we can have for the
11 inputs.

12 Q. So in layman's terms, then, if you got this
13 amount of deformation of her vehicle, right, that tells
14 you that there's only a limited range of speeds that
15 the physical equations of conservation of energy will
16 permit for her vehicle; right?

17 MR. ROBERTS: Objection. Leading.

18 THE WITNESS: That's right.

19 THE COURT: Sustained. It was leading.

20 BY MR. STRASSBURG:

21 Q. Explain how you relate the energy of
22 deformation of her vehicle to the preimpact speed
23 quantity.

24 MR. ROBERTS: Objection. Beyond the scope of
25 his report.

1 THE COURT: I'm sure he talked about this
2 energy in his report. I'm going to allow it.

3 MR. ROBERTS: He's got the tables here.
4 There's nothing else, unless -- I'm -- I could be
5 wrong.

6 THE COURT: I'm going to allow it.

7 THE WITNESS: So if I have an upper bound for
8 the amount of energy required to deform the vehicle,
9 then that gives me a basis for going back and looking
10 at what speeds the impact would be.

11 So -- I forget the -- the whole question, but
12 I think that's what you were getting at.

13 BY MR. STRASSBURG:

14 Q. Explain how you -- explain how the energy of
15 deformation on the postimpact side of the formula
16 limits the valid set of valid quantities for the speed
17 of the Garcia vehicle.

18 A. Right. This is -- it's the same thing we
19 just discussed. There is not energy for 20 inches of
20 crush. We have a certain amount of damage energy. And
21 that damage energy limits the amount of inputs in terms
22 of speed.

23 We can -- when we run the PC-Crash. We run
24 the equations, we solve the equations of motions. It
25 provides the amount of damage energy as part of the

1 results. If that damage energy is 50,000 foot-pounds,
2 and the actual damage energy between the two vehicles
3 is 30,000 foot-pounds, well, then that can't be right.
4 We have provided speeds that were too high in the
5 impact. We have to go back and try again.

6 Q. All right. And is -- is this a process -- I
7 mean, isn't it just guessing, or is it something more
8 valid scientifically than this?

9 A. No. This is the iterative process. We have
10 certain pieces of information that we can bound to, and
11 then we figure out what solutions actually work with
12 that -- those fixed points that we know.

13 Q. Okay. So to summarize, it sounds like -- you
14 start out with what you read in Ms. Garcia's
15 deposition.

16 A. Uh-huh. That's right.

17 Q. And Awerbach's deposition?

18 A. That's correct.

19 Q. And you know that you have a traffic accident
20 like this, a T-bone kind of accident.

21 A. A lateral impact, yes.

22 Q. Lateral impact, right.

23 And then you perform your analyses of these
24 particular vehicles to calculate these quantities on
25 the postimpact side of the formula; right?

1 A. That's correct.

2 Q. And then the quantities on the postimpact
3 side, they are related -- connected to the -- allowable
4 quantities on the preimpact side by the laws of
5 physics; right?

6 A. That's correct.

7 Q. I mean, the laws of physics -- once you
8 calculate this energy of deformation, when you
9 reverse-engineer and relate it back to what the speed
10 quantity had to be before the accident, the laws of
11 physics only allow certain values; right?

12 A. That's correct.

13 Q. And does that limitation hold for all of the
14 quantities on the right side?

15 A. You mean all of the inputs?

16 Q. Uh-huh.

17 A. Yes, it does.

18 Q. Now -- all right. So the iterative process
19 to develop a speed quantity that comports with the laws
20 of physics and conservation of energy, that's what you
21 utilized to derive the probable speed quantifications
22 for your analysis. Yes?

23 A. That's true.

24 Q. Now, the angles, please explain to us how you
25 utilized your objective observations of damage to the

1 particular vehicles to derive reasonable and
2 appropriate angles of impact.

3 A. So we can see the damage in the photographs
4 of the vehicles. And in Mr. Awerbach's vehicle, it's
5 more damaged on the driver's side of the bumper, pushed
6 in more. On Ms. Garcia's vehicle, it has that almost
7 U shape for the passenger side front door going back to
8 the passenger rear wheel. And the only way they can
9 fit together in any reasonable manner is for the angle
10 of the vehicles to be in a certain range.

11 Q. All right. So even though you don't know how
12 we got there, you know from the -- the location of --
13 the damage, the deformation to each vehicle tells you
14 where they were at impact; right?

15 A. Right. Where the damage is and the shape of
16 the damage.

17 Q. Explain that.

18 A. Right. So Mr. Awerbach's vehicle doesn't
19 just have damage to the driver's side. It's angled in.
20 There's no damage on the passenger side in front of the
21 headlight. It's all on the driver's side. And it gets
22 greater as you go more toward the driver's side.

23 Q. And how does that set a reasonable range on
24 the allowable -- on the angle of impact that the laws
25 of physics will allow?

1 A. Well, the passenger side of Mr. Awerbach's
2 vehicle can't engage with Ms. Garcia's vehicle. So
3 that limits the amount of rotation that you can have.
4 And we know that he wasn't turning right onto Rainbow,
5 that he was turning left. So we have a limit on the
6 angle there too.

7 Q. Vehicle specifications, you utilized what?

8 A. Those are vehicle-specific numbers from the
9 databases, things like weights, wheel bases, things of
10 that nature.

11 Q. And the vehicle specifications, did you use
12 all the ones that good, standard accident
13 reconstruction practice demands?

14 A. Yes.

15 Q. Did you leave any out?

16 A. Not that I know of.

17 Q. Physics. Did you utilize all of the
18 objective scientific principles of physics required for
19 your analysis under the standard of practice in your
20 discipline?

21 A. Yes.

22 Q. Distance. What quantities as to distance did
23 you require?

24 A. In this particular accident, distance was not
25 as important as orientation, so final resting

1 orientation.

2 Q. Describe what you mean by that.

3 A. So Ms. Garcia testifies that she's facing the
4 opposite direction at the end the accident.

5 Q. All right. So when you're talking about
6 final resting orientation, you're talking about her
7 facing back the way she came; right?

8 A. That's right.

9 Q. You're not talking about what lane she's in;
10 right?

11 A. No. Although we can do that as well with
12 steering input in the model. If she has her steering
13 wheel turned to the left, swerving away from
14 Mr. Awerbach, as she testifies to, then, even though
15 the animation I showed you has -- has her vehicle in a
16 different lane, it actually moves right into the
17 correct lane. And I do have a picture of that, if you
18 want to see it.

19 Q. Sure. Do you have a cite? Can you tell me
20 which one?

21 A. Yeah, if I can view my file. So if you have
22 my file, I can guide you to it.

23 Q. Yeah, I got it. Oh, do you want it?

24 A. No, no, no. Not the slides, the actual file.

25 Q. Where is that?

1 A. That's okay. Never mind. We don't.

2 Q. Okay. All right. As to distance, what
3 distance information did you have?

4 A. We actually had very little distance
5 information.

6 Q. Was it specific to the location of the
7 accident?

8 A. I'm not sure what you mean.

9 Q. Well, did you use Rainbow or some other road?

10 A. Oh. I used Rainbow in the analysis.

11 Q. All right. Now, Mr. Roberts says that your
12 results are invalid because the PC-Crash software shows
13 the resting location of the vehicles someplace
14 different than some of the witnesses described at the
15 time.

16 And I would ask you, sir, is that an uncommon
17 result in discipline of applying physics to the
18 reconstruction of accidents?

19 MR. ROBERTS: Objection to form.
20 Mischaracterizes the evidence.

21 THE COURT: I'm going to allow it.
22 Overruled.

23 THE WITNESS: It is common to have that
24 result.

25 /////

1 BY MR. STRASSBURG:

2 Q. All right. And does the fact that the -- the
3 PC-Crash result does not show -- that shows a resting
4 place of the vehicles that doesn't exactly match some
5 testimony by observers at the scene, does that
6 invalidate your calculation of forces and motion?

7 MR. ROBERTS: Objection. Form.

8 THE COURT: Well, I'm going to allow it.
9 Overruled.

10 THE WITNESS: No, it does not invalidate it.

11 BY MR. STRASSBURG:

12 Q. Well, why not?

13 A. Again, we have various steering inputs for
14 Ms. Garcia that actually bring her vehicle at rest into
15 the location that she testifies.

16 What was more important to me, especially for
17 the later parts of what I'll testify to --

18 MR. ROBERTS: I'm going to object. Beyond
19 the scope of his report. Nothing like that has ever
20 been produced.

21 THE COURT: Come on up for a minute, guys.

22 (A discussion was held at the bench,
23 not reported.)

24 THE COURT: Yeah, we'll take a quick break,
25 folks. Sorry.

1 During our break, you're instructed not to
2 talk with each other or with anyone else, about any
3 subject or issue connected with this trial. You are
4 not to read, watch, or listen to any report of or
5 commentary on the trial by any person connected with
6 this case or by any medium of information, including,
7 without limitation, newspapers, television, the
8 Internet, or radio.

9 You are not to conduct any research on your
10 own, which means you cannot talk with others, Tweet
11 others, text others, Google issues, or conduct any
12 other kind of book or computer research with regard to
13 any issue, party, witness, or attorney involved in this
14 case.

15 You're not to form or express any opinion on
16 any subject connected with this trial until the case is
17 finally submitted to you.

18 Fifteen minutes. Holler to me when you need
19 a break.

20 (The following proceedings were held
21 outside the presence of the jury.)

22 THE COURT: All right. We're outside the
23 presence of the jury. I'm going to excuse our witness
24 for a minute.

25 MR. ROBERTS: Your Honor, before you rule, I

1 request to follow up on some of the testimony that's
2 just come in if you're going to use that as the basis
3 to make a Hallmark ruling.

4 I can do it outside the presence, but there
5 are a couple of key things that I would like to get in
6 the record before you make the decision.

7 THE COURT: We'll see if we need him back in
8 or not. I know there's a Hallmark objection. And I
9 made a bunch of notes when each of you were asking the
10 questions.

11 As far as what he relied upon, didn't rely
12 upon, place the point of impact different from the
13 police report based on deposition testimony --

14 MR. ROBERTS: Your Honor, he confirmed on
15 voir dire that he did not use the location from the
16 police report. He confirmed the location of the police
17 report would not have been possible, so he guessed at
18 the location. It is not based on the police report.

19 THE COURT: All right. I'll let you guys
20 make your record.

21 Go ahead, Mr. Roberts.

22 MR. ROBERTS: I'm -- I'm sorry, Your Honor.
23 I interrupted. I got carried away.

24 THE COURT: It's okay. It's all yours now.

25 MR. ROBERTS: I -- I apologize.

1 Your Honor, Hallmark doesn't just talk about
2 the expert's qualifications and the recognized
3 methodology. Hallmark talks about the foundation of
4 the evidence upon which the calculations are based.
5 And they have to be based on the evidence in the case
6 and the facts of the case and not on mere guesses or
7 speculation.

8 So we know what the key elements are of the
9 PC-Crash program that have to be input. One of the
10 elements is Ms. Garcia's speed. He wants to
11 underestimate the amount of energy -- total energy in
12 the collision.

13 He testifies in his report and today that he
14 used 30 miles an hour for her speed even though there's
15 been a range of 30 to 35. So he used the lower end of
16 that, but that's fine. That's based on the evidence.

17 What about Mr. Awerbach's speed?
18 Mr. Awerbach says he was going 20 to 30 miles an hour.
19 In his report, he says he used 20. He didn't use 30.
20 He's telling the jury he -- he was coming up with the
21 maximum possible number. But in his report, he says he
22 uses 20, not 20 to 30.

23 And the reason he says is that, based on --
24 and I'm quoting from his report at page 6,
25 October 10th, 2014 -- "based on the distance traveled

1 by Mr. Awerbach and the peak acceleration for his make
2 and model, it is likely that Mr. Awerbach's speed prior
3 to impact is 14 miles an hour, which would decrease the
4 severity of the accident."

5 So now he's saying that "I'm basing my
6 decision to use 20 and not 30, which lowers the energy
7 of the collision, based on the distance Mr. Awerbach
8 traveled." But he doesn't know the distance
9 Mr. Awerbach traveled. He doesn't know where he was
10 prior to entering Rainbow.

11 He says he disregarded the 100 feet north of
12 Peak because it wasn't realistic from the image that
13 you've seen. He goes straight across Rainbow, the
14 shortest distance possible to the point of impact. If
15 he had traveled at an angle, the way many people do,
16 and the point of impact had been further up -- he
17 doesn't know; he's guessing -- Mr. Awerbach would have
18 been traveling twice the distance and his speed could
19 have been 28 and not 14. It could have been 30, as
20 estimated by Mr. Awerbach.

21 He's guessing on the -- on the -- he's using
22 the distance from -- that Mr. Awerbach traveled from
23 the stop to the collision as the basis of his
24 calculations, but he has no foundation for his estimate
25 of distance because it's based on a guess, because he's

1 disregarded the police report point of impact and he's
2 come up with his own guess as to that without the
3 foundation.

4 We then look at angles. And if -- what he
5 says is that he's got Mr. Awerbach turning a little bit
6 to the left. And this is the angle he uses. So
7 interestingly enough, the crush is up here and he's got
8 the angle down here. So, "Whoops. That doesn't match
9 up. So I'm now going to turn Ms. Garcia's vehicle to
10 make the angles match up." He's guessing. There's no
11 foundation. He just does that to make his calculations
12 work.

13 He changes the angle of Ms. Garcia's car to a
14 random angle with no foundation in the evidence to make
15 it work.

16 So he's got speeds and angles. And by the
17 way, Mr. Awerbach says he comes out and he's turning
18 left, and he sees Ms. Garcia and he turns right, and
19 then he comes back left. So we got no idea from the
20 evidence in the record what the angle of the collision
21 is. And, in fact, since Mr. Garcia says he came out
22 and he turned right, it's more likely that he turned
23 right. That's how the damage ended up on the right
24 side of his car. But he just ignores that and he
25 doesn't do any calculation based on that.

1 How does he go about determining speeds and
2 angles? He does iterative calculations with different
3 angles and different speeds. And this is what he says
4 in his report. The position of rest of the vehicles in
5 the simulation is compared to the witness accounts, and
6 the energy of collision is compared to the crush energy
7 to verify the simulation.

8 So his own report says that it's necessary to
9 verify his simulation by comparing the rest position of
10 the vehicles to the witness accounts. But in this
11 instance, there's not a single witness who places the
12 vehicles in his rest location. So he can't verify the
13 simulation.

14 Now, he could do different iterations to
15 place the vehicle in the correct lane. He said on the
16 stand he's done it, but he's never produced it, and we
17 have no idea what the delta-v's are from a correct
18 position of the vehicle because it's not in his report
19 and it's not in evidence.

20 So the very simulation he's trying to show
21 the jury, the calculations he's trying to show to the
22 jury are not based on a range; they're based on
23 20 miles an hour, angles inconsistent with the
24 evidence, and rest positions of the vehicles
25 inconsistent with the evidence. It's sheer

1 speculation.

2 And, also from his report, an iterative
3 process was performed, meaning "I don't know what it
4 is; I'm going to try lots of different things" to
5 determine which speed and impact configuration would
6 result in the final point of rest of the vehicles.

7 Again, by his own report and his own
8 methodology, he failed to achieve what he was trying to
9 accomplish -- verifying his analysis by confirming
10 the final point of rest of the vehicles -- which is
11 inconsistent with every single witness who will testify
12 in this case.

13 We now look at crush. So he's trying to
14 validate his analysis by saying, "Oh, but I looked at
15 crush."

16 Let's look to see what his report says. It
17 says that "Because exact crush profiles could not be
18 measured, as the damaged vehicles were not available to
19 inspect directly, a range of crush estimates were used
20 to calculate the energy dissipated in the impact."

21 So he hasn't inspected the vehicles, which is
22 an element under Hallmark; all he's looked at pictures,
23 just like in Hallmark. There are no angles in
24 pictures, and we know that from the evidence where you
25 can look down and measure the crush angles. So he's

1 tried to extrapolate ranges of crush from pictures that
2 just look directly at the side of the vehicle and not
3 overhead.

4 He understands that. He understands he can't
5 do a crush measurement. So he comes up with a range.
6 And then let's look what he does.

7 "For analysis of the upper bound impact
8 severity, I overestimated the crush depth of the
9 vehicles to ensure that the energy dissipated and the
10 actual event was no greater than my calculations. Less
11 deformation would have produced a less severe
12 accident."

13 And that might be true if we were just
14 talking about crush. But here's the problem. He says
15 that he then used crush in -- into PC-Crash.

16 Well, if you look at the conservation of
17 energy, you've got impact of vehicles. Part of the
18 energy is put into crush, part of it is put into the
19 motion of the vehicles out of the collision.

20 So if he's overestimating the crush damage,
21 if he's overestimating the portion of energy that went
22 into crushing the vehicles, then he's underestimating
23 the amount of energy left to throw the vehicle out of
24 the way.

25 So while he's trying to be conservative in

1 crush, that ends up being over-- being underestimating
2 the delta-v that's left that goes into motion. It's
3 all guess and speculation, and it's self-validating.

4 He talked about speed. Speed is nowhere in
5 his reports. He's got tables in his reports that talk
6 about crush. The tables which talk about crush, I
7 believe the Court saw them up at the bench, and they
8 had inches of crush. They have force necessary for
9 deformation.

10 But, of course, the amount of speed necessary
11 to create a certain amount of crush depends on the
12 angle. A direct angle at the same speed will create
13 more deformation than coming in at a greater angle
14 which deflects off. So a greater speed is necessary to
15 create the same amount of crush at a different angle.

16 And so we look at his crush measurements,
17 Your Honor, and his crush measurements have the angle
18 for the Suzuki between 37 and 42 and for the Hyundai
19 Santa Fe at 55 to 60.

20 He's just made that up. There's no evidence
21 in the record for such a limited angle in his
22 calculations. He chooses those because they match up
23 with the other calculation he did in PC-Crash, and he's
24 trying to validate it.

25 He's self-fulfilling. He's just manipulating

1 both of these things by choosing variables which he's
2 made to match up even though there's no evidence in the
3 record. And then he wants to take all of this stuff
4 he's made up, all of the angles and all of the forces
5 necessary to put a vehicle in a different location than
6 the evidence shows it ends up in, and create a delta-v.

7 And that's what he wants the jury to know.
8 But there's no foundation for his delta-v. It's all
9 based on speculation and guess.

10 Thank you, Your Honor.

11 MR. STRASSBURG: Judge, Mr. Roberts wants to
12 rewrite Hallmark to make it a more stringent standard
13 than the supreme court. Hallmark requires, under the
14 applicable statute, that the opinions of the expert must
15 be the product of a reliable methodology. That's right
16 out of the Hallmark opinions. And here --

17 THE COURT: I think he's -- his argument is
18 based on subparagraph 5 of the reliable methodology
19 portion of the decision. It says it has to be based
20 more on particularized facts rather than assumption,
21 conjecture, or generalization.

22 MR. STRASSBURG: Well, here's the problem
23 with his argument. These variables are not
24 independent. These variables are connected by the
25 conservation of energy equation which determines, as a

1 universal property of physics, that these are all not
2 free to -- to be anything an expert may want to guess;
3 that he can determine, when he determines crush from
4 measurements, photogrammetry, the actual pictures of
5 the actual vehicles, exemplars. That's all
6 particularized data. Those quantities determine the
7 allowable quantities on the other side.

8 And so this iteration, of which Mr. Roberts
9 is so critical, is not just a fancy word for guessing.
10 It is a scientific process of reverse-engineering the
11 sides of these -- this equation so they balance. It's
12 akin to calculating the line of best fit. When you
13 have data points, the scientists, they -- they go back
14 and forth to determine which line best fits this data.
15 And that's what he's doing here.

16 Hallmark only requires that it be based more
17 on particularized facts than assumption, conjecture, or
18 generalization.

19 Here, Judge, the speeds are based upon
20 particularized facts and then adjusted in accordance
21 with these objective scientific principles that
22 determine and limit the allowable levels for those
23 adjustments and which are commonly, standardly utilized
24 in this discipline and have been validated for that
25 purpose.

1 The angles are determined by the observations
2 of the actual damage to the actual vehicles which are
3 involved and those actual deformations. Those
4 determine the allowable angles at the point of impact.

5 Now, the fact that there's a difference and
6 disputes about how he got there, who swerved first, all
7 that stuff, does not alter the fact that the angles are
8 determined by the deformation.

9 You see, Judge, all of a sudden now,
10 Mr. Awerbach is a super-credible witness. He lies
11 about everything else, according to the plaintiff, but
12 when it comes to the rest position of the vehicles, all
13 of a sudden, he's Buddha. And that is just not
14 required under the custom and practice of legitimate
15 and validated accident reconstruction.

16 The rest -- the fact that there is a degree
17 of mismatch between the final rest locations testified
18 to by witnesses, whose credibility the plaintiff
19 attempts to assassinate in every other aspect of this
20 case, that there's a difference between that and the
21 output of this balancing operation by computer between
22 the two sides of this conservation-of-energy formula,
23 the witness has testified it does not discredit the
24 validity of his results because it happens all the time
25 in this business. And the experts take that into

1 account within the general physical parameters that
2 govern this entire system.

3 He also testified that he did a sensitivity
4 analysis with respect to Jared's speed.

5 You see, Judge, Mr. Roberts wants to impose
6 the same level of precision requirement for every
7 quantity utilized in these calculations. But that's
8 not how reality works, according to Dr. Scher, because
9 scientists do what's called the sensitivity analysis to
10 see whether -- you know, it's the difference between
11 linear relationships and geometric ones.

12 For a geometric one, you change a little bit
13 in initial conditions, you get a huge change in -- in
14 outcome. Linear is just proportional. Sensitive
15 analysis is what determines which one this is.

16 For purposes of Jared's speed, in this kind
17 of an accident, the witness has testified that the
18 sensitive parameters are broader for Jared's speed than
19 other parameters.

20 And, again, it's important -- I mean,
21 Mr. Roberts wants to characterize the output of
22 Dr. Scher's analysis as a simulation of reality.
23 That's so he can test it against witnesses, whose
24 credibility he doesn't accept anywhere else in the
25 case, and try to discredit it.

1 But what Dr. Scher is modeling here, what
2 he's calculating here, is not rest locations. He's
3 calculating forces and motions. And the reason he's
4 doing that is because those are the inputs for the next
5 step in his methodology, the biomechanical analysis of
6 the force, how the forces on the vehicle translate into
7 forces on the spine.

8 So that is why, as he testified, that
9 accident reconstructionists don't consider it to be
10 discrediting that the fact of the outcomes of the
11 PC-Crash analysis don't match exactly with fact
12 witnesses, who notoriously -- who can be notoriously
13 unreliable. That's the custom and practice. It's been
14 validated in this discipline.

15 And it's an upper bound. It's not a
16 simulation of what had to happen. It's an upper bound.
17 It's a simulation of how bad it could be physically
18 under these conditions. Because even -- no matter --
19 even at that level, the upper bound, these forces
20 couldn't hurt her spine. That's his opinion.
21 That's -- that's the logic behind his opinion.

22 It's -- and Mr. Roberts wants to limit him to
23 only being able to model exactly what must have
24 happened in reality. What he's doing is, as an honest
25 and -- expert with integrity, he's saying that he feels

1 more comfortable, more valid, in modeling the upper
2 bound of what these forces could not exceed, because he
3 can prove that, even with the upper bound, he's right.

4 Judge, that satisfies the requirements of
5 Hallmark. The particularized data outweighs
6 assumption, conjecture, and generalization. In fact,
7 there isn't lot of that here because of this
8 conservation of energy principle that connects what you
9 can observe, what you can measure to allowable
10 limitations on other factors that are relevant to the
11 calculation.

12 That satisfies Hallmark. The objections that
13 Mr. Roberts has go to weight, not foundation. This is
14 clearly the product of reliable methodology. If
15 Mr. Roberts wants to criticize that on cross, well,
16 then that's his right to do so. But it -- it's
17 admissible.

18 MR. ROBERTS: One brief thing, Your Honor.

19 THE COURT: Let Mr. Mazzeo go first.

20 MR. ROBERTS: Sorry.

21 MR. MAZZEO: Thank you, Judge.

22 So I would agree with Mr. Strassburg. He
23 does satisfy the reliable methodology. And I would
24 direct the Court's attention to the 2013 case of LVMPD
25 v. Yeghiazarian, Y-e-z-h-i-a-z-a-r-i-a-n. 129 Nev.

1 Adv. Op. 81, 312, P.3d, 503, 2013 case.

2 THE COURT: This was our case. I know it
3 real well.

4 MR. MAZZEO: So there we go. So I don't have
5 to go through those facts, but that was where Dr. Baker
6 was -- if I can just quote from the -- from the Court.

7 "The fact that Dr. Baker chose to use a
8 longer measurement instead of a shorter measurement for
9 the skid marks was -- was appropriate for
10 cross-examination. Furthermore, the disagreement among
11 Dr. Baker and the others regarding officers --
12 Officer Wick's prebraking speed was founded on whether
13 the figures from the black box in Officer Wick's patrol
14 car were from an airbag accelerator -- accelerometer
15 were more reliable in determining impact speed also
16 appropriate topic for cross-examination."

17 According to the supreme court, "The record
18 indicates that Dr. Baker was able to calculate to a
19 reasonable degree of scientific certainty the vehicle's
20 starting positions, their prebraking and impact speeds,
21 and a general angle at which the vehicles collided."

22 The reliability and -- and from what
23 Dr. Scher testified to, to satisfy that fifth factor in
24 Hallmark, the reliable methodology based on -- on
25 particularized facts, well, he -- he gave it to you

1 several times. He looks at the damage of the vehicles,
2 the speed of the vehicle, the angle -- the angle of the
3 vehicles relative to one another, the vehicle specs.

4 And then he uses the law of physics.

5 The -- Mr. Roberts suggests that, well, the
6 speed is based on guesswork.

7 Well, that's not true. He looked at the --
8 he put all of -- he crunched all of these -- these
9 variables into a formula, and he came up with what the
10 most probable speed was.

11 Just because a witness, after an accident,
12 who's not looking at the speedometer most of the time,
13 says, well, what's your estimated speed? It's just an
14 estimate. Mr. Roberts can't stand up here and say the
15 speed that -- that Jared gave was the actual speed he
16 was going at the time of impact. And the same thing
17 with -- with Ms. Garcia.

18 So the -- the more reliable speeds are the
19 speeds that Dr. Scher came up with rather than the
20 estimates given by the motorists in this case, since at
21 the time of impact we know they're not looking at the
22 speedometer. So it's only an estimate.

23 Mr. Roberts also suggests that the final
24 point of rest is important. That's not important
25 whatsoever to the calculations performed by Dr. Scher.

1 And so I think he satisfies that fifth factor
2 of Hallmark.

3 I know that prior to the break, you were -- I
4 think you were in agreement; you're familiar with the
5 fact that he satisfied the reliable methodology, and
6 you're familiar with the LVMPD v. Yeghiazarian case.

7 Did I say that correctly, judge?

8 THE COURT: Close. They just said it
9 Yeghiazarian.

10 MR. ROBERTS: Your Honor, in LVMPD you were
11 affirmed because Dr. Baker was able to calculate to a
12 reasonable degree of scientific certainty the vehicles'
13 starting position, the prebraking and impact speeds,
14 and the angles at which the vehicles collided.

15 And then let's look at the other extreme,
16 which is Hallmark, where the district court abused its
17 discretion because the expert did not know the
18 vehicles' starting positions, their speeds at impact,
19 the length of time the vehicles were in contact, or the
20 angle at which the vehicles collided.

21 So which is this case closer to? I would
22 submit it's closer to Hallmark because all of these
23 things, the expert is just guessing at.

24 PC-Crash allows you to solve for a missing
25 variable. It doesn't allow you to, with scientific

1 certainty, guess at all the variables to come up with
2 something that -- and then it doesn't match.

3 That's why his report himself, despite what
4 Mr. Mazzeo says that it's not important with the ending
5 location, is his own report twice says that he
6 validates his simulation by confirming that the resting
7 point of the vehicles matches the eyewitnesses. It's
8 not just Jared that we're relying on. Jared and Emilia
9 Garcia are completely consistent with the location the
10 vehicle ends up, and there's no inconsistent testimony
11 in the record.

12 So in this case we know he did a
13 trial-and-error approach on speeds, on angles of
14 impact, on the location of the initial impact. And
15 what he says himself is he was doing trial and error in
16 an attempt to make the resting positions match up with
17 their actual resting positions. And we know, from what
18 he just tried to show the jury, he failed in that,
19 which makes everything else that he put in unreliable.
20 We don't know if his speeds are wrong; we don't know if
21 his angles are wrong; we don't know if his delta-v is
22 wrong. Because we don't know. He was unable to
23 confirm his guesses and his iterations by having the
24 vehicles end up where we know they ended up based on
25 the undisputed testimony.

1 And, therefore, under Hallmark, we would
2 submit that the methodology is reliable, but the data
3 that he puts in is not reliable and is not based on the
4 specific facts of this collision.

5 MR. MAZZEO: Your Honor, one other thing. In
6 Yeghiazarian there's no requirement that resting
7 position is necessary. And the Court in Yeghiazarian
8 said that the expert need only calculate some of the
9 variables. And he certainly had some. He had a modest
10 amount of variables.

11 And the Court also said that the expert need
12 only provide the general angle at which the vehicles
13 collided. They don't have to have the precise angle
14 but the general angle. And Dr. Scher did testify
15 that -- as to the relationship of the cars with respect
16 to one another and the angle with which Jared's car --
17 and the -- or the points of contact between the two
18 vehicles, which is a -- which is -- satisfies the
19 Yeghiazarian case.

20 MR. ROBERTS: If we only had a black box,
21 Your Honor, like you did in Yeghiazarian, we would know
22 the speeds.

23 THE COURT: Anything else?

24 MR. STRASSBURG: No.

25 THE COURT: Let me think about it for a

1 minute. Take a break. Off the record.

2 (Whereupon a short recess was taken.)

3 THE COURT: Go back on the record. Case

4 No. A637772. We're outside the presence still.

5 You wanted to make a supplemental argument,

6 Mr. Mazzeo?

7 MR. MAZZEO: Well, I just wanted to -- I just
8 wanted to suggest to the Court and ask the Court if you
9 would have maybe voir dire and question Dr. Scher
10 outside the presence of the jury with respect to
11 whether the variables that he used, whether he
12 satisfies Hallmark and Yeghiazarian, and whether he
13 satisfies the reliable methodology standard or factor
14 under Hallmark, you know, so --

15 I mean, so that's what I would ask the Court
16 to do before you make a decision, because things -- as
17 I said when we were off the record, I think some of the
18 argument and suggestions to the Court got muddled
19 between what Mr. Roberts was saying and -- and -- which
20 was contrary and, I think, different from what
21 Dr. Scher had actually testified to.

22 And Dr. Scher is -- and I believe -- and I
23 wrote this down -- that he had testified to the fact
24 that the -- the resting point is not important with
25 respect to PC-Crash. Contrary to what Mr. Roberts, who

1 is not an expert, believes, that is not an important
2 factor.

3 MR. ROBERTS: If he testified to that, Your
4 Honor, we move to strike because that's contrary to his
5 expert report in two places.

6 MR. MAZZEO: Well, I'm not saying that he
7 didn't come up with a final resting point in his
8 report, but in terms of the PC-Crash test analysis,
9 that is not important for determining the -- the -- the
10 speeds and the delta-v ultimately.

11 THE COURT: All right, guys. So under
12 Hallmark, in determining whether an expert's opinion is
13 based on reliable methodology, district court should
14 consider whether the opinion is, one, within a
15 reasonable -- recognized field of expertise; two,
16 testable and has been tested; three, published and
17 subject to peer review; four, generally accepted in the
18 scientific community; and, five, based more on
19 particularized facts rather than assumption,
20 conjecture, or generalization.

21 Now, in the Hallmark case the supreme court
22 found that Tradewinds in that case did not make really
23 any attempt to prove the first several things there and
24 consequently found that the expert should not have been
25 allowed.

1 On -- I'm trying to find the pages for you --
2 page 652 of the P.3d cite, going on to page 653, it
3 says, "Tradewinds also did not offer any evidence
4 showing that these types of opinions were generally
5 accepted in the scientific community. Further, his
6 opinion was highly speculative because he conceded he
7 formed it without knowing, one, the vehicle starting
8 positions; two, their speeds at impact; three, the
9 length of time the vehicles were in contact during
10 impact; or, four, the angle at which the vehicles
11 collided."

12 It says that "Tradewinds did not introduce
13 evidence that Dr. Bowles attempted to recreate the
14 collision by performing an experiment, so they could
15 not address whether his opinion was the product of
16 reliable methodology."

17 Further, they find that "Dr. Bowles' opinion
18 was based more on supposition than science because he
19 did not inspect Hallmark's vehicle, he could not
20 identify an area or angle of impact, and he did not
21 know the speed of the vehicles at the time of the
22 collision."

23 That was their collision after looking at the
24 O'Neil v. Windshire Copeland Associates case. Further,
25 after looking at the Smelser v. Norfolk Southern

1 Railway Company case, they said that in that case it
2 did not consider critical pieces of information,
3 instead relied heavily upon assumptions.

4 "Analogous, here, Dr. Bowles concluded that
5 the forces involved in the collision did not cause
6 Hallmark's back injuries by either assuming or
7 failure -- failing to consider critical pieces of
8 information such as the vehicles' starting positions,
9 the speeds, length of time the vehicles were in
10 contact, and the angles of impact."

11 I'm very familiar with the Yeghiazarian case
12 because that was my case. And the evidence in that
13 case was very different from this case. So I don't
14 know that it necessarily helps me.

15 The notes that I had taken in -- while
16 Dr. Scher was on the stand, he placed the point of
17 impact at a location different from what the police
18 report shows. He based it on deposition testimony, is
19 what his testimony was.

20 I think he agreed that there was no evidence
21 of what angles either vehicle was at at the point of
22 impact. He discounted Mr. Awerbach's 30-mile-per-hour
23 testimony, and I think he testified that he concluded
24 it was somewhere between 14 and 20. He used those two
25 numbers. He used 30 miles an hour for Ms. Garcia.

1 Now, when Mr. Strassburg started questioning
2 him, he talked about speeds, angles of impact, vehicle
3 information, laws, distance, coefficient of friction.
4 And in -- to his credit and to Mr. Strassburg's
5 credit -- I mean, he asked all the right questions as
6 far as whether the studies that he was basing his
7 opinions on, whether the laws of physics were laws that
8 have been testable and able to be tested and subject to
9 peer review and things like that.

10 The concern or the problem that I guess I
11 have is the point of impact, he doesn't know. The
12 speeds of the vehicles, he doesn't know, because
13 he's -- he started with the testimony of the parties,
14 but he basically said they were wrong.

15 The point of impact as provided in the police
16 report he says is wrong. He talks about crush and
17 deformation to determine speed and angles, but he
18 testified in his deposition, apparently, that he didn't
19 see the crush and he was only making estimates based on
20 photographs that he's seen.

21 I think this case is similar to the old cases
22 of Choat and Levine that you can't use photographs to
23 determine speed. Part of reason for that is because,
24 in looking at photographs, you can't see the damage
25 that's underneath a bumper or underneath the outside

1 section of a vehicle that you're looking at in a
2 picture.

3 He's using these pictures of crush and
4 deformation to determine speed and angles in this case,
5 which I don't think it has sufficient foundation or
6 evidentiary basis. He talks about coefficient of
7 friction being, I think, .8.

8 Now, I think coefficient of friction, whether
9 he went down to .7 or .9, I'm not going to say that he
10 can't testify based on coefficient of friction because
11 I think that is a standard that's used pretty much
12 everywhere in any case, and I'm okay with that.

13 The problem is he even testified that he
14 overestimates the crush for purposes of his
15 photogrammetry and uses photogrammetry to determine
16 speed and angles.

17 Starting and ending positions in this case
18 are unknown.

19 Further, in Hallmark, even if I get past the
20 initial analysis, you get to the point where, if he's
21 used technique, experiment, or calculations, then the
22 Court should consider whether they're controlled by
23 known standards; the testing conditions, if they're
24 similar; the technique in calculation, does it have a
25 known error rate and was it developed by the -- by the

1 proffered expert for purposes of this case.

2 In looking at that, I don't know that I can
3 say that any of his opinions are controlled by known
4 standards because the opinions that he's offering, I
5 think, are based more on assumption, conjecture, and
6 generalization than they are on the particular facts of
7 the case.

8 I don't know that I've ever excluded an
9 expert from trial based on lack of foundation in the
10 Hallmark case, but in this case I'm going to have to.
11 Sorry, guys.

12 So how do we proceed from here? I know this
13 doesn't make you guys happy. So tell me what you want
14 me to do.

15 MR. MAZZEO: Tell us what we want to do
16 from -- from what perspective, from -- with regard to
17 Dr. Scher, he's done basically; right? I mean, that's
18 your --

19 THE COURT: Well, I don't think there's a
20 foundation for any of the opinions that he's offered or
21 for the opinions that I think you want him to offer,
22 which are even further -- I mean, any opinions that he
23 has to offer that deal with injury or forces, whether
24 forces of daily life, are more than what he experienced
25 in the accident. I think that's all based on the

1 conclusions that he has about the speed and the forces
2 and the impact that I can't let him testify about.

3 I mean, I guess I'm asking you, is there
4 something that you want to -- that he can offer that's
5 separate and aside from those opinions?

6 MR. MAZZEO: May we have a moment, Judge?

7 MR. STRASSBURG: Well, let's go talk to him,
8 Judge, let's find out.

9 THE COURT: And I guess, if you want him to
10 testify about, for example -- well, I'm thinking that
11 he can probably still testify about the -- the forces
12 that are put on a body during the ordinary activities
13 of daily living. But I don't know that that matters if
14 nobody's going to say that the accident was more or
15 less than that. I don't know that that has any
16 relevance.

17 So I don't know. You guys talk and decide if
18 there's something that you think he can offer in light
19 of that ruling.

20 MR. STRASSBURG: Thank you, Judge.

21 THE COURT: Let me know. Off the record.

22 (Whereupon a short recess was taken.)

23 THE COURT: Want to go back on first or stay
24 off?

25 Go back on the record. We're still outside

1 the presence.

2 MR. STRASSBURG: Judge, we would move you to
3 reconsider your ruling, and we would request that you
4 allow Dr. Scher to explain to you why the quantities
5 that you identified in your ruling that you expressed
6 concern about are not material to his use of PC-Crash
7 to figure out only force and motion. Because the
8 physics of it are -- they are -- they don't depend upon
9 the factors that your ruling depended upon.

10 And for purposes of getting it right here in
11 a case that everybody has sunk a lot of time and money
12 into and getting it right for purposes of appeal for
13 the law of this state, that -- that -- the personal
14 injury bar, I mean, we are hiring accident
15 reconstructionists all of the time. It would be
16 important not to shackle the current state of this
17 scientific art with the rulings of cases that are
18 30 years old and have been superseded by scientific
19 development.

20 I mean, Judge Allf heard these cases too and
21 decided that they were not determinative as to what is
22 the standard of appropriate practice for engineers like
23 him.

24 THE COURT: The 30-year-old cases, you're
25 talking about the Choat and Levine cases?

1 MR. STRASSBURG: Yeah, that talk about you
2 can't use photographs. Well, today you can. And it
3 would be --

4 See, Judge, he is trying to figure out the
5 force on the spine. That's all. He -- he is trying
6 to -- and the determinant -- the motion that determines
7 the force on the spine is the 180-degree spin of her
8 vehicle and the fact that it's only 180 degrees.

9 And so all he needs for his purposes is to
10 determine what forces are generated when a vehicle of
11 her cars's weight and characteristics spins 180 degrees
12 on this road surface and comes to a stop. His
13 calculation -- those -- that's how he derives the force
14 on the spine for his biomechanical analysis.

15 This calculation depends solely upon the laws
16 of physics. It is validated in the scientific
17 literature. It doesn't depend upon the starting
18 location of Jared's vehicle. It doesn't depend upon
19 the resting location of her vehicle. What it depends
20 upon is the motion that her vehicle described.

21 And it is uncontested. Nobody disputes the
22 fact that her vehicle proceeding down that road at
23 30 miles an hour was -- was subjected to a force that
24 caused it to spin only 180 degrees.

25 Now, the physical parameters that govern this

1 system determine what a vehicle's describing at motion
2 subjects the occupant to at the level of their spine.
3 So his calculations go to force and motion. They are
4 determined by -- it's a little different than the usual
5 accident reconstruction expert who's just trying to
6 create a version of -- of reality that you can see and
7 the rest location and the start locations. That's not
8 here because that information wasn't available.

9 What -- what he is doing is something
10 different. He's doing a biomechanical analysis. The
11 biomechanical analysis focuses on the forces, the force
12 at the level of the L5-S1 vertebra. That force is
13 determined by the physical principles of the universe,
14 by the 180-degree motion of a car of this weight and
15 wheel base and friction characteristics spinning like
16 that when it's going 30 miles an hour.

17 It doesn't matter for his purposes, just for
18 his purposes, if he's hit by a truck, an airplane,
19 whether Jared's going from a standing stop, whether
20 Jared's running through that intersection or not.

21 THE COURT: Do you want to ask him additional
22 questions?

23 MR. STRASSBURG: Yes.

24 THE COURT: Go for it.

25 MR. ROBERTS: Your Honor --

1 MR. STRASSBURG: Thank you.

2 MR. MAZZEO: Excuse me one second,
3 Mr. Roberts, if I may.

4 I just -- well, I need to go on the record as
5 well. I mean, this is -- we're coming back in now, and
6 I want to make a record. And so --

7 THE COURT: Okay.

8 MR. MAZZEO: And I am also requesting what
9 Mr. Strassburg is requesting, that Dr. Scher articulate
10 all of the factors that he relied upon because I
11 contend that the recitation of factors that you gave,
12 Judge, for -- before you gave your decision,
13 incomplete.

14 And also I want the record to reflect that we
15 had a bench conference just before the jury was excused
16 and, at that bench conference, you had indicated to all
17 the parties that your inclination was that he did
18 satisfy the Hallmark standard.

19 THE COURT: I did?

20 MR. MAZZEO: And then you got an argument
21 from Mr. Roberts that convinced you otherwise for some
22 reason.

23 THE COURT: I went back and I looked at all
24 my notes from his testimony, from -- from everybody's
25 questioning, and I read the Hallmark case again.

1 MR. MAZZEO: And that's what I want to point
2 out, Judge. And then I want Dr. Scher to point that
3 out on the stand.

4 You contend that Dr. Scher relied on
5 photographs and photographs alone to determine the
6 damage done to the body of the vehicle. Well, that's
7 not true. He relied on damage estimates, and you
8 didn't say that in your recitation of factors that
9 you -- you believe that Dr. Scher relied upon.

10 Well, the damage estimates actually give the
11 actual damage that occurred underneath the body of the
12 vehicle, number 1.

13 The area -- the area of initial impact
14 contact in the traffic accident report, as reported by
15 Police Officer Figueroa, that's inaccurate. And -- and
16 Dr. Scher is not going to use an inaccurate figure
17 based on a -- on an estimate used by the officer who
18 walked the distance when, in fact, the accurate
19 estimate that Dr. Scher determined was actually twice
20 the distance. It was 200 feet based on his
21 calculations using, I believe, Google maps.

22 And then -- and then also -- I don't think
23 you also recited and -- or indicated that Dr. Scher
24 relied on the actual vehicle specs, which are
25 identifiable in this case, the size, the weight of the

1 vehicles, et cetera, and the angle of impact.

2 He -- he did say that he had the --
3 identified the angle of impact with respect to the two
4 vehicles based on the damage that occurred to both
5 vehicles. So for the -- for the record, for purposes
6 of appeal, and -- and also for your reconsideration
7 before we move on from this witness, I think it's
8 important to -- for this witness to identify all those
9 factors that he relied upon to see whether or not he
10 actually satisfies Hallmark.

11 MR. ROBERTS: Your Honor, we object to a
12 do-over. And counsel mentioned that, just before the
13 jury was excused, you were inclined to allow him to
14 testify. If I could add a little bit of history since
15 we were off the record.

16 I initially, when this opinion was going to
17 be offered, objected under Hallmark after I did my voir
18 dire. And we came up to the bench, and you said, "I'm
19 inclined not to let him testify because I think he's
20 speculating about all of these factors."

21 But you -- you said, "Mr. Strassburg, if you
22 want to try to lay a foundation, you go ahead before I
23 rule." And then you gave counsel -- the proffering
24 counsel complete latitude to put whatever on the record
25 he wanted to.

1 And it was counsel, Mr. Strassburg, who
2 elicited from the witness the necessary factors to his
3 calculations. And Mr. Scher is the one who said, "I've
4 got to know speed. I've got to know angle. I've got
5 to know the positions." He's the one who elicited
6 this. The witness has said these -- this is necessary
7 information.

8 And it sounds like they now want to say, "Oh,
9 I was wrong. None of that stuff is really necessary.
10 None of that stuff is necessary to my analysis. The
11 report that I issued which relied on all this stuff,
12 well, really, that's not really what I needed to do."

13 And he can't just change his report. He
14 can't contradict the conclusions in his report. He
15 can't contradict what he's already said on the stand
16 that was elicited by counsel.

17 You gave them complete latitude to make
18 whatever record. The record is complete. You've
19 ruled. And we would object to a do-over, and we'd
20 object in contradicting what's in his report and
21 offering some new testimony that "I don't need any of
22 that information. I can still calculate delta-v."

23 Because that's not what he did. He did a
24 PC-Crash to calculate delta-v, and then he plugged the
25 delta-v into the biomechanical software to analyze it.

1 So, Your Honor, the estimate was prepared by
2 the insurance company. The vehicle was never actually
3 fixed, and it was only for the Santa Fe. There is no
4 estimate for the Suzuki. So he could not have relied
5 on an estimate of the Suzuki because it's not -- I've
6 never seen it.

7 THE COURT: Okay. I'm not going to give him
8 a do-over, but I'm going to give him a little bit of
9 opportunity to see if they can change my mind. Because
10 I understand this is an important witness. It's an
11 important case for everybody.

12 So go for it.

13

14 VOIR DIRE EXAMINATION

15 BY MR. STRASSBURG:

16 Q. Dr. Scher, regarding the location of the
17 point of impact, is that a material fact that you need
18 to know for purposes of your analysis or not? And why?

19 MR. ROBERTS: Objection. Asked and answered.

20 THE COURT: I'm going to allow it.

21 THE WITNESS: So we generally know the area
22 of impact based on the testimony. In terms of --
23 sorry.

24 In terms of actually calculating the motions
25 of the vehicles, it doesn't matter whether it happens

1 right in front of the intersection, a few feet north, a
2 few feet south. The vehicle dynamics to spin the
3 Santa Fe, it doesn't make a difference. So overall, in
4 the biomechanical analysis portion, it wouldn't make a
5 difference.

6 BY MR. STRASSBURG:

7 Q. Explain what's important -- what's so
8 important about the spin of the Santa Fe?

9 A. The spin is important because you have a
10 counteraction between lateral motion and spin. When a
11 vehicle is contacted from the side -- say it's a
12 far-side impact, so it's a contact to the passenger
13 side and the driver -- I'm going to move towards the
14 direction of impact from the lateral motion of the
15 vehicle. The vehicle's going to accelerate to the
16 left. I'm initially stationary. So I'm going to move,
17 relative to the vehicle, to the right.

18 When a vehicle spins, you move to the outside
19 or the outboard side. If you've ever gone to an
20 amusement park ride where they spin you around and you
21 get stuck to the wall when the floor drops out, it's
22 the same principle.

23 In this accident those two motions counteract
24 each other, and so we wind up in a situation where
25 there's actually little relative motion because of the

1 spin and the lateral impact counteracting.

2 Q. Dr. Scher, what determines the forces on the
3 lumbar spine that you use in your biomechanical
4 analysis? What -- is it the spin? Is it the beginning
5 location? The rest location? A combination? What is
6 it?

7 A. It's the vehicle motions. So it would really
8 be the accelerations, both linear and angular, that the
9 vehicle undergoes.

10 Q. Which vehicle?

11 A. The Santa Fe.

12 Q. Alone?

13 A. If we're only interested in Ms. Garcia and
14 her lumbar spine, then it's only her vehicle that
15 matters for the MADYMO analysis, for the lumbar spine
16 analysis.

17 Q. And why is it that this -- that all you need
18 to know for your purposes is -- is speed and the -- the
19 motion this -- this -- this spinning of only her
20 vehicle that was only 180?

21 A. So we don't just need those. We also need
22 vehicle weight, wheel base, friction, things of that
23 nature.

24 Q. But only of hers?

25 A. Well, we need the mass of both vehicles, and

1 we need to know generally where the force is applied to
2 her vehicle. Because if it's applied through the
3 center of mass, we don't get that spin. Because the
4 force is not applied through the center of mass, we do
5 get the spin.

6 Q. And -- and how is it -- what is the
7 determining factor that determines the forces that are
8 imposed upon L5 and S1 of the lumbar spine? Is it how
9 fast Jared was going? The spin of her vehicle? Or
10 something else?

11 A. The speeds actually don't matter at all.
12 It's the accelerations. It's the vehicle motion during
13 the crash impulse as it moves around -- moves sideways
14 and spins.

15 Q. Is the only purpose that you're going to use
16 for this PC-Crash analysis to input force and motion
17 data into the biomechanical analysis, or is it
18 something else?

19 A. Well, I think delta-v gives a good descriptor
20 of accident severity. So I think that is important to
21 discuss, but it's not necessary for the lumbar spine
22 analysis.

23 Q. Okay. And what is necessary for the lumbar
24 spine analysis?

25 A. Just her vehicle motion, Ms. Garcia's vehicle

1 motion.

2 Q. Why?

3 A. Because that's what drives her loads, her
4 motions inside the vehicle, and how her lumbar spine
5 gets loaded because of her motions in the vehicle.

6 Q. And did you have enough known information to
7 calculate the motion of just her vehicle?

8 A. I believe so, yes.

9 Q. What was it?

10 A. What was the information?

11 Q. Yeah.

12 A. So we have the vehicle parameters for her
13 car. We have --

14 Q. Which are?

15 A. Well, it's like the weight, the moment of
16 inertia, the wheel base, friction on the road. I think
17 that's it.

18 Q. Are those particular to Garcia's Santa Fe, or
19 are they generic?

20 A. These are particular to her vehicle.

21 Q. What other information did you need to
22 calculate this?

23 A. The general location of impact on the
24 vehicle.

25 Q. Why was that important?

1 A. I need to know whether the force of the
2 impact goes through the center of mass or if it is
3 distant from the center of mass.

4 Q. Why?

5 A. Because if you have a force that's distant
6 from the center of mass, you have a moment arm. And
7 that force creates a torque that creates rotation.

8 Q. And what determines the amount of the moment
9 arm that creates the amount of rotation?

10 A. The moment arm is just a distance. So it's
11 where the damage is on her vehicle.

12 Q. And were you able to calculate the length of
13 that moment arm?

14 A. Yes.

15 Q. And how did you do that?

16 A. It's part of PC-Crash where I have where the
17 impact occurs on her vehicle.

18 Q. All right. And anything else that you needed
19 to calculate the forces derived from this 180-degree
20 spin? Did you need her speed, for example?

21 A. I think it's important to have a range
22 because the vehicle dynamics will change if we -- if
23 she's going 90 miles per hour versus 10 miles per hour.
24 But we don't need to know exactly what her speed is.

25 Q. And is that because the forces are determined

1 by this angular momentum quantity which is determined
2 by the motion in 180 degrees?

3 A. The angular accelerations start the rotation.

4 Q. And that's determined by the mass of the
5 vehicle?

6 A. Partly. But it's moment of inertia.

7 Q. Okay. And explain to us the quantities that
8 go into calculating moment of inertia. And prove to us
9 that you had that information; it wasn't just guessing.

10 A. So moment of inertia is like rotational mass.
11 And just like you would -- mass is a resistance to
12 motion when you apply a force, because force equals
13 mass times acceleration.

14 When you apply a torque to something --
15 torque equals $I \alpha$. "I" is the moment of inertia.
16 So it's kind of like the mass. Mass equals MA . And
17 α is angular acceleration, which is like
18 acceleration.

19 Q. Is that it?

20 All right. I have drawn on this board a
21 vehicle of Ms. Garcia -- this is Ms. Garcia's vehicle
22 here. This is the rotational center of the vehicle.
23 This is the location of impact. This is the resulting
24 motion, 180-degree rotation around this moment arm.

25 Do you see that?