

No. 71348

IN THE SUPREME COURT OF THE STATE OF NEVADA

Electronically Filed
Oct 15 2018 01:15 p.m.
Elizabeth A. Brown
Clerk of Supreme Court

EMILIA GARCIA,
Appellant,

v.

ANDREA AWERBACH,
Respondent.

**APPELLANT'S APPENDIX
VOLUME XXI, BATES NUMBERS 5001 TO 5250**

D. Lee Roberts, Jr., Esq.
Nevada Bar No. 8877
Jeremy R. Alberts, Esq.
Nevada Bar No. 10497
Marisa Rodriguez, Esq.
Nevada Bar No. 13234
WEINBERG, WHEELER, HUDGINS,
GUNN & DIAL, LLC.
6385 S. Rainbow Blvd., Suite 400
Las Vegas, Nevada 89118
Telephone: (702) 938-3838
lroberts@wwhgd.com
jalberts@wwhgd.com
mrodriguez@wwhgd.com

Corey M. Eschweiler, Esq.
Nevada Bar No. 6635
Craig A. Henderson, Esq.
Nevada Bar No. 10077
GLEN J. LERNER & ASSOCIATES
4795 South Durango Drive
Las Vegas, Nevada 89147
Telephone: (702) 877-1500
ceschweiler@glenlerner.com
chenderson@glenlerner.com

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
I	22 – 28	Amended Complaint	01/14/2013
V	1031 – 1282	Appendix of Exhibits to Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
V, VI	1304 – 1486	Appendix of Exhibits to Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
I	1 – 6	Complaint	03/25/2011
III	642 – 646	Decision and Order Denying Defendant Andrea Awerbach's Motion for Relief from Final Court Order	04/27/2015
III	623 – 629	Decision and Order Denying Plaintiff's Motion to Strike Andrea Awerbach's Answer; Granting Plaintiff's Motion for Order to Show Cause; and Granting in Part and Denying in Part Plaintiff's Motion to Strike Supplemental Reports	02/25/2015
I	164 – 165	Defendant Andrea Awerbach's Correction to Her Responses to Plaintiff's First Set of Requests for Admission	10/20/2014
III	630 – 641	Defendant Andrea Awerbach's Motion for Relief from Final Court Order	03/13/2015
I	96 – 163	Defendant Andrea Awerbach's Motion for Summary Judgment	11/08/2013
I	13 – 21	Defendant Andrea Awerbach's Responses to Request for Admissions	06/05/2012
I	29 – 35	Defendants' Answer to Amended Complaint	02/07/2013
I	7 – 12	Defendants' Answer to Complaint	01/23/2012
I	36 – 60	Defendants' Second Supplement to List of Witnesses and Documents and Tangible Items Produced at Early Case Conference	07/22/2013
I	61 – 95	Deposition of Andrea Awerbach [Vol. 1]	09/12/2013
I, II	166 – 391	Deposition of Andrea Awerbach [Vol. 2]	10/24/2014

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXVI, XXVII	6441 – 6942	Deposition of Jared Awerbach	
III	581 – 616	Deposition of Teresa Meraz	01/08/2015
IV	948 – 997	Jury Instructions	03/08/2016
IV	998 – 1000	Jury Verdict	03/10/2016
VI, VII	1499 – 1502	Minute Order	08/22/2016
VII	1513 – 1554	Notice of Appeal	09/19/2017
III	647 – 649	Notice of Department Reassignment	08/27/2015
VII	1508 – 1512	Notice of Entry of Judgment Upon the Verdict	08/21/2017
III	617 – 622	Order Granting, in Part, and Denying, In Part, Plaintiff's Motion for Partial Summary Judgment that Defendant Jared Awerbach was Per Se Impaired Pursuant to NRS 484C.110(3); and Denying Defendant Jared Awerbach's Motion for Partial Summary Judgment on Punitive Damage Claims	01/28/2015
IV	946-947	Order Modifying Prior Order of Judge Allf	02/12/2016
VI	1487 – 1498	Order Re: Post –Trial Motions	08/12/2016
VII	1503 - 1507	Order Vacating Judgment as to Jared Awerbach only	08/21/2017
V	1001 – 1030	Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
III, IV	650 – 900	Plaintiff's Motion to Disqualify Defendant Jared Awerbach's Counsel Randall Tindall and Motion For Reassignment to Department 27 on Order Shortening Time and Request for Leave to File Extended Memorandum of Points and Authorities	09/08/2015
II, III	392 – 580	Plaintiff's Motion to Strike Defendant Andrea Awerbach's Answer	12/02/2014
V	1283 – 1303	Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
IV	933 – 945	Plaintiff's Trial Brief Regarding	02/10/2016

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
		Permissive Use	
IV	901 – 932	Reporter's Transcript of Proceedings	09/15/2015
VII, VIII	1555 – 1765	Trial Transcript – 02/08/2016	11/10/2017
VIII	1766 – 1996	Trial Transcript – 02/09/2016	11/10/2017
VIII, IX, X	1997 – 2290	Trial Transcript – 02/10/2016	11/10/2017
X	2291 – 2463	Trial Transcript – 02/11/2016	11/10/2017
X, XI	2464 – 2698	Trial Transcript – 02/12/2016	11/10/2017
XI, XII	2699 – 2924	Trial Transcript – 02/16/2016	11/10/2017
XII, XIII	2925 – 3177	Trial Transcript – 02/17/2016	11/10/2017
XIII, XIV	3178 – 3439	Trial Transcript – 02/18/2016	11/10/2017
XIV, XV	3440 – 3573	Trial Transcript – 02/19/2016	11/10/2017
XV, XVI	3574 – 3801	Trial Transcript – 02/22/2016	11/10/2017
XVI, XVII	3802 – 4038	Trial Transcript – 02/23/2016	11/10/2017
XVII, XVIII	4039 – 4346	Trial Transcript – 02/24/2016	11/10/2017
XVIII, XIX	4347 – 4586	Trial Transcript – 02/25/2016	11/10/2017
XIX, XX	4578 – 4819	Trial Transcript – 02/26/2016	11/10/2017
XX, XXI	4820 – 5045	Trial Transcript – 03/01/2016	11/10/2017
XXI, XXII	5046 – 5361	Trial Transcript – 03/02/2016	11/10/2017
XXII, XXIII	5362 – 5559	Trial Transcript – 03/03/2016	11/10/2017
XXIII, XXIV	5560 – 5802	Trial Transcript – 03/04/2016	11/10/2017

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXIV	5803 – 5977	Trial Transcript – 03/07/2016	11/10/2017
XXIV, XXV	5978 – 6203	Trial Transcript – 03/08/2016	08/23/2018
XXV, XXVI	6204 – 6422	Trial Transcript – 03/09/2016	08/23/2018
XXVI	6423 – 6440	Trial Transcript – 03/10/2016	08/23/2018

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
I	22 – 28	Amended Complaint	01/14/2013
V	1031 – 1282	Appendix of Exhibits to Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
V, VI	1304 – 1486	Appendix of Exhibits to Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
I	1 – 6	Complaint	03/25/2011
III	642 – 646	Decision and Order Denying Defendant Andrea Awerbach's Motion for Relief from Final Court Order	04/27/2015
III	623 – 629	Decision and Order Denying Plaintiff's Motion to Strike Andrea Awerbach's Answer; Granting Plaintiff's Motion for Order to Show Cause; and Granting in Part and Denying in Part Plaintiff's Motion to Strike Supplemental Reports	02/25/2015
I	164 – 165	Defendant Andrea Awerbach's Correction to Her Responses to Plaintiff's First Set of Requests for Admission	10/20/2014
III	630 – 641	Defendant Andrea Awerbach's Motion for Relief from Final Court Order	03/13/2015
I	96 – 163	Defendant Andrea Awerbach's Motion for Summary Judgment	11/08/2013
I	13 – 21	Defendant Andrea Awerbach's Responses to Request for Admissions	06/05/2012
I	29 – 35	Defendants' Answer to Amended Complaint	02/07/2013
I	7 – 12	Defendants' Answer to Complaint	01/23/2012
I	36 – 60	Defendants' Second Supplement to List of Witnesses and Documents and Tangible Items Produced at Early Case Conference	07/22/2013
I	61 – 95	Deposition of Andrea Awerbach [Vol. 1]	09/12/2013
I, II	166 – 391	Deposition of Andrea Awerbach [Vol. 2]	10/24/2014

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXVI, XXVII	6441 – 6942	Deposition of Jared Awerbach	
III	581 – 616	Deposition of Teresa Meraz	01/08/2015
IV	948 – 997	Jury Instructions	03/08/2016
IV	998 – 1000	Jury Verdict	03/10/2016
VI, VII	1499 – 1502	Minute Order	08/22/2016
VII	1513 – 1554	Notice of Appeal	09/19/2017
III	647 – 649	Notice of Department Reassignment	08/27/2015
VII	1508 – 1512	Notice of Entry of Judgment Upon the Verdict	08/21/2017
III	617 – 622	Order Granting, in Part, and Denying, In Part, Plaintiff's Motion for Partial Summary Judgment that Defendant Jared Awerbach was Per Se Impaired Pursuant to NRS 484C.110(3); and Denying Defendant Jared Awerbach's Motion for Partial Summary Judgment on Punitive Damage Claims	01/28/2015
IV	946-947	Order Modifying Prior Order of Judge Allf	02/12/2016
VI	1487 – 1498	Order Re: Post –Trial Motions	08/12/2016
VII	1503 - 1507	Order Vacating Judgment as to Jared Awerbach only	08/21/2017
V	1001 – 1030	Plaintiff's Motion for New Trial or, in the Alternative, for Additur	05/26/2016
III, IV	650 – 900	Plaintiff's Motion to Disqualify Defendant Jared Awerbach's Counsel Randall Tindall and Motion For Reassignment to Department 27 on Order Shortening Time and Request for Leave to File Extended Memorandum of Points and Authorities	09/08/2015
II, III	392 – 580	Plaintiff's Motion to Strike Defendant Andrea Awerbach's Answer	12/02/2014
V	1283 – 1303	Plaintiff's Renewed Motion for Judgment as a Matter of Law	05/26/2016
IV	933 – 945	Plaintiff's Trial Brief Regarding	02/10/2016

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
		Permissive Use	
IV	901 – 932	Reporter's Transcript of Proceedings	09/15/2015
VII, VIII	1555 – 1765	Trial Transcript – 02/08/2016	11/10/2017
VIII	1766 – 1996	Trial Transcript – 02/09/2016	11/10/2017
VIII, IX, X	1997 – 2290	Trial Transcript – 02/10/2016	11/10/2017
X	2291 – 2463	Trial Transcript – 02/11/2016	11/10/2017
X, XI	2464 – 2698	Trial Transcript – 02/12/2016	11/10/2017
XI, XII	2699 – 2924	Trial Transcript – 02/16/2016	11/10/2017
XII, XIII	2925 – 3177	Trial Transcript – 02/17/2016	11/10/2017
XIII, XIV	3178 – 3439	Trial Transcript – 02/18/2016	11/10/2017
XIV, XV	3440 – 3573	Trial Transcript – 02/19/2016	11/10/2017
XV, XVI	3574 – 3801	Trial Transcript – 02/22/2016	11/10/2017
XVI, XVII	3802 – 4038	Trial Transcript – 02/23/2016	11/10/2017
XVII, XVIII	4039 – 4346	Trial Transcript – 02/24/2016	11/10/2017
XVIII, XIX	4347 – 4586	Trial Transcript – 02/25/2016	11/10/2017
XIX, XX	4578 – 4819	Trial Transcript – 02/26/2016	11/10/2017
XX, XXI	4820 – 5045	Trial Transcript – 03/01/2016	11/10/2017
XXI, XXII	5046 – 5361	Trial Transcript – 03/02/2016	11/10/2017
XXII, XXIII	5362 – 5559	Trial Transcript – 03/03/2016	11/10/2017
XXIII, XXIV	5560 – 5802	Trial Transcript – 03/04/2016	11/10/2017

ALPHABETICAL INDEX

Vol	Page Numbers	Description	Date Filed
XXIV	5803 – 5977	Trial Transcript – 03/07/2016	11/10/2017
XXIV, XXV	5978 – 6203	Trial Transcript – 03/08/2016	08/23/2018
XXV, XXVI	6204 – 6422	Trial Transcript – 03/09/2016	08/23/2018
XXVI	6423 – 6440	Trial Transcript – 03/10/2016	08/23/2018

1 a day or two of relief, as reported. As she shared
2 with me, she went back to her preinjection set of
3 symptoms and severity level.

4 Q. Doctor, what -- do you have a copy of the
5 11/19/2012 MRI report in your -- in your records?

6 A. I do. Do you want me to get it out?

7 Q. I want you to take a look at that. Here we
8 go.

9 What I -- what I want to direct your
10 attention to, Doctor, is -- is what is -- what is
11 noted, particularly, at the levels L1-2, L2-3, and
12 L3-4.

13 A. I have it in front of me now, Mr. Mazzeo.

14 Q. Okay. You do.

15 A. I have the 11/19/12 report.

16 Q. Okay. So what -- what did the radiologist
17 identify with respect to the structures at L1-2, L2-3,
18 and L3-4?

19 A. L1-2 disk demonstrates a 2.5-millimeter
20 bulge. Thecal sac measures 1.71 centimeters. The L2-3
21 disk demonstrates a 2.3-millimeter posterior bulge.
22 Thecal sac measures 1.64 centimeters. Bilateral facet
23 arthropathy. The L3-4 disk is dessicated and
24 demonstrates a 3.1-millimeter posterior bulge. Thecal
25 sac measures 1.71-centimeter.

1 Q. And what indication, Doctor, is there from --
2 and you reviewed the study as well?

3 A. Yes. I was provided the study.

4 Q. And what indication -- or what is your
5 opinion with regard to the cause of those bulges that
6 appear on that November 2012 imaging study, which did
7 not present or show up on either the January 2011 or
8 August of 2011 MR studies?

9 MR. SMITH: Object to the foundation on the
10 second part of the question.

11 THE COURT: Come on up.

12 (A discussion was held at the bench,
13 not reported.)

14 THE COURT: So I guess, as far as the
15 foundation, I will sustain it. Ask the other
16 questions.

17 MR. MAZZEO: Thank you, Judge. Thank you.

18 BY MR. MAZZEO:

19 Q. Okay. So, Dr. Klein, you told us what you
20 noted with respect to those specific levels, L1 through
21 L4, on the November 2012 report and your review of the
22 film; correct?

23 A. Correct.

24 Q. Okay. What, if any, bulges did you note with
25 respect to those same levels on the January 2011 film

1 and the August 2011 film?

2 A. Each -- each of the MRs show the normal
3 physiologic bulging. That's normal. Bulging --

4 Q. Well, no. I want you to -- each of -- I want
5 you to talk about now the January 2011 MR.

6 A. You want me to pull the report out?

7 Q. Yeah. And actually I will show you the
8 report.

9 A. All right.

10 Q. I have --

11 A. That might be a little quicker.

12 MR. MAZZEO: Judge, I want to use the ELMO,
13 please.

14 THE WITNESS: I have it in front of me,
15 Mr. Mazzeo.

16 MR. MAZZEO: Okay. Well, that's -- that's
17 fine. I just want to -- just so there's no confusion
18 here.

19 BY MR. MAZZEO:

20 Q. And that's, for the record, Plaintiff's 19,
21 page 5.

22 So specifically directing your attention
23 to -- this is the January 26th, 2011, film. Directing
24 your attention to the last paragraph.

25 A. On page 1 or page 2?

1 Q. Page 1.

2 A. The last paragraph?

3 Q. Yeah. What's noted by the radiologist with

4 respect to the -- with respect to the disks at L1-2,

5 L2-3, L3-4.

6 A. On the 1/26/11?

7 Q. Yes.

8 A. The last paragraph says there's mild

9 desiccation, end plate changes, and Schmorl's nodes --

10 S-c-h-m-o-r-l-'-s -- at T11, T12, and T12-L1.

11 Q. Okay. And then I -- maybe your report is

12 different. If you look on the screen, we're going to

13 go based on this --

14 A. You want me to come over there?

15 Q. Well, you have it on your screen.

16 A. I guess I better turn this on.

17 Q. Okay.

18 THE COURT: Should be a button on the right

19 side, at the bottom.

20 THE WITNESS: Okay. Right side on the

21 bottom?

22 THE MARSHAL: Right there. See it?

23 THE WITNESS: Uh-huh.

24 BY MR. MAZZEO:

25 Q. Do you see it?

1 A. I can, but I need to --

2 Q. If you --

3 A. It says, there are no significant posterior
4 or anterior intervertebral disk abnormalities at L1-2,
5 L2-3, and L3-4.

6 Q. And that would indicate -- would that include
7 that there was no presence of any bulging at those
8 levels as of that date?

9 A. Correct.

10 Q. Okay. And then on the August 2011 MRI, were
11 there any -- was there any indication of any bulges at
12 those same levels as of August of 2011?

13 A. I would have to pull that one out as well.

14 Q. Sure.

15 A. Or do you have it handy?

16 Q. Actually, I can simplify it for you, Doctor.
17 If you were to -- give me one second.

18 A. I have it in front of me.

19 Q. Yeah. Okay. Okay. So can you answer the
20 question?

21 A. L1-2, L2-3, and L3-4, no significant
22 abnormalities noted.

23 Q. So that would indicate -- and you also
24 reviewed the films. So did -- that would indicate that
25 there was no presence of any bulges as of August of

1 2011?

2 A. That's correct.

3 Q. Okay. So the first time that -- correct me
4 if I'm wrong, the first time that we see the presence
5 of bulges on an MRI study was 20 months after the
6 accident in November of 2012?

7 A. The first reference to that, yes.

8 Q. Okay. And would those, in your estimation,
9 be age-related changes or traumatically induced?

10 A. Age-related.

11 Q. Okay. When I asked you earlier -- and I just
12 want to be clear for the jury. So when I asked you
13 earlier, Doctor, with respect to -- and I believe I was
14 referring to the L4-L5, the progressive that Dr. Hake
15 in November of 2012 indicated that he thought there was
16 a progressive change to the slipped vertebrae, and
17 you -- your -- your response then was that there was no
18 change between the January 2011 and 2012 report.

19 Did I state that correctly?

20 A. You did.

21 Q. Okay. But with respect to the bulge at L1-2,
22 2-3, 3-4, would that be considered a change between the
23 reports?

24 A. No. It's simply mentioning something about
25 them. Two radiologists said nothing, another

1 radiologist said something, but they're of no clinical
2 significance.

3 Q. For any --

4 A. For anything.

5 Q. With regard to anything?

6 A. Yeah.

7 Q. Okay. Any indication that those bulges that
8 appeared in -- or that are identified in November 2012
9 report, any indication that those could have resulted
10 from an acute trauma?

11 A. No.

12 Q. Okay.

13 A. Because there's -- there's nothing to suggest
14 any abnormalities. If you look -- we looked at that
15 MR, and I showed you the axial images. We shared that
16 with the jury at each level.

17 Q. And, Doctor, are all of the opinions you
18 stated today to a reasonable degree of medical
19 probability?

20 A. Yes.

21 Q. Okay.

22 MR. MAZZEO: Court's indulgence.

23 Your Honor, at this time I will pass the
24 witness.

25 THE COURT: Mr. Strassburg, Mr. Tindall,

1 anything?

2 MR. STRASSBURG: Yes. A couple of questions,
3 Judge.

4 THE COURT: Okay. Go ahead.

5

6 CROSS-EXAMINATION

7 BY MR. STRASSBURG:

8 Q. Dr. Kidwell, good afternoon.

9 A. Good afternoon.

10 Q. Just so we're clear, none of the conditions
11 that were addressed by the surgery that Dr. Gross did
12 were, in your opinion, causally connected to the
13 collision; true?

14 A. True.

15 Q. None of the conditions addressed by any of
16 the injections by Lemper or Kidwell were causally
17 connected to the collision?

18 A. No. I previously opined that Dr. Lemper's
19 selective nerve root blocks were causally related, as a
20 diagnostic tool.

21 Q. But other ones weren't?

22 A. Correct.

23 Q. So just the first Lemper?

24 A. Yes.

25 Q. And the radiofrequency rhizotomy by

1 Dr. Kidwell, again, it would be your opinion that that
2 was related to a condition that was not causally
3 connected to the collision; true?

4 A. True.

5 Q. Okay. And would you also be of the opinion
6 that complications resulting from Dr. Gross's surgery
7 would be no more causally connected to the collision
8 than the surgery was?

9 A. I agree. That is my opinion.

10 Q. Let me direct your attention to the screen.
11 And this is from Exhibit 19, Dr. Hake's report, dated
12 November 19, 2012, which records his observations about
13 continued anterospodylolisthesis L5 on S1.

14 MR. STRASSBURG: Can I have the model,
15 please?

16 BY MR. STRASSBURG:

17 Q. Do you see that?

18 A. I do.

19 Q. All right. And anterospodylolisthesis is a
20 synonym for spodylolisthesis?

21 A. I have never seen it called
22 anterospodylolisthesis. Never heard the word --
23 spodylo is understood. You can't have listhesis
24 without a defect in the spody or the bridge. So the
25 proper word is anterolisthesis.

1 Q. All right. And so according to Hake,
2 previously, the slippage measured 7.5 millimeters; and
3 then currently, on November 19th, 2012, he measured it
4 at 1.02 centimeters. Do you see that?

5 A. I do.

6 Q. And how many millimeters is 1.02 centimeters?

7 A. 1.02?

8 Q. How many millimeters is 1.02 centimeters?

9 A. It's 102 millimeters.

10 Q. No. It's 10 millimeters.

11 A. Excuse me. 10 millimeters. 10.2.

12 Q. Right.

13 A. Yes.

14 Q. All right. So the difference, then, is
15 2.7 millimeters; right?

16 A. 2.7, that's correct.

17 Q. And does it seem appropriately reasonable to
18 you that if a 7.5-millimeter offset increases 2. -- I'm
19 sorry. Let me withdraw that.

20 Does it seem reasonable to you that if a
21 7.5-millimeter offset increases by 2.7 millimeters,
22 that is over a 30 percent increase?

23 A. Yes. That would represent that.

24 Q. And would you expect that a -- an over
25 30 percent increase in offset would be readily apparent

1 by comparing the MRIs involved?

2 A. Yes. And I did do that.

3 Q. Now, let me direct your attention to the --
4 the first page of Dr. Hake's study. And this -- you
5 know, this was an aspect pointed out by Mr. Roberts, in
6 a comment I appreciate. And it says the prior study
7 was dated January 27th, 2011; right?

8 A. I see that on the screen. Uh-huh.

9 Q. Okay. And we all know that what he's talking
10 about there is -- is the January 26th, 2011, study that
11 the radiologist signed the next day; right?

12 A. Yes. But, you know, we see that all the time
13 in terms of the date dictated and the date typed.
14 Correct.

15 Q. Okay. And if -- if we look at the -- that
16 study, on January 26th, which I'll show you now. You
17 have that on the screen in front of you?

18 A. I do.

19 Q. And you can see it?

20 A. Yes.

21 Q. Okay. That's pretty good. All right.

22 So the January 26th study, the offset was
23 4 millimeters, L5 on S1; right?

24 A. Yes.

25 Q. But Hake, in November of 2012, is talking

1 about a 7 1/2-millimeter offset; right?

2 A. Yes.

3 Q. But there was another study; right? Remember
4 when that was done?

5 A. August 19th.

6 Q. So directing your attention to the August
7 19th study. And there the offset is 8 millimeters --

8 A. Correct.

9 Q. -- L5 on S1; right?

10 A. Right.

11 Q. Okay. Now I'm out of bullets. I don't have
12 one at 7 1/2 millimeters. Do you know of one?

13 A. No.

14 Q. Okay. Now, let me ask you a couple of
15 questions about the first slide Mr. Mazzeo showed you,
16 which in -- which I have as Slide 11, but it was his
17 first one.

18 MR. STRASSBURG: Permission to show the
19 slide, Judge?

20 THE COURT: I don't know what it shows. Is
21 it one that we've --

22 MR. STRASSBURG: It's one we have already --

23 THE COURT: -- seen before?

24 MR. STRASSBURG: -- discussed, yes.

25 THE COURT: That's fine.

1 MR. STRASSBURG: Thank you, sir.

2 BY MR. STRASSBURG:

3 Q. All right. Now, we have talked about the
4 offset. Do you remember you came down here, and we did
5 the thing with the lights, and -- remember that?

6 A. Yes.

7 Q. Okay. The lights are fine. I'm okay.

8 So that's the offset here, and that's already
9 been covered, and I don't want to belabor it. But what
10 I want to draw your attention to is this. Now --

11 MR. STRASSBURG: Judge.

12 THE COURT: Come on up, guys.

13 (A discussion was held at the bench,
14 not reported.)

15 THE COURT: All right, folks. We've just
16 been talking logistics. Tomorrow's a Wednesday, so we
17 can start at 9:00 o'clock. We've got another defense
18 expert that's scheduled to be here in the morning.
19 We're going to finish up with, I believe, Dr. Klein
20 tomorrow as well, after that. But let's start at
21 9:00 o'clock. And it will be a full day. Okay?

22 Sorry to interrupt you. Go ahead,
23 Mr. Strassburg.

24 BY MR. STRASSBURG:

25 Q. All right. Would you come down here, Doctor,

1 please. Why don't you stand on this side? Now, this
2 is, on the left panel, the January 26, 2011, study.
3 And on the right panel, it's the November 19th, 2012,
4 study; right?

5 A. Yes.

6 Q. And would you identify this triangularly
7 shaped location.

8 A. Well, if I might -- it's actually a
9 quadrangle. But this is perineural fat, the
10 hyperintense white. This is the nerve root. This is
11 the back of L5. This is the top of the sacrum here.

12 So maybe I should stand over there.

13 Q. Why don't you stand over here. That way, she
14 cannot hear me.

15 A. So this is actually -- it appears the trauma
16 was actually a quadrangle, because there's a nerve root
17 up here. It doesn't taper off and close. So this is
18 the neural foramen. This is the -- white part is
19 perineural fat. It always accompanies the nerve root.
20 And this is the nerve root as it's coming through --
21 you can put your -- if I might so you can see it.

22 Q. So it's like this; right? That's how we're
23 doing it. It's this way; right?

24 A. Correct.

25 So -- but it's oblique. Correct.

1 Q. Go ahead. Keep going.

2 A. It's demonstrating -- this is the -- the nice
3 and the bad things about MRs. It's a slice, called a
4 parasagittal slice, not right down the center, but
5 parasagittal, demonstrating the nerve root as it's
6 traversing the neural foramen before it exits. And
7 what we like to see is fat around the nerve root. This
8 wasn't shown, as the other ones, that -- the
9 accompanying small vessels. And then, 22 months later,
10 the same configuration.

11 So appropos to all these measurements you've
12 been discussing, they have no significance because if
13 this moves 4 millimeters or 8 millimeters, as -- as
14 each different radiologist, you're going to see a
15 change. But more importantly, you're going to see
16 symptoms, because the nerve root is not very forgiving
17 if you move it even 4 millimeters or even more,
18 8 millimeters.

19 Q. Okay. So the -- the white stuff around --
20 the nerve root is the center that's --

21 A. The dark.

22 Q. -- and the white stuff around is the fat;
23 right?

24 A. Yes.

25 Q. And are these -- in looking at the

1 January 26, 2011, nerve root at L5-S1, comparing to the
2 same location on November 19th, 2012, are those
3 nerve -- nerve roots, are they impinged at all?

4 A. No. In my opinion, these are the same -- the
5 images are the same, taking in consideration some very
6 minimal changes -- technical issues with MRIs.

7 Q. Okay. Are they irritated at all?

8 A. There's -- no. There's no extrinsic pressure
9 on the nerve root.

10 Q. Okay. Now --

11 A. And you can't see irritation on an MR.
12 That's --

13 Q. Talk to them. I --

14 A. You can't see irritation on an MRI. If the
15 nerve root is irritated, it's not very forgiving. It
16 doesn't like pressure. And on the periphery of the
17 nerve root, if this is -- think of a coaxial cable.
18 The way the nerve was designed, the sensory fibers on
19 the outside -- because the motor fibers are much more
20 important. Paralysis comes from motor fiber damage, a
21 little numbness and tingling or pain from the
22 periphery.

23 So the nerve root sends out a message
24 immediately if you have pressure on the nerve root by
25 means of immediate pain into the nerve that it's

1 supplying.

2 Q. Okay. But Hake -- this one, on November 19th,
3 2012, this is the one that Dr. Hake said is over
4 30 percent more constricted than something else, like
5 this one.

6 MR. SMITH: Objection. Misstates the
7 reports.

8 THE WITNESS: You got an objection.

9 THE COURT: I'm going to let him explain it.

10 BY MR. STRASSBURG:

11 Q. Yeah. Explain.

12 A. You're talking about an MRI report. You
13 never hang your hat on an MR report. It's a diagnostic
14 study, an adjunctive study. How does it correlate to
15 the symptoms? If there's a change -- right? -- there
16 has to be a symptom that goes along with it, not just
17 on an MR. We don't operate on MRIs. We use them to
18 affirm or deny symptoms that don't make sense or
19 symptoms that do make sense. That's the whole point of
20 any diagnostic study. They help us.

21 But the important thing is you can make the
22 diagnosis of nerve root irritation. And we did it for
23 50 years before MRIs were around.

24 Q. Okay.

25 A. Shall I stay here?

1 Q. Yeah. Stay there.

2 All right. Now, we have heard testimony from
3 Dr. Gross regarding this January 26th, 2011, image,
4 Series 3, Image 11. Let me call it up here. Hold on.
5 I'll put it up on the screen.

6 All right. Okay. Now, we heard testimony
7 from Gross that this nerve root between L5 and S1, it
8 was, as he put it, unhappy because it was flattened.
9 So, now, is this nerve root here the same as -- wait a
10 minute. Sorry. Wrong one.

11 So is this nerve root here that Dr. Gross
12 fingered as the cause of the pain the same location as
13 this nerve root here?

14 A. Yes.

15 Q. Well, then, how come they look so different?

16 A. Can you sharpen that image. There's a halo
17 around the -- or decrease the magnification.

18 Q. No.

19 A. All right.

20 Q. No. Sorry. That's what we've got.

21 A. So, again, you have to appreciate --

22 Q. And, Doctor, you're in the way.

23 A. Okay. It can be frustrating. As we make the
24 slices through, you see the bottom of L5, the top of
25 S1. You see the front of the foramen right here. You

1 don't -- because of the slice, 4-millimeter thick. You
2 actually don't see the bone. This nerve root --

3 Q. Here. Why don't you step over here. Watch
4 this. Okay. Try it from there.

5 A. This nerve root is exiting -- this piece is
6 in here. But you can see it. It's here. It reformats
7 on the S1. It's not flattened at all. It's of the
8 name -- same thickness here, reconstitutes here, and
9 comes down before it exits out the S1 neural foramen.

10 This is the same thing that we demonstrated
11 to earlier on that coronal view of those beautiful S1
12 nerve roots draping around. You have that, the same
13 image.

14 Q. So do you have an opinion to a reasonable
15 medical probability as to whether this image here on
16 January 26th, 2011, of the nerve roots shown on our
17 Slide 11 compared to the 11th photo that Dr. Gross has
18 previously testified to showing a nerve root in the
19 same condition of nonimpingement?

20 A. In my opinion, there's no evidence of
21 impingement. It's not stretched. It's as the same
22 configuration as that. Different slice, 4 millimeters
23 or 5 millimeters difference.

24 Q. Okay.

25 A. Again, when this is done, there are no

1 symptoms suggestive of nerve root impingement on the S1
2 nerve root.

3 Q. Okay.

4 A. You wish me to stay here or sit down?

5 Q. Hold on a minute. Let me look. Yeah. Why
6 don't you stay there a little bit.

7 Now, let me ask you about the disk. Now, is
8 there protrusion by the disk beyond the vertebra?

9 A. You mean anteriorly or posteriorly?

10 Q. At the back.

11 A. No. It's tapered. 1/26/11, it tapers. It
12 always does that in a chronic slip. It tapers. The
13 disk over here gets -- there's no pinched piece.
14 Sometimes they'll pinch in an acute slip. And you can
15 see the resolution isn't as good here as there. But
16 the disk is right here. And it gradually tapers.
17 Exactly what you see on the little sharper images, the
18 first set that we did earlier today.

19 Q. Okay. Now, remember talking about this
20 slide, which is my Slide 25?

21 A. The one I was referencing earlier?

22 Q. Right. If I could just show you now.

23 Okay. So is this here an accurate depiction
24 of what the spine looks like viewed from the front?

25 A. Yes.

1 Q. And you doctors call that coronal; right?

2 A. Well, it's not a slice; it's just an anterior

3 view.

4 Q. Okay.

5 A. Coronal, yes.

6 Q. So this view is this view; right?

7 A. No. Because this -- this is an oblique view.

8 And it shows anterior divisional fibers. This is the

9 L5 nerve root leaving posteriorly.

10 Q. Okay. And so that would be this nerve root

11 shown here?

12 This nerve root here?

13 A. No. It's this part of the nerve root here,

14 this one here that's coming down to make up the sciatic

15 nerve.

16 Q. I see. Okay.

17 A. The anterior divisional/posterior divisional

18 fibers.

19 Q. So this here -- this area here --

20 A. Yes.

21 Q. -- and this here --

22 A. Yes.

23 Q. -- is this nerve root here --

24 A. The nerve root right here.

25 Q. -- right?

1 A. Uh-huh.

2 Q. Only, through the MRI, you can look inside

3 the vertebra which is opaque on the picture; right?

4 A. Well, you can see what it shows because it's

5 this shape. It's -- I said oval before. You're

6 looking at the top up in here. You're seeing -- you

7 see how much wider it is? This is the top of the

8 sacrum here, the sacral promontory. It's a lot bigger

9 than a vertebral body.

10 Q. Okay. So we're looking at it from this way?

11 A. Uh-huh. Yes.

12 Q. This way. Okay.

13 A. Actually, you're looking at it this way.

14 Q. Okay. And so what you're seeing are these

15 nerve roots coming out here unobstructed?

16 A. Like this.

17 Q. Yeah. Like I said.

18 A. Oh.

19 Q. These nerve roots here coming out

20 unobstructed?

21 A. Yeah. Isn't it beautiful? Beautiful,

22 pristine nerve roots here, here, and here. Exactly.

23 Q. Okay. And what's this stuff on either side

24 of them?

25 A. This is the spinal canal. These are the --

1 the pars and the facet joints here and here. See the
2 facet joint here where it's dark? This is -- and this
3 is the neural foramen. I have been using -- talking
4 about this today. Entering the neural foramen on the
5 pure axial images, we talk about the lateral recess.
6 Here's the nerve root coming out forming that plexus
7 right there.

8 Q. Okay. So if we call up a cutaway view of
9 what we're looking at here -- oops. Let me move that.

10 Okay. So as a cutaway view, then, this --
11 these are -- this is the --

12 A. Cauda equina.

13 Q. The cauda equina, the rootlets?

14 A. Yes.

15 Q. And this is the conus medullaris?

16 A. Conus medullaris.

17 Q. Right. And then these -- the roots come out,
18 and they come down. And this is where they -- they go
19 between -- this is the foramen; right?

20 A. Yes.

21 Q. So these are the -- where the -- the roots
22 come out through here; right?

23 A. This nerve root here is this nerve root here.

24 Q. Okay. And if this nerve root here was
25 squished between those bones here, would that be

1 visible on an MRI?

2 A. If it was squished?

3 Q. Impinged?

4 A. Yes. If you say "squished," you're going to
5 fail your boards. You've got to say "impinged."

6 Q. I'm on a time budget here.

7 A. But proper terminology is important.

8 Q. Okay. And there isn't any here; right?

9 A. No, there isn't any.

10 Q. Okay. Now, you have -- you have offered --
11 you can sit back down.

12 A. Thank you.

13 Q. You have offered opinions that the
14 explanation for the plaintiff's continued pain since
15 the surgery is nonunion of the bone grafts and the
16 surgical construct which you call pseudarthrosis;
17 right?

18 A. Yes.

19 Q. And the cause, you said, was micromotion.
20 Yes?

21 A. Yes. Micromotion in the same plane reduces
22 pseudarthrosis if you don't have a stable construct.

23 Q. Is there anything about the configuration of
24 Dr. Gross's construct which, in your opinion, created
25 this micromotion?

1 A. Yes.

2 Q. Explain.

3 MR. SMITH: Objection. Exceeds the scope of
4 his opinions.

5 THE COURT: Come on up.

6 (A discussion was held at the bench,
7 not reported.).

8 THE COURT: All right. You're going to
9 rephrase the question; correct?

10 BY MR. STRASSBURG:

11 Q. Okay. Without pointing any fingers critical
12 of Dr. Gross, can you explain how the surgical -- what
13 you've been calling the surgical construct led to this
14 micromotion which led to the -- the nonunion, the
15 pseudarthrosis?

16 MR. SMITH: Objection. Exceeds the scope of
17 his opinions.

18 THE COURT: I'm going to let him explain.

19 Overruled. I'm going to let him go.

20 THE WITNESS: Dr. Gross clearly identified in
21 his operative report of 12/26/12 he couldn't get
22 purchase of the pedicle screw in L4. So you're left
23 with an asymmetrical construct. And the -- the rod
24 that's there, he used the same length rod, is an
25 irritant.

1 But with an asymmetrical construct, you're
2 never going to get the same weight-bearing
3 characteristics along the biomechanical stress lines.
4 You create -- instead of a square, you create a
5 rhomboid. So you create torsion around a moment.

6 BY MR. STRASSBURG:

7 Q. May I stop you a minute, Doctor. You used
8 the term "rhomboid."

9 A. Yes.

10 Q. And I would request permission to show
11 Panel 6 from the plaintiff's demonstrative exhibit on
12 the surgery.

13 THE COURT: I don't have any idea what that
14 is.

15 MR. SMITH: If it's what you have on your
16 computer, we have no objection.

17 THE COURT: Okay.

18 BY MR. STRASSBURG:

19 Q. Just so it's clear what you're talking about,
20 when -- when you -- could you come on down, Doctor, and
21 just show -- explain to us what you mean by this
22 rhomboid.

23 A. This is pedicle screws on the left at L1 --

24 Q. Doctor, you're kind of in the way.

25 A. Oh, okay. Okay.

1 Pedicule screws here, S1-L5-L4, on the left
2 side. Pedicle screws, S1-L5. No pedicle screw here.
3 So you have a rhomboid configuration this way. And
4 when you have -- when you don't have this, and you have
5 weight-bearing stress here and its unequal to here,
6 it's an asymmetrical stress.

7 So the choice, when you're left with that
8 situation, if you can't get purchase here, like he
9 said, you put a connecting rod across here. And he
10 discussed doing that. He chose not to. Or you put a
11 crossbar here.

12 So you create a rigid construct in the shape
13 of a rhomboid instead of a square or rectangle. This
14 is not acceptable. You can't leave it like this,
15 because you're going to create a moment, motion around
16 a point, leading to a pseudarthrosis.

17 Q. Okay.

18 A. Basic physics.

19 Q. Well, I don't know. This -- this -- you used
20 the term "moment." Right?

21 A. Moment.

22 Q. I mean, what do you mean by -- I mean, you
23 don't mean, like, a moment, oh, how sweet; right? You
24 mean something different; right?

25 A. An axis of motion, an axle -- right? -- like

1 on your car. Your car goes -- we -- tires connect to
2 an axle. Okay?

3 A point -- a particular focus or loci where
4 motion occurs.

5 Q. Well, how does this construct on this
6 graphic, Panel 6, how does that create this moment you
7 talked about?

8 A. Because -- you want to create a rigid
9 construct because when -- when you have movement this
10 way -- remember, that the -- that -- if I'm twisting
11 here, I'm creating motion around a point. That's what
12 a moment is.

13 And if you want to make it rigid so it all
14 fuses into one big piece of bone, you have to have
15 symmetry. It's a basic thing. You can't -- you can't
16 leave it like this. If you can't get a screw, you put
17 a hook in and then you do it. Then you create the
18 construct.

19 Q. And the hook, you -- you put that here?

20 A. Yes. Right under L4. It looks -- it has the
21 option. And then you can put the rod from there to
22 there to the hook. Yeah. It's in every set.

23 Q. Okay. And was this location at L4
24 appropriate for such a hook?

25 A. Yes.

1 Q. Can you enlighten us as to whether the same
2 amount of bone was removed by Surgeon Gross on the
3 right side and the left side, or was it different?

4 A. In his operative report he talks about using
5 the Kerrison rongeurs, taking off inferior lamina of 4.
6 I would say it was pretty much symmetrical.

7 Q. All right. When he removed the facet at
8 L4-L5 and left some facet at L4-L5 on the left side --

9 A. Right.

10 Q. -- is -- can you enlighten us as to whether
11 that plays any part in this motion -- or micromotion?

12 A. It just creates instability. The facets are
13 what create the weight-bearing stability, each facet
14 that you demonstrate on the X rays and also on the MRs
15 and on the models.

16 Q. Now, also, we have seen and heard some
17 testimony here by Dr. Oliveri, who has shown us and the
18 jury a disk model.

19 Do you see this?

20 A. Yes.

21 Q. After your review of the axial images of the
22 MRIs, did you see anything on those MRIs from
23 January 26th, 2011, to November 12th, 2012, that --
24 that even remotely resembles this model?

25 A. None. There is no MR axial image that shows

1 that.

2 Q. Would you expect there to be one if that was
3 in fact the true condition?

4 A. Be one what?

5 Q. I mean, would you -- if -- if one of her
6 disks really did look this herniated, would you expect
7 that to show up on an MRI, or would that be something
8 they don't get?

9 A. No. It would be easily seen on an MR. And
10 losing this portion of the nucleus, this would create a
11 surgical emergency. This is a -- it would almost
12 create paraparesis to have that much. It would block
13 the entire canal and get every nerve root that's going
14 by, 4, 5, and S1.

15 Q. In your review of the medical records and the
16 MRIs, do you agree with Dr. Gross that there was no
17 pars fracture?

18 A. I would agree there is no pars fracture;
19 there is a defect, a congenital defect.

20 Q. And, to your knowledge, was the history of
21 the accident that you reviewed and questioned
22 Ms. Garcia about, was it adequate to explain a
23 disruption of the -- the pars defect sufficient to
24 allow slippage by L5 on S1?

25 A. When you say "the history," the type of

1 impact, the side impact?

2 Q. Yeah.

3 A. It could, yes, that type of impact. I've
4 seen that happen. I just testified today, I'm involved
5 in a similar case.

6 Q. All right.

7 A. A side impact.

8 Q. So you don't know whether the forces in this
9 accident were great enough or not; right?

10 A. I don't. I focus on the history that goes
11 along with an acute slip. That's what's lacking.

12 Q. And at the time of the surgery on
13 December 26, 2012, the hooks that you mentioned that
14 could substitute for pedicle screws, were they freely
15 available on the surgical market?

16 MR. SMITH: Object to the foundation.

17 THE COURT: Sustained.

18 MR. STRASSBURG: I don't think I have
19 anything more, Judge. I wanted to be done by 5:00.
20 You look surprised.

21 THE COURT: I am a little surprised, but
22 that's okay.

23 Mr. Smith, you just want to wait till
24 tomorrow?

25 MR. SMITH: Up to you. I'm ready to start.

1 We can shave ten minutes off of it.

2 THE COURT: Go for it.

3 Cross-exam.

4

5 CROSS-EXAMINATION

6 BY MR. SMITH:

7 Q. Good afternoon, Dr. Klein.

8 A. Hi, Mr. Smith.

9 Q. During opening statements, Mr. Mazzeo told
10 the jury that you are, quote, an orthopedic surgeon
11 specializing in diagnosing and treating spinal
12 injuries. Are you aware of that?

13 A. Yes.

14 Q. Would you agree that you are an expert in
15 treating spinal injuries?

16 A. Absolutely.

17 Q. You would agree that you have not had very
18 much experience treating spinal injuries since the late
19 '80s; right?

20 A. No. That's not true at all.

21 Q. Okay. You would agree that Dr. Gross,
22 Dr. Cash, Dr. Lemper, and Dr. Kidwell have
23 significantly more experience treating spinal injuries
24 in the last 15 years than you do; correct?

25 A. No --

1 MR. MAZZEO: Objection. Speculation.
2 Foundation.

3 THE WITNESS: No, they don't.

4 BY MR. SMITH:

5 Q. Okay. Then let's talk about your experience,
6 Doctor.

7 As you testified earlier, you did a one-year
8 rotating internship at Scott Air Force base from 1966
9 to 1967.

10 A. Correct.

11 Q. The total amount of time that you spent
12 during that year on orthopedic surgery was two weeks;
13 right?

14 A. That's normal. Yes.

15 Q. For the four years after that, you were a
16 general medical officer in Germany?

17 A. Correct.

18 Q. During those four years, you never performed
19 a single spine surgery; right?

20 A. I did not.

21 Q. After those four years, you were chief of
22 dispensary services at the same Air Force base in
23 Germany for two years?

24 A. During the same four years.

25 Q. During the same four years you were chief?

1 A. Yeah.

2 Q. Okay. So, again, you didn't perform any --
3 any spine surgeries while you were chief of dispensary
4 services; right?

5 A. It was an outpatient facility.

6 Q. After those two years, you spent four years
7 in residency starting in 1971 at Lackland Air Force
8 Base; right?

9 A. That's right.

10 Q. Of those four years, you estimated only 12 to
11 18 months were spent on spine-related issues?

12 A. Yes. A total during the four years.

13 Q. During those four years, you never once
14 performed a spine surgery as the primary surgeon;
15 correct?

16 A. The residents aren't identified as primary.
17 The staff is the primary surgeon.

18 Q. The spine surgeries that you assisted on
19 where you were not the primary surgeon were entirely
20 different from the surgeries that are performed today;
21 correct?

22 A. In terms of instrumentation, that's correct.

23 Q. Right. The instrumentation used back then is
24 very different from, for example, what Dr. Gross placed
25 in Ms. Garcia; correct?

1 A. That's correct.

2 Q. In fact, the -- the instrumentation that
3 Dr. Gross placed in Ms. Garcia wasn't even invented
4 back then; correct?

5 A. That's correct.

6 Q. The materials that it's made from are
7 completely different nowadays; correct?

8 A. Correct.

9 Q. Now, after that time -- after your residency,
10 you spent two years as a staff orthopedic surgeon at
11 Mather Air Force Base; right?

12 A. Mather. That's correct.

13 Q. Thank you.

14 That was 1960 -- 1976 to 1977; right?

15 A. '75 to '77. Two years.

16 Q. During that time, you were not equipped to
17 take on major trauma; correct?

18 A. No. We weren't equipped to do major
19 polytrauma.

20 Q. And the surgeries you were performing during
21 that time period were primarily total hips, total
22 knees, fractures, hip fractures, and wrist fractures;
23 right?

24 A. No. I did cervical fusions, some lumbar
25 fusions. I think I testified in my depo, 12 to 15.

1 Q. You did about 12 to 15?

2 A. Yeah, I think so. Uh-huh.

3 Q. And not all of those were lumbar fusions;
4 right?

5 A. No. As you -- as I responded to your
6 question, I did a cervical fusion using the Cloward
7 technique.

8 Q. And what you're saying is that the fusion
9 techniques that you used back in the '70s are very
10 different from the techniques that are used today;
11 correct?

12 A. Not the exposure or the anatomy. It's the
13 instrumentation. We didn't have the plates or the
14 screws. We used something -- accomplished the same
15 thing when we left the OR.

16 Q. Well, there's another thing you didn't have
17 back then, which is MRIs; right?

18 A. We did not have an MRI.

19 Q. And you didn't have the ability to use MRIs
20 in your surgical planning; right?

21 A. No. We relied on cervical myelograms.

22 Q. You told me at your deposition that your time
23 at Mather Air Force Base, you had done five to seven
24 lumbar fusions; right?

25 A. At the most, yes.

1 Q. During that same time you were also at Twin
2 Lakes Hospital and you were not performing spine
3 surgeries at Twin Lakes Hospital; right?

4 A. I was not.

5 Q. You were not fellowship-trained; right?

6 A. That's correct.

7 Q. You agree that fellowships are beneficial and
8 advantageous for a doctor's training; right?

9 A. Yes, they are.

10 Q. They allow a surgeon to hone his or her
11 skills and problem-solving abilities; right?

12 A. Yes.

13 Q. They allow a surgeon to learn what works and
14 what doesn't work; right?

15 A. Yes. As I testified, the fellowship is
16 focusing on problem-solving, yes.

17 Q. One of the other things that said -- that you
18 said is that a fellowship allows surgeons exposure to
19 instrumentation issues that they might not have seen in
20 their residency; right?

21 A. Yes. Because it varies from one residency to
22 the other how much exposure they get. That's correct.

23 Q. And you understand that Dr. Cash completed a
24 fellowship with Bob Watkins at USC?

25 A. I do.

1 Q. And his -- his fellowship was specifically
2 focused on the spine?

3 A. Yes. It was a called a spine fellowship.

4 Q. You understand that Dr. Gross has -- has a
5 fellowship in spinal biomechanics from the University
6 of New Mexico?

7 A. Yes.

8 Q. And his fellowship was under Dr. Benzel, who
9 is regarded as one of the fathers of spinal
10 biomechanics and is a highly regarded spine surgeon;
11 right?

12 A. I have no reason to doubt that. I don't know
13 Dr. Benzel.

14 Q. Now, going back to your experience. After
15 what we talked about in 1977, you went into private
16 practice; right?

17 A. Correct.

18 Q. You were in private practice from about 1977
19 until the late '80s or early '90s; right?

20 A. Well, the only break was in '91, when I got
21 activated for Desert Storm. But I didn't leave private
22 practice; I was on active duty for five months.

23 Q. Okay. Well, after Desert Storm is when you
24 really started doing expert work; right?

25 A. Yes. After that.

1 Q. Okay. So let's talk about the period before
2 that, when you were in private practice and primarily
3 focused on your clinical practice. Okay?

4 A. All right.

5 Q. In your clinical practice during that period,
6 you were focused on shoulders, hips, and knees; right?

7 A. For the elective surgery, yes.

8 Q. Right. And during that time period, you did
9 maybe 25 to 30 elective lumbar fusions; right?

10 A. Where I was the primary, that's correct.

11 Q. But you've never been the primary surgeon for
12 an anterior interbody fusion using cages; right?

13 A. I have not.

14 Q. And you've only been the assistant surgeon
15 for one of those at most five times; right?

16 A. Probably so. Yeah. Five times.

17 Q. You've never placed a cage during a lumbar
18 fusion; right?

19 A. I have not.

20 Q. You've only placed eight to ten pedicle
21 screws; right?

22 A. Probably eight to ten, yes.

23 Q. And that's in your entire career; right?

24 A. In my entire career.

25 Q. Turning to spondylolisthesis, you've only

1 been the primary surgeon to fix a spondylolisthesis
2 approximately 10 to 12 times, that's what you told me?

3 A. Yes. I think 10 to 12 times as primary, yes.

4 Q. And the last time you were a primary surgeon
5 to fix a spondylolisthesis was in 1988 or 1989; right?

6 A. That's correct.

7 Q. So 27 or 28 years ago?

8 A. Yes.

9 Q. You would agree, as we sit here today, that
10 the surgery to fix a spondylolisthesis in 1989 was very
11 different from the surgery to fix a spondylolisthesis
12 today; right?

13 A. No, not -- not significantly different other
14 than the instrumentation. The concepts, the
15 biomechanics, what you set out to do, no, that hasn't
16 changed because the anatomy hasn't changed.

17 Q. No cages in the '80s?

18 A. There were no cages.

19 Q. No bone grafts placed in cages in the '80s?

20 A. Cages didn't exist.

21 Q. No rods and pedicle screws in the '80s?

22 A. Not the rods we have now, no. Different
23 rods.

24 Q. And you're talking about Harrington rods?

25 A. Harrington rods or the Dubousset system.

1 Q. And those are very different instrumentation
2 from what Dr. Gross is using here; right?

3 A. Yes. Because of the -- the metals today
4 allow for bending and contouring to the lumbosacral
5 angle.

6 Q. Now, you understand that Dr. Gross and
7 Dr. Cash have performed thousands of spine surgeries;
8 right?

9 A. I don't know the numbers, but I'm sure a lot.

10 Q. You would expect that they both have hospital
11 privileges to perform spine surgery; right?

12 A. Yes.

13 Q. You do not have hospital privileges anywhere
14 to perform spine surgery; correct?

15 A. No longer. That's correct.

16 Q. You are not insured to perform spine surgery;
17 right?

18 A. I am not.

19 Q. You would agree that Dr. Gross has spent many
20 hours with Ms. Garcia over a long period of time;
21 right?

22 A. I would think so.

23 Q. You met her one time; right?

24 A. Yes.

25 Q. And the time that you met her was nearly two

1 years after her surgery; right?

2 A. Yes. 21 months.

3 Q. Now, if you had a close friend that needed a
4 lumbar fusion --

5 A. Yes.

6 Q. -- you wouldn't do that yourself; right?

7 A. No. It's not even considered appropriate.

8 Q. It wouldn't be appropriate for you to perform
9 it; right?

10 A. Well, you don't operate on close friends.

11 Q. Okay. If you had a patient come to you that
12 needed a lumbar fusion, you wouldn't perform it
13 yourself; right?

14 A. No.

15 Q. You testified earlier that you would refer
16 your patient to a specialist; right?

17 A. To the spine team at the university.
18 Correct.

19 Q. And if you had a patient who required a
20 surgery to fix a spondylolisthesis, you would refer
21 that person to a specialist; right?

22 A. To a spine surgeon, yes.

23 Q. Now, you agree that there are many spine
24 surgeons in Nevada, and in California where you're
25 from, that could have been hired to offer opinions in

1 this case; right?

2 A. Yes. I know there are some. I don't know if
3 there are many, but I know there are some, yes.

4 Q. You're not the only orthopedic surgeon that
5 does expert work in the state of Nevada; right?

6 A. No.

7 Q. Thank you.

8 And you understand and you know from your
9 experience that there are orthopedic surgeons who
10 specialize in spine surgery and are active spine
11 surgeons that offer opinions for the defense in cases
12 like this; right?

13 A. Yes.

14 MR. SMITH: I don't know how late you want to
15 go, Your Honor.

16 THE COURT: Probably about right now.

17 MR. SMITH: Okay. That's -- the note I got
18 handed was 5:00 p.m.

19 THE COURT: Let's go ahead and take our
20 break, folks, especially since we're coming back at
21 9:00 o'clock in the morning and tomorrow's going to be
22 a full day. So during our break, you're instructed not
23 to talk with each other or with anyone else about any
24 subject or issue connected with this trial. You are
25 not to read, watch, or listen to any report or other

1 commentary on the trial by any person connected with
2 this case or by any medium of information, including --
3 without limitation -- newspapers, television, the
4 Internet, or radio.

5 You are not to conduct any research on your
6 own, which means you cannot talk with others, Tweet
7 others, text others, Google issues, or conduct any
8 other kind of book or computer research with regard to
9 any issue, party, witness, or attorney involved in this
10 case.

11 You're not to form or express any opinion on
12 any subject connected with this trial until the case is
13 finally submitted to you.

14 See you tomorrow at 9:00. Have a good night.

15 (The following proceedings were held
16 outside the presence of the jury.)

17 THE COURT: We're outside the presence of the
18 jury. Anything we need to put on the record, guys?

19 MR. MAZZEO: No, Your Honor.

20 THE COURT: All right. Off the record.

21 (Thereupon, the proceedings
22 concluded at 5:01 p.m.)

23

24

25

CERTIFICATE OF REPORTER

STATE OF NEVADA)
)
COUNTY OF CLARK)

ss:

I, Kristy L. Clark, a duly commissioned

Notary Public, Clark County, State of Nevada, do hereby
certify: That I reported the proceedings commencing on
Tuesday, March 1, 2016, at 10:00 o'clock a.m.


That I thereafter transcribed my said shorthand notes into typewriting and that the typewritten transcript is a complete, true and accurate transcription of my said shorthand notes.

I further certify that I am not a relative or employee of counsel of any of the parties, nor a relative or employee of the parties involved in said action, nor a person financially interested in the action.

IN WITNESS WHEREOF, I have set my hand in my
office in the County of Clark, State of Nevada, this
1st day of March, 2016.

Krusty Clark

KRISTY L. CLARK, CCR #708



1 CASE NO. A-11-637772-C
2 DEPT. NO. 30
3 DOCKET U
4

5 DISTRICT COURT
6 CLARK COUNTY, NEVADA

7 * * * * *

8
9 EMILIA GARCIA, individually,)
10 Plaintiff,)
11 vs.)
12 JARED AWERBACH, individually;)
13 ANDREA AWERBACH, individually;)
14 DOES I-X, and ROE CORPORATIONS)
15 I-X, inclusive,)
Defendants.)

16
17 REPORTER'S TRANSCRIPT

18 OF

19 JURY TRIAL

20 BEFORE THE HONORABLE JERRY A. WIESE, II

21 DEPARTMENT XXX

22 DATED WEDNESDAY, MARCH 2, 2016

23
24 REPORTED BY: KRISTY L. CLARK, RPR, NV CCR #708,
25 CA CSR #13529

1 APPEARANCES:

2 For the Plaintiff:

3 GLEN J. LERNER & ASSOCIATES
4 BY: ADAM D. SMITH, ESQ.
4795 South Durango Drive
Las Vegas, Nevada 89147
5 (702) 977-1500
asmith@glenlerner.com

6 - AND -

7 WEINBERG, WHEELER, HUDGINS, GUNN & DIAL,
8 BY: D. LEE ROBERTS, JR., ESQ.
BY: TIMOTHY MOTT, ESQ.
9 BY: MARISA RODRIGUEZ-SHAPOVAL, ESQ.
6385 South Rainbow Boulevard
10 Suite 400
Las Vegas, Nevada 89118
11 (702) 938-3838
lroberts@wwhgd.com

12
13 For the Defendant Andrea Awerbach:

14 MAZZEO LAW, LLC
15 BY: PETER MAZZEO, ESQ.
BY: MARIA ESTANISLAO, ESQ.
631 South 10th Street
16 Las Vegas, Nevada 89101
(702) 382-3636

17
18 For the Defendant Jared Awerbach:

19 RESNICK & LOUIS
20 BY: ROGER STRASSBURG, ESQ.
BY: RANDALL W. TINDALL, ESQ.
5940 South Rainbow Boulevard
21 Las Vegas, Nevada 89118
(702) 997-3800

22
23

24 * * * * *

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESS: PAGE

THOMAS IRELAND

Direct Examination by Mr. Mazzeo 5
Cross-Examination by Mr. Roberts 53
Redirect Examination by Mr. Mazzeo 77

MICHAEL R. KLEIN, M.D. PAGE

Cross-Examination by Mr. Smith 83
Redirect Examination by Mr. Mazzeo 176
Recross-Examination by Mr. Strassburg 194
Recross-Examination by Mr. Smith 206
Further Redirect Examination
by Mr. Mazzeo 223
Further Recross-Examination
by Mr. Smith 225

EMILIA GARCIA PAGE

Direct Examination by Mr. Roberts 228

1 LAS VEGAS, NEVADA, WEDNESDAY, MARCH 2, 2016;

2 9:05 A.M.

3

4 P R O C E E D I N G S

5 * * * * *

6

7 THE MARSHAL: All rise for the presence of
8 the jury.

9 (The following proceedings were held in
10 the presence of the jury.)

11 THE COURT: Go ahead and be seated. Good
12 morning, folks. Welcome back. We're back on the
13 record, Case No. A637772.

14 Do the parties stipulate to the presence of
15 the jury?

16 MR. ROBERTS: Yes, Your Honor.

17 MR. MAZZEO: Yes, Your Honor.

18 THE COURT: All right. We're not finished
19 with Dr. Klein, but we're going to have another witness
20 before we finish Dr. Klein. If you guys can keep all
21 this straight, more power to you.

22 Who's our next witness?

23 MR. MAZZEO: Judge, it's Dr. Thomas Ireland.

24 THE COURT: Okay. Come on up, sir. Now, you
25 step all the way up on the witness stand. Once you get

1 there, if you please remain standing and raise your
2 right hand to be sworn.

3 THE CLERK: You do solemnly swear the
4 testimony you're about to give in this action shall be
5 the truth, the whole truth, and nothing but the truth,
6 so help you God?

7 THE WITNESS: I do.

8 THE CLERK: Please state your name and spell
9 it for the record, please.

10 THE WITNESS: Okay. Thomas Robert Ireland.

11 MR. MAZZEO: May I proceed, Your Honor?

12 THE COURT: Go ahead.

13 MR. MAZZEO: Thank you.

14

15 DIRECT EXAMINATION

16 BY MR. MAZZEO:

17 Q. Good morning, Dr. Ireland.

18 A. Good morning.

19 Q. Would you tell the jurors what your
20 occupation is?

21 A. I'm an economist. I guess I would describe
22 myself -- for most of my adult life, I have been a
23 college professor of economics, but I quit doing that
24 as of 2006. I haven't taught any classes since then.
25 And now what I'm doing is what I am doing here, working

1 for attorneys and testifying in court cases.

2 Q. Okay. And how long have you been doing that
3 for?

4 A. Well, actually, I had my first case -- was in
5 1974. And so I have been doing it -- this is my --
6 working on my 42nd year.

7 Q. Okay. Okay. And would you describe your
8 educational background after high school?

9 A. Yes. I went to Miami University of Ohio and
10 earned a bachelors of arts degree in economics in 1964.

11 Then I went from there to the University of
12 Virginia, where I earned my PhD in 1968. In economics.
13 I'm sorry.

14 Q. And what does the field of economics
15 encompass?

16 A. Well, in -- in many ways, we cover a lot of
17 things, but I have often said economics is the science
18 that looks at the implications of the fundamental
19 economic problem, which is that there are scarce
20 resources and unlimited wants for what those scarce
21 resources could produce.

22 But, actually, economics can apply to almost
23 any aspect of life where people have to make choices
24 where they give up something in order to get -- to
25 receive something else or use the resources they have

1 to combine them and -- and earn an income, earn or --
2 buy goods and services that improve the quality of your
3 life, and you have to choose between what sets of goods
4 and services and so forth.

5 Q. Let's define that a little bit more for the
6 jurors.

7 Can you tell them what the nature and scope
8 of your work is as a forensic economist?

9 A. Well, in forensic economics, first of all, it
10 just -- it's a term that says, we have special
11 obligations for how we go about being economists
12 because there are -- are rules about how you testify
13 that you have to be very careful about not violating
14 those legal rules because there's some things you're
15 just not allowed to talk about or mention in a court
16 case without causing a mistrial or something of that
17 sort.

18 But, also, every legal venue that you go into
19 has somewhat different rules for how certain things
20 should be done. And if you're going to be testifying,
21 you have to know what those rules are and comply with
22 them. But we essentially are still being economists.

23 It's -- the forensic part has to do with the
24 fact that you need to know those legal rules and you
25 need to comply with them. The economics part is how

1 you go about it. But we often are answering questions,
2 as in this case, there's an issue of loss of household
3 services.

4 Well, most economists would not be looking at
5 trying to figure out what one person's loss of
6 household services would look like. They'd be studying
7 a whole market full of all people who are doing --
8 making -- creating household services.

9 So we're focused on individuals, when we go
10 into a courtroom situation, in places where most
11 economists doing regular research would not be looking
12 at specific people. They would be looking at
13 generalities of what a large body of women at a certain
14 age might produce in the way of household services or
15 men at that same age would be producing of household
16 services and so forth.

17 Q. Okay.

18 A. We are going to rely on those -- we have to
19 rely on those broader categories in order to apply them
20 to an individual, so we're still economists.

21 Q. And with respect to your degrees, you have
22 degrees -- you have bachelor of arts?

23 A. Bachelor of arts and a PhD. And those are
24 the two degrees that I have.

25 Q. Okay. And what postgraduate training and

1 experience have you received over the years?

2 A. Well, not really much of -- I mean,
3 basically, once you've got a PhD, you've got as high a
4 degree as you can -- you can get unless you want to go
5 get a second PhD, or some people do go back and get
6 masters in different fields and that sort of thing.

7 But I have never had any postgraduate
8 education formally, other than the experiences I have
9 teaching in college classes and working as a
10 professional, publishing in journals, and so forth.

11 Q. And maybe my question wasn't clear. I was
12 asking about the training or the experience that you
13 received after you finished your schooling, after you
14 obtained your PhD.

15 A. Well, the experience is -- the first -- my
16 first experience -- set of experiences was as a college
17 teacher. Now, those started a little bit before I got
18 finished my PhD. My very first class was as a graduate
19 student teaching high school teachers, all of whom were
20 a lot older than me. And that's certainly not a
21 problem I have now, but I think I grew a mustache to
22 try to look a little bit older at that time than I did.

23 But from I -- that was in 1966 or '67 that I
24 taught that first class. And I -- you -- I moved
25 around a few years. I taught at Loyola University of

1 Chicago; at Perdue University in Hammond, which is in
2 the Chicago area; Illinois State University; University
3 of Wisconsin Milwaukee. And I came to Saint Louis,
4 where I now live, in 1972, where I -- the first year I
5 was there, I was actually there on a half-time basis
6 and -- as a trailing spouse to my first wife. And
7 she -- she went -- ended up leaving, and I stayed. And
8 I have been at the University of Missouri at
9 Saint Louis. I was -- and still there. In a sense,
10 I'm now a professor emeritus, which means they give me
11 certain privileges, but they no longer pay me anything.

12 And --

13 Q. And how many publications have you authored
14 during the course of your career in the field of
15 economics?

16 A. Well, first of all, all of my publications in
17 the early years had to do with economics in general and
18 the courses I was teaching because I started teaching
19 in college classes in 1968 on a full-time basis.

20 And as of 1974, the first experience I had
21 working on one of these cases -- like, I had one or two
22 cases a year, and they really didn't affect me very
23 much, but I did discover students absolutely love to
24 learn about what happens in a courtroom. I mean, you
25 had this usual thing where students are bored stiff

1 with economics, but suddenly you're talking about what
2 happens in a personal injury case that you were
3 involved in, and everybody wakes up immediately and
4 they're fascinated as long as you talk about it.

5 But I continued being a teacher then until I
6 gave up tenure and became professor emeritus as of
7 2002. And I must say, the term of professor emeritus
8 just means you haven't done anything to really irritate
9 the administrators or anybody in your department. So
10 once you formally give up tenure, they give you that
11 award as long as you -- as long as you haven't done
12 anything to make them not give it to you. But I have
13 continued in that role ever since, and it -- I -- my
14 last -- I -- immediately following retirement, I had
15 one -- I was given one class a year to teach as part of
16 the retirement package that I accepted.

17 And once that ran out in 2006, there have
18 been times when they thought -- they've talked to me
19 about possibly stepping in to teach a class, but I
20 think, anymore, they don't even think about it, but
21 they did for a while.

22 Q. With regard to publications, though, how many
23 publications have you authored in the field of
24 economics?

25 A. I have -- I have taught -- I published about

1 12 books. When I say "published," that would mean
2 either written, edited, cowritten, coedited sets of
3 books, the 12 books. And I have something in excess of
4 150-odd publications in journals. But the point I was
5 going to make, which slipped my mind -- one of those
6 senior moments -- is, in the early years, the -- the
7 publications didn't have much to do with this type of
8 work. But since about 1990, everything I have
9 published has been in the area of forensic economics.
10 It is something relevant to what economists do in the
11 courtroom.

12 Q. And have you authored any publications in --
13 regarding valuing economic damages in personal injury
14 cases?

15 A. Yes. Well, basically what I was saying was
16 that the vast majority of my publications, I would say
17 my first 4 or 5 books were not; the last 6 or 7 were.
18 And the first, say, 10 or 15 of those 150 papers
19 probably were not in forensic economics and the rest
20 have been.

21 Q. Okay. What memberships and associations do
22 you belong to?

23 A. I belong to the American Economic
24 Association, the Western Economic Association, the
25 National Association of Forensic Economics, the

1 American Academy of Economic and Financial Experts, and
2 at various times, other -- a number of other different
3 organizations.

4 Because often I will join an organization so
5 that I can go to one of its professional meetings at
6 members rates, and -- means, in effect, you get the
7 journal for that organization without much additional
8 cost. So I have been a member of the Eastern Economics
9 Association Midwest. I am still a member of the
10 Missouri Valley Economic Association and probably a few
11 others I'm not thinking about right now.

12 Q. Okay. Thank you.

13 And what honors and awards have you received
14 in your field of expertise?

15 A. I have got -- I guess I got the -- the past
16 president's award for excellence of some sort from the
17 National Association of Forensic Economics, which is
18 the biggest organization for people that do this kind
19 of work.

20 Q. Okay. Thank you.

21 And what, if any, pro bono work do you do
22 with other economists?

23 A. Well, I don't -- pro bono carries the
24 implication that you are doing work that you normally
25 get paid for in a context where you don't get paid for

1 it. Well -- and that wouldn't work very well with this
2 kind of work because if you did pro bono work, you're
3 already saying, well, I'm biased toward the favor of
4 this client.

5 But I do a lot of work in the field of
6 forensic economics for which I receive no compensation
7 because it's part of my professional activity. And
8 that includes -- I spend a lot of time working on
9 publishing papers, I review articles, I am on the
10 journal editorial staff of the Journal of Legal
11 Economics, and I read, review articles, and work with
12 people who are writing papers to try to help them get
13 those papers into publishable shape so they can get
14 publications.

15 I make presentations at a number of
16 professional meetings, and I attend probably -- in a
17 typical year, I probably go to five or six professional
18 meetings, traveling around the country to do that. And
19 I'm almost always on the program because they're always
20 looking for someone who's willing to put themselves out
21 and do that.

22 But the other thing that I'm doing when I'm
23 there is I sit and listen to the other presentations of
24 other economists who have done work and are basically
25 teaching me. And I think it's a matter -- a good

1 forensic economist is going to spend a lot of time
2 doing these kinds of things because, not only do you
3 want to share what you know, but you want to gain what
4 other people know and are willing to share at those
5 same meetings.

6 Q. Thank you.

7 Doctor, if you would, because we're having
8 everything reported in this courtroom, if you could
9 just slow down the pace a little bit for the benefit of
10 our court reporter.

11 A. I've heard that before. I'm sorry.

12 Q. Okay. Thank you.

13 All right. Now, in this case, you were asked
14 to review the economic reports prepared by Dr. Stan
15 Smith; correct?

16 A. Yes.

17 Q. Have you ever previously evaluated litigation
18 reports drafted by Dr. Smith?

19 A. Yes. I have seen a fair number of
20 Dr. Smith's reports over the years.

21 Q. And have you ever agreed with his methodology
22 for calculating hedonic damages?

23 A. No.

24 Q. Okay. And we'll get to the topic of hedonic
25 damages in a little while.

1 Would you describe your experience in
2 performing forensic evaluations as you did in this
3 case.

4 A. Well, first of all, this case has somewhat
5 unique features because most of the cases that forensic
6 economists work on don't really involve any hedonic
7 damages. You indicated we'll deal with that later.

8 But in a typical case where I would be
9 working, there would be an injury. And the injury
10 would have some kind of consequence on the individual,
11 at least allegedly. And we never get involved in
12 whether these -- in the liability aspect of cases; that
13 is, we don't know whether the person was really
14 injured, and we don't know whether the defendant caused
15 the injury, but we do know -- we have -- we're given a
16 set of facts that we are asked to assume, and we
17 calculate the value of certain damages.

18 And then -- now, if I was on the plaintiff's
19 side, that's what I would do. A plaintiff attorney
20 would call me and give me an assignment, give me
21 various data and tell me what he wanted me to
22 calculate, and I would complete that assignment. On
23 the defense side, I am often asked -- as I was in this
24 case -- to look at the work of another economist and to
25 respond to that -- the -- that economist and to offer

1 my opinions. But that was the latter assignment in
2 this case because that is what I was asked to do
3 initially.

4 Q. And how many forensic evaluations have you
5 performed, let's say, in the last ten years?

6 A. Well, if we're just saying how many -- I
7 think it would be better to ask how many cases I have
8 asked -- been asked to do assignments. Because
9 sometimes the assignments will change in the course of
10 a case, different things will happen and --

11 Q. That's a better question. Okay. Let's go
12 with that.

13 A. And I would guess that I have probably
14 done -- in taking the past ten years, I'm figuring I
15 probably -- for a long time, I was taking in about 70
16 cases a year. So if I took ten years times 70, that
17 would be 700. That's slowed down a little bit. I'm
18 hoping to enjoy life a little bit more than I have been
19 as far as having time free to do the other kinds of
20 work I like -- I -- activities I want to do.

21 But I'm probably taking in about 50 a year
22 now. But your question was in the range -- about the
23 last ten years, and I'm going to guess that I was
24 probably more like 700 than 500.

25 Q. Okay. Thank you.

1 MR. MAZZEO: And, Your Honor, at this time, I
2 would move the Court to recognize Dr. Ireland as an
3 expert in the field of forensic economics.

4 MR. ROBERTS: No objection, generally, Your
5 Honor. Objection to the qualifications of Dr. Ireland
6 as an expert in hedonic damages.

7 THE COURT: We'll accept him as an expert
8 economist.

9 MR. MAZZEO: Thank you.

10 THE COURT: On hedonic damages, if there's a
11 specific objection, you can voice it.

12 MR. ROBERTS: Thank you, Your Honor.

13 MR. MAZZEO: Thank you, Judge.

14 BY MR. MAZZEO:

15 Q. So now getting to the assignment that you
16 were given. And I believe you already articulated that
17 and told the jury what that assignment was, to look at
18 the reports of Dr. Smith in this case.

19 A. Right.

20 Q. And that was to -- more specifically, that
21 was to -- was it to assess the validity of Dr. Smith's
22 calculations and figures for lost household services,
23 the life-care plan, and loss of enjoyment of life for
24 hedonic damages assessment?

25 A. Well, that certainly was the assignment that

1 I -- I'm here today to talk about.

2 Q. Why don't you tell the jury the steps you
3 undertook to carry out this assignment.

4 A. Well, the first and obvious thing is that if
5 you're going to evaluate someone else's report, you get
6 a copy of the report and you read it. And then you
7 gather certain information that would be -- that would
8 correspond to that, which would be, in this case, I
9 wanted -- Dr. Smith prepares work notes. And I knew
10 that from the fact that I have done other cases where
11 he was involved.

12 But he prepares those work notes, which
13 provide backup information, which makes it easier for
14 me to know why he did what he did. So the first thing
15 I would -- would do in any -- in any case where
16 Dr. Smith was on the other side is request his work
17 notes so that I can answer some of the questions. And
18 I can usually figure out how he did what he did even
19 without those work notes because I've had enough
20 experience with it, but it saves a lot of time if I
21 have those work notes.

22 So I got his work notes and looked at them,
23 and so I -- first of all -- by the way, my assignment
24 goes beyond a little bit what we talked about because
25 when an attorney hires me, he's hoping I will explain

1 to him what that report's doing. I mean, I'm going
2 to -- one of my jobs is to help the attorney understand
3 the report that was prepared by the other expert, if
4 that's -- if my assignment is to deal with another
5 expert's report.

6 So I prepare certain -- certain things I do
7 is just to explain in more detail than is provided in
8 Dr. Smith's reports why he did what he did and how he
9 got to where he got. And that was a lot of what my
10 report did. Went into a lot of detail about
11 Dr. Smith's calculations that he didn't provide in his
12 report or in his work notes, things that I have learned
13 because I worked on other cases, and I have read
14 depositions where some of those -- the questions were
15 answered by Dr. Smith and so forth.

16 Q. Can you tell the jury how much you have been
17 paid for the services you were provided in this case?

18 A. Well, I don't have an exact figure, but I --
19 I will -- I'm going to guess that it's in the range of
20 15,000 to 20,000 so far in this case. We had a lot of
21 different work. I have had my deposition taken. This
22 is my second trip to Las Vegas to testify. The last
23 time it was when they took my deposition, and that was
24 a year ago last December, and so forth. But I think --
25 I think it's in that range. I could figure it out

1 exactly, but I don't have the specific number.

2 Q. Fair enough. Thank you, Doctor.

3 And were you paid to offer any -- offer any
4 particular opinion?

5 A. No. At no point -- I started with different
6 attorneys. You're, I think, the third attorney I was
7 responsible -- directly responsible to, but none of the
8 attorneys have ever suggested that I should develop any
9 particular opinions. They've only suggested they
10 wanted me to pay attention to particular damages and to
11 provide my opinions about what those damages were and
12 how Dr. Smith got there.

13 Q. Thank you.

14 You're also being paid for your time to
15 testify here today; is that correct?

16 A. Yes.

17 Q. How much?

18 A. My billing rate for this case -- and I --
19 actually, the reason for that is I was retained, I
20 think, in 2013 in this case. My billing rate at the
21 time was \$340 an hour. And that's still the rate that
22 I will apply through the completion of this case.

23 Q. Okay. Thank you. And -- and in addition to
24 Dr. Smith's notes and his -- the reports that he
25 created in this case, what other information did you

1 review or rely upon to perform your evaluation?

2 A. Well, at various times, I was provided with
3 the deposition of Emilia Garcia. I was provided with
4 various other depositions that I'm -- I think I -- I
5 read one by a Heidi Heath and some other people.

6 And then I have -- I have seen the reports of
7 various doctors. Now, normally I don't hold myself out
8 as a medical expert, so looking at medical records is
9 not something that I gain a lot from. But these
10 particular records had to do with the issue of whether
11 the various damages were actually caused by the injury
12 or not. So I was provided with them -- these medical
13 records only on that particular -- on that basis but --
14 that these medical doctors were saying that the
15 injuries were not caused by the injury in question.

16 Q. Okay. And are all your opinions that you are
17 going to give today to a reasonable degree of economic
18 probability?

19 A. Yes.

20 Q. Thank you.

21 So what we'll do now is we're going to talk
22 about initially the -- the three areas: lost
23 household services, life-care plan, and then loss of
24 enjoyment of life damages that are being sought.

25 A. Yes.

1 Q. So can you tell the jury, when the reference
2 is made to a party seeking lost -- the damages for lost
3 household services, can you describe and explain that
4 to the jury what that means?

5 A. Yeah. Well, first of all, household
6 services, as I understand that term, are the ordinary
7 things that people think of. It would be like taking
8 out the garbage, cleaning, washing the dishes, doing
9 the laundry, fixing the car, handling -- taking care of
10 family bills, helping with cleaning around the house,
11 and maybe painting the house, maybe putting on roofs,
12 depending on which sex -- where we were in the
13 traditional sex roles that have always -- men tend to
14 provide certain services and women do other ones,
15 although that's certainly blending and changing
16 dramatically in modern society for the good.

17 But it -- they're basically all the services
18 you provide around the home. And the reason why they
19 would become a damage category is that, if you are
20 injured and someone has injured you and you can't do
21 those things, you have a loss. And the loss can be
22 replaced in the commercial market because we can hire
23 people in the commercial market who can provide those
24 household services.

25 So if we have a loss of household services,

1 the -- the underlying concept is, we can hire somebody
2 in the commercial market to come and replace those
3 household services that were lost because that person
4 can't do them anymore because of the injury. And if we
5 do that, what would it cost us to get those household
6 services in the commercial market and -- so that
7 they're not lost in the future.

8 I mean, the idea is we're going to prevent
9 the loss from actually occurring by hiring somebody to
10 replace the services that the person, because of the
11 injury, can no longer provide. And we have data about
12 how much time generally people spend on providing
13 household services.

14 And in this case, Dr. Smith relied on the
15 same source I would rely on as far as data about
16 household service provision. And that's a document
17 produced by -- called *Dollar Value of a Day*. And
18 it's -- someone takes figures and they -- the original
19 time use amounts come from the American Time Use
20 Survey, which is produced by our Bureau of Labor
21 Statistics. It doesn't have any bias one way or
22 another. It's -- those are the time amounts they get
23 in the survey.

24 And then *The Dollar Value of a Day* puts
25 dollar values on those -- adds wage rates to those

1 values and calculates how much, on average, a person
2 with specific characteristics will actually provide.
3 And this -- this actual publication is very detailed.
4 It's got 200 -- the current version is 219 tables. And
5 you can get things like household services of a woman
6 who is married and works, whose husband doesn't work or
7 does work, with children in the home between the ages
8 of 0 and -- and 13 or 13 to 17 or when there's no
9 children in the home under 18 and so forth. So there
10 are -- there are lots of different categories.

11 And you just go to the -- but all of that is
12 provided and worked out for us. So it's a standard
13 source that most forensic economists do work from. And
14 then go -- and somehow come up with a dollar value.

15 Now, Dr. Smith did substitute some wage rates
16 he took from different sources for the wage rates --

17 Q. Before we get into what Dr. Smith did, I want
18 to direct you -- I just wanted to know what -- what was
19 meant by the loss of household services.

20 Now, Dr. Smith assumed that Ms. Garcia lost
21 80 percent of her ability to perform household duties.
22 Do you agree with that -- that figure that Dr. Smith
23 relied on?

24 A. No, I don't. And it's my opinion that an
25 economist -- there's nothing about being trained as an

1 economist that allows us to determine any particular
2 percentage. 80 percent is the figure he used, and I
3 think that's a figure perhaps that was provided to him
4 by Ms. Garcia.

5 But I'm not an expert even on the amount of
6 household services I provide. And I recently had a hip
7 operation which precluded me from doing some of those
8 services. And I don't know what percentage reduction I
9 had from that.

10 So what I'm really saying, in answer to your
11 question, is I don't have -- I don't think an economist
12 is qualified or a plaintiff is qualified to measure a
13 specific percentage reduction in their own ability to
14 provide household services.

15 Where I normally would value them is in a
16 death case. When -- when a person's been killed, we
17 know that whatever household services they lost,
18 they -- they lost all of them. And in that case, we
19 can value the total value.

20 And what I did in my own calculations was to
21 calculate the value of the total -- I calculated a
22 total value of the ability of a woman Ms. Garcia's age
23 to provide household services rather than 80 percent of
24 that amount.

25 Q. Okay. And -- and I guess you -- in that

1 answer you gave us some of the -- an explanation as to
2 some of the difficulties in determining the percent of
3 lost household services --

4 A. Oh, certainly.

5 Q. -- for a person?

6 A. I'm basically saying there's no training in
7 any graduate course or any undergraduate course in
8 economics about what percentage of somebody's ability
9 to provide household services they lost because they
10 have an injury.

11 We -- we are -- we do have background that
12 would allow us to look at the totality of somebody's
13 ability to provide household services, presumably
14 before an injury. And that's what I meant about the --
15 I can calculate the value of somebody's full ability to
16 provide household services; I just can't calculate -- I
17 can't determine a particular percentage by which it
18 should be reduced.

19 Q. Let me ask you this, Doctor: Do household
20 services decline as -- as we age?

21 A. Well, I certainly think mine did, and I think
22 everyone else's probably does. In general, the data
23 that we look at doesn't really break down and calculate
24 the productivity. All we can calculate with these
25 time-use surveys is the amount of time we spend doing

1 it.

2 Well, as we age, we don't get as much done
3 during the same time period. We take more breaks, and
4 we move a little bit more slowly and so forth. But the
5 data isn't going to let us determine the exact rate at
6 which we slow down as time goes by.

7 Q. And in what way did Dr. Smith provide for the
8 decline in household services for Ms. Garcia?

9 A. Well, his calculations basically assumed that
10 she would continue providing household services right
11 to the end of her normal life expectancy. And he
12 had -- because he was adding a 1 percent growth rate to
13 that, in effect he was saying that, on the day -- the
14 day before she died, she would have done -- she would
15 have been at her most effective in providing household
16 services even though she at that point would be at a
17 much older age.

18 That's a limitation that anyone would have if
19 you carried the production of household services all
20 the way to the end of life expectancy simply because we
21 don't have a measure of how much we lose in the way of
22 getting stuff -- getting household services provided
23 within an hour of time that we spend providing
24 household services.

25 Q. Okay. And what assumptions did Dr. Smith

1 make with regard to -- to the degree of which
2 Ms. Garcia was impaired to perform household services,
3 if at all?

4 A. I don't understand the question.

5 Q. Let me move on.

6 Did you make a -- did you make any
7 determination as to whether Ms. Garcia needed or
8 sustained a loss of household services?

9 A. Normally I would not be able to do that.
10 That's something a vocational expert would do rather
11 than an economist.

12 But it's not uncommon for an economist to be
13 given direction saying, "Well, assume the correctness
14 of what the vocational expert assumed about something
15 of that sort."

16 Q. And what is the importance of identifying
17 when a -- when a calculation is made for lost household
18 services, what is the importance for identifying the --
19 a baseline figure for the household services that were
20 provided prior to the incident?

21 A. Well, first of all, it matters. Remember
22 that, when we're looking at a time-use survey, we're
23 looking at an average. And different people provide
24 different amounts of household services.

25 I would -- if you're looking at a specific

1 person, you'd want to know what household services were
2 being provided. We know that there's some difference
3 between two people who would otherwise be very similar,
4 but one lives in a ten-room house and the other person
5 lives in a three-room apartment. So the amount of
6 household services you need in those two situations are
7 different.

8 But normally I would like -- if I were --
9 want to give a set of directions, I'd say, "Well,
10 provide me with a list of the things the person was
11 able to do before the injury and, after the injury,
12 then tell me which of those services can the person not
13 provide at all, which of those services can be provided
14 but they take longer, and which of those household
15 services really aren't affected.

16 And typically, at least paying bills and
17 things like that, which mean just sitting down with a
18 checkbook and not being very physical, there are a
19 number of -- in a typical case, a number of these
20 household services would not be affected.

21 And that kind of analysis was not provided in
22 Dr. Smith's report, but then I didn't have a basis for
23 making those kind of calculations either. So I
24 didn't -- I'm not claiming that I have done that. I'm
25 saying that, if I were on the other side, that is

1 something I would at least recommend to the attorney,
2 that we develop a baseline list of things that you did,
3 things that you can't do now.

4 And at least those things would speak for
5 themselves when testifying because, I mean, anyone can
6 understand those kinds of -- of -- of changes. That
7 still doesn't lead to a specific percentage reduction,
8 but it does give you some guidance as to what you're
9 dealing with.

10 And the other thing I would normally ask
11 about is what -- which household services -- because
12 there's usually a period of time between the injury and
13 the current time -- which of those household services
14 has the person chosen to replace?

15 Now, I would be careful about that because,
16 after an injury, if a person has any -- has various
17 losses because of the expenses of the injury, they may
18 not be able to afford to replace them. And the mere
19 fact that they can't afford to replace them should not
20 be a source of penalizing the person and not taking
21 that into account.

22 So knowing you didn't replace them doesn't
23 guarantee that you have lost them and -- haven't lost
24 them in some sense, but I would at least want to know
25 what -- what have they replaced and what have they

1 spent doing it.

2 Q. Now, assuming -- assuming that Ms. Garcia
3 does not prove that she sustained any loss of household
4 services following this accident and related to this
5 accident, is she entitled to any damages for loss of
6 household services?

7 A. Well, obviously -- you're asking me what
8 essentially is a legal question. And I want to be very
9 careful about saying it's -- any answer I give is
10 not -- is not an expert answer.

11 MR. ROBERTS: Objection. Calls for legal
12 conclusion.

13 THE COURT: I think it does. Sustained.
14 BY MR. MAZZEO:

15 Q. Okay. And, Doctor, I'm not asking you for --
16 a legal question. I'm asking you a factual question.

17 Assuming Ms. Garcia does not prove factually
18 does not prove that she sustained --

19 A. Okay. Fair enough.

20 Q. -- any loss of household services as it
21 related to --

22 A. As long as I don't have to reach that first
23 part, I'm okay.

24 But if -- if -- obviously, a loss is
25 supposedly caused by an injury. If -- if the injury

1 didn't cause that loss, the value of replacing that
2 loss is not a part of damages.

3 Q. Thank you.

4 Moving on to Dr. Smith's calculation --
5 projection for the present value of Dr. Oliveri's
6 life-care plan.

7 Are you a life-care planning expert?

8 A. No.

9 Q. Is Dr. Smith a life-care planning expert?

10 A. No.

11 Q. Assuming that Dr. Oliveri's life-care plan
12 that he's proposed for Ms. Garcia is not related to the
13 injuries that Ms. Garcia sustained in this accident,
14 would a life-care plan be appropriate for Ms. Garcia?

15 A. Well, again --

16 Q. Factually.

17 A. Given the assumptions you've given me, as
18 long as I don't have to reach a conclusion about it --

19 Q. You're not going to.

20 A. Obviously, if the injury didn't cause the
21 need for the life-care plan, the cost of the life-care
22 plan is not a damage caused by the injury.

23 Q. Now, finally, moving on to hedonic damages.

24 A. Yes.

25 Q. Dr. Smith is claiming -- or has calculated

1 figures for the loss of enjoyment of life as pertaining
2 to Ms. Garcia as a result of the subject accident.

3 A. Yeah.

4 Q. When we talk of -- can you tell the jury
5 something about loss of enjoyment of life and/or -- and
6 hedonic damages?

7 MR. ROBERTS: Objection. Foundation. Beyond
8 the scope of his expertise.

9 THE COURT: You can lay some foundation
10 first.

11 BY MR. MAZZEO:

12 Q. Sure. Are you familiar with the -- with the
13 term "loss of enjoyment of life"?

14 A. Yes, I am.

15 Q. And -- and is that -- is that a term that's
16 interchangeable with "hedonic damages"?

17 A. It is some of the time. It's not always,
18 but ...

19 Q. Okay. Tell us your understanding of what is
20 meant by loss of enjoyment of life.

21 A. Okay.

22 MR. ROBERTS: Objection. Foundation.

23 THE COURT: Overruled.

24 THE WITNESS: Okay. As a result of an
25 injury, if -- if -- a simple kind of example. If I

1 love playing golf and I'm injured in such a way I can't
2 play golf anymore, I've lost that enjoyable activity of
3 life. And that's an example of loss of enjoyment of
4 life caused by an injury.

5 If I -- if someone is killed, obviously
6 whatever enjoyment of life they had is gone. And so
7 that's another way that people lose enjoyment of life.

8 But loss of enjoyment of life is a -- is a
9 damage category that often comes up in various cases
10 that I'm involved with. Normally I'm not asked to say
11 anything about it because it's my opinion that
12 economists don't have any valid way to put any dollar
13 values on anyone's loss of enjoyment of life. But --

14 MR. ROBERTS: Objection. Move to strike.

15 MR. MAZZEO: Can we approach, Your Honor?

16 THE COURT: Sure.

17 (A discussion was held at the bench,
18 not reported.)

19 THE COURT: All right, folks. The statement
20 was "It's my opinion economists don't have any valid
21 way to put value on loss of enjoyment of life." The
22 Nevada Supreme Court has indicated otherwise.

23 So I'm going to ask you to strike -- that
24 statement will be stricken, and I'm instructing you to
25 disregard it.

1 You can still ask additional questions.

2 MR. MAZZEO: Thank you, Judge.

3 BY MR. MAZZEO:

4 Q. So, Dr. Ireland, what we're going to do is
5 specifically talk about Dr. Smith's methodology for how
6 he calculated loss of enjoyment of life.

7 A. Fair enough.

8 Q. All right. So, now, one of the things that
9 Dr. Smith did is he assigned a dollar value for the
10 enjoyment of the life of the average person, and he
11 calculated -- I'm not saying "he calculated."

12 He -- he assigned a figure of 131,001 --
13 \$131,119 to the loss of enjoyment of life per year per
14 person.

15 Do you recall that?

16 MR. ROBERTS: Objection. It mischaracterizes
17 the report. Compound.

18 MR. MAZZEO: Hold on.

19 THE COURT: I'm going to have to let him
20 answer to see.

21 THE WITNESS: Okay. Well, the -- the concern
22 was correct. In -- in the report that I was asked to
23 comment about, Dr. Smith's figure for loss of enjoyment
24 of life was actually 132,437, which was his figure for
25 the year 2014. He had used the figure you had

1 mentioned, 131,119, for the year 2013, which was what
2 was in the first report of Dr. Smith that I managed to
3 see.

4 BY MR. MAZZEO:

5 Q. Thank you for that correction.

6 A. So there's no -- we're not having any -- any
7 differences there.

8 But let's explain what that number is. That
9 number is a -- supposedly, each of us last -- in 2014,
10 enjoyed our lives at a dollar value rate of \$132,437.
11 And exactly what that means is not very clear.

12 It's -- you couldn't sell any and you
13 couldn't buy any more enjoyment of life just by
14 spending money or taking it back and forth. It's --
15 it's simply a number. And I don't think it occurred to
16 any -- any -- to me or anyone else that I enjoyed my
17 life in the year 2014 at a -- at a rate of \$132,437.
18 And this was net of your earnings. This is anything
19 you bought with your goods and services, like consumer
20 goods. Any enjoyment you got out of that is separate
21 from this value that Dr. Smith is talking about.

22 And it's -- what it means is -- and it was
23 well described in -- in one early *Wall Street Journal*
24 article as the ability to smell -- stop and see
25 beautiful sunsets and smell the roses. I mean,

1 basically it's -- it's the enjoyment of life that comes
2 from simply being and living, being able to walk along
3 and enjoy life.

4 And I have no question that we can all -- we
5 all have enjoyment of life and that, if we get injured,
6 we all lose -- potentially lose some of it.

7 My -- my only concern is that I don't know
8 how to calculate a number that I think is exactly what
9 that dollar value -- what dollar value I could
10 attribute to that for. From my own personal -- from my
11 only personal standpoint, I've never been able to feel
12 that any method I could use would validly measure
13 anyone's enjoyment of life at that kind of level.

14 And so that -- that's -- essentially, I think
15 I've fully answered your question by this time.

16 Q. Sure. And is this figure provided by
17 Dr. Smith recognized in any economic literature?

18 A. No. It's -- it's a figure that is unique to
19 Dr. Smith. No other economist uses that specific
20 number. And -- and, indeed, there are other economists
21 who testify about this sort of thing. They use
22 different -- different approaches, but that's
23 Dr. Smith's particular approach.

24 And I know how he gets to it, which is he --
25 he has explained that over the years. It's -- you

1 start from some figures that -- he did a survey in
2 1988. He made adjustments to that figure. He started
3 out with a figure.

4 He said the whole value of a human life was
5 3.1 million in 1988. You subtract 800,000 for what he
6 called "human capital," which means the earning
7 capacity and the household services produced by an
8 average person.

9 Well, the average person in 1988 had a life
10 expectancy of 45 years. So he's using -- he's saying
11 that 3.1 million is the value of the life of someone
12 with a life expectancy of 45 years. And then he
13 takes -- takes -- subtracts the 800,000 from the 3.1
14 million. That leaves you 2.3 million.

15 Then, given that he was using a discount
16 rate -- he's -- which today the one he's using is
17 101.25 percent. You calculate what the starting value
18 has to be in order to produce a present value in 1988
19 of 2.3 million for a person who has a 45-year life
20 expectancy. And the answer was 60,000 per year in
21 1988.

22 Now, what he did after that is take that
23 2.3 million, add to that the consumer price index,
24 which is basically add to that inflation. And he says
25 in his report that, as of the year 2014, the value of

1 that 2.3 million in 1988 is 4.5 million in 2014.

2 And, again, he goes back and says, "All
3 right. That's for a person with a 45-year life
4 expectancy. We'll figure out what the starting value
5 has to be in order to get that figure." And that
6 starting value in 19 -- in the year 2014 had to be
7 132,437.

8 And every year he recalculates that based
9 on -- he adds a -- another CPI adjustment to that and
10 recalculates back. And so the annual base figure he
11 starts from changes from year to year and -- it doesn't
12 change a lot. I have a whole series of these. I've
13 got all the numbers down to the last -- through 2015.
14 And they're all in the range of 128,000 to 133,000 as
15 of the current year.

16 But once you have that figure, then he's
17 saying, "Well, I'm going to assume that, as a result of
18 this injury, Emilia Garcia has lost either 40 percent
19 or 75 percent of her ability to enjoy -- or 70 percent
20 of her -- it's 45 percent and 70 percent of her ability
21 to enjoy life." And you can multiply 45 percent times
22 132,437 or 70 percent times that figure and get
23 numbers. And those numbers then become the basis of
24 his lower and upper impairment ratings.

25 Now, I had criticism of the impairment

1 ratings because economists don't do impairment ratings.
2 That's not something that economists set out and come
3 up with criteria for. But that's how he came up with
4 the numbers he came up with.

5 And when I look at all these steps that I'm
6 looking at, each of these -- none of these steps made
7 any good sense to me. I mean, I don't think his figure
8 in 1988 of 3.1 million was necessarily that far off,
9 but I -- he's never provided a list of the -- of the
10 research that he did to come up with that number of how
11 he -- how he said that's the central tendency of it.

12 But he worked from that figure on up to the
13 present, and I've seen various changes he's made over
14 the years in making the calculations. When I look at
15 them, they all look to me as if they're contrived.
16 That is, they get you there; you get a number; but what
17 is the number going to mean once you've got it?

18 And ultimately that is not -- those annual
19 values with reductions by impairments are -- they just
20 strike me as very contrived calculations that don't
21 really have any significant impact in -- in
22 realistically measuring a person -- what someone lost
23 in a personal injury.

24 Q. Are you familiar with the development of
25 value-of-life literature?

1 A. Yes.

2 Q. And what's the purpose for the -- this
3 literature?

4 A. Well, the primary purpose of this
5 literature -- and I can even go into the background as
6 to how it got started. But economists realized they
7 could calculate estimates of how much a large group of
8 people spend to -- to prevent the loss of a human life.
9 Now, we do this two ways.

10 One way is to have a group of people who are
11 buying something that produces safe results like, for
12 example, various Subarus and various automobiles are
13 known to be safer and less likely to result in a
14 fatality than any other car.

15 And when you do research and you break down
16 the price of a Subaru, you can figure out how much are
17 people paying for the extra reduction in fatality risk
18 that comes from that. And if you know how much they're
19 paying for that risk and you know how much safer
20 Subarus are than any other car, you can estimate how
21 much owners of Subarus are willing to pay to prevent
22 the loss of one human life in the form of buying
23 Subarus rather than --

24 THE REPORTER: I'm sorry. "Are willing to
25 pay to prevent"?

1 THE WITNESS: I'm sorry. You're right. I
2 deserve to be kicked. I get enthusiastic, and I try to
3 normally be more cooperative and sensitive to the needs
4 of court reporters than I'm being here today. If this
5 court reporter doesn't want to hang me, I'd be
6 surprised. But, anyway, I'll try to talk slower.

7 But the point here is the other way that we
8 sometimes calculate this is we know that policemen have
9 more dangerous lives than secretaries, and we can
10 calculate the extra fatality risks involved in being a
11 policeman or being in any other dangerous occupation.
12 Because there's some occupations that are a lot more
13 dangerous than even being a policeman.

14 But we can take, though, how much would
15 people get paid for the extra risk that they're going
16 to get killed on the job. And, basically, that's what
17 it is. If you -- if you take more risks, you're likely
18 to be compensated for it.

19 And we can figure out how many people get
20 killed, how much is being paid extra to -- for the
21 people bearing those risks. And from that we come up
22 with numbers. And these numbers, if you look at them,
23 they range anywhere -- well, there are some people who
24 argue the literature is not valid. I'm not one of
25 them. I think this is a perfectly important part of

1 the economics.

2 And -- and I will say I taught this
3 literature as part of my work in teaching public
4 microeconomics classes when I was a graduate student.

5 Sorry. I'm speeding up again.

6 When I was a -- when I was a -- I'm sorry.
7 When I was a teacher at my current university, one of
8 my responsibilities for three or four years was to
9 teach this course in our master's of public policy
10 administration because these kinds of numbers go into
11 cost-benefit analysis when you're trying to decide
12 whether governments should buy or not invest in a
13 particular kind of public project or not.

14 So what happened in 1976 is the -- President
15 Reagan ordered all of the federal agencies to come up
16 with some sort of a standard for values of life that
17 they use when they're trying to plan projects. And the
18 various agencies chose different studies to pay
19 attention to.

20 And I happen to know which one the Department
21 of Transportation relied on. That's a study by Dr. Ted
22 Miller, who I know personally and -- and Dr. Smith
23 knows. And he's a friend of both of us. But those --
24 different agencies use different studies. And that's
25 how these studies have typically been used.

1 Now, the other way they're, of course, used
2 is that, since this is a part of economic analysis and
3 since, if you do a study in this area -- and it's a lot
4 of work, and it's a very difficult process -- you can
5 get those articles published in journals. And if you
6 get articles published in journals -- all of you've
7 heard of publish or perish.

8 So the top economics departments in the
9 United States like to have somebody who's doing that
10 research in that kind of an area, and they have to keep
11 publishing. And so we get a -- we have a steady flow
12 of new studies coming in on the value of statistical
13 lives on a regular basis.

14 And we have -- and these studies may have as
15 many as hundreds of different values of life in them
16 depending on which particular configuration of the
17 study that -- that they were working on at that point.

18 And in order to come up with what Dr. Smith
19 did, you have to digest all of this into a single
20 number, you know, saying, "Okay. His single number in
21 1988 was 3.1 million, and then he worked from there to
22 get to the figures that he would have used here."

23 But there are questions about whether that's
24 the best number. There are questions about which of
25 the methodologies he relied upon, et cetera. All of

1 those things relate to the statistical life. But the
2 issue is not about whether that literature is valid,
3 because it is. The issue is about how that literature
4 is used to try to come up with how much somebody
5 personally enjoys their life less because they got
6 injured.

7 BY MR. MAZZEO:

8 Q. That leads me to my next question.

9 Do you have any opinions as to whether
10 Dr. Smith's estimates for the value of human life are
11 consistent with the literature that you've just
12 described?

13 A. I think you can find parts of the literature
14 that would certainly support what Dr. Smith's figures
15 were, yes.

16 Q. Okay. And in what -- in what way did
17 Dr. Smith rely on Ms. Garcia's subjective opinions
18 regarding her diminishment in the value of life?

19 A. Well, I think this goes back to this term
20 that he used of impairment rating. Now, that term
21 bothers me because when I hear the word "impairment
22 rating," I'm assuming there's some sort of a process
23 that's being involved in determining what those
24 percentages are. And in -- indeed, Dr. Smith cited a
25 paper that he wrote with two other authors and

1 published in one of our journals in 1990 where they
2 talked about the need to have a psychologist come in
3 and provide those percentages.

4 But Dr. Smith did -- just provided them based
5 on -- I've forgotten exactly how it went. I think it
6 was on a good day -- he talked about testimony that, on
7 a good day, Ms. Garcia thought it was maybe only a
8 40 percent reduction. On a bad day, it was 45 percent;
9 and on a bad day, it was 70 percent or something like
10 that.

11 Those are not impairment ratings. Those are
12 essentially random percentages somebody's coming up
13 with because they were asked a question that most --
14 most of us couldn't answer. I can't compare how much I
15 enjoy life on a good day versus a bad day myself. I
16 mean, I have good days and bad days, and I know that
17 some are more enjoyable than others, but I can't
18 compare them.

19 Q. Okay. And, also, do you consider it
20 important to distinguish, do we all have the same
21 enjoyment of life from one person to another?

22 A. Well, we don't. Obviously, some people enjoy
23 their lives a great deal more than other people. And
24 the big thing here is, an economist can't go around
25 interviewing people, saying -- and talk to them for 20

1 minutes or something or have one of your associates
2 talk to somebody and say, well, is this person average
3 or not? Well, Dr. Smith hasn't claimed that at all.
4 He said -- he's basically relied on something, I think,
5 that Emilia Garcia said.

6 But these are -- there is nothing about the
7 training of an economist that allows you to assess the
8 reasonableness of any such percentage, and that --
9 and --

10 Q. Dr. Ireland --

11 A. Go ahead.

12 Q. I'm asking you to -- to stay focused on
13 Dr. Smith.

14 A. Yeah.

15 Q. Okay? We're talking about Dr. Smith here
16 today.

17 A. Yes.

18 Q. Okay. So what testing has been done to --
19 with regard to support Dr. Smith's methodology, if at
20 all?

21 A. It would be impossible to run a test. I
22 mean --

23 Q. On his methodology, we're talking about
24 specifically.

25 A. I know -- you're saying -- the test of his

1 methodology would be, if we could have -- someone could
2 run a test on Emilia Garcia and say, well, she lost
3 40 percent on -- that's -- that's our overall
4 conclusion after we have gone through a -- a very
5 careful study, and we concluded that she lost -- and
6 you could have a study. And, by the way, I'm not
7 criticizing the idea that you could have a range. You
8 could lose 45 percent, or you could lose 70 percent.
9 The question is, how do you get to that percentage?

10 And all I'm saying -- and I'm specific to
11 Dr. Smith because other people -- I don't know of any
12 other economist --

13 Q. We're just talking about Dr. Smith.

14 A. Yeah. Well -- okay. He has a -- how do I
15 say -- I guess I can't say it without talking about
16 somebody else. But I have never seen anything like
17 these percentages in any of the work I have ever done.

18 Q. Fair enough. Okay. And you were talking
19 about the percentages that Dr. Smith had employed and
20 relied upon to calculate his numbers.

21 A. Again, we're talking about applying the --
22 these percentage reductions to the 132,000 as of the
23 year 2014, and we haven't talked about the fact he was
24 going to add some -- in addition, he's going to add
25 cost of living. And I don't have a problem with that

1 either. He's adding 2 percent. If I knew what it was
2 in 2014, adding 2 percent to it for 2015 would not
3 strike me as an unreasonable thing to do.

4 But he does add percentages going -- as we
5 move up to the present, and he would subtract
6 percentages as he moved back to the date of the injury.
7 Again, I don't have any criticism of those things. My
8 criticism is of the 2014 value of 132,477 and the
9 percentage reductions that he is saying can be made in
10 that figure. And I don't think -- I don't think those
11 numbers make any sense. They have -- nothing in his
12 report suggests that there's any justification for them
13 that I would attribute anything to as an economist.

14 Q. Okay. And what -- what standards or controls
15 for the methodology -- were employed for the
16 methodology used for -- that was used by Dr. Smith?

17 A. None that I can see.

18 Q. Okay. And by the way, Doctor, should
19 sympathy or likability of -- for the plaintiff or of
20 the plaintiff be used to award loss of enjoyment of
21 life?

22 A. Well, again, you're -- at this point, you're
23 asking me a legal question again.

24 Q. No. No, no. I'm asking you a factual
25 question. Sympathy -- should -- the jurors will make a

1 determination as to the facts.

2 So I'm asking you, should -- in determining
3 loss of enjoyment of life, should the trier of fact --
4 not trier of law, trier of fact -- look at factors such
5 as sympathy or likability of --

6 A. Well --

7 Q. -- of a -- of a party in a case --

8 A. Well --

9 Q. -- in determining loss?

10 A. I'm just saying that I think the jury should
11 follow the judge's instruction and not some opinion of
12 mine on that issue. Because I don't -- if you ask my
13 personal opinion, I don't think you should take into
14 account those things, but that's a personal opinion and
15 it's certainly not an economic opinion.

16 Q. I'm asking you as an -- as -- as -- as an
17 economist expert.

18 MR. ROBERTS: We'll stipulate to this, Your
19 Honor. It's Nevada law.

20 MR. MAZZEO: It's what?

21 MR. ROBERTS: It's Nevada law. We stipulate.

22 MR. MAZZEO: Okay. That's not what I was
23 asking, but thank you, Counsel.

24 BY MR. MAZZEO:

25 Q. So as -- as a professional economist, though,

1 in looking at -- you -- you gave a description to the
2 jury and -- and defined for the jury the -- what loss
3 of enjoyment of life entails; right?

4 A. Yes.

5 Q. So in -- in looking at damages for the loss
6 of enjoyment of life, would that include some factors
7 such as sympathy or likability? Does that have
8 anything to --

9 A. Well --

10 Q. -- do with one's loss of enjoyment of life?

11 A. I can -- this I can answer. Dr. Smith's
12 calculations don't involve -- invoke any assumption of
13 sympathy or -- or those sorts of things.

14 Q. Fair enough.

15 A. And since I'm not making these calculations
16 anyway, I would -- if I did, they would -- sympathy
17 wouldn't enter into it. But I wouldn't -- but --
18 you're -- you're asking me something sort of beyond
19 my --

20 Q. Fair enough.

21 A. -- personal experience.

22 Q. That's fine, Doctor. And do you have an
23 opinion as to the amount -- as to any amount that
24 Ms. Garcia should be entitled to for loss of enjoyment
25 of life?

1 A. No.

2 Q. Okay. Why is that?

3 A. Well, I think for all of the reasons that we
4 we've talked about. I don't find the method that
5 Dr. Smith used to produce any kind of reliable results
6 for all the reasons that I have just explained and
7 answered. And since I don't -- I don't have an
8 alternative to offer myself, I don't -- I'm just
9 saying -- I don't think the numbers he provided are
10 meaningful or reasonable or accurate and can't be
11 measured, all the different problems we have with it.

12 But I don't have a -- an alternative to
13 offer, and I -- I think that's -- I mean, you -- the
14 jury has a tough job to play. And I wish I could help,
15 but I don't think I can.

16 MR. MAZZEO: Thank you, Doctor. Pass the
17 witness.

18 THE COURT: Mr. Strassburg? Mr. Tindall?

19 MR. STRASSBURG: No questions, Judge.

20 THE COURT: Mr. Roberts?

21 MR. ROBERTS: Thank you, Your Honor.

22

23 CROSS-EXAMINATION

24 BY MR. ROBERTS:

25 Q. Dr. Ireland, you've never been qualified as

1 an expert witness to calculate the loss of enjoyment of
2 life in any court; correct?

3 A. That's correct.

4 Q. And you did not -- as you just told
5 Mr. Mazzeo, you did not calculate any competing opinion
6 for Ms. Garcia's loss of enjoyment of life; correct?

7 A. That's correct.

8 Q. You said that you did not find Dr. Smith's
9 methodology to be reliable.

10 Did I get that right?

11 A. Yes.

12 Q. Okay. Did Dr. Smith use the
13 willingness-to-pay theory?

14 A. I believe that he -- based on his -- his
15 statement of the survey, he looked at that survey to
16 start with to arrive at the position of 3.1 million.
17 Now, I have never seen the studies -- a list of the
18 studies that he actually looked at at that point. He
19 claims he doesn't remember what it is, but I -- I would
20 have no doubt that he read part of that literature
21 because he cited part of it in various -- over the
22 years when I've seen various other reports.

23 So I'm sure that he does know something about
24 the value of statistical life literature himself, and
25 he has read various studies.

1 Q. You brought up literature. You agree that
2 all the literature in Dr. Smith's report is
3 peer-reviewed; correct?

4 A. Well, I -- I am assuming it is, but I have
5 not individually checked out every -- the peer-review
6 status of every article that would be mentioned in
7 Dr. Smith's report.

8 MR. ROBERTS: Permission to publish
9 Dr. Ireland's deposition, Your Honor.

10 THE COURT: That's fine. It will be
11 published.

12 MR. ROBERTS: Permission to approach the
13 witness, Your Honor.

14 THE COURT: That's fine.
15 BY MR. ROBERTS:

16 Q. I'm going to provide you a copy of your
17 deposition here, Doctor.

18 A. Okay. Wow.

19 Q. Yeah. I think a lot of attachments are on
20 there.

21 A. Yeah. It looks like every -- everything that
22 we marked as an exhibit at the time is attached here.
23 I do have a copy of that deposition in my file --

24 Q. Okay.

25 A. -- but it isn't this thick.

1 Q. That's the attachments. They'll do that.
2 Although, I'm going to rebut my own premise here when I
3 ask you to turn to page 163 of your deposition.

4 MR. MAZZEO: Objection, your Honor. No
5 foundation for prior inconsistent statement.

6 THE COURT: I don't know yet.

7 MR. MAZZEO: Well --

8 MR. ROBERTS: I'm sorry. That -- that -- I
9 wasn't saying that ...

10 THE COURT: I don't know what the question is
11 yet. Let's -- let's --

12 MR. MAZZEO: Okay.

13 MR. ROBERTS: You may have misunderstood. I
14 will try again.

15 THE WITNESS: I have opened -- I have opened
16 to that page, 166. I'm sitting here looking at it.

17 BY MR. ROBERTS:

18 Q. 163, line 19 to 164, line 4.

19 A. 163 -- oh, wait a minute. Page --

20 Q. 163 --

21 A. Okay. I'm sorry. I had went to 166.

22 Q. -- line 19 to 164, 4.

23 A. Yeah. Do you want me to read that?

24 Q. Just -- you can just read it silently to
25 yourself.

1 A. Yeah. I think here I'm saying that I agree
2 that --

3 MR. MAZZEO: No, you don't read from it.
4 Just read it to yourself.

5 THE WITNESS: Okay.

6 BY MR. ROBERTS:

7 Q. Does that refresh your recollection that you
8 agreed that all the literature's peer-reviewed, you
9 just disagree with his interpretation?

10 A. Yeah, I -- when I answered your question
11 before, when I was -- I thought this was more in a kind
12 of a detailed circumstance of whether I had checked out
13 of the peer-review status of each of the articles. I
14 believed then, and still believe, that every article he
15 mentioned in his report is -- was editorially
16 peer-reviewed; that is, it went through some kind of a
17 review process and was published only after some
18 reviewers determined that it was publishable.

19 Q. Okay. And you're not criticizing the
20 peer-reviewed literature that Dr. Smith relies on
21 itself, you criticize the use of that literature to
22 calculate hedonic damages?

23 A. That's correct.

24 Q. And you don't criticize the way Dr. Smith
25 applied the willingness to pay; you just disagree with

1 any type of willingness-to-pay analysis in order to
2 calculate hedonic damages. Right?

3 A. I don't think -- the question was not worded
4 in a way I'm comfortable with, but it's -- basically
5 what I'm saying -- it was -- he hasn't done -- can
6 perform these studies himself. They're studies that
7 exist and have been published by other people. But I
8 don't have any -- any basic criticism that they're part
9 of the literature of my field and that, indeed, the --
10 I taught from that literature before I ever heard the
11 concept of hedonic damages.

12 Q. So, Doctor, there is absolutely no
13 methodology that Dr. Smith could have used to calculate
14 hedonic damages that you would not find unreliable;
15 correct?

16 MR. MAZZEO: Objection. Assumes facts not in
17 evidence. Overly broad.

18 THE WITNESS: I am --

19 THE COURT: I'm going to allow it.

20 THE WITNESS: Okay. You're correct. There's
21 no method that I would consider reliable for using the
22 value of statistical life literature to arrive at
23 specific dollar values for anyone's loss of enjoyment
24 of life following an injury.

25 /////

1 BY MR. ROBERTS:

2 Q. So you don't just disagree with Dr. Smith;
3 you disagree with Nevada law. Right?

4 MR. MAZZEO: Objection, Your Honor. Calls
5 for a legal conclusion.

6 THE WITNESS: In this case --

7 MR. MAZZEO: No, wait. Objection.

8 THE WITNESS: Okay.

9 THE COURT: I'm going to allow it.
10 Overruled.

11 BY MR. ROBERTS:

12 Q. You disagree that the willingness-to-pay
13 methodology can be applied in any way to calculate
14 hedonic damages; right?

15 A. I do.

16 Q. And that's why you criticize Dr. Smith's
17 methodology; correct?

18 A. No. I have other criticisms of Dr. Smith's
19 methodology that are specific to Dr. Smith's particular
20 version of how he has calculated loss of enjoyment of
21 life. And also because there was a legal conclusion
22 that I am apparently allowed to talk about, if I read
23 the decision in the Banks case, the Banks decision said
24 that I should have been permitted to testify in that
25 case. And -- and I was, in fact, the defense economist

1 in the Banks case. And the plaintiff -- and the
2 defense attorneys decided not to have me testify. And
3 the Court basically said that the defense should have
4 had an expert testify, as I am here.

5 Q. To criticize, according to the supreme court,
6 the method -- that his methods were inaccurate or
7 unreliable?

8 A. Right.

9 Q. Can you point me any part of the Banks
10 decision where it says you could testify that Nevada
11 law was wrong?

12 A. I'm not testifying that Nevada's law is
13 wrong. I don't have an opinion about that. I have an
14 opinion about what -- I know what the Banks decision
15 said, but I'm -- as far as I'm concerned, I'm -- I'm
16 testifying in a way that is consistent with the Banks
17 decision, which is what I understand to be the law.

18 I -- but as far as -- if someone tells me I
19 can't do that, the judge here is the expert who makes
20 that decision, not me.

21 Q. And you're no expert in hedonic damages,
22 because if anyone came to you and said, Dr. Ireland,
23 please calculate an estimate for loss of enjoyment of
24 life, you would tell them, I'm sorry. I don't know how
25 to do it. Right?

1 A. I would tell them something more like, it
2 would take me a quite a long time to get up to -- up to
3 full speed on how -- how that type of research is being
4 done. And I would also want to know what particular
5 kind of research did you want me to do? Did you want
6 me to do one that has to do with consumer purchases, or
7 one that has to do with wage risk?

8 And I would want to know, what is the purpose
9 of that study? And what are you going to use it for?
10 But if I was paid enough, I could do it. It would -- I
11 mean, I would have to spend a lot of time preparing.
12 And -- and in that respect, Dr. Smith and I are
13 similar. He's never conducted a research that uses the
14 willingness-to-pay methodology, and I haven't either.

15 Q. Do you follow Dr. Smith all around the
16 country testifying against him?

17 A. No. I don't usually follow him. I have
18 cases -- the last time I testified and did any travel
19 was in Stanford, Connecticut, but that's not all around
20 the country. Stanford is somewhere close to New York
21 City, actually. But I was there earlier this year. I
22 have come to -- out to Las Vegas several times.

23 But no, I don't travel -- I don't follow him
24 around the country. That's sort of a -- there -- I --
25 I -- I accept assignments where they come from, and --

1 and if -- if need be, I will go there and testify but
2 not following. The term "follow," it almost, like,
3 means like a lawyer chasing after an accident to try to
4 solicit clients or something like that. I certainly
5 don't do that.

6 I get calls -- I never know where the next
7 telephone call is going to come from. It comes -- some
8 call comes in, and an attorney has a case, and he wants
9 me to look at it. And I will look at it.

10 Q. So you testified in this case you've been
11 paid in the range of 15 to \$20,000; right?

12 A. Yes.

13 Q. In fact, at the time of your deposition, back
14 in December of 2014, it was just north of 19,000;
15 right?

16 A. Okay. Well, I don't remember that, but that
17 sounds like -- it certainly could -- if that's what I
18 said, I will trust you that that's probably correct.

19 Q. And I'll -- 19,465. That could be correct?

20 A. If you -- if you -- if that was my answer in
21 this deposition, that's correct.

22 Q. And from December of 2013 -- 2014 until when
23 you arrive home tonight, how much additional do you
24 expect to bill to the plaintiff -- to the defendants?

25 A. I think actually most of my billing was done

1 through that deposition, but I am going to answer your
2 question. It will take me a second to think it
3 through. Because, obviously, I spent two days -- or
4 not a full two days if -- depending on what time we
5 quit today, if I can catch an earlier flight.

6 But I will probably have a billing of -- for
7 a full day. If I have one full day, that would be at
8 three -- at eight -- let's just say ten hours. 3400
9 for that. And then the cost of my airplane fare. And
10 it looks like I had a total of -- I had a total of five
11 hours of additional preparation. But really I
12 haven't -- I haven't spent a lot of time working on
13 this case since that deposition because there wasn't
14 that much more to do.

15 Q. So somewhere over 23 and less than 26, maybe?

16 A. Yeah. Something like that.

17 Q. Okay. And is it fair to say that you have
18 been retained as an expert to write opposing reports
19 and testify against Dr. Smith at least 50 times in your
20 career?

21 A. Yes.

22 Q. And maybe up to 100?

23 A. That would -- I probably have had maybe a
24 little bit more than 100, because my deposition was in
25 December of last year, and I probably had another ten

1 or so cases in this past year, came in from other
2 sources.

3 Q. So if we run those calculations out, you have
4 made somewhere between 1 and \$2 million testifying
5 against Dr. Smith?

6 MR. MAZZEO: Objection, Your Honor. No
7 foundation. There's no consistency from one case to
8 the next.

9 THE COURT: He can answer.

10 THE WITNESS: Yeah.

11 THE COURT: Overruled.

12 THE WITNESS: And that would be my answer.

13 This case has had -- has stayed -- usually, if I get
14 involved in a case, it doesn't last three years.

15 And -- and I don't have lots of different things that
16 happen over the course of that period. I have looked
17 at several versions -- three or four -- three versions
18 of different reports from Dr. Smith over the course of
19 this period and so forth.

20 In a typical case -- and I think I mentioned
21 that previous case where I was in Stanford -- I think
22 my total bill was more like 10 or 12,000, and that
23 included the travel. And in most of these cases what
24 actually happens -- and this is not unique to this type
25 of case, where there's hedonic damages -- most cases

1 settle. So I don't end up making that amount of money
2 on most cases.

3 I probably -- this is -- I think is the third
4 time in the last 12 months that I've testified in a
5 case where Dr. Smith was on the other side in -- at a
6 situation. And so those are the ones where -- when a
7 trial takes place, those are the ones where I spend a
8 lot more time than the ones where I just simply write a
9 report.

10 Q. You do agree that enjoyment of life has
11 value; right?

12 A. I certainly do. Yes.

13 Q. And you agree a person can suffer loss of
14 enjoyment of life from injuries?

15 A. I do.

16 Q. And you said that Dr. Smith's methods have
17 never been tested, and you have a fundamental
18 disagreement with his calculation of 132,000 a year;
19 right?

20 A. Yes.

21 Q. If you knew that tomorrow you were going to
22 get banged on the head and die, would you pay \$132,000
23 to live another year?

24 MR. MAZZEO: Objection, Your Honor. Vague.
25 Foundation. Speculation.

1 BY MR. ROBERTS:

2 Q. Economist is all about willingness to pay;
3 right? What's -- what's the fair exchange value? A
4 willing seller and a willing buyer -- let's assume a
5 willing seller was offering you an extra year to live.

6 You're not really telling the jury 132,000 is
7 too much, right, for a total loss of the enjoyment of
8 life?

9 MR. MAZZEO: Hold on. Objection. Compound.
10 Incomplete hypothetical.

11 THE COURT: I'm going to let him answer.

12 THE WITNESS: Most people couldn't afford to
13 pay 132,000 for another year of life. I probably could
14 because I have worked pretty hard, and I probably
15 would. But I don't think that means that that's any
16 particular value. It's -- it's -- it is simply
17 something that would, perhaps, reflect the fact that I
18 have done a little bit better financially than a lot of
19 other people.

20 BY MR. ROBERTS:

21 Q. Counsel asked you about sometimes economists
22 will rely on loss of capacity to enjoy life based on a
23 psychiatric analysis or evaluation.

24 Did I hear him ask you about that?

25 A. No.

1 Q. Is that sometimes done?

2 A. I think -- if you're asking me broadly the
3 question, do I think a psychologist might have
4 something to say about whether someone lost enjoyment
5 of life, and I think they could.

6 Certainly, the -- what's called hedonic
7 psychology deals with measuring objective happiness.
8 And psychologists are capable of measuring whether a
9 person's at a -- at a 100 scale of happy or a
10 higher-than-the-average scale or below that average
11 scale based on standard tests that they have come up
12 with.

13 Now, they don't turn those percentages into
14 dollar values. But I certainly think a psychologist
15 who did a thorough study on a person might be able to
16 offer something that was expert in nature that might be
17 helpful to a jury. But how exactly? I mean, I'm not a
18 psychologist, and I'm just giving you generalities
19 about hedonic psychology, which I happen to know a
20 little bit about because economists rely on it to some
21 extent.

22 But it is objective scales, and it isn't
23 dollar values that -- that -- that psychologists do,
24 but they do have expertise that might be helpful.

25 Q. And I know you weren't here, but do you know

1 whether Dr. Smith actually calculated the diminution in
2 Ms. Garcia's capacity to enjoy life, or did he tell the
3 jury that was up to them?

4 A. Well, I think he probably would have, given
5 other deposition transcripts I have seen from
6 Dr. Smith. So I think he probably told the jury that
7 they could use some other definition if they wanted to.

8 Why he picked 40 and -- 45 and 70 is my
9 concern. If you were going to try to give a jury
10 something easy to work with, I'd take 10 percent,
11 because you can multiply 10 percent pretty easily by
12 any other number if you -- as compared to using the
13 number -- the particular numbers he did use.

14 But my concern is that those -- that
15 40 percent and 70 -- or 45 percent and 70 percent are
16 not expert opinions; they're just, quote, as he would
17 describe them, illustrations.

18 But what is an illustration? It's not an
19 expert opinion, and it's not an impairment rating.
20 It's just an illustration. It's saying, "Well, it
21 could be this or it could be that. But I happened to
22 pick these two numbers."

23 Q. Do you even know how he picked those numbers,
24 Doctor?

25 A. I think we talked about that a little bit.

1 My impression was it had something to do with a good
2 day and bad day.

3 Q. By interviewing Ms. Garcia?

4 A. Yes.

5 Q. Is there anything else he used?

6 A. I don't think there is.

7 Q. Do you have Dr. Smith's report of
8 October 14th, 2014?

9 A. I do.

10 Q. Could I have you flip through that to page 10
11 and look at numbered paragraph 1 at the bottom of the
12 page. Perhaps that will refresh your recollection.

13 A. He's referring here also to Dr. Mortillaro.

14 Q. And a global assessment functioning performed
15 by --

16 A. Global assessment, right.

17 Q. -- by Dr. Louis Mortillaro; right?

18 A. Yes.

19 Q. Do you know Dr. Louis Mortillaro's specialty?

20 A. No.

21 Q. Fair to say that, when you've been hired to
22 respond to Dr. Smith, you've never agreed with his
23 calculation over 100 times; right?

24 A. Huh? You mean I haven't agreed with him 100
25 times?

1 Q. Well, you've told the jury that you've been
2 hired to respond to his calculations over 100 times.

3 A. Yes.

4 Q. And over 100 times you've disagreed with him;
5 right?

6 A. Well, yes, I guess I have disagreed on some
7 aspects in some of his reports. We weren't that far
8 apart in this case on the cost of the life-care plan,
9 but ...

10 Q. You -- you speculated on direct examination
11 with Mr. Mazzeo that you weren't hired and paid to
12 provide any particular opinion.

13 A. Yes.

14 Q. Don't you think that counsel knew what those
15 100 opinions were that you'd issued every other time
16 you've been hired?

17 A. Well --

18 MR. MAZZEO: Objection. Foundation.

19 THE COURT: Sustained.

20 THE WITNESS: Okay.

21 BY MR. ROBERTS:

22 Q. You've been retained to testify as an expert
23 in Nevada 20 times, and only one time was on the
24 plaintiff side; correct?

25 A. Yes.

1 Q. Loss of household services.

2 You agree that economists can provide a
3 figure that's reliable enough to be helpful to a jury;
4 right?

5 A. Yes. I think that an economist can value
6 the -- for an average person. Now, we can't evaluate
7 specifically Ms. Garcia compared to anybody else.

8 But she was a female with children and --
9 and -- and a specific status in life, and those --
10 there are tables about women at her age like that in
11 *The Dollar Value of a Day*.

12 Q. And what was the total value of household
13 services that you calculated for Ms. Garcia's remaining
14 life expectancy?

15 A. My figure was -- actually, let me -- I have
16 that marked. I have two figures that I really talked
17 about.

18 One is, if I used most -- almost all of
19 Dr. Smith's assumptions, I would have come up with
20 519,000. If I used all of my own methods -- and this
21 is for the total value of the household services, not
22 80 percent reduction. This would be -- this would be
23 equivalent to 100 percent reduction. I found the
24 figure of 373,053.

25 Q. Now, assuming that Ms. Garcia was injured in

1 the collision and assuming she did not have the
2 capacity to perform 100 percent of the household
3 services she could perform before the collision --

4 A. Yes.

5 Q. -- do you agree that it would be reasonable
6 for her to hire someone to perform those services
7 instead?

8 A. Well, I don't want to give advice to
9 Ms. Garcia. But I certainly understand that the courts
10 might provide damages to allow her to replace those
11 household services.

12 Q. But that's the premise of the calculation
13 that you did, right, is what's the market value of
14 those services?

15 A. Well, the premise of my calculation was what
16 was the total value of her ability to provide household
17 services if she was like an average woman with all the
18 characteristics we've talked about. And I believe,
19 yes, I can calculate that; and, yes, if a percentage of
20 that got lost, it would be appropriate for some sort of
21 an award to be made.

22 That's certainly the premise on which I work
23 in all cases which I work, that there -- if there's
24 damage and if the defendant is held liable, then -- if
25 we can remove the damage by a certain amount of money,

1 we would award the sum of money to remove the damage.

2 Q. Now, under your plan and your calculations,
3 you value the household services at the market rate in
4 the community; right? That's what you're trying to do?

5 A. Well, you're -- I don't think either
6 Dr. Smith or I made very specific assumptions about
7 the -- the Las Vegas area. But there's not a lot of
8 difference between Las Vegas and the national
9 percentages that are used in the -- in the typical
10 studies of *The Dollar Value of a Day*. But the data
11 that we're relying on is time-use by average Americans,
12 not Las Vegas.

13 Q. Isn't it fair to say that the biggest
14 difference in your calculation and Dr. Smith's
15 calculation, if we're just looking at the calculation
16 of 100 percent loss, is that you use the rate that
17 someone would be paid, the hourly rate to perform those
18 services, and Dr. Smith uses the hourly rate plus the
19 markup that an agency would apply?

20 A. Certainly that is a fundamental difference
21 between he and me.

22 Q. So under your plan, Ms. Garcia couldn't call
23 up Merry Maids and say, "Hey, could you send a maid
24 down?" She'd have to look on craigslist or find
25 someone willing to come; right?

1 A. Yes.

2 Q. And you mentioned you taught.

3 Where did you teach?

4 A. I teach -- well, most of my career, I taught
5 at the University of Missouri at St. Louis.

6 Q. And you -- are you still a member of the
7 American Academy of Economic and Financial Experts?

8 A. I am.

9 Q. Is that the second-largest organization of
10 its kind for people who do your kind of work?

11 A. Yes.

12 Q. And you joined in 1992?

13 A. Yes.

14 Q. And a motion was brought to the board of that
15 organization to censure you for your conduct?

16 A. Well, this was -- we were having a political
17 fight. And some members of the board of directors who
18 were -- I was on the president's side during the fight,
19 but I was one of the more vigorous supporters of the
20 president. And a motion was brought -- there were two
21 motions. One, to restrict the president from being
22 able to fire an editor that we had at the time who I
23 thought was unethical. And there was another motion
24 to -- that -- that the other members of the board would
25 like to have had register against me for churlish

1 behavior unbecoming a member of the board of directors
2 of the association.

3 And ultimately we -- my side prevailed, which
4 is why I'm still a member. I'm still -- I'm -- I am
5 still on the journal's -- *Journal of Legal Economics*
6 board. We got a new editor, as I wanted to.

7 And yes -- but there were -- but a number of
8 the then existing board members who were part of the
9 old establishment got overthrown. And they -- they did
10 make an effort to try to censure me by passing around
11 some emails. And -- and a number of them voted for it,
12 but they lost. We won.

13 Q. Well, when you say "a number of them voted
14 for it."

15 There were 18 people on the board, and 10
16 voted in favor of censuring you, and 8 people
17 abstained, and no one voted against it, right, Doctor?

18 A. Well, as I said, eight -- as I have often
19 said, I didn't vote on this any more than any of the
20 other ten did. There was no authorization for the
21 email to be circulated. No process was involved. They
22 circulated this as a part of having -- waging the
23 fight. And I don't treat it as if it was a serious
24 effort on their part.

25 But you're right. There were 10 people out

1 of 18 on the board of directors who were on the other
2 side, and we got rid of them.

3 Q. And you mentioned that you're still a member
4 of that organization.

5 But you're no longer a member of the National
6 Academy of Economic Arbitrators; correct?

7 A. That is correct.

8 Q. And you're not a member because the board
9 voted to revoke your membership; correct?

10 A. No. Well, it is correct to a point.
11 Basically, I -- they -- they told me that, if I did not
12 agree not to discuss that association on the website of
13 a -- or on the -- one of the electronic lists of
14 another group, that they would vote me out of office or
15 vote me out of membership. And they did because I told
16 them, "No. I'm not going to change this. I didn't
17 join this to be in a secret organization." So they
18 did. And this was a group of 20 people, and nothing
19 of -- ever has concerned me.

20 MR. ROBERTS: Thank you, Your Honor. I'll
21 pass the witness.

22 THE COURT: Mr. Mazzeo?

23 MR. MAZZEO: Yes, Judge. Thank you.

24 /////

25 /////

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

REDIRECT EXAMINATION

BY MR. MAZZEO:

Q. Dr. Ireland, on cross-examination, you were asked to look at Dr. Smith's report with regard to what Dr. Smith relied on for determining the --

A. Yes.

Q. -- Ms. Garcia's loss of enjoyment of life, that percentage.

A. Yeah.

Q. And you made a reference to -- you cited the global assessment functioning scale --

A. Yes.

Q. -- right?

And do you know whether or not that's a self-assessment that's completed by the patient?

A. It is, but -- yeah.

Q. Okay. So is that basically -- is your understanding of that global assessment functioning scale basically a subjective self-appraisal?

A. Yes.

Q. What was -- what is the underlying assumption for whether Ms. Garcia's entitled to damages for household services in this case?

A. Well, it is that the injury caused her inability to provide those household services. And

1 if -- if the injury -- the automobile accident didn't
2 cause the -- her inability to provide the household
3 services, then clearly that there's no connection
4 between the accident and her need for household
5 services, however it's measured.

6 Q. And what was the reason for you supporting
7 the president to fire the -- I think you said the
8 editor?

9 A. Yes.

10 Q. Yeah, what was the -- what was -- give us the
11 background. Tell the jurors the background of the
12 situation concerning that.

13 A. The situation was that we had a -- a -- a
14 journal editor brought in. And I was supposed to be on
15 the selection committee, and I was just ignored. They
16 hired him without bothering to -- they asked me to be
17 on the committee, and then they hired him without
18 actually even consulting me, but ...

19 I did not consider him qualified to deal with
20 it from the beginning. But in the process of handling
21 this job, he managed to subvert what -- the peer-review
22 process; that is, he had people that -- things were
23 getting published that shouldn't have been published
24 without going through adequate review.

25 Now, again, adequate review means you get an

1 article submitted, you send it out to three objective
2 people, and you follow the directives of those people
3 who recommend either publishing or not. And I thought
4 it was absolutely critical that we not, for that
5 association's reputation, to continue having this kind
6 of inappropriate publication of articles purely for
7 organizational political fighting to go on.

8 And that -- that was the issue I was
9 concerned about, and I was very unhappy with the way
10 that the whole selection had gone on because it was
11 just -- it was set up to make it -- give appearances
12 that were not followed through on in terms of my
13 participation, and it made me look bad.

14 Q. You were also asked a question with regard to
15 a situation when you were a member of the National
16 Academy -- National Academy of Economic Arbitrators --

17 A. Yes.

18 Q. -- was that correct?

19 And -- and you mentioned -- you referred to
20 churlish behavior.

21 Can you tell the jurors what that churlish
22 behavior was?

23 A. Well, basically, it came down to when -- when
24 I think somebody's lying, I don't have any hesitance
25 about saying they're lying. And they didn't think that

1 was very nice. And -- but I'll -- we are a little bit
2 confusing this.

3 Because the other situation, the National
4 Association of Economic Arbitrators, we had a little
5 organization of 20 people. And we were kind of as a
6 group. And I had observed that this little
7 organization of 20 people was playing an overly
8 predominant role in an organization that had 500
9 people; that is, all of the officers, we were the sort
10 of inner core.

11 And I said -- somebody was running for office
12 on the basis that this inner core had an undue
13 influence on that much bigger organization, and I was
14 saying something about it, that I agree with that. And
15 my agreement with that was what they were unhappy
16 about. And they wanted me to agree never to mention
17 the organization again as a condition of remaining a
18 member.

19 And I said, "Look, I've -- I didn't intend to
20 join a secret organization when I joined this. And I
21 certainly -- but if you want me to -- if you -- as a
22 condition of allowing me to stay, I have to start
23 treating this as a secret organization, I won't. But
24 you make the decision whether you want to fire me or
25 not, but that's -- that's up to you."

1 Q. Okay. Thank you, Doctor.

2 Nothing further.

3 THE COURT: Mr. Strassburg? Mr. Tindall?

4 Anybody? Anything?

5 MR. STRASSBURG: No, Judge.

6 THE COURT: Mr. Roberts?

7 MR. ROBERTS: Nothing further, Your Honor.

8 THE COURT: Ladies and gentlemen, any
9 questions?

10 Not seeing any hands. Thank you, sir.

11 You're excused.

12 THE WITNESS: Okay. Thank you.

13 THE COURT: Need a break? Let's take a quick
14 break.

15 During our break, you're instructed not to
16 talk with each other or with anyone else about any
17 subject or issue connected with this trial. You are
18 not to read, watch, or listen to any report of or
19 commentary on the trial by any person connected with
20 this case or by any medium of information, including,
21 without limitation, newspapers, television, the
22 Internet, or radio.

23 You are not to conduct any research on your
24 own, which means you cannot talk with others, Tweet
25 others, text others, Google issues, or conduct any

1 other kind of book or computer research with regard to
2 any issue, party, witness, or attorney involved in this
3 case.

4 You're not to form or express any opinion on
5 any subject connected with this trial until the case is
6 finally submitted to you.

7 Plan on ten minutes. We'll see how that
8 goes.

9 (The following proceedings were held
10 outside the presence of the jury.)

11 THE COURT: We're outside the presence of the
12 jury. Anything we need to put on the record, counsel?

13 MR. MAZZEO: No, Your Honor.

14 MR. ROBERTS: No, Your Honor.

15 THE COURT: All right. We're off the record.

16 (Whereupon a short recess was taken.)

17 THE MARSHAL: All rise for the presence of
18 the jury.

19 (The following proceedings were held in
20 the presence of the jury.)

21 THE COURT: Go ahead and be seated. Welcome
22 back, folks. We're back on the record, Case
23 No. A637772.

24 Do the parties stipulate to the presence of
25 the jury?

1 MR. MAZZEO: Yes, Your Honor.

2 MR. SMITH: Yes, Your Honor.

3 THE COURT: We've got Dr. Klein back from
4 yesterday.

5 Doctor, because we've had a witness in
6 between, I'm going to have you resworn again. So if
7 you could please stand and raise your right hand.

8 THE CLERK: You do solemnly swear the
9 testimony you're about to give in this action shall be
10 the truth, the whole truth, and nothing but the truth,
11 so help you God.

12 THE WITNESS: I do.

13 THE CLERK: Please state your name and spell
14 it for the record, please.

15 THE WITNESS: Michael Robert Klein Jr.,
16 K-l-e-i-n.

17 THE COURT: Go ahead. So this is
18 cross-examination.

19 MR. ROBERTS: That's right.

20

21 CROSS-EXAMINATION

22 BY MR. SMITH:

23 Q. Dr. Klein, we finished yesterday discussing
24 your surgical experience, and I'd like to start today
25 by talking about your pain management experience.

1 You have never performed selective nerve root
2 blocks on a patient; correct?

3 A. That's correct.

4 Q. You've never performed facet injections on a
5 patient; correct?

6 A. That's correct.

7 Q. You've never performed a rhizotomy on a
8 patient; correct?

9 A. Correct.

10 Q. You would agree that both Dr. Lemper and
11 Dr. Kidwell specialize in treating the spine; right?

12 A. Yes.

13 Q. And they both specialize in the spinal
14 injections that we just discussed; right?

15 A. Correct.

16 Q. You have also never prepared a life-care plan
17 detailing medical treatment a person will need for the
18 rest of their life; right?

19 A. That is correct.

20 Q. We briefly talked about some MRIs yesterday,
21 but I want to talk about your experience with MRI and
22 CT medical imaging in general.

23 You didn't take or learn to read CT scans
24 when you were in medical school; right?

25 A. No. They didn't exist then.

1 Q. They weren't invented; right?

2 A. Correct.

3 Q. That's the same with MRIs like we talked
4 about yesterday?

5 A. Correct. When I was in medical school, that
6 technique didn't exist.

7 Q. And you told Mr. Mazzeo yesterday that you
8 read approximately 200 MRIs per year; right?

9 A. At a minimum, yes.

10 Q. The vast majority of that is as a defense
11 expert; right?

12 A. The vast majority, that's correct. I do read
13 them on the patients in my clinics that I order and on
14 the 10 to 15 percent plaintiff cases where an MRI is
15 indicated.

16 Q. But, again, the vast majority of the MRIs
17 that you look at is as a defense expert; right?

18 A. Yes.

19 Q. You agree that you're not trained as a
20 radiologist or a neuroradiologist?

21 A. That's correct.

22 Q. Radiologist is a specialty in medicine that
23 specializes in reviewing imaging; right?

24 A. That's the focus of their training; correct.

25 Q. And radiologists specialize in reading MRIs;

1 right?

2 A. They do.

3 Q. And in your practice, you would defer to the
4 reading of an MRI that was done by a radiologist;
5 right?

6 A. Not always, no. The -- the radiologist,
7 unfortunately, doesn't have the background or the
8 clinical history or examine the patient. So depends
9 what information you give them. If their
10 interpretation differs significantly from mine, I'll
11 take the opportunity to go sit with the radiologist and
12 give them more information. So I don't always agree
13 with them. And depends upon who the radiologist is.

14 Q. Radiologists can be board-certified just like
15 orthopedic surgeons; right?

16 A. Oh, yes.

17 Q. They have to go through similar testing and
18 convince other radiologists that they're at the upper
19 echelon of the qualifications; right?

20 A. That's correct.

21 Q. And the radiologist that you were talking
22 about yesterday, Dr. Hake, is board-certified, isn't
23 he?

24 A. Yes, he is.

25 Q. What you're telling the jury today is that

1 you believe you are more qualified to read an MRI of
2 Ms. Garcia, who you met one time, than a
3 board-certified radiologist?

4 MR. MAZZEO: Objection. Misstates the
5 evidence.

6 THE COURT: Overruled.

7 THE WITNESS: In this case, I am more
8 board-qualified -- more qualified because I have the
9 entire big picture, as it sits here. And the clinical
10 history and that which took place during the treatment
11 period gives me another layer of qualification that was
12 not provided to Dr. Hake.

13 BY MR. SMITH:

14 Q. You agree that Dr. Gross is a
15 board-certified, fellowship-trained neurosurgeon;
16 right?

17 A. Yes.

18 Q. And he specializes in spine surgery?

19 A. He does.

20 Q. He saw Ms. Garcia many times over the course
21 of her treatment; right?

22 A. Twice or three times before surgery and, as
23 far as I know, four times post-op.

24 Q. He's been inside her spine and saw what was
25 going on when he did the surgery; right?

1 A. He did.

2 Q. You're telling the jury that you are more
3 qualified to read Ms. Garcia's MRI than Dr. Gross?

4 A. Oh, yes.

5 Q. One of the things that you talked about
6 yesterday is that you're a professor at UC Davis;
7 right?

8 A. Correct.

9 Q. You're not a full-time, paid professor;
10 you're a volunteer professor one day a week. Right?

11 A. Yes.

12 Q. All of the paid work that you do in your
13 career right now is as a litigation expert; right?

14 A. 95 percent of my income is from litigation.
15 Correct.

16 Q. Your medical practice that you talked about
17 yesterday is in California; right?

18 A. Yes.

19 Q. Obviously, UC Davis is in California; right?

20 A. Yes.

21 Q. The -- any treating physician things that
22 you've done in your career have been in California;
23 right?

24 A. Yes.

25 Q. You did obtain your license to practice

1 medicine in Nevada in 2008; right?

2 A. Correct.

3 Q. The only reason you obtained your license in
4 Nevada is to perform expert work like you're doing in
5 this case; right?

6 A. That is correct.

7 Q. You've never treated a Nevada patient; right?

8 A. I have seen seven or eight patients that were
9 Dr. Selznick's patients because he wasn't available in
10 his office, but I wouldn't say I was the treating
11 physician. You're correct.

12 Q. And Dr. Selznick is the person that owns the
13 consulting group that you're working for today; right?

14 A. That's correct.

15 Q. The Consultants Medical Group that you talked
16 about?

17 A. He is the owner.

18 Q. So you helped him out a few times, but you
19 don't have your own patients in Nevada?

20 A. No, I do not.

21 Q. You've been retained by Mr. Mazzeo six to
22 eight times besides this case?

23 A. I think -- yes. Six or eight times.

24 Q. And the firm Mr. Mazzeo was working for at
25 the time you were hired, and the firm that hired you,

1 is Barron & Pruitt right?

2 A. That's correct.

3 Q. You've been hired by that firm about 25
4 times?

5 A. I think maybe more since I was deposed.
6 Maybe 30 times.

7 Q. Okay. And all of those 30 times,
8 approximately, were for the defense; right?

9 A. That is correct.

10 Q. And you may have testified to this yesterday,
11 but 90 percent of your expert work is for the defense;
12 right?

13 A. Here in Nevada, that's correct.

14 Q. Of the 90 percent defense work that you do in
15 Nevada, you disagree with the diagnosis of the treating
16 physician 50 to 60 percent of the time; right?

17 A. At least. Yes.

18 Q. And your disagreement with the actual
19 treatment that was rendered to a plaintiff is much
20 higher. You disagree with the treatment rendered to a
21 plaintiff, when you're a defense expert and it's a
22 spine case, 85 to 90 percent of the time; right?

23 A. Yes.

24 Q. In those cases where you're disagreeing with
25 the treating physicians, 85 to 90 percent of the time

1 what you are saying is that you are right and the spine
2 specialists who are treating the patient are wrong;
3 right?

4 A. No. I think it's -- what I'm saying is
5 that -- my opinion is based upon abrogation digressing
6 away from evidence-based medicine and using techniques
7 that are not indicated when other conservative
8 treatment is indicated. Though -- not that they're
9 wrong. It's just that their approach and their
10 recommended treatment protocol is something that I
11 disagree with in terms of giving an opinion.

12 Q. Well, if you're saying that they should have
13 done something different, then what they did is wrong;
14 right?

15 A. No. That's -- I don't say that what they did
16 was wrong; I said I disagree with the indications. And
17 I will usually say, as I did in this case, it's either
18 causally related or causally unrelated. It's not as
19 simple as right or wrong. It's an assessment using the
20 history, the findings, and recommending a technique.

21 Q. So there's more than one way to treat a spine
22 patient is what you're saying?

23 A. Of course. I mean, that's -- that's obvious.

24 Q. And even though you're disagreeing with the
25 treating doctors, that doesn't mean that -- that what

1 they did was incorrect?

2 A. Not based on their assessment. Each
3 physician, surgeon, evaluator is entitled to an
4 opinion. That -- that's -- sometimes we're correct;
5 sometimes we're incorrect. So that's the whole
6 approach is try to get -- establish an anatomic
7 diagnosis and then design a treatment program.
8 Sometimes we miss the mark. That's human nature.

9 Q. And as it relates to the patient, you would
10 agree that it's reasonable for a patient to follow her
11 doctor's advice; right?

12 A. As long -- yes. As long as she is supplying
13 accurate information upon which the physician or
14 surgeon is designing a treatment program. That's
15 correct.

16 Q. And you would agree, especially after
17 obtaining a second opinion, it's -- if it's the same as
18 the first opinion, it would be reasonable for a patient
19 to believe that that course of treatment is the
20 appropriate course for her; right?

21 A. Yes. I think in some cases it can be
22 confusing, but I don't think you can pick on the
23 patient for following a recommendation.

24 Q. And you don't believe that a -- a layperson
25 who has severe low back pain would know what the best

1 treatment for her is; right?

2 A. It varies, Mr. Smith. Depends upon their
3 level of intelligence, how they surf the Internet, what
4 information, so it varies. Some patients are very --
5 very well versed in the cause of their symptoms. I'm
6 quite amazed. The Internet has provided a very
7 educated patient population.

8 Q. You don't believe that Ms. Garcia was in a
9 better position than her treating physicians to know
10 what the best course of treatment was; right?

11 A. I would agree.

12 Q. Let's talk about your charges in this case.
13 As we just mentioned, you're testifying through a
14 company called Consultants Medical Group; right?

15 A. That's correct.

16 Q. They're the ones who sends the bills to the
17 defense attorneys; right?

18 A. They do.

19 Q. How much does Consultant -- Consultants
20 Medical Group charge for your time?

21 A. For today or up to the total?

22 Q. Total up to now.

23 A. I have spent about 100 hours as -- before
24 we -- I started yesterday. And they charge \$750 an
25 hour. So I think the total is right around \$75,000.

1 And then, as Mr. Mazzeo had me testify yesterday, a
2 full day in trial is 12,000. So that would be 12 plus
3 75 plus a half day today.

4 Q. So about 93,000?

5 A. Yes. Around there. Uh-huh.

6 Q. Let's talk about your examination of
7 Ms. Garcia.

8 A. Certainly.

9 Q. When you examined her, you thought she was
10 being honest with you; right?

11 A. Yes, I did.

12 Q. You didn't think she was lying, and you said
13 specifically before that you thought she was being
14 forthright when she was talking to you; right?

15 A. Yes. I think she understood my questions and
16 gave an honest answer.

17 Q. And when you examine patients as a defense
18 expert, you do various tests to try and determine if
19 those people are faking; right?

20 A. Not necessarily that they're faking, in other
21 words, suggesting that there's some pre --
22 premeditation on their part. We do testing -- I do
23 testing to see if there's something that doesn't make
24 sense, something during the exam. So not as in from an
25 accusatory standpoint.

1 Q. Do you remember when I asked you that
2 question at your deposition?

3 A. No. You'd have to give me the page and line.
4 I remember the -- that you did ask me in that area.

5 MR. SMITH: Your Honor, I'd ask permission to
6 publish Dr. Klein's -- we'll do both of them
7 actually -- his two depositions to the jury. And we
8 only have a certified copy of the first deposition. We
9 have a sealed copy of the second.

10 THE COURT: That's fine. Give it to Alice to
11 be published.

12 BY MR. SMITH:

13 Q. Do you have that in front of you? Is that
14 what you're looking at?

15 A. I do, Mr. Smith. I have both.

16 Q. Just so the jury's not confused, you were
17 deposed in this case twice; right?

18 A. Correct.

19 Q. And the reason for that is that you didn't
20 have enough time the first day, so we had to come back
21 a second day; right?

22 A. Yes.

23 You want me to use these or mine?

24 Q. You can use the ones I just gave you.

25 A. Okay. Thank you.

1 Q. If you take a look at your first deposition,
2 page 127.

3 A. Uh-huh.

4 Q. You remember, for your deposition, I came
5 over to your office at Consultants Medical Group;
6 right?

7 A. Yes. We were in my conference room.

8 Q. You were put under the same oath you were put
9 under today?

10 A. That's correct.

11 Q. If you take a look at page 127 --

12 A. Uh-huh.

13 Q. -- line 19. Does that refresh your
14 recollection about your answer to my question?

15 A. It does.

16 Q. So when I asked you, "When you examine
17 patients as a defense expert, you commonly give them
18 tests to see if they're faking injury; right?" what did
19 you answer at your deposition?

20 A. I said, "Yes."

21 Q. Okay. And the test that you give patients,
22 you -- you have various ones, but one of them, for
23 example, is you put your hands on top of the patient's
24 head and you rest them there. You tell the patient
25 you're going to push down. You don't actually push

1 down. And then you ask the patient if that's causing
2 pain; right?

3 A. Correct.

4 Q. Another one, you do the same thing like that
5 on top of their shoulders. You say you're pushing
6 down. You don't really push down; right?

7 A. Correct.

8 Q. You gave those tests to Ms. Garcia, and she
9 didn't claim that she had pain; right?

10 A. Correct.

11 Q. You talked about a couple of them yesterday.
12 You talked about simulated axial rotation, and you
13 talked about this one where you have them push their
14 hand against yours. That shouldn't cause pain. You
15 talked about another one where she's supposed to pull
16 her toes towards her nose. That's not supposed to
17 cause pain.

18 And Ms. Garcia didn't claim she had pain in
19 any of those tests; right?

20 A. Correct.

21 Q. Now, when you come into the exam room as a
22 defense expert, you know you are going to do these
23 tests; right?

24 A. Not always. Depends upon the history. I
25 don't always do the same set of tests. Some of them --

1 some patients give such an accurate history of a
2 radiculopathy, the test you just demonstrated, the --
3 the vertical compression test, that would aggravate
4 their symptoms. I wouldn't do that.

5 Q. In Ms. Garcia's case, you read all of her
6 medical records before she came into your office;
7 right?

8 A. I did.

9 Q. So when she walked into the room, you knew
10 you were going to give her these tests to see if she
11 was fake; right?

12 A. I knew I was going to do the tests. In her
13 case, I didn't think she was going to fake because some
14 of the -- she had such good medical records, as I
15 brought with me, that no one else had ever noted any of
16 these. So I feel I need to be consistent in my exams.

17 But she was such a good historian in terms of
18 response to my questions. So I -- I just do the same
19 thing each time.

20 Q. And what you mean by that is, you reviewed
21 her medical records, and it looked like her pain
22 complaints were real?

23 A. Yes.

24 Q. And her doctors thought that she was really
25 in pain when she came into their office and complained

1 of pain; right?

2 A. She -- yes. One of the things I testified
3 yesterday is her consistency in her presentation of her
4 symptoms.

5 Q. And that's important to you, right, when a --
6 when a patient's consistent in their presentation of
7 symptoms?

8 A. It is.

9 Q. It generally means to you that those symptoms
10 are real; right?

11 A. I wouldn't agree with the word "real," but
12 that at least it leads us to think there's some
13 anatomic reason for the symptoms. Correct.

14 Q. And you've given the opinion before, not in
15 trial, but before, with respect to Ms. Garcia, under
16 oath, that she is not a malingerer and she's not
17 engaging in malingering behavior; right?

18 A. She does not. She has never done either that
19 I'm aware of.

20 Q. So she's not embellishing her complaints to
21 get treatment or something like that; right?

22 A. I don't think she was embellishing. I think
23 she was somewhat, at times, alarmed by her symptoms,
24 concerned about her progress, confused temporally about
25 onset of symptoms. But I don't think at any point she

1 purposely embellished.

2 Q. And the things that you just mentioned are
3 all reasonable things for a person who's having severe
4 low back pain; right?

5 A. At times severe, at other times improvement,
6 and then an exacerbation of symptoms. In other words,
7 instead of making gradual improvement, the symptoms
8 come back. That's correct.

9 Q. Your exam was on September 24th, 2014?

10 A. That's correct.

11 Q. You said yesterday that that's 21 months
12 after her surgery; right?

13 A. I may have miscalculated. I think --

14 Q. I'm not saying you're wrong.

15 A. Oh.

16 Q. I think you're right.

17 A. Yeah.

18 Q. So we're just in agreement. It's 21 months
19 after surgery?

20 A. It is. She was -- surgery was 12/26/12. So
21 that would be 21 months.

22 Q. Because you didn't see her until 21 months
23 after her surgery, you haven't done anything to
24 personally verify any complaints that she had prior to
25 the surgery; right?

1 A. Other -- you mean I -- other than reviewing
2 the records, accepting that that which is reported by
3 the treaters is accurate information.

4 Q. The same goes for the year after her surgery
5 when she says she was doing a lot better. You never
6 met her during that time period, and you don't have any
7 personal information of her condition at that time;
8 right?

9 A. Right. That's correct.

10 Q. So the physical examination that you
11 performed of Ms. Garcia in September of 2014, the one
12 that you described in a lot of detail yesterday --

13 A. Yes.

14 Q. -- that's irrelevant to telling the jury what
15 her condition was prior to surgery; right?

16 A. Yes. Because the surgery created a
17 significant change in her anatomy and in the
18 weight-bearing biomechanics of her spine. You're
19 absolutely correct.

20 Q. You also haven't examined Ms. Garcia since
21 she receive the more recent facet joints injections and
22 rhizotomies from Dr. Kidwell; right?

23 A. That's correct.

24 Q. So, again, you have no personal knowledge of
25 how she's doing, for example, today?

1 A. That's correct.

2 Q. And yesterday, when you were discussing this
3 question that you had of Ms. Garcia about what
4 treatment helped her the most, she hadn't yet had the
5 rhizotomies at that point; right?

6 A. That's correct. The rhizotomies had not been
7 performed.

8 Q. So when she said, in response to your
9 question, that the surgery gave her one year of relief,
10 she couldn't have said at that point that the surgeries
11 plus the rhizotomies was the treatment that gave her
12 the best benefit; right?

13 A. That's correct.

14 Q. You did say yesterday that you believe
15 Ms. Garcia suffered a sprain/strain injury in the
16 January 2011 crash; right?

17 A. That is my opinion.

18 Q. A sprain is a sprain of a ligament. A strain
19 is a strain of a muscle; right?

20 A. Correct.

21 Q. You also said that you believe it would be
22 reasonable for a sprain/strain injury to come on over a
23 few days, so it wasn't uncommon that Ms. Garcia would
24 have had pain start a few days after the accident;
25 right?

1 A. Usually the symptoms begin before a few days.
2 And you remember she said to me, she noticed it when
3 she was standing in the cashier's cage the next day in
4 terms of whatever symptoms. And she reported she
5 thought she had some numbness in her foot. So she was
6 symptomatic, as she shared with me, within 24 hours of
7 the event.

8 Q. You don't think the presentation that she
9 described to you of when her pain began or her symptoms
10 began is uncommon for a sprain/strain injury; correct?

11 A. Correct.

12 Q. You also agree that the chiropractic
13 treatment she received, the treatment from Primary Care
14 Consultants, and Dr. Lemper's first injection were all
15 reasonable and necessitated by the January 2011 crash;
16 correct?

17 A. Correct.

18 Q. And you said that all of the treatment that
19 Ms. Garcia received up to September 1st, 2011, is
20 related to and caused by the crash; right?

21 A. Yes.

22 Q. There's been some discussion in this case
23 about whether our -- our firm referred Ms. Garcia to
24 her chiropractor. You agree that it would be
25 reasonable for our firm to have sent her to a

1 chiropractor if she didn't have another way to get
2 medical care; right?

3 A. Yes.

4 Q. Now, it's your opinion when we talk about the
5 sprain/strain injury that those injuries get better
6 within a few months; right?

7 A. In most cases. In some cases -- I testified
8 yesterday that, depending upon the body habitus of the
9 individual, the person who's overweight and
10 deconditioned, it's going to be more protracted. But
11 in -- in most cases, it's a few months. It can be up
12 to six months in some cases.

13 Q. So in the outside extreme, if a person is
14 very deconditioned, which is the word you have used in
15 your report, it might take up to six months for a
16 sprain/strain injury to heal?

17 A. Yes, depending on the term "heal." We know
18 that, at the microscopic level, it doesn't take the
19 cells that long, but patients can remain symptomatic
20 for up to six months.

21 Q. It can take up to six months for the pain to
22 go away?

23 A. To go away completely --

24 Q. Yes.

25 A. -- yes.

1 Q. And if it's a -- if somebody has a
2 sprain/sprain injury, their pain should have gone away
3 within six months; right?

4 A. 95 percent of cases, yes, depending upon
5 preexisting degenerative changes in either muscle,
6 ligaments, disk spaces, facet joints. All those have
7 to be taken into consideration.

8 Q. So the sprain/strain injury can affect the
9 facets and the disks?

10 A. A very severe, isolated sprain in association
11 with a severe rotatory injury could affect facets. A
12 sprain/strain from a severe compression injury could
13 affect the disks. In other words, all this -- all this
14 tissue is contiguous and works together with the other
15 tissue.

16 Q. You -- you agree that conservative care, like
17 chiropractic care, is reasonable to speed up the
18 healing process that we're talking about; right?

19 A. It doesn't -- there isn't any modality I'm
20 aware of that speeds up the process. That's -- that's
21 part of each individual's ability to heal both at the
22 microscopic and macroscopic level. The chiropractor is
23 a guide, as is a physical therapist. It doesn't speed
24 up any healing process.

25 Q. It helps with the healing process; right?

1 A. Yes. Especially the soft tissue modalities,
2 they feel good. Education of the muscles is important.
3 Initially, they cause increase symptoms, but it doesn't
4 speed up any process.

5 Q. You said in your deposition that a sprain is
6 like when you twist your ankle; right?

7 A. That's one example near a weight-bearing
8 joint; correct.

9 Q. And that's something that most people have
10 experienced at some point in their life by the time
11 they get to 30 years old; right?

12 A. Correct.

13 Q. A strain is like when you pull a muscle;
14 right?

15 A. Correct.

16 Q. Those are the types of sprains and strains
17 that get better within a few months if you, you know,
18 rest them and take care of yourself; right?

19 A. In most cases, depending upon the magnitude
20 of the injury, the age of the patient, preexisting
21 anatomic abnormalities, all that has to be taken into
22 consideration. But in general I agree with you.

23 Q. You would also agree with me that, if
24 somebody sprains their ankle, it generally causes
25 immediate pain; right?

1 A. It does.

2 Q. Now, you agree, from your review of all the
3 medical records, and you -- well, strike that.

4 You reviewed all of her medical records
5 carefully; right?

6 A. I did. Some of them several times.

7 Q. And you agree that all of the doctors and all
8 of the experts that you've seen in this case have said
9 that the spondylolisthesis she had predated the crash;
10 right?

11 A. Yes.

12 Q. You agree with that also; right?

13 A. I do.

14 Q. None of the medical experts in this case
15 are -- are saying that the -- medical -- excuse me.

16 None of the medical experts or treating
17 physicians in this case are saying that the crash
18 caused a break in the bones of her lumbar spine.
19 That's right?

20 A. That's correct. And no one has testified,
21 that I'm aware of, or opined in a report that she
22 sustained a pars fracture. That's correct.

23 Q. So all of the questioning that you got
24 yesterday about whether the accident caused a break in
25 her spine and all of the other questioning that's gone

1 on in this case about whether the accident caused a
2 break in the bones of her spine is completely
3 irrelevant to -- to your opinions and the medical
4 records that you have reviewed; right?

5 MR. MAZZEO: Objection, your Honor.
6 Misstates testimony and questions.

7 THE COURT: I'm going to overrule it. The
8 jury's going to have to use their memory of what was
9 said.

10 THE WITNESS: Can you repeat the question,
11 please?

12 MR. SMITH: Can you read it back for me?

13 (Record read by the reporter.)

14 THE WITNESS: Wrong.

15 BY MR. SMITH:

16 Q. Do you think it's relevant to discuss whether
17 the crash caused a break in her spine when not a single
18 doctor has said that that's what happened?

19 A. Well, first of all, in the line of
20 questioning yesterday, we didn't use the term "break."
21 I think that's an inaccurate term.

22 No one has opined that she has a break
23 anyplace in her spine. That's the lay term for a
24 fracture. So that's -- that doesn't really make sense
25 to say that.

1 The issue is whether or not -- the whole line
2 of questioning using the diagnostic studies is did her
3 preexisting Grade II spondylolisthesis change in any
4 way creating a situation of instability and pressure on
5 those structures at greatest risk, the nerve roots.
6 That is what went on for three hours yesterday with --
7 under direct.

8 We never talked about a break in her spine.
9 I'm not -- I can -- I'm concerned that you use even
10 that terminology because no one's ever said she's had a
11 fracture, none of her treaters, none of the
12 radiologists, or myself.

13 Q. Let me use your words.

14 A. Sure.

15 Q. You said "wouldn't make sense."

16 A. Yes.

17 Q. So you agree that it wouldn't make sense to
18 have asked the treating physicians about whether the
19 accident caused a -- a break in the bones of
20 Ms. Garcia's spine; right?

21 MR. MAZZEO: Objection. Misstates the
22 testimony.

23 THE COURT: He's asking whether a question
24 would have been appropriate. I'm going to allow it.

25 THE WITNESS: I can't see it as a posed

1 question from a treater. I can see Ms. Garcia saying,
2 "Did I sustain a fracture" or, in lay terms, "a break"?
3 but not from a -- a treater.

4 All the treaters she's seen, beginning with
5 the ER doctor to Gulitz, hopefully they know that a
6 break produces severe symptoms almost immediately. So
7 that's sort of a non sequitur. It doesn't make sense.
8 BY MR. SMITH:

9 Q. That's the testimony you gave yesterday,
10 right, that a break produces immediate severe symptoms;
11 right?

12 A. No. I didn't use the word "break." So if
13 you keep saying the word "break," I'm not going to
14 agree with you.

15 I said movement irritating the nerve root,
16 the structure at risk, produces immediate symptoms if
17 there is movement at a spondylolytic defect.

18 Q. The real dispute between you and the doctors
19 over her symptoms, the treating doctors --

20 A. Yes.

21 Q. -- is whether the spondylolisthesis she had
22 that predated the crash began causing her pain after
23 the crash; right?

24 A. Yes. I think that is the -- is the issue.
25 You're absolutely correct.

1 Q. And you agree, based on your review of the
2 records and your discussion with Ms. Garcia, that her
3 pain did not get better after six months; correct?

4 A. The pain she initially had, Mr. Smith, or the
5 pain that was there at three months, four months, or
6 five months?

7 Q. She wasn't pain-free in her low back after
8 six months; right?

9 A. She was not.

10 Q. She was not pain-free in her low back a year
11 after the crash; right?

12 A. That's correct.

13 Q. She was not pain-free in her low back when
14 she went in for surgery on December 26th, 2012;
15 correct?

16 A. Yes. Based on her representations, that is
17 absolutely correct.

18 Q. And you've said you believe her
19 representations?

20 A. I do.

21 Q. Let's talk about spondylolisthesis in
22 general.

23 A. Sure.

24 Q. You believe that 8 to 12 percent of the
25 general population has a spondylolisthesis; right?

1 A. It's -- yes, it's the oft-time repeated, you
2 know, percentage in -- in -- among my specialty;
3 correct.

4 Q. Of those 8 to 12 percent, only 7 to
5 14 percent ever develop symptoms from the
6 spondylolisthesis; right?

7 A. Yes. I think those are the numbers I gave
8 you in my depo, yes.

9 Q. And -- and you've said that 10 to 12 percent
10 of women with a spondylolisthesis develop pain from
11 their spondylolisthesis before the age of 33; right?

12 A. Yes. As I mentioned yesterday, it occurs
13 very commonly during the third trimester of pregnancy.

14 Q. What literature are you relying on for that
15 opinion?

16 A. My 45 years of experience as an orthopedic
17 surgeon and what patients report to me and also the
18 fact that I did a lot of prenatal care for four years.

19 Q. We're going to come back to that opinion and
20 discuss some of the literature that you have cited in
21 this case.

22 A. Certainly.

23 Q. You've also said that, of those 10 to
24 12 percent of women who might develop pain, only 5 to
25 6 percent ultimately have surgery; right?

1 A. That's what I have in my memory base, yes.

2 Q. So 5 to 6 percent of 10 to 12 percent is
3 about a half a percent; right?

4 A. Seems to be.

5 Q. 1 in 200; right?

6 A. Yes.

7 Q. It's -- it's rare that a person with a
8 spondylolisthesis is going to need a surgery to fix
9 pain from the spondylolisthesis; right?

10 A. Well, it varies depending upon the age of the
11 patient, the things that they do, willing to make
12 modification. You printed some of the articles that I
13 mentioned looking at 45 years of follow-up,
14 conservative treatment.

15 So you're absolutely correct; a small
16 percentage require the surgery.

17 Q. But the surgery in those cases is
18 appropriate; right?

19 A. Yes. Because they usually demonstrate
20 instability after in-depth evaluations. And they've
21 failed all types of conservative treatment, including
22 weight loss, improvement in reversing deconditioning.
23 All those factors are in the articles that you printed
24 and read.

25 Q. The North American Spine Society that you

1 said you are a member of --

2 A. Yes.

3 Q. -- they publish guidelines; right?

4 A. We did in 2014.

5 Q. And their guidelines say that surgery is an
6 appropriate way to fix a symptomatic spondylolisthesis;
7 right?

8 A. Yes.

9 Q. According to the North American Spine
10 Society -- you want to call them North American Spine
11 Society or NASS? What's going to be easier for you?

12 A. What's ever comfortable for you.

13 Q. According to the North American Spine
14 Society, fusion is successful 75 percent of the time in
15 relieving symptoms from -- from a spondylolisthesis;
16 right?

17 A. Yes.

18 Q. And -- and you agree with that number; right?

19 A. I do.

20 Q. When we're talking about the success rate,
21 though, you also agree that that doesn't mean all of a
22 person's pain is alleviated; right?

23 A. Yes. Patients -- the prudent spine surgeon
24 would say to the patient, "There's no guarantee you're
25 going to be pain-free, but you're going to be improved,

1 you're going to be better," whatever that means.

2 Q. And, in fact, it's unlikely that a patient
3 would be pain-free from a spinal fusion surgery to fix
4 a spondylolisthesis; right?

5 A. Yes. But, as you remember from the articles
6 that I mentioned and that you printed out and read, the
7 purpose of the surgery is demonstration of instability.
8 And the purpose of the surgery is to prevent further
9 progression for making a symptomatic Grade I to become
10 a II, a symptomatic Grade II to become a III. That's
11 clearly identified. And that's why the patients do
12 make improvement, but they aren't always asymptomatic.

13 Q. Right. Because the improvement is some
14 improvement in pain or function.

15 But, again, you're not looking for a complete
16 resolution of pain; right?

17 A. No. The focus is on structural stability,
18 understanding they have an unstable segment that is
19 producing symptoms. Do we have an ability as surgeons
20 to stabilize that segment and prevent further
21 progression? That's the whole point of the surgery.

22 Q. So we just talked about that the likelihood
23 of needing a spondylolisthesis fixed by fusion surgery
24 is a half percent for the age of 33.

25 You have also said that the average woman who

1 doesn't have a spondylolisthesis has a 4 to 5 percent
2 chance of needing a fusion surgery prior to -- to 33;
3 right?

4 A. No. Well, depends how you look at the data.
5 The 4 to 5 percent of the individuals who have a
6 symptomatic -- in other words, you can first take and
7 say, "We've got 8 to 12 percent of the population that
8 has a spondylolisthesis," usually Grades I and II; IIIs
9 are not that common.

10 Of those that become symptomatic who didn't
11 know they had spondylolisthesis, like Ms. Garcia, 4 to
12 5 percent of those eventually end up having a
13 reconstructive stabilizing procedure. It's a small
14 percentage.

15 Q. And I'm asking you a different question.

16 A. Oh, then, I'm sorry. I misunderstood.

17 Q. And maybe I phrased it poorly.

18 I'm talking about the general population of
19 women under the age of 33 --

20 A. Yeah.

21 Q. -- whether they have a spondylolisthesis or
22 not.

23 A. Yes.

24 Q. You've told me that the rate of back surgery
25 in that population is 4 to 5 percent; right?

1 A. It may be even less than that. But remember
2 I'm seeing patients that are coming to me who have
3 symptoms or patients that are referenced in the reports
4 I read or the meetings I go to. So it's -- I keep
5 seeing a subset of patients, but I don't know -- it's a
6 small percentage under the age of 33.

7 Q. But when we look at the percentage of a half
8 percent of women that have a spondylolisthesis --

9 A. Uh-huh.

10 Q. -- and 4 to 5 percent of women in general --

11 A. Uh-huh.

12 Q. -- then it's much more -- or -- or it's no
13 more likely than, with a spondylolisthesis and absent
14 trauma, a woman under the age of 33 is going to need a
15 spine surgery; right?

16 A. I would agree. I think you have a good
17 handle on the numbers.

18 Q. Yesterday you talked about a couple of
19 postsurgical complications that Ms. Garcia had from the
20 fusion surgery.

21 A. Yes.

22 Q. You mentioned internal scarring and a
23 pseudarthrosis; right?

24 A. A pseudarthrosis and scarring.

25 Q. Both of those are potential complications

1 from a fusion surgery; right?

2 A. They are.

3 Q. They are things that a doctor might even
4 explain to a patient before the surgery as a potential
5 complication for afterwards; right?

6 A. I would hope so.

7 Q. The scarring that you're talking about, if
8 Ms. Garcia has that, that doesn't mean that Dr. Gross
9 did something wrong when he operated on her; right?

10 A. No. I wouldn't use the term "wrong," but
11 there are techniques that we employ now to avoid the
12 scarring. In other words, limiting the dissection. So
13 that doesn't make it wrong. It depends upon his
14 training and understanding. It isn't necessarily
15 wrong, but there's ways to avoid developing the
16 scarring.

17 Q. And he testified about some things that he
18 did to avoid scarring.

19 And if he did those things, she could still
20 have developed scarring; right?

21 A. Yes. One of the things that we know that
22 happens is placing foreign bodies into the bone and
23 soft tissue.

24 Q. And the other thing that you talked about,
25 the pseudarthrosis, that also doesn't mean -- well,

1 strike that.

2 If Ms. Garcia actually has the
3 pseudarthrosis, that also doesn't mean that Dr. Gross
4 did something wrong when he performed the surgery;
5 right?

6 A. In my opinion, he did do something wrong.

7 Q. You have never given the opinion that
8 Dr. Gross did something wrong that led her to have a
9 pseudarthrosis in this case; right?

10 A. Well, yesterday I did allude to that in terms
11 of failure to develop a symmetrical construct. I've
12 never put it into one of my reports. But in response
13 to the questions proposed under -- by Mr. Strassburg,
14 that came up.

15 Q. That's a brand-new opinion you brought up at
16 trial; right?

17 A. No. That happens. That can't be news to you
18 that -- depending on the questions and the evidence
19 presented.

20 Q. You have previously said under oath --

21 A. Yes.

22 Q. -- that Dr. Gross did not commit any
23 malpractice; right?

24 A. That's still my opinion. He didn't commit
25 any malpractice.

1 Q. You don't think that he committed malpractice
2 in any of his care of Ms. Garcia; right?

3 A. In my opinion, nothing that he did is
4 substandard care. There's some technical issues but
5 not substandard care.

6 Q. And you understand that there's a -- there's
7 a standard of care in -- in the community that doctors
8 are supposed to meet when they're providing care to
9 their patients, and you would agree that Dr. Gross met
10 the appropriate standard of care; right?

11 A. Yes.

12 Q. He was not extraordinarily negligent, was he?

13 A. He was not extraordinarily negligent. He was
14 not.

15 Q. And you agree that all of the other treating
16 physicians whose records you reviewed met the
17 appropriate standard of care; right?

18 A. In the techniques they employed; correct.

19 Q. You would agree that none of Ms. Garcia's
20 treating physicians committed malpractice when they
21 treated her?

22 A. I would agree.

23 Q. You would agree that none of Ms. Garcia's
24 treating physicians were extraordinarily negligent in
25 their treatment of her; right?

1 MR. MAZZEO: Objection, Your Honor. Beyond
2 the scope of direct. Not relevant to this case.

3 THE COURT: I'm going to allow it.
4 Overruled.

5 THE WITNESS: Can you read back the question,
6 please?

7 BY MR. SMITH:

8 Q. You would agree that none of Ms. Garcia's
9 treating physicians were extraordinarily negligent in
10 their care of her; right?

11 A. I would agree. I don't agree with some of
12 their assessments. But, in my opinion, none of them
13 did anything that would be substandard.

14 Q. Let's talk about another statement you made
15 yesterday.

16 MR. SMITH: And, Audra, can you put up
17 page 59, lines 12 to 17?

18 THE WITNESS: Is that going to appear up
19 here?

20 BY MR. SMITH:

21 Q. It will as long as the TV is on.

22 Do you see that on your screen?

23 A. I do.

24 Q. You were asked the question, "What did
25 Ms. Garcia tell you with respect to whether she lost

1 any time from work following this incident?"

2 And your answer was, "Other than her
3 physician visits, like the ER or when she started her
4 chiropractic care with Dr. Gulitz, she had not lost any
5 time from work."

6 That was your testimony yesterday; right?

7 A. Yes.

8 Q. And you're looking at your report right now
9 because you know that testimony is not the same as what
10 you put in your report; right?

11 A. Let's see.

12 Q. Why don't you look at page 2 of your initial
13 report.

14 A. I am.

15 Yes, the statement is incomplete because she
16 did tell me -- she said she lost no time from work
17 except for her injections but was off for four months
18 after the spinal surgery.

19 Q. And you know from your detailed review of
20 Ms. Garcia's medical records that she also took FMLA
21 leave; right?

22 A. She did.

23 Q. And you saw in your review of those records
24 that Dr. Gulitz, Dr. Lemper, and Dr. Gross all
25 submitted FMLA paperwork to her employer so that she

1 could take off time for pain as needed; right?

2 A. Yes. However, part of the testimony in her
3 depo -- and I don't remember exactly -- she was
4 terminated from that position she had. So there was a
5 period of time that she had no employment, and then she
6 got reemployed. So that may be part of why I responded
7 that way.

8 MR. SMITH: Your Honor, I move to strike as
9 that misstates the evidence and violates the Court's
10 order.

11 MR. MAZZEO: Judge, can we approach, please?

12 THE COURT: Sure.

13 (A discussion was held at the bench,
14 not reported.)

15 THE COURT: Okay. The question is going to
16 be granted. The word "termination" is going to be
17 stricken from the record. That's -- that's not
18 something you're to consider.

19 BY MR. SMITH:

20 Q. And you said a moment ago that you carefully
21 review all of the records; some of them you reviewed
22 more than once. Right?

23 A. Yes. Some are more meaty than others.

24 Q. Yesterday you mentioned a CT scan of
25 Ms. Garcia's head and an X ray of her chest at

1 MountainView.

2 Do you remember that?

3 A. Yes.

4 Q. You detailed both of those in your reports;
5 right?

6 A. Well, I didn't mention them because I never
7 was provided the CT of the head, just the report.

8 Q. You had the report from MountainView; right?

9 A. I did.

10 Q. And you talked about it in -- in your report;
11 right?

12 A. Yes, I did.

13 MR. SMITH: Audra, could you put up
14 Exhibit 8, page 18, please.

15 BY MR. SMITH:

16 Q. This is the CT scan report that you reviewed
17 very carefully; right?

18 A. Well, I just -- I read the report. I don't
19 know that I carefully -- I just read, you know, what
20 the opinion was of the radiologist.

21 Q. Did you read the patient's name?

22 A. It says "Garcia, hyphenated, Elvira Elvia."

23 Q. And the age?

24 A. 38.

25 Q. Is this a CT scan of Emilia Garcia's head?

1 A. No, it's not.

2 Q. Can you put up page 19, please.

3 Same question with this record. Is this an
4 X ray of -- of Emilia Garcia's chest?

5 A. It is not.

6 Q. And you didn't notice that these were from a
7 different patient when you did your evaluation of
8 Ms. Garcia; right?

9 A. You mean when I reviewed the records?

10 Q. Correct.

11 A. No, I didn't.

12 Q. Well, and by the time you evaluated her.

13 You didn't change your opinion because you
14 wrote a report after you evaluated her and said that
15 these were from Ms. Garcia; right?

16 A. Yes, I did.

17 Q. All right. Let's talk about another
18 discussion you had with Mr. Mazzeo yesterday about
19 changes at the L1-2, L2-3, and L3-4 level on the MRI.

20 Do you remember that discussion?

21 A. I do.

22 Q. And you remember that Mr. Mazzeo gave you the
23 reports of those MRIs instead of asking your opinion
24 about your review of the MRI scans; right?

25 A. Yes. Regarding one radiologist talking about

1 bulges and another not mentioning bulges.

2 Q. And what you're talking about is the first
3 two reports say no significant posterior bulges at
4 those levels; right?

5 A. Correct.

6 Q. And the third report shows some small bulges
7 at those levels; right?

8 A. Correct.

9 MR. MAZZEO: Objection, Your Honor. That's
10 not what the reports state.

11 THE COURT: He just agreed that it did.

12 MR. MAZZEO: I'm sorry.

13 THE COURT: He just agreed that it did, so
14 objection's overruled.

15 BY MR. SMITH:

16 Q. You would agree that the bulges mentioned in
17 the last MRI, the one from November 2012, are not
18 significant; right?

19 A. Correct.

20 Q. And you don't see any discrepancy between
21 those three MRIs with respect to L1-2, L2-3, and L3-4;
22 right?

23 A. No. And, in fact, I was provided all those
24 MRIs, and the findings at each one of those levels has
25 nothing to do with any particular aspect of this case.

1 Q. And the MRIs are the same at those levels;
2 right?

3 A. They are.

4 Q. There's -- there's nothing in those MRIs, for
5 example, that would show some intervening trauma that
6 Ms. Garcia had between August of 2011 and November of
7 2012; right?

8 A. Yes. And -- and the significance is,
9 fortunately, she never had any symptoms emanating from
10 any of those nerve roots. You're correct.

11 Q. Now, when you talk about the MRIs -- I can
12 wait for them to come in.

13 A. They're just waiting for you to cross-examine
14 them.

15 Q. I don't have any notes on them.

16 THE COURT: I don't know how long it'll take
17 them. Go ahead.

18 BY MR. SMITH:

19 Q. You don't have a precrash MRI to compare any
20 of these post crash MRIs to; right?

21 A. No.

22 Q. So of all the things that you talked about
23 yesterday and you showed on the MRI, you don't have any
24 way to verify whether those were the same on the MRI
25 before the crash versus after; right?

1 A. Correct. There was no preaccident MRIs.

2 Q. You mentioned before some of the articles
3 that you talked about in your reports; right?

4 A. Yes.

5 Q. One of those articles is an article from
6 Donelson.

7 And you would agree that that article says
8 that MRIs alone are unable to diagnose back pain in
9 85 percent of the cases; right?

10 A. Well, that's Ron Donelson's opinion. But
11 again ...

12 Q. Well, that's -- that's an article that you
13 cited; right?

14 A. It's an article I cited to provide some
15 balance and education, exactly.

16 Q. I didn't do my own research and come up with
17 that; you cited it and gave it to me. Right?

18 A. I did. And you printed it out. You read the
19 whole article.

20 Q. And you agreed with that yesterday -- excuse
21 me -- when you said that you never hang your hat on an
22 MR report; right?

23 A. Yes. That is not the singular index study in
24 attempting to make a diagnosis. You're absolutely
25 correct.

1 Q. And what you said is, instead of relying upon
2 the MR report, the most important thing is the history;
3 right?

4 A. Without a doubt, the history.

5 MR. SMITH: Audra, can you put up page 161,
6 lines 17 to 20 from yesterday?

7 BY MR. SMITH:

8 Q. All right. Well, I gave her the wrong page,
9 but what you said yesterday is that she was able to
10 function, her activities of daily living, taking care
11 of her children, going to work, with the exception
12 of -- of her visits to Dr. Gulitz and her physicians.

13 Do you remember that?

14 A. Initially, during the treatment, yes.
15 Correct.

16 Q. Again, that wasn't history she gave you, was
17 it?

18 A. Well, I didn't ask her specifically about
19 that period of time. I shared with her I had her
20 records, but I didn't -- I didn't, again, ask her each
21 one of those visits she made. No.

22 Q. But -- and you didn't say this yesterday, but
23 she specifically told you when you met with her on
24 September 24th, 2014, that, prior to the crash, she
25 used to walk up and down the stairs at work and she

1 used to walk for 30 minutes every night; right?

2 A. Yes.

3 Q. And she told you that, after the crash, she
4 couldn't do those things anymore; right?

5 A. Correct.

6 Q. She also told you that, prior to the crash,
7 she would volunteer at her children's school and, after
8 the crash, she wasn't able to do that anymore; right?

9 A. That's correct.

10 Q. She told you that, after the crash, her
11 housework is limited, especially sweeping and mopping;
12 right?

13 A. Aggravating factors. That's correct.

14 Q. She said, after the crash, even though she
15 has three kids, she's only able to do 20 percent of the
16 cooking, 30 percent of the laundry, and 15 percent of
17 the housekeeping; right?

18 A. Yes.

19 Q. Those things that I just asked you about,
20 those are activities of daily living; right?

21 A. Well, wait a second. Those are the things
22 that I asked her for the past three or four months, not
23 right after the crash, Mr. Smith. Those are her
24 limitations. When I interviewed -- when I interviewed
25 and examined her September -- September 24th of '14.

1 Because I specifically wanted to know about her
2 activities for the past three to four months. It
3 wasn't right after the crash.

4 How her current constellation of symptoms, as
5 she shared with me, that we spoke about, where it says
6 low back and right leg, under the history, how those
7 were limiting her with her responsibilities at that
8 time, not right after the crash.

9 Q. Well, you just said -- it's not what your
10 report says, is it?

11 A. It's exactly what my report says.

12 Q. Okay.

13 A. It may be not how you interpreted it, but
14 that's exactly what my report says.

15 Q. Let's turn to page 3 of your report.

16 A. Okay.

17 Q. You wrote, "She stated" --

18 A. Let me get back to the -- page 3.

19 Q. "She stated that, prior to 1/2/11" -- and you
20 agree 1/2/11 is the date of crash; right?

21 A. Right.

22 Q. "She stated that, prior to 1/2/11, during her
23 one-hour break, she would go to a stairwell that went
24 up and down five stories, and she would climb up and
25 down the stairs for 30 minutes." She has not returned

1 to that activity."

2 MR. MAZZEO: Objection, Your Honor. Asked
3 and answered. And that's not -- he's not referencing
4 the question he last asked him before looking at his
5 report.

6 THE COURT: Overruled.

7 BY MR. SMITH:

8 Q. You continue, "She also walked for 30 minutes
9 in the evening. She has not returned to that activity.
10 She did not participate in any seasonal sports. She
11 used to volunteer at her children's school. She has
12 not returned to that activity. She stated her
13 housework is limited."

14 And -- and I'm not going to read the whole
15 thing, but it gives the percentages that we just talked
16 about; right?

17 A. Yes.

18 Q. And you're telling me, when -- when your
19 report says "prior to 1/2/11," I'm reading it wrong?

20 A. You're not reading it wrong, but you're --
21 and this is an -- I could say it was an omission on my
22 part, but I very specifically said to her, as if you
23 look down under current symptoms, which is the next
24 long full sentence, which it says "Symptoms --
25 she's --symptoms" -- excuse me.

1 "Symptoms she has been having the last three
2 to four months." That -- where it says, "Ms. Garcia
3 states she does 20 percent of the cooking, 90 percent
4 of the laundry, 15 percent of the housekeeping."

5 Those were her limitations when I saw her
6 because she did all of those things at 100 percent
7 prior to the accident.

8 Q. Again, you're not correctly stating what your
9 report says, are you?

10 A. Of course I am.

11 Q. The -- the section we just read was called
12 Personal Activity Limitations; right? That's the one
13 that I read to you, and you agreed that's what it says;
14 right?

15 A. Yes.

16 Q. The next section after that, because they're
17 bolded in their headings, is Current Symptoms, where
18 you say, "Ms. Garcia was asked to describe the symptoms
19 she's been having for the last three months -- three to
20 four months and those anatomic areas she relates to the
21 subject accident."

22 And then, following that, you discuss her low
23 back, her right leg, and those are the areas -- the
24 anatomic areas that she was having pain in following
25 the accident; right?

1 A. That's correct.

2 Q. Okay. Let's talk about motor vehicle
3 collisions in general.

4 A. All right.

5 Q. You agree that it's possible to injure the
6 spine in a motor vehicle crash; right?

7 A. Yes.

8 Q. You agree it's possible to injure the lumbar
9 spine in a motor vehicle accident crash without also
10 fracturing a vertebra; right?

11 A. Correct.

12 Q. You agree a person can injure a disk in their
13 spine -- in their lumbar spine in a motor vehicle
14 accident; right?

15 A. I agree.

16 Q. You agree that a person can be in a motor
17 vehicle accident with small visible damage to the
18 vehicles and still injure their lumbar spine; right?

19 A. Yes.

20 Q. And, in fact, when I asked you at your
21 deposition what might make it more likely for a person
22 to injure their lumbar spine in a motor vehicle
23 accident that might have small damage, your answer was,
24 "A spondylolisthesis like Ms. Garcia had"; right?

25 A. Yes. I think I used the term "a preanatomic

1 disposition."

2 Q. Well, you specifically referenced Ms. Garcia
3 and her spondylolisthesis; right?

4 A. Yes. She had a condition which could have
5 been aggravated by a motor vehicle accident.

6 Q. And would have made it more likely that she
7 would be injured in a motor vehicle accident; right?

8 A. Yes. The possibility exists.

9 Q. And -- and you also agree that it's more
10 likely for a person to be injured in a motor vehicle
11 collision if their vehicle spins; right?

12 MR. MAZZEO: Objection, Your Honor.
13 Speculation. Foundation. Beyond the scope of direct.

14 THE COURT: I think it is.

15 MR. SMITH: I'll move on.

16 THE COURT: I will sustain that.

17 BY MR. SMITH:

18 Q. Let's move to another general topic. Let's
19 talk about pain management in general.

20 A. Sure.

21 Q. You agree that selective nerve root blocks
22 are an appropriate way to treat structural injuries to
23 the lumbar spine; right?

24 MR. MAZZEO: Vague.

25 THE WITNESS: Yeah --

1 THE COURT: Overruled.

2 THE WITNESS: I'm not sure I understand the
3 question when you say "structural injury." There --
4 there's a specific reason for selective nerve root
5 blocks, but part of that -- go ahead.

6 BY MR. SMITH:

7 Q. You agree that selective nerve root blocks
8 are an appropriate type of treatment for certain kinds
9 of spine pain; right?

10 A. Yes. An appropriate diagnostic tool. You're
11 absolutely correct.

12 Q. And they are not an appropriate treatment for
13 sprain/strain injury; right?

14 A. They are not.

15 Q. There's another procedure that you talked
16 about yesterday called a spinal cord stimulator; right?

17 A. Briefly. I was asked was it my opinion or do
18 I hold the opinion that a spinal cord stimulator is
19 going to resolve Ms. Garcia's current constellation of
20 symptoms. And I opined that it was not.

21 Q. You never implanted a spinal cord stimulator;
22 right?

23 A. I have not.

24 Q. And that's something that's done by a
25 surgeon; right?

1 A. Some interventionalists are trained, but
2 mostly by surgeons.

3 Q. You know that the current technologies for
4 spinal cord stimulators is about seven years old, and
5 that's well after you stopped doing any surgeries on
6 anyone's spine; right?

7 A. That's correct.

8 Q. Now, you've seen the records where Ms. Garcia
9 had a trial spinal cord stimulator; right?

10 A. Yes.

11 Q. Now, the concept of the trial spinal cord
12 stimulator is to implant the leads without doing the
13 surgery to determine if the person might get benefit
14 from the permanent stimulator; right?

15 A. Yes.

16 Q. It's -- it's a really beneficial shortcut
17 where you can tell if it's going to work without
18 actually undergoing the surgery; right?

19 A. It gives you a bit of a sense of direction.
20 It -- it has some limitations, but it's a start.

21 Q. It -- and you would agree, regardless of what
22 limitations there are, that Ms. Garcia got relief from
23 the spinal cord stimulator; right?

24 A. In my experience, almost 90 percent of
25 individuals who have the trial get some relief.

1 Correct.

2 Q. That includes Ms. Garcia; right?

3 A. She did. She had some relief.

4 Q. Now, you said yesterday that you've been on
5 the evidence-based medicine committee of the North
6 American Spine Society since 2012; right?

7 A. I think it's around -- yeah, I think 2012.
8 Sometime -- let's see. I joined in '09. It may be
9 when I was asked to join. Sometime around there. Last
10 couple of years.

11 Q. Your period on the evidence-based medicine
12 committee ended in 2014, didn't it?

13 A. It did. And I -- I was asked just last year
14 did I want to, you know, rejoin.

15 Q. You're not still on the evidence-based
16 medicine committee?

17 A. Not right now.

18 Q. You said yesterday that you're still on the
19 evidence-based medicine committee. That wasn't right;
20 correct?

21 A. If I gave you that opinion, that's wrong. I
22 was a member.

23 Q. You're a member of the North American Spine
24 Society?

25 A. I continue, yes.

1 Q. And one of the things that you like about the
2 North American Spine Society is that they let a lot of
3 different people become members, not just spine
4 surgeons; right?

5 A. That's correct.

6 Q. Chiropractors, rehab specialists, physical
7 therapists, acupuncturists, primary care physicians.
8 Everyone who wants to be on -- a member of NASS can be
9 a member of NASS; right?

10 A. They let them come to the meeting. They
11 don't let all of them become members.

12 Q. Well, some of those can become members.
13 Chiropractors can become members; right?

14 A. Oh, yeah. Sure.

15 Q. Other physicians and specialties other than
16 orthopedic surgery can become members; right?

17 A. When you make application, the -- the series
18 of question is to what percentage of your practice is
19 devoted to spine. That's probably one of the biggest
20 issues. Yes.

21 Q. One of the things that you said yesterday is
22 that 95 percent of spine care is done by nonsurgeons;
23 right?

24 A. In the United States, yes.

25 Q. And -- and the list that I was just giving

1 you is a list that you gave yesterday about the -- the
2 spine care by nonsurgeons?

3 A. Correct.

4 Q. Chiropractors, rehab specialists, physical
5 therapists, acupuncturists, primary care physicians,
6 and Pilates instructors. That's what you said
7 yesterday; right?

8 A. Yes.

9 Q. You aren't trying to say that an
10 acupuncturist or a Pilates instructor is as qualified
11 as a spine surgeon to diagnose and treat Ms. Garcia's
12 spinal pain, are you?

13 A. No. I'm just sharing with you where some
14 patients go when they have low back pain, which comes
15 under the heading of spinal care.

16 MR. SMITH: I don't know what time you want
17 to break. I can keep going or stop.

18 THE COURT: It's probably a good time to stop
19 if you're at good spot.

20 MR. SMITH: Okay.

21 THE COURT: Let's go ahead and take our lunch
22 break, folks. We're on break.

23 You're instructed not to talk with each other
24 or with anyone else about any subject or issue
25 connected with this trial. You are not to read, watch,

1 or listen to any report of or commentary on the trial
2 by any person connected with this case or by any medium
3 of information, including, without limitation,
4 newspapers, television, the Internet, or radio.

5 You are not to conduct any research on your
6 own, which means you cannot talk with others, Tweet
7 others, text others, Google issues, or conduct any
8 other kind of book or computer research with regard to
9 any issue, party, witness, or attorney involved in this
10 case.

11 You're not to form or express any opinion on
12 any subject connected with this trial until the case is
13 finally submitted to you.

14 Let's go till 1:15. We all stand when the
15 jury comes in and out.

16 (The following proceedings were held
17 outside the presence of the jury.)

18 THE COURT: We're outside the presence of the
19 jury. Anything we need to put on the record, Counsel?

20 MR. ROBERTS: No, Your Honor.

21 MR. MAZZEO: We don't have to put it on,
22 there's just an issue that we have to discuss regarding
23 the -- Ms. Garcia's employment record. We don't have
24 to do that now.

25 THE COURT: Why don't you come back at five

1 after 1:00.

2 MR. MAZZEO: Okay.

3 THE COURT: That will give us ten minutes
4 before the jury comes back.

5 MR. MAZZEO: Or tomorrow or this evening.

6 THE COURT: Okay. That's fine.

7 MR. MAZZEO: Whatever you want.

8 THE COURT: If it's not urgent --

9 MR. MAZZEO: It's not urgent.

10 THE COURT: We'll do it later, then.

11 MR. MAZZEO: Okay.

12 THE COURT: We'll see you back at 1:15. Off
13 the record.

14 (Whereupon a lunch recess was taken.)

15 THE COURT: Remain seated. Come to order.

16 (Discussion was held off the record.)

17 THE MARSHAL: Jury entering.

18 (The following proceedings were held in
19 the presence of the jury.)

20 THE MARSHAL: Jury is present, Judge.

21 THE COURT: Thank you, Tom.

22 Go ahead and be seated. Back on the record,
23 Case No. A637772. Do the parties stipulate to the
24 presence of the jury?

25 MR. SMITH: Yes, Your Honor.

1 MR. MAZZEO: Yes, Your Honor.

2 MR. STRASSBURG: Yes.

3 THE COURT: I don't want to make a real big
4 deal about it, but I told you 1:15, and it's 1:15. It
5 may be the first time during the trial, and we are in
6 week four, but we try.

7 All right. Doctor, just be reminded you're
8 still under oath.

9 THE WITNESS: Thank you, Your Honor.

10 THE COURT: Go ahead, Mr. Smith.

11 BY MR. SMITH:

12 Q. I want to start this afternoon talking about
13 evidence-based medicine, which is a term that you've
14 used a bunch of times as you sat on the stand yesterday
15 and today; right?

16 A. Yes.

17 Q. And -- and I want to get a definition of
18 evidence-based medicine that we can work with. So
19 evidence-based medicine, as you are describing it,
20 means relying upon peer-reviewed literature in addition
21 to just clinical experience; right?

22 A. Yes. A combination of the two.

23 Q. It is the idea that a doctor should have some
24 studies and some literature to back up the decisions
25 he's making instead of just relying upon his experience

1 as a physician; right?

2 A. Yes. The -- the precursor of what you --
3 just what you said, is consensus medicine versus data.
4 Correct.

5 Q. And by consensus you mean relying upon
6 articles and studies as opposed to just the individual
7 doctor's personal beliefs; right?

8 A. Yes. Or a group of physicians sitting around
9 and saying, well, we think this is the best way to do
10 it. You know, good old boys. Let's get some data and
11 more scientific approach. Correct.

12 Q. The good old boys is not evidence-based
13 medicine; right?

14 A. It is not.

15 Q. So I want to talk about some of the
16 literature that you cite in your report or told us in
17 your deposition that you relied upon for your opinions
18 here. Okay?

19 A. Correct.

20 Q. And literature is important to you; right?

21 A. It is.

22 Q. So you told me earlier today that the
23 incidence of spondylolisthesis is 8 to 12 percent;
24 right?

25 A. It's the number that I remember, yeah.

1 Q. And you -- you admit that the NASS number is
2 5 percent; right?

3 A. Yes.

4 Q. You do admit that the vast majority of those
5 people, as we discussed earlier, are pain-free; right?

6 A. Yes. The NASS number references a percentage
7 of individuals, population, all age groups, males and
8 females, who don't know they have it. Correct.

9 Q. And what NASS talks about is most people are
10 pain-free, but other people develop back or leg pain
11 that might require surgery; right?

12 A. Correct.

13 Q. Now, during this case, you provided us with
14 this booklet, which is the *North American Spine Society*
15 *Evidence-Based Clinical Guidelines for*
16 *Multidisciplinary Spine Care, Diagnosis, and Treatment*
17 *of Degenerative Lumbar Spondylolisthesis*; right?

18 A. Correct.

19 Q. You gave that to me; you gave counsel for the
20 defendants a copy. Right?

21 A. At the time of my deposition.

22 Q. And you've said that you're relying upon some
23 of the guidelines that are -- are in this booklet for
24 your opinions; right?

25 A. Yes. Not the ones that -- as you know,

1 you've reviewed it -- where it says "I," insufficient
2 evidence. And then the comments made next to the
3 question that's posed. Yes.

4 Q. Well, let's talk about that.

5 The distinction that you're making is that
6 all of these guidelines are not necessarily based on
7 really good peer-reviewed literature; right?

8 A. Correct.

9 Q. And what NASS does is they give a scale, 1 to
10 5, on how good their recommendation is; right?

11 A. Yes.

12 Q. And the scale of -- the number 1. So if --
13 if we get a Level 1, that means rock-solid,
14 peer-reviewed literature that we can all follow; right?

15 A. Yes.

16 Q. And -- and once we get to Level 2, we're
17 beyond that, and it's generally inconclusive; right?

18 A. Level 2 is a difficult area because so much
19 stuff falls into 2. There's a crossover. But what you
20 have just said is accurate.

21 Q. Because NASS defines Level 2 as fair evidence
22 for and against recommending intervention; right?

23 A. Yes. They obviously couch their term by
24 throwing in the word "fair."

25 Q. And one of the things you have also told me

1 is that you contributed to -- well, strike that. I
2 think I said that one.

3 One of the things that you told me is that
4 somebody else wrote the diagnosis and imaging section,
5 but you reviewed it before the final product came out;
6 right?

7 A. The -- they send me all these emails and they
8 ask you to keep commenting. And the last time it came
9 out, I threw in a couple of comments about terminology,
10 of what -- interpreting. I don't know if they ever
11 took them into -- took my comments to heart, but yes.

12 Q. And that was on the diagnosis and imaging
13 section; right?

14 A. Yes.

15 Q. And you would agree that this document lists
16 the individuals on the NASS evidence-based clinical
17 guidelines committee; right?

18 A. Oh, yes.

19 Q. And it lists 19 individuals, and your name is
20 not on that list; right?

21 A. It would never be on that list.

22 Q. At your deposition, you told me that you
23 weren't on that list because there's at least 50 people
24 involved around the country that do what you do; right?

25 A. Oh. I think there's more than that. It's

1 not just the U.S.; it's international. There's NASS
2 members -- the -- the ones that are in the front -- and
3 I can get my copy out if you want -- but those are
4 the -- they call them -- there's a chair, then there's
5 subsection guides. And then, if you go to the next
6 page, it lists even more. Those of us out in the
7 trenches, we don't -- we don't get recognized. You go
8 to the *Journal of Bone & Joint Surgery*, you wouldn't
9 see my name anyplace. But I review lots of articles.

10 Q. You've never given us any documentary proof
11 or anything showing that you were actually on the
12 evidence-based medicine committee of NASS; right?

13 A. No, I don't. I don't even think I -- I may
14 have something at my office where I was invited to
15 join, but I don't have a document.

16 MR. MAZZEO: Objection to this line of
17 questioning, Judge. Relevance.

18 THE COURT: Overruled.

19 BY MR. SMITH:

20 Q. Now, these are just guidelines; right?

21 A. Yes.

22 Q. It's not a standard of care?

23 A. No. NASS avoids using the word "standard of
24 care."

25 Q. Well, actually they do use the word "standard

1 of care" in here, right, when they explain that this is
2 not a standard of care?

3 A. When they explain it's not, yes.

4 Q. And that's actually right at the beginning.
5 It specifically says, "This guideline does not
6 represent a standard of care, nor is it intended as a
7 fixed treatment protocol"; right?

8 A. That's correct.

9 Q. And what they're saying is something that you
10 said earlier today, which is doctors can disagree on
11 things and they might both be right?

12 A. That's correct.

13 Q. NASS also says that -- in the introduction
14 here that it's anticipated that there will be patients
15 who will require less or more treatment than average;
16 right?

17 A. Yes.

18 Q. Treatment should be based on the individual
19 patient's need and the doctor's professional judgment;
20 right?

21 A. Yes.

22 Q. You agree with those statements?

23 A. I do.

24 Q. This guideline is not intended to expand or
25 restrict the healthcare provider's scope of practice or

1 to supersede applicable ethical standards or provisions
2 of law; right?

3 A. Correct.

4 Q. Now, these guidelines do talk about some of
5 the issues that we've talked about in this trial;
6 right?

7 A. Yes, they do.

8 Q. For example, this is where you get the idea
9 that surgery's an appropriate treatment for
10 spondylolisthesis; right?

11 A. Say it again. Repeat. "Is an appropriate"?

12 Q. Correct.

13 A. Yes.

14 Q. These guidelines say that surgery is an
15 appropriate treatment to fix a spondylolisthesis;
16 right?

17 A. They do.

18 Q. The guidelines also say that surgery is
19 appropriate to fix a spondylolisthesis if symptoms do
20 not improve after six months of conservative care;
21 right?

22 A. Yes, it does say that.

23 Q. Now, one of your primary opinions in your
24 reports is that Ms. Garcia's pain, once she was done
25 with conservative care on September 1st, 2011, was from

1 being overweight and deconditioned; right?

2 A. That is one of my opinions; correct.

3 Q. That's one of the primary opinions in your
4 reports; right?

5 A. It is.

6 Q. And to give you an example, you spent a lot
7 of time yesterday talking about nerve roots and nerve
8 root compression.

9 But in your reports you only mention nerve
10 root compression twice, but you mention Ms. Garcia
11 being overweight and deconditioned 60 times; right?

12 A. I didn't count them. 60? 6-0? I don't
13 think in my reports. Maybe combined between my
14 deposition and my report.

15 Q. Well, I'm not going to make you go back and
16 read it today.

17 But you would agree that you mention it --
18 you mention her being overweight and deconditioned
19 significantly more than you mention nerve root
20 compression; right?

21 A. That's correct.

22 Q. And you actually, in giving your opinions on
23 Ms. Garcia being overweight, you went so far as to tell
24 the jury and anybody that might read your reports that
25 Ms. Garcia is so embarrassed about her weight that she

1 wears Spanx?

2 A. Well, I don't know if it says "Spanx," but it
3 was an abdominal binder-type garment. That's my term.

4 Q. Now, since you discussed her being overweight
5 and deconditioned so much in your reports and you only
6 discussed nerve root compression twice, the opinions
7 that you've given at trial have a much different focus
8 and scope than what you provided in your reports;
9 right?

10 MR. MAZZEO: Objection, Your Honor.
11 Misstates the testimony of Dr. Klein and the scope of
12 his report.

13 THE COURT: Overruled.

14 THE WITNESS: I disagree, Mr. Smith. I think
15 the reason I don't mention nerve root compression is
16 there isn't any on any of the diagnostic studies I
17 reviewed and the lack of symptoms of nerve root
18 compression. That's why I didn't focus on it or use it
19 as a repetitive theme.

20 BY MR. SMITH:

21 Q. Now, when we took your deposition, I asked
22 you for studies that you're relying upon to show that
23 Ms. Garcia's continuing pain comes from her being
24 overweight and deconditioned.

25 Do you remember that?

1 A. Yes.

2 Q. And you couldn't identify any studies to
3 support that theory; right?

4 A. That's right. I can't think of a single
5 study. It's personal observation and years of
6 experience.

7 Q. And personal observation and years of
8 experience is the anthesis of evidence-based medicine;
9 right?

10 A. It can be, yes. You're absolutely correct.

11 Q. Now, the -- the guidelines that you gave me
12 actually say that her weight and her conditioning are
13 not affecting her pain; right?

14 A. I don't know where it says that in the
15 guidelines. That -- that -- I think it's a
16 consideration, but there's -- I think it also shows at
17 "I" that there's insufficient evidence because there
18 aren't articles and literature to support that.

19 Patients who are the proper weight, habitus,
20 of good strength -- abdominal, paraspinous -- are also
21 symptomatic of spondylodesis.

22 Q. And -- and your insufficient evidence
23 argument or statement is fair.

24 What the guidelines say -- what you told me
25 before is that there's insufficient evidence to make a

1 recommendation regarding the influence of obesity, BMI
2 greater than 30, and its impact on the treatment
3 outcomes in patients with degenerative lumbar
4 spondylolisthesis; right?

5 A. You're right. That is correct.

6 Q. That's how you remember it?

7 A. Yes.

8 Q. So your comments about her weight affecting
9 her continuing treatment and her outcome are not based
10 upon the evidence because there's insufficient
11 evidence; right?

12 A. That was the opinion of NASS. It wouldn't be
13 correct if you were focusing on quality care for a
14 person who has a BMI of 35 or 40 but has instability,
15 radiculopathy, and is symptomatic of an unstable
16 spondylolisthesis.

17 That patient does need a fusion. That's why
18 they couch their terminology.

19 Q. In order to -- to create these NASS
20 guidelines, they reviewed all the literature that they
21 could find on these topics; right?

22 A. They did.

23 Q. So if -- if literature existed to support
24 your opinions, it would be in here; right?

25 MR. MAZZEO: Objection, Your Honor.

1 Speculation.

2 THE COURT: Let him testify what his
3 understanding is.

4 THE WITNESS: Yes. I think in their
5 approach, to be as accurate as possible, to come up --
6 and I think the focus is it's a guideline. It's not
7 something chiseled in stone. It's not a rule. They go
8 overboard to say it's not a standard; it's a guideline
9 to follow.

10 BY MR. SMITH:

11 Q. And let's talk about another guideline that's
12 in here.

13 Another one of these guidelines say that
14 obese patients have a significantly greater benefit
15 from surgical treatment over nonsurgical treatment
16 versus nonobese patients; right?

17 A. Yes.

18 Q. Okay. So if Ms. Garcia is overweight, as
19 you're claiming, she's more likely to have a better
20 outcome from having surgery over nonsurgical treatment;
21 right?

22 A. Yes. That's an opinion in the guideline.

23 Q. Another one of the opinions that you gave
24 yesterday was that Ms. Garcia should have had
25 flexion-extension X rays to determine if she has

1 instability; right?

2 A. It's one way to demonstrate instability.

3 Q. Another one of these guidelines is that there
4 is insufficient evidence to show that flexion-extension
5 X rays demonstrate instability; right?

6 A. Yes, as a single diagnostic study. Yes,
7 you're correct.

8 Q. And, in fact, one of the things that -- that
9 you said before in your deposition is that
10 Ms. Garcia's -- given Ms. Garcia's weight,
11 flexion-extension X rays would probably be
12 inconclusive; right?

13 A. I did say that.

14 Q. You still believe that today; right?

15 A. Yes.

16 Q. Now, yesterday counsel asked you about why
17 you put certain literature in your report.

18 Do you remember that?

19 A. Yes.

20 Q. And what you said was that you cited to this
21 literature to give your report some balance; right?

22 A. That was my objective.

23 Q. Okay. Now, the reason that you gave that
24 testimony yesterday is because you made statements
25 about the literature in your reports.

1 And when I took your deposition, I pointed
2 out that your description of the literature was wrong;
3 right?

4 MR. MAZZEO: Objection, Your Honor.
5 Misstates the testimony.

6 THE COURT: He can say if it does.
7 Overruled.

8 THE WITNESS: You -- you interpreted my
9 report as it being wrong. We had a lengthy discussion
10 about how you interpreted. And you then came, "Well,
11 didn't Moller say this? Didn't Ekman say this?" And
12 then we had a, I thought, a nice intellectual
13 discussion. I didn't think I was wrong. Maybe it's
14 interpretive.

15 BY MR. SMITH:

16 Q. Well, Moller and Ekman, those are two
17 different studies; right?

18 A. Yes. Remember, either Ekman or Moller,
19 they're -- coauthored one of them. But Moller is the
20 author just by himself in one.

21 Q. When you say "Moller and Ekman," you're
22 talking about a Moller study and then a five-year
23 follow-up to that study with Ekman; right?

24 A. Yes.

25 Q. Okay. And what you said about those studies

1 in your report is actually the opposite of what the
2 studies say; right?

3 A. No. Why would I misquote a study?

4 Q. Well, let's -- let's read what you said, and
5 let's read what the studies say.

6 A. Okay. And tell me the page you're going to.

7 Q. Page 14 of your initial report.

8 A. Okay.

9 Q. And I'll give you a minute to -- to get
10 there.

11 A. I have page 14.

12 Q. Okay. Now, what you say in your report is
13 that "The excellent articles published by Dr. Moller
14 and Dr. Ekman clearly identify there is insufficient
15 evidence to recommend for or against surgical treatment
16 as compared to conservative treatment for the
17 management of adult patients with --

18 THE REPORTER: With what?

19 MR. SMITH: Isthmic, i-s-t-h-m-i-c,
20 spondylolisthesis.

21 And I guarantee I'm not saying it right
22 either.

23 BY MR. SMITH:

24 Q. That's what you wrote in your report; right?

25 A. I did.

1 Q. That's actually the opposite of what you just
2 told me a moment ago, that there's good evidence to
3 recommend surgical treatment over conservative
4 treatment; right?

5 A. No. It's not the opposite. I answered my --
6 the first question when you asked me about what did
7 NASS say.

8 There is good evidence that NASS agree -- the
9 guidelines suggest that there is good evidence even in
10 obese patients. Maybe it's semantics or
11 misinterpretation, but I have read both those articles,
12 especially Moller's about surgery versus conservative
13 treatment.

14 But go ahead. Obviously we got some
15 disagreement here.

16 Q. Well, we'll get more specific.

17 MR. SMITH: Can you put up page 103, lines 20
18 and 21, from yesterday's testimony, please.

19 BY MR. SMITH:

20 Q. Now, yesterday, consistent with what you
21 wrote in your report, you said that the article shows
22 some patients respond very nicely just to conservative
23 treatment; right?

24 A. Correct.

25 Q. And, again, in your report you're saying that

1 there's insufficient evidence to demonstrate that
2 surgical treatment would be better than conservative
3 treatment; right?

4 A. Yes. That's what the report says, that
5 there's insufficient evidence that one treatment is
6 better than the other.

7 Q. Okay. Now, the conclusion in the Moller
8 article --

9 A. Uh-huh.

10 Q. -- is that surgical management of adult
11 isthmia spondylolisthesis improves function and
12 relieves pain more efficiently than an exercise
13 program; right?

14 A. It does.

15 Q. That study isn't saying there's insufficient
16 evidence; it's saying that the evidence shows that
17 surgical treatment is better than conservative
18 treatment. Right?

19 A. It does initially, yes. And I agree.

20 Q. But it not only does initially. The
21 conclusion at the end, they say that surgery was found
22 to decrease pain and disability while exercise only
23 slightly decreased pain and did not decrease
24 disability; right?

25 A. Yes.

1 Q. Okay. So patients don't respond nicely to
2 conservative treatment; they respond nicely to surgery?

3 A. In that article.

4 But that's -- but I've testified that other
5 patients -- in this trial, I've testified -- do very
6 nicely with conservative treatment.

7 Q. And I understand you might be talking about
8 other patients, but what you said in your report is
9 that the Moller article says something different than
10 what it actually says.

11 A. If you're accusing me of misquoting the
12 article, then I think it's a matter of my understanding
13 and experience.

14 But why would I purposefully misquote an
15 article? What purpose would that even serve?

16 Q. Let's talk about the Ekman study.

17 A. Okay.

18 Q. The Ekman study is a five-year follow-up to
19 the Moller study; right?

20 A. Yes.

21 Q. And, again, with the Ekman study, you are
22 saying that it clearly identifies there is insufficient
23 evidence to recommend for or against surgical treatment
24 as compared to conservative treatment; right?

25 A. Yes.

1 Q. The Ekman study actually says that the global
2 outcome for patients is significantly better with
3 surgery versus conservative care and that, in the
4 surgical group, 76 percent of patients classified their
5 result as much better or better compared to only
6 50 percent in the conservative group; right?

7 A. That's correct.

8 Q. Now, are you going to tell me again that
9 that's not different from what you said the article
10 said?

11 A. No. I'm not going to take issue with that.
12 I think it's your understanding of what I said or the
13 way I said it at the time of deposition.

14 Q. And, in fact, the Ekman article even shows
15 with the patients that are in there that surgery is an
16 appropriate and often inevitable treatment; right?

17 A. Yes.

18 Q. And that's because the Ekman article talks
19 about 111 people. And out of those 111 people, they
20 had 34 people that got conservative care; right?

21 A. Yes.

22 Q. The rest of them that they were studying had
23 had the surgery when they were looking at the results;
24 right?

25 A. Correct.

1 Q. And when they did the five-year follow-up, of
2 the 34 who had conservative care, 9 ultimately had to
3 have surgery just in that five-year period; right?

4 A. Yeah, the crossover group. And also remember
5 that I gave you another article that you took the time
6 to print out and Beutler's article about a 45-year
7 follow-up.

8 Q. And that article has the same conclusions;
9 right?

10 A. It does.

11 Q. Now, let's go back to some of your other
12 articles.

13 You also say in -- in a different report that
14 you wrote, in your -- in your second report --

15 A. Tell me the page, please.

16 Q. I didn't look at the page, but I can find it
17 for you.

18 A. Okay.

19 Q. I think it's on page 2. It's on page 2.

20 A. The date of the report?

21 Q. November 5, 2014.

22 A. Okay. Page 2?

23 Q. Yes.

24 A. Okay.

25 Q. You say, when talking about Ms. Garcia,

1 "Instead of recommending weight reduction and exercise,
2 which works very nicely for spondylolisthesis, she was
3 immediately subjected to interventional treatment and a
4 major spinal reconstructive surgery by Dr. Gross. The
5 excellent article by Dr. Spratt in the journal *Spine*
6 and the article by Dr. Donelson in the journal
7 *SpineLine* clearly identifies that these patients
8 respond very nicely to a technique called mechanical
9 diagnosis and treatment using the McKenzie exercise
10 program. The program focuses on sagittal stabilization
11 and realignment. This was never offered or suggested
12 to Ms. Garcia."

13 Did I read that right?

14 A. You did.

15 Q. Now, first off, you admit that Ms. Garcia had
16 conservative treatment; right?

17 A. Initially she did, yes.

18 Q. And she didn't rush into surgery; she waited
19 almost two years. Right?

20 A. Correct.

21 Q. Now, the first article here, the Donelson
22 article, it's not even talking about spondylolisthesis;
23 right?

24 A. No. It just talks about low back pain.

25 Q. It doesn't talk about spondylolisthesis or

1 trauma; right?

2 A. That's correct.

3 Q. Now, the other article, the Spratt article,
4 that one doesn't reference the McKenzie program you're
5 talking about, does it?

6 A. No, it doesn't.

7 Q. So when you say that that article says
8 patients respond very nicely to a technique called
9 mechanical diagnosis and treatment using the McKenzie
10 exercise program, that's not even mentioned in there;
11 right?

12 A. I didn't ascribe that to Dr. Spratt's
13 article. I particularly said there's two articles.
14 There's Spratt's article talking about flexion and
15 extension treatment with bracing for back pain and
16 Donelson's article, which is just talking about
17 mechanical diagnosis and treatment with directional
18 preference. Just two different disciplines. They're
19 not one and the same.

20 Q. Your sentence says that they both talk about
21 treatment of spondylolisthesis with the McKenzie
22 program.

23 One of them doesn't even talk about
24 spondylolisthesis, and the other article doesn't even
25 talk about the McKenzie program; right?

1 A. Well, if you read the articles, you -- you're
2 using semantics. You're making -- you're confused.
3 They're two separate articles dealing with conservative
4 treatment.

5 Spratt thinks that, if you have these people
6 flex and extend, whether -- just because they have back
7 pain, try bracing. Donelson talks about an entirely
8 different discipline of mechanical diagnosis and
9 treatment with directional preference, not talking
10 about trauma, not talking about spondylolisthesis.

11 Two separate articles and two different ideas
12 of thought.

13 Q. So Donelson's not talking about what
14 Ms. Garcia has. And the --

15 A. No, he's not.

16 Q. And the Spratt article -- let's talk about
17 what the Spratt article says.

18 That article is a study of 56 patients over a
19 one-month period to see if they improved slightly with
20 a back brace; right?

21 A. Read the entire article if you're -- you're
22 going to hold my feet to the fire.

23 For low back pain patients with
24 retrodisplacement spondylolisthesis or normal sagittal
25 translation, a small number of patients -- this goes

1 back to 1993. His thoughts -- one guy's thoughts about
2 his experience. That's all it is.

3 Q. It's a small number of patients in a
4 one-month follow-up; right?

5 A. It is.

6 Q. So there's nothing long term beyond one
7 month, and we don't know how any of these patients did
8 past one month; right?

9 A. It's not -- it's -- I thought it was a good
10 article. It -- it doesn't even qualify as evidence,
11 number one, because it's too small an outcome; there's
12 no two-year follow-up.

13 Q. It's not reliable?

14 A. No. You can rely on it. If you say it's not
15 evidence, one, you're absolutely correct. Less than
16 200 people, less than two years' follow-up.

17 It's just information. It's not -- it's just
18 like what you have in front of you. It's a guideline.
19 It's a thought. "Think about this. This is my
20 experience." It's sharing. That's all it is.

21 Q. So if we can rely upon that article, we can
22 also rely upon the article's statement that fusion is
23 seen by many as the obvious and perhaps best treatment
24 for instability because it surgically addresses the
25 inherent abnormality; right?

1 A. Absolutely. You can -- you can use that as
2 well.

3 Q. Let's also talk about this McKenzie program
4 that you're suggesting for Ms. Garcia.

5 A. Yes.

6 Q. There's three McKenzie-trained physical
7 therapists in the entire Las Vegas Valley?

8 A. Is that all?

9 I don't know. You obviously looked up the
10 data.

11 Q. Well, McKenzie's a company; right?

12 A. No. No. Ian [sic] McKenzie was a physical
13 therapist in New Zealand. I think he's now deceased.
14 And then -- his training programs have been taken to
15 others. So some get trained. And some are called
16 diplomats, those that do the training.

17 Q. And they have a certification, and you can
18 look up who's certified; right?

19 A. Yes. Yes.

20 Q. And you are recommending a treatment for
21 Ms. Garcia that you didn't even bother to look up how
22 available it is for her to do in Las Vegas?

23 A. Why would that be my responsibility?

24 Q. It's not your responsibility, as somebody
25 who's going to recommend a treatment, to find out if

1 that's even something she can get?

2 A. No. That's not my responsibility. It's the
3 responsibility of treaters. Are you suggesting I take
4 over her treatment and send her someplace?

5 Q. I'm asking, why would you recommend a
6 treatment that you don't even know if it's available
7 for her to get in Las Vegas?

8 A. Because it's a treatment that works for
9 people with low back pain. Patients in my own
10 experience that I recommend, and there's articles and
11 literature that shows that it helps. That's why.

12 Q. Now, if we talk about the standard of care in
13 the Las Vegas Valley --

14 A. Yes.

15 Q. -- and there's three people that do the
16 McKenzie program, you would agree that that's not the
17 general standard of care in the Las Vegas Valley;
18 right?

19 MR. MAZZEO: Objection. Foundation, Judge.
20 And incomplete hypothetical.

21 THE COURT: I'm going to allow it.
22 Overruled.

23 THE WITNESS: I would agree.

24 BY MR. SMITH:

25 Q. Let's -- let's move on to your opinion about

1 the -- the timing of the onset of Ms. Garcia's pain.

2 Your testimony has been that, if Ms. Garcia
3 aggravated her spondylolisthesis so that it caused
4 pain, she would have had that pain start within four to
5 six hours after the crash; right?

6 A. Yes.

7 Q. Now, when I took your deposition, I asked
8 you, under the guise of evidence-based medicine, what
9 literature you are relying upon to offer that opinion,
10 and you did not have any; correct?

11 A. No. I have no literature to cite.

12 Q. And -- and what you're talking about is,
13 you're just relying upon your clinical experiences as
14 an orthopedic surgeon; right?

15 A. Yeah. I think it's excellent.

16 Q. And at your deposition, you actually changed
17 from 4 to 6 hours to 6 to 12 hours; right?

18 A. I think I did.

19 Q. It's not evidence-based medicine to just rely
20 upon your clinical experience; right?

21 A. It wouldn't qualify. That's correct.

22 Q. We also talked earlier about the percentage
23 of women with a spondylolisthesis. You remember that?

24 A. Yes.

25 Q. And you didn't cite me to any literature

1 regarding the percentage of women who would develop
2 pain before they're 33; right?

3 A. I did not.

4 Q. And I asked you that, and you didn't have any
5 literature; right?

6 A. I couldn't come -- I couldn't come up with
7 anything to mind. That's correct.

8 Q. Now, you would agree that Ms. Garcia was
9 overweight before the crash; right?

10 A. I would.

11 Q. You also have never seen any evidence that
12 she had back pain before the crash; right?

13 A. That's correct.

14 Q. And, as you said, you believe that she's
15 telling the truth when she talks about her history;
16 right?

17 A. Yes.

18 Q. It's still your opinion, as you sit here
19 today --

20 A. Yes.

21 Q. -- that her post crash pain is from the same
22 condition as -- of being overweight that she had prior
23 to the crash?

24 A. No. Not just being overweight. That's not
25 fair. That's not fair to Ms. Garcia. She developed a

1 significant lumbar myofascial sprain/strain. She
2 became symptomatic. She had trouble standing in the
3 cashier's cage. It increased to the point that, on the
4 5th, she became concerned and went to an emergency
5 room. So it wasn't just because she was overweight.
6 That's not fair to her.

7 Q. Well, she's had back pain ever since she went
8 to the emergency room; right?

9 A. Well, she had it before she went to the ER.
10 That's why she went to the ER.

11 Q. But at least since she was in the emergency
12 room, she's had back pain that hasn't gone away; right?

13 A. Yes, of varying degrees, yes.

14 Q. And you told the jury that her back pain, if
15 it was a sprain/strain, should have gone away within
16 six months; right?

17 A. Yes.

18 Q. And the only explanation that you've provided
19 for why her pain didn't go away after six months is
20 that she's overweight; right?

21 A. No. I actually have not been asked why I
22 think she still has back pain before or after the
23 surgery.

24 Q. Well --

25 A. No one's asked that.

1 Q. You've identified some reasons for after the
2 surgery, so we'll talk about --

3 A. Yes.

4 Q. -- the period six months after the crash --

5 A. Uh-huh.

6 Q. -- up until the surgery.

7 A. Yes.

8 Q. And I asked you this question in your
9 deposition, and you told me the reason why she
10 continued to have pain during that time period was
11 because she was overweight; right?

12 A. And deconditioned. Not just overweight. She
13 remains deconditioned.

14 Q. And that's the same overweight and
15 deconditioned status she had prior to the crash; right?

16 A. Yes.

17 Q. So is it just a coincidence that her pain
18 started three days after the crash and didn't go away?

19 A. No. There was a precipitating event, which
20 is the accident.

21 Q. In offering your opinions in this case,
22 you've never considered what the effect on Ms. Garcia
23 might be; right?

24 MR. MAZZEO: Objection. Vague.

25 THE COURT: Sustained.

1 THE WITNESS: I'm not sure what you mean.

2 MR. MAZZEO: Objection, Doctor.

3 THE WITNESS: Oh. Oh.

4 THE COURT: Sustained.

5 BY MR. SMITH:

6 Q. In offering your opinions in this case,
7 you've never considered whether the opinions that you
8 provide to the jury might have an effect on
9 Ms. Garcia's ability to obtain medical care in the
10 future; right?

11 MR. MAZZEO: Same objection.

12 THE COURT: I'm going to allow it with the
13 modification.

14 THE WITNESS: Can you give me -- I'm not sure
15 I understand "effect."

16 BY MR. SMITH:

17 Q. You know what? I'll -- I'll move on.

18 You agree, if Ms. Garcia was not in this
19 crash --

20 A. Yes.

21 Q. -- the crash on January 2nd, 2011 --

22 A. Yes.

23 Q. -- she may never have had back pain for the
24 rest of her life; right?

25 MR. MAZZEO: Speculation.

1 THE WITNESS: It's possible.

2 MR. MAZZEO: Objection. Speculation.

3 THE COURT: I'm going to allow it.

4 BY MR. SMITH:

5 Q. It's -- it's actually more likely than not
6 because, as you said, it's a very small percentage of
7 people with a spondylolisthesis that would develop back
8 pain; right?

9 A. With -- yes, with one proviso. Patients who
10 remain obese, in my experience, and deconditioned
11 eventually develop complaints of low back pain. That's
12 my experience in 45 years of orthopedic practice.

13 Q. Those patients, in your experience, more
14 likely than not, do not develop back pain sufficient to
15 require a fusion surgery; correct?

16 A. A very small percentage.

17 Q. And you also agree that, if Ms. Garcia was
18 not in the January 2nd, 2011, crash, she never would
19 have required a lumbar fusion surgery if she remained
20 asymptomatic; right?

21 A. Well, why would you operate on somebody
22 that's asymptomatic?

23 Q. That's right. If she never had pain start in
24 her lower back, you would never operate on her, even if
25 she had a spondylolisthesis; right?

1 A. Yeah. I mean, it stands by itself. You
2 can't make an asymptomatic patient feel better. Why
3 would you do an operation?

4 Q. You don't do surgery for the
5 spondylolisthesis; you do surgery to fix the symptoms.
6 Right?

7 A. Or repair instability or radicular symptoms,
8 yes. You -- you don't operate on the X ray or the MRI.
9 You're absolutely correct.

10 MR. SMITH: Pass the witness.

11 THE COURT: Mr. Mazzeo?

12 MR. MAZZEO: Yes, Your Honor. One moment,
13 please.

14 REDIRECT EXAMINATION

15 BY MR. MAZZEO:

16 Q. Dr. Klein, good afternoon.

17 A. Good afternoon.

18 Q. Dr. Klein, do you have -- in your file there
19 that you brought with you to court, do you have copies
20 of the MRI reports from January 26th of 2011 and August
21 of 2011?

22 A. Yes.

23 Q. Okay. I want you to take a look at the
24 January 26th, 2011, report.

25 A. I have it in front of me.

1 Q. Okay. And let me know what -- what did the
2 radiologist note with respect to the disks at levels
3 L1-2, L2-3, and L3-4?

4 A. On the January 26th, '11, report,
5 Dr. Kittusamy, K-i-t-t-u-s-a-m-y, said, "There are no
6 significant posterior intervertebral disk abnormalities
7 at L1-2, L2-3, and L3-4."

8 Q. And is there, anywhere on that report --

9 MR. MAZZEO: Judge, can I have the ELMO,
10 please?

11 BY MR. MAZZEO:

12 Q. And that's -- that -- actually, I'm looking
13 at Defendant's, Andrea Awerbach's, M4 exhibit. And
14 that's the same one dated January 26th.

15 So is there any indication on -- on this
16 report by the radiologist that refers to any bulging
17 disks at those same levels, L1-2, 2-3, 3-4?

18 A. No. The word "bulge" is used twice regarding
19 L4-5 and again in L5-S1.

20 Q. Okay. And -- but what about with respect to
21 your -- you also have the August 2011 MRI report?

22 A. I do.

23 Q. And does the radiologist indicate the
24 presence of any bulges at those levels -- same levels
25 L1-2, 2-3, 3-4?

1 A. Dr. Orrison, O-r -- O-r-i -- O-r-r-i-s-o-n,
2 said, "L1-2, L2-3, and L3-4: No significant
3 abnormalities noted."

4 Q. Okay. And that -- would that indicate to you
5 that there's no presence of -- or that there's a lack
6 of bulging disks at those levels?

7 A. Yes.

8 Q. Okay. And then if you look at the
9 November 2012 MRI report, which you have as well?

10 A. I do.

11 Q. And that's -- this is also in evidence. This
12 is Andrea Awerbach's M9 Exhibit.

13 And what does the radiologist note with
14 respect to the disk levels at L1-2, 2-3, and 3-4?

15 A. Dr. Hake, H-a-k-e, "The L1-2 disk
16 demonstrates a 2.5-millimeter posterior bulge. Thecal
17 sac measures 1.71 centimeters. The L2-3 disk
18 demonstrates a 2.3-millimeter posterior bulge. Thecal
19 sac measures 1.64 centimeters. Bilateral facet
20 arthropathy.

21 "The L3-4 disk is dessicated and demonstrates
22 a 3.1-millimeter posterior bulge. Thecal sac measures
23 1.71 centimeters. Bilateral facet arthropathy."

24 Q. Now, yesterday and today you testified you
25 didn't think that there was any significance or

1 relevance of the presence of bulges at these levels
2 with respect to this case; correct?

3 A. That's my opinion.

4 Q. Okay. What is your opinion as to the --
5 whether these -- the presence of these bulges that
6 appear are -- are identified on this imaging study are
7 traumatic or age-related in origin?

8 A. They would be age-related.

9 Q. Okay.

10 A. Not posttraumatic.

11 Q. Thank you. On direct examination, Mr. Smith
12 asked you whether you're more qualified than Dr. Gross
13 to read MRI films.

14 Do you remember that?

15 A. I do.

16 Q. And you testified that you believe that you
17 were more qualified; right?

18 A. Yes.

19 Q. Tell us why. Tell the jury why.

20 A. I have the advantage of looking at a lot of
21 medical records, so -- clinical history before I
22 interviewed and examined Ms. Garcia on September 24th
23 of 2014. So it's, as an opera, being presented. I'm
24 listening and hearing her voice, having not met her,
25 but I hear what she's saying to her doctors. So I have

1 a picture, a broad, broad picture.

2 Then I have the opportunity to interview her
3 and examine her. Then I have the opportunity to look
4 at all of the diagnostic studies, including the MRIs.
5 I have been doing this for more than 20 years, and I
6 have no -- what's the -- I'm not involved in terms of
7 making a decision, surgically. I have no -- I'm not
8 vested in that area. I'm not going to make any money
9 from operating on Ms. Garcia.

10 So, in my opinion, I had the advantage of
11 looking at all of this information. Dr. Gross, in his
12 reports, he identifies what he was seeing. But he
13 didn't see all the MRIs. He -- he actually only saw
14 two. So, in my opinion, I had a better opportunity,
15 more interest, and I have been doing it a lot longer.
16 Plus, I teach MRI studies.

17 So, in my opinion, I'm better at that. And I
18 also have this advantage: I trained in neuroanatomy, I
19 taught neuroanatomy, I know -- I have in-depth
20 knowledge. I could bore you to death with
21 neuroanatomy. That's -- that's why I feel I'm better
22 at it. And it's one single test. That's all it is.
23 It's an adjunctive diagnostic study.

24 Q. Okay. Thank you, Doctor. And -- and -- and
25 what opinion do you have as to why Ms. Garcia was not

1 pain-free 6 months after the accident, 12 months after
2 the accident, 2 years after the accident?

3 A. In my opinion, the interventional treatment,
4 the first one of which I thought was indicated -- but
5 interventional treatment, in and of itself, is not an
6 innocuous procedure. All these injections that take
7 place. The interventionalists would want us to think
8 that, but they're not.

9 Including the radiofrequency ablations,
10 there's always an inflammatory response by putting a
11 needle deep into the spine, injecting a material, even
12 local anesthetic, steroid. There's always a reaction,
13 an inflammatory response, sometimes good, sometimes
14 better. It's not always the response you want to get,
15 nor is it long term.

16 In my opinion, with all of the repetitive
17 interventional treatment that didn't work, according to
18 what she tells me as well as documented in the records,
19 is another reason for ongoing pain. And many times we
20 stop doing interventional treatment because we realize
21 we're just adding -- we're not improving. We're
22 creating a problem by putting -- making long, 5- and
23 6-inch needle tracks that has to heal. So we're adding
24 to the problem; we're not improving the problem. In
25 other words, we're not resolving; we're not solving;

1 we're aggravating.

2 Q. Okay. Thank you.

3 Also, on cross-examination, you had given
4 testimony about -- you believed one of the sequelae or
5 complications from the results from the surgery
6 performed by Dr. Gross was a pseudarthrosis.

7 Do you recall that?

8 A. Yes. Yes.

9 Q. And then Mr. Smith asked you whether you
10 believe that -- does that mean that Dr. Gross did
11 something wrong. And you said, no, that wasn't your
12 opinion. You had mentioned that you -- you had said
13 there was something wrong in the construction --
14 construct of the configuration construct of the rods;
15 right?

16 A. Yes.

17 Q. And then yesterday, you recall, by
18 Mr. Strassburg, you were given an artistic rendition --

19 A. Yes.

20 Q. -- created by the plaintiffs in this case of
21 the rods and screws.

22 A. Yes.

23 Q. Do you recall that?

24 A. Yes.

25 Q. And you referred to that as a rhomboid

1 structure of rods and screws?

2 A. Well, it's a -- if you look at it -- if he
3 had created, put a crosspiece from the top of L5 on the
4 right to the top of -- to L4, it would have been a
5 rhomboid-shaped construct. But it never happened. In
6 fact, Dr. Gross says in his op report that he had
7 considered doing that.

8 Q. Sure. And -- and you're -- what do you mean,
9 "it never happened"?

10 A. He didn't put a crosspiece.

11 Q. Okay. Fair enough.

12 A. That's another option, to create a rigid
13 construct.

14 Q. And, Doctor, for the jurors' edification and
15 for my own, a rhomboid has four sides, two sides that
16 are parallel to one another?

17 MR. SMITH: Objection. This is outside the
18 scope of cross.

19 THE COURT: I don't think so.

20 MR. MAZZEO: Thank you, Judge.

21 THE COURT: Overruled.

22 THE WITNESS: That's correct.

23 MR. MAZZEO: Okay. Judge, can I have the
24 monitor for --

25 THE COURT: It's on the ELMO right now.

1 MR. MAZZEO: Oh. I guess -- it is on? Yes.
2 It's on the -- yeah, it is. I guess I have to turn
3 that on, Judge. Sometimes it goes on automatically
4 and -- okay.

5 I don't think it's -- is it coming up on any
6 monitors?

7 MR. STRASSBURG: No.

8 MR. MAZZEO: I'm not seeing that. I think
9 it's a connection thing. Oh, here it is.

10 All right. Well, for some reason, the slide
11 is not transferring over, but I -- I do have a
12 connection here. Let's see. There we go.

13 BY MR. MAZZEO:

14 Q. All right. You recall looking at this slide
15 yesterday, Doctor?

16 A. Yeah. But that's not what you're referring
17 to. That's --

18 Q. No. I know. I was referring to a -- the
19 artistic rendition of the construct rods and screws.

20 A. I remember that very well.

21 Q. Right. So --

22 MR. SMITH: Objection, Your Honor. May we
23 approach?

24 THE COURT: Sure.

25 /////

1 (A discussion was held at the bench,
2 not reported.)

3 THE COURT: Objection's overruled.

4 BY MR. MAZZEO:

5 Q. Dr. Klein, take a look at this post-op X ray
6 of the -- this has two films, right, side by side?

7 A. Has an AP and a lateral.

8 Q. Okay. Which one is the AP? Which is the
9 lateral?

10 A. The one on the left is the AP, and the one on
11 the right is the lateral.

12 Q. Okay. And my question to you is about --
13 because you referred to the construct of the rods and
14 rhomboid. Looking at this, is this a rendition or is
15 this the actual construct of the rods and screws that
16 are in Ms. Garcia's back following the surgery?

17 A. These are the actual position of the screws
18 in the rods.

19 Q. And -- and I know you testified yesterday
20 that the right -- the right side of Ms. Garcia's on the
21 left side of the film.

22 A. Yes, that's correct.

23 Q. The left side of Ms. Garcia's on the right
24 side of the film?

25 A. Because she's -- when the X ray is done,

1 she's laying on her back.

2 Q. Sure.

3 A. Okay.

4 Q. Would you consider this to be rhomboid
5 construct of rods and screws?

6 A. No. This X ray is not representative at all
7 of the anatomic diagram.

8 Q. Why not? In what way is it not?

9 A. One or two of these screws is not even
10 contained within the pedicle.

11 Q. What are you referring to?

12 A. Well, on -- on the left side, the purpose is
13 to maintain the biomechanical axis. You can see that
14 screws are in the pedicles of 4-5 and 1. And the rod
15 is straight up and down. If you look on her right
16 side, on the left side of this film --

17 Q. Can you touch the screen?

18 A. Can I --

19 Q. You can touch it with your finger.

20 A. Can you see my finger?

21 Q. Yes. I can see the mark on the screen.

22 A. Okay. That screw there, on 5, doesn't appear
23 to be contained within the pedicle. It's off to the
24 side. It's not vertical. Should be in line with the
25 pedicle of 4. It's probably an inch over. It's at an

1 angle. It's divergent. It's supposed to be parallel.

2 Q. So this is different than the artistic
3 rendition yesterday that you looked at, which showed
4 a -- more of a symmetrical alignment of the rods and
5 screws?

6 A. Yes. Plus -- plus that screw is loose.

7 Q. Okay. Thank you, Doctor.

8 You had on -- according to the guidelines of
9 NASS, when is surgery appropriate to treat a
10 spondylolisthesis?

11 A. You want the lengthy answer or ...

12 Q. Well, you can -- either one.

13 A. In a patient -- in a patient who has a
14 constellation of symptoms, whether it's traumatic or
15 nontraumatic, that there's corroborating objective
16 evidence, a clinical examination of back pain with or
17 without radiculopathy, evidence of instability, ideally
18 by flexion-extension X rays, or demonstration of
19 progression. That's probably the biggest. In other
20 words, where you have gone from a Grade I or Grade II.
21 Progression is probably the -- the golden rule.
22 Nonrefuteable if there's progression.

23 Those patients are the patients that are
24 excellent candidates for a stabilization procedure. If
25 you -- which we and the jury have heard the word

1 "fusion."

2 Q. Thank you, Doctor. Now --

3 A. And let me add one other thing.

4 Q. Oh, sure.

5 A. If you look in the guidelines, it doesn't say
6 you have to put in screws and rods.

7 Q. What do you mean?

8 A. There isn't a -- you don't -- not all
9 surgeons will do a fusion with instrumentation.

10 Q. Why? What other types of fusion --

11 A. Without instrumentation.

12 Q. Okay. Now, Mr. Smith, on cross-examination,
13 had asked you about the articles, the Moller and the
14 Ekman article, which had made references to the
15 benefits of surgery -- surgical treatment versus
16 conservative treatment for people with
17 spondylolisthesis.

18 A. Yes.

19 Q. My question to you is, in light of the
20 articles, in light of all the medical records you have
21 reviewed in this case, was Ms. Garcia ever an
22 appropriate candidate for fusion surgery for her
23 Grade II spondylolisthesis?

24 A. In my opinion, she was not.

25 Q. Okay. Now, also on cross-examination, you

1 had told Mr. Smith, with regard to Ms. Garcia's
2 reporting to you, that you believe that she was telling
3 the truth when she talks about her history.

4 But based on your record review, did you
5 observe and note inconsistencies in Ms. Garcia's
6 reporting of the onset of symptoms to medical providers
7 following this accident?

8 A. Yes.

9 Q. And do you find those inconsistencies to be
10 significant or insignificant?

11 A. In my opinion, they're significant.

12 Q. Why?

13 A. I met her. I thought she was articulate, had
14 a good memory. She does a lot of things, a multitasker
15 with kids and working.

16 It didn't make sense to me that she wouldn't
17 remember the exact sequence of events of the onset of
18 her pain. The pain is fairly significant if it's
19 functionally limiting. And she would remember when the
20 pain started, how it increased, how it affected her.

21 That's just my opinion based on the review of
22 the records.

23 MR. SMITH: Move to strike. Calls for
24 speculation.

25 THE COURT: Overruled.

1 MR. MAZZEO: Thank you, Judge.

2 BY MR. MAZZEO:

3 Q. Now, also, Dr. Klein, you were asked by
4 Mr. Smith about references you made regarding the
5 section "Personal Activities, Activity of Limitations"
6 on page 3 of your report.

7 A. I remember.

8 Q. And he asked you to turn that page. So I'll
9 ask you to do the same.

10 A. Okay.

11 Q. And there is some exchange between you and
12 Mr. Smith with regard to what period of time you're
13 referring to when you're talking about those activities
14 that Ms. Garcia's reporting to you that she's currently
15 unable to do or has limitations in doing.

16 Do you recall that?

17 A. Yes.

18 Q. Okay. So -- and I'm not talking about
19 "Current Symptoms" section. I'm talking about the
20 "Personal Activity Limitations"; right?

21 A. Yes.

22 Q. Okay. So -- and how do you know, as you sit
23 here today, that Ms. Garcia is reporting to you and
24 that you had asked her about her current limitations
25 with regard to those activities that are discussed in

1 that paragraph?

2 A. As I testified at trial under direct, when
3 you asked me to describe what did she tell me during
4 the interview, I said to her, as I said to all
5 patients, "Tell me what's going on in the last three or
6 four months."

7 What was going on a year ago really is not
8 very significant to me. Last 90 to 120 days. That's
9 how we started the evaluation.

10 And you can see under "Personal Activity
11 Limitations" -- I'll slow down -- she stated her
12 housework is limited, especially sweeping and mopping,
13 due to the symptoms she was having.

14 Q. Having when? Currently or --

15 A. Over the last three to four months.

16 Q. Okay.

17 A. Okay.

18 Q. Thank you.

19 A. She has three daughters. Then I asked her,
20 "How much, you know, of the cooking do you do?"

21 "I do 20 percent now. 30 percent of the
22 laundry; 15 percent of the housekeeping." She still
23 does 90 percent of the groceries. She said, "I don't
24 carry the groceries."

25 Then when we went to current symptoms, I

1 again said, "What are the symptoms you're having for
2 the last three to four months?" What she was doing a
3 year ago, to me, is not of any -- to me, even to a
4 physician. We want to know what's called current
5 symptoms. A year ago is not current. Six months ago
6 isn't current. You -- you could argue semantics,
7 "Well, maybe the last 30 days."

8 So that's my approach because you don't do
9 the same thing every day. So that gives me a -- a
10 panorama or a spectrum of how she's functioning.

11 Q. And also -- thank you, Doctor.

12 What -- Mr. Smith had started his
13 cross-examination yesterday with you asking about
14 whether you're an expert in treating spinal injuries.

15 Do you recall that?

16 A. Yes.

17 Q. Go ahead and tell -- I don't know if you
18 followed up with that. So why don't you tell the jury
19 what -- why do you believe that you're an expert in
20 treating spinal injuries?

21 A. Because I see people in my clinic with spinal
22 injuries. I see, in the last three months, two young
23 men in auto accidents that had a compression fracture,
24 one thoracic, one lumbar. They can get seen by their
25 primary care, still have back pain, and sent in from

1 one of the five primary care clinics.

2 I see postmenopausal women in their 70s,
3 their 80s who have compression fractures due to their
4 osteoporosis.

5 Young people have hurt themselves skiing. We
6 have good snow this year in the Sierra. They fall
7 down, they get compression fractures. They -- they're
8 neurologically intact. They're not unstable, but they
9 still have low back pain. They need some reassurance.
10 They need a diagnosis.

11 So I have a basis of knowledge that the
12 primary care doctor doesn't have. They -- they end up
13 in my clinic, in -- in all honesty, because the spine
14 physicians, they don't really want to see them.
15 They're -- they have enough work just doing the spinal
16 surgery. They end up in my clinic.

17 Q. Okay. Thank you, Judge -- or Doctor.

18 MR. MAZZEO: No further questions.

19 THE COURT: Mr. Strassburg?

20 MR. STRASSBURG: Not very many, Judge.

21 Can you give me the screen, Judge?

22 THE COURT: I've already given it to you.

23 It's just not on there.

24 MR. STRASSBURG: This will just take a sec.

25 /////

1 This is the -- a front view. We're looking
2 at the spine like this; is that right?

3 A. Yes. Ms. Garcia's laying on her back. On
4 the left side of the spine, or to the left, is the
5 screws on the right. This is the right side. This is
6 the left side.

7 And it says the word "left." Do you see, in
8 little words there, it says "left"?

9 Q. Okay.

10 A. I think that tells you.

11 Q. Let me add two lines here marking the width
12 of the construct center to center.

13 Do you see those?

14 A. I do.

15 Q. And the top one is longer than the bottom
16 one?

17 A. Yes. Because the -- the construct diverges.
18 It's not parallel.

19 Q. And the divergence, you testified, is on the
20 right side?

21 A. Correct.

22 Q. It's just on the left side because this is a
23 mirror image?

24 A. Yes. If you just refer to the -- there's
25 three screws and a longer rod on the left and then the

1 one on the right. The right -- the shorter one is the
2 right side.

3 Q. And the top screw on the right side is the
4 one you believe is outside the pedicle bone?

5 A. Yes, on L5. On the right side of the -- of
6 her body.

7 Q. And if we draw lines marking the center of
8 the rods, do you accept that this is accurate?

9 A. It is.

10 Q. Now, I want to show you what we talked about
11 yesterday, the plaintiff's diagram. Let me get it up
12 here.

13 And do you see that on your screen?

14 A. I do.

15 Q. All right. Now, let's do the same thing on
16 the plaintiff's diagram. Let's draw a line marking the
17 width.

18 Do you see that?

19 A. Correct.

20 Q. And those lines accurately mark the width?

21 A. Well, that's the way it should look on her
22 body, but it doesn't.

23 Q. And then let's put in the lines that mark the
24 line of the rods.

25 Can you see that?

1 A. I can.

2 Q. Would you join me down here, please.

3 A. (Witness complies.)

4 Q. So if we look at the actual medical image of
5 the actual construct, we see that it is -- the -- the
6 rods and screws are farther apart, displaced to the
7 right, which on the screen is on the left; right?

8 A. Yes.

9 Q. But it's the opposite way in the plaintiff's
10 depiction here, which shows that the rods are closer
11 together at L5 when, in reality, we know that they are
12 farther apart?

13 A. This is not an accurate depiction of what's
14 present in her body.

15 Q. You're indicating the plaintiff's diagram?

16 A. Correct. This diagram is not indicative of
17 what is represented on the post-op X ray.

18 Q. So true? False?

19 A. Well, inaccurate, rather than true, false.
20 It's an artist's representation and maybe under
21 Dr. Gross's direction. But this is not what's present
22 in Ms. Garcia's body right now.

23 Q. And this depiction, this artwork by the
24 plaintiff --

25 A. Yes.

1 Q. -- it leaves out this key fact that at -- on
2 the right L5, the screw missed wide to the right?

3 A. There is no screw in 4, and this screw is too
4 far to the right.

5 Q. You're indicating at L5 on the right?

6 A. The L5 pedicle. This is pedicle. This is
7 the L4 pedicle. The L5 pedicle is here. And in the
8 other X ray there's evidence that it's loose.

9 Q. Why don't you go back and sit down.

10 A. Okay.

11 Q. I don't want to delay you. Just one more
12 second here.

13 Now, you just said that there is evidence
14 that one of these screws that Dr. Gross installed is
15 loose.

16 Do you remember just saying that?

17 A. I do.

18 Q. Does the looseness of this pedicle screw
19 create any condition that is visible on an MRI?

20 A. No. The -- you get severe metal artifact
21 when you do an MRI. So just -- unless you tell the
22 radiologist or the neuroradiologist that there's metal
23 and you want to do a subtraction technique, it's a very
24 special MR study. And not all -- not all of these
25 centers have that.

1 Q. Does the looseness of the screw -- has it
2 created any condition on this image indicative of
3 looseness?

4 A. Yes.

5 Q. Show us.

6 A. This is the screw up in the pedicle on the
7 left side on 4. And you can see that this is a
8 homogeneous body inside here, where the screw is
9 inside, goes into the vertebral body.

10 If you look on L5 -- this is L4; this is
11 L5 -- this halo here and around here. If you look down
12 here in the sacrum, it's homogeneous. When you see
13 this, which we call a wobble mark or a halo, in a
14 patient who's still complaining of pain, this is
15 indicative or suggestive of a pseudarthrosis, ongoing
16 pain, this halo that you see here.

17 Q. And is a patient who has a pseudarthrosis,
18 this loose screw, a candidate for spinal SCS, the
19 spinal pain treatment procedure?

20 A. No, absolutely not.

21 Q. Explain.

22 A. Well, one of the MRs demonstrates a
23 significant scar. You don't want to add more scar.
24 This heals. As Mr. Smith asked me, do patients get
25 scarring when you do this magnitude of surgery?

1 And you don't want -- keeping a spinal cord
2 stimulator lead in place is almost an exercise in
3 futility, just putting the leads in and getting through
4 this dense scar. So the first thing, if you're
5 suspicious you have a pseudarthrosis, then you need to
6 do a CT scan, as I testified, and then you have to
7 reoperate to resolve the pseudarthrosis.

8 Q. Thank you, sir.

9 You were asked questions regarding whether
10 Dr. Gross's work satisfied the standard of care of a
11 spine surgeon.

12 Do you recollect Mr. Smith asking you those
13 questions?

14 A. I do.

15 Q. I wanted to ask you, whether or not Gross's
16 technique satisfied the standard of care, does that in
17 any way change your opinion that his surgery was
18 addressing a condition that was not causally related to
19 the collision?

20 A. In my opinion, she was not a candidate for a
21 fusion in any way related to events that happened on
22 1/2/11. That's my opinion.

23 Q. So your answer would be yes?

24 A. Yes.

25 Q. It does not change --

1 A. It doesn't change my opinion at all.

2 Q. Now, you have mentioned bone spurs at L5-S1.
3 Do you recollect that?

4 A. Yes.

5 Q. And just so everybody can recollect with
6 you ...

7 MR. SMITH: Outside the scope of cross.

8 THE COURT: I think it is, but I don't know
9 what the question is yet.

10 MR. STRASSBURG: You know, Judge, he's right.
11 I'll go on to something else.

12 THE COURT: Okay.

13 MR. STRASSBURG: Now, you know, Judge, I
14 think that's enough out of me. So I'm going to sit
15 down.

16 THE COURT: Okay. Mr. Smith?
17 Need a break?

18 MR. SMITH: I do.

19 THE COURT: How long you got?

20 MR. SMITH: Five to ten minutes.

21 THE COURT: Let's go ahead and take a quick
22 break.

23 All right. During our break, folks, you're
24 instructed not to talk with each other or with anyone
25 else about any subject or issue connected with this

1 trial. You are not to read, watch, or listen to any
2 report of or commentary on the trial by any person
3 connected with this case or by any medium of
4 information, including, without limitation, newspapers,
5 television, the Internet, or radio.

6 You are not to conduct any research on your
7 own, which means you cannot talk with others, Tweet
8 others, text others, Google issues, or conduct any
9 other kind of book or computer research with regard to
10 any issue, party, witness, or attorney involved in this
11 case.

12 You're not to form or express any opinion on
13 any subject connected with this trial until the case is
14 finally submitted to you.

15 Plan on ten minutes.

16 (The following proceedings were held
17 outside the presence of the jury.)

18 THE COURT: All right. We're outside the
19 presence of the jury.

20 I know you didn't have much longer left, but
21 I had one juror that was emphatically telling me that
22 she needed a break.

23 Anything we need to take care of on the
24 record?

25 MR. SMITH: I would like to put one thing on

1 the record.

2 THE COURT: You want him here or not?

3 MR. SMITH: Doesn't make a difference.

4 THE COURT: Okay. Go ahead.

5 MR. SMITH: We objected to the use and
6 discussion of the June 27, 2014, X ray.

7 And what I said at the bench was that
8 yesterday the Court limited Dr. Klein in talking about
9 that X ray to say does this change your opinions. And
10 if his answer was no, fine; if his answer was yes, the
11 Court would strike his opinion.

12 The reason for that ruling was because
13 Dr. Klein has never disclosed any opinions about the
14 June 2014 X ray, and that X ray has obviously been
15 around for a long time, including since before he wrote
16 his initial report in October 2014.

17 On cross, we did not ask him about that
18 X ray. We did not ask him about any postsurgical
19 scans. And, in fact, we limited the questions on
20 pseudarthrosis and scarring to whether those things are
21 complications of surgery and whether they mean that
22 Dr. Gross did something wrong in his treatment. That
23 has nothing to do with the June 2014 X ray.

24 The Court allowed Dr. Klein to talk about it.
25 And, as we saw, counsel then asked him a number of

1 questions that allowed him to provide brand-new
2 opinions that we have not heard until right now. And
3 that was clearly outside the scope of cross and outside
4 the scope of everything that's in his reports.

5 THE COURT: I thought that the questions that
6 you asked about the pseudarthrosis, the complications
7 of surgery, and whether or not Dr. Gross did anything
8 wrong -- I thought that that opened the door to use
9 that. So --

10 MR. MAZZEO: And I --

11 THE COURT: -- that's why I allowed it.

12 MR. MAZZEO: Thank you, Judge. And I would
13 agree because -- I don't need to -- do I need to say
14 anything?

15 THE COURT: If you want to hear yourself
16 talk.

17 MR. SMITH: I will say, for the record, we
18 asked -- we asked Dr. Klein those same questions in his
19 deposition. And in his deposition he never offered the
20 opinions that he offered today. And his deposition was
21 a long time ago, and he had an opportunity to amend his
22 reports since then if he wanted to offer these
23 opinions.

24 And having not offered them prior to today,
25 knowing what questions I was going to ask, he should

1 have -- he should have offered those before walking in
2 the door, and we shouldn't be subjected to new opinions
3 at trial.

4 MR. MAZZEO: Well, the fact of the matter is
5 Dr. Klein did testify at his deposition to the sequelae
6 of symptoms. And the result for that was due to the
7 pseudarthrosis.

8 And as he testified on cross-examination to
9 Mr. Smith's questioning, he did talk about that that --
10 that pseudarthrosis was -- there was something wrong in
11 the construct of the rods, thereby opening the door to
12 let's look at the -- let's look at the film and compare
13 that to the artistic rendition done by the plaintiffs.

14 THE COURT: Okay. You guys made your record.
15 If I'm wrong, they'll tell me.

16 MR. MAZZEO: Thank you, Judge.

17 THE COURT: Anything else?

18 MR. SMITH: No, Judge.

19 THE COURT: All right. Off the record.

20 (Whereupon a short recess was taken.)

21 THE MARSHAL: Jury entering.

22 (The following proceedings were held in
23 the presence of the jury.)

24 THE MARSHAL: Jury is present, Judge.

25 THE COURT: Thank you. Go ahead and be