

Case No. 79424

~~~~~

**IN THE SUPREME COURT OF THE STATE OF NEVADA**

DESIRE EVANS-WAIAU,  
individually; GUADALUPE PARRA-  
MENDEZ, individually,

Appellants,

vs.

BABYLYN TATE, individually,

Respondent.

Electronically Filed  
Apr 23 2020 02:27 p.m.  
Elizabeth A. Brown  
Clerk of Supreme Court

**APPEAL**

From the Eighth Judicial District Court, Clark County  
The Honorable Mary Kay Holthus, District Judge  
District Court Case No. A-16-736457-C

---

**APPELLANTS' APPENDIX  
VOLUME 4  
PAGES 751 – 1000**

---

DENNIS M. PRINCE  
Nevada Bar No. 5092  
KEVIN T. STRONG  
Nevada Bar No. 12107  
**PRINCE LAW GROUP**  
10801 W. Charleston Boulevard, Suite 560  
Las Vegas, Nevada 89135  
Attorneys for Appellants

## **CHRONOLOGICAL TABLE OF CONTENTS TO APPENDIX**

| <b>Tab</b> | <b>Document</b>                                                                                                                                                 | <b>Date</b> | <b>Vol.</b> | <b>Pages</b>           |
|------------|-----------------------------------------------------------------------------------------------------------------------------------------------------------------|-------------|-------------|------------------------|
| 01         | Complaint                                                                                                                                                       | 05/10/2016  | 1           | 1-5                    |
| 02         | Affidavit of Service                                                                                                                                            | 06/28/2016  | 1           | 6                      |
| 03         | Answer to Complaint                                                                                                                                             | 08/08/2016  | 1           | 7-10                   |
| 04         | Recorder's Transcript of Hearing: All Pending Motions                                                                                                           | 10/03/2018  | 1           | 11-45                  |
| 05         | Notice of Entry of Order Regarding Plaintiffs' Motions in Limine                                                                                                | 04/22/2019  | 1           | 46-60                  |
| 06         | Notice of Entry of Order Regarding Defendant Tate's Motions in Limine                                                                                           | 04/26/2019  | 1           | 61-68                  |
| 07         | Notice of Entry of Stipulation and Order Regarding Motions in Limine                                                                                            | 04/26/2019  | 1           | 69-76                  |
| 08         | Recorder's Transcript of Hearing: Jury Trial – Day 5                                                                                                            | 04/26/2019  | 1           | 77-238                 |
| 09         | Trial Brief Regarding Defense Counsel is Precluded From Stating or Implying Plaintiff Should Not Have Insisted an Officer Should Come to the Scene for a Report | 05/13/2019  | 1           | 239-244                |
| 10         | Recorder's Rough Draft Transcript of: Jury Trial – Day 1 (Partial Transcript)                                                                                   | 05/14/2019  | 1<br>2      | 245-250<br>251-305     |
| 11         | Recorder's Rough Draft Transcript of: Jury Trial – Day 4                                                                                                        | 05/17/2019  | 2<br>3      | 306-500<br>501-576     |
| 12         | Recorder's Rough Draft Transcript of: Jury Trial – Day 6                                                                                                        | 05/21/2019  | 3<br>4      | 577-750<br>751-824     |
| 13         | Recorder's Rough Draft Transcript of: Jury Trial – Day 7                                                                                                        | 05/22/2019  | 4<br>5      | 825-1000<br>1001-1053  |
| 14         | Recorder's Rough Draft Transcript of: Jury Trial – Day 8                                                                                                        | 05/23/2019  | 5<br>6      | 1054-1250<br>1251-1277 |

|    |                                                                                               |            |          |                        |
|----|-----------------------------------------------------------------------------------------------|------------|----------|------------------------|
| 15 | Recorder's Transcript of Proceedings<br>Jury Trial – Day 9                                    | 05/28/2019 | 6<br>7   | 1278-1500<br>1501-1583 |
| 16 | Recorder's Transcript of Jury Trial –<br>Day 10                                               | 05/29/2019 | 7        | 1584-1750              |
| 17 | Recorder's Transcript of Jury Trial –<br>Day 11                                               | 05/30/2019 | 8        | 1751-1975              |
| 18 | Trial Brief to Strike Defense Medical<br>Expert Witness, Joseph Schifini,<br>M.D.'s Testimony | 05/30/2019 | 8        | 1976-1984              |
| 19 | Recorder's Transcript of Jury Trial –<br>Day 12                                               | 05/31/2019 | 8<br>9   | 1985-2000<br>2001-2195 |
| 20 | Jury Instructions                                                                             | 06/03/2019 | 9        | 2196-2248              |
| 21 | Recorder's Transcript of Jury Trial –<br>Day 13                                               | 06/03/2019 | 9<br>10  | 2249-2250<br>2251-2391 |
| 22 | General Verdict for Defendant                                                                 | 06/03/2019 | 10       | 2392                   |
| 23 | Notice of Entry of Judgment Upon<br>Jury Verdict                                              | 07/15/2019 | 10       | 2393-2396              |
| 24 | Notice of Appeal                                                                              | 08/14/2019 | 10<br>11 | 2397-2500<br>2501-2546 |

## **ALPHABETICAL TABLE OF CONTENTS TO APPENDIX**

| <b>Tab</b> | <b>Document</b>                                                               | <b>Date</b> | <b>Vol.</b> | <b>Pages</b>           |
|------------|-------------------------------------------------------------------------------|-------------|-------------|------------------------|
| 02         | Affidavit of Service                                                          | 06/28/2016  | 1           | 6                      |
| 03         | Answer to Complaint                                                           | 08/08/2016  | 1           | 7-10                   |
| 01         | Complaint                                                                     | 05/10/2016  | 1           | 1-5                    |
| 22         | General Verdict for Defendant                                                 | 06/03/2019  | 10          | 2392                   |
| 20         | Jury Instructions                                                             | 06/03/2019  | 9           | 2196-2248              |
| 24         | Notice of Appeal                                                              | 08/14/2019  | 10<br>11    | 2397-2500<br>2501-2546 |
| 23         | Notice of Entry of Judgment Upon Jury Verdict                                 | 07/15/2019  | 10          | 2393-2396              |
| 06         | Notice of Entry of Order Regarding Defendant Tate's Motions in Limine         | 04/26/2019  | 1           | 61-68                  |
| 05         | Notice of Entry of Order Regarding Plaintiffs' Motions in Limine              | 04/22/2019  | 1           | 46-60                  |
| 07         | Notice of Entry of Stipulation and Order Regarding Motions in Limine          | 04/26/2019  | 1           | 69-76                  |
| 10         | Recorder's Rough Draft Transcript of: Jury Trial – Day 1 (Partial Transcript) | 05/14/2019  | 1<br>2      | 245-250<br>251-305     |
| 11         | Recorder's Rough Draft Transcript of: Jury Trial – Day 4                      | 05/17/2019  | 2<br>3      | 306-500<br>501-576     |
| 12         | Recorder's Rough Draft Transcript of: Jury Trial – Day 6                      | 05/21/2019  | 3<br>4      | 577-750<br>751-824     |
| 13         | Recorder's Rough Draft Transcript of: Jury Trial – Day 7                      | 05/22/2019  | 4<br>5      | 825-1000<br>1001-1053  |
| 14         | Recorder's Rough Draft Transcript of: Jury Trial – Day 8                      | 05/23/2019  | 5<br>6      | 1054-1250<br>1251-1277 |
| 04         | Recorder's Transcript of Hearing: All Pending Motions                         | 10/03/2018  | 1           | 11-45                  |

|    |                                                                                                                                                                             |            |         |                        |
|----|-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------|------------|---------|------------------------|
| 08 | Recorder's Transcript of Hearing:<br>Jury Trial – Day 5                                                                                                                     | 04/26/2019 | 1       | 77-238                 |
| 16 | Recorder's Transcript of Jury Trial –<br>Day 10                                                                                                                             | 05/29/2019 | 7       | 1584-1750              |
| 17 | Recorder's Transcript of Jury Trial –<br>Day 11                                                                                                                             | 05/30/2019 | 8       | 1751-1975              |
| 19 | Recorder's Transcript of Jury Trial –<br>Day 12                                                                                                                             | 05/31/2019 | 8<br>9  | 1985-2000<br>2001-2195 |
| 21 | Recorder's Transcript of Jury Trial –<br>Day 13                                                                                                                             | 06/03/2019 | 9<br>10 | 2249-2250<br>2251-2391 |
| 15 | Recorder's Transcript of Proceedings<br>Jury Trial – Day 9                                                                                                                  | 05/28/2019 | 6<br>7  | 1278-1500<br>1501-1583 |
| 09 | Trial Brief Regarding Defense<br>Counsel is Precluded From Stating or<br>Implying Plaintiff Should Not Have<br>Insisted an Officer Should Come to<br>the Scene for a Report | 05/13/2019 | 1       | 239-244                |
| 18 | Trial Brief to Strike Defense Medical<br>Expert Witness, Joseph Schifini,<br>M.D.'s Testimony                                                                               | 05/30/2019 | 8       | 1976-1984              |

1 years and can cause some overlying other symptoms such as  
2 depression, anxiety, some other symptoms that are in -- of a  
3 psychological nature. So pain that was originally originating  
4 from a organic cause, such as say inflammation, arthritis,  
5 extend over the years has become chronic and has then exerted  
6 an affect on the patient's psychological status as well.

7 Q Okay. And what are the ways that you manage those  
8 people; I mean, do you give them medications, do you provide  
9 injections, a combination of both, what sort of --

10 A Well --

11 Q -- methods do you treat those patients?

12 A Well, we are an interventional practice. And as we  
13 all know since the recent years we have the problem of the  
14 opioid crisis in our country and physicians have been too  
15 liberal and often careless in prescribing narcotic medication  
16 for patients with chronic pain.

17 Our approach is more so to find out what is causing  
18 the pain, the chronic pain. If we can treat that, we can  
19 diagnosis with injection therapy and then perhaps with a  
20 referral to a surgeon. But sometimes the pain -- the --  
21 nature of the pain is not amenable to injections in terms of  
22 they won't get better with injections, they're not surgical  
23 candidates.

24 And then we have other modalities such as medication  
25 management, judicious and careful medication management or

1 other interventional modalities such as neural stimulation to  
2 essentially control the pain on a different level with the  
3 goal to enhance the patient's quality of life but still  
4 knowing that we can't really treat the pain completely.

5 Q Okay. When you say you're an interventional pain  
6 management specialist, what does it mean that you're an  
7 interventional pain specialist?

8 A What it means is that we use interventions such as  
9 injection therapy to isolate, to diagnose pain generators, so  
10 in other words, where the pain is coming from, but also in the  
11 hope with injections to cause an improvement of the patient's  
12 symptoms by reducing the inflammatory response because the  
13 pain perception or the development of pain is often a result  
14 of an inflammatory response as a result of a tissue damage.

15 So we are trying to diminish or decrease the  
16 inflammatory response with the injection therapy but also  
17 trying to isolate and find out where the pain is coming from  
18 to accurately labeling the cause of the pain or the diagnosis.

19 Q So what role do you play as an interventional pain  
20 specialist in diagnosing the process or the source of a  
21 patient's pain for to assist a surgeon in their management or  
22 approach?

23 A Well, my role -- I mean, I work in concert with the  
24 surgeon, is often to be the diagnostician, meaning, the  
25 surgeon requests perhaps a certain injection that targets a

1 suspected area where the pain might be coming from. I perform  
2 the injection and then we evaluate the response of the patient  
3 following the injection.

4 And if there is a positive response, meaning that  
5 the patient receive an improvement of the symptoms, then we  
6 have so-called narrowed down the area where the pain is coming  
7 from which is an important information for the surgeon because  
8 then he can make a surgical recommendation perhaps.

9 Q And in recent years, the field of pain management  
10 has grown. Is that to hopefully help control patients' pain  
11 better, maybe reduce the number of surgeries that are  
12 happening?

13 A Well, that is how I'll go. I always tell patients  
14 our pain -- unless his pain is coming from -- as a result of a  
15 heart attack or a ruptured appendix or a gall bladder, pain is  
16 not something where we're going to jump right into surgery.

17 We are trying to be as conservative as possible so  
18 we -- the patient kind of goes through several stages of  
19 treatment such as conservative treatment modalities,  
20 chiropractor care, physical therapy, and then pain management  
21 with interventional modalities. And then it culminates and  
22 reaches the level of surgery if everything else fails.

23 Q And we're going to be talking about the various  
24 injections including the ones that you've performed here. But  
25 before we kind of get into Desire specifically, Dr. Rosler,



1 tell us where you went to school and about your training.

2 A I was born and raised in Germany, grew up in Germany  
3 and went to medical school in Germany. And then I came to the  
4 United States after I've passed the qualification examinations  
5 to be able to go into a speciality training here in the United  
6 States.

7 I did my internship in -- in Michigan. I did my  
8 speciality training in anesthesiology and at the Indiana  
9 University in Indianapolis. And then I came to Las Vegas end  
10 of 2002, have been practicing in Las Vegas since beginning of  
11 2003. I've been practicing pain medicine since the beginning  
12 of 2004. I was affiliated with Nevada Spine Clinic for about  
13 ten years -- my -- as an independent contractor in the  
14 diagnosis and treatment of pain symptoms.

15 And then about five years ago I opened up my own  
16 practice in intervention pain and spine institute where we --  
17 where we focused on, as I pointed out earlier, in the  
18 diagnosis and the therapy of pain complaints emanating from  
19 the vertebral column.

20 I'm certified with the American Board of  
21 Anesthesiology and certified with the American Board of Pain  
22 Medicine and that's essentially it.

23 Q Okay. And kind of -- so you're an anesthesiologist  
24 by training, right?

25 A Yes.

1 Q And how many years was that training as  
2 anesthesiologist?

3 A Four years.

4 Q Four years? And you said you're board certified as  
5 an anesthesiologist?

6 A Yes.

7 Q Okay. And what does it mean to be board certified?

8 A Board certified means that you fulfill the  
9 requirements on -- and the standards of the board -- that's  
10 set forth by the board of your specialty. So every medical  
11 speciality like general surgery, like neural surgery, like  
12 orthopedics, like anesthesiology, there is a board standard.  
13 And in order to reach that board standard you have  
14 to take a written and an oral test. And as of 1998,  
15 unfortunately, you have only a time limited board  
16 certification. So every ten years you have to renew your  
17 board certification license which is a very contentious thing.

18 Q Have you done that? Have you renewed?

19 A I have done that. I am -- as of now, I am board  
20 certified until 2024 in anesthesiology, and 2026 in pain  
21 medicine. And then I had to recertify for another ten years.

22 Q Okay. In addition to your training as an  
23 anesthesiologist, did you complete fellowship training as a  
24 pain -- in the area of pain management?

25 A What I did was a -- not an entire fellowship of

1 about one year I was invited to -- there was -- we call it --  
2 at Indiana University the Department of Anesthesia a so-called  
3 mini pain fellowship that was specifically targeted in the  
4 diagnosis and treatment of patients with spinal complaints.

5 So I went back to the University and was trained  
6 into patients just presenting with spinal complaints, not so  
7 much with patients with -- who have other kind of complaints  
8 such as fibromyalgia, such as tumor kind of pain, or headaches  
9 and so forth.

10 Q Okay. And you said you're also board certified in  
11 the area of pain management?

12 A That's correct.

13 Q Why is it common that anesthesiologists are also  
14 pain management physicians, can you explain that?

15 A The field of pain -- or excuse me -- the field of  
16 pain medicine originated out of the field of anesthesiology  
17 and simply because in anesthesiology in and of itself as a  
18 training program also requires a certain amount of pain  
19 medicine.

20 First of all, anesthesiologists perform labor  
21 epidurals which is pain management during labor and delivery,  
22 anesthesiologists perform regional blocks if patients have  
23 surgery on their ankle, surgery on the shoulder. They learn  
24 how to do the regional blocks. That is kind of like the forte  
25 or the specialty of the anesthesiology specialty.

1           And then from there the field of pain medicine  
2 developed and then other -- other specialties such as physical  
3 medicine rehabilitation, they have kind of also encroached  
4 into this field. However, the interventional aspect has  
5 always come from the field of anesthesiology. And we can also  
6 or we are also able to treat side effects or potential adverse  
7 reactions that can occur with any spinal injection.

8           Q     Okay. Now have you ever testified and qualified as  
9 an expert in the area of pain management here in Clark County,  
10 Nevada before?

11          A     Numerous times.

12          Q     Okay. And what I want to do now is -- and we're  
13 going to be talking about the clinical evidence in this case  
14 and your treatment and your role and Desire, and Guadalupe's  
15 care, but primarily Desire's. And I want to --

16               MR. PRINCE: Brandon, can you put up demonstrative  
17 41.

18 BY MR. PRINCE:

19          Q     And we have it on the monitor there. And can you  
20 see the monitor Dr. Rosler here or can you --

21          A     Yeah, I can see it on my little monitor.

22          Q     Okay. Perfect.

23          A     Yeah.

24          Q     All right. I want you to be able to see.

25               And when you're talking about your opinions in this

1 case, you're going to be presented to us, and when you  
2 formulated them, did you use clinical correlation methodology  
3 in formulating those opinions regarding what the cause of  
4 Desire's injuries were and her physical problems and the need  
5 for the treatment?

6 A Yes. This is, in general, a nice way to outline the  
7 different facets of us pain management physicians to come to a  
8 conclusion as to what kind of -- or what kind of clinical  
9 presentation the patient has and what kind of origin the  
10 patient is, what the pain the patient is stating is coming  
11 from.

12 Q Not only do you use clinical -- do you use clinical  
13 correlation not only in forming opinions as to what the cause  
14 of a patient's injuries were, do you also use clinical  
15 correlation in your practice to assist you in diagnosing and  
16 formulating a treatment plan?

17 A Certainly. When we -- as an example, if you have a  
18 pain that is emanating from your hand after a fall you  
19 obviously want to correlate this, so you get an x-ray to see  
20 is there perhaps a fracture there or is there perhaps a  
21 dislocation that could explain the patient's symptoms.

22 So we have multi layered approaches where we enact  
23 with a patient which is the most important or the basis,  
24 that's the patient's history, our physical examination. And  
25 then we are trying to obtain or gather other more objective

1 information focusing on the patient's complaints.

2           You will see this when you go to your primary care  
3 doctor and talk -- or call let them know you have a bad cough  
4 you'll get an x-ray. He wants to see hey is there a reason  
5 perhaps why my patient has a cough. So this is not just for  
6 pain management doctors important -- it's important for all  
7 medical doctors to -- to establish a correlation with clinical  
8 tests that kind of support what you hear from the patient as a  
9 subjective complaint.

10          Q     Right. Here part half of the diagram or half of our  
11 puzzle, if you will, is comprised of the patient history. Is  
12 that a fair representation of how important a patient history  
13 is and, you know, formulating a diagnosis and a treatment plan  
14 for a patient?

15          A     I would say the patient history is the basis of all  
16 of our interaction with the patient. And when I say the  
17 patient history, it's also the patient's complaint, voicing  
18 complaints. If you go to the emergency room and you state you  
19 have chest pain that is radiating into your arm, and you have  
20 sweats, you're going to be worked up for potential heart  
21 attack.

22                If you goto the emergency room and just say I have a  
23 little tingling in my hand you're not going to get worked up  
24 for a possible heart attack. So it's very important to get a  
25 good history because that is kind of the basis from where you

1 take off and initiate further testing and further treatment.

2 Q Why are examination findings and [indiscernible] why  
3 are those important, Dr. Rosler?

4 A The physical examination is to verify that patient's  
5 complaints, meaning, you examine the area of complaint and  
6 there are orthopedic tests and over the years there have  
7 become more and more specialty tests.

8 And again, what we are trying to do is kind of  
9 objectify or correlate certain -- certain tests with the  
10 complaints of the patient.

11 Q Okay. And next on our chart is response to  
12 treatment. Why is response to treatment an important part of  
13 the clinical correlation process?

14 A Well, when we -- once we have taken the history,  
15 once we have done a physical examination, perhaps once we had  
16 the chance to come up -- well, to look at other diagnostic  
17 tools such as an x-ray, an MRI scan, or an EKG we come up with  
18 an impression. And then we have a treatment recommendation  
19 that allows us to either confirm our impression or to state  
20 well, this is not -- obviously not the case. We may have to  
21 do something else.

22 So the treatment is important for us to establish  
23 our diagnosis and hopefully treatment the patient at the same  
24 time.

25 Q Okay. And next we have diagnostic [indiscernible]

1 x-rays and MRIs you talked about. Other testing; could that  
2 include like the, you know, site specific interventional  
3 injections that you provide?

4 A That could be. The site specific injections that  
5 are being diagnostic and also to a certain degree therapeutic  
6 in nature, yes.

7 Q Okay. And now you had the opportunity to treat my  
8 client, Desire Evans-Waiau, correct?

9 A That is correct.

10 Q Do you remember Ms. Waiau?

11 A Not very well.

12 Q You just mostly -- you rely upon the records -- your  
13 records?

14 A That is correct.

15 Q All right. In front of you I have open is Tab 40,  
16 Exhibit 47 are the records from your facility.

17 A Yes.

18 Q Okay. And that -- and those have already been  
19 admitted into evidence. And the first time you saw her --

20 MR. PRINCE: And Brandon, that's Bate No. 196.

21 BY MR. PRINCE:

22 Q And based upon your recollection who referred Desire  
23 to you for a pain management consultation? You can look at  
24 your own chart if you brought that, if that will help you.

25 A Yeah, that is a little more helpful.



1           It's my understanding that the patient was referred  
2 by the chiropractor.

3           Q     Okay. Is -- do chiropractors, not just at Align  
4 Chiropractic -- do chiropractors refer patients to you?

5           A     Chiropractor, physical therapists, primary care  
6 doctors, kind of like the first treater, that is very common.

7           Q     Do surgeons also refer patients to you for --

8           A     Surgeons also refer patients to me, that is correct.

9           Q     Okay. And when -- now when Ms. Evans was referred  
10 to you did you take a history from her?

11          A     Yes, sir.

12          MR. PRINCE: Brandon can you show -- go to the chief  
13 complaints.

14          THE WITNESS: I'm sorry?

15          MR. PRINCE: I'm showing to the jury now --

16 BY MR. PRINCE:

17          Q     What were her complaints when she initially came to  
18 see you on December 16th, 2015?

19          A     Her chief complaints were headaches left side and  
20 neck pain and left shoulder and left parascapular pain with  
21 shooting pain and numbness down into the left arm and the left  
22 hand.

23          Q     Okay. And in your -- when you saw her based upon  
24 that history, was there something more to that history than  
25 simply a soft tissue injury to you based upon her description

1 of her symptoms?

2 A Well, the definition of soft tissue injuries, injury  
3 to the musculature, to the ligaments, to some tendons,  
4 whenever there is a what we call a potential for a radicular  
5 symptomology which is shooting pain, numbness or tingling  
6 going down an arm or down the leg, sciatica for example down  
7 the leg, then this is something where we can't just say this  
8 is a soft tissue injury. That has to be evaluated for  
9 potential nerve irritation.

10 Q Okay. And now did you also take a history of what  
11 they call a present illness --

12 A Yes.

13 Q -- from Desire? And if we could --

14 MR. PRINCE: Brendan, if you can highlight that on  
15 the screen.

16 BY MR. PRINCE:

17 Q What significant history did you obtain from Desire  
18 at the time of your initial evaluation as to when her symptoms  
19 started and what was the cause of the symptoms starting?

20 A It was a narrative history taken from the patient  
21 and the information that we gathered was that the patient was  
22 involved in a motor vehicle collision in October of 2015, and  
23 subsequently, developed symptoms of such she was complaining  
24 of.

25 Q Okay. Was he symptoms consistent with being

1 involved in a motor vehicle collision on October 30th, 2015 as  
2 she described? Were they consistent with that mechanism?

3 A Those were consistent with that mechanism. There  
4 was a temporal onset of her symptoms as a result of that motor  
5 vehicle collision.

6 Q Okay. Did she tell you that she -- whether or not  
7 she'd been receiving medical care before she came to see you?  
8 Obviously, she had been with a chiropractor because that's who  
9 referred her to you.

10 A That is correct.

11 Q All right. And just because the chiropractor  
12 referred her, did he in any way try to -- Dr. McCauley  
13 influence you because Desire may have had a lawyer involved  
14 and what treatment you should provide, what your diagnosis  
15 ought to be, or are you independently assessing her at this  
16 point?

17 A Well, I'm a specialist so I appreciate chiropractors  
18 and physical therapists, but obviously they wouldn't send  
19 patients to me if the chiropractic treatment had been  
20 successful.

21 So at that point, it is the level of care steps up  
22 to the level of a specialist and therefore I conduct my own  
23 investigation, my own examination and so forth.

24 Q Right. And your note says she's complaining of  
25 ongoing headaches, left sided neck pain, shoulder pain,

1 shooting pain down the left arm and numbness into the left  
2 hand; do you see that?

3 A Yes.

4 Q Was that concerning to you clinically based upon,  
5 you know, she's about -- just shy of two months since her  
6 motor vehicle collision?

7 A It was a finding that one would not necessarily  
8 expect if you have just a soft tissue sprain/strain symptoms  
9 as we all know that sprain/strain symptoms when you pull the  
10 hamstring playing soccer or football, a typically self-  
11 limiting meaning after a period of time depending on age, four  
12 to six to eight weeks, those symptoms resolve. And as I  
13 pointed out, those symptoms typically do not present as  
14 shooting pain, numbness, tingling going down an extremity.

15 So it was my understanding that the patient's  
16 symptoms are due to an underlying structural problem in the  
17 spine rather than the soft tissue.

18 Q Okay. And did you have any medical evidence or any  
19 history that in the -- immediately before this collision of  
20 October 30th, 2015, that she was experiencing any of these  
21 symptoms of neck pain, headaches, left arm symptoms, numbness  
22 into the hand and fingers?

23 A When we asked the patient whether he -- or whether  
24 she had those similar such symptoms she was presenting with  
25 prior; we learned that she had a motor vehicle collision back

1 in 2010 and she had experienced low back pain but that  
2 conservative treatment that she had completed helped and it  
3 relieved her pain symptoms.

4 Q Okay. And was that 2010 incident, does that in any  
5 way explain any of these issues going on here?

6 A No.

7 Q Okay. And at the time of your physical exam -- and  
8 did you also do a physical examination at that time?

9 A Yes, sir.

10 Q Well, before we get there; was she on medication  
11 when she came to see you?

12 A She was taking a few medications such as Tramadol  
13 which is a very mild opioid narcotic medication. She was  
14 taking Flexural which is a muscle relaxer. She was taking  
15 Omeprazole which is a proton pump inhibitor for increased  
16 gastric acid production and she was taking -- she was taking  
17 Ibuprofen which is Motrin is an anti inflammatory.

18 Q Okay. And those are medications, they are what --  
19 what is Tramadol again?

20 A Tramadol is a very mild narcotic pain medication.

21 Q Okay. And Flexural?

22 A Flexural is a muscle relaxer.

23 Q Okay. Does that help control symptoms even while  
24 you're getting conservative care like chiropractor treatment?

25 A It's intended to be adjuvant therapy to help with

1 the symptoms, yes.

2 Q Okay. And what's the Omeprazole?

3 A Omeprazole is a proton pump inhibitor. I assume the  
4 patient is a little sensitive with her stomach and Ibuprofen  
5 can cause some increased gastric acid production and irritate  
6 -- can cause some gastritis like symptoms. So often, patients  
7 who take an anti inflammatory such as an Ibuprofen, they have  
8 been prescribed Pepcid, for example, which is an antacid, or  
9 omeprazole which is a proton pump inhibitor limiting the  
10 production of gastric acid.

11 Q Okay. And when she came to you, did she also have  
12 some diagnostic imaging, correct?

13 A That is correct.

14 Q And you looked at the imaging. Now, have you looked  
15 at the images yourself or [inaudible] reports?

16 A I am not sure whether I looked at the images --  
17 imaging myself. At some point I looked at the imagining but I  
18 don't know if I looked at it at that first visit.

19 Q I mean, during the course of your care, did you look  
20 at imaging?

21 A Yes.

22 Q Okay. Would you have looked at it before you did  
23 your injections? The jury learned you've done a couple sets  
24 of injections [inaudible] my opening statement.

25 A Yes.

1 Q Okay. So now let's talk about -- before we do that,  
2 what I'd like to do is talk about anatomy of the spine a  
3 little bit before -- then we're going to look at the imaging.

4 Can you step down and I want you to educate the jury  
5 on the anatomy of the spine, the various components of the  
6 spine and then we're going to come back and talk about the MRI  
7 of the cervical spine.

8 A Certainly.

9 MR. PRINCE: Is it okay with your Clerk?

10 THE CLERK: It's okay.

11 THE WITNESS: So this is a model of the vertebral  
12 column and let's see if you can see; this is actually the back  
13 of your head and the first part, the first set of vertebra  
14 comprising the cervical spine or the neck, the next 12  
15 vertebrae, the thoracic spine and the last 5 vertebrae the  
16 lumbar spine.

17 As you look at the size of those vertebrae you can  
18 probably appreciate those vertebrae are getting larger the  
19 further down we go in the spine. The reason being is the  
20 lower parts of the vertebral column has to absorb -- deal with  
21 a lot more stresses from the weight.

22 So the vertebral bodies, the bones are bigger than  
23 in the neck. But also what are bigger are the -- the discs.  
24 And what are discs? Discs are simple shock absorbers in  
25 between the bones, in between the vertebral bodies.

1           So the discs obviously in the cervical spine are  
2 much smaller than the discs in the thoracic spine and  
3 certainly a lot smaller than discs in the lumbar spine.

4 BY MR. PRINCE:

5           Q     Why is that?

6           A     As I pointed out, it has to do with the stresses,  
7 with the daily stresses that are being exerted onto the  
8 vertebral column, the stresses in the lower back are a lot  
9 higher because the lumbar segments carry essentially the upper  
10 torso whereas the cervical segments more or less just carry  
11 the head so there's a lot less weight and stress on those.

12                What we also see is these ilio structures here.  
13 These are the spinal nerves. The spinal nerves, you'll notice  
14 that they're coming off the spinal cord and they're very  
15 important because those are the spinal nerves. They run into  
16 our shoulder, into our arm, into our hands and/or in the mid  
17 back run across our torso in the lower back they run into our  
18 lower extremities.

19                And they provide motor function and they provide  
20 sensations such sensation to touch, but they can also provide  
21 pain if we get, you know, somebody steps on our toe or when we  
22 -- when we cook and burn our hand, so they are essentially  
23 also a relay for pain that goes into the spinal cord and then  
24 up to the brain.

25           Q     Okay. And we're going to talk -- I want you to



1 while we're here, while you have that in your hand --

2 MR. PRINCE: Brendan, can you put up Demonstrative

3 34?

4 BY MR. PRINCE:

5 Q We want to talk about the anatomy of a disc, the

6 structure of a disc.

7 A Yes. As I pointed out --

8 MR. PRINCE: You can't get a -- put up a

9 demonstrative [inaudible].

10 BY MR. PRINCE:

11 Q Okay. Tell us what we see.

12 A As I pointed out, this is -- this is a model of a

13 disc. And again, the disc is for shock absorber. But

14 actually part of the shock absorber is the nucleus pulposis.

15 This is like the jelly pad.

16 And this is the area that absorbs the energy whereas

17 the annulus fibrosis is the ring structure and that kind of

18 holds the nucleus pulposis, the jelly pad into place.

19 And the annulus is -- can be injured and that means

20 that part --

21 MR. PRINCE: 36, put up 36.

22 THE WITNESS: -- part --

23 BY MR. PRINCE:

24 Q I'm going to show you another one. Maybe you can

25 use this to help demonstrate [inaudible].

1           A       Yes. So what we see here is, again, the annulus and  
2 where we see that the integrity of the annulus is compromised  
3 and the nucleus that the picture before it was nicely and the  
4 middle of the disc it's now herniating through the annulus  
5 that has tears and the nucleus follows the path of least  
6 resistance because keeping in mind there's always like this  
7 pressure sensation coming from above.

8                       So it squeeze on, and an intact annulus keeps the  
9 nucleus in place and it can -- this form and kind of absorb  
10 the stresses. But if the annulus not intact it's being  
11 squeezed out here into the annulus. And you can see it's  
12 coming out here outside the annulus.

13                      What you see here is the spinal nerve. This is the  
14 spinal cord in the spine canal, the spinal cord will be this  
15 kind of thick structure that runs from top all the way to the  
16 lower segment in the lumbar spine.

17                      And off these spinal cords --

18                      MR. WINNER: I'm sorry to interrupt and maybe I need  
19 a clarification. I don't know if the doctor is just sort of  
20 discussing things generally, but I don't believe the doctor  
21 had an opinion about herniation and I don't --

22                      MR. PRINCE: [Inaudible].

23                      MR. WINNER: -- believe he looked at the MRIs based  
24 on what he --

25                      MR. PRINCE: He said he did -- he said he did during

1 the course of his treatment. And right now we're just  
2 explaining anatomy right now.

3 MR. WINNER: I think he did not, but if we're just  
4 explaining anatomy and not the particular patient, then that's  
5 explained.

6 MR. PRINCE: Well, we're going to talk about this.

7 BY MR. PRINCE:

8 Q Did you look at, at some point, Desire Evans-Waiiau's  
9 MRIs?

10 A Yes.

11 Q Okay. During your care?

12 A Yes.

13 Q Okay. All right. Keep going then, please.

14 THE COURT: Overruled. If that was an objection,  
15 I'm not really sure, but.

16 THE WITNESS: So this is first of all just an  
17 education of presentation.

18 Yeah, so once the annulus is -- loses its integrity  
19 the nucleus or part of the nucleus can kind of be squeezed out  
20 of the annulus onto the adjacent spinal nerve.

21 And what you see here that's red is inflammation.  
22 And we have found out in the laboratory when you put nuclear  
23 material on [inaudible] nerves and under the microscope and we  
24 had found out that this material is very inflammatory.

25 So the causes what we call like a chemical

1 irritation inflammatory response of that [inaudible].

2 Q Okay. And even if the nucleus material doesn't  
3 always leak out, can the -- with the annulus can it become  
4 protruded or bulging and that cause nerve root irritation?

5 A Yes, it can.

6 Q Okay. And why is inflammation so significant? Are  
7 you talking about nerve root irritation or inflammation?

8 A Inflammation per se causes pain and nerve root  
9 inflammation causes the inflammatory response in the nerve  
10 root and it causes symptoms such as pain, shooting pain,  
11 numbness, tingling motor weakness, all of the above.

12 Q Okay. And so now we're going to go back to your  
13 chart, Doctor, which is Bate No. 197 of Exhibit No. 47.

14 And we're going to be at the bottom it says MRI  
15 cervical spine, C5-6 disc bulge and a C6-7 bilateral disc  
16 protrusion effacing the C7 nerve roots. Tell us what you're  
17 talking about there.

18 A This bulge is just more or less a slight out  
19 pouching of an intact disc, of an intact annulus. Many people  
20 can get that over time and it doesn't have to be necessarily  
21 significant.

22 A disc protrusion is where there are some loss in  
23 integrity off the annulus where the nucleus, the core of the  
24 disc, the jelly pad protrudes into the annulus that is not  
25 intact. And the effacement of the nerve that's been seen is

1 essentially that this protruded part comes very close to the  
2 adjacent nerve root.

3 Q Okay. And that disc protrusion caused by the --  
4 like you said, the jelly pad, kind of herniating out towards  
5 the edges of it can that cause nerve root irritation producing  
6 symptoms down the arm and into the hand?

7 A It can -- it can cause nerve root irritation  
8 especially what we like to see is a correlation with the  
9 patient's symptom with a distribution --

10 Q Right.

11 A -- of that nerve root irritation, that complaint,  
12 how far the pain is going down into the extremity and then try  
13 to correlate it with the abnormality on the discs in a  
14 cervical spine. Just as rule of thumb, the higher the problem  
15 is in the cervical spine the higher the discs are that are  
16 affected, the less far those symptoms travel down into the  
17 arm, but the lower -- the discs are that are affected, the  
18 lower travel the symptoms into the upper extremity.

19 Q Right. Now, looking at the MRI of the left shoulder  
20 and it says that there's bone contusion of the lesser  
21 tuberosity. What was significant to you about the fact that  
22 she had a bone contusion on her left shoulder? What is that  
23 indicative of to you?

24 A A bone contusion in layman's terms is a bone bruise  
25 so when you play soccer and you get a kick at your chin it

1 gets bruised. That's a bone contusion. It's pretty painful.  
2 It usually resolves on its own. And but it's a sign of a  
3 impact of a more of an acute impact in that area.

4 Q Like a blunt force, like a blunt impact?

5 A Yes.

6 Q Okay. Would that be consistent with Ms. -- with  
7 Desire [inaudible] sudden impact with something inside of the  
8 car to cause a bone contusion?

9 A That could be, yes.

10 Q Okay. Is there any other history, a recent history  
11 in November of 2015, of any other trauma which would cause a  
12 bone contusion would make it more likely other than a motor  
13 vehicle accident?

14 A Not to my knowledge.

15 Q Okay. And Mr. Winner said in his opening statement  
16 that the MRI showed some kind of an impingement syndrom. Is  
17 there any impingement syndrome that you saw on the imaging at  
18 least as -- even as reported by the radiologist?

19 A While I'm not a orthopedic surgeon, I did not see  
20 that, an impingement syndrom. It was certainly an intact  
21 rotator cuff. There were no tears in the rotator cuff  
22 muscles.

23 Q Okay. And he also said that ligament structures  
24 around the rotator cuff in the joint, no problems there,  
25 right?

1           A     Those seem to be intact, yes.

2           Q     And that the labrum was also intact?

3           A     The labrum was -- there was no sign of any labral  
4     tear or slap tear.

5           Q     Okay. Now, based on the history and the MRI, we're  
6     kind of building our puzzle, our clinical correlation, is  
7     there history of neck pain and pain into the arm and notice  
8     into the hand, is that consistent with a disc protrusion  
9     effacing the nerve roots at C7?

10          A     That could be consistent. And I just want to point  
11     out, this finding is -- we have the -- the clinical  
12     complaints, we have the MRI finding that shows an abnormality  
13     at that level, and also we have the physical exam finding, so  
14     we're kind of tying these findings or these different pieces  
15     of the puzzle together. And then want to come up with a  
16     treatment plan to diagnose is it indeed that level that where  
17     we think the pathology is, is it indeed that area that causes  
18     the pain, and that's why we're doing the interventional  
19     injection.

20          Q     Right. And so we talked about the complaints that  
21     she came in with, we've talked now about the imaging, right,  
22     about the -- you see the disc protrusion at C6-7. Now I want  
23     to talk about your exam findings, kind of putting our pieces  
24     of the puzzle together, okay?

25          A     Yes.

1 Q We're going to go to 197.

2 MR. PRINCE: Brandon go to the cervical thoracic  
3 exam.

4 BY MR. PRINCE:

5 Q And can you tell the jury about your cervical  
6 physical examination and the findings on your examination?

7 A So the physical examination was obviously targeted  
8 to the chief complaints, the neck and the left shoulder. The  
9 neck revealed a restricted range of motion in all directions.  
10 She had tenderness in the area over the paraspinal muscles,  
11 the muscles in -- in the neck. She had some palpable spasms  
12 appreciated. There was a --

13 Q What does it mean to have a palpable spasm? What  
14 does that mean?

15 A You can feel a spasm, a tightness of a muscle versus  
16 a muscle that is not in spasm.

17 Q Okay.

18 A Because the muscle is contracted.

19 Q Okay.

20 A There was a positive axial compression test, meaning  
21 when we ask or when we push down on the head of the patient,  
22 it was causing pain in the neck, and that is often indicative  
23 of pain emanating from a structural part of the cervical spine  
24 such as a disc or a joint. She had a positive Spurling's  
25 sign. A Spurling's sign is a nerve root tension test, meaning



1 it's a test that is performed or used to see if there's nerve  
2 root irritation, such as pain, numbness, and tingling, and the  
3 way you do this is you ask the patient to look up and turn the  
4 head to either side, and then you push on top of the head.

5           And what you do is the idea is the nerve travels  
6 anatomically through a tight space. It's called the neural  
7 foramen. And if there is a narrowing from a protruded disc  
8 off that nerve and you performed this test, you create even a  
9 smaller space for that nerve. And if that nerve is irritated,  
10 then it reproduces the patient's symptoms of shooting symptoms  
11 down the arm. And that test was positive on the left side  
12 where she had the shooting pain down the arm, indicating that  
13 there was nerve root irritation.

14           Q     Okay. Now, you did an axial compression test, which  
15 is you applied the pressure. Is that consistent with the pain  
16 or symptoms coming from a disc?

17           A     Yes.

18           Q     And then you did the Spurling's test, which is what  
19 you just described where you kind of do something to narrow  
20 the hole where the nerve comes out. And that was positive on  
21 the left, consistent with her presenting complaint?

22           A     Right.

23           Q     Now, you also examined the shoulder; right?

24           A     Yes.

25           Q     Okay. Well, you know, let me stay on -- before you

1 go into this exam, I want to stay on did you do a neurological  
2 exam, as well?

3 A Yes.

4 MR. PRINCE: Okay. Let's go Bates No. 180, Brandon.  
5 The sensory exam.

6 BY MR. PRINCE:

7 Q Okay. And I want to focus on your sensory  
8 examination. It says perception of light touch and pin prick  
9 was diminished in the left C7 dermatome, pain follows the left  
10 C7 dermatomal distribution. What are you talking about there,  
11 Dr. Rosler?

12 A So each spinal nerve innervates a certain pattern on  
13 -- in our extremity. We call it a dermatome.

14 Q Here, I have the dermatome chart.

15 MR. PRINCE: Let's use 38, Brandon. Demonstrative  
16 38.

17 BY MR. PRINCE:

18 Q Here you can explain the dermatome. I'll go ahead  
19 and put it up so you can explain what that is. We're going to  
20 put up the dermatome chart.

21 A So this is a famous dermatome chart.

22 Q You can even stand up, if you wish, and maybe show  
23 the jury what you're talking about, I would presume.

24 A So these are the dermatomes that are innervated by  
25 spinal nerves. So this one would be --

1 Q What is a dermatome first?

2 A Dermatome is the area that is being innervated and  
3 affected by a spinal nerve.

4 Q Okay. Is it equal on both sides?

5 A Yes, it's equal. Plus we have spinal nerves coming  
6 out from both sides. So the dermatome No. 3 is up here, No.  
7 4, No. 5 goes into the upper trapezius, sometimes it goes a  
8 little bit into the biceps area. The C6 dermatome travels  
9 further down up into the thumb, forearm into the thumb. The  
10 C7 dermatome, the dermatome that we are talking about, travels  
11 all the way down the back of your arm into the -- into the  
12 index and middle finger and part of the fourth finger. And  
13 the C8 dermatome goes alongside the medial aspect of the arm,  
14 and it goes into the pinky and in part of the fourth finger.  
15 So this is kind of a dermatomal distribution.

16 And when you do a physical exam, you -- examination,  
17 the area of complaint, I have pain, I have tingling down my  
18 arm, you try to elicit any loss of sensation to a pinprick,  
19 and you also try to map out the pain, the shooting pain that's  
20 going on there. So that gives you an understanding, a rough  
21 understanding of the dermatome, and then you correlate this  
22 and try to correlate this with the abnormality of the MRI  
23 scan. Is there something that would irritate a nerve that  
24 follows that specific dermatome.

25 Q Now, when you -- you do a sensory exam, and here you

1 indicated your note says perception of line touch and pinprick  
2 was diminished in the left C7 dermatome. What -- what are you  
3 telling us?

4 A You essentially test a pinprick in this area of the  
5 C7 dermatome right here, right here. You touch it slightly.  
6 You use a little sharp object with little pinprick. And then  
7 you compare it to the other side because you have to have a  
8 comparison. And if patient states, yeah, I feel less on this  
9 side versus on the other side, then you know that there is a  
10 diminished sensation of -- to touch and pinprick.

11 Q Okay. And in this case you indicated that she had a  
12 decreased sensation following the C7 nerve root.

13 A Correct.

14 Q Is that consistent with the MRI image?

15 A It is consistent with the MRI image which show a  
16 disc protrusion effacing the C7 nerve root. It's consistent  
17 also with the fact that the patient had a positive Spurling's  
18 sign, indicating that there is something going on with the  
19 nerve root.

20 Q So it's not just her complaints. You're looking at  
21 imaging, your exam findings, and also now the -- the decrease  
22 in sensation in that same distribution?

23 A Yes.

24 Q Okay. Now, did you also examine the shoulder?

25 A Yes.

1           Q     Did you, as part of your examination and history,  
2 were you able to rule out that the shoulder was the  
3 explanation for these symptoms into the arm?

4           A     Well, the only way to rule it out is, in fact, to do  
5 a nerve block; right? And if the patient gets better with a  
6 nerve block that is affecting the C7 nerve, then you know you  
7 rule out the shoulder.

8           Q     Okay.

9           A     The shoulder can sometimes cause some symptoms,  
10 often nonspecific symptoms of radiating pain down the arm, but  
11 not very specific symptoms following a dermatome.

12          Q     Okay. So you're saying that as part of your -- the  
13 injection that you perform, these injections you perform, you  
14 can differentiate is it coming from the shoulder, is it coming  
15 from a nerve, coming from the neck?

16          A     That is correct.

17          Q     Is that one of the purposes of the testing?

18          A     That is one of the purposes. Right.

19          Q     Okay. And what I'd like to do is let's look at your  
20 impressions and your recommendations at the time of your  
21 initial evaluation. That's Bates No. 198. And let's go to  
22 the first impression. It says cervical sprain-strain status  
23 post motor vehicle accident with left mechanical neck pain,  
24 intrascapular pain, parascapular pain, with upper extremity  
25 radiculitis. Can you tell us what that means?

1           A     Well, what it means is the patient has certainly a  
2 sprain-strain symptomatology, head sprain-strain  
3 symptomatology, but there was still ongoing underlying what we  
4 call mechanical neck pain and that would -- which was also  
5 causing some radiating symptoms into the left upper extremity  
6 which we called radiculitis. Radiculitis means an  
7 inflammation, painful inflammation of a nerve root.

8           Q     Okay. And -- I want to talk about can you have a  
9 soft tissue injury to, you know, the muscles, tendons, and  
10 ligaments, and also a structural injury to the spine in  
11 connection with a motor vehicle collision, such as the one  
12 Desire described to you?

13          A     Typically, when the forces are enough to cause a  
14 structural injury, certainly causing the overlying  
15 sprain-strain symptoms, there's no doubt about it.

16          Q     Is it common that when you initially are treating a  
17 patient that you would, you know, initially treat them  
18 conservatively as if it's a sprain-strain. If it doesn't  
19 improve with time, then you kind of look at more structural  
20 issues or something more serious?

21          A     In general you do this unless there is a real  
22 significant finding right away that alerts you to something  
23 more serious right off the bat.

24          Q     Okay. Now, what was your second impression?

25          A     My second impression was that the patient was having

1 a sprain-strain of her shoulder, and also as a result of a  
2 traumatic event and, again, keeping in mind we know that there  
3 was a contusion, bone contusion, and that's typically the  
4 result of some blunt force.

5 Q And with regard to your findings, do you believe  
6 that those are consistent with the motor vehicle accident,  
7 your impressions, that Desire described to you that she was  
8 involved in October 30, 2015?

9 A Yes.

10 Q Okay. In terms of the onset of symptoms, the mere  
11 fact -- and as part of your practices and your training and  
12 experience, do you treat patients who have developed disc  
13 injuries that they don't report any symptoms or injury, let's  
14 say, at the scene of a motor vehicle collision? Do you see  
15 that in your practice?

16 A Well, we see that, you know, often. Even we see  
17 that in -- in sports where people break -- break a bone and  
18 they keep playing because of the adrenalin. Or the most  
19 extreme I always say is soldiers being shot in the -- in the  
20 field and they don't even know about it, and just lay there  
21 once the adrenalin kind of goes down and the stress goes down,  
22 then they become aware of the injury. So it's not uncommon  
23 that the patient does not feel the pain right away.

24 Q Okay. And part of your education, training, and  
25 experience, as well as treating people who have been involved

1 in either work related incidents or motor vehicle collisions  
2 or falls or otherwise, have you seen situations where they may  
3 not feel symptoms for hour or even a day or two before the  
4 full development of these symptoms occur?

5 A That is -- that is correct. And, again, what is  
6 pain? Pain is an unpleasant response as a result of a tissue  
7 injury. And there -- if there is a tissue injury, certain --  
8 certain biomechanical processes have to happen. We call it  
9 the inflammatory cascade, and that has to be initiated. And  
10 it takes -- sometimes it takes, you know, it can take hours to  
11 a few days where this -- the inflammatory cascade is being  
12 initiated and then the patient becomes aware. Again, the  
13 example, you play football on Sunday, you feel okay, and on  
14 Monday you're hurting all over. It just sometimes takes time  
15 for it to develop.

16 Q Okay. So the mere fact that someone doesn't report  
17 an injury at the scene or go by -- to the emergency room from  
18 the scene or go by ambulance, does that mean that they're not  
19 injured or don't have some sort of -- develop a discogenic  
20 injury that we're talking about in Desire's case?

21 A That is correct.

22 Q Okay. Now, let's talk about your recommendations.  
23 You said, number one, continue conservative treatment. What  
24 are you talking about there?

25 A With the chiropractic treatment.



1           Q     Okay. And you thought that in addition to your own  
2 management that she should continue with that?

3           A     Yes.

4           Q     Okay. And it says schedule patient for left CSNRV,  
5 rule out cervical discogenic symptomatology. What are you  
6 talking about there?

7           A     Well, my suspicion was that there was an irritation  
8 of the C7 nerve root that was responsible for her symptoms  
9 going down into her arm, into her hand, also correlating with  
10 the MRI finding. So my recommendation was to evaluate with an  
11 interventional injection if, indeed, this nerve root is, in  
12 fact, the pain generator, the culprit.

13          Q     Okay. Is this kind of your way of investigating  
14 where the pain was coming from so you can determine what's the  
15 -- what are the next steps for Desire?

16          A     Correct.

17          Q     Okay. And why did you select, number one, a  
18 left-sided C7 selective memory block?

19          A     Her symptoms were predominantly -- extremity  
20 symptoms were predominantly left-sided. She complained of  
21 left-sided pain and numbness, and the neurological examination  
22 showed diminished sensation to pinprick and touch and -- and  
23 pain following that left C7 dermatome we saw on the MRI scan.  
24 There is some disc pathology abnormality at that level, so it  
25 was reasonable to investigate is this indeed the culprit is

1 the C7 nerve root, and, therefore, the C6-7 intervertebral  
2 disc indeed pain generator based upon her symptoms.

3 Q Right. Were you doing this to diagnose a disc issue  
4 or provide a therapeutic benefit or, you know, control pain?

5 A It's more a hybrid. It's -- you obviously want the  
6 diagnosis, so you -- you use it as a diagnostic part, but also  
7 you hope with the addition of some small amount of  
8 corticosteroid to -- to provide some reduction in the  
9 inflammation, hopefully some improvement of pain to give her a  
10 therapeutic benefit from the injection.

11 Q Right. I'm going to put up a demonstrative slide I  
12 used during the opening statement. The two goals of spine  
13 injection is, one, to determine the source for the diagnostic  
14 part of it, and then the second part is the therapeutic part.  
15 Hopefully it reduces pain and inflammation and you can improve  
16 your quality of your life, improve your function.

17 A Yes.

18 Q Are those the two goals of the type of injections  
19 you were -- the type of injection you were recommending to  
20 Desire?

21 A Yes.

22 MR. PRINCE: Okay. And I'm going to Bates No. --  
23 Demonstrative 54, Brandon.

24 BY MR. PRINCE:

25 Q Can you maybe stand up and explain to us what --

1 what you're doing when you do a selective nerve root block and  
2 what it entails, then we're going to go -- then we'll go  
3 specifically to Desire's case.

4 A Well, the selective nerve root block, as it already  
5 says, selective, you go selectively after the nerve root that  
6 you suspect is the culprit. And you go after the nerve root  
7 with a needle, the spinal needle, we have specialized needles  
8 that are very thin and sharp, and from fluoroscopic guidance  
9 and place a small amount of medication right on that nerve  
10 root, mainly a numbing medication that you get when you go to  
11 the dentist, we call it lidocaine. And then a corticosteroid,  
12 again, that's a therapeutic component that hopes to diminish  
13 the inflammation around the nerve.

14 Q Okay. I want to kind of break this down for a  
15 second because it's in a courtroom. You talked about  
16 lidocaine. That's the numbing agent?

17 A Yes.

18 Q Why are you using lidocaine? Is that the diagnostic  
19 part of it?

20 A The numbing medication, as you know, when you go to  
21 the dentist it works right away. So that gives us a  
22 diagnostic result. The corticosteroid often takes about a day  
23 to start working because what it does, it doesn't number the  
24 area, it diminishes the inflammatory response so it has to  
25 kind of diffuse into the structure that is inflamed and cause

1 the anti-inflammatory action there. And that typically takes  
2 -- takes about a day and a few days to reach its peak effect.

3 Q How long does the -- does the numbing agent with the  
4 shutting off of the nerve, how long does that typically stay  
5 in effect?

6 A Typically it stays anywhere between, you know, 90  
7 minutes to a couple of hours. And, you know, we all went to  
8 the dentist and we got it and, you know, sometimes it takes  
9 until the evening, but a few hours I would say.

10 Q Now, the steroid agent, the steroid, you said that  
11 that usually kicks in within about a day or so. How long can  
12 that last, Dr. Rosler?

13 A Well, that is -- that is from each individual  
14 different, you know. It's probably -- I've had steroid  
15 injections in the joints. Perhaps it can last, you know,  
16 days, weeks, months, you know. It's -- there's no clear --

17 Q Is it highly variable between patients --

18 A It is.

19 Q -- about whether you get any benefit, you may get  
20 weeks or months of benefit --

21 A Yes.

22 Q -- and then sometimes nothing?

23 A Yes.

24 Q Okay. All right. Perfect. Thank you for doing  
25 that. Now, I want to talk about your -- your initial

1 injection, which was January 7, 2016.

2 MR. PRINCE: Brandon, that's part of Exhibit 47,  
3 Bates No. 199.

4 BY MR. PRINCE:

5 Q Now, you can -- the perioperative diagnosis and kind  
6 of down to the procedure --

7 MR. PRINCE: Brandon, right there. Perfect.

8 BY MR. PRINCE:

9 Q Now, first off, are you doing this to evaluate or to  
10 treat her soft tissue injuries to her cervical or lumbar or  
11 thoracic region?

12 A No, that would be malpractice.

13 Q Right. This is -- this is -- what is this directed  
14 at?

15 A This is directed to assess a underlying structural  
16 problem emanating from the spine, not from the soft tissue.

17 Q So even though you said she has a cervical sprain  
18 and strain, you're now investigating whether she has a  
19 discogenic problem; right?

20 A Correct.

21 Q So she has both?

22 A Correct.

23 Q All right. Now, tell us where this -- this -- the  
24 surgery center is known as Surgical Heart Center. So you have  
25 her go to a surgical center?

1           A     Yes.

2           Q     Okay. Describe the process for performing a  
3 selective nerve root block and what happens when the patient  
4 gets there from the time they arrive through the procedure.

5           A     Well, when you go to a surgery center, you're  
6 obviously being -- all the demographics is being, you know,  
7 taken and, you know, meet the preoperative nurse, go over your  
8 health, you know, make sure you're not on any medication that  
9 would be conflicting what we are doing, make sure your blood  
10 pressure is okay, your blood sure is okay if you're diabetic  
11 and so forth. And then we write the consent and, you know,  
12 you then --

13          Q     What are the risks associated with a selective nerve  
14 root block such as this?

15          A     Well, the most common risks or most common named  
16 risks are bleeding infection. Whenever you get a needle stuck  
17 in your body, that's always the risk of bleeding and  
18 infection. There's the risk of a nerve injury. Perhaps if  
19 you stick the needle right into the nerve and inject and  
20 you're not careful, there is the risk of vascular damage, of  
21 injecting into the vertebral artery and that could potentially  
22 cause stroke. There's the risk of injecting into the spinal  
23 cord, which would be detrimental. That could cause paralysis,  
24 tetraplegia. There is the risk if you inject too much numbing  
25 medication in -- in the area that it migrates up to the brain

1 and the patient loses consciousness and needs to be  
2 reanimated. So there -- these are procedures that should not  
3 be done and typically not be done by novices.

4 Q Okay. And here the preoperative diagnosis was the  
5 cervical straining with left-sided mechanical neck pain and  
6 left upper extremity radiculitis. Why did you record the  
7 preoperative score of 8 out of 10?

8 A Well, I --

9 Q Why is that important?

10 A Well, I -- it is important for us to know how the  
11 patient does the day before the injection. Now, I do not  
12 report that. That's been reported by the preoperative nurse  
13 because I want to be blinded. I don't want to know. I just  
14 want to get the facts, so the preoperative nurse documents the  
15 -- the preoperative pain score when she takes the patient in  
16 and -- and assesses the patient and asks the patient about her  
17 medication.

18 And also after the procedure, the postoperative  
19 nurse that is about to discharge the patient, and every  
20 surgery center, just like every hospital, has to fulfill  
21 certain discharge criteria to make sure the patient is  
22 hemodynamically stable, that the patient is not in severe  
23 pain, that the patient is oriented to time, place, and person.  
24 And only then the patient will be discharged into the hands of  
25 her driver. And at this time when the patient meets all these

1 criteria, the patient is being asked how are your symptoms now  
2 compared to when you came in? So that would be the  
3 postoperative pain score.

4 Q Okay. And tell us what you did that day.

5 A I did a left C7 selective nerve root block.

6 Q Okay. And it says you used sedation. Tell us what  
7 you mean by sedation.

8 A Sedation is -- I tell my patients sedation is  
9 voluntary. It doesn't have to be done. There's no -- to us  
10 it doesn't make a difference whether the patient has sedation  
11 or not. Some patients are just afraid. They said I freak out  
12 when I get stuck with a needle in my neck or back, kind of  
13 similar to a colonoscopy. You don't really need sedation for  
14 a colonoscopy. It's just very uncomfortable. So we offer  
15 this to the patient while we clearly stress that it is not  
16 necessary. But if the patient requests to be sedated, we're  
17 certainly not telling the patient, no, you can't be sedated,  
18 you have to undergo this and you have to endure it.

19 Q What does the -- what does the sedation do for them?

20 A The sedation typically kind of gets the patient in a  
21 twilight state where the patient is very, very relaxed and  
22 doesn't feel all that much of the procedure.

23 Q Okay. What sedation do you use as part of your  
24 practice?

25 A We typically use -- well, that differs, too, but you



1 typically use a sedative called propofol. Again, if people  
2 had colonoscopies in the past, it's that milky solution that's  
3 being injected. The advantage of that is that the patient --  
4 it has a very short half-life, so the patient comes to very  
5 quickly. It also doesn't interfere with the pain perception  
6 of the patient. It's not what we call an analgesic. It just  
7 simply kind of dims down the -- the brain, the perception of  
8 the patient, what's going on.

9 Q Does it relax them and reduce the anxiety so that  
10 you can perform the procedure safely?

11 A Right. Right.

12 Q Right. And as part of your training in the area of  
13 pain management, did you receive training on uses of sedation  
14 when it's -- when a patient might be anxious or might be  
15 nervous about the procedure?

16 A Absolutely.

17 Q Okay. Is it in widespread use?

18 A It is in widespread use here in our -- in our town.  
19 A lot of my colleagues use it. But, again, we use it at the  
20 request of the patient. We are not saying you have to have  
21 sedation, you have to do this, you know, there are patients  
22 that are just fine without -- without any sedation, but  
23 everyone is different.

24 Q Okay. And you said the sedation, the propofol,  
25 doesn't have any analgesic or any pain relieving effects?

1           A     That is correct.

2           Q     All right. So, then, after she's done with the  
3 procedure, now the score is down to 8, what does that tell you  
4 diagnostically her pain went from 8 to zero, Dr. Rosler?

5           A     That means -- what it means, there was no pain at  
6 the end, and that is contributed to a local anesthetic that  
7 was injected. Because what it does, the local anesthetic  
8 changes for a very short period of time the chemical  
9 composition of the nerve, so it causes a conduction block,  
10 meaning there is no pain transmitted on the -- in that nerve,  
11 and that causes the immediate relief of symptoms. But it only  
12 -- it only does that if you obviously target the right  
13 structure.

14          Q     Okay. And in this case, did you believe that that  
15 confirmed that the C6-7 disc was a source and cause of  
16 Desire's pain and symptoms into her neck and her arm.

17          A     That was my impression, yes.

18          Q     Okay. Was that based upon all the available  
19 clinical data or what she told, the history, examination, MRI  
20 findings, as well as now your results of your selective nerve  
21 root block?

22          A     Correct.

23          Q     Did you form an opinion what was the cause of that  
24 -- the soft tissue injuries, as well as the discogenic injury,  
25 did you form an opinion as to what the cause was?

1           A     Well, based upon the knowledge that I gathered, it  
2 was my understanding that the patient sustained a disc --  
3 traumatic induced disc injury with subsequent nerve root  
4 irritation which we call radiculitis, which was then  
5 successfully diagnosed with an injection.

6           Q     Okay. Caused by the motor vehicle collision of  
7 October 30, 2015?

8           A     That's my understanding. Yes.

9           Q     And now that you have the -- the selective nerve  
10 root block performed, is the shoulder an explanation for these  
11 arm symptoms based upon your selective nerve root block? Did  
12 you rule that out?

13          A     You rule that out and certainly, again, the shoulder  
14 does not explain a C7 radicular symptoms, it's just --  
15 anatomically it's just not. As I pointed out before, the  
16 actual -- the pain, you can have some nonspecific pain going  
17 down into the arm, but that would not respond to an isolated  
18 C7 selective nerve root block.

19          Q     Okay. And I guess there were some statements made  
20 during the opening statement that the shoulder could be the  
21 explanation for the symptoms down the arm and into the hand.  
22 Based on the selective nerve root block, did you rule the  
23 shoulder out as the cause?

24          A     Yes.

25          Q     Okay. Can you state that to a reasonable degree of

1 medical probability?

2 A Yes.

3 Q And beyond that are you certain?

4 A Yes.

5 Q All right. I want to now go to January 14, 2016, a  
6 return visit to you after the selective nerve root block.

7 MR. PRINCE: Bates No. 201. Brandon.

8 BY MR. PRINCE:

9 Q This is exactly a week later.

10 A Yes.

11 Q Okay. It says Desire returned to her follow up.  
12 She underwent a left C7 selective nerve root block,  
13 pre-procedure, 8 out of 10, post-procedure was zero out of 10.  
14 Patient reports she has cervical discomfort 1 to 2 out of 10.

15 A Yes.

16 Q What's significant about that to you, Dr. Rosler?  
17 She's come back, she's a week later, and she's -- her pain is  
18 very low now.

19 A She has sustained some therapeutic benefit from the  
20 injection that is not due to the lidocaine anymore, the  
21 numbing medication, because that has worn off, but that's due  
22 to the corticosteroid component of the injection solution.

23 Q And does that mean that the disc protrusion, that  
24 that's now repaired or fixed because you did the injection?  
25 Does that fix the underlying issue?

1           A     It didn't fix the underlying issue, but what it did,  
2 it reduced the inflammation that was caused by the underlying  
3 issue.

4           Q     Okay. Now, let's go to Bates No. 202. Let's look  
5 at the cervical thoracic exam. And it says cervical range of  
6 motion was full flexion, extension, bilateral rotation,  
7 bilateral bending, mild paraspinal discomfort. Is that an  
8 improvement, you know, from your initial exam findings in  
9 December after the injection on January 7th?

10          A     It was.

11          Q     Do you think there's a direct correlation to that  
12 improvement, now she's got a full range of motion, minimal  
13 discomfort, is that consistent with the steroid now taking  
14 effect and improving pain and function?

15          A     Yes.

16          Q     Okay. Do you see that in your practice?

17          A     We do see that.

18          Q     When you're -- when you're giving your patients  
19 their -- and discussing their options, medical options, do you  
20 discuss with them before you perform one of these procedures  
21 that there's a chance or a probability that your symptoms may  
22 return in either days, weeks, or months?

23          A     Yeah, well, we -- we -- we tell patients the  
24 injection can return the next day, the symptoms after the  
25 injection can return the next day, but at the very least we

1 have a diagnostic value and a diagnostic impression as to  
2 where the pain is coming from. So we can't guarantee that  
3 with this injection your pain will be gone for good. That's  
4 just not possible.

5 Q Right. Do you -- do you discuss with them or at  
6 least counsel them that there's a risk that it's going to  
7 return?

8 A Well, I tell the patient it's expected to return at  
9 some point.

10 Q Okay.

11 A Because --

12 Q Why do you tell them that?

13 A Because I want to be up-front with my patients and  
14 not give them any false hopes. But I say, you know, there's  
15 -- there's a good chance, and I expect that at some point the  
16 pain -- the pain will return because what we are doing, we're  
17 not fixing an underlying problem. We are not fixing a  
18 structural problem. We are dive -- we are trying to diagnose  
19 where the pain is coming from, and in the process of diagnose,  
20 saying we are trying to provide also a therapeutic benefit and  
21 that varies from patient to patient.

22 Q Okay. Let's go to your February 18, 2016, visit.

23 MR. PRINCE: 204. Can you get the date, Brandon, in  
24 there? And the -- just the whole -- there you go.

25 BY MR. PRINCE:

1 Q All right. So now we're a little more than a month,  
2 we're about a month and a week after you did your selective  
3 nerve root block. She comes back and she says, doctor, I'm  
4 symptom free in my cervical spine. You must have been pleased  
5 with that at that point.

6 A Yes.

7 Q Okay. Does that in your mind, even though she's  
8 symptom free, at that point did you believe in your mind, oh,  
9 I cured the disc problem, the underlying structural disc  
10 problem?

11 A Well, I have not because I have, in my  
12 recommendations, I have clearly expressed to the patient come  
13 back when the -- if the symptoms return.

14 Q Okay. And so in your examination that day --

15 MR. PRINCE: Go to Bates No. 205. Brandon, go  
16 through the cervical, thoracic, all the way down to the motor  
17 exam, Brandon. 205.

18 BY MR. PRINCE:

19 Q Okay. And how was she doing on the cervical exam  
20 that day after -- after undergoing the injection about five  
21 weeks earlier?

22 A She had essentially a normal physical examination  
23 finding which I was pleased to see that.

24 Q Okay. And how about the sensory exam?

25 A The sensory exam also then showed a reduction in the

1 inflammation and, therefore, a normal neurological  
2 examination.

3 Q So you're saying that the -- did the steroid reduce  
4 the inflammation which was causing the nerve root irritation,  
5 which was inducting her sensation down her arm under the  
6 dermatome we talked about earlier?

7 A Yes, and it took a little bit longer. In the visit  
8 before she reported significant improvement of her neck with  
9 some residual discomfort, but there was still some -- still  
10 some neurological findings in the -- in the nerve. But then  
11 with -- then the corticosteroid further exerted the  
12 anti-inflammatory action on those, on this particular nerve,  
13 and those nerve findings then subsided.

14 Q Okay. And what was your recommendation at that  
15 point?

16 MR. PRINCE: Brandon, go to the recommendation.

17 THE WITNESS: It was pointed out there was nothing  
18 else for me to do at this point. The patient seemed to be and  
19 was in good condition, and I told the patient to return if the  
20 symptoms return.

21 BY MR. PRINCE:

22 Q Okay. Now, I want you to go to your March 29, 2016,  
23 visit. It's the one that's about six weeks later.

24 MR. PRINCE: That's Bates No. 206. If you get --  
25 pull in the date for the history and compress all this. All



1 right.

2 BY MR. PRINCE:

3 Q All right. Now Desire is back. And what was her  
4 symptoms when she came back to see you on March 29, 2016?

5 A I think on -- unfortunately her symptoms returned  
6 with neck pain and also her arm symptoms also returned.

7 Q And the numbness?

8 A Arm symptoms, such as pain and numbness, yes.

9 Q Okay. And was that surprising to you that she came  
10 back with a return of these symptoms?

11 A It was not, as I pointed out earlier, I stated  
12 return, if those symptoms return, so it would not half  
13 surprise me at that point when she was fine that at some point  
14 those symptoms come back because we're dealing with a  
15 structural problem. We are not -- unfortunately not able to  
16 cure that. We are calling it a palliative treatment --

17 Q Okay.

18 A -- where we treat the pain, but not the underlying  
19 structural problem.

20 Q Right. Is it common or do you see it in your -- in  
21 your -- based on your training and experience and work in this  
22 area, is it -- do you see patients who initially had a good  
23 response to an injection like a selective nerve root block,  
24 and then a month or two later they come back to the clinic and  
25 the symptoms have returned?

1           A     I have seen that, yes.

2           Q     Do you see that common -- frequently?

3           A     It's -- it's frequently. It's due to the fact that

4 we're just with injections not correctly the -- the underlying

5 problem, the structural problem, but rather we are treating

6 the -- the symptoms.

7           Q     Right. Is there any evidence between, you know,

8 February 18th and March 29th of any intervening trauma, any

9 new injury, any -- anything new --

10          A     Not to my knowledge.

11          Q     -- that would explain the - the recurrence of these

12 symptoms?

13          A     Not to my knowledge.

14          Q     In your opinion, are these symptoms that she's

15 returning with in March 29, 2016, still once caused by the

16 motor vehicle collision of October 30, 2015?

17                   MR. WINNER: Foundation.

18 BY MR. PRINCE:

19          Q     Based upon all the evidence that you -- all the

20 data, your examination, the history, and everything?

21          A     Based -- based upon the data, based upon --

22                   MR. WINNER: Foundation objection.

23                   THE WITNESS: Based upon the --

24                   THE COURT: Approach please.

25                               (Bench conference)

1 THE COURT: What's the question again? Well, I  
2 guess wait until he gets here. Come on. Come on. Is this  
3 going to finish by 7:00?

4 MR. PRINCE: No. I'm going to go another 15  
5 minutes, we'll stop, and he'll have to come back another day,  
6 so -- so the -- because I have to deal now with the 2010  
7 issue. Now, he knew not to say it, but I've got to come back  
8 and deal with that. So I could have done -- that's over now.  
9 I've got to figure out how we can talk about -- when this guy  
10 I guess is going to come in, I'm going to have to do it with  
11 Garber, too. But on this issue, my question was the symptoms  
12 that she returned with in March 29, 2015<sup>6</sup>, were those the ones  
13 caused by the motor vehicle accident that we were talking  
14 about, October 30, 2015.

15 THE COURT: And your objection is foundation?

16 MR. WINNER: Foundation. Just that --

17 THE COURT: Explain it to me just a little bit more  
18 because --

19 MR. WINNER: Well, he said based on all of the  
20 evidence and all of the data about the return of symptoms. My  
21 foundation was he had limited data, it was kind of what she  
22 reported to him. Had the question been phrased a different  
23 way, I wouldn't have objected, but it was all of the  
24 evidence --

25 THE COURT: Can you just --

1 MR. WINNER: -- and all of the data.

2 THE COURT: -- rephrase it. If we're not finishing,  
3 is there any reason to not break right now at 6:30? Because  
4 you're going to keep going tomorrow?

5 MR. PRINCE: Not with him. I have to start a new  
6 witness. He'll have to come back another day. He can't come  
7 back tomorrow. So we're going to stop and --

8 MR. WINNER: My cross isn't going to be that long.

9 MR. PRINCE: He can't come back tomorrow.

10 MR. WINNER: But, I mean, my cross isn't going to be  
11 that long. If you could wrap it up, I'll do a pretty quick  
12 cross tonight.

13 MR. PRINCE: I won't be done by 7:00, so --

14 MR. WINNER: You said --

15 THE COURT: You won't be --

16 MR. WINNER: -- you had 15 --

17 THE COURT: -- done by 7:00?

18 MR. WINNER: -- minutes left; right?

19 MR. PRINCE: No, I said I wanted to go another 15  
20 minutes and then just break. I'm not going to be done in 30  
21 minutes, so --

22 THE COURT: Well, if we have to bring him back  
23 another day, then I'm just going to break. The sergeant is  
24 looking for my marshal. And so I thought -- my goal was to  
25 get him on and off --

1 MR. PRINCE: Yeah, no.  
2 THE COURT: -- so you didn't --  
3 MR. PRINCE: Not going to.  
4 THE COURT: -- have to bring him back.  
5 MR. PRINCE: No.  
6 THE COURT: So unless there's any compelling reason  
7 not to, I'm just going to call it for the day.  
8 MR. PRINCE: Well, let me just finish this question  
9 and then we can --  
10 THE COURT: Okay.  
11 MR. PRINCE: -- we can -- I'm fine to break.  
12 MR. WINNER: I need the question to be rephrased.  
13 Not to object to it, but it was based on all of the evidence,  
14 all of this, and all of this.  
15 THE COURT: Just rephrase it.  
16 MR. WINNER: It clearly doesn't have --  
17 MR. PRINCE: All right.  
18 MR. WINNER: Yeah.  
19 (End of bench conference)  
20 THE COURT: All right. I'll sustain it. Just  
21 rephrase the question, please.  
22 BY MR. PRINCE:  
23 Q Doctor, let me rephrase just to help us. Dr.  
24 Rosler, based upon your history of the present illness  
25 described to you by Desire Evans being involved in this motor

1 vehicle collision, her examination of findings, her response  
2 to treatment, chiropractic treatment, the selective nerve root  
3 block, the MRI imaging, the physical examination findings, is  
4 it your opinion that the return of these symptoms as of March  
5 29, 2016, that was caused by the motor vehicle collision we're  
6 talking about of October 30, 2015?

7 MR. WINNER: Based on what was reported to him, I  
8 withdraw my objection based on that.

9 THE COURT: You can answer.

10 THE WITNESS: Okay. Yes, it is my opinion the motor  
11 vehicle collision is caused, as I pointed out earlier, this  
12 traumatic disc injury that we diagnosed and treated to a  
13 certain degree successfully with the injection that lasted for  
14 a month, and then the pain came back. And what is important  
15 is the same symptoms came back, the same symptoms that she was  
16 presented with to me the first time around, those were not  
17 other symptoms that she was complaining. So more likely than  
18 not, these were the same.

19 MR. PRINCE: Judge, can I just -- I need to finish  
20 this note and we can be done.

21 THE COURT: Go ahead.

22 BY MR. PRINCE:

23 Q And then can we go to Bates No. 207, which is the --  
24 your exam findings of that day, March 29, 2016. And it says  
25 the -- these are exam findings changed from your visit in

1 February where she reported she was pain free and had -- you  
2 know, could move her neck freely with no pain?

3 A Those exam findings changed again. Yes, sir.

4 Q Did they change clinically significant in your mind?

5 A They changed and kind of reverted to the exam  
6 findings that she had prior during the first injection.

7 Q Okay. And did she have -- did she have restricted  
8 range of motion?

9 A That was documented.

10 Q And then so you had actual compression testing,  
11 which is the loading we talked about you did, was that present  
12 again?

13 A Yes.

14 Q And the Spurling's test, positive to the left, which  
15 is similar to her symptoms, was that also -- did that -- had  
16 that returned?

17 A Yes.

18 Q All right. And let's look at the sensory exam under  
19 your neurological examination. Was there now a change in her  
20 sensory exam from where she was in February after your initial  
21 injection?

22 A Yes.

23 Q And what did you find on March 29, 2016?

24 A Essentially, the same finding, that the perception  
25 to pinprick and touch was diminished and pain was following

1 the same dermatome, the C7 dermatome on the left.

2 Q And what was your recommendation to her that day?

3 A My recommendation was based upon her good response,  
4 initial good response from the injection, I recommended  
5 consideration for a repeat injection, the very same injection  
6 again, in the hopes that we perhaps obtain a longer  
7 therapeutic benefit.

8 Q Okay. And did you perform that injection on March  
9 -- April 11, 2016? Bates No. 209.

10 A Yes.

11 MR. PRINCE: Okay. Can you go to that Brandon.  
12 And we'll stop after this, Judge.

13 MR. WINNER: Did you say 2009?

14 MR. PRINCE: 209.

15 MR. WINNER: Oh.

16 MR. PRINCE: That's the Bates number.

17 BY MR. PRINCE:

18 Q And the -- what was the pre-procedure pain score?

19 A It was an 8.

20 Q What did it reduce down to after the procedure?

21 A To a zero.

22 Q What did that indicate to you?

23 A It confirmed what it indicated the first time  
24 around, that the lidocaine, the numbing medication was, again,  
25 accurately pinpointing the -- the area where the pain was



1 coming from.

2 Q Okay. And at this point --

3 MR. PRINCE: I need to just follow up with one --  
4 one more note, Judge.

5 BY MR. PRINCE:

6 Q Go to your April 26, 2016, note. Bates No. 211.

7 A Yes.

8 Q And this is 15 days or two weeks after you perform  
9 your procedure. How is she doing there? Did she have the  
10 same type of response that she did the first time?

11 A She -- unfortunately not. She had some relief of  
12 pain. I mean, initial from 8 to a zero from the local  
13 anesthetic, then the pain went down to a 5. She still have  
14 left arm symptoms.

15 Q Okay. So she didn't have the same amount of  
16 therapeutic benefit as the first time?

17 A Correct.

18 Q Okay. And if we can go to Bates No. 212. I want to  
19 talk about your recommendations now, how they change, and then  
20 we can stop here after this. And it says, number one,  
21 neurosurgical consult for discogenic neck pain. Why are you  
22 recommending her to go see a neurosurgeon?

23 A The patient had obviously a discogenic  
24 symptomatology, discogenic neck pain. It's often warrant --  
25 often warrants a surgical consultation in an attempt to

1 perhaps relieve that pain emanating from that particular disc  
2 through surgical means, and that obviously has to be evaluated  
3 and recommended by a surgeon. So my recommendation was at  
4 this point in time there is nothing else that I can offer in  
5 terms of interventional treatment. It would not make sense,  
6 another injection there. So, again, it's a stepwise process  
7 in the care of the patients. And my recommendation was have  
8 the patient -- refer the patient on to a neurosurgeon, see  
9 what he has to say, and go from there.

10 Q Did the chiropractor suggest that you refer her to a  
11 neurosurgeon, or was that your own independent judgment?

12 A No, it was my independent judgment based upon the  
13 diagnostic data that I have gathered based upon my experience.

14 Q Right. And certainly the lawyers don't influence  
15 your -- whether you make some -- refer someone to a  
16 neurosurgeon or not; right? You make that independent  
17 judgment?

18 A That is my independent judgment because it's part of  
19 my medical practice and my responsibility to do the best for  
20 the patient.

21 MR. PRINCE: All right. Okay. We're at a good  
22 breaking point, Judge.

23 THE COURT: Okay. Ladies and gentlemen, it looks  
24 like -- we were going to push until 7:00 in the hopes of  
25 finishing this witness, but even if we stay until 7:00, we're

1 not going to finish, so we're going to call it.

2 MR. PRINCE: Dr. Rosler is going to come back. And

3 he won't be coming back tomorrow, but another day sometime

4 this week, or early next --

5 THE COURT: Okay.

6 MR. PRINCE: -- to finish up.

7 THE WITNESS: Next week I'm out of the country.

8 MR. PRINCE: Okay. We'll talk.

9 MR. WINNER: Can you not finish?

10 MR. PRINCE: With Dr. Rosler?

11 MR. WINNER: Yeah.

12 MR. PRINCE: No.

13 THE COURT: And my understanding from counsel, both

14 counsel, you wouldn't even finish by 7:00.

15 MR. PRINCE: No.

16 THE COURT: Let alone cross. Okay.

17 MR. WINNER: My cross will be short.

18 THE COURT: Well, but if he's not done until 7:00,

19 that -- you're not going to get cross because he's going to

20 get --

21 MR. WINNER: I understand you have to -- well, I'll

22 defer to the Court, but if we want to finish --

23 THE COURT: Well, I said 7:00. If 7:00 doesn't

24 finish it --

25 MR. PRINCE: It doesn't.

1 THE COURT: -- and Mr. Prince indicated to me that  
2 he was going to go past 7:00 alone.

3 MR. PRINCE: Right. That's true.

4 THE WITNESS: I can't come back. I have patients  
5 scheduled. I was supposed to be here yesterday. I have  
6 patients scheduled.

7 THE COURT: All right. Counsel approach.

8 (Bench conference)

9 MR. PRINCE: Can you come back Thursday?

10 THE WITNESS: [Indiscernible] procedures in the  
11 afternoon. I already cut my procedures short on Monday.

12 THE COURT: I'm not keeping them past 7:00.

13 MR. PRINCE: Okay.

14 MR. WINNER: Okay.

15 THE COURT: I told them -- they're glazing over  
16 anyway.

17 MR. PRINCE: Okay.

18 MS. LORELLI: Can we go 20 minutes of cross if he  
19 can't come back.

20 MR. PRINCE: There's no way he can. Can you come on  
21 Thursday?

22 MR. HENRIOD: Can we ask the --

23 THE COURT: What?

24 MR. HENRIOD: -- the jurors if they'd be willing to?

25 THE COURT: To what, stay longer?

1 MS. LORELLI: Or can we do our 20 minutes of cross  
2 before he's not available and out of the country?

3 MR. PRINCE: Well, let me -- I'm just not going to  
4 be done, Judge. I don't know what to say. I don't know.

5 THE COURT: I don't either. I was told that if we  
6 stayed until 7:00 you would be able to finish this witness.  
7 I'm not sure what I'm supposed to do here.

8 MR. PRINCE: Well, let me -- let me -- how much  
9 cross-examination do you have?

10 MR. WINNER: 15 minutes.

11 (End of bench conference)

12 THE COURT: Ladies and gentlemen, if you had to stay  
13 after 7:00, would that be a hardship for someone?

14 JUROR: Yeah.

15 THE COURT: Okay. That's -- that's good enough. I  
16 not going to do it.

17 (Bench conference)

18 THE COURT: So you all figure it out.

19 MR. PRINCE: Can you do what you can to help with  
20 that?

21 THE COURT: Can't we just order him and let -- they  
22 don't do that here?

23 MR. WINNER: Can you just order him what?

24 THE COURT: Order him to come back.

25 MR. PRINCE: He's got to deal with his patients.

1 THE COURT: I heard he has a deposition. I can  
2 order him to reschedule that, can I not?

3 MR. RAY: I have patients tomorrow after deposition  
4 Thursday.

5 MR. PRINCE: What time is your deposition tomorrow?

6 THE WITNESS: In the morning. In the morning after  
7 that I have patients scheduled.

8 MR. WINNER: Is it with me? I'll cancel it.

9 THE WITNESS: I had your deposition today.

10 MR. PRINCE: Well, can you -- can you come Thursday?  
11 What time is your deposition on Thursday?

12 THE WITNESS: From 8:00 -- from 7:00 to 8:00.

13 MR. PRINCE: Yeah, no we start -- what's your  
14 availability on Thursday?

15 MR. WINNER: 7:00 a.m. to 8:00 a.m.?

16 THE WITNESS: 7:00 a.m. to 8:00 a.m.

17 MR. WINNER: Oh. Okay.

18 THE WITNESS: So I have to be at the surgery center  
19 at 1:00.

20 THE COURT: What if we come in at 11:00 on Thursday,  
21 and you guys will only get two hours. And you're going to  
22 get --

23 MR. PRINCE: Yeah, we will. We will for sure.  
24 Yeah.

25 MR. WINNER: 11:00 a.m. Thursday?

1 THE WITNESS: I can come in tomorrow at 11:00.  
2 THE COURT: Okay.  
3 MR. PRINCE: Fine.  
4 THE COURT: Well, if the jury --  
5 (End of bench conference)  
6 THE COURT: Ladies and gentlemen, can you come back  
7 at 11:00 tomorrow? Yeah? Okay.  
8 JUROR NO. 4: So I do have a conflicting thing sort  
9 of, but I wouldn't want to suck up everybody else's time that  
10 agreed to be here. My daughter is doing like a 5th grade  
11 promotional ceremony.  
12 THE COURT: What time is that?  
13 JUROR NO. 4: It's at 2:00.  
14 THE COURT: At 2:00 tomorrow? But you weren't going  
15 to be there anyway. I mean, I don't --  
16 JUROR NO. 4: It's okay. I was just asking. I will  
17 be here.  
18 THE COURT: Oh, you're killing me. Well, we didn't  
19 know about that, did we?  
20 JUROR NO. 4: No. It's okay. I was just asking.  
21 So I will still be here.  
22 THE COURT: How far away do you live or is the  
23 school?  
24 JUROR NO. 4: Silverado Ranch area.  
25 THE COURT: I am --

1 JUROR NO. 4: It's okay.

2 THE COURT: -- so sorry.

3 JUROR NO. 4: It's okay. It's okay for me to miss  
4 it. I've been at everything else. I already talked to her  
5 ahead of time so she knows that I am committed to this and  
6 probably can't be there tomorrow. It's not high school  
7 graduation. It's not college graduation. It's okay.

8 THE COURT: All right. Well, I -- if I had known  
9 ahead of time, we could have worked around it or something,  
10 but the way we're doing it right now, we just --

11 JUROR NO. 4: It is totally okay.

12 THE COURT: Okay. So 11:00 doesn't hurt you any  
13 more than 1:00 does.

14 JUROR NO. 4: That's fine.

15 THE COURT: All right. So we're going to take our  
16 evening recess right now. We're going to ask you all to be  
17 back here -- I'd like you to be back by 10:45-ish so we can  
18 hit -- hit it at 11:00. I mean, if you're not, I'm not going  
19 to bring you in until 11:00, so I'm telling you that, but I'm  
20 really saying 10:45, meaning I want to get in our chairs by  
21 11:00, okay.

22 So during the recess you're admonished not to talk  
23 or converse amongst yourselves or with anyone else on any  
24 subject connected to this trial, or read, watch, or listen to  
25 any report of or commentary on the trial of any person



1 connected with this trial by any medium of information,  
2 including, without limitation, newspapers, television, the  
3 Internet, and radio, or form or express any opinion on any  
4 subject connected to the trial until the case is finally  
5 submitted to you. Okay.

6 (Jury recessed at 6:46 P.M.)

7 THE COURT: All right. Here's the deal, though,  
8 tomorrow. I would appreciate it if, Mr. Prince, you would  
9 finish up so that we can --

10 MR. PRINCE: We will.

11 THE COURT: You need to leave by what time, Doctor,  
12 tomorrow?

13 THE WITNESS: By noon so I can get my 1:00 clinic.

14 THE COURT: Noon? I thought you said 2:00.

15 MR. WINNER: I'm sorry. Did you say by 1:00?

16 THE WITNESS: By noon.

17 MR. WINNER: Oh.

18 THE COURT: I thought you said 2:00.

19 THE WITNESS: Well, maybe 12:30.

20 THE COURT: Here's the deal. I'm going to keep you  
21 until they finish as long as they're reasonable, okay.

22 THE WITNESS: Okay.

23 THE COURT: So just make whatever --

24 THE WITNESS: Well, my 1:00, my clinic starts at  
25 1:00.

1           MR. PRINCE: We're going to do our best to get you  
2 there, Dr. Rosler.

3           THE COURT: Make whatever accommodations you need,  
4 but I can't give Mr. Prince five hours and 20 minutes to the  
5 other side.

6           THE WITNESS: Absolutely. Absolutely.

7           THE COURT: So if he keeps going, we're going to  
8 have a problem.

9           THE WITNESS: [Indiscernible].

10          THE COURT: No, I'm going to keep you here. Once  
11 you're here -- where's my marshal guy?

12          THE WITNESS: And he promised to protect me from my  
13 patients.

14          THE COURT: Talk fast. That what I'm saying.

15          MR. PRINCE: Okay.

16          THE COURT: All right. We'll see you all tomorrow.  
17 Do we need to -- well, I can't come back early because I have  
18 a calendar. So I don't know if anybody is filing any briefs  
19 or whatnot, but --

20          MR. HENRIOD: I mean, do we -- do we need to file  
21 one on the 2010 --

22          MR. PRINCE: No, that's over now.

23          MR. HENRIOD: -- accident?

24          MR. PRINCE: It's dead. I don't know how to even  
25 deal with that now after he says that; right?

1           THE COURT: That's up to you. I just sit here.  
2           MR. HENRIOD: I don't -- I'm not filing  
3 [indiscernible].  
4           THE COURT: My only question was if you were going  
5 to brief the issue of the second surgery. That's what I want  
6 to know about. Or are you all rolling over on -- have you  
7 come to an agreement?  
8           MR. HENRIOD: On another \$300,000? No.  
9           THE COURT: A girl can dream; right? All right. So  
10 when are we going to have those briefs?  
11          MR. HENRIOD: Midday tomorrow. I mean, if you need  
12 them earlier, they --  
13          THE COURT: Well, no, as long as I don't have to  
14 make any rulings on anything before then. We -- we have  
15 enough to keep going all day tomorrow and Thursday without  
16 addressing that issue, the second surgery?  
17          MR. PRINCE: Well, tomorrow we have to address it  
18 because there doctor is going to talk about it.  
19          THE COURT: Which doctor?  
20          MR. HENRIOD: Okay. So then -- all right.  
21          MS. LORELLI: Garber.  
22          THE COURT: Not this guy?  
23          MR. PRINCE: Garber. Garber.  
24          THE COURT: What time does Garber go?  
25          MR. PRINCE: Right after 1:00. As soon as we're

1 ready. If we were going to take a lunch break, then he'll be  
2 in.

3 THE COURT: Oh, I'm going to have to give my -- my  
4 people a lunch break because we'll be here all day.

5 MR. PRINCE: As soon as -- as soon as we're -- the  
6 next witness after.

7 THE COURT: Finish him and then we'll take a break.  
8 So I need my stuff before them, yeah.

9 MR. PRINCE: The only issue is the cost. I mean,  
10 part of the prognosis is about the three-level. I mean, she's  
11 going to undergo that in her lifetime. Pain, suffering, all  
12 the pain stuff, that's part of what he does as a treating  
13 physician. It's just a cost issue.

14 THE COURT: I don't know. If it's an expert I need  
15 -- if it's an expert, I need to see it in a report that was  
16 turned over. If it's -- was my understanding.

17 MR. PRINCE: But he's also a treating physician.  
18 They don't have that in a document in a report.

19 THE COURT: I understand that, but if you're going  
20 to get to compensation, my understanding is it's got to be put  
21 up front.

22 MR. PRINCE: The numeric value of like the surgical  
23 cost. That's -- that's --

24 MR. HENRIOD: I think those overlap a little bit.  
25 We can brief it.

1           THE COURT: Agreed. You know what, it's going to be  
2 a ten-page max on those, guys. And that's with exhibits, so  
3 choose your selections carefully. I'm reading the first ten  
4 pages.

5           (Court recessed at 6:50 P.M., until Wednesday,  
6                               May 22, 2019, at 11:00 A.M.)  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

|                                                             |     |
|-------------------------------------------------------------|-----|
| PLAINTIFF EVANS-WAIAU'S OPENING STATEMENT BY MR. PRINCE.. . | 30  |
| PLAINTIFF PARRA'S OPENING STATEMENT BY MR. DEGREE.. . . .   | 82  |
| DEFENDANT'S OPENING STATEMENT BY MR. WINNER.. . . . .       | 134 |

WITNESSES

|             |               |              |                 |                |
|-------------|---------------|--------------|-----------------|----------------|
| <u>NAME</u> | <u>DIRECT</u> | <u>CROSS</u> | <u>REDIRECT</u> | <u>RECROSS</u> |
|-------------|---------------|--------------|-----------------|----------------|

PLAINTIFFS' WITNESS:

|                 |     |  |  |  |
|-----------------|-----|--|--|--|
| Dr. Jorg Rosler | 173 |  |  |  |
|-----------------|-----|--|--|--|

\* \* \* \* \*


EXHIBITS

|                    |                 |
|--------------------|-----------------|
| <u>DESCRIPTION</u> | <u>ADMITTED</u> |
|--------------------|-----------------|

(No exhibits admitted)

\* \* \* \* \*

ATTEST: Pursuant to Rule 3C(d) of the Nevada Rules of Appellate Procedure, I acknowledge that this is a rough draft transcript, expeditiously prepared, not proofread, corrected or certified to be an accurate transcript.


A handwritten signature in blue ink that reads "Julie Lord". The signature is written in a cursive, flowing style.

---

VERBATIM DIGITAL REPORTING, LLC

13





RTRAN

DISTRICT COURT  
CLARK COUNTY, NEVADA  
\* \* \* \* \*

|                             |   |                        |
|-----------------------------|---|------------------------|
| DESIRE EVANS-WAIAU, et al., | ) |                        |
|                             | ) |                        |
| Plaintiffs,                 | ) | CASE NO. A-16-736457-C |
|                             | ) |                        |
| vs.                         | ) | DEPT. NO. XVIII        |
|                             | ) |                        |
| BABYLYN TATE,               | ) |                        |
|                             | ) |                        |
| Defendant.                  | ) |                        |
|                             | ) |                        |

BEFORE THE HONORABLE MARY KAY HOLTHUS, DISTRICT COURT JUDGE

WEDNESDAY, MAY 22, 2019

**RECORDER'S ROUGH DRAFT TRANSCRIPT OF:  
JURY TRIAL - DAY 7**

APPEARANCES:

|                     |                          |
|---------------------|--------------------------|
| FOR THE PLAINTIFFS: | DENNIS M. PRINCE, ESQ.   |
|                     | JACK F. DEGREE, ESQ.     |
| FOR THE DEFENDANT:  | THOMAS E. WINNER, ESQ.   |
|                     | JOEL D. HENRIOD, ESQ.    |
|                     | CAITLIN J. LORELLI, ESQ. |

RECORDED BY: YVETTE SISON, COURT RECORDER  
TRANSCRIBED BY: VERBATIM DIGITAL REPORTING, LLC

1                   LAS VEGAS, NEVADA, WEDNESDAY, MAY 22, 2019

2                   (Case called at 11:03 A.M.)

3                   (Outside the presence of the jury)

4                   THE COURT:   Okay, ready?

5                   MR. PRINCE:   Yeah.

6                   THE COURT:   We'll talk about that stuff later.

7                   We're going to do the jury and the expert now.

8                   MR. PRINCE:   Okay.

9                   THE COURT:   I assume this is not coming up in this,  
10                   right?   The second surgery is not -- has nothing to do with  
11                   this witness?

12                   MR. PRINCE:   He is going to address the 2010 issue  
13                   now.   We actually -- we've given the exhibit -- we're now  
14                   marked as Exhibit 81 and we're going to just move for the  
15                   admission of those exhibits -- those records.

16                   THE COURT:   Any objection?

17                   MR. WINNER:   No, that's all the 2010 records?

18                   MR. PRINCE:   I took out the medical lien and a  
19                   couple other things from the 2010, I mean, that sort of thing,  
20                   like her identification, and that sort of thing.   But had  
21                   [inaudible] identification on it.

22                   MR. WINNER:   But all the --

23                   MR. PRINCE:   All the --

24                   MR. WINNER:   -- medical records?

25                   MR. PRINCE:   All the medical records, yes.

1 MR. WINNER: Okay. So the radiculopathy and the --  
2 that stuff.  
3 MR. PRINCE: Possible, yes.  
4 MR. WINNER: Possible radiculopathy. Okay.  
5 MR. PRINCE: Yes. Yes, the answer is yes.  
6 (Pause in the proceedings)  
7 THE COURT: Are you ready?  
8 MR. PRINCE: We're ready.  
9 THE COURT: Okay.  
10 (Pause in the proceedings)  
11 THE MARSHAL: All rise for the entering jury.  
12 (Jury enters at 11:05 A.M.)  
13 THE COURT: Do parties stipulate to the presence of  
14 the jury?  
15 MR. PRINCE: Yes.  
16 THE COURT: Mr. Winner?  
17 MR. WINNER: Yes, thank you.  
18 THE COURT: Welcome back, ladies and gentlemen.  
19 Everybody ready? Let's go. Mr. Prince?  
20 MR. PRINCE: Yes.  
21 All right.  
22 THE COURT: I'll remind you, you're still under  
23 oath.  
24 THE WITNESS: Yes.  
25 //

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

(Pause in the proceedings)

DR. HANS JORG ROSLER, PLAINTIFFS' WITNESS, PREVIOUSLY SWORN  
DIRECT EXAMINATION (RESUMED)

BY MR. PRINCE:

Q Okay. Dr. Rosler, thank you for your time and coming back today. And let's -- I kind of want to recap since we kind of went late last night. And let me just make sure everybody -- we're all on the same page.

You did -- on January 7th, 2016, you did a selective nerve root block which took away all of Desire's neck and arm pain?

A Yes.

Q And how long did that last for?

A Well, on a follow-up visit on 1/14 she had very minimal cervical discomfort.

Q Okay.

A That's a month later. Two months later on 2/18/2018 she had -- she was essentially symptom-free in her cervical spine. And was recommended to return as needed.

Q Okay.

A And then on March 29th, 2016, she returned back having the symptoms again with radiating pain into her left arm and hand with numbness.

Q Okay. And when her symptoms returned on March 29th, 2016, did that come as a surprise to you or is that something

1 you see frequently in your practice after you do a selective  
2 nerve root block that you'll see a return of symptoms?

3 A We do see this commonly and it is contributed to the  
4 wearing off of the actual therapeutic agent, corticosteroid.

5 Q Okay. And when we were talking last we kind of --  
6 I'm just going to make sure we recap to ourself.

7 When she came back on March 29th, 2016 --

8 MR. PRINCE: That's Bate No. 206, Brendon of Exhibit  
9 47.

10 BY MR. PRINCE:

11 Q You did an examination that day, correct?

12 MR. PRINCE: Brendon, pick the date of the present  
13 illness.

14 THE WITNESS: That is correct.

15 BY MR. PRINCE:

16 Q Okay. And with respect to her examination findings,  
17 which is on page number 207

18 MR. PRINCE: Use the whole -- all the way through  
19 the motor, Brendon, [inaudible].

20 BY MR. PRINCE:

21 Q Okay. So let's kind of just briefly summarize; did  
22 you have positive findings of the neck on that day?

23 A She presented with a -- again, with positive  
24 findings of restricted range of motion, the tenderness to  
25 palpation in the neck area as well as a positive axial

1 compression test and positive Spurling sign to the left side  
2 which are orthopedic tests.

3 Q Right. Did she again present with a sensory  
4 deficit?

5 A She presented again with diminished sensation to  
6 light touch and pin prick following the C7 dermatome --

7 Q Okay.

8 A -- on the left.

9 Q Now, is that similar to the findings you had at the  
10 time of your initial visit?

11 A Yes.

12 Q Would you have tested both arms on March 29, 2016,  
13 like you talked about before so you have some kind of a  
14 control level to see where you're at?

15 A Yeah. Neurological examination is for both  
16 extremities but you obviously document the positive finding of  
17 the affected extremity.

18 Q And you talked about just kind of as part of a  
19 recap, on April 11th, 2016, you also performed another set of  
20 selective nerve root blocks on --

21 MR. PRINCE: It's Bates No. 209, Brendon.

22 BY MR. PRINCE:

23 Q And she went -- she had went from a preoperative  
24 score of 8 down to zero.

25 A Correct.

1 Q Was that, again, diagnostic of a discogenic problem  
2 at the C6-7 level?

3 A It was kind of a confirmatory diagnostic value or a  
4 result that confirmed the initial response from the first  
5 injection.

6 Q Okay. And I think when we left off we were talking  
7 about your April 26th, 2016 visit, which is about two weeks  
8 later after that second of a confirmatory injection and she  
9 was telling you that --

10 MR. PRINCE: It's Bate No. 211, Brendon.

11 BY MR. PRINCE:

12 Q -- that she came back and her pain level was about a  
13 5 out of 10 with left arm pain.

14 A Correct.

15 Q Okay. So she didn't have the same therapeutic  
16 benefit that she did before?

17 A That is correct.

18 Q Okay. Now, as of that -- the April 26th, 2016, had  
19 you confirmed or ruled in through your diagnostic injections  
20 imagining, meaning, MRI, examination and history, a discogenic  
21 problem at the C6-7 level?

22 A That was confirmed with the -- with the two  
23 diagnostic injections, yes.

24 Q And do you have an opinion as to what caused the  
25 discogenic problem with the neck pain and the arm pain that

1 we've been talking about?

2 A Based upon my knowledge, it was caused from a  
3 traumatic disc injury that subsequently developed the pain  
4 symptomatology.

5 Q What caused the traumatic disc injury, this motor  
6 vehicle collision?

7 A The motor vehicle collision.

8 Q Okay. And Doctor, you said yesterday, but that you  
9 referred her for a neurosurgical consultation that day.

10 A Correct.

11 Q And realizing that she's only 24-years old, in April  
12 of 2016, was that a decision that you have struggled with in  
13 terms of like, hey, I'm sending a 24-year old to a surgeon  
14 because she potentially has a surgical problem?

15 A Well, obviously, you consider the age of a patient  
16 in your decision-making. We have diagnosed and was confident  
17 with my diagnosis of discogenic neck pain. We -- she was  
18 provided with two injections that unfortunately were not  
19 providing her sustained therapeutic benefit.

20 The next step in further treatment course of the  
21 patient is a surgical, neurosurgical evaluation that doesn't  
22 necessarily mean that you want to turf off that patient to a  
23 surgeon, but you want to get another set of eyes from another  
24 specialist onto this case and go from there.

25 Q Right. And just so we're clear, why not just -- now



1 you've confirmed she's got a structural disc injury at C6-7.  
2 Why not just send her back to the chiropractor for more  
3 chiropractic treatment or she -- it sounds like she got some  
4 benefit, at least for some period of time from that. Why  
5 didn't you just send her back to the chiropractor? Is that  
6 going to fix this problem?

7 A Chiropractic treatment and physical therapy is  
8 intended to address soft tissue injuries. We are talking  
9 about a structural problem, a disc that is protruding, that is  
10 irritating causing a radiculitis, radiculopathy, meaning, a  
11 nerve root irritation with inflammation that is not amenable  
12 to chiropractic care. It's a structural problem.

13 And therefore, it would have been not -- of any  
14 value or benefit for the patient to go back to the  
15 chiropractor. In fact, one would have just protracted the  
16 course of the symptoms.

17 Q Would chiropractor care do anything other than  
18 provide maybe temporary relief or short periods of relief?

19 A Yeah, what --

20 Q For this structural type of problem?

21 A What chiropractic care does, it doesn't address the  
22 structural problem. But what it does, it often relieves the  
23 tension in the -- of the supporting muscles, the overlying  
24 muscles. It feels good when you get a massage or you get some  
25 electrostimulation. It feels good if you get ice and heat

1 treatment. But it doesn't get to the source of the problem.  
2 So even if you get some improvement it is of a very short  
3 nature.

4 Q Okay. And so with that in mind you refer her to a  
5 neurosurgeon. What neurosurgeon did you refer her to?

6 A I referred her to Dr. Khavkin.

7 Q Okay. Is Dr. Khavkin someone that you have trust  
8 and confidence in in treating your patients?

9 A I had the pleasure to work with Dr. Khavkin in my  
10 past in an office at Nevada Spine Clinic for three years and I  
11 worked closely with Dr. Khavkin on numerous patients and  
12 successfully worked with him.

13 Q Okay. Is he board certified in neurosurgery?

14 A He's board certified in neurosurgery, that is  
15 correct.

16 Q Is he also fellowship trained in neurosurgery?

17 A Yes, sir.

18 Q Okay. Now, you said yesterday, I mean, now we going  
19 to talk about that you believe that the cause of her pain and  
20 her problems in her arm was a traumatic disc injury of the  
21 resultant motor vehicle collision; right?

22 A Correct.

23 Q You talked about a two thousand -- you mentioned  
24 yesterday a 2010 motor vehicle incident?

25 A Yes.

1           Q     Have you read those -- have you had a chance to read  
2 those records?

3           A     Yes.

4           Q     Okay. Based on -- we're going to talk about those  
5 based upon your review of those medical records, is that --  
6 was that incident from May of 2010, does that in any way  
7 explain or were the cause of any of these symptoms we've been  
8 talking about over the last two days?

9           A     No.

10          Q     And in fact, have you been able to rule that out as  
11 a causative factor?

12          A     Yes.

13          Q     Okay. Can you say that to a reasonable degree of  
14 medical probability?

15          A     Yes.

16          Q     And beyond that are you certain?

17          A     I am certain, yes.

18          Q     Have you also read the defendant's experts. Doctors  
19 Wang and Schifini, their expert reports which discuss the 2010  
20 records?

21          A     Yes.

22          Q     And Dr. Wang is a surgeon and Dr. Schifini is a  
23 local pain physician. Do they in any way attribute Desire's  
24 symptoms, problems or conditions to that 2010 incident?

25          A     There was no mention of that.

1           Q     Now, let's look at -- I'm going to show you one of  
2 the -- some of the records from that just so we can -- we're  
3 clear.

4                     We're going to go to Bate No. -- Exhibit 81, 964.  
5 And the hard copy is in the second binder there. I'm going to  
6 put them on the monitor also.

7                     Okay. I'm showing her pain diagram from that day.  
8 Is there any documentation of any symptoms down into the arms?

9           A     No.

10          Q     Is that different than her presentation after this  
11 motor vehicle collision?

12          A     Yes, it is.

13          Q     Is that significant to you?

14          A     It is significant in the sense that it describes  
15 that patient's pain complaints and that's the reason or that's  
16 what we are focusing on as treating physicians.

17          Q     All right. If we can look at the -- go down to the  
18 "rate the severity of the pain and location".

19                     MR. PRINCE: Go down there Brendon.

20 BY MR. PRINCE:

21          Q     This is from May 26th, 2010, date of her initial  
22 visit, all the way down.

23                     Do you see there where it says, elbows, hands, leg,  
24 do you see that --

25          A     Yes.

1 Q -- and arms. Does she have any symptoms like --  
2 like the pain radiating down her arm? See where it says, arm  
3 zero, elbows, wrist, hand, how everything is zero?

4 A Correct.

5 Q All right. Is that -- that's different than the  
6 presentation she had with you, right?

7 A That is correct.

8 Q Is that clinically significant to you in determining  
9 that the -- in ruling this out as a causative factor in her  
10 symptoms after October 30th, 2015?

11 A That is correct.

12 Q Okay. Now, do you recall that she was also sent for  
13 an MRI?

14 A That is correct.

15 Q And let's --

16 MR. PRINCE: That's on page 955 of Exhibit No. 81.  
17 Brendon, I want you to go to the levels, under the findings.  
18 Go to findings all the way down to impressions. All the way,  
19 one more.

20 BY MR. PRINCE:

21 Q Okay. And what were the results of this MRI from  
22 July 2010?

23 A I would call this -- given her age, an age  
24 appropriate MRI, an MRI that you would expect in a young,  
25 healthy 19-year old. It was a negative in the sense that it

1 -- with no pathologies identified on the MRI scan.

2 Q It's an entirely normal MRI; correct?

3 A It's a normal MRI you would expect in a young  
4 person, yes.

5 Q I think you told me before we started, it's  
6 pristine.

7 A Yes.

8 Q Okay. What do you mean by the term "pristine"?

9 A Pristine means that it's -- there is not a blip of a  
10 -- a pathology. It's a textbook normal MRI scan, so to speak.

11 Q Okay. Now, when we compare it to the one in this  
12 case --

13 MR. PRINCE: 155, Brendon.

14 BY MR. PRINCE:

15 Q We're going to show you the MRI report from Align  
16 Med.

17 MR. PRINCE: Go to 45 to 155. If you'd just go down  
18 to the findings right there, it's fine.

19 BY MR. PRINCE:

20 Q Looking at C6-7, where it says, bilateral, or  
21 posterolateral disc protrusion extending 2 to 3 millimeters  
22 into the, you know, recess affecting the nerve roots as well  
23 as the C5-67 problem, that's significant different than what  
24 it was in 2010, correct?

25 A That is correct.

1 Q And you attribute that pathology to C6-7 to this --  
2 the trauma of this motor vehicle collision of October 30th,  
3 2015?

4 A More likely than not, correct.

5 Q Okay. That's your opinion to a reasonable degree of  
6 medical probability?

7 A Yes.

8 Q And this -- the 2010 MRI we just looked at that you  
9 characterized as pristine or entirely normal?

10 A Yes.

11 Q Does that support your position that the -- this was  
12 a traumatic cause to the C6-7 disc in this case?

13 A It does.

14 Q Okay. There's one medical doctor visit from June  
15 16th, 2010, with a -- it looks a doctor by the name of  
16 Kathleen Smith as part of Exhibit No. 81.

17 MR. PRINCE: And if we can look at page 9 -- page  
18 958. Okay, Brendon, about halfway, right there. Just take it  
19 there all the way through "impression".

20 BY MR. PRINCE:

21 Q And there is a lot of words here. I want to start  
22 with where it says, The patient also experiences pain between  
23 the shoulder blades and rhomboides, major muscle areas  
24 bilaterally with abduction and -- abduction of her arm, do you  
25 see that?

1 A Yes.

2 Q Okay. And it says here, The patient has possible  
3 radiculopathy and post-traumatic headaches which I believe was  
4 sustained as a result of this May 10th, 2010, motor vehicle  
5 collision. Do you see that?

6 A Yes.

7 Q Was that radiculopathy ever confirmed?

8 A No, it wasn't.

9 Q Right. Does the MRI rule that out since it was  
10 normal?

11 A The lack of any MRI findings rules out a  
12 radiculopathy.

13 Q Okay. Is that your opinion to a reasonable degree  
14 of medical probability?

15 A Yes.

16 Q Okay. I'm also going to show you a pain diagram of  
17 also that same day, June 16th, 2010. The date of that's --  
18 no, this is from the chiropractor now.

19 MR. PRINCE: Bates No. 950. All the way down  
20 through the -- through the -- all the way down to the pain  
21 stuff, Brendon.

22 BY MR. PRINCE:

23 Q Is there any on this -- on June 16th, 2010, is there  
24 any symptoms down the arm as we saw in this case from the  
25 chiropractor himself, or Dr. Khavkin and Dr. Garber?



1           A     No.

2           Q     Okay. And also, when asking to rate the pain in the  
3 arm, shoulders, wrist, hand, elbow, etcetera, and both arms,  
4 is there any symptoms noted or documented even in the by pain  
5 a score?

6           A     No, there isn't.

7           Q     Is that a significantly different presentation from  
8 when she came to you after this motor vehicle collision?

9           A     Yes.

10          Q     Okay. And as of --

11               MR. PRINCE: Let's go to the discharge summary from  
12 the chiropractor, July 13th 2010. This is Bate No. 939, so  
13 I'm going to reference that so there's -- the jury understands  
14 where I'm getting it from. 939.

15 BY MR. PRINCE:

16          Q     And it says --

17               MR. PRINCE: If you could go there at the top,  
18 Brendon, just show me that.

19 BY MR. PRINCE:

20          Q     It's says the date of the final visit. Okay. And  
21 it says July 13th 2010; do you see that?

22          A     Yes.

23          Q     I counted up 14 visits with the chiropractor between  
24 May 26th and July 13th. Is that consistent with your review?

25          A     It's about right.

1 Q Okay. Is that consistent with a soft tissue injury  
2 going for a few visits like that?

3 A It's within the, you know, time frame you would  
4 expect for a soft tissue injury.

5 Q Okay. And looking at the physical examination under  
6 the neurological examination, you can -- we're going to start  
7 on page -- on 940.

8 MR. PRINCE: Actually, let's go to 941, Brendon.

9 BY MR. PRINCE:

10 Q And it says there, using sensory kind of comparing  
11 your sensory exam [inaudible] over here on the specific  
12 dermatome pattern, was there any sensory deficit similar to  
13 findings like you had?

14 A No.

15 Q Okay. Was there any motor weakness, anything on  
16 examination by the chiropractor?

17 A The motor weakness appear to be normal, the motor  
18 strength appeared to be normal, no motor weakness was  
19 documented there.

20 Q Okay. And if we look at the diagnostic impression  
21 on Exhibit 941 by the chiropractor, there's a number of things  
22 is radiculopathy -- cervical radiculopathy, any of those  
23 diagnoses?

24 A Those are not listed there.

25 Q Okay. So it's not present as of the date of the

1 last chart note?

2 A That is correct.

3 Q Okay. And are those -- well, then would you  
4 characterize that as a sprain/strain type of diagnoses?

5 A Yes.

6 Q Okay. Is there any medical evidence of any neck  
7 pain problems, limitations, between July 2010, and when you --  
8 and October 30th, 2015?

9 A Not to my knowledge, no.

10 Q Okay. And using that information, were you able to  
11 rule out 2010, everything you talked about, rule out that that  
12 was the cause or even a contributing factor to her ongoing --  
13 her presentation when she came to see you after her motor  
14 vehicle collision?

15 A Yes.

16 Q Okay. Is that your opinion to a reasonable degree  
17 of medical probability?

18 A Yes.

19 Q And beyond that are you certain?

20 A Yes.

21 Q Okay. All right. Now, you sent Desire to Dr.  
22 Khavkin, catching back up with our story now. And she saw Dr.  
23 Khavkin on May 17th, 2016.

24 Do you think it was reasonable for Desire to follow  
25 your recommendation and go see a neurosurgeon?

1           A     I think it was certainly reasonable, given her --  
2     the persistence of her symptoms, number one.  And number two,  
3     given the diagnostic workup that we were able to achieve, it  
4     was reasonable for the patient to see the neurosurgeon and to  
5     have a consultation.

6           Q     Okay.  And when you were treating her, was Desire a  
7     compliant patient?  Meaning, she made her appointments,  
8     followed your recommendations?

9           A     Yeah, there were -- there was no reason to think  
10    that she was not compliant with us.

11          Q     Did she appear to have a positive attitude  
12    throughout in terms of, you know, her treatment and, you know,  
13    the hopes of getting better?

14          A     I would say so; yes, sir.

15          Q     Okay.  And is there any type of behavior that was  
16    concerning to you during like, you know, any breach of your --  
17    well, strike that.  Let me just ask a foundational question  
18    first.

19                Do you have patients sign agreements with you  
20    regarding your prescription drug policies and practices?

21          A     Yes.

22          Q     Okay.  Did -- was there ever any violation of your  
23    opioid policy or your agreements you have with your patients  
24    concerning your prescription practices?

25          A     Ever or with this --

1 Q No, just with her.  
2 A -- patient?  
3 Q Just with Desire?  
4 A No, there was not.  
5 Q No, I'm only talking about Desire.  
6 A Okay.  
7 Q Yeah. And we're only -- did she appear to be  
8 motivated to getting better and --  
9 A Yes, she did.  
10 Q -- was there any -- any problems with her compliance  
11 with your recommendations --  
12 A No.  
13 Q -- Desire's? Okay. Any concerns that you had with  
14 her, that you had to sit down with her with, concerning any  
15 aspect of your care or prescription practices?  
16 A No. This care was very straightforward.  
17 Q Any efforts of drug seeking behavior or anything  
18 unusual that you saw in her presentation at any time?  
19 A Certainly not.  
20 Q Okay. All right. And now, you -- she goes to see  
21 Dr. Khavkin and then she comes back to see you on May 24th,  
22 2016.  
23 MR. PRINCE: And let's go to that, the history of  
24 present illness.  
25 //

1 BY MR. PRINCE:

2 Q Okay. It says she does her returns. Patient  
3 complains of left sided neck pain, 8 out 10. She is evaluated  
4 by Dr. Khavkin who recommended neck surgery. She wishes to  
5 proceed with surgery and will be undergoing a workup. She  
6 states that pain is progressively worsening.

7 And did you become aware of Dr. Khavkin's surgical  
8 recommendation?

9 A Yes.

10 Q What was he recommending?

11 A He was recommending an anterior cervical disc fusion  
12 at two levels; at the C6-7 level that we had evaluated, and  
13 also including the C5-6 level.

14 Q Okay. Was there anything more that you could do  
15 with her, or offer her short of a surgery as of May 24th,  
16 2016?

17 A No, there was no further treatment recommendations  
18 that I had or that I was able to provide to -- to help her in  
19 that respect and hence the referral to the surgeon.

20 Q Okay. And what was her options as of May 24th,  
21 2016, other than surgery; just to live with it?

22 A Well, and that is always an option, you don't have  
23 -- that's not -- it's up to the patient. It's not an  
24 emergency procedure or urgent procedure. It's up to the  
25 patient. Either you live with the pain or you consider the

1 surgery.

2 Q And honestly, by 2016, prescribing practice or for  
3 opioids it's obviously changed; right?

4 A It has significantly --

5 Q [Inaudible].

6 A -- changed in particular starting January of 2018.

7 Q Right. And other than just giving her pills, there  
8 was really nothing ever left for her other than surgery;  
9 right?

10 A Knowing that the pills are not a remedy for --

11 Q Right.

12 A -- long-term; that is correct.

13 Q And have -- and they have their own problems; right?

14 A They have as we unfortunately know, with the many  
15 opioid deaths in this country, they have significant problems.

16 Q And you have indicated that you were having her  
17 follow up with Dr. Khavkin and you were switching her to Norco  
18 for better analgesic control and Flexural at night. What are  
19 you doing there?

20 A Well, she appeared that her pain was bothering her  
21 more and she's a surgical candidate. And we were switching  
22 her to a somewhat stronger pain medication, Hydrocodone, which  
23 was in several years ago still a Schedule III drug. Now it  
24 was moved up with the opioid crisis as a Schedule II drug.

25 It is a intermediate strong narcotic medication. It

1 is not as strong as morphine, for example, or Oxycodone,  
2 Percocet, but it's stronger than Tylenol No. 3, it's stronger  
3 than Tramadol, for example.

4 So we try to kind of dial in the medication therapy  
5 a little bit to kind of give her some relief until she can the  
6 surgery.

7 Q Okay. All right. Now, I want to go to your June  
8 21st, 2016 note, Bate No. 215. And I want to -- because we're  
9 going to compare and contrast something.

10 What was her neck pain as of June 21st, 2016?

11 A It was about a 9 out of 10.

12 Q Okay. And so the lower back, she has lower back at  
13 5 out of 10; do you see that?

14 A That is correct.

15 Q Did you ever recommend anything for her lower back?

16 A No.

17 Q Okay. And where was the really -- the main source  
18 of her pain?

19 A We were no focusing on the lower back at all. The  
20 main focus lied on the neck, the cervical spine.

21 Q All right. And according to your notes, she's  
22 awaiting the surgery with Dr. Khavkin and she comes back to  
23 see you on July 26th, 2016.

24 A Correct.

25 Q Okay.



1 MR. PRINCE: Bate No. 217.

2 BY MR. PRINCE:

3 Q And it says she returns for follow-up. The patient  
4 complains of neck pain 8 out of 10 and it's worse, the lower  
5 back pain 10 out of 10. She reports a new MVA which occurred  
6 on 7/10/2016, she was a front seat passenger; do you see that?

7 A Yes.

8 Q Okay. And with respect to the neck, based upon, you  
9 know, her complaints to you, your examination, was there any  
10 significant clinical change in her condition from where she  
11 was on June 21st, 2016?

12 A There was no change in her pain score, her pain  
13 intensity and her presentation.

14 Q How about on physical examination, did her  
15 examination change in any significant way?

16 A Physical examination was consistent with --

17 Q For the neck --

18 A -- the prior --

19 Q -- [inaudible].

20 A -- for the cervical spine, yes.

21 Q Right. It looks like she had some -- obviously,  
22 some increase in lower back pain.

23 A That is correct.

24 Q That obviously is not related to our accident on  
25 June -- or October 2015?

1           A     That is correct.

2           Q     Right. Did you treat the lower back pain for  
3 anything other than the soft tissue?

4           A     Correct. We obviously as physicians we have to  
5 examine the lower back but there were no treatment --  
6 interventional treatment recommendations --

7           Q     Did you make --

8           A     -- made.

9           Q     Did you make -- did you offer any injections to her  
10 lower back?

11          A     I did not.

12          Q     Okay. And other than with regard to the neck now,  
13 did you have her reimaged? Did you have her -- order an  
14 updated MRI? Was there any necessity for that?

15          A     I did not. I thought we ordered an updated MRI scan  
16 of the cervical spine but there was no MRI scan performed.

17          Q     No, did you -- you didn't -- did you order -- was  
18 that in your plan? Did you order one?

19          A     Considered an --

20          Q     Right.

21          A     -- updated MRI scan.

22          Q     And was there any significant change in her  
23 presentation that you felt you needed to get one?

24          A     No.

25          Q     Okay. Was there anything on physical examination

1 for which you needed -- you offered her any new injections,  
2 any treatment, any intervention that you could offer?

3 A Nothing -- nothing changed.

4 Q Did that change her surgical consideration as a  
5 surgical candidate that obviously predated that July 10th,  
6 2016 motor vehicle collision?

7 A Well, she was diagnosed with discogenic neck pain  
8 and was seen by Dr. Khavkin who recommended surgery. So the  
9 surgical recommendation was made based upon the condition that  
10 was prior to the second motor vehicle collision.

11 Q Okay. Did the -- in your opinion did the July 10th,  
12 2016 motor vehicle collision result in any new structural  
13 injury to her cervical spine?

14 A Her symptoms were the same so I do not believe in my  
15 opinion is it did not.

16 Q All right. And now it indicates that she also saw  
17 Dr. Garber for a second opinion. Do you know Dr. Garber?

18 A I do know him.

19 Q Who is Dr. Garber?

20 A Dr. Garber is also a neurosurgeon.

21 Q Okay. Did you refer her to Dr. Garber or give  
22 Desire the name of Dr. Garber for a second opinion?

23 A Yes.

24 Q Okay. And what -- do you think it was reasonable  
25 for her to seek a second opinion?

1           A     It is not uncommon that patients, especially when  
2 they've been referred and recommended to have surgery that  
3 they just want to get a second opinion just to be more certain  
4 that this is, indeed, something that would benefit from  
5 surgery.

6           Q     Okay. Were you in any way critical for her wanting  
7 a second opinion?

8           A     No, I think it's absolutely reasonable.

9           Q     Okay. Is Dr. Garber someone that you send patients  
10 to?

11          A     I do work with Dr. Garber on a regular basis as  
12 well.

13          Q     Do you believe -- is Dr. Garber somebody you have  
14 trust and confidence in to provide treatment and surgery to  
15 your patients?

16          A     Certainly.

17          Q     Okay. Does he refer patients to you?

18          A     He does.

19          Q     Okay. And she came back to see you in October --  
20 August 3rd, 2016, and she was talking about, you know, she's  
21 having surgery on September 1st; did you learn that she was  
22 having surgery on September 1st, with Dr. Garber?

23          A     That was -- that's what I learned at that visit,  
24 yes.

25          Q     Okay. And what kind of surgery does Dr. Garber

1 perform on her?

2 A Dr. Garber also recommended and ACDF, an anterior  
3 cervical disc fusion but just limit it to the C6-7 level, the  
4 level that we diagnosed as the culprit the pain generator.

5 Q Okay. Now, obviously, Desire consented to undergo  
6 that surgery?

7 A That is correct.

8 Q Right. Do you think that was a reasonable decision  
9 for someone her age, 25-years old, that she was at the point  
10 she could no longer tolerate those symptoms?

11 A I think this is always up to the patient and we  
12 never force patients to have surgery or talk patients into  
13 surgery. But what I tell patients is, if you feel like you're  
14 -- have more bad days than good days, if you feel like your  
15 quality of life, your activity of daily living, are  
16 significantly impaired and it -- your whole outlook on life  
17 has significantly changed to the bad, to the worse, then it is  
18 reasonable to undertake the surgery for those reasons.

19 Q Okay. And defense obviously underwent the surgery.

20 A That is correct.

21 Q Okay. How would you characterize her outcome after  
22 the surgery?

23 A It had helped her quite a bit.

24 Q Okay. And was the outcome of the surgery since it  
25 helped improve her symptoms, her neck and her arm pain, was

1 that consistent with your findings following your selective  
2 nerve root blocks at the C6-7 level?

3 A That was essentially confirming the diagnostic  
4 workup to be appropriate and to isolate the pain generator,  
5 correct.

6 MR. PRINCE: Brendon, can you put up Exhibit No. --  
7 or Demonstrative 41?

8 BY MR. PRINCE:

9 Q And looking -- just kind of using our clinical  
10 correlation chart here, the response to treatment, meaning  
11 response to surgery, did that support, you know, the history  
12 of Desire and the onset of her symptoms with her neck and her  
13 left arm, the examination findings that you as well as others  
14 had of pain in the neck, limitation of range of motion and  
15 symptoms under the arm, the MRI finding of a disc protrusion  
16 at C6-7 and as well as your diagnostic injections; did that  
17 all fit together and confirm the diagnosis of a C6-7  
18 discogenic problem?

19 A Yes, it did.

20 Q Okay. And if there's -- and are you -- in forming  
21 your opinions are you solely relying on what Desire told you  
22 or are you looking at more factors that we've been talking  
23 about?

24 A Well, as we've pointed out, the history taking is an  
25 important part of the puzzle but it's not the only part of the

1 puzzle there are several other parts that come in such as your  
2 physical examination, imaging scans, diagnostic testing,  
3 injection therapy, and all this together hopefully allows us  
4 to come up with a diagnosis and a treatment plan.

5 And it appears here that everything kind of came  
6 together and we made the right diagnosis and the right  
7 treatment plan with the surgery, was then instituted and the  
8 patient's symptoms improved.

9 Q Okay. And with regard to her low back pain,  
10 remember, we talked it was after July accident it went up to  
11 about a 10 out of 10; did that eventually go down to a -- or  
12 essentially go away?

13 A That settled, yes.

14 Q Okay. Did that resolve itself?

15 A Yes.

16 Q Okay. And do you treat patients who have spinal  
17 surgery?

18 A Yes, I was --

19 Q Or after they --

20 A -- I was --

21 Q -- have surgery?

22 A Yes, I was in practice for about ten years with a  
23 colleague who was an orthopedic spine surgeon, it's on a very  
24 frequent basis patients, and I do still see those, who had in  
25 the past spinal surgery.

1 Q Okay. And do you treat patients who become  
2 symptomatic and as well an adjacent segment disease?

3 A Yes, I do.

4 Q Okay. And is that something you see in your  
5 practice how frequently?

6 A I see that on patients who had previous spine  
7 surgery, fusion surgery, yes.

8 Q Right. Do you help provide pain management patients  
9 who become symptomatic for -- as a result of adjacent segment  
10 disease?

11 A That's why they are coming to me as a pain  
12 management doctor to provide therapy but also diagnostics.

13 Q What's adjacent segment disease, briefly?

14 A The adjacent segment, we look at the normal spine as  
15 I pointed out, we are talking about a motion segment that  
16 allows us to turn our head or turn our back.

17 And the motion segment is comprised of two vertebral  
18 bodies connected with -- or in between the intervertebral  
19 disc, the shock absorber, and then there are joints, we call  
20 those facet joints, which allow us to flex, extend to a  
21 certain degree, to rotate. And this is a motion segment.

22 Now when you fuse a motion segment that is not a  
23 natural condition, meaning, one reason why we have the motion  
24 segments is to transform forces from the top to the bottom  
25 from one vertebra to the other and that's the reason why the



1 vertebral bodies in the discs in the lower back are much  
2 larger than in the neck because they take up larger forces,  
3 the body weight.

4 Over time, if you have a fused segment, that segment  
5 doesn't act as a normal motion segment. So from a  
6 biomechanical standpoint the segment above or adjacent to that  
7 fusion segment now takes up more stresses.

8 And it takes up more stresses than it's biologically  
9 built for, so to speak, or meant to do so, because below it's  
10 a fused segment.

11 So over time what can happen or what will happen is  
12 that there are more stresses acting on a segment that is not  
13 made to take these stresses and those -- unfortunately, those  
14 segments, the adjacent segment undergo an accelerated,  
15 degenerative change. We also call that an accelerated  
16 breakdown, meaning, the -- there are stresses now on that  
17 particular segment that affects the discs, the joints, or  
18 both.

19 And these segments that were previously normal and  
20 not painful can become painful due to the biomechanical and  
21 anatomical changes.

22 Q And do patients who start to develop this adjacent  
23 segment disease and become symptomatic, do they come to you  
24 for pain management control, injections, before they  
25 eventually undergo another surgery?

1           A     Yes, in a typical scenario is that the patient had  
2 years ago surgery and was doing well and then over time pain  
3 came back and they are being referred to us pain specialists,  
4 myself. And we obtain, obviously, again, we are working up  
5 the patient, we obtain imaging scans in particular, to look at  
6 the adjacent segment and see that there are some signs of  
7 accelerated degenerative breakdown.

8                     And then we evaluate and investigate that particular  
9 segment to see if that's, indeed, the pain generator through  
10 injection therapy.

11          Q     So the process kind of starts all over again?

12          A     It starts -- it starts all over again; yes, that is  
13 correct.

14          Q     All right. Now, in this case, do you have an  
15 opinion whether Desire, given her age and the type of a fusion  
16 surgery we have, will she have developed a case of segment  
17 disease for which she'll go on to become symptomatic and  
18 require surgery, in your opinion, as a pain management --

19                     MR. WINNER: Excuse me, Your Honor.

20          Q     -- specialist?

21                     MR. WINNER: This is outside the scope of his  
22 treatment and outside the scope of his records and a new  
23 opinion not been tendered to the Court.

24                     MR. PRINCE: He's a treating --

25                     THE COURT: Sustained.

1 MR. PRINCE: Well, he's a treating physician.

2 BY MR. PRINCE:

3 Q Well, do you believe that as a result of adjacent  
4 segment disease, Desire will become symptomatic at the C5-6  
5 level?

6 MR. WINNER: Same objection.

7 THE COURT: Sustained.

8 THE WITNESS: Yes, it is my belief --

9 BY MR. PRINCE:

10 Q No -- well, hang on a second -- do you treat  
11 patients -- do patients come to your clinic after a spinal  
12 surgery whether cervical or lumbar, who have adjacent symptoms  
13 as a result of adjacent segment disease?

14 A Yes, I have mentioned it earlier.

15 Q Do you, as part of your education, training and  
16 experience, do you diagnose patients with adjacent segment  
17 disease? You may not do the surgery on it, but you diagnose  
18 it?

19 A Yes.

20 Q And how do you diagnose it?

21 A We diagnose it with, again, with history taking,  
22 with physical exam taking, with the help of imaging scans,  
23 maybe MRI scans, or CT scans. And then with site specific  
24 injections to isolate if, indeed, this segment, adjacent  
25 segment is a pain generator and if it is, then we come up with

1 a diagnosis of adjacent segment pain.

2 Q Okay. And in Desire's case, as part of your  
3 treatment of her during the course of your treatment did you  
4 feel her prognosis was, at some point in her life, she was  
5 going to become symptomatic as a result of adjacent segment  
6 disease due to the fusion surgery she had when she was only  
7 25-years old?

8 MR. WINNER: Same objection.

9 THE COURT: Sustained.

10 THE WITNESS: Um --

11 MR. PRINCE: Can we approach, Your Honor?

12 THE COURT: Yep.

13 (Bench conference)

14 MR. PRINCE: I guess another standing objection.

15 MR. WINNER: It's not contained in his prognosis.

16 MR. PRINCE: It doesn't have to be in the records.

17 It only has to be just formed in the course of your care. It  
18 does not have to be in any record.

19 MR. WINNER: Well he also wrote a record review.  
20 You asked him to do it and he didn't include it in there  
21 either.

22 MR. PRINCE: No, but that's part of his prognosis  
23 and the opinion he formed during the course of his care.

24 THE COURT: I thought when we discussed this we  
25 weren't going to -- this particular witness wasn't going to be

1 getting into the future surgery thing, I thought this was just  
2 a pain management --

3 MR. PRINCE: He's talking about becoming  
4 symptomatic.

5 THE COURT: Don't you have two other people to talk  
6 about it?

7 MR. PRINCE: I have two doctors but he's -- he also  
8 is going to be involved in the treatment of her if she has  
9 symptoms return. There's also adjacent segment disease.

10 I'm not going to ask about is she going to need  
11 surgery. I'll be asking will she become symptomatic.

12 THE COURT: Didn't you just ask that?

13 MR. PRINCE: Huh?

14 THE COURT: I thought you just asked that.

15 MR. PRINCE: No, I asked -- and you sustained it,  
16 and then I said, will she become symptomatic as a result of a  
17 case of segment disease in his opinion. He says he treats it.  
18 He treats people who are surgical candidates, who have already  
19 had fusion surgery.

20 THE COURT: Okay. So what more do you need from  
21 him?

22 MR. PRINCE: Just that one -- that one question,  
23 does he believe that she'll become symptomatic as a result of  
24 adjacent segment disease. That's --

25 MR. WINNER: That's -- that's not --

1 THE COURT: Which --

2 MR. WINNER: -- his prognosis --

3 MR. PRINCE: It doesn't have to be in his notes. He  
4 can -- he doesn't have -- not everything has to be charted by  
5 a treating physician. That's the part of FCH1 [phonetic]  
6 that's part of Pizarro-Ortega, you don't have to that that in.  
7 If I ask him, did you form that opinion during the course of  
8 your care, that's the relevance standard.

9 THE COURT: I know, I know.

10 MR. WINNER: Yes, but the opinions need to be  
11 reasonably ascertainable to somebody reading his notes. You  
12 had to form such an opinion that was not contained in any of  
13 his notes and the plaintiff decided to use it as a rebuttal  
14 expert to rebut what my experts were saying. He went through  
15 and looked through the records, wrote an actual forensic  
16 expert report and he didn't say anything in that about this  
17 either.

18 MR. PRINCE: Well, he can form opinions regarding  
19 what the expert's criticism of him and the nature of his  
20 treatment and his opinions of her injuries --

21 MR. WINNER: Which he did a rebuttal report.

22 MR. PRINCE: -- but it's a -- right, that's only  
23 because Dr. Schifini was critical of him for the way he did  
24 his injections. But with respect to this issue, this is part  
25 of a treating physician opinion.

1 THE COURT: Okay. Then that's the -- my  
2 understanding is treating physicians have a lot more latitude.  
3 MR. PRINCE: They do.  
4 MR. WINNER: They do.  
5 MR. HENRIOD: And not [inaudible].  
6 THE COURT: All right. Ask this --  
7 MR. PRINCE: But they're trying to say ask --  
8 THE COURT: -- question and we're done.  
9 I'm going to overrule it --  
10 MR. PRINCE: Okay.  
11 THE COURT: -- now ask him and we're done.  
12 MR. PRINCE: All right.  
13 (End of bench conference)  
14 BY MR. PRINCE:  
15 Q All right. Doctor, I'm going to reask the question.  
16 In your opinion, based upon your care and treatment of Desire,  
17 your education, training and experience of treating patients  
18 who have had a cervical spine fusion, do you have an opinion  
19 whether Desire will become symptomatic, developed symptoms of  
20 pain and other symptoms, either adjacent segment disease at  
21 one or more levels?  
22 MR. WINNER: I'd ask my objection to be noted, I  
23 understand your ruling.  
24 THE COURT: We'll do.  
25 BY MR. PRINCE:

1 Q Go ahead.

2 A Yeah, given her young age, more likely than not she  
3 will develop what we call adjacent segment breakdown because  
4 there will be all the years to come that unfortunately will  
5 affect the adjacent segment.

6 Q Okay. Now, from -- All right. Now, with regard to  
7 your own care, do you have an opinion whether all of the care  
8 that you have provided up through 2017 was reasonable and  
9 causally related to the motor vehicle accident of October 30th  
10 of 2015?

11 A Yes.

12 Q And I want to -- if you can look at your charges.

13 MR. PRINCE: Let's put up a summary format,  
14 [inaudible] fifty-four, page number or Bate No. 328. Number  
15 2, if you could [inaudible].

16 BY MR. PRINCE:

17 Q Interventional, you know, your charges from December  
18 16th, 2017 through July of -- excuse me -- December 2015  
19 through July of 2017, your charges for all of your treatment  
20 and procedures, \$11,660; do you see that?

21 A Yes.

22 Q And do you have an opinion whether those charges are  
23 usual and customary for the services?

24 A Yes, they are.

25 Q Are they caused as a result of this motor vehicle



1 collision in October 2015?

2 A Yes.

3 Q Okay. Is that opinion to a reasonable degree of  
4 medical probability?

5 A Yes.

6 Q And you performed the procedures at Surgical Art  
7 Center?

8 A Yes.

9 Q And the charges --

10 MR. PRINCE: Bring up number eight.

11 MR. WINNER: Counsel, I'm sorry, I can't see your  
12 screen. Excuse me.

13 (Counsel conferring)

14 MR. WINNER: Excuse me. Excuse, I'm sorry, no  
15 objection.

16 MR. PRINCE: Okay.

17 BY MR. PRINCE:

18 Q The charges for Surgical Art Center for the  
19 procedures you performed there, \$4,610; do you see that?

20 A Yes.

21 Q Are those usual and customary for the procedures you  
22 performed?

23 A Yes.

24 Q And did you -- did you treat Desire on a lien basis?

25 A I did.

1 Q Okay. What does the lien mean?

2 A A lien is where you have a -- well, put a lien on  
3 any potential settlement to get paid. We expect to get paid  
4 for our medical services.

5 Q Okay. And obviously, if there's a judgment in favor  
6 of Desire you would expect to be paid for your bill, right?

7 A That is correct.

8 Q And now, are medical liens common? Is there  
9 anything unlawful about them or illegal about them?

10 A They're fairly common here in this community.

11 Q Okay. And Dr. Schifini, you know, are you familiar  
12 with Dr. Schifini?

13 A I am.

14 Q And has he reviewed cases of yours in the past for  
15 defense firms like Mr. Winner's?

16 A Yes, he has.

17 Q And do you know if this -- are you aware of him  
18 treatment patients on a lien basis?

19 A I can't say that for sure.

20 Q Okay. Well, I'll ask him when he gets here, because  
21 I -- he's a pain specialist.

22 All right. Now, just because you're on a lien, even  
23 if the jury doesn't decide, do you fully expect Desire to have  
24 to pay you in full for your services?

25 A As I pointed out, we expect to pay -- paid for our

1 services just like any other person, too, yes.

2 Q Correct. You just waited to be paid out of any --  
3 if the jury finds that we prove our case, and they find in her  
4 favor and you can expect to be paid out of those proceeds?

5 A That is correct.

6 Q Right. Okay. Now, one last thing. When you talked  
7 to Desire, she told you she was involved in a 2010 incident  
8 where she hurt her lower back; do you remember that?

9 A Correct.

10 Q Did she talk about her neck to you at all?

11 A Not to my recollection.

12 Q Right. The fact that she didn't say anything or you  
13 didn't document anything about her neck, is that after  
14 reviewing those records in 2010, our discussion, does that  
15 have any significance to you at all in terms of your opinions  
16 you've offered in this case?

17 A That doesn't affect my opinions.

18 Q In your opinion, was the injury from 2010, anything  
19 more than a soft tissue injury, the 14 chiropractic visits?

20 A Which subsided, yes.

21 Q All right. Does the MRI actually support your  
22 position in this case since we're now [inaudible] about a disc  
23 herniation at C6-7?

24 A Yes.

25 Q That that was traumatically caused from this

1 collision and not from before?

2 A That is correct.

3 Q Okay. Have all the opinions you've offered here  
4 been stated to a reasonable degree of medical probability?

5 A Yes.

6 Q Okay. Thank you.

7 MR. PRINCE: I have no additional questions.

8 THE COURT: Okay. Mr. Winner?

9 MR. WINNER: Thank you.

10 THE COURT: Oh.

11 MR. DEGREE: I'm going to be brief.

12 THE COURT: Okay.

13 PLAINTIFF PARRA'S DIRECT EXAMINATION

14 BY MR. DEGREE:

15 Q Good morning, Dr. Rosler.

16 A Good afternoon.

17 Q We also represent Guadalupe Parra in this case.  
18 She's also a prior patient of yours?

19 A Yes.

20 Q And if you need your records you have plaintiffs'  
21 exhibit binder number two, Exhibit 68 up there with you?

22 A Yes.

23 Q Okay. And those are your medical records for the  
24 care and treatment you provided for Guadalupe in the two to  
25 three months following the October 2015 collision?

1           A     Yes.

2           Q     I see you treated her on two separate occasions in  
3 those two to three months, once on December 18th of 2015, and  
4 then one follow up appointment on January 20 of 2016; is that  
5 right?

6           A     That is correct.

7           Q     Did you use clinical correlation in the course of  
8 treating her as well?

9           A     I treat everyone the same way and certainly I use  
10 clinical correlation in every patient.

11          Q     Okay. You also used clinical correlation in forming  
12 your opinion as to what caused her symptomatology as well?

13          A     Certainly.

14          Q     When she -- now, do you find that patient are  
15 affected physically by trauma differently?

16          A     Well, we are individuals. We are all reacting,  
17 responding differently. That is -- that is well established.

18          Q     And I guess in other words, you can have two  
19 individuals involved in the same motor vehicle collision  
20 they're going to be affected by the trauma differently?

21          A     If patients, they survive a plane crash and some --  
22 and most patients don't, and they went through the same  
23 trauma.

24          Q     Do patients respond to treatment differently?

25          A     That is correct, as well.

1 Q Is the response to treatment also an important part  
2 of clinical correlation?

3 A Certainly.

4 Q Fair to say you each -- each and every one of your  
5 patients are unique?

6 A And should be treated so, yes.

7 Q When Guadalupe came under your care did you know  
8 that she was receiving chiropractic care at Align Med for neck  
9 and back pain?

10 A Yes.

11 Q Was she referred to you by Align Med?

12 A Yes.

13 Q That first visit was December 18th, of 2015,  
14 correct?

15 A That is correct.

16 Q When she first presented, what was her chief  
17 complaint?

18 A Her chief complaint --

19 Q Or complaints?

20 A Her chief complaints were neck pain, low back pain  
21 and numbness in her left hand.

22 Q The numbness in the left hand, was that described as  
23 constant or intermittent?

24 A That was mostly intermittent.

25 Q Okay. Does that affect your treatment plan whether

1 the left upper extremity pain is of constant nature or more  
2 intermittent?

3 A Well, it certainly changes a little bit what you're  
4 thinking so it can affect your treatment plan, yes.

5 Q How so?

6 A Because more so often intermittent symptomology that  
7 could be part of a recovery, part of a healing process, that  
8 it was first constant, then it becomes intermittent. So often  
9 you give it a little bit more time and see if that  
10 intermittent symptomology eventually subsides.

11 Q Okay. On that first visit did you take a medical  
12 history or a history of present illness from her?

13 A Yes, I did.

14 Q Did she indicate to you where on the body she was  
15 feeling pain?

16 A Yes, I did.

17 Q Is that --

18 A Yes, she did.

19 Q Is that what you were talking about when you  
20 referenced the chief complaints you just described?

21 A Yes.

22 Q Was the low back pain occasional, frequent?

23 A Her lower back was -- when present occasional, yes.

24 Q What about the neck pain?

25 A The neck pain was -- contrary to the low back pain

1 was more of a constant symptom.

2 Q How was she describing the symptomology?

3 A She described her symptoms as more aching, tender  
4 and numb.

5 Q Okay. Did she report the October 30th, 2015  
6 collision as the inciting factor as to what triggered the  
7 pain?

8 A Yes, she did.

9 Q Did she tell you how that collision occurred?

10 A Yes, she did.

11 Q The presentation that she had on that initial visit  
12 December of 2015, is that initial symptomology consistent with  
13 the soft tissue injury?

14 A It is certainly consistent with a soft tissue injury  
15 and the question is if that -- there were symptoms remain  
16 persistent or if those symptoms improve with -- over a period  
17 of time.

18 Q Okay. Did she have any overlapping symptoms upon  
19 that initial presentation which could be indicative of  
20 something more than a soft tissue injury, just on that initial  
21 visit?

22 A Well, she saw us about six weeks after the incident  
23 and she was still having symptoms so it was -- and she  
24 underwent an MRI scan of the lumbar spine. So there were  
25 findings that potentially could also produce pain. But it was



1 my -- and later on it's -- she was improving so it was not my  
2 opinion that she had a pain symptomology from a structural  
3 problem but rather a soft tissue problem.

4 Q And that's more from your second visit, correct?

5 A That is correct.

6 Q Okay. To your knowledge, had she experienced any  
7 prior symptoms, injuries or pain like she described to you  
8 prior to this October 2015 collision?

9 A Not to my knowledge.

10 Q Did you also perform a physical exam on the first  
11 visit?

12 A Certainly.

13 Q Can you briefly describe some of those findings?

14 A The physical exam findings were that she had some  
15 restricted range of motion in the neck with some palpable  
16 tenderness. She had a positive orthopedic sign meaning the  
17 axial compression test was producing pain, however she had no  
18 nerve root tension signs, meaning, the Spurling's test, the  
19 tests whether there's a pinched nerve or irritated spinal  
20 nerve, that was negative.

21 In her lower -- or lumbar spine, rather she had  
22 restricted range of motion with tenderness in the lumbar area.

23 Q And you already mentioned that she had undergone a  
24 low back MRI prior to coming to you on this first visit;  
25 correct?

1           A     That is correct.

2           Q     Did you have the benefit of reviewing that report?

3           A     Yes.

4           Q     Did it affect your treatment plan?

5           A     It affected my treatment plan in a sense that I  
6 wanted to send the patient out for a surgical consultation  
7 just based upon the MRI finding, not so much based upon the --  
8 the symptoms, the severity of her symptoms. But I just wanted  
9 to cover my bases, hey, is it not something that may or may  
10 not require some surgery down the road.

11          Q     And you talked a little bit about the image and you  
12 talked about her presentation, how she's describing her  
13 symptomology. Focusing on the MRI, do these MRI reports and  
14 images, do they detect pain?

15          A     No, they do not. You can have MRI findings that  
16 don't necessarily tell you that there's pain.

17          Q     Okay. In other words, for example, can you have a  
18 finding on MRI of a 3 or 4 millimeter bulge that's symptomatic  
19 in one person, but a finding on MRI that's a 3 or 4 millimeter  
20 bulge that's asymptomatic or pain free in somebody else?

21          A     You can, and that's what I always tell my patients  
22 that we do not treat MRI findings, we treat symptoms, and try  
23 to correlate those with the MRI findings.

24          Q     Can a trauma such as a motor vehicle collision or a  
25 fall cause an asymptomatic or otherwise pain free disc and

1 become symptomatic?

2 A Yes.

3 Q All right. Imaging studies are not the only piece  
4 used in clinical correlation; correct?

5 A That is correct.

6 Q Overall, what were your impressions after that first  
7 visit?

8 A That the patient was having some cervical  
9 sprain/strain with some what appeared to be mechanical --  
10 osteomechanical neck pain and possible some intermittent left  
11 arm radicular symptoms, although not diagnosed, as well as  
12 lumbar sprain/strain with ongoing mechanical pain that was of  
13 varying degrees.

14 Q Do you consider that an initial working diagnosis?

15 A That is just an impression. It's not a clear cut  
16 diagnosis. We haven't done any tests to confirm that.

17 Q Through time and through treatment is the goal to  
18 either rule in or rule out that diagnosis?

19 A If the symptoms persist then that is correct.

20 Q If you look to your first impression concerning the  
21 neck sprain/strain, you also referenced possible dynamic left  
22 upper extremity radiculopathy. Why did you choose to use the  
23 word "possible"?

24 A Because I wasn't sure. It could be, could be not.

25 Q And how do you figure out or confirm whether that's

1 present or not?

2 A Well, for one, you want to get an imaging scan.  
3 Number two, you want to see if it's a persistent phenomenon.  
4 And number three, other diagnostic tests.

5 Q What were your recommendations for Guadalupe  
6 following this December 2015 visit?

7 A It was my recommendation based upon the -- of a  
8 significant MRI finding in the lower back with that herniated  
9 -- large herniated disc, have a surgeon take a look at it,  
10 just make sure everything is okay from that standpoint.

11 And also, I would -- was recommending an MRI of the  
12 cervical spine.

13 Q What was it about her symptomology on the first  
14 visit that made you want to obtain a neck MRI?

15 A Well, the persistence of the neck pain and also the  
16 potential radicular component to it.

17 Q And what was it about her symptomology that made you  
18 -- or was there symptomology or was it just the findings from  
19 the lumbar MRI that made you -- that thought you should be --  
20 that she should be evaluated by a surgeon?

21 A Not so much the symptomology but the -- but the MRI  
22 scan of the rather, you know, significant disc extrusion. I  
23 wanted to do -- have a surgeon just take a look at it --

24 Q Okay.

25 A -- and go from there.

1           Q     Tell us why you think she would benefit from  
2 continuing with the chiropractic care that she'd been  
3 receiving.

4           A     Because a soft tissue injury which -- and she was  
5 kind of not in that extreme pain and it appeared that she was  
6 benefitting from it. So I said it's a reasonable thing to  
7 continue with a chiropractor.

8           Q     Okay. And you just testified yesterday and today  
9 that you recommended diagnostic and therapeutic injections for  
10 Desire, but you didn't make that same recommendation for  
11 Guadalupe. Can you tell us why?

12          A     When the patient returned to us for the follow-up  
13 visit, she essentially was symptom-free in her cervical lumbar  
14 spine. Again, we do not treat MRI findings, we treat symptoms  
15 and there was no reason for me to get involved with any  
16 interventional therapy, that therefore there were none  
17 recommended.

18          Q     Unlike Desire, was your medical opinion that  
19 Guadalupe was not clinically indicated for injections?

20          A     That is correct.

21          Q     And you moved on to the follow-up appointment which  
22 was the second time you saw her, January 20th of 2016, right?

23          A     Yes.

24          Q     That's the last time that you saw her as a result of  
25 the injuries sustained in this collision, correct?

1           A     Yes.

2           Q     Did you know that in that intervening period from  
3     December to January that she'd been continuing with that  
4     chiropractic care at your recommendation since the last time  
5     you saw her?

6           A     I'm not sure.

7           Q     When she presented to you, how was she doing that  
8     day?

9           A     When she presented --

10          Q     January --

11          A     -- to me --

12          Q     January 20th of 2016.

13          A     She, as I stated, she was symptom-free.

14          Q     Okay. And you were able to review the neck MRI by  
15     that time?

16          A     Yes.

17          Q     Were you able to perform another physical exam on  
18     that date as well?

19          A     I was.

20          Q     Can you briefly describe the differences between  
21     your findings upon physically examining her the second time,  
22     in comparison to your findings from the first time?

23          A     The cervical spine she had regained full range of  
24     motion, and there was very mild tenderness, you know, the  
25     orthopedic tests, the axial compression test was negative.

1 Q All right.

2 A So there was -- we were able to corroborate the  
3 patient's subjective telling us she's doing better with a  
4 physical exam and the same for the lumbar spine.

5 Q Okay. And then as for cervical spine, I believe it  
6 references that she had range of motion was near full in all  
7 directions and that she had mild tenderness on palpation.  
8 Does that mean she's still having some difficulty and  
9 limitations albeit mild at that time?

10 A Yes. I mean, she was symptom-free in a sense but  
11 when we poked around a little bit so to speak it was causing  
12 her a little bit discomfort.

13 Q Okay. Fair to say that she had improved by the time  
14 she returned to you the second time in comparison to the first  
15 time?

16 A Yes, she has.

17 Q Looking at your list of impressions, a sprain/strain  
18 on this final visit. They're the same as the first. Why is  
19 that?

20 A Because that was in a sense the diagnosis.

21 Q Okay. You also advised her to continue with the  
22 chiropractic care as she'd been doing; why so?

23 A It was helping her and according to the chiropractic  
24 protocol and then certainly we encourage patients also to do  
25 home exercises as part of her conservative treatment.

1 Q Okay. By the time she'd returned to you the second  
2 and final time, you also removed the recommendation for a  
3 surgical consult. What was it about her presentation on that  
4 final visit that convinced you that that was no longer  
5 necessary for her?

6 A Because her symptoms resolved.

7 Q Okay. Did you advise her to come back if her  
8 symptoms persist?

9 A Return as -- if symptoms return, yes.

10 Q And she never returned to you as a result of this  
11 collision; correct?

12 A That is correct.

13 Q Okay. Doctor, in light of the fact that she  
14 continued with chiropractic care, reportedly received good  
15 benefit from it, was no longer experiencing the left upper  
16 extremity symptomology, intermittent, and didn't need to  
17 return for pain from this, did that help you rule in, or rule  
18 out a neck and low back sprain?

19 A It was more likely than not she sustained a soft  
20 tissue injury, sprain/strain injury of the neck and the low  
21 back that was successfully treated with chiropractic care.

22 Q And you testified earlier that you treated during  
23 the course of your care of treating Desire, you treated her  
24 for both soft tissue injury, but also for the structural disc  
25 injury, correct?



1           A     Well, the soft tissue injury was more treated by the  
2     chiropractor and the underlying mechanical symptoms and  
3     radicular symptoms were treated by us.

4           Q     That's right. And you also obviously treated  
5     Guadalupe for soft tissue injury as well, correct?

6           A     For symptoms that were then diagnosed as soft tissue  
7     injuries, correct.

8           Q     And does it -- would it surprise you in any way that  
9     the soft tissue injury portion of Desire's injury and the soft  
10    tissue injury that Guadalupe sustained, that they resolved  
11    near or around the same time period in February of 2016,  
12    approximately three months after this motor vehicle collision?

13          A     Well, as I pointed out earlier, soft tissue injuries  
14    are self-limiting and usually, you know, resolve with time.

15          Q     And Guadalupe, I'll represent to you, her last day  
16    of treatment was February 12th of 2016, so about three-and-a-  
17    half months following this collision. Is that well within a  
18    reasonable time frame of what you would expect for a soft  
19    tissue to resolve?

20          A     Certainly.

21          Q     Doctor, in your medical opinion, is all the care and  
22    treatment that you provided for Guadalupe reasonable and  
23    necessary for treating her soft tissue injury?

24          A     Yes.

25          Q     In your medical opinion is all the care and

1 treatment you provided for her on those -- provided for her on  
2 those two occasions directly and causally related to the  
3 October 2015 collision?

4 A That's -- that's my opinion.

5 Q And the costs for your medical care are listed on  
6 Exhibit 69, and they're in the amount of \$1,190. Doctor, is  
7 the costs of the medical care that you provided Guadalupe  
8 usual and customary for the Southern Nevada medical community?

9 A Yes, it is.

10 Q Okay. Lastly, Doctor, of all the opinions you've  
11 expressed here today as they relate to not just Desire but  
12 also to Guadalupe been stated to a reasonable degree of  
13 medical probability?

14 A Yes.

15 Q Thank you.

16 MR. WINNER: If you want to offer that, no  
17 objection.

18 THE COURT: I'm sorry?

19 MR. WINNER: If you want to offer that, no  
20 objection.

21 MR. DEGREE: I'm sorry?

22 MR. WINNER: If you want to offer that, no  
23 objection.

24 MR. PRINCE: I'm sorry, for what? For what? What  
25 did he say?

1 MR. WINNER: The exhibit, is it stipulated in?  
2 MR. PRINCE: Oh, okay.  
3 MR. DEGREE: Oh, yeah. Thank you.  
4 MR. PRINCE: It's stipulated in.  
5 THE COURT: Okay. So it's the operative admitted --  
6 MR. PRINCE: It's already -- it's already in.  
7 MR. DEGREE: It's already in.  
8 MR. PRINCE: It's already in.  
9 THE COURT: Do you know what exhibit they're talking  
10 about?  
11 THE CLERK: [Inaudible].  
12 THE COURT: Can we get a number on that?  
13 MR. PRINCE: 69.  
14 THE COURT: (To Clerk) Just confirm that it's been  
15 admitted, please. [Inaudible].  
16 THE CLERK: Yes.  
17 THE COURT: Thank you. Mr. Winner?  
18 MR. WINNER: And if it's possible, I might need the  
19 ELMO.  
20 (Pause in the proceedings)  
21 CROSS-EXAMINATION  
22 BY MR. WINNER:  
23 Q Dr. Rosler, you and I have met before, haven't we?  
24 A Yes, we have.  
25 Q Okay. I want to ask you to go back to what some of

1 the records Mr. Prince asked you about.

2           You did an injection on this plaintiff on June 7th,  
3 2016, correct?

4           A     Yes, sir.

5           Q     And on June 7th, 2016, your notes said, your notes  
6 said she showed up on June 7th with 8 over 10 pain in the  
7 neck, and after the, I don't know, 30 minutes or something, it  
8 was down to zero out of 10 after the lidocaine was injected?

9           A     Yes.

10          Q     Okay. And following that visit, I think she had  
11 another visit with you on January 14th at which time she had  
12 minimal cervical discomfort?

13          A     Yes, sir.

14          Q     What's minimal; 2 out of 10?

15          A     Yeah, I would say so.

16          Q     Okay.

17                THE COURT: I'm sorry to interrupt. But which  
18 plaintiff are we referencing?

19                MR. WINNER: Pardon me, Judge?

20                THE COURT: Which plaintiff are you talking about?

21                MR. WINNER: I'm talking about Evans-Waiau.

22                THE COURT: Thank you.

23 BY MR. WINNER:

24           Q     You told us when we took you -- your deposition in  
25 this case -- do you remember giving a deposition in this case?

1           A     Yes, sir.

2           Q     Have you reviewed your deposition transcript from  
3 this case?

4           A     I have.

5           Q     Did you do that in preparation for today or do you  
6 remember what your answers were?

7           A     No, that was a while ago.

8           Q     Okay. You said at the time of your deposition that  
9 you didn't really go through -- you really didn't go through  
10 one-by-one through the chiropractic records, you just saw that  
11 she had been to 30 chiropractic treatments and met with her,  
12 and she told you she had 8 over 10 pain.

13          A     Correct.

14          Q     Okay. If -- if the patient hadn't reported to the  
15 chiropractor 8 over 10 pain in several months, and in fact a  
16 couple of days before her visit to you she had minimal neck  
17 pain of 2 or 3 out of 10, is that inconsistent with what she  
18 told you?

19          A     Are you referring to the first visit --

20          Q     Yes.

21          A     -- when she saw me? There appears to be an  
22 inconsistency of the pain score. I'd like to see what -- what  
23 the chiropractor actually documented and what was this a pain  
24 score immediately taken after chiropractic treatment after we  
25 see this discrepancy when patients have their chiropractic

1 treatment taken, they feel pretty good, its soft tissue  
2 related treatment, they feel pretty good. But then the pain  
3 comes back within a day or so.

4 Q Okay. Well if the patient is reporting that  
5 chiropractic helping a lot, my symptoms are going away, I can  
6 do my activities of daily living, chiropractic has been  
7 successful, I'm happy with the chiropractic treatment, and the  
8 symptoms went from 6 or 7 out of 10 over the course of a  
9 couple of months, down to 3 out of 10, 2 out of 10, 1 out of  
10 10, that's successful chiropractic treatment, isn't it?

11 MR. PRINCE: Objection, argumentative and compound.

12 THE COURT: Overruled.

13 THE WITNESS: There appears to be that there is some  
14 improvement, yes.

15 BY MR. WINNER:

16 Q Yeah. Why do you suppose that when she comes to see  
17 you that one time, she tells you my pain is at 8 out of 10?

18 A I think that's a question you need to ask the  
19 patient that's what we --

20 Q Yes.

21 A -- documented that was -- what the patient presented  
22 with.

23 Q So 2 out of 10 pain, you would -- and I agree with  
24 you, I'm not arguing with you -- 2 out of 10 pain, 3 out of 10  
25 pain, you would -- you would consider that to be minimal

1 cervical discomfort?

2 A Mild cervical discomfort, yes.

3 Q Okay. And if the patient reported mild or minimal  
4 cervical discomfort to the chiropractor just before coming in  
5 to see you, would you have any reason to disagree with that?

6 A If the chiropractor documents that, I would not  
7 disagree with that.

8 Q Okay. I skipped over some of this, we're getting  
9 late in the morning. Did you happen to see MRIs from both of  
10 the plaintiffs from Guadalupe Parra and from Desire Evans-  
11 Waiau?

12 A I saw the MRI of Desire Evans, I don't recall seeing  
13 the MRI of the other patient.

14 Q Well, you mentioned that Guadalupe Parra had a 9  
15 millimeter disc herniation in the lumbar spine I thought when  
16 you were answering questions about her.

17 A That was by the report, so.

18 Q Okay. So you looked at the reports?

19 A Yes, sir.

20 Q Do you know if you actually looked at the reports or  
21 if you looked at the actual MRIs?

22 A I do not, on Guadalupe.

23 Q Okay. At the time of your deposition you indicated  
24 you couldn't remember if you'd seen the actual MRIs on either.

25 A Yes.

1 Q Did you look at the actual film since that time?

2 A Yes, I looked at the actual film on Desire Evans.

3 Q Okay. And I want to ask you something else just to  
4 follow up from yesterday.

5 You indicated, of course, there's a difference  
6 between -- in the way you use it, and there's a difference  
7 between a bulge and a protrusion and a herniation; a bulge  
8 would be just sort of a patching out -- or a pouching out?

9 A That's correct.

10 Q A protrusion would be a pouching out where there  
11 might be some movement of nuclear material within the disc but  
12 it doesn't go outside the annulus and a full blown herniation  
13 goes outside the annulus?

14 A Yes. The disc protrusion is where the nucleus  
15 protrudes into some inner layers of the annulus where we have  
16 some interrupted fibers, annular fibers. The herniation is  
17 where the annulus is entirely interrupted and the nucleus  
18 herniates out, outside the limits of the annulus.

19 Q Or to maybe put it in Mr. Prince's more colorful  
20 language where the inside part of the jelly donut goes all the  
21 way outside the spare tire, goes all the way outside the disc?

22 A That's a good point, yes.

23 Q Okay. And in fairness, you did not see any  
24 herniation in Desire Evans, correct?

25 A That is correct.



1 Q You saw some protrusion?

2 A There was protrusion and some effacement of the  
3 nerve roots, the adjacent nerve roots.

4 Q Okay. Protrusions, Doctor, and I know we've talked  
5 about this many times before -- but protrusions are very  
6 common, aren't they?

7 A They are common. Certainly, we have to look at it  
8 with the respect of the age of the patient. They are  
9 obviously more common in middle aged patients than in --

10 Q Yeah.

11 A -- younger patients.

12 Q All right. Disc protrusions, disc bulges are common  
13 even among patients in their teens and 20s, correct?

14 A They are common as we know in this particular here,  
15 is the patient was -- had an MRI scan done as a 19-year old  
16 teenager and that was a pristine MRI scan. So we can see  
17 those, but you would again, more so expect protrusions in --  
18 since it is wear and tear over time --

19 Q Okay.

20 A -- more so in patients of older age.

21 Q Okay. Or wear and tear over time based on  
22 repetitive activity?

23 A Correct.

24 Q So a 25 or a 30-year old who works as an auto  
25 mechanic might have more protrusions, whether he knows it or

1 not, in his neck and back, then would --

2 A An attorney, yes.

3 Q -- Tom Winner who sits at his desk?

4 A Right.

5 Q Okay. Gotcha.

6 Are you aware between -- you never saw the actual

7 MRI films from 2010, did you?

8 A I did not, sir.

9 Q Okay. And you did at some point see the films from

10 2015?

11 A Yes, sir.

12 Q Okay. Are you familiar with Dr. Keith Lewis from

13 Align MRI?

14 A I am familiar with him. I have not met him

15 personally, but I am familiar with him.

16 Q Okay.

17 A With his readings.

18 Q Are you familiar with his testimony that he's given

19 in other cases? I know you've dealt with him in other cases,

20 haven't you?

21 A Yes, sir.

22 Q Okay. Are you familiar with his testimony that in

23 any given MRI there's a 2 to 3 millimeter margin of error

24 built into those MRIs because of the way the magnetic imaging

25 tries to transfer to photograph images?

1           A     I'm not aware of this statement and I would defer to  
2 a radiologist. Certainly, I'm not a radiologist.

3           Q     Well, I know that you look at MRIs, and you look at  
4 spinal MRIs.

5           A     Yes.

6           Q     Would you have any reason to disagree with that? Is  
7 that consistent with your experience?

8           A     Well, the radiologist has the ability, the  
9 advantage, he has a program where he can actually measure the  
10 size of the protrusion, herniation, bulge for building  
11 software program. We don't have that. When we pull up the  
12 MRI scan on our -- on our laptop, or on our computers we  
13 cannot exactly measure the size.

14                   So I'm sure there is some variability but I don't  
15 know how big that one is.

16           Q     Can we agree that in most individuals, most adult  
17 individuals, myself included, probably everybody in this room  
18 all of us can have disc bulges, all of us can have disc  
19 protrusions, all of us can have disc herniations and not even  
20 know it; they don't cause any symptoms?

21           A     Yes.

22           Q     Okay. Is it possible for somebody to have a soft  
23 tissue injury just a whiplash injury that takes -- sometimes  
24 it takes a few weeks, sometimes it takes a few months. Is it  
25 possible for somebody to have a soft tissue injury

1 superimposed on a neck or a back that happens to already have  
2 some protrusions in it?

3 A Yes, it is possible.

4 Q So if somebody has a soft tissue injury, a little  
5 whiplash after a car accident, and a chiropractor decides to  
6 send that person for a bunch of MRIs, there's a good chance  
7 the MRI place is going to find something wrong in all of those  
8 MRIs; isn't there?

9 A It depends on the age of patient, certainly. But  
10 that can be a possibility.

11 Q Okay. Do soft tissue injuries typically need to be  
12 sent for MRIs, simple soft tissue injuries?

13 A Is this a question?

14 Q Yeah, I'm asking generally.

15 A If simple soft tissue injuries should be sent for an  
16 MRI is that what I'm understanding?

17 Q Is that typically necessary for a soft tissue  
18 injury?

19 A Oh, if it's a clear soft tissue injury, that I would  
20 not get an MRI scan right away, I would wait for a period of  
21 time and if the pain persists then I would get an MRI scan.

22 Q Okay. Is it typically necessary for a soft tissue  
23 to refer a patient out for injections with a doctor such as  
24 yourself?

25 A As I pointed out earlier, I would not do injections,

1 spinal injections on patients with soft tissue injuries.

2 Q Okay. And just to reiterate, in Desire Waiau Evans  
3 you found -- the radiologist found no herniation, you found no  
4 herniation, what you found was a bulge or a protrusion,  
5 meaning, not a herniation?

6 A We -- correct. We found disc protrusion with  
7 effacement of the C7 nerves and that correlated with the  
8 patient's complaints of radicular symptoms down the left arm.

9 Q Okay. And someone in her 20s, that might engage in  
10 physical labor, might be more likely to show signs of disc  
11 protrusions, disc bulges, maybe even disc herniations without  
12 even knowing it just because that person is involved in  
13 physical labor; correct?

14 A That is correct.

15 Q Okay. Were you aware that five years before the  
16 2010 incident and the 2015 incident what Desire Waiau Evans  
17 did for a living?

18 A Off the top of my head, no. I believe she was a  
19 homemaker.

20 Q If I were to tell you in the five years before this  
21 accident happened she worked for a warehouse lifting 15-pound  
22 boxes every hour, every day, would that be news to you or  
23 might that affect your opinions at all?

24 A It would be news to me. I would like to know if  
25 those activities have caused her any pain, any symptoms she

1 was presenting with to us. If she had seen any medical doctor  
2 or physical therapist for symptoms.

3 Q In your experience, do you ever look at reports  
4 written by different radiologists?

5 A If I have these reports available, then I look at  
6 those, that is correct.

7 Q Yeah, I -- I know, given what you do, you look at a  
8 lot of spines. Do you sometimes see a radiologist's report on  
9 one patient's spine and then see a different radiologist's  
10 report on the same patient's spine?

11 A Yeah, there's some interperson variability; yes,  
12 that's correct.

13 Q There's a difference between machines, there's a  
14 difference between radiologists, right?

15 A That is correct.

16 Q And is it common, is it very common for one  
17 radiologist to read an MRI as completely normal and a  
18 different radiologist to look at the same spine and see some  
19 abnormality?

20 A I wouldn't say that this is very common.

21 Q Okay. You've seen this happen?

22 A I have seen this happen. I've seen this happen in  
23 my practice where I called up the radiologist and he then made  
24 an addendum based upon that.

25 Q Okay. You did review the chiropractic records and

1 you took a history from Ms. Waiau Tate [sic], correct?

2 A Yes, sir.

3 Q You said 50 percent of -- 50 percent of all the  
4 opinions you give is based on what the patient tells you, or  
5 what the patient chooses to tell you; correct?

6 A Yeah, we rely on the history of the patient, that is  
7 correct.

8 Q Okay. Is a patient -- are patients you've seen ever  
9 told you things that aren't true?

10 A I have.

11 Q Do you ever get fooled by patients?

12 A They try to. I think over time you develop a  
13 certain experience with that. But certainly, that can happen.

14 Q Well, and I -- believe me, I'm not criticizing.  
15 Even the smartest doctors can get fooled by patients. You  
16 want to be an advocate for your patient, try to help your  
17 patient, right?

18 A That is correct.

19 Q Okay. Ms. Waiau Evans, when you interviewed her, on  
20 her first visit she told you a couple of things; right? She  
21 told you that the pain -- the pain in her neck and her arm  
22 began immediately after the accident; correct? Isn't that  
23 what she told you?

24 A Correct.

25 Q And when you asked her about her medical history and

1 whether she had prior spine problems she had said she had some  
2 problems in her low back five years before; correct?

3 A Correct.

4 Q She denied ever having had problems in her neck  
5 before 2015; correct?

6 A That's what awe documented, correct.

7 Q Okay. So she did not tell you that she had neck  
8 pain significant enough to go get an MRI back in 2015;  
9 correct?

10 MR. PRINCE: No, the objection's -- well, move to  
11 strike, it's 2010, not '15.

12 MR. WINNER: I'm sorry.

13 THE WITNESS: 2015.

14 BY MR. WINNER:

15 Q She did not tell you that she had gone to get an MRI  
16 for her neck back in [inaudible]; did she?

17 A That is correct.

18 Q Okay. She did not tell you that she had pain  
19 significant to warrant an MRI back in 2010; correct?

20 A Correct.

21 Q She did not tell you that she had been seen by the  
22 Bonanza Pain Clinic for neck and back pain back in 2010;  
23 correct?

24 A Correct.

25 Q She did not tell you that had been to see Dr.



1 Kathleen Smith for neck and back pain back in 2010; did she?

2 A Correct.

3 Q She did not tell you that she had been to a local  
4 chiropractic office for neck and back pain and suspected --

5 MR. PRINCE: Well, objection. I'm going to move to  
6 strike that because she did tell him that she did see a doctor  
7 for back pain. So he did know about that. So he's misstating  
8 the record.

9 THE COURT: Overruled.

10 BY MR. WINNER:

11 Q She did not tell you that she had seen a  
12 chiropractor, Vegas Valley Chiropractic was the name of the  
13 place for, among other things, neck pain back in 2010; did  
14 she?

15 A No.

16 Q Okay. Do you think Ms. Evans forgot that she had  
17 gone to get an MRI of her neck?

18 A Well, we learned that she had low back pain in the  
19 past from 2010, that symptomology resolved with conservative  
20 treatment and there was no reason for us to kind of dive more  
21 into it, and we didn't ask anymore questions because for us,  
22 it was a non-issue, what we learned was the symptom back in  
23 2010 of back pain. We were not aware of neck pain.

24 Had we learned that she had some neck pain we  
25 would've obviously asked, did you have any treatment for it

1 and so forth. We didn't know about it, we didn't ask.

2 Q Okay. We do know a couple of things though. And  
3 you learned about these things later, these records were  
4 provided to you much, much later; correct?

5 A That is correct, sir.

6 Q Okay. What we do know is that in 2010, she had at  
7 least suspected radiculopathy, suspected radiculopathy and  
8 neck pain strong enough to be sent to take an MRI. That she  
9 had been seen by Bonanza Pain Clinic.

10 MR. PRINCE: No, objection. That's a chiropractic  
11 clinic. It's not a pain clinic.

12 MR. WINNER: Excuse me, I thought it said Bonanza.

13 MR. PRINCE: No, it doesn't. There's Bonanza Back  
14 Center.

15 MR. WINNER: Hum?

16 MR. PRINCE: Bonanza Back Center.

17 MR. WINNER: Bonanza Back Center? I stand  
18 corrected.

19 BY MR. WINNER:

20 Q Bonanza Back Center, and she has been seen by Green  
21 Valley Chiropractic Clinic for, among other things, her neck;  
22 correct?

23 MR. PRINCE: Objection, move to strike that she was  
24 seen by Green Valley Chiropractic Clinic. There's no records  
25 of Green Valley Chiropractic Clinic.

1 BY MR. WINNER:

2 Q She'd been seen by a chiropractor, by a pain place,  
3 by an MRI facility. She already knew a chiropractor, she  
4 already knew a pain place, she already apparently knew an MRI  
5 facility and she knew at least two, if not three, physicians  
6 who had treated her for neck pain before 2015; correct?

7 MR. PRINCE: Your Honor, can we approach, please?

8 THE COURT: Sure.

9 (Bench conference)

10 MR. PRINCE: Number one, the question's  
11 argumentative and he's completely misstating it. He's not  
12 even using the evidence correctly. She went to Bonanza Back  
13 Center. She didn't go to any Green Valley Chiropractic. She  
14 didn't go to a pain center.

15 MR. WINNER: Bonanza Back, you're right.

16 MR. PRINCE: She didn't to go -- she didn't to --  
17 there's no pain physicians involved here. She went to a  
18 Cameron Medical Center and she was seen by a medical doctor  
19 who's not -- there's no indication it was a pain physician.  
20 So you can't say she went to all these places, that  
21 he's just misstating the record. Use the actual records,  
22 because he's misstating -- he's not allowed to misstate it.

23 THE COURT: Well, I think when you --

24 MR. PRINCE: He's falsely stating it.

25 THE COURT: -- mention things he's corrected, and

1 you will also have the opportunity to redirect. So you can  
2 clean up whatever --

3 MR. WINNER: I accept what Mr. Prince says and I'll  
4 correct it.

5 MR. PRINCE: Yeah. Okay.

6 (End of bench conference)

7 UNIDENTIFIED SPEAKER: I'm going to run to the  
8 bathroom real quick.

9 MR. WINNER: Oh, I'll withdraw the question.

10 THE MARSHAL: Judge, we also have a juror that --  
11 can they use the restroom no problem?

12 THE COURT: All right. Let's take five.

13 MR. WINNER: Thank you.

14 THE COURT: During the recess, you're admonished not  
15 to talk to or converse among yourselves or with anyone else on  
16 any subject connected to this trial or read, watch or listen  
17 to any report of or commentary on the trial by any person  
18 connected with this trial, by any medium of information,  
19 including without limitation to newspapers, television, the  
20 Internet and radio, or form or express any opinion on any  
21 subject connected with the trial until the case is finally  
22 submitted to you.

23 Five.

24 THE MARSHAL: All rise for exiting jury.

25 (Jury recessed at 12:38 P.M.)

1           THE COURT: Mr. Winner, no pressure, but do you have  
2 an idea of how long you're going to be?  
3           MR. WINNER: Five or ten minutes. I'm almost done.  
4           THE COURT: Oh. Do we have our next witness then?  
5           MR. WINNER: I don't know. Jack do you have your  
6 next witness or can we take a lunch break and [inaudible]?  
7           THE COURT: Do you have your next witness, Mr.  
8 Prince?  
9           MR. PRINCE: I do.  
10          THE COURT: Okay.  
11          MR. PRINCE: He's going to sit in. He's an expert  
12 so he's going to listen to the testimony.  
13          THE COURT: Okay. So he's -- Mr. Winner indicates  
14 only about five more minutes. Do you have a lot of redirect  
15 either you or Mr. Degree?  
16          MR. PRINCE: About probably five to ten minutes. He  
17 only has five minutes? Then I'll go five to ten minutes.  
18          THE COURT: So do you want to --  
19          MR. PRINCE: Fifteen, ten.  
20          THE COURT: -- do one more witness before we take a  
21 lunch break?  
22          MR. WINNER: Oh, you mean redirect. Gotcha.  
23          MR. PRINCE: I'm sorry? I'd rather take the lunch  
24 break and start over. I mean, I don't think we'll get too far  
25 other than qualifying. If you'd like me to start to qualify

1 him and then come back, you --

2 THE COURT: I mean, I don't want to make it too late  
3 a lunch break but I also don't want to just have them come  
4 back from the restroom and then ten minutes later break.

5 MR. PRINCE: Well, I'm going to have -- I'll have  
6 about ten or 15 minutes worth of questions, yeah, until  
7 about --

8 THE COURT: All right. Well, I guess we'll just --  
9 we'll see where we are.

10 MR. PRINCE: Okay. Yeah, whatever you decide,  
11 Judge, I'm fine with.

12 MR. WINNER: So you want to take a lunch break  
13 during Garber? Just qualify him and then take a break?

14 MR. PRINCE: We could -- whatever the Court's  
15 preference is. I could call him qualify him, get him ready  
16 and go.

17 THE COURT: Well, let's see what the jury wants to  
18 do.

19 MR. WINNER: Whatever you want to do is fine.

20 (Court recessed at 12:39 P.M., until 12:45 P.M.)

21 (Outside the presence of the jury)

22 THE COURT: And Mr. Prince, would you just for the  
23 record, that's your expert present in the courtroom?

24 MR. PRINCE: That's Dr. Jason Garber.

25 THE COURT: Okay.

1 MR. PRINCE: And so the exclusionary rule doesn't  
2 apply to the experts.

3 THE COURT: Okay, thank you.

4 (Pause in the proceedings)

5 THE MARSHAL: All rise for the entering jury.

6 (Jury enters at 12:47 P.M.)

7 THE MARSHAL: All present, Your Honor.

8 THE COURT: Do the parties stipulate to the presence  
9 of the jury?

10 MR. PRINCE: Yes.

11 MR. WINNER: Yes.

12 THE COURT: Thank you.

13 BY MR. WINNER:

14 Q Okay. I'm sorry, if I'm reiterating, but you found  
15 no herniation on that 2015 MRI at any level, but you did find  
16 a bulge, a pouching as you called it, and some protrusion, but  
17 no herniation outside the annulus?

18 A That is correct.

19 Q Okay. And either of those conditions in any given  
20 patient can be completely asymptomatic and can be benign,  
21 correct?

22 A Well, benign, any -- any finding on the MRI scan can  
23 be asymptomatic; that is correct.

24 Q Yeah. So I'm -- I'm not 25, but if somebody took --  
25 if somebody took an MRI of my back and somebody took an MRI of

1 my neck and I got bumped in a car accident, and a chiropractor  
2 sent me for an MRI, chances are there are going to be a lot of  
3 things to find in it?

4 A At our age, yes. That is correct.

5 Q Okay. Dr. McCauley worked at Align Chiropractic; is  
6 that right?

7 A That's my understanding.

8 Q Okay. At the time you gave your deposition you've  
9 indicated you got about 20 referrals a month from Align  
10 Chiropractic; is that still the case?

11 A Yeah, it's -- it's off and on. It's a larger  
12 Chiropractic outfit. We get referrals from different  
13 chiropractic outfits and it's -- it's up and down.

14 Q Okay. You get referrals from other chiropractic  
15 offices?

16 A Yes.

17 Q Those referrals you get from chiropractors, are most  
18 of those on liens?

19 A I would say the most of them, yes.

20 Q Okay. Most of those are car accidents represented  
21 by lawyers?

22 A I would say, yes.

23 Q Okay. Is that true of the other chiropractic  
24 clinics you get referrals from?

25 A As a general, that is correct.



1 MR. WINNER: I'll identify this just for counsel and  
2 the Court's benefit. This is Exhibit L, Bates Stamp 21. This  
3 will be the initial intake at Align Chiropractic from November  
4 2, 2015.

5 MR. PRINCE: We agree that we're going to use the  
6 defense -- the medical records by the plaintiff so that's not  
7 in evidence.

8 MR. WINNER: Is that an objection?

9 MR. PRINCE: Yes.

10 MR. WINNER: Okay.

11 THE COURT: What's the objection?

12 MR. PRINCE: The objection is it's not admitted into  
13 evidence. We have the -- the records are already admitted in  
14 as part of Exhibit No. 44.

15 MR. WINNER: Okay. It is the same exhibit.

16 BY MR. WINNER:

17 Q Doctor, are you able to see the intake sheet from  
18 Align Chiropractic dated 11/2 of 2015?

19 A I am, sir.

20 Q Okay. Is this down here, the pain diagram?

21 A That is correct.

22 Q Does that look any different from what Mr. Prince  
23 showed you from 2010?

24 A Yes, it does.

25 Q In what respect?

1           A     That --

2           Q     [Inaudible]?

3           A     That the 2010 showed some marking of the back of the

4 head. There were no marks going down the left arm.

5           Q     Okay.

6           THE COURT: So, counsel, you're showing the jury

7 something that --

8           MR. PRINCE: It's --

9           THE COURT: I know you're representing that it's --

10          MR. PRINCE: It's in --

11          THE COURT: -- identical to 44?

12          MR. WINNER: Yes, it is.

13          THE COURT: Okay.

14          MR. PRINCE: Okay.

15          MR. WINNER: Court's indulgence a moment, Your

16 Honor.

17          THE COURT: Will counsel approach, please?

18          MR. WINNER: Yes.

19                   (Bench conference)

20          THE COURT: Because I can't make out stuff on my

21 screen, if you are going to use an exhibit that's in, would

22 you use the actual exhibit --

23          MR. PRINCE: Yeah.

24          THE COURT: -- so that whatever you're showing the

25 jury --

1 MR. PRINCE: Yeah, we -- we agreed to use the  
2 plaintiff -- the medical records from the plaintiff. His  
3 exhibits, they've never -- they've never cleaned them up, they  
4 never redacted them.

5 THE COURT: I'm -- no, I'm just saying --

6 MR. PRINCE: Anyway --

7 THE COURT: -- I can't monitor it.

8 MR. WINNER: Well, they have to redact the --

9 THE COURT: You could be writing little -- little  
10 subliminal notes in there or highlighting, I can't monitor it.

11 MR. WINNER: Okay.

12 THE COURT: So the only way I can [inaudible] is if  
13 you get the exhibits from the Clerk and [inaudible].

14 MR. WINNER: Okay. Understood.

15 THE COURT: Okay. Thank you.

16 MR. PRINCE: And the only -- the only medical  
17 records that are admitted are the plaintiffs'. There's no  
18 defense exhibits even admitted.

19 THE COURT: Okay.

20 MR. HENRIOD: As a professional courtesy, we agreed  
21 to do that.

22 THE COURT: Thank you.

23 MR. PRINCE: What do you mean it was a professional  
24 -- that's what -- that's what we agreed to.

25 (End of bench conference)

1 MR. WINNER: Can I approach, Your Honor?  
2 THE COURT: Sure.  
3 MR. PRINCE: Do you have a copy?  
4 MR. WINNER: No.  
5 MR. PRINCE: Then I don't agree.  
6 MR. WINNER: Hum?  
7 MR. PRINCE: Then I don't -- I don't agree. I need  
8 a copy of that.  
9 MS. LORELLI: [Inaudible] his depo?  
10 MR. WINNER: It's attached to his deposition  
11 [inaudible].  
12 MR. PRINCE: Do you have a copy for me though?  
13 MR. WINNER: I'm not offering it, I'm just  
14 approaching.  
15 MR. PRINCE: Oh, okay.  
16 MR. WINNER: It's attached to his deposition.  
17 MR. PRINCE: I'm asking -- I'm asking do you have  
18 any copy for me to have?  
19 MS. LORELLI: We do not have an extra copy at this  
20 moment.  
21 MR. PRINCE: Okay. Then I guess I have an issue  
22 with that then, because I don't know if it's complete, I don't  
23 know what it is. And that has writing all over it so I'm not  
24 agreeing to that.  
25 MR. WINNER: Okay, I'm not offering it but --

1 MR. PRINCE: I'm not --  
2 MR. WINNER: -- I'm showing it to him.  
3 MR. PRINCE: I'm not even -- want you to do that.  
4 THE COURT: Counsel, will you approach the bench?  
5 MR. PRINCE: Yeah, let's go up to the front. Yes.  
6 (Bench conference)  
7 MR. PRINCE: He's trying to show the witness a  
8 document. Number one, I don't have a copy of it. He's not  
9 giving me a copy of it. He's got writing on it so I don't  
10 know what its completeness, I have no idea.  
11 THE COURT: Okay. Well, what's the purpose of  
12 showing it?  
13 MR. PRINCE: Yeah.  
14 MR. WINNER: The number of times testified he went  
15 through that on his opening statement. This was what was  
16 attached to his deposition. I'm going to show it to him and  
17 ask, is this your testifying history, and I did some math and  
18 added up the number of times. Those are my pen marks. I'm  
19 not offering it, I'm showing it to the witness and I'm asking  
20 him if this is accurate.  
21 MR. PRINCE: Well, I don't --  
22 THE COURT: Can you ask him --  
23 MR. PRINCE: -- a copy of it.  
24 THE COURT: -- first, do you know how many times  
25 you've testified and can you lay the -- are you -- I'm

1 essentially going to refresh his recollection which will kind  
2 of point -- because to show him the document and say, what is  
3 this, and what does it say, I think you can ask him, how many  
4 times have you testified --

5 MR. WINNER: Okay.

6 THE COURT: -- and -- and then if you need to -- and  
7 then if you need to refresh his recollection, you can show him  
8 whatever you want, a banana if that's helps.

9 MR. WINNER: Okay. Understood.

10 (End of bench conference)

11 BY MR. WINNER:

12 Q Doctor, do you know how many times you've testified  
13 in trial or deposition according to your deposition history?

14 A Oh, hundreds of times in depositions.

15 Q What's that?

16 A Hundreds of times in depositions.

17 Q Okay. Would looking at your testifying history  
18 refresh your recollection at all?

19 A Certainly.

20 Q Okay.

21 MR. WINNER: May I approach, Your Honor?

22 THE COURT: Um-hum. You've seen it, right, counsel?  
23 I understand you may not have a copy but you've seen it?

24 MR. PRINCE: Yeah, I don't have a copy and he's got  
25 writing on it.

1 BY MR. WINNER:

2 Q And the pen writing on it is mine, not yours,  
3 Doctor. But I tried to do some math.

4 A Okay. Well, there's quite a bit missing, because I  
5 certainly was deposed in 2018 as well.

6 Q Okay.

7 A So it's not complete.

8 Q My math, and please correct me if that looks wrong;  
9 my math is that according to that list which ended, I think,  
10 in 2017, you had testified over 300 times?

11 A Yeah, I haven't counted it, but like I said there's  
12 several hundred times that --

13 Q Okay.

14 A -- it's about right.

15 Q And that number would actually be higher?

16 A Yes.

17 Q Okay. In the last decade or so, have you ever asked  
18 -- been asked to testify on behalf of a defendant in a  
19 personal injury --

20 A I have --

21 Q -- case?

22 A I have been asked to do defense expert work but I'm  
23 -- typically involved as the treating physician and then being  
24 an expert as a treating physician and I'm -- have a busy  
25 practice so I have not taken out more time to do testifying

1 for defendants.

2 Q Doctor, do you remember testifying at a deposition  
3 in this case?

4 A I do.

5 Q And do you remember testifying at the depositions in  
6 this case that you saw that a lumbar MRI had been ordered on  
7 Desire Evans, but you wanted a cervical MRI performed before  
8 she went to get the surgery, an additional cervical MRI?

9 A As I pointed out, we had recommended a cervical MRI  
10 but I don't believe a cervical MRI was done; yes, sir.

11 Q Yeah. So if you ordered a cervical MRI on July 26th  
12 a few weeks before she had the surgery that was -- does that  
13 comport with your memory and your records?

14 A Well, I can't tell for sure the date. Let me  
15 double-check. But on July 26th, we had recommend ed an  
16 updated MRI scan, that is correct, sir.

17 Q And it appears that that was never done?

18 A Yeah, I never saw that in my [indiscernible].

19 Q Okay. And between the MRI that you looked at from  
20 2015, November of 2015, and following that July 10th, 2016  
21 accident, there was never a repeat MRI after that July 2016  
22 accident, to your knowledge?

23 A To my knowledge, correct.

24 Q Do you believe -- we -- we talked about this a  
25 little bit already -- you've said patients have occasionally



1 exaggerated symptoms to you and sometimes you've caught them  
2 doing that?

3 A Yes.

4 Q You've seen patients who've edited their medical  
5 history a little bit and sometimes you've caught them doing  
6 that?

7 A I have.

8 Q Okay. Do you suspect you don't always catch them at  
9 doing that?

10 A Like with everything, we don't always catch things;  
11 that is correct.

12 Q No matter how smart you are --

13 A That is correct.

14 Q -- the smartest physician can be fooled?

15 A Yes.

16 Q Okay. We do know that she -- this particular  
17 patient, this particular plaintiff had been seen by Bonanza  
18 Back Clinic, had been seen by Green Valley Chiropractic, had  
19 been seen by an MRI facility and at least one physician had to  
20 suspected radiculopathy back in 2010; correct?

21 A That is correct, sir.

22 Q And I think there was an objection sustained; do you  
23 think she forgot about all that when she gave you her medical  
24 history and gave her medical history to the chiropractor that  
25 her lawyer sent her to?

1 MR. PRINCE: Objection, argumentative.

2 THE COURT: Overruled.

3 THE WITNESS: I think that's a question you have to  
4 ask a patient.

5 BY MR. WINNER:

6 Q Can you think of any reason why a patient would go  
7 see a chiropractor who had never seen her before at the  
8 recommendation of her lawyer and leave all that information  
9 out?

10 A Well, I would hope that I'd get the information.  
11 But you know, I can't read into people and I, you know, think  
12 that needs to be clarified with the person.

13 Q Also, the difference between 2010 and 2015, is in  
14 2015, there was an MRI of the right shoulder, correct? I'm  
15 sorry, the left shoulder?

16 A That is correct, sir.

17 Q Okay. The left shoulder -- as a pain doctor, I  
18 assume you can speak more intelligently about this than I can,  
19 but a left shoulder impingement and left shoulder bursitis and  
20 what appears to be a bone bruise, that can cause symptoms in  
21 the left shoulder and in the left arm; correct?

22 A When we talk -- that is correct as a general  
23 statement. But it doesn't show radicular pattern or a  
24 dermatomal pattern. We call that a revert pain and it's not  
25 typically going into the hand.

1 Q At any point -- at any point -- at any point during  
2 your treatment of Ms. Waiau-Tate [sic], at any point --

3 MR. PRINCE: Tate is your client.

4 MR. WINNER: I'm sorry. I apologize.

5 BY MR. WINNER:

6 Q At at any point during your treatment of Ms. Waiau,  
7 Evans-Waiau, did she complain of symptoms in her legs?

8 A Not to my knowledge, sir.

9 Q Okay. At any point before April of 2016, was there  
10 anything suspected or mentioned about her right arm?

11 A No, sir.

12 Q Thank you.

13 THE COURT: Mr. Prince?

14 MR. PRINCE: Yeah.

15 REDIRECT EXAMINATION

16 BY MR. PRINCE:

17 Q Doctor, just so we're clear, did any question that  
18 Mr. Winner asked you change your opinions or thoughts or  
19 feelings about this case at all?

20 A No, if I had known, obviously I would like to know  
21 as much information I can. If I had known about that the  
22 incident in 2010 caused some neck pain I -- it will say  
23 probable radiculopathy, it was -- it was -- at that time the  
24 patient was evaluated by a primary care doctor. There were no  
25 evidence on the MRI scan of nerve irritation, of any disc

1 pathology. The -- in fact, the reason for -- to think of a  
2 probable radiculopathy was simply based upon some weakness in  
3 the bicep strength, in the arm strength.

4 A month later there was a comprehensive examination  
5 performed at that Bonanza Clinic where the patient had no  
6 sensory deficits whatsoever, so radiculopathy was certainly  
7 not the case. So it doesn't at all change my opinion whether  
8 I know that or not.

9 Q So --

10 A So I would like to get as much information as  
11 possible.

12 Q Okay. Well, you got all -- before you come to court  
13 you got all the information though, you have the records,  
14 right?

15 A That is exactly.

16 Q Whether she told you about the neck or didn't tell  
17 you about the neck, now you have the records and you saw what  
18 happened?

19 A Yes.

20 Q Does that change your thoughts or your feelings or  
21 opinions at all?

22 A No.

23 Q And in fact, does it support your opinions now that  
24 you've received that information, it actually strengthen your  
25 opinions in some way, that it was this motor vehicle collision

1 from October 30th, 2015, and the onset of the neck pain, the  
2 left arm symptoms, and the disc pathology that we see on the  
3 MRI after this collision?

4 A That is correct.

5 Q Okay. Is that your opinion to a reasonable degree  
6 of medical probability?

7 A Yes, it is.

8 Q Do you feel in any manner that you were fooled by or  
9 taken advantage of by Desire?

10 A No.

11 Q Right. And at times, ever patients, if there's a  
12 remote history say, 2, 3, 4, 5 years, 10 years even, because  
13 sometimes they forget they may -- about a prior accident,  
14 prior treatment, things like that; does that happen from time  
15 to time?

16 A It does happen. And sometimes when we know that  
17 there was something five years ago we don't even dive too much  
18 into the details anymore.

19 Q Why?

20 A Because that's been a long time past.

21 Q Right. And forming your causation opinions, are you  
22 looking like how she was doing at the time just immediately  
23 before the collision, is that -- was that the relevant time  
24 period for you?

25 A Right. The causation opinion is the onset of -- the

1 temporal onset following --

2 Q Right.

3 A -- an inciting event, and the most plausible

4 biological explanation for the patient's opinion and the lack

5 of any other plausible explanations.

6 Q Right. For example, Mr. Winner was talk --

7 Guadalupe, she has a -- she had a large disc herniation in her

8 lumbar spine, right?

9 A Yes.

10 Q But she didn't have any symptoms associated with it,

11 right?

12 A Correct.

13 Q So you can't just look at an MRI. That doesn't tell

14 you the whole story, does it?

15 A That is correct.

16 Q You have to use clinical correlation and look at all

17 the various pieces and put all that together. It's a whole

18 comprehensive analysis, right?

19 A Yes.

20 Q Right. And in this case, putting that comprehensive

21 analysis together using clinical correlation, the onset of the

22 symptoms, the MRI, the consistency of neck pain, pain into the

23 arms, with the -- with doctor -- chiropractor, yourself, Dr.

24 Khavkin, Dr. Garber who's present, were those all consistent

25 with the traumatic disc injury caused by this collision?

1           A     That is correct.

2           Q     Do you believe the protrusion in this case at C5-6,  
3 do you think that was symptomatic, once again, requiring  
4 medical treatment including surgery?

5           A     Yes.

6           MR. WINNER: Outside the scope, Your Honor.

7           MR. PRINCE: No, we talked about the protrusion  
8 being symptomatic or not symptomatic. I mean, he brought it  
9 up on cross-examination.

10          THE COURT: Overruled.

11 BY MR. PRINCE:

12          Q     Go ahead.

13          A     We have diagnosed this as a discogenic pain, hence,  
14 it is symptomatic.

15          Q     Right. Through your injections, right?

16          A     Correct.

17          Q     And also in response to the surgery at C6-7, that  
18 Dr. Garber performed?

19          A     Correct.

20          Q     With regard to the July 2016 accident, was she  
21 already determined to be surgical at C6-7 before that day by  
22 Dr. Khavkin?

23          A     That is correct.

24          Q     Did she have any significant change in her clinical  
25 symptoms, her presentation or exam finding when she came to

1 see you after her July 10, 2016 accident?

2 A Not as it pertains to the neck.

3 Q Right. Do you think it was reasonable in this case  
4 for the chiropractor to refer her for an MRI?

5 A Yes.

6 Q Okay. Dr. Lewis, I don't know if we're going to  
7 hear from Dr. Lewis or not so right now all we have is Mr.  
8 Winner's statements; he didn't show you a deposition of Dr.  
9 Lewis, right?

10 A I did not see that.

11 Q Right. Now, Dr. Lewis, just so we're all clear on  
12 this --

13 THE COURT: Brendon, 155.

14 A/V TECH: It's coming.

15 MR. PRINCE: Oh. If we can have control of our  
16 settings. Just for the C6, just the [inaudible].

17 BY MR. PRINCE:

18 Q Remember Mr. Winner's asking you questions, well,  
19 some radiologists might -- or physicians might interpret it as  
20 being a protrusion, 2 to 3 millimeters, other people may say,  
21 I don't see that; do you remember that line of questioning?

22 A Yes.

23 Q There could be some variation?

24 A Yes.

25 Q Well, first off, I want to ask you, you read the



1 film yourself, right?

2 A Yes.

3 Q Do you read MRIs every day of the lumbar and  
4 cervical spine?

5 A If they are available to me, I do. But I rely on  
6 the report more so.

7 Q Right. Do you also review films in your practice?

8 A Correct.

9 Q Every day?

10 A Correct.

11 Q You have to know -- you -- do you look at films  
12 before you do injection procedures so you understand where the  
13 pathology is?

14 A We correlate it, the films with the MRI scans --  
15 excuse me -- the films with the reports and then we come up  
16 with the --

17 Q Right.

18 A -- injection recommendation.

19 Q In this case, when you did your own review of the  
20 films, did you agree with that there was a significant  
21 protrusion at C6-7?

22 A I saw a disc protrusion significant at C6-7, yes.

23 Q Okay. I want to show you Dr. Khavkin's note.

24 MR. PRINCE: 275, Brendon. Exhibit No. 49, 275.

25 No, go to the results. Okay, there. Perfect.

1 BY MR. PRINCE:

2 Q This is Dr. Khavkin who you refer to as a  
3 neurosurgeon, right?

4 A Correct.

5 Q He trained at Johns Hopkins, right?

6 A Correct.

7 Q And that's one of the finest University the training  
8 is -- I mean, medical institution in the world; right?

9 A Correct.

10 Q And it says, direct visualization, means he read it  
11 himself; right?

12 A Correct.

13 Q An independent interpretation of the MRI obtained  
14 from Align --

15 MR. WINNER: This is outside the scope, Your Honor.

16 MR. PRINCE: I'm asking -- you asked about how  
17 people can have variation, I'm asking to confirm what happened  
18 in this case.

19 MR. WINNER: He's asking him to confirm another  
20 witness's findings now.

21 MR. PRINCE: No, I'm talking about consistency with  
22 his own findings and that of the radiologist.

23 MR. WINNER: Objection.

24 MR. PRINCE: Because that's [indiscernible].

25 THE COURT: Could you repeat -- repeat the --

1 MR. WINNER: Objection stands.  
2 THE COURT: -- question.  
3 MR. PRINCE: Right. I haven't asked it yet. I'm  
4 just asking to --  
5 THE COURT: Approach.  
6 MR. PRINCE: -- to read it.  
7 THE COURT: Please, approach.  
8 (Bench conference)  
9 THE COURT: What are you asking?  
10 MR. PRINCE: I'm asking him --  
11 THE COURT: Who are we talking about the fine  
12 institution graduate of --  
13 MR. PRINCE: No, this is Dr. Khavkin.  
14 THE COURT: Okay.  
15 MR. PRINCE: The neurosurgeon.  
16 THE COURT: Uh-huh.  
17 MR. WINNER: Outside --  
18 MR. PRINCE: No.  
19 MR. WINNER: -- the scope.  
20 MR. PRINCE: Now --  
21 MR. WINNER: That's my objection.  
22 MR. PRINCE: -- I mean --  
23 THE COURT: Okay.  
24 MR. PRINCE: Okay, well, I'm not finished with what  
25 I'm stating. Your objection is simple.

1           Mr. Winner said, oh, in MRIs, there's a 2 to 3  
2 millimeter variance, you know, one guy may read it this --

3           THE COURT: Right.

4           MR. PRINCE: -- way, one guy may read it that way.

5           THE COURT: Right.

6           MR. PRINCE: I'm like, all right, did you -- number  
7 one, calling into question the accuracy of the read. So, I'm  
8 saying, you read it, Dr. Rosler read it, I agreed with the  
9 radiologist, Dr. Khavkin saw it, and then Dr. Garber saw it.  
10 So it wasn't -- and he --

11          THE COURT: But aren't those other two guys going to  
12 testify --

13          MR. PRINCE: Yeah, but -- but --

14          THE COURT: -- and they can say they saw it too?

15          MR. PRINCE: Yeah, but I'm using him. He -- the  
16 questions were asked of him. I want to deal with it, with  
17 him. That -- it wasn't just him who saw it, too, it wasn't  
18 just the radiologist or him, it was two more people who saw  
19 it.

20          THE COURT: And -- and --

21          MR. PRINCE: As to rebut the issue raised by Mr.  
22 Winner.

23          MR. WINNER: I said -- my question was, can two  
24 radiologists look at the same MRI and one read as normal and  
25 one read abnormalities; he said yes, he's seen that.

1 MR. PRINCE: Right. Okay, I'm coming at -- that  
2 everybody here in her care --

3 THE COURT: I don't know, I guess the converse of  
4 that is if -- because they conceded the things that they agree  
5 on, it makes it slightly stronger, but I don't know why we  
6 don't wait for those two doctors to do their --

7 MR. PRINCE: Because I may not --

8 THE COURT: -- own thing.

9 MR. PRINCE: Well, they're going to.

10 THE COURT: And -- and --

11 MR. PRINCE: But I'm just -- but he asked it right  
12 here and I want to deal with it. He -- that was part of his  
13 cross-examination.

14 THE COURT: Well, and my other --

15 MR. PRINCE: Calling into question the accuracy of  
16 the read.

17 THE COURT: My other issue is how do -- how does  
18 that command on a personal knowledge hearsay kind of  
19 objection?

20 MR. PRINCE: But no, because the -- number one, the  
21 record is in evidence and he referred the patients to these  
22 doctors. So it's part of his -- part of his care is what they  
23 did. He directed the care.

24 THE COURT: And your objection is beyond the scope?

25 MR. WINNER: Yes.

1 THE COURT: All right. I'm going to overrule it  
2 but --

3 MR. PRINCE: Okay. That's -- it'll be fast.

4 THE COURT: -- limit it just --

5 MR. PRINCE: Yeah.

6 THE COURT: -- to one question to clear it up.

7 MR. PRINCE: Yeah, I do.

8 (End of bench conference)

9 BY MR. PRINCE:

10 Q The question is simple; Dr. Lewis saw, the  
11 radiologist saw a disc protrusion at C6-7k you saw it;  
12 correct?

13 A Yes, sir.

14 Q Did Dr. Khavkin also see it according to his  
15 records?

16 A Yes.

17 Q Okay.

18 MR. PRINCE: And if we can look at 770, part of Dr.  
19 Garber's note, Exhibit 50. If you can go to the top, Brendon,  
20 the -- kind of there where it says "note", yeah.

21 BY MR. PRINCE:

22 Q It says here, it says, MRI of the cervical spine  
23 reveals, do you see that?

24 A Yes, sir.

25 Q It says, MRI of the cervical spine reveals a left

1 paracentral disc protrusion at C6-7 with nerve root  
2 impingement; do you see that?

3 A Yes.

4 Q Did Dr. Garber who did the surgery who is also here  
5 in court, did he also see an abnormality at C6-7?

6 A Yes.

7 Q So four of you did?

8 A Yes.

9 Q All right. With regard to patients who have  
10 discogenic pain, can pain -- can discogenic pain kind of wax  
11 and wane meaning some days are good days, some days are bad  
12 days?

13 A Any kind of pain can wax and wane and suddenly also  
14 discogenic pain.

15 Q Is that something you see in your practice with a  
16 patient of discogenic pain; some days -- one day it could be  
17 high, one day it could be low?

18 A Exactly.

19 Q Before you did your injection, did you ask her to  
20 come off of any of her medication, including anti  
21 inflammatories to avoid any bleed?

22 A Yeah, typically we tell them to come off for a few  
23 days.

24 Q Okay. So when she comes to the surgery center she's  
25 -- you asked her to go off of her medication because if you're

1 on Ibuprofen or anti inflammatory is that going to increase  
2 the risk of a bleed, right?

3 A Correct.

4 Q Can the pain -- once you're off of your medication  
5 can the pain levels then go up?

6 A Yes.

7 Q Okay. Because that's one of the reasons why you  
8 have the pain medication is to help control pain and bring it  
9 down, right?

10 A Correct.

11 Q Doctor, thank you for your time. I appreciate your  
12 time. I know it's been out of your schedule. Thank you.

13 MR. PRINCE: No additional questions.

14 THE COURT: Okay. Is this witness excused?

15 MR. PRINCE: Yes, unless there's -- the jury has a  
16 question.

17 THE COURT: Anybody? Is that a nod or a "not"?  
18 There's a nod.

19 (Pause in the proceedings)

20 THE WITNESS: (To the Court) Do they have  
21 questions? Do they have questions?

22 THE COURT: Um-hum. Sit tight. You're close.

23 THE WITNESS: yeah, thank you.

24 THE COURT: Anyone else?

25 (Pause in the proceedings)



1 THE COURT: (Reading). It looks like they  
2 [inaudible].

3 MR. WINNER: I need better bifocals. (Reading). I  
4 guess I don't have an objection if that makes sense but.

5 THE COURT: They all look fine to me, quite frankly,  
6 based upon the testimony so far. I'm not sure [inaudible] not  
7 fine in terms of the question.

8 MR. WINNER: Not objectionable I think is what you  
9 mean [inaudible].

10 THE COURT: Yes. Thank you for the translation  
11 though.

12 MR. PRINCE: Sorry, I have no --

13 THE COURT: Thanks for the translation though.

14 MR. PRINCE: I have no objection to any of those  
15 questions.

16 MR. WINNER: We've said what -- we're fine  
17 [inaudible].

18 THE COURT: [Inaudible]. Ah oh, hurry up, because  
19 looking, they're handing off more questions.

20 What is this? Doesn't she get a whole notebook?

21 MR. WINNER: And Sweikert has got lots of questions.  
22 That's fine. They're all fine.

23 MR. PRINCE: Yeah, they're fine.

24 THE COURT: All right.

25 MR. PRINCE: All good.

1 THE COURT: Thank you.

2 MR. PRINCE: Yep.

3 (End of bench conference)

4 THE COURT: Okay. Doctor, wait a minute. Mr.  
5 Sweikert, I have 3, 4, 5 and 6; was there a 1 and 2?

6 JUROR NO. 8: I got rid of those.

7 THE COURT: Okay. Thank you.

8 Doctor, do you believe in chiropractic care?

9 THE WITNESS: I do believe in chiropractic care as a  
10 modality, conservative modality, as an initial conservative  
11 modality just like physical therapy. I think it's personal  
12 preference what patients or people prefer, either going to the  
13 chiropractor or to the physical therapist. But I think it's a  
14 -- it's an important, reasonable first step once you get, you  
15 know, injured for whatever reason to go to one of the first  
16 conservative treatment providers such as a chiropractor or a  
17 physical therapist.

18 THE COURT: Did Desire ever show that she had any  
19 reservation about anything you recommended to her?

20 THE WITNESS: I think most patients do have  
21 reservation. I don't recall that she had a particular  
22 reservation. But most of my patients, that is just from my  
23 experience, when you ask or recommend an injection with a  
24 needle close to the spinal cord they have reservations. And  
25 they don't take that lightly simply because of the risks that

1 are involved. And a lot of people, the majority of people are  
2 telling me, I'm scared of needles. So we have to be very  
3 cognizant of that.

4 And I also tell the patients these are not  
5 injections that have to be done. These are not urgent or  
6 emergent procedures. These are optional procedures to A, find  
7 out where the pain is coming from; B, hopefully giving you  
8 some therapeutic benefit. But it's entirely up to the patient  
9 to decide whether they want to undergo the procedure.

10 But the majority of my patients and over the last 15  
11 years they do have some concerns and some anxiety about these  
12 injections, which I understand.

13 THE COURT: Okay. Did she accept your  
14 recommendations without consideration?

15 THE WITNESS: Again, the same thing. We give  
16 patients the option, as I pointed out, these procedures are  
17 optional and the patient has at any given time the -- is good  
18 right or good right to refuse or say no, I don't want to do  
19 this injection. And I have had that in my practice.

20 THE COURT: If Desire's pain was not as bad, if it  
21 was less than 5 out of 10, would you have suggested the route  
22 of surgery knowing that the disc injury was present?

23 THE WITNESS: I am not a surgeon. I wouldn't  
24 recommend surgery. I think we are -- we are dealing with two  
25 components of her symptoms. One is the neck pain and the

1 other component is the radicular component, the numbness and  
2 the tingling in the arm.

3 And we all know that if a nerve root irritation and  
4 inflammation of the nerve that manifests itself as numbness  
5 and tingling, and pain, or pain, if that that persists, if  
6 there is a irritation, a chronic irritation that can cause  
7 nerve damage, which can manifest itself as weakness, we  
8 certainly are aware of that.

9 So I think the recommendation to go to a surgeon is  
10 or was being made not essentially to tell the patient, you  
11 have to have surgery, because I'm not a surgeon. But it was  
12 warranted because I wanted to have a surgeon explain the  
13 situation to the patient and potentially what kind of long-  
14 term effects this discogenic pain and the radiculopathy has on  
15 the patient.

16 THE COURT: Does this surgery typically result in  
17 ongoing pain such that the patient would seek pain management?

18 THE WITNESS: Well, again, I'm not a surgeon but I  
19 see patients who are about to undergo surgery and are  
20 undergoing surgery. And I often over time we develop a good  
21 doctor/patient relationship, at least that's what I'm striving  
22 for.

23 So patients ask me, Doctor, can you guarantee that  
24 this surgery is going to help me? And I always say, there's  
25 no guarantee. And I give the example of Tiger Woods. He had

1 four back surgeries and the final back surgery has helped him  
2 to win the Masters so to speak, or the same thing with Peyton  
3 Manning, he had numerous cervical surgeries that didn't really  
4 help and then eventually he had a fusion surgery and was --  
5 then he went to the Superbowl again.

6           So I tell my patients, you know, there's no  
7 guarantee but we -- and I speak for my surgical colleagues  
8 their, hopefully for them, that we wouldn't recommend or they  
9 wouldn't recommend surgery and I wouldn't recommend a  
10 consultation with a surgeon if I wouldn't be convinced that a  
11 surgical option might be feasible to get the patient's  
12 symptoms better.

13           However, it is never an urgency or an emergency  
14 unless we have the threat of paralysis or acute spinal  
15 instability with a fracture. The patient can always opt to  
16 live with the pain as well and I make that clear to the  
17 patient as well.

18           THE COURT: And if a patient had prior injury with  
19 no symptoms, could trauma bring about significant symptoms  
20 without causing additional injury or damage?

21           THE WITNESS: Well, if you have what we call say  
22 preexisting asymptomatic condition, and especially it's in the  
23 elderly population, that renders you more susceptible to an  
24 injury that now causes pain, and it could be an injury that  
25 doesn't necessarily show as a pathological change on the MRI

1 scan, but it could be an injury that is due to now the onset  
2 of an inflammatory process, signaling that there is an  
3 inflammation going on that the patient receives as pain.

4 THE COURT: Anybody else, anything else? Do any of  
5 those questions --

6 MR. PRINCE: Nothing from us, Judge.

7 THE COURT: -- any -- other side?

8 MR. WINNER: No, thank you, Judge.

9 MR. HENRIOD: Thank you.

10 THE COURT: Jurors all done? Okay.

11 MR. PRINCE: Thank you for your time, Doctor.

12 THE COURT: Now he's excused?

13 MR. PRINCE: Yes.

14 THE COURT: All right.

15 THE WITNESS: Thank you.

16 THE COURT: Thanks again for your time. Appreciate  
17 it.

18 We're going to go ahead and break for lunch.

19 MR. PRINCE: Okay.

20 THE COURT: I'm going to take an --

21 MR. PRINCE: Can we approach, Judge?

22 THE COURT: Yep.

23 (Bench conference)

24 MR. WINNER: I just don't know how long Dennis is  
25 going to take but I have kids and I kind of need to get out of

1 here by 5:00 and I don't want to bring him back tomorrow. So  
2 the shorter the lunch maybe the better would be -- that's the  
3 reason I'm approaching.

4 MR. PRINCE: Okay.

5 THE COURT: That's fine.

6 MR. PRINCE: Want to do 45 minutes?

7 THE COURT: Well, here's the problem. I want you  
8 all back 15 minutes earlier because I need to deal with --

9 MR. PRINCE: Fine.

10 THE COURT: -- this before this next witness. So  
11 that only gives us a half hour.

12 MR. PRINCE: Well, the 45 minutes is fine. An hour  
13 is fine. That'll be fine.

14 MR. WINNER: Is that okay with you?

15 MR. PRINCE: Whatever is fine.

16 THE COURT: Well, I'm not staying late as I --

17 MR. PRINCE: Right.

18 THE COURT: -- said earlier.

19 MR. PRINCE: No, it's --

20 MR. WINNER: I just don't want to bring him back  
21 tomorrow.

22 THE COURT: I have dinner plans.

23 MR. PRINCE: Understood. Okay.

24 THE COURT: Do you know how long you're going to be?  
25 Are you going to be as long as that other one?

1 MR. PRINCE: Probably not. So, we can probably  
2 cover some things but he read all the records so he's a little  
3 -- he covers a little different topic but it will be probably  
4 more focused.

5 MR. WINNER: Thank you, Judge.

6 THE COURT: Okay. I'll give them an hour, we'll  
7 take 45.

8 MR. WINNER: Okay.

9 MR. PRINCE: Okay.

10 (End of bench conference)

11 MR. PRINCE: We'll be back at --

12 MR. WINNER: At 2:10?

13 THE COURT: We're going to give them until 2:30.

14 MR. WINNER: That's an hour and ten minutes.

15 THE COURT: Is that right?

16 MR. WINNER: Or it's an hour and five minutes. My  
17 watch is slow.

18 THE COURT: It's 1:26 right now.

19 MR. WINNER: Okay.

20 THE COURT: So, ladies and gentlemen, we're going to  
21 give you an hour. Is that what I said, an hour for them and  
22 45 for us, right?

23 MR. WINNER: Whatever you want to do, so.

24 THE COURT: Yeah.

25 MR. PRINCE: Yeah.



1 THE COURT: That's what I'm going to do.

2 MR. PRINCE: Okay.

3 THE COURT: During the recess, you're admonished not  
4 to talk to or converse among yourselves or with anyone else on  
5 any subject connected to this trial or read, watch or listen  
6 to any report of or commentary on the trial by any person  
7 connected with this trial, by any medium of information,  
8 including without limitation to newspapers, television, the  
9 Internet and radio, or form or express any opinion on any  
10 subject connected with the trial until the case is finally  
11 submitted to you.

12 If I could have the --

13 THE MARSHAL: All rise for the exiting jury.

14 THE COURT: If I could have the attorneys back at  
15 2:15.

16 UNIDENTIFIED JUROR: 2:15?

17 THE COURT: Jurors 2:30.

18 UNIDENTIFIED JUROR: Oh.

19 (Court recessed at 1:27 P.M., until 2:25 P.M.)

20 (Outside the presence of the jury)

21 THE COURT: Okay. I've -- is everybody here? Mr.  
22 Prince, when is it that you claim that you became aware that  
23 you were seeking a second surgery?

24 MR. PRINCE: In preparation with Dr. Garber and Dr.  
25 Khavkin given the rate of adjacent segment disease, and

1 looking at materials with Dr. Wang, that I determined that,  
2 number one, given her age, given the statistical likelihood, I  
3 then asked Dr. Garber and Khavkin that during, you know, as  
4 part of their prognosis for her did they also envision that at  
5 some point she would be fused at C4-5. Dr. Khavkin said yes  
6 because he was going to do a two-level, so that would be a  
7 single surgery for him.

8 Dr. Garber told me also, yes, he goes it's a 100  
9 percent certainty as to the C5-6 level, which is the  
10 immediately adjacent segment, and because of her young age and  
11 adjacent, that, yes, at some point during her lifetime she  
12 would need C4-5. So I guess they confirmed it in two ways  
13 since they had different surgical approaches kind of using all  
14 of the data, so that's what I did. And --

15 THE COURT: I was asking for a date.

16 MR. PRINCE: -- in the -- in the first -- what's  
17 what that?

18 THE COURT: I was asking for a date.

19 MR. PRINCE: Oh, before the first trial, actually.  
20 I said that she was at risk for having a third surgery. I  
21 didn't put in a number, but then I confirmed -- I just doubled  
22 the -- in asking Dr. Garber, I doubled the number because it  
23 is the same surgery times two. So he was asked in December of  
24 2017 what the cost of -- number one, will she have adjacent  
25 segment disease, he said yes and he gave the cost of only one

1 surgery at that time. But so that -- that I knew, but then I  
2 started asking preparing for the trial is she going to need up  
3 to C4-5 done.

4 THE COURT: And why didn't you -- why didn't you  
5 seek that at the last trial?

6 MR. PRINCE: I did say that she is at risk for a  
7 three-level surgery. I did -- I did say that in my last  
8 opening. I have my opening PowerPoint to say that. I just  
9 didn't include a cost number in it.

10 THE COURT: Did you --

11 MR. PRINCE: And I didn't say it quite in the same  
12 way, but I did say that.

13 THE COURT: When you became aware of that, did you  
14 call Mr. Winner and say, hey, look, I just talked to my  
15 expert, it looks like there's going to be a second surgery?

16 MR. PRINCE: I didn't. No. Well, I said it during  
17 my last opening. And so I did say in my last opening that she  
18 was at risk of having an adjacent segment surgery at C4-5, so  
19 I did say that. The only difference now is I included a cost  
20 in this opening that I didn't include the last time.

21 THE COURT: Okay. I don't recall seeing that.

22 MR. PRINCE: And I have my Power -- it would be in  
23 my -- do we have the PowerPoint from the last time or did you  
24 save over it?

25 THE COURT: I don't --

1 MR. PRINCE: And I gave you -- I gave you --

2 MR. HENRIOD: It says two?

3 MR. PRINCE: I said three, that, yeah, she would be  
4 fused up to three levels. Oh, yeah, I did for sure. And I'll  
5 even -- I have the transcript, so I can talk -- and I know I  
6 can find it.

7 THE COURT: But not in terms of -- certainly not to  
8 a degree of medical certainty that she's definitely going to  
9 have it. I don't believe that was presented.

10 MR. PRINCE: I said she's at risk of having a  
11 three-level surgery because of her age. I did say that. Yes,  
12 I did. I didn't say it in the way -- in the same words. I  
13 try to not duplicate everything I said, but I said it in more  
14 definitive terms this time than I did the last time. That is  
15 true.

16 THE COURT: Okay. Anything else?

17 MR. HENRIOD: No. You've read the brief. You've  
18 read --

19 THE COURT: I read the briefs. I am going to not  
20 allow the second surgery in. It's my belief that because of  
21 the non-disclosure, I don't see a substantial justification.  
22 We went through this trial once. You were only seeking for  
23 one surgery.

24 MR. PRINCE: Okay.

25 THE COURT: I think it was a surprise as evidenced

1 by the fact that Mr. Winner actually came up and said did you  
2 mean to say two surgeries? I think he was truly taken by  
3 surprise. I certainly was taken by surprise based upon  
4 everything I see. The fact that you said you knew about it  
5 before the first trial, that makes me wonder why we waited.  
6 I'm not saying you don't have a strategic reason for it. I  
7 just don't think that the disclosure --

8 MR. PRINCE: Well, that relates to the costs, then.  
9 He can still talk about what her future care is going to be.  
10 I may not -- I may not be able to ask for the cost associated  
11 with that, but he can talk about --

12 THE COURT: Well --

13 MR. PRINCE: -- part of her prognosis is going to be  
14 that she's going to have an adjacent segment at C4-5 because  
15 that is -- that is what he formed during the course of his  
16 care.

17 THE COURT: Well, we'll see how that goes. I'm not  
18 sure about that because I think that that's something he has  
19 to be able to opine to a degree of medical certainty --

20 MR. PRINCE: He is going to.

21 THE COURT: -- and I think there is a lot of  
22 variables that the defense would have wanted to talk about if  
23 they knew he was going to talk about a second surgery.

24 In his -- his report he talks about, well, yeah,  
25 there's, you know, a lot of variables, if she lives this long,

1 if she follows the certain percentage increase like everybody  
2 else, depending on her health, does she have diabetes, what's  
3 her weight, what's -- there's a whole lot of things the life  
4 expectancy chart that I know you guys have been at issue and  
5 we're only getting to limited, would become much more  
6 important if you're going to start talking about a second  
7 surgery.

8           So I think that there's a lot of variables that  
9 wouldn't have been addressed by the defense unless they knew  
10 that this was coming on a --

11           MR. PRINCE: Well, I mean, we can certainly talk  
12 about --

13           THE COURT: -- secondary surgery.

14           MR. PRINCE: I mean, I understand the computation of  
15 damages is one issue, but in terms of his prognosis, Dr.  
16 Khavkin is going to come up here and say, yeah, at my  
17 recommendation, she is definitely going to have it whether you  
18 do it in two surgeries or three, you're getting the C4-5 in  
19 her lifetime. He is going to say that. Dr. Garber is going  
20 to say that because that's part of the progression of her  
21 disease process. I'm not against -- under your ruling, I  
22 can't ask for the costs associated with that. I only can ask  
23 for the cost of the one surgery, but she's going to experience  
24 that during her lifetime. He didn't -- he didn't give --

25           THE COURT: Maybe, if she lives long enough.

1 MR. PRINCE: Oh, assuming she lives --

2 THE COURT: That may not have anything to do with

3 this.

4 MR. PRINCE: Well, I guess, then, that's up for

5 cross. Then that's a weight issue. It goes to the weight of

6 whether assuming she lives that long. I mean, but more about

7 the process and how the adjacent segment disease works and

8 once he fuses two levels what it does to the next level and

9 how that will start -- the breakdown process will start all

10 over again. Because that is going to happen.

11 He's going to give an opinion in the next 10, 15

12 years that she's going to have a second surgery at C5-6. Then

13 what happens, Dr. Garber? It's not the end of the story.

14 It's a disease process. And how it works, it's a progressive

15 disease process. And that's an opinion he formed during the

16 course of his care unrelated to an opinion on the cost. I

17 understand your position on cost.

18 THE COURT: Okay.

19 MR. PRINCE: But in terms of the process, it doesn't

20 end. It's all of the sudden, oh, one surgery, that ends her

21 care. It doesn't end her care. It actually increases her

22 chances, particularly with her age.

23 THE COURT: Defense.

24 MR. HENRIOD: I don't --

25 THE COURT: I'm more inclined to give him a little

1 more latitude there, just so you know.

2 MR. HENRIOD: I don't think you can come in and  
3 suggest that there is going to be future care that they are  
4 not actually providing a number for, which I think encourages  
5 the jury, then, just to tack on more in pain and suffering  
6 because there's probably going to be even more care than we're  
7 able to substantiate now.

8 I think that it is not a meaningful distinction  
9 between listing costs and just -- and price. I think -- I  
10 think what I'm hearing is a characterization of the  
11 Pizarro-Ortega case, that the only thing that -- that there is  
12 a deficiency about when it comes to the disclosure is the  
13 price of this.

14 MR. PRINCE: Correct.

15 MR. HENRIOD: I don't think that that is a  
16 significant difference. Now, it was in Pizarro-Ortega  
17 because --

18 THE COURT: Which was different.

19 MR. HENRIOD: -- there what happened, right, is it's  
20 the one thing they didn't disclose.

21 THE COURT: Correct.

22 MR. HENRIOD: But as the Court makes the notes --

23 THE COURT: Right.

24 MR. HENRIOD: -- it was never in controversy --

25 THE COURT: Right. That --



1 MR. HENRIOD: -- that substantively --  
2 THE COURT: -- they know the surgery --  
3 MR. HENRIOD: -- was going to be needed --  
4 THE COURT: Correct.  
5 MR. HENRIOD: -- and what that surgery was. And  
6 here we have in this case not just non-disclosure of anything  
7 except one future surgery. We actually have the opinion from  
8 Dr. Garber in his deposition that it would only be one and he  
9 even explained why it likely would not be the one underneath,  
10 just the one above. And we don't have from Dr. Khavkin  
11 anywhere that he thinks that there is going to be the  
12 necessity for surgery in the future.  
13 And that this was discovered in conversations  
14 pursuant to trial preparation, I think that means that it  
15 wasn't necessarily formed during care. It was formed in that  
16 collaboration. It's the time of thing that has to be  
17 reported. And I don't think you can allude to future  
18 surgeries and think that the harm of that is eradicated  
19 because we're not giving the jury a price.  
20 MR. PRINCE: Well --  
21 MR. HENRIOD: I think the problem with that is the  
22 jury will just imagine the price on their own and tack it in.  
23 THE COURT: Well, but they are --  
24 MR. PRINCE: They only can base it on the evidence.  
25 THE COURT: That are not going to be able to

1       compensate for that --

2               MR. PRINCE:   Correct.

3               THE COURT:   -- surgery.

4               MR. PRINCE:   Well, no, for the cost of it.   The  
5       cost.   I may be asking for pain and suffering because --

6               THE COURT:   Oh, for that surgery?   Okay.   Then it's  
7       all out.

8               MR. PRINCE:   Well, Judge --

9               THE COURT:   Second surgery is out.

10              MR. PRINCE:   Well, Judge, how do you do that?   The  
11       disease process doesn't stop.   It doesn't stop.   And once you  
12       -- once you fuse her two levels, she's going to break down  
13       again.   That's part of her future.   That's -- whether he says  
14       it, Dr. Khavkin says it, that's a part of it.   The only thing  
15       that Rule 16.1 says, you have to provide a computation of  
16       damages, and that's what we're talking about.   That's the cost  
17       part of it.   You don't have to put in there your pain and  
18       suffering damages.

19              And you are allowed to offer like what is her  
20       prognosis, what does her future look like?   All of that  
21       adjacent breakdown, that is going to happen.   That doesn't --  
22       just because if by 45 -- yeah, Dr. Wang is even going to say  
23       it.   When you fuse -- once you fuse the next level, the next  
24       level above that, C4-5, is going to break down with time.  
25       That is going to happen.

1 THE COURT: Well, you can -- you can argue all that  
2 with just the first surgery and the injury. You don't need  
3 the second surgery.

4 MR. PRINCE: Well --

5 THE COURT: You can argue all the potential --

6 MR. PRINCE: No, but I need to talk about the -- is  
7 she going to need another surgery in her lifetime, a second  
8 surgery, because that is the evidence.

9 THE COURT: I don't think it's the evidence. I  
10 haven't -- show me in a report.

11 MR. PRINCE: Well, it's not required to be in a  
12 report. It's not required. He's a treating physician.

13 THE COURT: Well, I think he's going a little beyond  
14 when it's in trial prep that he comes up with his --

15 MR. PRINCE: No, I made it.

16 THE COURT: Show it to me.

17 MR. PRINCE: I don't have the second surgery in any  
18 report. I don't have that.

19 THE COURT: And I think my position is buttressed by  
20 the fact that you filed your sixth supplement early case  
21 conference list, your 16.1, based upon this whole issue in  
22 trial.

23 MR. WINNER: That's the report we have.

24 THE COURT: On 5/21, that was yesterday. And by  
25 your own admission you knew about it weeks ago.

1 MR. WINNER: That's 5/21 -- 17.

2 MR. PRINCE: But I talked about -- what are we going  
3 to be able to talk about. I talked about in the first trial  
4 that she's at risk because I did talk about that.

5 THE COURT: Okay. I need you to pull it up for me.

6 MR. PRINCE: Find -- find the --

7 THE COURT: If that's true --

8 MR. PRINCE: -- transcript from the first trial.

9 THE COURT: -- that's different. Let me -- let me  
10 see. Can you pull it up.

11 MR. PRINCE: Oh, yeah, I definitely talked about it.  
12 Absolutely.

13 (Pause in the proceedings)

14 THE COURT: I just saw this. Was there a second one  
15 for a second surgery, a letter like this? Obviously, there  
16 wasn't --

17 MR. WINNER: No.

18 THE COURT: -- or somebody would have mentioned it.

19 MR. WINNER: It's the only one I have.

20 (Pause in the proceedings)

21 THE COURT: I'm just going to go grab something off  
22 my desk while you're looking for that.

23 (Pause in the proceedings)

24 MR. PRINCE: Okay.

25 THE COURT: Got it?

1 MR. PRINCE: I do.

2 THE COURT: Do we have the -- you don't probably  
3 have it right there.

4 MR. PRINCE: So with respect to this issue, during  
5 my first opening statement, I was talking about her having a  
6 surgery. And so by around age -- this is on page 67 of the  
7 first transcript of the first -- so by around age 40 or so,  
8 she's going to need another surgery. So now she's going to  
9 have two levels fused. She's still a very young woman with  
10 about another 40 years of life expectancy, and that cost is  
11 \$280,419 to now to deal with two levels of the spine, so that  
12 is going to be in her future.

13 And now -- this is on page 68 -- once we have two  
14 levels fused, because we're talking about the rest of her  
15 life, you're going to hear evidence of what she's going to  
16 endure and expect. So we're going to have to plan for these  
17 issues now, but now it's going to start all over again. By  
18 the age of 55, she may very well need surgical -- surgery  
19 again in her lifetime. That's a very real risk. And so,  
20 eventually, she's going to have a real risk of having three  
21 levels fused.

22 THE COURT: Okay. Here's what I'm going to let you  
23 do. I'm going to let you present evidence like that, very  
24 likely, real possibility, that kind -- well, do you guy want  
25 to say something?

1 MR. PRINCE: Well, more probable than not. I'm  
2 saying that; right?

3 THE COURT: I mean, I think that's -- that's fair.  
4 That's legitimately --

5 MR. PRINCE: Well, it's more probable than not. I  
6 just said that in that way that that was -- I didn't have to  
7 use those exact words. I mean, I'm telling her we're talking  
8 about fusing three levels in her lifetime. Then I go on -- so  
9 I go on here.

10 Remember, if she had surgery with Dr. Khavkin, she  
11 was guaranteed to have a three-level, so she's likely going to  
12 go through this whole process three times in her lifetime.  
13 That's on page 67 and 68. I said likely. So that -- that's  
14 what -- you may not get all of the costs, but for her to be  
15 stripped of her pain and suffering damages because that is  
16 what the evidence is going to be. I mean, I said that during  
17 the first trial without objection.

18 So I understand about the cost issue, and so that  
19 wasn't in our computation. I think they still have more than  
20 sufficient time, they've got more than sufficient notice.  
21 They knew about this the last time. They said nothing to you  
22 about it, not even an objection. The only difference was Mr.  
23 Winner heard me say I'd put a cost to it. All he had to do  
24 was times two.

25 THE COURT: It's a big difference, but --

1 MR. PRINCE: It's a -- I don't know.

2 THE COURT: It would be to me.

3 MR. PRINCE: He's got time to have his experts deal  
4 with it. They don't testify for a week. They don't have to  
5 issue a report. I'm not asking to take a deposition. Their  
6 experts have never even given opinions on future cost  
7 estimates of the need for the surgery that Dr. Garber gave.  
8 None. So I don't think there's any harm or prejudice at all.  
9 They already prepared a report. They can come into court and  
10 say whatever they want to say. I don't care. I'll  
11 cross-examine them with the evidence.

12 So that's why when you don't do the Rule 37  
13 analysis, it's really no prejudice to them because I said this  
14 -- it's number one, a prognosis from a treating physician, two  
15 of them, in fact. I understand about the computation, but I  
16 see Pizarro-Ortega supports my position. If there's no harm  
17 or prejudice, then they can deal with it with the record.  
18 They've got more than sufficient time. Dr. Wang doesn't  
19 testify until next Tuesday.

20 THE COURT: Well, like I said, I still don't see  
21 that there's substantial justification, and I don't think that  
22 it's harmless. But in terms of this particular argument, it  
23 was in his previous PowerPoint and he argued it to the jury,  
24 so on some level you are on notice about that.

25 MR. PRINCE: And I also gave them the PowerPoint

1 before we started the opening statement.

2 THE COURT: I know.

3 MR. PRINCE: Remember that?

4 THE COURT: I do. I was here.

5 MR. PRINCE: With no objection.

6 MR. WINNER: Well, none of this was mentioned in the

7 last opening statement. What I heard for the first time --

8 MR. PRINCE: No, that was -- no, what I just quoted

9 you was from my prior opening statement of the mistrial.

10 That's what I quoted from.

11 MR. WINNER: That's not -- I don't think that's what

12 she was asking. The current opening statement, that was not

13 included in the screens that I looked at. He just blurted out

14 there's going to be \$560,000 in futures.

15 MR. PRINCE: Well --

16 THE COURT: Correct. But my -- I'm talking about a

17 notice issue and a disclosure issue. And if what he went --

18 read, you guys definitely were on notice that that's -- I

19 mean, I knew that they were going to talk about this

20 debilitating thing. I had no idea that they were going to try

21 and recover for a second surgery --

22 MR. WINNER: no.

23 THE COURT: -- in the future, so that really

24 surprised me and that's why I'm saying that can't come in.

25 But I don't necessarily think that some of --



1 MR. PRINCE: You mean the cost. The medical  
2 expense. I did talk about --

3 THE COURT: Well, I don't know about the medical  
4 certainty of it because honestly --

5 MR. PRINCE: I didn't need to say it that way.

6 THE COURT: -- I don't know that it matters because,  
7 quite frankly, I think that can be handled on  
8 cross-examination because nobody on this planet is going to  
9 say 100 percent certain that she's going to get a second  
10 surgery in her lifetime I wouldn't think.

11 MR. PRINCE: Right. And we have to prove it more  
12 probable than not. That's all we have to prove it by. That's  
13 why it's --

14 THE COURT: Well, I think --

15 MR. PRINCE: -- the burden of proof.

16 THE COURT: -- there's a lot more than that going  
17 on. There's a whole lot of -- it's a medical certainty, and  
18 there's also all the assumptions that go into it by your  
19 witness's own reports. There's a million factors, so --

20 MR. PRINCE: Okay.

21 MR. HENRIOD: So on those two points, I don't think  
22 that opening statement counts as a disclosure during  
23 discovery. I think 16.1 contemplates a disclosure before  
24 trial begins. I don't think that you have to object to every  
25 statement of an attorney in opening statement that you think

1 they won't be able to fulfill by the end of the trial, so it's  
2 not as if you admit every assertion during an opening  
3 statement by not objecting to it. And I think it is a problem  
4 to suggest that pain and suffering can be based on care that  
5 is not being substantiated as special damages. Now --

6 MR. PRINCE: Oh.

7 MR. HENRIOD: -- will her spine -- will her spine  
8 continue to deteriorate? If that's what they want to say,  
9 well, of course, everyone's will. But to suggest that there  
10 is future care out there that will be necessary to a  
11 reasonable degree of medical probability and so they should  
12 award an amorphous general damages amount on that when they  
13 can't, it's special, I think is totally inappropriate, Judge.

14 MR. PRINCE: Your Honor, the fallacy of this  
15 argument is I don't have to put any medical expenses in. I  
16 can just ask for pain and suffering damages. As long as I  
17 established them, I didn't have -- I don't have to put any  
18 expense in, past or future.

19 That could just be talking about what you have to  
20 endure in your life to satisfy the legal standard for  
21 physical, mental, pain, anguish, suffering, loss of enjoyment  
22 of your life. If that establishes -- that's the evidence that  
23 establishes it, I don't need to have any special damages. And  
24 so a treating physician can give a prognosis. If that's part  
25 of the prognosis and it's formed during the course of their

1 care, then I'm entitled to put that evidence on.

2 I understand about the special damages part, which  
3 is part of the computation, I do understand that. We only  
4 disclosed the 280,000. So I guess I won't be allowed to ask  
5 for that, and in my closing argument I'll be limited to what  
6 the evidence is. But I can talk about what she's about to  
7 endure for the next 55 years, that's part of it. And I told  
8 them that the last time.

9 THE COURT: I got it. Yes. I'm not as offended by  
10 that because I think that's the natural argument that he's  
11 making. You know what, frankly, I don't need an expert. Once  
12 you tell me that you fuse a spine, and it even makes sense,  
13 wear and tear, eventually you're going to have to probably  
14 fuse another one. And so you know what, if you fuse another  
15 one, common sense is going to tell me in a few more years you  
16 may have to fuse another one.

17 So I don't -- I don't know that -- if you're okay  
18 with some of the degradation of the spine coming in over time,  
19 why specifically it matters which way. I don't know if that  
20 makes any sense. I kind of think your -- I mean, your whole  
21 basic premise is surgery wasn't required in the first place,  
22 if I'm not mistaken.

23 MR. HENRIOD: True.

24 THE COURT: There was an over treatment.

25 MR. WINNER: Well, my only response would be based

1 on what was presented to us during discovery and presented to  
2 us up until yesterday, what was presented to us was their  
3 claim that the plaintiff would need, over the course of her  
4 lifetime, one additional surgery in about 40 years. We were  
5 okay living with that. We have to live with that.

6 THE COURT: Well --

7 MR. PRINCE: That's not the evidence, Judge.

8 THE COURT: Yes and no.

9 MR. WINNER: Well, it's -- it's not the evidence,  
10 but it's the evidence they chose to disclose until  
11 yesterday --

12 THE COURT: But --

13 MR. WINNER: -- during the second trial. And  
14 they're acting offended because that's all they disclosed.

15 THE COURT: But here's what I'll say. And, again,  
16 my understanding is treating physician doesn't all have to be  
17 there. And in fairness to the other side, the treating  
18 physician does make reference to the percentages and the years  
19 and the time and stuff. And, arguably, from that you could  
20 extrapolate to a second -- potential second surgery. So while  
21 they don't throw it right out there, I think there is some  
22 basis for it in the report. So I'm done now because it's  
23 time. The computations are out. They can do -- they can talk  
24 about --

25 MR. WINNER: So the cost is out, but the possibility

1 of another surgery is allowed?

2 THE COURT: Yeah. I mean, it is a possibility. I  
3 mean, I think to deny it is -- doesn't really make any sense,  
4 honestly.

5 MR. PRINCE: Yeah. We're good. All right.

6 MR. HENRIOD: Okay. Well, Your Honor has read  
7 Williams versus District Court, Morris Tecata (phonetic)  
8 versus Save On. I know you're familiar --

9 THE COURT: I don't remember.

10 MR. HENRIOD: -- with the standard. So we disagree.

11 THE COURT: OH, with that part of it?

12 MR. HENRIOD: So I don't think you can intuit the  
13 need for future surgery. I think an expert needs to  
14 establish --

15 MR. PRINCE: Oh, he's doing --

16 MR. HENRIOD: -- that its going to be necessary and  
17 that it is causally related. As long as I understand Your  
18 Honor understands that --

19 MR. PRINCE: I'm going to do that through this  
20 witness.

21 THE COURT: Well, I do, because as I said already, I  
22 question whether they're going to be able to get there.

23 MR. HENRIOD: Well, it sounds like you're going to  
24 allow them to be able to get there.

25 THE COURT: Do their -- I know.

1 MR. PRINCE: We'll lay the foundation for that.

2 MR. HENRIOD: Which is the --

3 MR. PRINCE: We'll lay the foundation for a second  
4 surgery and a third surgery in the future. I am -- that's  
5 what I plan on doing.

6 MR. HENRIOD: He's going to be telling us all for  
7 the first time from the stand.

8 MR. PRINCE: See, they -- they want to try to  
9 convert a treating physician all the way as to, hey, it's not  
10 documented in your records, therefore, it's not there.

11 THE COURT: Here's what -- here's what I'm thinking  
12 about it and I don't know if I can do this. Can we do a --  
13 not a deposition, per se, but can we do a testimony outside  
14 the presence on this particular area with the witness to see  
15 what he's going to say and then we can -- rather than doing it  
16 in front of -- I mean, do you guys do outside the presence of  
17 the jury --

18 MR. WINNER: Sure.

19 THE COURT: -- things?

20 MR. PRINCE: We can, if you're worried about the  
21 evidentiary basis of it. If there's a foundational question.

22 THE COURT: Isn't that the objection?

23 MR. PRINCE: No. They're just saying, no, you can't  
24 disclose it, we didn't know. It's a Rule 16.1 objection  
25 they're making.

1 THE COURT: No, I think he's taking a new --  
2 MR. HENRIOD: No, I don't -- I actually don't doubt  
3 that if he comes on the stand, I don't doubt the  
4 representation that he may say this. The problem is that  
5 we'll all be hearing it for the first time.  
6 MR. PRINCE: Not for the first time. They have his  
7 report --  
8 MR. HENRIOD: Yes.  
9 MR. PRINCE: -- and he talked about breakdowns per  
10 year.  
11 MR. HENRIOD: No, I'm sorry. Which doctor are we  
12 talking about right now?  
13 MR. PRINCE: Garber.  
14 MR. HENRIOD: Okay. Well, that was -- yeah, we had  
15 disclosure of one fusion from him.  
16 THE COURT: Okay.  
17 MR. HENRIOD: We did not have disclosure of two. As  
18 a matter of fact, we asked about the other one --  
19 MR. PRINCE: I guess you can --  
20 MR. HENRIOD: -- and he said that he did not think  
21 that that one was more likely because there's not as much  
22 movement at the level anyway.  
23 THE COURT: I saw that. To me, that -- that's great  
24 cross-examination.  
25 MR. PRINCE: But he's talking --

1 THE COURT: I don't know that --  
2 MR. PRINCE: -- about the --  
3 THE COURT: -- I would put it on, but that's --  
4 MR. PRINCE: -- C7-T1 level. That's what they were  
5 asking about, and that's not what he's talking about.  
6 MR. HENRIOD: So then now we're talking about a  
7 level that's nowhere in the reports.  
8 MR. PRINCE: Well, because he's not required to have  
9 one in his report. That's part of his --  
10 MR. HENRIOD: Well, or the medical records --  
11 MR. PRINCE: Hang on.  
12 MR. HENRIOD: -- or the deposition testimony.  
13 MR. PRINCE: He doesn't even have to -- if he didn't  
14 have an extra report on the cost, he doesn't talk about  
15 adjacent segment disease in any of his charting. So no  
16 doctors do that. Then you're converting him to a retained  
17 expert and they're not required to have that standard.  
18 THE COURT: But haven't you kind of, when you were  
19 preparing for trial, you started talking to him about a second  
20 surgery? Aren't you now --  
21 MR. PRINCE: I already was saying --  
22 THE COURT: -- transitioning him over to an expert?  
23 MR. PRINCE: I said that before. He is an expert.  
24 By definition he's an expert.  
25 THE COURT: Well, I know, but --



1 MR. PRINCE: He's a non-retained expert. We did  
2 give him material so he became a retained. He commented and  
3 rebutted some of the defense stuff, but as it relates to this  
4 issue, he does --

5 THE COURT: And just for the record. The doctor is  
6 sitting in the courtroom. I don't know if that matters to  
7 anyone.

8 MR. HENRIOD: One of the two doctors --

9 MR. WINNER: Dr. Garber is.

10 MR. HENRIOD: -- that we're talking about --

11 MR. WINNER: Yes.

12 MR. HENRIOD: -- yes.

13 THE COURT: So -- and he's raising his hand, as  
14 well. But I just want the record to reflect that he's been  
15 here for this whole conversation.

16 MR. PRINCE: Well, let's -- let's -- let me see if I  
17 can lay the foundation here to satisfy to a reasonable degree  
18 of medical probability. Because once she has the second  
19 surgery at C5-6, the breakdown process starts all over again.  
20 It's not like it ends. It keeps going. It's a disease. So  
21 he's going to talk about disease and why it's a disease and  
22 why it's 3 percent per year and how -- where the statistical  
23 analysis comes from. Their own experts --

24 THE COURT: He can talk about -- talk about all  
25 that.

1           MR. WINNER: He didn't say 3 percent a year. He  
2 said 1 to 4 percent a year. 3 percent a year is what Mr.  
3 Prince made up this morning. His report says 1 to 4 percent a  
4 year.

5           THE COURT: Somewhere somebody said 2, as well, when  
6 they --

7           MR. WINNER: And he said assuming 2 percent a year,  
8 she needs one surgery in her lifetime. That's what they  
9 disclose.

10          THE COURT: And so estimating 4 percent per year,  
11 she's going to need three surgeries.

12          MR. PRINCE: Correct.

13          THE COURT: And assuming 1 percent, she may never  
14 need another one.

15          MR. WINNER: Assuming 2 percent a year, she needs  
16 one more surgery.

17          MR. PRINCE: Well, Dr. Wang has testified, and he  
18 just used a conservative 2 percent. Dr. Wang talks about 3  
19 percent a year they will have a chance of adjacent segment  
20 disease at 3 percent per year. That goes back to 2006. The  
21 defense expert. So these are statistics. He just used 2 to  
22 say even using 2 percent, it's 100 percent likely she's going  
23 to have one. He didn't ask to how many, if she was going to  
24 -- is she going to have adjacent segment disease and what's  
25 the cost of a surgery.

1           He wasn't asked to give an opinion on how many, but  
2 I did say in my first opening that she's going to have that.  
3 And that's what the evidence is going to be. She's going to  
4 go through this process three times.

5           THE COURT: But --

6           MR. WINNER: Yeah, then --

7           THE COURT: -- the fact that there's no --

8           MR. WINNER: -- then maybe that --

9           THE COURT: -- for sure and --

10          MR. WINNER: -- should have been disclosed.

11          THE COURT: -- what is conservative --

12          MR. WINNER: He assumed --

13          THE COURT: -- doesn't this all --

14          MR. WINNER: -- 2 percent a year.

15          THE COURT: -- highlight the fact that there is no  
16 medical certainty?

17          MR. PRINCE: What do you -- well, I'm going to  
18 ask --

19          THE COURT: You're saying 1 percent, 4 percent, 3  
20 percent, 2 percent, he's going conservative.

21          MR. PRINCE: Well, it doesn't require certainty --  
22 the law doesn't require certainty from us on any level.

23          MR. WINNER: No, but it --

24          MR. PRINCE: On any level.

25          MR. WINNER: -- requires disclosure.

1           THE COURT: We feel that we've disclosed the issue,  
2 they know about the issue, I've talked about it, it's part of  
3 their adjacent segment disease. If you fuse two, what  
4 happens? Is she going to be perfect after 2? No, she's not.  
5 There's something that's going to continue to take place. You  
6 know, she'll be in her mid-40s.

7           MR. HENRIOD: The point of that list, one of them  
8 under 16.1 is to tell you what items of future care are going  
9 to be --

10          MR. PRINCE: No, it doesn't.

11          MR. HENRIOD: -- [inaudible] at trial.

12          MR. PRINCE: It requires a computation. That means  
13 a numeric computation, not anything descriptive. It's simply  
14 a numeric computation. A computation means mathematical.

15          MR. HENRIOD: If it had said fusion surgery, section  
16 fusion surgery with the amount, that would have been enough  
17 for us to know where he was going. Certainly more meaningful  
18 than nothing, and that that was disclosed until last night, I  
19 think does not count as fair notice. And as we go back  
20 through the reports, the depositions, the treating notes, we  
21 don't see reference at all to -- to another fusion surgery in  
22 the future. I think that's a big difference between this and  
23 the Pizarro case where in Pizarro they said the late  
24 disclosure of the amount in that case didn't require a new  
25 trial.

1 THE COURT: Right.

2 MR. HENRIOD: A new trial, only because the fact of  
3 the surgery itself was clear before trial. And as a matter of  
4 fact, it was clear when they argued about it in a motion in  
5 limine. So --

6 THE COURT: Well, the difference is here I'm not  
7 letting in the cost, so --

8 MR. WINNER: Okay.

9 MR. HENRIOD: But as long as they can award for pain  
10 and suffering --

11 MR. PRINCE: Right.

12 MR. HENRIOD: -- for care. She's going to be in the  
13 hospital, it's going to require these other things, it may be  
14 another surgery. If it is pain and suffering related to care  
15 that is not substantiated, that's a problem.

16 MR. PRINCE: Well, it is substantiated. I'm laying  
17 the foundation for it.

18 MR. HENRIOD: If she's going to -- well, the problem  
19 is that the foundation you want to -- the foundation the  
20 plaintiffs want to lay has not been disclosed. No, I  
21 understand we can put somebody up on the stand and they can  
22 lay all kinds of foundations about things that have never been  
23 disclosed. Then discovery ceases to have its purpose.

24 MR. PRINCE: No, that's not what their objection is.  
25 They're objection was the -- to the cost. That was the

1 objection yesterday. I told the first jury what I was  
2 planning on doing, talked about the adjacent segment to now  
3 the second level, which is C4-5, and how that's going to  
4 affect Desire and will she eventually become surgical for  
5 that. And that's what -- that's what I plan to discuss.

6 MR. HENRIOD: I don't think this --

7 MR. PRINCE: Not the cost.

8 MR. HENRIOD: -- is a matter of mere sticker price.

9 (Pause in the proceedings)

10 THE COURT: I guess I'm still not clear how we went  
11 from a possibility in your opening, possibility of future  
12 surgeries, to now this doctor is coming in here and going to  
13 say 100 percent.

14 MR. PRINCE: Well, I wasn't saying. I said she's at  
15 real risk of -- more than likely, I said, she's going to go  
16 through this three times in her lifetime and she's at a very  
17 real risk of having three surgeries. So I know with the most  
18 certainty it's 100 percent she's having a C5-6. I'm saying  
19 there's a severe risk.

20 THE COURT: Well, I don't --

21 MR. PRINCE: I mean, I'm going to put on the  
22 evidence it's more like --

23 THE COURT: -- know that anybody can say anybody is  
24 going to have something for 100 percent certainty.

25 MR. PRINCE: Right. I don't --

1 THE COURT: She could choose not to, so --

2 MR. PRINCE: I don't have to -- I don't have to say  
3 something with certainty, Judge. I want to say here something  
4 from Dr. Garber's deposition as taken on April 14, 2018. The  
5 question was, my understanding is that in your opinion Ms.  
6 Evans has a 100 percent likelihood of suffering -- the  
7 likelihood of adjacent segment disease requiring future  
8 surgery.

9 Yes, I mean, we have an individual who at this point  
10 is 26 years of age. In the literature, there's literally  
11 almost a 30 percent chance that people require adjacent  
12 segments within the first 10 years, okay. The actual  
13 statistic is 25.9 percent or 26 percent. In fact, part of  
14 what I just presented in Toronto at the Society for Minimally  
15 Invasive Spinal Surgery meeting is related to the cervical  
16 spine and adjacent segment disease or breakdown.

17 Clearly, within the first 10 years, and if she's a  
18 young person, you can have adjacent segment breakdown can  
19 manifest up to 30 percent in the first 10 years. And that's  
20 an accepted statistic within the literature. And he assumed 2  
21 percent, you know, even cumulatively, and she's got a 55-year  
22 life expectancy. That's actually 100 percent chance of  
23 developing adjacent segment disease. She's going to be more  
24 likely than not surgical by the time of her mid-40s. What  
25 happens after that? She's got another 40 years to live.

1 THE COURT: How old is your client?

2 MR. PRINCE: She's 28. She has another 55 years  
3 from now to live. That's her -- 54 years.

4 THE COURT: Right.

5 MR. PRINCE: So that's significant. When you're  
6 using the extrapolation numbers, I mean, the numbers are the  
7 numbers. He's saying you're only -- they're only asking about  
8 one surgery, but ultimately, when you fuse her again, now  
9 you've even increased risk because now she's have two levels  
10 fused. That risk doesn't go away. That's why I said in the  
11 first opening, she's a very real risk.

12 Can I say it's 100 percent certain? No. I can say  
13 it's 100 percent certain for the first one, and I'm going to  
14 say more likely than not for the second one, that's my very  
15 real risk language. I just didn't put up a cost number for it  
16 the first time. Because she is a real risk.

17 MR. HENRIOD: In that same deposition, 30 pages  
18 later after having made that same point, he talks about one  
19 surgery in the future.

20 MR. PRINCE: No.

21 MR. HENRIOD: In the context of that analysis we're  
22 on notice of one future surgery.

23 MR. WINNER: Page 50 and 51, quote, there are  
24 studies out there, and, again, that's why I have, you know,  
25 looked at different thoughts and put together, particularly



1 highlighted on this cost estimate, the 1 percent, 1 to 4  
2 percent per year risk of developing adjacent segment disease.  
3 And so I used a 2 percent risk as sort of a, you know, midway  
4 point of percentage that -- and you couple that with her life  
5 expectancy, she's going to need an additional surgery in the  
6 future.

7 MR. PRINCE: Right. But that doesn't mean -- she's  
8 going to need that within 15 years, by her mid-40s. And so,  
9 you know, what about the other 30 years of her life or longer?  
10 Almost 40 years of her life. I mean, it doesn't end once you  
11 start this process.

12 So I get the cost factor, but in terms of her  
13 prognosis and what she can expect in the future medically and  
14 what she's going to go through and have to undergo the surgery  
15 and all of the -- have to go through all of the treatment and  
16 all of the workup and have the surgery and what those -- face  
17 those risks, that is part of her pain and suffering damages.  
18 I wouldn't even need to put any cost.

19 I don't have to -- I don't have to ask for any costs  
20 to have that. You don't have to have the medical costs in  
21 order to be able to present that evidence. And I think just  
22 by the numbers themselves and her age. It's not like you're  
23 dealing with somebody who is 60 -- 60 years old. You're  
24 talking about somebody who is in her mid-20s. And they're  
25 talking about statistical analysis that it's cumulative.

1           MR. HENRIOD: Well, I don't think it is that matter  
2 of fact, but if it is, then I think there is even less excuse  
3 for it not having been in the life care plan before and not  
4 disclosed as an item before now. It is not the mathematical  
5 probability of another surgery that I think plaintiffs want to  
6 paint for this jury. I think that that would be misleading.  
7 If it is his common sense, then I don't see why that was not  
8 disclosed to us as part of a plan for future costs. And it's  
9 not just, you know, price of those items and what we can  
10 surmise as being possible care in the future. We're entitled  
11 to that list of -- of items. And while you don't have to have  
12 special costs in order to pursue general damages, while I get  
13 that, I don't think you can get general damages for pain  
14 related to care, medical care, that you haven't proven is  
15 going to be necessary.

16           MR. PRINCE: Well, get ready to prove it. I can lay  
17 the foundation.

18           MR. WINNER: It wasn't disclosed.

19           MR. HENRIOD: Well, yeah, but to be able to prove  
20 it --

21           THE COURT: I missed --

22           MR. HENRIOD: -- you have to have disclosed it.

23           THE COURT: I missed what you just said.

24           MR. HENRIOD: Okay. So if the -- if the general  
25 damages, pain and suffering, are incident to care in the

1 future --

2 THE COURT: Right.

3 MR. HENRIOD: -- then you have to prove -- you have  
4 to prove that that care is going to be necessary and that it  
5 is related. And whatever that proof is going to be has to be  
6 disclosed during discovery.

7 MR. PRINCE: No, that's the part that's the -- as a  
8 treating physician, you don't have to make that -- you do not  
9 have to put that in a note or reporting or anything like that.  
10 We did say he's going to testify about future care needs for  
11 them. They've never objected to the manner in which he was  
12 disclosed. They were just saying, well, you didn't put it in  
13 -- you didn't go into enough detail.

14 Now it's like I'm not asking -- you told me I can't  
15 ask for the costs. I can still talk about what she's going to  
16 experience in her lifetime. That is part of her prognosis.  
17 That's part of prognosis and part of her future care. And  
18 physicians can testify treating physicians as to future care  
19 with no reports, none, as long as they formed the opinion  
20 during the course of their treatment.

21 THE COURT: Explain to me page 50 of the deposition.

22 MR. PRINCE: Okay.

23 THE COURT: Where the doctor says, the disc, when  
24 you fuse it at C6-7, the most likely disc to be then  
25 dysfunctional is at the C5-6 disc because -- blah blah blah.

1 This seems to say that there's just going to be one surgery.  
2 MR. PRINCE: No.  
3 THE COURT: Am I incorrect in --  
4 MR. PRINCE: Yes.  
5 THE COURT: -- reading it that way?  
6 MR. PRINCE: Yes. He is talking about what are the  
7 factors for the adjacent segment. He's -- the adjacent  
8 segment is going to be a C5-6, not a C7-T1. You're not going  
9 to have a problem at C7-T1 because it doesn't move. He is  
10 really talking about where the -- where it's going to start  
11 first. Once you do 5-6, then 4-5 is going to become a  
12 problem. He's talking about the first surgery being at 5-6.  
13 It's not going to be at C7-T1. That's a level below. It's  
14 going to breakdown upward.  
15 THE COURT: Where is -- where is the first surgery  
16 going to be?  
17 MR. PRINCE: 5-6, it's going to go up, it goes up in  
18 levels, not down.  
19 THE COURT: And where is the second surgery going to  
20 be?  
21 MR. PRINCE: 4-5, just right above 5-6.  
22 THE COURT: And where does it say that?  
23 MR. PRINCE: There's no discussion about that in the  
24 deposition of 4-5. But it does, if it happens by the time  
25 you're in your mid-40s, I mean, that naturally is the

1 progression.

2 THE COURT: I don't know that it is.

3 MR. PRINCE: Just because they didn't ask that  
4 question doesn't mean he doesn't have that opinion.

5 THE COURT: Well, noted that's naturally the  
6 progression.

7 MR. PRINCE: Well, at her -- I guess her age, I  
8 mean, he's talking about, if you go to page 48, he's talking  
9 about somebody who is 26 years old and talking about  
10 statistical data. I mean, so you'd have that surgery in your  
11 mid-40s, you've got another 30-some years to live.

12 MR. HENRIOD: And this discussion is in the context  
13 of an explanation for why he thinks one is in the future. I  
14 think it is one thing to try to construe charitably treatment  
15 notes to say what's in there, but not expressed in detail, and  
16 we're going to give some latitude. That's one of the reasons  
17 why you can take depositions of doctors to try to get out more  
18 detail. Here we had an affirmative opinion that one was going  
19 to be necessary. We tried to flesh out the detail on that one  
20 by taking a deposition, and there was no discussion of it even  
21 in the deposition.

22 MR. PRINCE: Well, if you didn't ask.

23 MR. WINNER: And Khavkin saw her once and hasn't  
24 seen her since.

25 MR. PRINCE: Well, he's definitely going to talk

1 about -- he's going to definitely talk about the need for  
2 C4-5. Absolutely.

3 THE COURT: When was the last time he saw her?

4 MR. PRINCE: Who, Dr. Garber?

5 THE COURT: Uh-huh.

6 MR. PRINCE: A couple weeks ago.

7 MR. WINNER: No.

8 MR. HENRIOD: We haven't seen that treatment.

9 MR. PRINCE: He just wanted to see her before he  
10 came to court to see how she was doing. He didn't write any  
11 treatment or any recommendations. He can't do any work  
12 because she's pregnant.

13 MR. HENRIOD: Then that wouldn't be treatment. I  
14 think that it would be incident to litigation.

15 MR. PRINCE: Right. She asked when the last time he  
16 saw her was.

17 MR. HENRIOD: Oh. Pardon me.

18 THE COURT: When is the last time he saw her for  
19 treatment?

20 MR. PRINCE: 2017.

21 THE COURT: Then you told me earlier that the first  
22 time you heard the second surgery might be required was the  
23 other week -- a couple weeks when you were getting ready for  
24 trial.

25 MR. PRINCE: No. I knew it was, and I kind of more

1 definitively was exploring the options and how going and using  
2 the statistical data, I used it during my first opening  
3 statement, so I guess I knew it before then.

4 THE COURT: Well, I specifically -- that was the  
5 first question I asked when I took the stand was -- the stand,  
6 whatever this is I'm sitting on, was when did you first become  
7 aware there was going to be a potential second surgery. You  
8 said before the first trial --

9 MR. PRINCE: Right.

10 THE COURT: -- in preparation for that trial, which  
11 was months ago here in 2019.

12 MR. PRINCE: Okay.

13 THE COURT: And my point, obviously, is I understand  
14 that treating physicians can do the future care prognosis,  
15 whatever it is without it being in a report, but my concern is  
16 that it's so far removed from the treatment that I'm not  
17 convinced that it -- it is any longer.

18 MR. PRINCE: Well, and --

19 THE COURT: And that's what I'm struggling with.  
20 Having said that, I also understand that certainly part of the  
21 argument has been that she's going to potentially have issues  
22 down the road, and I think that's all been out there. I  
23 really think it's much ado about nothing, quite frankly,  
24 but --

25 MR. PRINCE: I mean, she's going to experience those

1 issues. Whether you're talking about her risk, did she have a  
2 risk for surgery, yes, I mean, what about the risk levels.

3 THE COURT: But that's all -- that's all coming in  
4 with respect to the first surgery, so I'm not really sure why  
5 it's so necessary for the second surgery. And if it was, I  
6 don't know why we didn't get it out there earlier.

7 MR. PRINCE: It's just part of -- I feel it's just  
8 part of the natural progression of this whole disease. Once  
9 you fuse it again --

10 THE COURT: And I'm fine with the natural  
11 progression of the whole disease. My problem is with the  
12 second surgery that was never really given notice on, and then  
13 was kind of sprung up in the opening in this case.

14 MR. PRINCE: How -- I didn't -- they said nothing  
15 about it the first time, I --

16 THE COURT: But you didn't -- you didn't -- I mean,  
17 you didn't highlight it.

18 MR. PRINCE: Well, I did highlight it. I said those  
19 words; right? I mean, that's what I said. I said -- I used  
20 saying \$3 million. She's going to go through this three times  
21 in her lifetime. What else could you say?

22 THE COURT: Weren't you only 2 million the first  
23 trial?

24 MR. PRINCE: I don't know.

25 THE COURT: I think you were 2 million. Because I



1 remember --

2 MR. PRINCE: Well, whatever it is. I mean --

3 THE COURT: -- going, gosh, I --

4 MR. PRINCE: -- I said great -- more than, I said

5 more than. So I don't -- I wouldn't limit myself.

6 THE COURT: Well, yeah, more than, but I think your

7 -- your slide was more than 2 million this one --

8 MR. PRINCE: I'm pretty sure I asked for 3.

9 THE COURT: -- and more than 3 on this.

10 MR. PRINCE: But what is -- I'm asking for in the

11 millions.

12 THE COURT: That's a lot of money.

13 MR. PRINCE: Absolutely. 100 percent it's a lot of

14 money. I'm asking for a lot of money. But I told them she's

15 going to go through this three times in her lifetime. What

16 more -- I felt that was clear with that, that it's likely

17 she's going to have another surgery. I don't know how much

18 more I can say it. I guess that's how I felt comfortable

19 saying it.

20 MR. WINNER: I took it to mean she was likely to

21 have another surgery, as had been disclosed by Dr. Garber.

22 MR. PRINCE: No, I talked about three times and I

23 quoted the language.

24 THE COURT: All right. I'm going to take 10. I

25 wanted to watch a JAVS. Take 10. Apologies to the jury,

1 please.

2 THE MARSHAL: You got it.

3 THE COURT: Thank you.

4 THE MARSHAL: No problem.

5 (Court recessed at 3:13 P.M., until 3:39 P.M.)

6 (Outside the presence of the jury)

7 THE COURT: All right. Here's my decision. The  
8 doctor will not be able to opine that there's going to be a  
9 third surgery necessary. He can opine generally speaking,  
10 fusion leads to fusion. I mean, that's kind of out there.  
11 But in terms of I don't see this as treating physician stuff,  
12 I think it is disclosure.

13 I've already said that I don't think the computation  
14 comes in, I think, to let the third surgery in. I don't see  
15 how the defense can undue the fact that there was a price tag  
16 for the third surgery. I don't think it's as definitive as  
17 everyone else. I think the doctors really would be testifying  
18 if he were to do that more as an expert than a treating  
19 physician based upon all of the information and, therefore, it  
20 should have been disclosed and it was not disclosed.

21 So you can get in generally speaking years down the  
22 road, this whole process could start over again, fusion leads  
23 to fusion, but not beyond a medical degree of certainty or  
24 whatever she's going to get a third surgery.

25 MR. PRINCE: Right. Just so I'm clear, we can talk

1 about after she has the first adjacent segment surgery, that  
2 that will lead to a breakdown and the process will generally  
3 start over again and what the process --

4 THE COURT: Not for her, but potentially when you  
5 have a fusion it could lead to a fusion and things could  
6 happen and start over again, yes.

7 MR. PRINCE: Well, what --

8 THE COURT: But not specifically to her. Like I  
9 said, I don't think you need it. I don't think either side of  
10 you needs it. I think you got what you need, but that's my  
11 ruling. So fusion leads to fusion on a general basis, not  
12 opining that she's going to need a third surgery. And then  
13 you guys go wherever you need to.

14 MR. WINNER: And for Mr. Prince's benefit, stated in  
15 consistent with Dr. Garber's letter, I might have other  
16 objection to it, but I don't object to --

17 MR. PRINCE: Well, he's going to say with 100  
18 percent certainty she's having one. And I'm going to say  
19 after that surgery --

20 THE COURT: That's between you two. And then if you  
21 open the door further, we'll deal with that later --

22 MR. PRINCE: And then I'm going to ask --

23 THE COURT: -- but that's where we are now.

24 MR. PRINCE: -- about the disease process starting  
25 over again.

1 THE COURT: You can say that.

2 MR. PRINCE: Okay. And then what the --

3 THE COURT: Generally speaking, it could start over  
4 again.

5 MR. PRINCE: Well, not -- it isn't generally  
6 speaking. It does happen in every -- it's what it does. So,  
7 okay, I got it. Okay.

8 THE COURT: Bring in my poor jury if they didn't  
9 leave. Let's plan to break at 4:45, please.

10 MS. LORELLI: A break at 4:45?

11 THE COURT: 4:45.

12 MS. LORELLI: For the day; right?

13 THE COURT: I'd like to do the week, but 4:45.

14 (Inside the presence of the jury)

15 THE COURT: Counsel approach.

16 (Bench conference)

17 THE COURT: Obviously, if you're close to finishing  
18 at 4:45 we'll finish, but if you're not close to finishing.  
19 Okay?

20 MR. PRINCE: Okay.

21 THE COURT: Because sometimes when I say by a  
22 certain time it ends up going a lot longer. So if I say 4:45,  
23 then we should be done by 5:00.

24 MR. PRINCE: I just don't know. This is a little  
25 more critical witness. I understand. I'll do my best.

1 MR. WINNER: I didn't hear what you said, Judge.  
2 MR. PRINCE: He may have to come back tomorrow. So  
3 I'm going to do my best to get it done.  
4 THE COURT: I don't expect you to finish him --  
5 MR. PRINCE: I don't, either.  
6 THE COURT: -- by 5:00.  
7 MR. PRINCE: No.  
8 THE COURT: That's why I want to break at 4:45  
9 because what's 15 minutes more to come back tomorrow?  
10 MR. PRINCE: Oh my god. It's going to be a big cost  
11 bill again.  
12 THE COURT: Well, if we go to 5:00 is that going to  
13 be --  
14 MR. PRINCE: No.  
15 THE COURT: -- less of a cost?  
16 MR. PRINCE: No. No, I'm saying --  
17 MR. WINNER: You can't be done by 5:00 --  
18 THE COURT: I mean, if you all --  
19 MR. WINNER: -- either, you don't think?  
20 THE COURT: -- want to keep this a nice, straight,  
21 easy trial for me, you know --  
22 MR. PRINCE: I understand. Okay. We're ready.  
23 MR. WINNER: So 5:00.  
24 (End of bench conference)  
25 THE COURT: Welcome back, and I'm sorry, folks. We

1 were working, for whatever that's worth.

2 MR. PRINCE: Okay.

3 THE COURT: Okay.

4 MR. PRINCE: Your Honor, we call Dr. Jason Garber.

5 DR. JASON GARBER, PLAINTIFFS' WITNESS, SWORN

6 THE CLERK: Please state your first and last name,  
7 and spell your full name for the record.

8 THE WITNESS: Jason Eric Garber, J-a-s-o-n E-r-i-c,  
9 Garber, G-a-r-b-e-r.

10 THE CLERK: You may be seated.

11 DIRECT EXAMINATION

12 BY MR. PRINCE:

13 Q And, Dr. Garber, good afternoon. What is your area  
14 of medical specialty?

15 A Neurological surgery.

16 Q And can you please describe for us what a  
17 neurological surgeon is and what a neurological surgeon does  
18 and the nature of your specialty?

19 A Yeah, so a neurological surgeon treats patients with  
20 problems of the brain and spine. And so what I usually do is  
21 take care of patients that may have problems, either whether  
22 it's brain tumors, spinal problems. On top of my training as  
23 a neurosurgeon, I did a minimally invasive and complex  
24 reconstructive spinal fellowship after my residency prior to  
25 making Las Vegas my home.

1 Q Okay. And where did you go to college, sir?

2 A Duke University.

3 Q And where did you go to medical school?

4 A University of Texas.

5 Q Okay. And after you completed your course work at

6 University of Texas, you go onto a residency?

7 A Yes, sir.

8 Q In what area?

9 A Neurological surgery residency at Baylor College of

10 Medicine in Houston.

11 Q Okay. And is your dad also on faculty there

12 [indiscernible]?

13 A Yeah, a professor of endocrinology there.

14 Q All right. So you come from a family of doctors?

15 A Yes, sir.

16 Q And after you completed -- how many years was your

17 residency and neurosurgery?

18 A The residency at the time at Baylor was six years

19 because of how busy it was and the volume and the hospitals

20 that you rotated through. So we rotated through Ben Taub

21 County Hospital, M.D. Anderson Cancer Center, Texas Children's

22 Hospital, the Veteran's Administration Hospital, Methodist

23 Hospital and so forth. And so it was a six-year program.

24 Q And the focus of a neurosurgeon's residency is on

25 what? What do you focusing on in terms of the anatomy of the

1 body that you were treating?

2 A Sure. So whether they're injuries or problems or  
3 pathologies that may afflict the brain, whether it's brain  
4 tumors, aneurysms, trauma to the brain, at the same time  
5 problems with the spine, whether they're injuries sustained in  
6 accidents, whether it's spinal stenosis, disc herniations, and  
7 then trauma, fracture, dislocations, and so forth.

8 Q Do you deal with nerve related issue associated with  
9 spine and spine structural problems?

10 A Yes.

11 Q Is that a focus of neurosurgery?

12 A Yes. Basically dealing with the neural anatomy with  
13 respect to the spine and the brain and the pathologic problems  
14 that may come with that.

15 Q Are you board -- now, you indicated you also did a  
16 fellowship after you completed your residency of six years; is  
17 that right?

18 A Yes.

19 Q And where -- what was -- where did you complete that  
20 fellowship training?

21 A Medical college of Wisconsin.

22 Q Okay. And what was the purpose of going for this  
23 additional training after you completed your six years of  
24 neurosurgical training?

25 A So I was fascinated with the spine. My interest is



1 spine. The majority of my practice at this point is spine.  
2 And one of the nice things about Medical College of Wisconsin,  
3 it's one of the few places that has a very well known spine  
4 program, certainly at the time, that has a crash test dummy  
5 site. And so I was able to do research on injuries sustained  
6 to individuals with respect to the cervical, thoracic, and  
7 lumbar spine. And so I enjoyed that. I did research in that.  
8 And I also got additional training in complex and minimally  
9 invasive spinal surgery techniques.

10 Q Okay. And are you board certified?

11 A Yes.

12 Q Okay. In the area of neurosurgery?

13 A Yes.

14 Q Okay. And do you have hospital privileges here in  
15 Las Vegas?

16 A Yes.

17 Q And what hospitals do you have privileges at?

18 A Mountain View Hospital, Centennial Hills Hospital,  
19 San Martin Hospital, Spring Valley Hospital, UMC Hospital. I  
20 think that's about it.

21 Q And we're going to be talking about a traumatic  
22 injury to the spine of, with regard to your care, of Desire  
23 Evans-Waiiau. Doctor, since you moved to Las Vegas and been  
24 practicing in Nevada, have you taken calls and treated  
25 patients at the UMC trauma facility who have suffered spinal

1 injury and required neurosurgical either treatment or surgery?

2 A Yeah, so as part of our practice, I covered Level 1  
3 trauma at UMC Hospital from 2002 until basically January of  
4 2010. And so every night I would be on essentially for every  
5 fourth night call. So I would cover UMC Level 1 trauma for  
6 injuries sustained to the brain or the spine and whether they  
7 required immediate treatment, or if other individuals needed  
8 to follow up with me for after their injuries and determine as  
9 to whether or not additional treatment would be necessary  
10 thereafter.

11 Q In your field of expertise, Dr. Garber, have you  
12 published -- I know you're in -- you're in private practice?

13 A Yes.

14 Q Have you published articles in various journals in  
15 your area of specialty?

16 A Yes.

17 Q Okay. Have you -- do you -- do you lecture in your  
18 area of specialty?

19 A Yes.

20 Q How frequently do you lecture in your area of  
21 medical specialty?

22 A More frequently now than I have in the past. I  
23 mean, I -- to be quite frank with you, when I moved to Las  
24 Vegas, I met my wife, I have three boys, and so a lot of my  
25 time outside of my private neurosurgical practice is raising

1 my boys. I have three boys. And so now that my boys are  
2 older, I spend more time doing a little bit more clinical  
3 research. I recently presented at the International Society  
4 for the Advancement of Spinal Surgery in Anaheim in April. I  
5 had a paper just accepted to the Congress of Neurological  
6 Surgeons in Mexico for their national meeting coming up in  
7 July. I have a paper accepted at the North American Spine  
8 Society meeting coming up in Chicago in September, as well.

9 Q Will you be speaking at those -- those events?

10 A Yes.

11 Q Okay. And in addition to your training, do you have  
12 -- do you have ongoing training in the area of neurosurgery,  
13 do you go to meetings and lectures or other areas to kind of  
14 keep current?

15 A Yes. Neurosurgery is a lifelong pursuit of  
16 learning. It really is. And it never stops with techniques  
17 that you learn, because there can always be evolution of  
18 techniques in my field.

19 Q Okay. And have you qualified as an expert in the  
20 area of neurosurgery here in Las Vegas before?

21 A Yes.

22 Q As an expert witness in a courtroom?

23 A Yes.

24 Q What -- I mean, one of the things that Mr. Winner  
25 talked about was, you know, people doing medical legal work.

1 What percentage of your work is involved in medical legal work  
2 or treating people who have been involved in any kind of  
3 injury accident?

4 A 15 percent.

5 Q Okay. So it's a small percent?

6 A Yes. The majority of my patients that I see are  
7 referred to me from family and friends of people that I have  
8 treated and I have operated on. Following that would be  
9 referrals from primary care physicians, internal medicine,  
10 physiatry, neurology, and so forth. After that would be  
11 worker's compensation patients injured on the job. Lastly  
12 would be, again, 15 percent personal injury.

13 Q Okay. When you're asked to serve as an expert  
14 witness in personal injury cases, do you do work also on  
15 behalf of the defense?

16 A Yes.

17 Q How frequently do you do that? What percentage  
18 would you estimate between the plaintiff or versus the  
19 defense?

20 A I think the breakdown would be 60 percent plaintiff,  
21 40 percent defense. And quite frankly, one of Mr. Winner's  
22 partners I have recently done work with as an expert in the  
23 defense field for his firm.

24 Q How recently was that?

25 A February 2019.

1 Q Okay. Now, have you had an opportunity, and in this  
2 case we'll be talking about Desire Evans. Have you had an  
3 opportunity to review her medical and billing records,  
4 deposition, and expert reports following the October 30, 2015,  
5 motor vehicle crash?

6 A Yes.

7 Q That's in addition to being a treating physician and  
8 performing surgery on her in September of 2016?

9 A Yes.

10 Q Okay. And did you form an opinion as to what --  
11 what injuries Desire suffered as a result of the October 30,  
12 2015, motor vehicle collision?

13 A Yes.

14 Q What -- what are those injuries, Doctor?

15 A So it was my opinion that she sustained a traumatic  
16 disc protrusion at C6-7, causing ongoing and persistent  
17 radiculopathy or pain down the left arm, which failed  
18 conservative management, ultimately requiring surgical  
19 decompression and stabilization at the level of C6-7 in her  
20 cervical spine or the neck.

21 Q Okay. And did she suffer any other injuries other  
22 than the structural injury to the C6-7 disc, did she suffer  
23 any other injuries?

24 A I believe she may have had some lumbar spine  
25 discomfort, as well. But more importantly, as I recall, she

1 also had an injury to her left shoulder.

2 Q Okay.

3 A And so --

4 Q Okay. What injury was that?

5 A I believe she had a bone contusion that I can recall  
6 in the left shoulder.

7 Q Right. We're going to be talking about that.

8 A Did she also suffer soft tissue injuries to the soft  
9 tissues of the neck and the -- and the back?

10 A Yes. And so in conjunction with the most  
11 significant thing being the traumatic disc protrusion at C6-7,  
12 cervical sprain-strain in conjunction with that with  
13 paraspinal spasms with that, as well.

14 Q Okay. Is it common if someone is involved in a  
15 motor vehicle collision to -- to -- having a structural  
16 injury, to also have a soft tissue component to the muscles,  
17 tendons, and ligaments?

18 A You can certainly have that, yes.

19 Q And do you, as a neurosurgeon, do you do any  
20 invasive treatment for soft tissue injuries like  
21 reconstructive spinal surgery like you did on Desire?

22 A Certainly not for soft tissue injuries, sprains or  
23 strains, absolutely not.

24 Q Okay. And when you were forming your opinions in  
25 this case on the -- what the cause of Desire's injuries were,

1 did you consider the clinical correlation of all of the  
2 available data and information which includes patient history,  
3 exam findings, response to treatment, diagnostic imaging, and  
4 other testing such as testing from Dr. Rosler?

5 A I believe I did, yes.

6 Q Okay. And why is history such an important function  
7 of not only neurosurgery, but medicine generally?

8 A Well, I think it's important to obtain, you know, an  
9 understanding of what was the reason the patient has come to  
10 see you? What is the problem they're coming to see you for,  
11 what is the location, the quality, the quantity, the timing,  
12 the setting, what exacerbates, what alleviates those types of  
13 symptoms that patients come to see me with?

14 Q Is that true, you're a neurosurgeon or you're a  
15 primary care doc, do you come in with a cold or a flu?

16 A Well, as a neurosurgeon you have to really look at  
17 everything. And more importantly, certainly with respect to  
18 what I see and with respect to Desire, it's to determine what  
19 injuries were sustained with the accident and what can I do to  
20 help her.

21 Q Okay. Now, Desire came to see you on October --  
22 it's like July 12, 2016. Your records are in front of you.  
23 They're Exhibit 50. They've already been admitted into  
24 evidence. And you saw her on July 12, 2016.

25 MR. PRINCE: Bates No. 676, Brandon.

1 THE WITNESS: Yes.

2 BY MR. PRINCE:

3 Q Okay. And who referred Desire to you?

4 A I believe Dr. Rosler did.

5 Q Okay. Is Dr. Rosler someone who you refer patients  
6 to?

7 A Yes. Dr. Rosler refers me patients and I will send  
8 patients to him, as well.

9 Q Okay. And one of the other doctors in this case,  
10 the defense doctor, is Dr. Schifini. Joseph Schifini is a  
11 pain management doctor. Do you ever work with Dr. Schifini,  
12 the defense expert in this case?

13 A Often, yes.

14 Q And does he refer patients to you?

15 A Yes, he does, and I send patients to Dr. Schifini.

16 Q What types of patients?

17 A It could be both patients they refer to me or from  
18 either primary care or from friends and family of people that  
19 I have treated. But I've also seen a large number of patients  
20 that have been injured in worker's compensation injuries that  
21 Dr. Schifini and I both mutually treat.

22 Q Okay. And do you rely upon injection therapies  
23 provided by Dr. Schifini in making surgical decisions for your  
24 patients?

25 A Yes. I mean, Dr. Schifini is an excellent pain



1 management doctor who provides reliable information for me to  
2 aid me in helping treat patients. And, ultimately, if  
3 conservative management fails, render a surgical decision if  
4 necessary.

5 Q And in this case, Dr. Rosler, do you rely upon his  
6 data from -- you know, results from his injection therapy he  
7 may provide patients in making surgical decisions and  
8 recommendations to your patients like you do Dr. Schifini?

9 A Yeah. Dr. Rosler is an equally excellent  
10 interventional pain management doctor that I have quite  
11 reliable results that he provides me, as well as Dr. Schifini,  
12 in taking care of patients that have sustained injuries, as in  
13 the case of Desire, as a result of accidents.

14 Q All right. Now, let's look at your -- the history  
15 that -- I mean, how -- when Desire presented to you. Let's go  
16 to July 12, 2016.

17 A Yes.

18 Q And what -- what -- when did she tell you that her  
19 symptoms started?

20 A Well, her symptoms began after a motor vehicle  
21 accident 10/30/2015.

22 Q Okay. And what did she tell you what happened in  
23 that motor vehicle collision?

24 A So she was a restrained driver of an automobile that  
25 was attempting to make a turn. She stopped for a pedestrian

1 that was crossing and she was rear-ended by another vehicle.

2 Q Okay. Have you looked at the photographs in this  
3 case?

4 A Yes.

5 Q Okay. And as the description that Desire is  
6 describing, being struck in the rear, is that consistent, that  
7 mechanism consistent with her onset of symptoms and her  
8 complaints?

9 A Yes, the 1998 Silver Honda Accord that she was  
10 driving had impact to the rear bumper. The hood was -- the  
11 trunk was dislodged, and the rear driver quarter panel was  
12 indented and crumpled in, as well. What hit her, I believe,  
13 was a 2014 Acura MDX in burgundy, which had, I would say,  
14 moderate to significant front-end damage, as well, which would  
15 be consistent with a rear-end collision to the rear of  
16 Desire's automobile.

17 Q Is a neck -- is the cervical spine, is it vulnerable  
18 to injury in a rear-end collision?

19 A Yes.

20 Q Tell us why.

21 A What can happen is, and if an individual is turning,  
22 the neck can be susceptible to hyperextension, hyperflexion  
23 type injuries. Now, in conjunction if someone is somewhat  
24 turned, or if they're making a turn, you can also have axial  
25 rotation with hyperflexion extension that can also cause

1 injuries to the cervical spine, as well. So there's  
2 multi-factorial things based upon accidents that can occur,  
3 and certainly in the case of Desire she had an injury to her  
4 cervical spine in my expert opinion, particularly at C6-7.

5 Q Okay. Now, what were her complaints when she came  
6 to see you on July 12, 2016?

7 A She had neck pain, intermittent lower back pain, the  
8 neck pain was worse, with radiation into her left upper and  
9 some lower extremity pain, as well. But she specifically  
10 stated that her cervical spine, to my understanding, was worse  
11 than her lumbar complaints.

12 Q The focus of your treatment was on her low back or  
13 her neck?

14 A Cervical spine. Neck.

15 Q Yeah. And in addition to the neck pain, was it  
16 concerning to you that she had ongoing pain and numbness  
17 radiating into her left arm and to her hand?

18 A Yes. Frank radiation of pain, which is important,  
19 that goes down the arm, into the hand, is consistent with a  
20 radiculopathy. Now, generalized paraspinal pain --

21 Q What is that? What's paraspinal pain?

22 A Pain localized to the neck without radiation beyond,  
23 say, the shoulders or between the shoulder blades is less  
24 concerning for radiculopathy and more so concerning for a  
25 cervical sprain or strain.

1           Q     Okay.  So to -- in this case, what is a  
2     radiculopathy?  I wanted to have them learn from you as a  
3     neurosurgeon.  What is a radiculopathy and what are the things  
4     your -- and how do you diagnose that?

5           A     Sure.  So a radiculopathy is nerve root irritation  
6     caused by some pathologic problem.  So as the nerve root  
7     exists the spinal sack and from the spinal cord --

8           Q     If you need the spinal model, I have it here.  I'm  
9     going to put it by you in case you want to use it for any  
10    demonstration.

11          A     May I stand up and come down?

12          Q     You can.  Please do.

13                THE COURT:  Can you hear him over there?

14                MR. PRINCE:  I'll have the -- I'll hold the  
15    microphone.

16                THE COURT:  Okay.  Thanks.

17                THE WITNESS:  I've never had a problem talking --  
18    talking quietly.  I'll do my best.  If you have any problems,  
19    please let me know.

20                MR. PRINCE:  I'll hold the microphone.

21                THE WITNESS:  So what we have is we have the spine.  
22    And within the cervical spine, the spinal cord itself would be  
23    the yellow tubular structure in the center.  And what comes  
24    out of that are the nerve roots.  So, for example, this is C2,  
25    C3, C4, C5, C6, and 7.  So we can see nerve roots come out.

1 Now, in between the vertebral bodies, these little jelly  
2 looking things are discs.

3 Now, what can happen in an accident is the disc can  
4 be injured. Now, how is that? The outside of a disc has the  
5 consistency of corn husk material. The inside nuclear  
6 material has the consistency of wet crab meat, if you will.  
7 And so if a disc is injured and torn, a portion of that  
8 material can herniate out, producing pinching of the nerve as  
9 it exits the foramen where the holes where the nerves come  
10 out. Does that make sense?

11 BY MR. PRINCE:

12 Q Okay.

13 A Did I explain that [inaudible]?

14 Q Yeah. And so as you're talking about radiculopathy,  
15 does that mean that the nerve root is irritated, emanating  
16 somewhere from the disc?

17 A It is my opinion based upon, you know, obviously my  
18 discussions with Desire, my review of the records, and  
19 reviewing the injections that were subsequently done after her  
20 initial injury that she had nerve root irritation as a result  
21 of a disc at C6-7.

22 Q Okay. And did she talk to you about the treatment  
23 that she received before coming to see you on July 12?

24 A Yes.

25 Q Okay. Did you also have the benefit of those

1 medical records in your chart of the prior treatment with Dr.  
2 Rosler, the chiropractor, as well as Dr. Khavkin, who is  
3 another neurosurgeon?

4 A Yeah, I would have had whatever records were  
5 provided to me with the referral.

6 Q Okay.

7 A And I would have reviewed them accordingly.

8 Q All right. And do you believe that it was  
9 reasonable for Desire to receive the chiropractic care that  
10 she received?

11 A I think that's reasonable.

12 Q Have you reviewed the defense expert reports in this  
13 case, Dr. Wang and Schifini?

14 A Yes.

15 Q Are they critical at all of the chiropractic care  
16 and the care she underwent from the chiropractor?

17 A I think they thought it was reasonable for  
18 conservative treatment.

19 Q Okay. So even though if a lawyer sent her there,  
20 the chiropractic care was reasonable and appropriate?

21 A I don't think that was inappropriate at all.

22 Q Okay. Now, what sort of treatment did she have with  
23 Dr. Rosler that was significant to you clinically?

24 A So Dr. Rosler performed C7 selected nerve blocks two  
25 separate times. The first in January of 2016, and the second

1 in April of 2016, from my recollection. And so a C7 nerve  
2 block on the left side was performed two separate times. Both  
3 times her pain reduced from an 8 to a zero, effectively. So  
4 it's both diagnostic and therapeutic for the patient. We  
5 know, based on those results, that there is a disc protrusion.  
6 We have certainly identified that on MRI.

7 But in conjunction with that, a selective nerve root  
8 block serves both diagnostic and therapeutic purposes here on  
9 the left. Because it temporarily alleviated the pain, but it  
10 also solidified in my mind the identification of the  
11 pathologic level for which Desire was symptomatic  
12 necessitating treatment. And if, indeed, she failed continued  
13 conservative treatment, surgical intervention, in my expert  
14 opinion, was indeed warranted.

15 Q Right. Before she came to see you, she saw -- are  
16 you aware that she saw also Dr. Khavkin?

17 A I believe so, yes.

18 Q In May of 2016. He recommended a two-level cervical  
19 spine fusion to her.

20 A Yes. So for the sake of being complete, aside from  
21 a disc protrusion or herniation, so I use protrusion or  
22 herniation synonymously or they're the same, interchangeable.  
23 She had a disc bulge, in my opinion, at C5-6. Now, at 25  
24 years of age when I saw her, I'm very conservative to begin  
25 with. I've had back surgery myself. I don't want people to

1 have any more than they need. And so surely what I felt is  
2 that she was symptomatic at the C6-7 disc, which clearly was  
3 alleviated with the injections on a temporary basis. And  
4 that's the level that I wish to fix. Now, I don't certainly  
5 fault Dr. Khavkin for recommending an ACDF C5-6 and C6-7.  
6 It's just a difference of opinion respectfully.

7 Q Right. So before -- so you're functioning, when you  
8 see her in July of 2016, you're really in a second opinion  
9 sort of consultation initially?

10 A Would you repeat that, please?

11 Q You're -- you're -- she seeking a second opinion  
12 from you --

13 A Yes.

14 Q -- for a neurosurgical consultation?

15 A Yes. And, again, I encourage people, if they wish,  
16 to seek a second opinion.

17 Q Right.

18 A And certainly at her young age, you know, that's --  
19 that's perfectly indicated.

20 Q And you indicated that you believe that she suffered  
21 a traumatic disc herniation at C6-7 as a result of this crash  
22 for which she needed treatment and ultimately surgical  
23 intervention. Have you been able to rule out that before  
24 October of 2015 that she had any prior medical history that  
25 would cause or contribute to the need for that surgery?