

IN THE SUPREME COURT OF THE STATE OF NEVADA

CAPRIATI CONSTRUCTION CORP.,)	Supreme Court No: 80107
INC., a Nevada Corporation)	District Court Case No: A718689
Appellant,)	Electronically Filed
)	Aug 12 2020 01:41 p.m.
v.)	Elizabeth A. Brown
)	Clerk of Supreme Court
)	
BAHRAM YAHYAVI, an individual,)	
Respondent.)	
)	
_____)	Supreme Court No: 80821
CAPRIATI CONSTRUCTION CORP.,)	
INC., a Nevada Corporation)	
Appellant,)	
)	
v.)	
)	
BAHRAM YAHYAVI, an individual,)	
Respondent.)	
_____)	

**APPENDIX TO
APPELLANT'S OPENING BRIEF
VOLUME 6 of 12**

Appeal from the Eighth Judicial District Court
Case No. A718689

HUTCHISON & STEFFEN, PLLC

Michael K. Wall (2098)
Peccole Professional Park
10080 Alta Drive, Suite 200
Las Vegas, Nevada 89145
Attorney for Appellant

Chronological Index

Doc No.	Description	Vol.	Bates Nos.
1	Complaint; filed 05/20/2015	1	AA000001- AA000008
2	Answer; filed 10/07/2015	1	AA000009- AA000012
3	Demand for Jury Trial; filed 10/07/2015	1	AA000013- AA000014
4	Mtn for an Order Terminating Automatic Stay; filed 10/25/2016	1	AA000015- AA000020
5	Order Granting Motion and Modifying Automatic Stay; filed 12/22/2016	1	AA000021- AA000022
6	Notice of Appearance; filed 02/21/2018	1	AA000024- AA000025
7	Notice of Refiling of Answer; filed 04/25/2018	1	AA000026- AA000027
8	Refiled Answer; filed 04/25/2018	1	AA000028- AA000031
9	Baker Initial Report; dated 07/03/2018	1	AA000032- AA000035
10	Kirkendall Initial Report; dated 07/04/2018	1	AA000036- AA000038
11	Leggett Initial Report; dated 08/20/2018	1	AA000039- AA000054
12	Kirkendall Supplemental Report; dated 08/30/2018	1	AA000055- AA000067
13	Baker Supplemental Report; dated 12/03/2018	1	AA000068- AA000092
14	Leggett Transcript 1; conducted 12/05/2018	1	AA000093- AA000095
15	Baker Transcript; conducted 12/20/2018	1	AA000096- AA000102

16	Leggett Supplemental Report; dated 01/15/2019	1	AA000103-AA000119
17	OOJ to Defendant; served 01/18/2019	1	AA000120-AA000122
18	Leggett Transcript 2; conducted 05/09/2019	1	AA000123-AA000126
19	Baker Supplemental Report; dated 06/20/2019	1	AA000127-AA000137
20	Def. Trial Exhibit A. Southwest Medical Associates, Inc. Records; dated 10/25/2011	1	AA000138-AA000139
21	De-designation of expert Leggett; filed 09/20/2019	1	AA000140-AA000141
22	Plaintiff Motion for Sanctions; filed 09/26/2019	1	AA000142-AA000189
23	Jury Instructions	1	AA000190-AA000194
24	Verdict; filed 09/27/2019	1	AA000195
25	NEO of Judgment; filed 10/22/2019	1	AA000196-AA000200
26	Plaintiff Memo of Costs; filed 10/22/2019	1, 2	AA000201-AA000481
27	Plaintiff Motion for Attorney's Fees; filed 10/22/2019	3	AA000482-AA000542
28	NEO - Decision and Order; filed 11/05/2019	3	AA000543-AA000553
29	Defendant Motion Correct Reconsider Decision; filed 11/14/2019	3	AA000554-AA000564
30	Defendant Motion for New Trial; filed 11/18/2018	3	AA000565-AA000583
31	Notice of Appeal; filed 11/19/2019	3, 4	AA000584-AA000752
32	Plaintiff Opp Motion Correct or Reconsider Decision; filed 12/16/2019	4	AA000753-AA000763

33	Defendant Reply Motion Correct Reconsider Decision; filed 12/24/2019	4	AA000764-AA000779
34	Plaintiff Opp Motion New Trial; filed 01/10/2020	4	AA000780-AA000910
35	Defendant Reply Motion New Trial; filed 01/22/2020	4	AA000911-AA000924
36	Transcript Post-Trial Motions, dated 01/28/2020	4, 5	AA000925-AA000997
37	NEO - Order Denying Def Motion for New Trial; filed 03/04/2020	5	AA000998-AA001005
38	NEO - Order Denying Def Motion to Correct or Reconsider; filed 03/04/2020	5	AA001006-AA001012
39	NEO - Order re Def Motion Re-Tax Costs; filed 03/04/2020	5	AA001013-AA001018
40	NEO - Order re Plaintiff Motion Atty Fees; filed 03/04/2020	5	AA001019-AA001026
41	Amended Notice of Appeal; filed 03/13/2020	5	AA001027-AA001029
42	Trial Transcript - Day 5 - Part 1, dated 09/13/2019	5	AA001030-AA001132
43	Trial Transcript - Day 5 - Part 2, dated 09/13/2019	5	AA001133-AA001191
44	Trial Transcript - Day 5 - Part 3; dated 09/13/2019	6	AA001192-AA001254
45	Trial Transcript - Day 6; dated 09/16/2019	6, 7	AA001255-AA001444
46	Trial Transcript - Day 7 - Part 1; dated 09/17/2019	7	AA001445-AA001510
47	Trial Transcript - Day 7 - Part 2; dated 09/17/2019	7	AA001511-AA001649
48	Trial Transcript - Day 8; dated 09/18/2019	8	AA001650-AA001792
49	Trial Transcript - Day 9; dated 09/19/2019	8, 9	AA001793-

			AA001938
50	Trial Transcript - Day 10; dated 09/20/2019	9, 10	AA001939- AA002167
51	Trial Transcript - Day 11; dated 09/23/2019	10	AA002168- AA002296
52	Trial Transcript - Day 12; dated 09/24/2019	10	AA002297- AA002357
53	Trial Transcript - Day 13 - Part 1; dated 09/25/2019	11	AA002358- AA002459
54	Trial Transcript - Day 13 - Part 2; dated 09/25/2019	11	AA002460- AA002473
55	Trial Transcript - Day 14; dated 09/26/2019	11	AA002474- AA002555
56	Trial Transcript - Day 15; dated 09/27/2019	11, 12	AA002556- AA002706

Alphabetical Index

Doc No.	Description	Vol.	Bates Nos.
41	Amended Notice of Appeal; filed 03/13/2020	5	AA001027- AA001029
2	Answer; filed 10/07/2015	1	AA000009- AA000012
9	Baker Initial Report; dated 07/03/2018	1	AA000032- AA000035
13	Baker Supplemental Report; dated 12/03/2018	1	AA000068- AA000092
19	Baker Supplemental Report; dated 06/20/2019	1	AA000127- AA000137
15	Baker Transcript; conducted 12/20/2018	1	AA000096- AA000102
1	Complaint; filed 05/20/2015	1	AA000001- AA000008

21	De-designation of expert Leggett; filed 09/20/2019	1	AA000140- AA000141
29	Defendant Motion Correct Reconsider Decision; filed 11/14/2019	3	AA000554- AA000564
30	Defendant Motion for New Trial; filed 11/18/2018	3	AA000565- AA000583
33	Defendant Reply Motion Correct Reconsider Decision; filed 12/24/2019	4	AA000764- AA000779
35	Defendant Reply Motion New Trial; filed 01/22/2020	4	AA000911- AA000924
20	Def. Trial Exhibit A. Southwest Medical Associates, Inc. Records; dated 10/25/2011	1	AA000138- AA000139
3	Demand for Jury Trial; filed 10/07/2015	1	AA000013- AA000014
23	Jury Instructions	1	AA000190- AA000194
10	Kirkendall Initial Report; dated 07/04/2018	1	AA000036- AA000038
12	Kirkendall Supplemental Report; dated 08/30/2018	1	AA000055- AA000067
11	Leggett Initial Report; dated 08/20/2018	1	AA000039- AA000054
16	Leggett Supplemental Report; dated 01/15/2019	1	AA000103- AA000119
14	Leggett Transcript 1; conducted 12/05/2018	1	AA000093- AA000095
18	Leggett Transcript 2; conducted 05/09/2019	1	AA000123- AA000126
4	Mtn for an Order Terminating Automatic Stay; filed 10/25/2016	1	AA000015- AA000020
28	NEO - Decision and Order; filed 11/05/2019	3	AA000543- AA000553
25	NEO of Judgment; filed 10/22/2019	1	AA000196-

			AA000200
37	NEO - Order Denying Def Motion for New Trial; filed 03/04/2020	5	AA000998-AA001005
38	NEO - Order Denying Def Motion to Correct or Reconsider; filed 03/04/2020	5	AA001006-AA001012
39	NEO - Order re Def Motion Re-Tax Costs; filed 03/04/2020	5	AA001013-AA001018
40	NEO - Order re Plaintiff Motion Atty Fees; filed 03/04/2020	5	AA001019-AA001026
31	Notice of Appeal; filed 11/19/2019	3, 4	AA000584-AA000752
6	Notice of Appearance; filed 02/21/2018	1	AA000024-AA000025
7	Notice of Refiling of Answer; filed 04/25/2018	1	AA000026-AA000027
17	OOJ to Defendant; served 01/18/2019	1	AA000120-AA000122
5	Order Granting Motion and Modifying Automatic Stay; filed 12/22/2016	1	AA000021-AA000022
26	Plaintiff Memo of Costs; filed 10/22/2019	1, 2	AA000201-AA000481
27	Plaintiff Motion for Attorney's Fees; filed 10/22/2019	3	AA000482-AA000542
22	Plaintiff Motion for Sanctions; filed 09/26/2019	1	AA000142-AA000189
32	Plaintiff Opp Motion Correct or Reconsider Decision; filed 12/16/2019	4	AA000753-AA000763
34	Plaintiff Opp Motion New Trial; filed 01/10/2020	4	AA000780-AA000910
8	Refiled Answer; filed 04/25/2018	1	AA000028-AA000031
36	Transcript Post-Trial Motions, dated 01/28/2020	4, 5	AA000925-AA000997

43	Trial Transcript - Day 5 - Part 2, dated 09/13/2019	5	AA001133- AA001191
44	Trial Transcript - Day 5 - Part 3; dated 09/13/2019	6	AA001192- AA001254
44	Trial Transcript - Day 5 - Part 3; dated 09/13/2019	6	AA001192- AA001254
45	Trial Transcript - Day 6; dated 09/16/2019	6, 7	AA001255- AA001444
46	Trial Transcript - Day 7 - Part 1; dated 09/17/2019	7	AA001445- AA001510
47	Trial Transcript - Day 7 - Part 2; dated 09/17/2019	7	AA001511- AA001649
48	Trial Transcript - Day 8; dated 09/18/2019	8	AA001650- AA001792
49	Trial Transcript - Day 9; dated 09/19/2019	8, 9	AA001793- AA001938
50	Trial Transcript - Day 10; dated 09/20/2019	9, 10	AA001939- AA002167
51	Trial Transcript - Day 11; dated 09/23/2019	10	AA002168- AA002296
52	Trial Transcript - Day 12; dated 09/24/2019	10	AA002297- AA002357
53	Trial Transcript - Day 13 - Part 1; dated 09/25/2019	11	AA002358- AA002459
54	Trial Transcript - Day 13 - Part 2; dated 09/25/2019	11	AA002460- AA002473
55	Trial Transcript - Day 14; dated 09/26/2019	11	AA002474- AA002555
56	Trial Transcript - Day 15; dated 09/27/2019	11, 12	AA002556- AA002706
24	Verdict; filed 09/27/2019	1	AA000195

CERTIFICATE OF SERVICE

I certify that I am an employee of HUTCHISON & STEFFEN, PLLC and that on this date the **APPENDIX TO APPELLANT’S OPENING BRIEF VOLUME 6 of 12** was filed electronically with the Clerk of the Nevada Supreme Court, and therefore electronic service was made in accordance with the master service list as follows:

Dennis M. Prince, Esq.
PRINCE LAW GROUP
10801 West Charleston Blvd. Ste. 560
Las Vegas, NV 89135
Tel: (702) 534-7600
Fax: (702) 534-7601

Attorney for Respondent Bahram Yahyavi

DATED this 12th day of August, 2020.

/s/ Kaylee Conradi

An employee of Hutchison & Steffen, PLLC

1 **RTRAN**

2
3
4
5 DISTRICT COURT
6 CLARK COUNTY, NEVADA

7)
8 BAHRAM YAHYAVI,)
9 Plaintiff(s),)

CASE NO: A-15-718689-C

10 vs.)

DEPT. XXVIII

11 CAPRIATI CONSTRUCTION)
12 CORP, INC.,)

Defendant(s).)

13
14 BEFORE THE HONORABLE RONALD J. ISRAEL, DISTRICT COURT JUDGE

15 FRIDAY, SEPTEMBER 13, 2019

16 ***RECORDER'S TRANSCRIPT OF PROCEEDINGS***
17 ***RE: DAY 5 EXCERPT, DR. DAVID OLIVERI***

18 APPEARANCES:

19
20 For the Plaintiff(s): DENNIS PRINCE, ESQ.

21 For the Defendant(s): DAVID KAHN, ESQ.
22 MARK BROWN, ESQ.
23 MARK SEVERINO, ESQ.

24
25 RECORDED BY: JUDY CHAPPELL, COURT RECORDER

1 **LAS VEGAS, NEVADA, FRIDAY, SEPTEMBER 13, 2019**

2 [Proceeding commenced at 2:50 p.m.]

3
4 **DAVID OLIVERI**

5 [having been called as a witness and being first duly sworn, testified
6 as follows:]

7 THE CLERK: Please have a seat and state and spell
8 your name for the record.

9 THE WITNESS: Dr. David Oliveri, D-A-V-I-D; last
10 name is O-L-I-V-E-R-I.

11 **DIRECT EXAMINATION**

12 BY MR. PRINCE:

13 Q. Dr. Oliveri, good afternoon.

14 A. Good afternoon.

15 Q. And thank you for being here. Are you a medical doctor?

16 A. I am.

17 Q. And can you please describe for us or tell us your medical
18 specialty?

19 A. I'm a board-certified physician in the field of Physical
20 Medicine and Rehabilitation.

21 Q. And can you describe for us the area of your subspecialty,
22 Physical Medicine and Rehabilitation? What does that consist of?

23 A. It consists of a number of things. In general, it consists of
24 evaluating, treating, and diagnosing people that have some sort of
25

1 injury or illness involving their musculoskeletal system or their
2 nervous system.

3 From a practical standpoint, it has involved, for
4 me, a combination of things over my career of over 26 years here in
5 Las Vegas. I have taken care of patients in the hospital that have
6 suffered from catastrophic injuries such as spinal cord injury, stroke,
7 head injury. I've taken care of many orthopedic patients in the
8 hospital. I've also had a very active outpatient practice for many
9 years, taking care of individuals that have been injured outside of
10 work, injured at work.

11 I've performed nerve testing, which is called
12 electrodiagnostic medicine. I perform impairment ratings as a
13 certified rating physician for the State of Nevada for work injuries.
14 And I also perform medical legal work.

15
16 Q. Okay. And in treating patients and managing patients'
17 care with musculoskeletal injuries, I think you said a minute ago that
18 that included spinal-related injuries?

19 A. Of course.

20 Q. Does that include individuals who have not only been
21 injured at work, but who have been injured outside of the workplace?

22 A. Of course.

23 Q. What is unique about the Physical Medicine and
24 Rehabilitation subspecialty that allows you to, you know, evaluate,
25 train, and manage the care of someone who has suffered a complex

1 spinal injury?

2 A. The unique aspect of my specialty is really the holistic
3 nature of our training and experience. So from the get-go, we are
4 trained to -- from residency and beyond -- to work in a multispecialty
5 environment.

6 So we coordinate care of patients. We work with
7 highly-trained physicians in other specialties to deal with certain
8 areas that might be outside of our expertise. We deal with physical
9 therapists, occupational therapists, nursing staff, pulmonary
10 specialists, respiratory therapists -- and basically coordinate that care
11 and have knowledge about all of those factors.

12 Q. Do you -- as a Physical Medicine and Rehabilitation
13 specialist, do you also refer and manage the care of patients who are
14 referred to, say, spinal surgeons?

15 A. Yes.

16 Q. Do you actively work with spine surgeons as part of your
17 practice?

18 A. Yes.

19 Q. Is that part of Physical Medicine and Rehabilitation
20 generally?

21 A. It is a two-way street. Spine surgeons will refer patients to
22 me for evaluation and treatment. I will refer patients to spine
23 surgeons if they need or are a candidate for surgery.

24 Q. Do you also manage the care of patients and refer
25

1 patients, as well as receive patients, and coordinate care with pain
2 management specialists?

3 A. Yes.

4 Q. Related to identifying and treating sources of pain within
5 the spine?

6 A. Yes.

7 Q. And I want to touch on another aspect of Physical
8 Medicine and Rehabilitation. What is unique about that medical
9 subspecialty relating to somebody who has been injured on the job,
10 has a permanent disability, and in finding whether that -- or
11 determining suitable levels of employment and/or permanent
12 restrictions for them?

13 A. Almost exclusively -- especially when you're talking about
14 a work-related injury, almost exclusively, doctors in my specialty are
15 the ones that are relied upon to make those complicated decisions.
16 And it goes back to the nature of our training and education.

17
18 So we are oftentimes asked to identify when a
19 person is at a plateau in their care. We are oftentimes asked to
20 identify whether or not there are restrictions on their physical
21 abilities that impact how they can work. We are the ones who are
22 relied upon by work-related entities or Social Security to make
23 determinations regarding disability or permanent restrictions for safe
24 return to work.

25 Q. Okay. And you said that you were one of the

1 subspecialties chosen for either work-related injuries or Social
2 Security to make the determination of whether someone is
3 vocationally disabled or not?

4 A. I would say almost exclusively those entities rely on
5 Physical Medicine and Rehabilitation.

6 Q. Now, let's talk about where you went to medical school.
7 Where did you go to medical school, Dr. Oliveri?

8 A. University of Southern California in Los Angeles.

9 Q. Okay. And after you -- how many years was your medical
10 school?

11 A. Four years.

12 Q. And after medical school, did you go into a residency
13 program?

14 A. I did my internship first at the Veterans Hospital in West
15 Los Angeles. That's a one-year program.

16 Q. Okay. What's an internship?

17 A. Internship is where you get experience or exposure to
18 different specialties in medicine. So over the 12 months, you would
19 rotate through emergency medicine, intensive care medicine,
20 pulmonary medicine, general surgery -- all of those fields to get a
21 broad experience.

22 Q. Okay. And after you completed your one-year internship,
23 did you go on to a residency program?

24 A. I did.

1 Q. What is a residency program?

2 A. Residency is where a physician learns or is trained to be a
3 specialist. So you can complete an internship one year after medical
4 school, and you can open a practice being a primary care physician,
5 a family doctor. But if you want to do any other type of specialty,
6 you are required to have specialty training that is a number of
7 additional years in length.

8 Q. Okay. And in what area did you do your residency?

9 A. Physical Medicine and Rehabilitation is the name of my
10 specialty.

11 Q. Okay. And how many years was that?

12 A. Three additional years. So from the time of medical
13 school finishing, it was a total of four years of training after medical
14 school.

15 Q. And where did you do your residency?

16 A. I did it at Stanford University in Palo Alto.

17 Q. Okay. And after you completed your residency at
18 Stanford, did you move to Las Vegas?

19 A. I did.

20 Q. All right. And when you relocated or moved to Las Vegas,
21 did you become affiliated with a rehabilitation hospital?

22 A. Yes.

23 Q. And what rehabilitation hospital were you affiliated with?

24 A. It's had a few different names over the years. The longest
25

1 name has been HealthSouth Rehabilitation Hospital, which is on
2 Valley View near Charleston. And more recently, it was purchased
3 by a national company called Encompass Health.

4 Q. All right.

5 A. But I've been associated with that hospital in one form or
6 another since 1993.

7 Q. And describe for us, Dr. Oliveri, what a rehabilitation
8 hospital is and what your role has been with the rehabilitation
9 hospital since 1993.

10 A. Rehabilitation hospitals are set up to take patients that
11 have been hospitalized in an acute care hospital with some sort of
12 illness or injury or problem, and those patients that are not able to be
13 safely discharged home for some reason are oftentimes candidates
14 to be accepted into an inpatient rehab hospital. So these are people
15 that have some sort of complicated medical issue that prevents them
16 from going home, but they don't need to be in the acute care
17 hospital. It might be that they can't take care of themselves. They
18 can't bathe, dress, toilet. They can't walk properly. They can't
19 communicate properly from a stroke. Whatever it might be -- those
20 patients are admitted under the care of an attending physician in
21 Physical Medicine and Rehabilitation. And then a treatment plan is
22 established with all of the other individuals that are part of the team.

23 Q. Okay. And what has been your -- what positions have you
24 held at the Health -- the rehabilitation hospital? I'm -- I know it as
25

1 HealthSouth for so many years. But --

2 A. Sure.

3 Q. -- [indiscernible] health center.

4 A. Sure. So for the first 18 years of my career with the rehab
5 hospital, I started out as the associate medical director for the first
6 five. And then for the next 13 years, I was the medical director and
7 Chief of Staff at the hospital.

8 Q. What does that mean?

9 A. Both of those?

10 Q. Yes.

11 A. A medical director is responsible for every administrative
12 decision in the hospital that deals with physicians -- so it --
13 responsible for credentialing doctors to be able to admit or even
14 touch a patient in the hospital; responsible for monitoring the actions
15 of those physicians; the safety of the patients in the hospital; setting
16 up protocols for treatment; working with nursing staff, therapy staff,
17 to develop protocols for treatment. Also responsible for signing off
18 and determining which patients should be admitted and which
19 patients should be denied or set aside pending other things.
20

21 Q. Okay. And I also note in your CV that you are the -- you
22 were also the program director of the rehabilitation hospital?

23 A. So what I didn't mention earlier, I have been the president
24 of the medical staff at the rehab hospital since 1998. I still have that
25 position. And in that position, I oversee all of the actions of the staff

1 that we have. We have about, oh, probably 200 physicians on staff
2 and maybe 50 that are active on a regular basis.

3 I stepped down in -- probably eight years ago, as
4 the acting medical director, but have stayed on as the president of
5 the medical staff. And the program directorship that you're talking
6 about is my continued role at that hospital to advise the CEO, the
7 administration, on all the matters that we've just discussed.

8 Q. And in your role as a rehabilitation physician, do you -- do
9 you coordinate and manage the care of patients at the hospital who
10 have suffered spinal injury or following spinal surgery?

11 A. Yes.

12 Q. How many patients, over the years, would you estimate
13 that you have been involved with the care or treatment or plan for
14 treatment who have suffered a spinal injury or have gone on to
15 spinal surgery?
16

17 A. Easily multiple hundreds, maybe even a thousand.

18 Q. Okay. And as part of your private clinical practice, how
19 many patients would you estimate you've treated or evaluated
20 and/or formulated a treatment plan for who suffered some type of
21 spinal-related injury, whether to the neck, the low back, whether it be
22 at work, motor vehicle accident, fall, or any other matter, would you
23 estimate?

24 A. Probably a few thousand.

25 Q. Okay. Do you consider yourself to have a certain level of

1 expertise in understanding and managing patients' care who have
2 suffered spinal injuries?

3 A. I do.

4 Q. Okay. Now, you also indicated that you are -- you've been
5 certified in the state of Nevada to perform permanent impairment
6 ratings in connection with Worker's Compensation matters?

7 A. Yes.

8 Q. What does it mean to be certified to perform permanent
9 impairment ratings for injured workers?

10 A. The certification process in Nevada involves, first of all,
11 being a board-certified physician in your area of expertise. And then
12 you have to take additional testing that's sponsored by the State of
13 Nevada to make sure that you know what you're doing when you're
14 assessing these issues of permanent disability or impairment.

15 Q. Okay. And are you board certified in your area of
16 practice?

17 A. Yes.

18 Q. How long have you been board certified?

19 A. Since 1994.

20 Q. What does it mean to be board certified?

21 A. Board certification is the highest level of training and
22 certification that a physician can attain. So, for example, you could
23 finish your residency training in Physical Medicine and Rehabilitation
24 and practice your entire career without being board certified. The
25

1 certification process ensures that you have reached the top of your
2 field.

3 So you have to submit examples of your work to
4 the medical board. You have to sit for a written examination. You
5 have to sit for an oral examination. You have to pass all of those
6 things to be deemed board certified for 10 years. And then every
7 10 years you have to repeat the certification process.

8 Q. Okay. And in what other areas are you board certified,
9 Dr. Oliveri?

10 A. I'm certified in the area of nerve testing that I mentioned,
11 which is called Electrodiagnostic Medicine. And I also have a
12 certification in what's called Life Care Planning.

13 Q. Okay. And what is life -- we're going to be taking about
14 life care planning in connection with this case. What is life care
15 planning?
16

17 A. Life care planning is the process of identifying medical
18 needs for a patient that has some sort of catastrophic injury. So
19 specifically, for example, with Mr. Yahyavi, it would be to determine
20 what medical needs are related to a particular incident, identify the
21 items that are needed for the rest of his life over an average life
22 expectancy, and then research effectively the costs associated with
23 each of those items and present it in a report that makes sense.

24 Q. Okay. And I also note from your resume, Dr. Oliveri, that
25 you're also certified as an Independent Medical Examiner. Is that

1 true?

2 A. Yes.

3 Q. What does it mean to be certified as an Independent
4 Medical Examiner?

5 A. That is part of the certification to be a Certified Rating
6 Physician in the state of Nevada. So that involves coursework,
7 examination testing, and recertification every few years.

8 Q. I think one of the reasons why the certification is relevant
9 for this particular case is because initially you saw Mr. Yahyavi in
10 April of 2015, in connection with his work-related injury; right?

11 A. That is correct.

12 Q. And so in front of you, Dr. Oliveri, I have -- there's a
13 number of binders, all -- there's three exhibit binders I have in front
14 of you.

15
16 Exhibit No. 98, that is your actual record from
17 April 23rd, 2015.

18 It's Bates No. 578, Greg.

19 So that's your -- that's actually your initial report
20 related to your impairment rating. Okay?

21 A. Yes.

22 Q. So we're going to be talking about that.

23 And I want to first talk about, number one, what is
24 a permanent impairment evaluation?

25 Just zone in at the top, Greg, with the date and

1 everything. Okay.

2 A. Permanent impairment evaluations are something that
3 were established by the American Medical Association decades ago.
4 The whole purpose of an impairment evaluation is it's essentially
5 utilized exclusively in Worker's Compensation. So the purpose
6 behind it is to take any type of injury or problem to the human body,
7 head to toe, and there -- the textbook is about 600 pages long. And it
8 goes through every single body part and body system. And
9 depending on what the injury is and what the treatment was, there's
10 a method to convert that injury into a percentage number.

11 So the job of the rating physician is to determine
12 the percentage of impairment for a particular injury. And impairment
13 specifically means an alteration to that person's body on a
14 permanent basis.

15 So for example, in Mr. Yahyavi's case, in 2015, it
16 was spinal issues with loss of motion and other findings on
17 examination. Currently, his impairment involves the fact that he's
18 had a multilevel instrumented fusion with titanium screws and rods.

19 Q. Okay. And with regard to this impairment evaluation, as
20 part of this process, I mean, what -- did a lawyer select you? Did I --
21 did my firm select you to do this?

22 A. No.

23 Q. Okay. And were you appointed, I mean by the Worker's
24 Compensation, at least the system, to be a -- an evaluator for
25

1 Mr. Yahyavi?

2 A. Once you're certified as a rating physician, you are on a
3 rotation for the State. But then each individual third-party
4 administrator has a mini list of doctors that they allow patients to
5 choose from. So I'm -- I happen to be on that mini list of a number of
6 different companies in Las Vegas. And the patient -- the individual
7 patient chooses me.

8 Q. Okay. So just so we're clear, I played no role in your
9 selection in April of 2015; correct?

10 A. Correct. The attorneys are not involved in that selection
11 process.

12 Q. Okay. Would you consider that an independent selection
13 process?

14 A. Yes.

15 Q. All right. Now, you and I certainly know one another.

16 A. Yes.

17 Q. And we have worked together more than 20 years.

18 A. Probably.

19 Q. Right? We've -- I've hired you as an expert witness;
20 correct?

21 A. You have.

22 Q. And I've -- you've been on -- many times been on the
23 other side of me as an expert witness?

24 A. I have.

1 Q. Well, have I represented defendants in these types of
2 cases where you've been on the plaintiff's side; correct?

3 A. Yes.

4 Q. And I have been on the plaintiff's side where you've been
5 hired by the defense.

6 A. Yep.

7 Q. Would that be a fair statement?

8 A. That is correct.

9 Q. Have you been -- have you been allowed to testify in court
10 as an expert witness in your field of medicine, Physical Medicine and
11 Rehabilitation?

12 A. Yes.

13 Q. How many times?

14 A. I've been doing this type of work for about 21 years, and I
15 think probably over 200 times over that period of time.

16 Q. Okay. And do you make yourself available to be hired
17 either by the defense on these personal-injury-type cases or by a
18 plaintiff representing -- a lawyer representing a plaintiff in a personal
19 injury case?

20 A. I do.

21 Q. Very good. And do you charge for your services to be
22 here today?

23 A. I do.

24 Q. What do you charge to be here today?
25

1 A. I charge \$1,400 an hour for the time I'm here testifying.

2 Q. Right. Because meanwhile, you still have your office up
3 and running and functioning while you're here?

4 A. Yes.

5 Q. All right. Is that a usual and customary charge for
6 someone of your medical specialty and skill set?

7 A. Yes.

8 Q. Very good. All right.

9 Now, I want to talk about your evaluation in April
10 of 2015. But before we do that, I want to talk about -- we're going to
11 be talking about an injury to the cervical spine, primarily; right?

12 A. Yes.

13 Q. The area that you rated Mr. Yahyavi, was it -- he had a
14 permanent impairment to the cervical spine; is that correct?

15 A. Yes.

16 Q. Did you form an opinion as to what the cause of that
17 permanent impairment was?

18 A. Yes.

19 Q. What is the -- what was your opinion that you formed
20 back in April of 2015?

21 A. The June 19, 2013, motor vehicle accident.

22 Q. Right. In addition, after you performed your impairment
23 evaluation in 2015, did my law firm then ask you, since you were
24 already involved, ask you to review additional records and
25

1 re-examine Mr. Yahyavi and form additional opinions?

2 A. About three years later, yes.

3 Q. All right. Have you formed an opinion on whether or not
4 this motor vehicle collision, based upon all the records that you've
5 reviewed and we're going to talk about -- the history, examination,
6 depositions -- what injuries he suffered as a result of the June 19,
7 2013, motor vehicle collision to his cervical spine?

8 A. I'm sorry. Have I determined?

9 Q. Yes.

10 A. Yes. And in fact, my diagnosis in 2015 is the same
11 diagnosis I have today.

12 Q. Okay. And what is that?

13 A. Mr. Yahyavi sustained multiple levels of what's called
14 motion segment injury, which means that he had injury to both the
15 discs in between vertebral bodies in the neck, as well as the facet
16 joints. It was a combination of those two.

17 Q. Okay. And do you -- the surgery that this jury learned
18 about that was performed by Dr. Kaplan in January of 2018 in his
19 ongoing symptoms -- did you form -- do you have an opinion what
20 the cause of that need for surgery and ongoing symptoms are?

21 A. Yes. The cause was the June 19, 2013, accident.

22 Q. Right. Was there anything that you heard today in either
23 my opening statement or in, more importantly, Mr. Kahn's opening
24 statement, regarding any pre-existing related issues that changes
25

1 your opinion in any way?

2 A. No.

3 Q. Okay. Well, we're going to talk about that in a minute.

4 Now, was there any dates of injury, any further
5 injury, any after June 19th, 2013, any evidence of additional trauma,
6 any other event that would otherwise explain his symptoms or the
7 chronicity of his symptoms or current impairment?

8 A. No.

9 Q. Are those your opinions to a reasonable degree of
10 medical probability, Dr. Oliveri?

11 A. Yes.

12 Q. Okay. Have you also formed an opinion on whether or
13 not Mr. Yahyavi is permanently disabled from working?

14 A. Yes.

15 Q. And what is your opinion in that regard?

16 A. As you know from my reporting, there's been an evolution
17 of my assessment in that regard.

18 Q. Sure.

19 A. But my final opinion is that Mr. Yahyavi has abilities that
20 are less than the minimum requirements for gainful employment. So
21 sedentary is essentially the least physically demanding work. It's a
22 desk job. And Mr. Yahyavi does not have the physical abilities to do
23 that, so he is considered permanently and totally disabled by me and
24 also by Social Security.
25

1 Q. Okay. Has he also been determined to be disabled by the
2 Social Security Administration?

3 A. Yes.

4 Q. Okay. Now, I want to -- before we -- so obviously you
5 understood that this was a work-related injury at the time?

6 A. Yes.

7 Q. And Worker's Compensation, did they accept the injury to
8 the cervical spine that was caused by this motor vehicle collision?

9 A. Yes.

10 Q. What does it mean for the Worker's Compensation to
11 accept an injury for treatment and rate it?

12 A. Worker's Comp deals with injuries based specifically on
13 body parts. So when a person has an injury, there is an initial
14 report -- it's called an industrial C-4 form -- that is completed in part
15 by the injured worker and in part by the initial treating physician.

16
17 Worker's Comp makes a determination based on
18 that reporting whether or not they will consider it a work-related
19 injury; and if they do consider it, what body parts they will allow to
20 be treated under -- or take responsibility for.

21 Q. Did Worker's Compensation take responsibility for the
22 treatment and the interventionalist's pain management for
23 Mr. Yahyavi's cervical spine?

24 A. To this day, yes.

25 Q. Okay. Would a Worker's Compensation case manager

1 had to have participated in the authorization and approval process
2 for the care and treatment, including spinal injections and evaluation
3 by orthopedic spine surgeons?

4 A. It could be the case manager with Worker's Comp; it could
5 be the adjuster with Worker's Comp. But everything is -- has to be
6 done before the procedure or treatment is provided.

7 Q. Right. And would there be any reason to rate someone
8 with a permanent impairment if it's a simple self-limiting soft tissue
9 injury, Dr. Oliveri?

10 A. Absolutely not. That would be automatically a zero
11 percent.

12 Q. Right. And so we heard from Mr. Kahn today, and you've
13 read Dr. Tung's reports that his opinion of this is a self-limiting soft
14 tissue injury.

15
16 If that's what this was, would you have given him a
17 permanent impairment rating in April of 2015?

18 A. Absolutely not. It -- first of all, he wouldn't have been in
19 my office. And if he was, by chance -- sometimes things slip through
20 the cracks, they don't make the right decisions. But if a person
21 shows up to my office with a self-limiting soft tissue strain, it's a zero
22 percent rating.

23 Q. Right. And so the complaint that was read to this jury
24 today was filed on May 20th, 2015. Okay.

25 Well, just bring up the date and leave that there --

1 that whole topic?

2 What date did you evaluate Mr. Yahyavi?

3 A. April 23rd of 2015.

4 Q. Before any lawsuit was filed; right?

5 A. Yes, I would say so.

6 Q. According to these dates?

7 A. Correct.

8 Q. Okay. Now, Mr. -- we're going to discuss the symptom,
9 level of detail. But as part of your evaluation in coming up with a
10 permanent impairment, did you consider that Mr. Yahyavi had
11 degeneration in his spine?

12 A. Of course.

13 Q. Right. So you -- that was something you knew, that would
14 have predated this motor vehicle collision of June 2013?

15 A. Absolutely. I saw all of the imaging studies, the x-rays,
16 the MRI scans. I was -- you know, I made comment on it. It was no
17 surprise to me and it was absolutely part of my consideration.

18 Q. All right. So you factored that in to your evaluation?

19 A. Yes.

20 Q. Have you factored that into your opinions in this case?

21 A. Yes.

22 Q. Can you simply just look at an x-ray report -- we're going
23 to look at that here in a few minutes -- but just look at an x-ray report
24 that shows degeneration and say, oh, yes, that person must be
25

1 symptomatic or have pain or symptoms?

2 A. Absolutely not.

3 Q. Okay. Please explain why.

4 A. Sure. As was mentioned earlier today, you can -- we all,
5 starting probably in our 30s or later, develop age-related
6 degenerative change to our spine. It typically happens in the neck at
7 certain levels; it also typically happens in the lower back at certain
8 levels.

9 The analogy I typically tell patients is that
10 degeneration on an x-ray is very similar to having gray hairs develop,
11 which almost everybody does when they get older. But that doesn't
12 mean that the gray hair is painful. It doesn't mean that the bone
13 spurs that you see or the areas of age-related change in the spine are
14 causing symptoms. There has to be --

15 Backing up, a surgeon would never look at an x-ray
16 or an MRI scan alone and tell whoever that is that they need to have
17 surgery. There has to be clinical correlation. There has to be input
18 from the patient. There has to be consideration of examination
19 findings. There has to be a consideration of imaging tests -- MRIs or
20 x-rays. There has to be consideration of injection results when I'm
21 talking about the spine. And then there's some other ancillary tests
22 that need to be considered.

23 And then it's the physician's job, which is -- it's a
24 complicated process, but that's what we're trained to do -- to
25

1 assimilate all of that information and come up with the correct
2 diagnosis and the correct treatment plan.

3 Q. Okay. And I wanted to -- so it's not just one piece of the
4 puzzle. It sounds like there's multiple pieces of the puzzle in
5 formulating your opinions -- not just diagnostics x-rays or MRIs?

6 A. Of course.

7 Q. All right. And do you use clinical correlation as part of
8 your practice as a Physical Medicine and Rehabilitation specialist?

9 A. Of course.

10 Q. Did you do that as part of your analysis in this case?

11 A. Of course.

12 Q. Okay.

13 [Pause in proceedings.]

14 BY MR. PRINCE:

15 Q. Dr. Oliveri, we're talking about clinical correlation. And
16 there's a different -- there's many components to this.

17 Let's start with the patient history. What's critical
18 about patient history as part of your overall clinical correlation
19 analysis?

20 A. When we're talking about the spine, we know that spinal
21 changes on an x-ray or an MRI scan can and do occur without any
22 symptoms whatsoever, or with minor symptoms, but no actual
23 findings on examination.
24

25 So we're looking at asking the patient what

1 happened? What are your symptoms? Where are they located?
2 With spinal injuries, the location of pain is unimportant because
3 there's a difference when a person has just neck pain, as opposed to
4 a person has neck pain, radiating down an arm, with weakness and
5 headaches.

6 So we consider that information. And then, as
7 we're gathering that information, we're developing a list of possible
8 explanations; and then we're either ruling in or ruling out certain
9 possibilities. That's the process.

10 Q. Right. And patient history obviously plays an important
11 role?

12 A. Yes.

13 Q. And are you documenting, like, when the symptoms
14 started; the nature and severity of them; are they persistent; you
15 know, do they come and go -- those type -- the quality of the
16 symptoms? Are those important to you?

17 A. Yes. Quality and quantity.

18 Q. Okay. And whether you've had symptoms in the past or
19 remote past, does that play a role too?

20 A. It could, depending on what it is.

21 Q. Right.

22 A. It's something that more information is better.

23 Q. Right. And Mr. Kahn said -- told this jury earlier today that
24 the -- you know, the basis of your opinions were just because
25

1 Mr. Yahyavi told you that he had pain after this collision with the
2 forklift.

3 Was that the sole basis for your opinions in this
4 case?

5 A. Of course not.

6 Q. All right.

7 A. It's an oversimplification dramatically.

8 Q. And did you rely on your own examination findings?

9 A. Of course.

10 Q. Did you rely on the examination findings made by other
11 medical professionals, including surgeons, pain management
12 specialists, involved in Mr. Yahyavi's care?

13 A. Of course.

14 Q. Did you also look at his response to treatment or lack of
15 response to treatment?

16 A. Yes.

17 Q. What -- what's the significance of response to certain
18 treatment that a patient receives? What's significant to you as a
19 physician in formulating an opinion as to what the cause of the
20 problem is?

21 A. Very important. And it depends on the treatment.

22 So for example, if a person responds to
23 chiropractic or physical therapy when they have spine pain, that
24 would be in the category of a mild injury or a mild symptom, such as
25

1 what Dr. Tung refers to as a neck strain.

2 If they don't respond to those things over the
3 course of a couple, three months, you start to rule out a simple injury
4 that would be a strain that would resolve, and you start to consider
5 other things.

6 There are injection results, that were mentioned
7 earlier today, that include numbing medicine and a cortisone
8 medicine. And the response to that procedure, depending on where
9 it's injected in the spine, can tell -- can give a physician information
10 about was it one disc? Was it more than one disc? Was it one facet
11 joint? Or were there facet joints on the left side that were
12 problematic? On the right side? Or both?

13 So the response to his individual treatments can
14 help diagnostically in identifying what the problem is.

15 Q. Okay. And we also talked about x-ray and MRI imaging,
16 how that plays a role, as well as the other testing, which are these
17 injections you're talking about.

18 A. Yes.

19 Q. Do all of those components of the puzzle play a role, a
20 critical role, in your evaluation and analysis in formulating the
21 opinions that you came -- reached in this case, that Mr. Yahyavi
22 sustained a permanent injury for which he underwent spinal surgery
23 caused by this motor vehicle collision?

24 A. Absolutely.

1 Q. All right. I want to -- let's have --

2 MR. PRINCE: Your Honor, can Dr. Oliveri just step
3 down?

4 BY MR. PRINCE:

5 Q. I'd like you to come down and explain to the jury --

6 THE COURT: Yes.

7 BY MR. PRINCE:

8 Q. -- and just educate us about what the various components
9 of the spine are and the --

10 THE COURT: He needs to stand next to a mic,
11 though, since you haven't made --

12 MR. PRINCE: Okay. Does -- do we have one?

13 THE COURT: Now, you can't --

14 MR. PRINCE: Can he stand by me?

15 THE COURT: I don't think you can do both.

16 MR. PRINCE: I'll hold -- I'll hold the microphone.
17 I've done that before. I'm an assistant -- medical assistant with this
18 situation.

19 THE COURT: Oh, you can. Okay. You can hold the
20 hand mic.

21 MR. PRINCE: Okay.

22 MR. KAHN: Does the Court have any objection if I
23 stand at the end of the jury box to see this?

24 THE COURT: If you need to see it, that's fine.
25

1 MR. KAHN: Because I'm kind of blocked.

2 BY MR. PRINCE:

3 Q. Sure. If you could kind of explain -- I kind of did it in a
4 cursory way during the opening statement, Dr. Oliveri, and I know
5 you're here for that. But can you please explain in some level of
6 detail of the anatomy of the spine, the various components of the
7 spine, and the issues we're dealing with in this case?

8 A. Sure. So the -- I'll stand right here.

9 Q. Sure.

10 A. So the orientation of this plastic model is just how I'm
11 holding it here. So we're dealing with left side over here, right side
12 over here. This is the front of the spine and then this is the back of
13 the spine.

14
15 And as was discussed earlier, the spine is divided
16 into the three sections. This part of the spine is three sections. And
17 the neck or is cervical is -- involves seven vertebral bodies. And then
18 in between those bones is one disc. And then on the back side -- on
19 the back side, between each segment, is a little tiny joint. I think that
20 Mr. Prince referred to them as knuckle joints. But they are literally --
21 I've got my fingernail in one of them, right there. There's one facet
22 joint on the left and one facet joint on the right at every single level
23 of the spine.

24 The way I like to describe the facet joints is you can
25 see, as we bend the head -- I'm sorry -- the head and neck forward,

1 the facet joints open up. I've got my finger inside the joint now.
2 When we bend the head and neck backward, they sort of close down.
3 And they also help control rotation. So we can't spin our head all the
4 way around -- excuse me -- in part, because these facet joints will
5 stop the movement.

6 What's pertinent for Mr. Yahyavi here is that many
7 of these structures in the spine have a nerve supply. So they can be
8 a source of pain. The source of pain could be one or more facet joint
9 on either side. The source could be -- well, you can see it from the
10 front a little bit better -- is a disc, because the back side of the disc
11 that's near the nerve roots and the spinal cord actually has the nerve
12 supply.

13 So if you have injury to a disc, you can develop
14 neck pain, headaches; or if there's an injury to or an irritation of a
15 nerve, you can develop symptoms down an arm. So the arm
16 symptoms might be numbness, tingling, burning, aching, weakness,
17 or a combination of those things.

18
19 Q. And in this case with Mr. Yahyavi, does he have -- did he
20 have, even before his surgery, pain, numbness, and tingling or
21 paresthesia into his left arm?

22 A. He did. He consistently had that prior to the time that I
23 rated him in 2015 and then ongoing. It was a -- it was a longstanding
24 chronic problem -- and chronic just means duration. So it -- those
25 problems with the radiating symptoms were longstanding.

1 Q. What caused the radiating symptoms down the left arm
2 and into the hand?

3 A. Mr. Yahyavi has a number of different abnormalities in the
4 neck that include some pinching of nerve tissue. And so he has a
5 combination of pinching to a nerve that goes down into his pinky
6 finger and ring finger. He also has some pinching of nerves higher
7 up that caused the pain around the shoulder.

8 Q. Okay. Did -- even with the records that Mr. Kahn showed
9 earlier -- did he have any symptoms or problems into his left arm
10 before this motor vehicle crash?

11 A. No. If you're referencing the 2011 --

12 Q. Yeah, I am.

13 A. Okay.

14 Q. We're going to talk about that in detail --

15 A. Sure.

16 Q. -- but I wanted to do it while you were up here still.

17 A. So what I saw in that record was a reference to him
18 having neck pain with no extremity symptoms.

19 Q. Okay. Was that significant to you in your analysis?

20 A. Sure.

21 Q. Okay. And keep going. I wanted -- and talk about the
22 disc, the functions of the disc, and how they kind of operate within
23 the spine --

24 A. Sure.

1 Q. -- and can become the source of pain.

2 A. So what also allows us to be able to move the head and
3 neck forward and backward is that -- that disc that's in between the
4 bones -- so I have my fingernail on one of the discs.

5 And the disc is actually -- I think Mr. Prince
6 referenced it as a cushion, but it's -- or a shock absorber or
7 something like that. But it is a -- it is a piece of tissue that does allow
8 for some movement. And it does have a nerve supply, so if you have
9 injury or damage to it, you can have symptoms that include neck
10 pain, arm symptoms, and radiating pain to the head.

11 Q. Okay. And anything else you think is significant for us to
12 discuss from an anatomical standpoint as part of this -- so that we
13 have an understanding of what parts of the body we're talking about
14 as you work through --

15 A. Yes. Something --

16 Q. -- the analysis in this case?

17 A. Sure. Something came up earlier, which there is a term
18 that was referenced called lordosis.

19 So if you look at the spine level from the side -- so
20 in this position -- you see that it's not straight like an arrow. It is
21 curved. So we have a curve in the lower back, which is the small of
22 your back. We have the opposite curve in the mid back. And then in
23 the neck, we have a curve that goes this way. And this is sort of the
24 normal curve that you would expect in that position.
25

1 So this curve in the neck is called lordosis. It has
2 no other meaning other than just saying a curve. And what is
3 important to keep in mind is that the spine isn't a static, immobile
4 structure. It is dynamic. It moves, as we just talked about and as I
5 showed.

6 So when you do an x-ray or an MRI scan, these
7 things are done with the person laying on their back. Depending on
8 how the person's head and neck is positioned, you can see, in this
9 position there's remaining lordosis -- so we have a little bit of curve
10 here. I can get my fingers through that.

11 But if the person happens to be positioned on the
12 table when they're doing the x-ray with their head tilted a little bit
13 forward, they may have a complete loss of lordosis, just by the
14 positioning of that person during the x-ray. They're -- I think the
15 radiologist mentioned that it could also be due to spasm of muscles.
16 When these muscles get tight, the neck tends to be more in a straight
17 military posture. But I just wanted to add and give the visualization
18 that it's also dependent upon how the person is laying there.

19
20 Q. Okay. Good. And we're going to be talking about various
21 aspects, so if you need to use the spine model during any aspect of
22 your discussion, please let me know and I'll get it for you.

23 A. Great.

24 Q. Thanks. Okay. And we're going to go to now, Dr. Oliveri,
25 we talked about clinical correlation. We talked about the

1 [indiscernible] of the spine.

2 Let's talk about your initial evaluation on
3 April 23rd, 2015. We have about 30 minutes to talk -- we're going to
4 talk about the symptoms, what information you had in 2015, and talk
5 about the Southwest Medical records that we -- that were referenced
6 earlier today. Okay?

7 A. Okay.

8 Q. Very good. And so Mr. Yahyavi came for -- to be
9 evaluated for, among other things, a cervical spine; correct?

10 A. Yes.

11 Q. All right. And did he have other injuries associated with
12 the motor vehicle collision, other than just the cervical spine, to his
13 spine?

14 A. Thoracic spine was the other part.

15 Q. What about his lumbar spine?

16 A. Oh, so actually that is something that probably should be
17 noted by me.

18
19 He did have lower back pain after the motor
20 vehicle accident, and it was treated by the physicians, and it went
21 away. And it went away in a short period of time, consistent with
22 what would be considered a muscle strain, consistent with what
23 Dr. Tung refers to as a straining injury.

24 Q. Okay.

25 A. And because it was a straining injury and because it

1 followed the course that you would expect, it wasn't even rated.

2 There is -- there -- it's a -- again, as I said, it's a zero rating. And so it
3 wasn't even on the list to do.

4 Q. Right. So he had a -- an injury to his cervical spine?

5 A. Yes.

6 Q. Did he have a soft tissue injury to his cervical spine?

7 A. Well, there's a component of it.

8 Q. Right.

9 A. But --

10 Q. I just wanted to talk about that.

11 A. Yes.

12 Q. And what -- what does it -- just so we're clear, what does
13 soft tissue injury mean? Or what parts of the body are we referring
14 to?
15

16 A. What we don't see on that spine model is that on the
17 backside there are a number of ligaments and there are multiple
18 layers of muscle that support the spine on both sides. There are also
19 muscles up front in the neck, but the ones that really provide the
20 stabilization to the spine are on the back side, left and right.

21 And so when we talk about soft tissues, we're
22 typically saying it's a muscle strain. Muscles are tight or tender.
23 Maybe the ligaments are a little irritated as well.

24 Q. Okay. And when -- generally speaking, if someone has a
25 true soft tissue muscles, you know, ligament strain and sprain, how

1 long does it typically take for those symptoms to resolve, if it's a true
2 soft tissue injury?

3 A. Could be as little as a few days. It could be as long as a
4 few months. If it goes past three months of continuous symptoms, it
5 probably isn't just a soft tissue injury.

6 Q. Okay. So then we start thinking there might be something
7 more structural involved?

8 A. Of course.

9 Q. Is that what happened -- is that what happened in
10 Mr. Yahyavi's case with regard to his cervical spine?

11 A. Yes.

12 Q. With regard to his lumbar spine, did that resolve in an
13 appropriate length of time for a soft tissue muscle strain?

14 A. Yes.

15 Q. Okay. And so there was no structural problem with his
16 lower back?

17 A. Correct.

18 Q. All right. So once you kind of get outside of the window
19 of time that you expect a muscle strain to resolve, do you start to
20 suspect, as a physician, that there might be something more
21 structural going on within the spine itself?

22 A. Yes.

23 Q. Is that what happened in Mr. Yahyavi's case?

24 A. It's exactly what happened.
25

1 Q. All right. Now, talking about when the Worker's
2 Compensation requested that you perform this evaluation, were you
3 provided medical records?

4 A. Yes.

5 Q. Were you provided -- what sort of medical records were
6 you provided?

7 A. I was provided that industrial form that I mentioned
8 before, the C-4 form. I was provided that. I was provided UMC
9 trauma notes, urgent care notes, notes from the Worker's
10 Compensation clinic, notes from physical therapy, notes from
11 Dr. Perry -- we saw his picture up there earlier. X-ray and MRI
12 results. Injection results with Dr. Schifini. And additional pain
13 management -- there was a Dr. Fischer who saw him for injections.
14 And a -- and then lastly, physical therapy records.

15 Q. Okay. In addition, when you saw him again in 2018, were
16 you provided even additional records, including more pain
17 management, physical therapy, and surgical records?

18 A. Yes. Much more detailed. But everything past the point
19 of 2015, plus medical billing, plus many other things.

20 Q. Do you -- in your mind, do you think Bahram Yahyavi
21 exhausted every avenue of conservative care before surgery?

22 A. Oh, without a doubt.

23 Q. And is that your opinion to a reasonable degree of
24 medical probability?
25

1 A. Yes.

2 Q. And beyond that, are you certain?

3 A. Yes.

4 Q. Okay. And let's talk about his complaint -- your
5 examination.

6 We're going to be on 578, if you'll go down to
7 the --

8 You documented a proposed mechanism of injury.
9 Do you see that?

10 A. Yes.

11 Q. So I want to have you discuss what was your
12 understanding of the injury causing event of the cervical spine?

13 A. Sure. Would you like me to read it?

14 Q. Please. Or just summarize.

15 A. Sure. So I just indicated that on 6/19/13, he was driving a
16 vehicle. He turned onto a side street. Without warning, his vehicle
17 abruptly came to a stop. And what had occurred is that a forklift
18 driver had pulled out from the side, with the forks elevated, and the
19 windshield of Mr. Yahyavi's car was struck.
20

21 Q. Okay. And you were here for the opening presentations;
22 correct?

23 A. Yes.

24 Q. You were also here for the examination of Mr. Goodrich,
25 the safety director of the defendant.

1 Is his description to you consistent with the
2 photographs of things you heard here in court today?

3 A. Yes.

4 Q. All right. In addition to that -- what were his complaints --

5 Let's go to page No. 579.

6 I'm going to talk about -- talk about the current
7 complaints for which you're evaluating him for. And No. 1, what's
8 the -- why did you document the current chief complaints?

9 A. The subjective statements of pain are an important
10 starting point when evaluating a diagnosis or making decisions --
11 whether it's treating a patient or doing an impairment evaluation
12 such as this.

13 Q. Okay. And what did he report to you?

14 A. Neck and upper back pain and left arm pain.

15 Q. Okay. Were those complaints, by April of 2015, chronic in
16 nature?

17 A. Of course.

18 Q. What does it mean to be chronic in medical terms?

19 A. A problem that has been constant for more than six
20 months is considered chronic. It's all about duration of the constant
21 symptoms.

22 Q. And based upon your review of the records, not only from
23 2015, but currently, has Mr. Yahyavi's complaints of neck pain and
24 arm pain been persistent?
25

1 A. Yes.

2 Q. Have they been constant?

3 A. Yes.

4 Q. Has there ever been any period of time since June of 2013
5 that he's not had documented ongoing neck pain or left arm
6 symptoms?

7 A. No.

8 Q. Do you consider that not only chronic, but permanent in
9 nature?

10 A. Of course.

11 Q. Something he'll live with for the rest of his life?

12 A. Yes.

13 Q. Are those your opinions to a reasonable degree of
14 medical probability?

15 A. Yes.

16 Q. Now, was he still working at the time you saw him?

17 A. Yes.

18 Q. In April of 2015?

19 A. Yes.

20 Q. Do you think he gave a fair and reasonable effort to work
21 as long as he could, given the level of his injuries and his symptoms?

22 A. My opinion is that he gave an impressive effort in trying to
23 continue to work.

24 Q. Okay. Now, let's talk about his description of the
25

1 symptoms and how it affected him.

2 That's on page 579, so if you're go down to at the
3 present time, examining -- about midway through the page.

4 What did you record, based upon your history for
5 Mr. Yahyavi?

6 A. I clarified a little bit more about the nature of the neck and
7 arm symptoms. I described that it was shooting pain on the left side.
8 It would go into the upper arm, the forearm, and, as I mentioned
9 before, into the small finger. The pain would increase, especially if
10 he turned his head toward the left.

11 From a medical perspective, increased pain when
12 you turn your head to the left is an indication that it could be the
13 facet joint that's getting pinched and irritated causing pain, or it
14 could be the nerve that as you're turning, it's causing more pinching
15 and more symptoms. So that was important.

16 I also asked detailed questions about how this
17 problem impacted his day-to-day life.

18 Q. Why did you want to know that?

19 A. Well, for one thing, it's important for the impairment
20 evaluation, but it's also important to learn more about the extent of
21 this problem.

22 Q. Okay. You tried to learn about the severity of his
23 problem?

24 A. Right. So again, as I mentioned before, soft tissue strain
25

1 injury, zero percent. It's not going to impact a person's quality of life;
2 it's not going to impact their level of function, their activities of daily
3 living, their motion. And so asking these questions is meant to
4 determine and help to differentiate between something that's really
5 nothing versus something that is permanent and ongoing.

6 Q. Okay. What did he report to you in terms of his -- the
7 effects of his neck pain and arm symptoms on his activities of daily
8 living, including work and his social life?

9 A. With respect to bathing and self-care -- so self-care is
10 bathing, dressing, toileting -- he told me that he could do all of those
11 things, but he had difficulty because of the pain. He had no difficulty
12 communicating. And I've seen Mr. Yahyavi probably five times now,
13 and he, to me, is an excellent communicator.

14
15 Sitting for more than 10 or 15 minutes caused
16 increased pain that caused him to have to change positions and
17 move around. Walking for five or ten minutes caused an increase in
18 the neck and upper back pain. Climbing stairs was difficult.

19 He was able to perform all of these activities, but
20 had to do them in shorter intervals. And this is history that is
21 consistent with someone who is trying to give good effort in their
22 activities and trying to give good effort in explaining.

23 He had -- let's see here. His lifting ability was
24 limited. It was difficult for him to travel in a car. He couldn't tolerate
25 longer distance driving because of the increased neck pain. We

1 talked a little bit about his work at the time, where he would have to
2 go on test drives. And it was difficult for him to go on the test drives,
3 depending on how long they were, because it increased his
4 symptoms.

5 We talked about sexual activity. Sexual activity for
6 Mr. Yahyavi has been compromised, decreased because of the neck
7 injury.

8 We talked about sleep. He had to use a neck roll to
9 sleep. He was having difficulty getting to sleep. And he would have
10 to take pain medication in order to have a decent night's sleep.

11 Q. Right. How does -- with someone who has got chronic
12 pain, how does the lack of sleep or the sleep disruption, how does
13 that affect the pain and the pain levels and their ability to cope with
14 it?
15

16 A. Normal sleep is restorative. And what that means it helps
17 restore problems that are going on in your body. When you have
18 chronic pain, especially due to a significant structural injury, it is
19 common for these patients to not get that restful sleep.

20 So they don't get 7 or 8 hours of sleep. They don't
21 feel rested when they wake up. And then they start out their day
22 tired, fatigued, irritable. And then on top of that, they're dealing with
23 pain the rest of the day and the side effects of medications
24 oftentimes.

25 Q. Does the fatigue, because of the sleep disruption in their

1 appearance, does that actually make managing the pain and coping
2 with pain even more difficult, in your experience, Dr. Oliveri?

3 A. Well, by definition, that's part of what happens when you
4 have chronic pain. So it is -- it's the -- it's one of the reasons that you
5 have chronic pain. And it's one of the reasons that chronic pain
6 itself, when it's constant, doesn't resolve.

7 Q. Okay. Why do they call chronic pain a syndrome?

8 A. Well, syndromes in medicine are typically multifactorial.
9 So it means that it impacts lots of different aspects of the person's
10 life. The chronic pain syndrome, in addition to the things that I just
11 mentioned that affect activities of daily living and your day-to-day
12 life, it affects your ability to have relationships with other people. It
13 affects your concentration, because chronic pain is very distracting
14 and it's part of that syndrome of how we sort of deal with it. It's very
15 unusual for a person that has severe ongoing chronic pain to be able
16 to compartmentalize it, put it aside, and carry on a normal day. It's --
17 it's just tough.

18 Q. Does it create anxiety and depression?

19 A. Of course. You know, depression and anxiety are a
20 concern with chronic pain. Sometimes it can be severe. I've
21 unfortunately had patients that have committed suicide because of
22 severe chronic pain.

23 Q. Right. And is there anything in the medical history, that
24 you're aware of, including what you learned here in court, that in any
25

1 way would explain the nature and extent and severity of these
2 symptoms and the chronic pain syndrome that Mr. Yahyavi is
3 experiencing, other than the motor vehicle collision of June 19, 2013?

4 A. No.

5 Q. Is that your opinion to a reasonable degree of medical
6 probability?

7 A. Yes.

8 Q. Okay. I want to talk about some of the records that were
9 shown today to this jury that you were present for from Southwest
10 Medical. Okay?

11 A. Okay.

12 Q. We're going to go to Visit No. 1, October 7th, 2011.
13 Behind you are three binders. This will be in Binder No. 3, if you
14 want the hard copy.

15 A. I might just rely on the monitor.

16 Q. Very good, Doctor.

17
18 Page 2113. And I want to go to the date at the
19 top -- just show us the date, Greg -- so we're clear, Visit 1,
20 October 7th. Okay?

21 Do you have that in mind, Dr. Oliveri?

22 A. Yes.

23 Q. Very good. I want to go down now to Active Problems. I
24 want to go down to -- through Review of Systems. Okay. Keep
25 going.

1 And it said he was there for a patient checkup.
2 That was the reason for his visit. But now we're here -- he's got
3 dermatitis, which I -- that's a skin condition?

4 A. Yes.

5 Q. Hypertension, is that high blood pressure?

6 A. Yes.

7 Q. And nicotine dependence, that's saying he's a smoker?

8 A. Yes.

9 Q. All right. And then the medications, were any -- was any
10 of that for musculoskeletal, spinal pain, anything like that?

11 A. No.

12 Q. Okay. The Review of Systems, was that significant to
13 you -- the date -- on this first date, the review of systems as
14 documented by Southwest Medical?

15 A. Sure. And just to clarify, Review of Systems is a
16 mandatory part of a medical -- general medical evaluation. This is
17 where you probably have been to physicians where they'll ask you
18 what the reason is that you're here to see the doctor.

19 But then they'll ask a number of additional
20 questions. They'll ask different body systems, literally from head to
21 toe. And the purpose of that is to identify secondary problems that
22 may not be the main reason you're there, but still need some sort of
23 observation or evaluation by a doctor.

24 Q. Okay. Now, was there anything in the review of systems
25

1 related to ongoing spinal complaints, multilevel discogenic pain or
2 facet-related pain and symptoms into the arms?

3 A. No.

4 Q. Okay.

5 And go to -- going to the second page of this visit,
6 October 7th, 2011. Under the Objective part, Examination, where it
7 says -- I'm going to read from here.

8 It says: Pupils equal, round, reactive to light.
9 Tympanic membranes are within normal limits.

10 What does that mean?

11 A. That's the eardrums. So his ears were fine.

12 Q. Mouth, nose, and throat are WNL. Does that mean within
13 normal limits?

14 A. Yes.

15 Q. Yeah. Neck -- means -- that's where I'm going to focus --
16 is supple, has full range of motion without palpable masses. Do you
17 see that?

18 A. Yes.

19 Q. For someone who had multilevel discogenic pain that's
20 symptomatic for a period of years, can you have, by definition, full
21 range of motion?

22 A. No. Impossible.

23 Q. Okay. After this motor vehicle collision, has Mr. Yahyavi
24 ever returned to full, pain-free range of motion in his neck after
25

1 June 19, 2013?

2 A. No, never.

3 Q. Okay. Is it significant to you the day he first goes to --

4 Let me put that down on that [indiscernible]. I'm
5 worried about that stick flying out of my hand. I don't want to have
6 an industrial event here.

7 And so now was it significant to you in this -- that
8 Mr. Kahn did not show this to this jury -- that on the first visit he had
9 full range of motion, no neck pain, without any issues?

10 A. I'm sorry. Tell me the question again.

11 Q. Sure. Was it significant to you that -- Mr. Kahn did not
12 show this to the jury. But is it significant to you, medically speaking,
13 that he has no neck pain, has full range of motion, on the date of his
14 first encounter with the physicians at Southwest Medical?

15 A. Sure. Yeah. I don't have a comment about Mr. Kahn's
16 presentation --

17 Q. Yeah.

18 A. -- about that. But it is significant, especially in the context
19 of the other note in 2011 that talks about several years of neck pain.
20 The fact that there was a normal Review of Systems and a normal
21 neck examination with no complaints voiced or documented for the
22 neck is significant to me. It is consistent with assumptions I made
23 that Mr. Yahyavi did not have a clinically significant problem in his
24 neck of multilevel disc injury or facet problems prior to the accident.
25

1 Q. If someone had multilevel discogenic pain, meaning
2 coming from multiple levels of the spine, with affecting a nerve root,
3 would you have pain -- a pain free neck and full range of motion?

4 A. Again, impossible.

5 Q. Okay. The next visit, which is Visit No. 2. It's
6 October 25th, 2011. That's Bates No. 2110. Go to the Subjective
7 through the Neck Objective Exam -- 2110.

8 It says: Patient presents for lab results.

9 Is everybody oriented to the top up here? Being
10 [indiscernible] Greg, if could you just highlight through the yellow on
11 the Subjective and then go Objective.

12 It says: Patient presents for lab results. Also
13 complains of neck pain for several years. Denies any history of neck
14 surgery. No neck trauma. Has a well-healed surgical scar on the
15 back of his head which is from a hair transplant.

16 Did Mr. Yahyavi ever tell you during your
17 examination or any time that he had any prior neck complaints?
18

19 A. No.

20 Q. Okay. You've now seen this. You see this one record.

21 A. Yes.

22 Q. Is there any other records after this date, but before the
23 collision, where he's got documented neck complaints of any kind?

24 A. Not that I've seen.

25 Q. Have you seen any records before 2011 of any kind that

1 document any neck complaints, any neck injuries, any problems with
2 his neck ever before?

3 A. No.

4 Q. Okay. And what I wanted to focus on now is the exam. It
5 says: Supple with full range of motion.

6 Do you see that?

7 A. Yes.

8 Q. If someone had multiple, multilevel discogenic pain and
9 facet pain, would you have full range of motion with no pain?

10 A. Never.

11 Q. Okay. Is that -- even though he's got documented and
12 alleged complaints for neck pain for several years, is that consistent
13 with multilevel discogenic pain that we're talking about following this
14 motor vehicle crash?
15

16 A. No.

17 Q. Okay. Is that your opinion to a reasonable degree of
18 medical probability?

19 A. Yes.

20 Q. And beyond that, are you certain?

21 A. Yes.

22 Q. Very good. Also, it says there's mild paraspinal
23 discomfort with palpation of the neck.

24 What does that mean?

25 A. Palpation is the examiner is using their fingertips, and

1 they're touching the muscles of the neck. And they're saying that
2 there was mild discomfort.

3 Q. Okay. And is that significant in terms of diagnosing
4 multilevel discogenic and facet pain?

5 A. Well, in part. That entry, coupled with the last thing that's
6 stated, which is no palpable muscle spasms -- those two things
7 together are very important to me. Because Mr. Yahyavi, if he would
8 have had this sort of multilevel disc, facet-type problem before the
9 accident, there would be -- in addition to loss of motion, there would
10 be expected muscle spasm -- which is where when you touch that
11 muscle it is involuntarily contracted to try to support this spine that's
12 injured.

13
14 And so when I see no spasm, mild discomfort, and
15 full range of motion, it automatically gets put in a really minor
16 category of not medically concerning.

17 Q. Right. Can people just have, Hey, my neck is stiff. I've
18 been working a lot. I'm tense. I've got stress or pressure. Can that
19 cause people just to feel, like, achy or discomfort?

20 A. Of course.

21 Q. And not be a discogenic problem for which you would
22 need, you know, pain management injections or surgery?

23 A. Of course.

24 Q. Right. Now, let's keep going. And let's go to Visit No. 3,
25 so that we're going to go through each one of these. We've got a

1 few minutes left before the Court has to go.

2 March 12th, 2012. Remember Mr. Kahn said that
3 he had an active backache problem on March -- showed that record?

4 A. Yes.

5 Q. Did he actually show the clinical note to the jury of what
6 the doc -- what the doctor wrote for the exam that day?

7 A. No.

8 Q. Okay. Let's make sure we do that.

9 2108 of Exhibit 156 for the -- just go to the
10 Subjective and Objective.

11 And what was the reason for his visit that day?

12 A. He was skiing on Mount Charleston, and he had right knee
13 pain.

14 Q. Okay. Any neck pain?

15 A. No.

16 Q. Any spinal pain?

17 A. No.

18 Q. And then under the Objective, which would be the exam
19 part, was there any examination findings of neck pain, back pain, any
20 kind of spinal pain?

21 A. No.

22 Q. Okay. And let's go to 219 -- at the bottom of that -- move
23 it up a little bit, Greg. There's a part of that that I want to get to is the
24 Assessment. Do you see where it says Assessment? Right there.
25

1 And that's part of Southwest Medical's electronic
2 recordkeeping, the term Assessment?

3 A. Can you show it to me again, please?

4 Q. Yes. Just pull up, Greg, just the bottom part of it. No.

5 A. Okay. Got it. Yes. So Assessment is the physician list of
6 diagnoses.

7 Q. Okay. And so -- and going to 2109, go to the next page.

8 What is the assessment for that day?

9 A. Right knee pain, status post ski injury.

10 Q. Okay. Mr. Yahyavi -- Mr. Kahn told them that there's
11 some other record that said this was an active problem -- this
12 backache. Was that an active problem on March 12th, 2012?

13 A. No.

14 Q. Okay. Because this is the actual physician record signed
15 by -- it looks like Dr. William Celentano?

16 A. Right.

17 Q. Okay. Is there any record -- either a complaint, physical
18 exam, finding -- consistent with any neck issue?

19 A. No.

20 Q. Okay. Let's look at Visit No. 4; November 1st, 2012; Bates
21 No. 2106. I want to go from Reason for Visit through the Review of
22 Systems, please.

23 Okay. It says: What was the reason for the visit
24 that day?
25

1 A. Follow up on results.

2 Q. Okay. And then it says -- what's Subjective?

3 A. He was there to discuss the results, and he was feeling
4 well without any physical complaints.

5 Q. Okay. Well, we just learned, I guess, from October
6 of 2011, one year earlier, that he reported these neck complaints for
7 ears.

8 I mean, did he have any ongoing neck complaints
9 as of November 1st, 2012?

10 A. No complaints in the Subjective section. And then again a
11 little bit lower is that Review of Systems, which is the inventory of all
12 the body systems. And he had no spinal complaints.

13 Q. Okay. Let's go to musculoskeletal. It says:
14 Musculoskeletal -- no joint redness, swelling, or pain. No persistent
15 muscular pain.

16 Was that examination finding significant to you,
17 medically, concerning his neck in this case?

18 A. It is. It's very significant to me, with all the other things
19 that we've mentioned, when I'm asked to interpret the Southwest
20 Medical notes before the accident.

21 Q. Okay. And in looking at these records, based on the fact
22 that he had no ongoing physical complaints in November of 2012, if
23 someone who has multilevel discogenic injury and pain, as well as
24 facet pain, would you expect them to be without physical complaint
25

1 and no findings on an exam?

2 A. No. The -- this is consistent with, to me, in 2011, the
3 reference to pain being a really insignificant problem from a medical
4 physician standpoint. That's all.

5 Q. Okay. And let's go to Visit No. 6. That's one month
6 before the motor vehicle -- or excuse me -- No. 5, excuse me. Visit 5;
7 May 23rd, 2013, just one month before this collision; Bates No. 2104.

8 Okay. Again, on this visit, let's go to the Reason
9 for Visit, and then Objective.

10 And was he complaining of any neck complaints
11 that day?

12 A. No.

13 Q. And under the Review of Systems -- if you could go to that
14 part of it, Greg. Same page, 2104, bottom.

15 And in the Review of Systems, is there any
16 complaints of ongoing neck pain, spinal complaints of any kind?

17 A. No.

18 Q. Okay. Let's go to the physical exam, page 2105. It's the
19 HEENT. There you go.

20 And on the exam under HEENT, that's -- what's an
21 HEENT?

22 A. It's everything -- head, ears, eyes, nose, and throat.

23 Q. Okay. And was there any documented finding concerning
24 the neck of any kind of?
25

1 A. No.

2 Q. All right. So looking at those records and the one
3 statement taken just the -- do you -- did Mr. Yahyavi have any
4 significant ongoing complaint of neck pain, multi discogenic pain,
5 and facet pain, before this motor vehicle collision?

6 A. No.

7 Q. Would you have expected a different presentation had he
8 had ongoing related issues?

9 A. Absolutely. I would have expected constant neck pain. I
10 would have expected neck pain that was documented on most visits.
11 I would have expected some sort of radiating symptoms. I would
12 have expected findings on examination that included loss of motion,
13 muscle spasm. I would have expected a primary care physician to
14 recognize that this was a serious problem and get him referred to a
15 specialist for evaluation for MRI scanning for injections, for
16 consideration for other treatment.

17 Q. Okay.

18 A. Oh, and I would have expected pain medication.

19 Q. Okay. Was there any recommendation for any workup or
20 physical therapy of any kind?

21 A. No.

22 Q. Was there any recommendation to see a pain
23 management specialist of any kind of?

24 A. No.

1 Q. Spinal specialist of any kind of?

2 A. No.

3 Q. Is that significant to you that that did not happen -- at the
4 absence of that?

5 A. Sure. And I'm not trying to diminish the fact that the 2011
6 note says, Neck pain for several years. But considering -- looking at
7 it medically, the big picture with all of these notes -- it really -- what
8 was documented as neck pain for several years was really an
9 insignificant issue medically.

10 Q. Was it an outlier just because -- do you see in your work,
11 as forensically when you're reviewing records, occasionally, there'll
12 be statements that are made and are documented, just because
13 doctors chart differently at different times. There might have been a
14 misunderstanding or problem that just really doesn't fit with the
15 overall picture?
16

17 A. Certainly that can happen. I mean, all of this is based on
18 communication -- what the patient says, how the questions are
19 asked, how the doctor or nurse interprets the answer, and then how
20 they put it down on their chart.

21 Q. Okay.

22 A. So there are lots of moving parts.

23 Q. In your opinion, was there ongoing, chronic, cervical
24 spine, multilevel discogenic pain complaints before June 2013?

25 A. Absolutely not. It's impossible.

1 Q. Is there any indication for the need for medical treatment,
2 pain management intervention, or surgery before June 19th, 2013?

3 A. No.

4 Q. Okay.

5 MR. PRINCE: Now, Your Honor, could I have, like --
6 would it be okay if we take another five minutes, because I want to --

7 THE COURT: All right. Sure.

8 MR. PRINCE: Okay. Thanks.

9 BY MR. PRINCE:

10 Q. Let's go to 2119. That's the x-ray, the radiology report.
11 2119 of Exhibit 156, the findings.

12 All right. And No. 1, what are degenerative
13 findings?

14 A. That's the age-related change to the spine that I
15 mentioned earlier. As we age, there are factors -- there are -- there
16 are things that happen to our spine that include -- you can get some
17 spurring of the bones at those vertebral bodies. You can get where
18 the discs lose some of their cushion or their hydration, so the disc
19 space will become narrowed. You can get a little bit of loss of joint
20 space and spurring at those facet joints. Or you can get a
21 combination of all of those things.

22 Q. Okay. The mere fact that Mr. Yahyavi has degeneration, is
23 that consistent with his age?

24 A. Yes.

1 Q. The mere fact that someone has degeneration, does that
2 mean they have symptoms?

3 A. No. As I had mentioned earlier, you can't -- you can't
4 look -- the only -- the only way you can look at a spinal x-ray and say
5 that a person has symptoms is if you find a new fracture because a
6 new fracture is always going to be painful. If you see a dislocated
7 spine where, you know, it's -- the alignment's off, you know that
8 person's going to have pain.

9 Short of those two things, you have to do the
10 correlation between all of the other factors to determine if those
11 problems are causing symptoms to the patient.

12 Q. Right. And this just kind of gets to my point with clinical
13 correlation.

14 Did the radiologist tell the ordering physician,
15 Yeah, correlate these issues clinically? Right there, it says clinically --
16 correlate clinically?
17

18 A. Appropriately so, they did.

19 Q. And we showed you that -- my pie chart called clinical
20 correlation; right?

21 A. Yes.

22 Q. Is that why you don't just look at the x-ray alone? You
23 have to look at all the other components of the care and the
24 treatment and the patient's response to treatment?

25 A. Yes. But let me clarify. The person reading this x-ray is a

1 radiologist. Radiologists sit in a room before -- years ago when I was
2 training, they were in a dark room with x-ray films on a view box, but
3 now they look at the computer. And they've never met the patient.
4 They've never examined the patient. They've never laid hands on
5 the patient. They're just looking at an x-ray. So they can't, by
6 definition, make a diagnosis clinically.

7 So this radiologist is being absolutely accurate by
8 saying, here's what I see, but you've got to correlate it clinically.

9 Q. Okay. Using all of the records we saw from Southwest
10 Medical, is there any clinical correlation that these levels of
11 degeneration were symptomatic before June 19th, 2013?

12 A. No, absolutely not.

13 Q. Do you have an opinion whether those levels that are --
14 that we see here -- became symptomatic as a result of the traumatic
15 collision of June 19th, 2013?

16 A. Because of the collision and the structural change to his
17 spine that occurred as a result of the crash, yes, that is what
18 occurred.

19 Q. Are you even able to medically rule out that -- and even
20 with that one statement that he had neck pain for years before, that
21 that was the -- there's any medical explanation before the June 19,
22 2013, that would explain the onset of these symptoms and the
23 presence of these symptoms?

24 A. Could you ask that again, please?

1 Q. Yeah. [Indiscernible.] I totally agree.

2 A. I'm a little bit confused.

3 Q. Yeah. And I could -- I'm looking at my clock, and so I
4 don't want to talk too fast because this is an important point.

5 Based upon your review of the Southwest Medical
6 records, as well as all the medical records for more than six years
7 after June 2013, have you been able to rule out that there was any
8 medical condition before June 2013 that would be -- explain these
9 symptoms, other than the motor vehicle crash?

10 A. Yes. Absolutely. It's ruled out. I acknowledge that he's
11 got age-related change. I acknowledge that there's one
12 documentation of him having pain for several years. But considering
13 all of the other factors, I have ruled out a contributory part to this
14 picture.

15 Q. Okay.

16 A. The injuries, symptoms, need for treatment, surgery --
17 were related to the fracture.

18 Q. Let me ask you one other question before we're ready to
19 go. Someone who is in their 50s, 60s, whatever, who has age-related
20 changes, are they more susceptible or vulnerable to those levels
21 becoming symptomatic from trauma?

22 A. Yes. The spine that is the most resilient to injury is a
23 normal spine, person in their 20s, normal discs, normal bones,
24 normal facet joints, normal soft tissues. They can handle injury
25

1 better than somebody who has age-related degenerative changes.

2 Q. So does that make -- did that make these changes -- the
3 degenerative changes, make Mr. Yahyavi more susceptible or prone
4 to discogenic injury --

5 A. Yeah.

6 Q. -- than say someone with a normal healthy spine?

7 A. Yes.

8 Q. Did it aggravate that and cause those levels to become
9 symptomatic following the collision, requiring medical treatment and
10 surgical intervention?

11 A. Yes.

12 Q. Are those your opinions to a reasonable degree of
13 medical probability?

14 A. Yes.

15 Q. You -- before we leave --

16 THE COURT: All right. I gave you --


17 MR. PRINCE: I hear you.

18 THE COURT: I've got to be going.

19 [Proceeding concluded at 4:06 p.m.]

20 * * * * *

1 ATTEST: I do hereby certify that I have truly and correctly
2 transcribed the audio/video proceedings in the above-entitled case to
3 the best of my ability.

4 

5 Katherine McNally
6 Independent Transcriber CERT**D-323
7 AZ-Accurate Transcription Service, LLC
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 RTRAN
2
3
4

5 DISTRICT COURT
6 CLARK COUNTY, NEVADA

7 BAHRAM YAHYAVI,
8 Plaintiff,
9

10 vs.

11 CAPRIATI CONSTRUCTION CORP
INC.

12 Defendant.
13

) CASE#: A-15-718689-C
)
) DEPT. XXVIII
)
)
)
)
)
)

14 BEFORE THE HONORABLE RONALD J. ISRAEL
DISTRICT COURT JUDGE
15 MONDAY, SEPTEMBER 16, 2019

16 **RECORDER'S TRANSCRIPT OF JURY TRIAL - DAY 6**
17

18 APPEARANCES:

19 For the Plaintiff:

DENNIS M. PRINCE, ESQ.
KEVIN T. STRONG, ESQ.

20 For the Defendant:

MARK JAMES BROWN, ESQ.
DAVID S. KAHN, ESQ.

21
22
23
24 RECORDED BY: JUDY CHAPPELL, COURT RECORDER
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

Testimony18

WITNESSES FOR THE PLAINTIFF

STUART KAPLAN

Direct Examination by Mr. Prince 18

Cross-Examination by Mr. Kahn 105

Redirect Examination by Mr. Prince 135

JOSHUA ARBUCKLE

Direct Examination by Mr. Prince..... 152

Cross-Examination by Mr. Kahn 172

Redirect Examination by Mr. Prince 183

INDEX OF EXHIBITS

FOR THE PLAINTIFF

MARKED

RECEIVED

None

FOR THE DEFENDANT

MARKED

RECEIVED

None

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Las Vegas, Nevada, Monday, September 16, 2019

[Case called at 1:09 p.m.]

[Outside the presence of the jury.]

THE COURT: -- a juror -- but you need to know that one of the designated -- currently designated alternates, Mr. Harris, is gone. He got sick. He went to the hospital, and that's it. So we have two alternates and two weeks. And now this guy -- who is it who is not here yet? He's hopefully just late.

MR. PRINCE: Your Honor, what's an estimated start time for tomorrow, just so I can plan for my witness?

THE COURT: 10 a.m.

MR. PRINCE: Okay.

THE CLERK: I have it set for 11, but I can move it to 10.

THE COURT: Harris was Number 10. We supposedly have a small calendar.

MR. KAHN: Harris was the one who got hit by the forklift in the car.

THE COURT: He has a throat infection.

MR. KAHN: Sounds like a good reason for --

THE COURT: And I don't know who is late. I think he said Whipple [phonetic].

MR. KAHN: Dewindt [phonetic] is an older Caucasian gentleman, who was on the main jury, not an alternate. He's the one that worked for the electric company in Illinois.

1 THE COURT: Okay. I'm just saying he's late.

2 MR. KAHN: Whenever we go on the record, we do have a
3 couple of housekeeping items.

4 THE COURT: We're on the record.

5 MR. KAHN: Oh, okay.

6 THE COURT: What do you need?

7 MR. KAHN: So I've spoken with Mr. Prince. We are planning
8 to bring Dr. Tung, who is a neurosurgeon, in on Friday for whatever
9 portion of our case that will be. And then Mr. Bennett [phonetic], who is
10 a vocational expert, both will be flying in. Mr. Prince thinks that the days
11 they'll clear, but I just want to make it clear to everybody the
12 neurosurgeon, if for any reason he doesn't go on Friday, he's at a
13 convention in Chicago the next week, and he's on the Board at the
14 organization, so we may lose him for an entire week, but if -- I don't
15 expect him to take that long on Friday, so that it would take more than a
16 day.

17 Also, as far as Friday afternoon at the end of Dr. Oliver's
18 [phonetic] testimony, we found out that his testimony is going to be split
19 because of scheduling issues. I'm not making a big issue out of a doctor
20 not being available, but we didn't -- just want it clear for the record, we
21 didn't agree to that.

22 We filed a trial brief on the opening statement. We've
23 received a copy, but I would still like some kind of instruction about the
24 undisclosed photograph of the surgical scar. I don't think that was
25 disclosed, so as a result, I don't think it should be used here.

1 And then I wanted to notify the Court that our forklift driver,
2 Mr. Arbuckle [phonetic], is available in the hallway, and as soon Dr.
3 Kaplan is done, he's here and available to testify, and he no longer works
4 for our client, and doesn't make a lot of money. He's been on standby
5 for days. So hopefully, we get through him today too. Thanks.

6 THE COURT: Okay. Did he show up?

7 THE MARSHAL: Yes. And, you know what, Judge, I've got
8 to put their notebooks on the seats. I forgot.

9 THE COURT: But everybody is here now, right?

10 THE MARSHAL: Everybody is here.

11 THE COURT: Okay.

12 MR. PRINCE: You ready for me to respond to any of that,
13 Judge, or is it necessary?

14 THE COURT: Sure. Yeah.

15 MR. PRINCE: Well, first, I think my case will be done
16 Thursday, so I have no objection to Dr. Tung on Friday, so that's fine.

17 Second, with respect to Mr. Arbuckle, on right when we're
18 done with Dr. Kaplan today, so that's -- with regard to the usage of the
19 demonstrative photographs reflecting the scar on the Plaintiff's neck, we
20 weren't able to find that it was produced; however, it was used for
21 demonstrative purposes only. Clearly, the operative note from Dr.
22 Kaplan talks about a posterior incision into the spine of Mr. Yahyavi,
23 along with the surgical procedure and instrumentation. All that was, was
24 demonstrative only.

25 Under Rule 16.1, you're not required to disclose

1 demonstrative evidence. I showed him in a depiction a demonstrative
2 depiction of a posterior surgical approach, which including the rescission
3 and the retraction of the tissues on the posterior aspect of the cervical
4 spine, so that's not a prejudice. It's really insignificant and Mr. Yahyavi
5 is going to show the jury, and tell the jury I have a substantial scar
6 running the -- essentially my entire neck up into my upper thoracic
7 region, so from those reasons, it did not have any significant impact on
8 the Defense, in any way. It's not a surprise. It's clearly under surgery.
9 And clear you'd have obviously a scar associated with that. So we have
10 filed a responsive trial brief.

11 We've also lodged with the Court the complete copy of the
12 opening PowerPoint. Mr. Kahn did not make any type of
13 contemporaneous objection to any aspect of the opening statement. The
14 only -- he then stated at the end of the day was that the picture he had
15 never seen before. He wasn't sure it was produced. We went back and
16 looked. It was, in fact, never produced. So we're not offering it into
17 evidence. It was used demonstratively only. And so, I mean, you have
18 the surgeon here today going to talk about what he did, where he did the
19 surgery, so it's consistent with a good-faith belief that what I believe the
20 evidence is going to show.

21 So for those reasons, there doesn't need to be any further
22 action by the Court.

23 THE COURT: All right.

24 MR. KAHN: We briefed it. I don't think a photo of someone's
25 surgical scar is demonstrative. That's a different thing. This is this

1 gentleman's neck. You can't just say everything is demonstrative, so it
2 comes in. So our request is that it was never disclosed and not be used
3 again during the course of the trial; that plain and simple.

4 Also, we filed a second motion today.

5 THE COURT: I --

6 MR. PRINCE: I haven't seen any --

7 THE COURT: -- you know, all of this -- I have haven't seen
8 any of this, so --

9 MR. KAHN: We did file a second motion today. It can be
10 addressed tomorrow, but the Dr. Oliveri -- well, it's important for Dr.
11 Kaplan too, because Dr. Oliveri testified that, you know, our expert's
12 opinion wasn't possible and that was never in his reports, but the reason
13 I'm raising it today, aside from Dr. Oliveri, is I understood the Court's
14 order from motions in limine that every time an expert got up to the
15 stand, you wanted all of that expert's reports, and that didn't happen
16 with Dr. Oliveri, so I didn't have them handy. And if Dr. Kaplan is going
17 today, then all of his reports should be produced to the Court, because
18 that's what the Court told us in motions in limine before the expert goes,
19 I think the Court said you wanted all of the reports.

20 THE COURT: Well, I believe I said it for the ones -- I don't
21 need a report if there's no objection --

22 MR. PRINCE: Right.

23 THE COURT: -- as to what he is testifying to. If you're
24 objecting that he's not testing consistent with his report, then I
25 absolutely need it, but assuming, as in the one I just -- well, no -- your

1 expert was two-inches thick of, more or less, of six reports, and
2 somehow there's an objection regarding is it in his report, then I do need
3 the reports. So far you hadn't made any objections for that witness that
4 was on, on Friday. So I guess my -- if you think there's a problem, then
5 yes, I need the reports. If there is no dispute, as to his testimony -- I
6 mean, you have probably six doctors coming in --

7 MR. KAHN: Right.

8 THE COURT: -- and there's no general -- I mean, you've
9 taken depositions of these guys, haven't you?

10 MR. KAHN: No. Not all of them. No.

11 MR. PRINCE: No.

12 MR. KAHN: That's the other problem. So the issue for us is,
13 Dr. Oliveri went. We didn't have copies of the reports.

14 MR. PRINCE: Yes, you did.

15 MR. KAHN: He was --

16 MR. PRINCE: Your Honor, he does have --

17 THE COURT: Hang on.

18 MR. PRINCE: -- copies of all the reports.

19 MR. KAHN: I copies of all of the reports, but --

20 MR. PRINCE: Right.

21 MR. KAHN: -- they weren't provided to the Court. And in his
22 first half day of testifying he said he rendered an opinion that was
23 outside of his written reports, and by the time it was said to the jury,
24 then it's said to the jury, so --

25 MR. PRINCE: Well, with respect --

1 THE COURT: All right. You can't come in a day later and tell
2 me you had an objection back then. You need to know what the
3 reports --

4 MR. PRINCE: Right.

5 THE COURT: -- say and make a contemporaneous objection
6 and if it's regarding the reports, which I assume that was, then provide
7 me with the reports. If there's no dispute, as to what the doctor -- and
8 you say now there's six or more of them -- as to what their reports say,
9 then no, I don't need to sit here and have all, you know, six of their
10 reports, but yes, if there's a contemporaneous -- I'm going to ask you,
11 then show me the report.

12 MR. KAHN: Okay.

13 THE COURT: So --

14 MR. KAHN: You want the objecting party to have the reports
15 available is what you're saying?

16 THE COURT: Well, I mean, look, we've got everything here,
17 although, the reports aren't admitted.

18 MR. KAHN: We may have --

19 THE COURT: -- but I assume they're in there.

20 MR. KAHN: -- marked them.

21 Did we mark them?

22 THE COURT: I mean, they're not admitted exhibits, but aren't
23 they here somewhere?

24 MR. KAHN: I don't think so.

25 THE COURT: Well, then they certainly should be in the

1 courtroom.

2 MR. PRINCE: I have them, but we'll make sure we have -- if it
3 comes up, I have them for the Court.

4 With regard to this issue -- kind of forecasting a little bit for
5 Dr. Kaplan, Dr. Kaplan occupies a dual role: one as a treating physician,
6 so all the rules under *FCH1* and *Pizarro-Ortega* apply to him. In addition
7 to that, when he was supplied with additional medical records, as well as
8 expert reports, he authored reports, based upon the review of those
9 related materials -- expert reports, meaning, the Defense neurosurgical
10 expert Dr. Tung, who would be his counterpart, but Dr. Kaplan can
11 obviously testify to opinions he formed during the course of his care,
12 whether written down, recorded, or not. So I just want the Court to be
13 cognizant of that.

14 If there's no objection, I guess we'll deal with
15 contemporaneous objections as they occur, but Dr. Kaplan --

16 THE COURT: I am well aware of *FCH1*.

17 MR. PRINCE: Okay. Well, I'm ready with Dr. Kaplan any time
18 the Court is ready.

19 MR. KAHN: And I think we did mark the expert reports. They
20 just aren't admitted. So we may have them. Our trial tech reminded me.
21 Thank you, Your Honor.

22 THE COURT: Yours -- the one you gave me regarding Tung I
23 still have. Yes.

24 MR. KAHN: Dr. Tung, right.

25 THE COURT: Although -- yeah, they're over here, so I'm not

1 looking at them, but even if you made an objection, I'd have to -- you
2 know, we're going to have to talk about it, because there are six different
3 reports.

4 MR. KAHN: Right.

5 THE COURT: And I don't have them memorized. Nor, do I
6 expect you to.

7 MR. KAHN: Understood.

8 THE COURT: You know, it's what it is.

9 All right. Is the guy here?

10 THE MARSHAL: Yes.

11 THE COURT: Okay. So who is up? Kaplan or Oliveri?

12 MR. PRINCE: He's here, Your Honor.

13 THE COURT: Okay.

14 THE MARSHAL: Did you want me to bring them in, Judge?

15 THE COURT: No, not yet.

16 THE CLERK: One second.

17 THE COURT: She's doing something. I don't know. She
18 needed to do something.

19 They generally -- am I wrong, generally, if you refer to an
20 exhibit, they're not going to need to look at those binders? You're going
21 to have it up on --

22 MR. PRINCE: Correct. I'm going to have the hard binder
23 ready for Dr. Kaplan for his record, just he has a hard copy in front of
24 him.

25 THE COURT: If they need it, but mostly, you're going to

1 show him --

2 MR. PRINCE: I guess I am. I have everything electronically.

3 THE COURT: All right. And is Defense pretty much the same
4 way when you --

5 MR. KAHN: Yes, Your Honor.

6 THE COURT: Okay. So although they're there, and they look
7 really pretty, it's not likely they'll be used?

8 MR. PRINCE: I like to have them ready just to have them
9 ready.

10 THE COURT: Fine. So be it.

11 Okay. Are you done?

12 THE CLERK: Almost done.

13 Mr. Prince?

14 MR. PRINCE: Yes.

15 THE CLERK: You gave the Clerk two exhibits slides. Were
16 they for Plaintiff exhibits to be marked next? I have a little note saying
17 that.

18 MR. PRINCE: No, I was --

19 THE CLERK: It says, "Plaintiff to provide copies of two
20 slides." Is that the PowerPoint that she was referring to?

21 MR. PRINCE: Yes. It's part of the PowerPoint, right.

22 THE CLERK: Okay. It's not an exhibit?

23 MR. PRINCE: If you want, we can just mark it as a court
24 exhibit and then --

25 THE CLERK: Oh, yeah, it is.

1 MR. PRINCE: -- what was referred to in the opening is in the
2 PowerPoint slide.

3 THE CLERK: Right.

4 MR. PRINCE: So what they wanted marked is part of the
5 PowerPoint slides.

6 THE CLERK: Okay.

7 MR. PRINCE: It's contained in the PowerPoint.

8 THE CLERK: Do we need to mark them separately too, or --

9 MR. PRINCE: Dave -- Mr. Kahn?

10 MR. KAHN: Sir.

11 MR. PRINCE: Yesterday, or during the opening statement
12 you indicated you wanted certain slides from the PowerPoint identified,
13 which mainly the demonstrative exhibits about the traffic, you know, the
14 travel lane, the construction zone. Those are now part of the
15 PowerPoint, which is now a court exhibit. That satisfies your request,
16 right?

17 MR. KAHN: Yeah, that's fine. As long as we can refer to it --

18 THE CLERK: Okay.

19 MR. KAHN: -- or use it if I have to.

20 THE COURT: As long as there --

21 MR. PRINCE: If you have to pay for it.

22 THE CLERK: Court's exhibit?

23 MR. PRINCE: I mean, you're with that gigantic law firm, I
24 mean --

25 MR. KAHN: I can just use an Elmo.

1 THE CLERK: But it's a court exhibit. I don't have it as a
2 regular exhibit.

3 MR. KAHN: What's the exhibit number now?

4 THE CLERK: It's going to be Court's Exhibit 4.

5 [Court's Exhibit 4 marked for identification]

6 MR. KAHN: Court Exhibit 4. Okay. I'll mark that.

7 THE CLERK: But I can't -- you know, I don't know how you
8 want to do that --

9 THE COURT: What?

10 THE CLERK: -- if he needs to use it.

11 MR. KAHN: So -- okay --

12 THE COURT: Well, the PowerPoint's a court exhibit, so I
13 don't understand what you're asking. All right. We don't need to deal
14 with that now. I don't think.

15 THE CLERK: Okay.

16 THE COURT: Bring them in.

17 MR. PRINCE: I'm ready.

18 THE CLERK: And I'll have a new jury list here in just a little
19 bit.

20 THE COURT: So tomorrow I'm hopeful we'll start at 10. Do
21 we need to go late?

22 MR. PRINCE: Dr. Schifini confirmed he could start at 10
23 tomorrow. I have three witnesses tomorrow, Dr. Schifini, he should take
24 that probably the bulk of the morning, and a little bit in the afternoon
25 probably with cross, and our redirect. I have Darian Yahyavi, and then

1 we're going to do a depo read of a fact witness.

2 THE MARSHAL: Please rise for the jury.

3 THE COURT: All right.

4 [Jury in at 1:24 p.m.]

5 [Inside the presence of the jury.]

6 THE COURT: Please be seated.

7 Good afternoon, ladies and gentlemen.

8 JURORS: Good afternoon.

9 THE COURT: As far as I know, and I'll probably know a little
10 bit better, I have a light calendar, so we will be starting at 10 a.m.
11 tomorrow -- 10 a.m.

12 As you can see -- it's Mr. Harris, right -- was sick, so he is off
13 of the panel. So hopefully, 10, and I'll review that stuff. If it's 10:30, I'll
14 tell you.

15 Otherwise, the parties acknowledge the presence of the jury?

16 MR. PRINCE: Yes, Your Honor, we do.

17 MR. KAHN: Yes, Your Honor.

18 THE COURT: Okay. Plaintiffs, call your --

19 MR. PRINCE: Ladies and gentlemen, good afternoon. Hope
20 you had a nice weekend.

21 Your Honor, because of a scheduling issue, we're going to
22 call Dr. Stuart Kaplan. Dr. Oliveri, who we were hearing from on Friday,
23 will be back Wednesday morning for us, and he'll finish with Dr. Oliveri's
24 testimony, but we're going to call Dr. Stuart Kaplan, who is our next
25 witness, Your Honor.

1 THE COURT: All right.

2 THE MARSHAL: Watch your step, Doctor.

3 DR. KAPLAN: Thanks.

4 THE MARSHAL: If you'll remain standing, face the clerk of
5 the court.

6 THE CLERK: Please raise your right hand.

7 STUART KAPLAN, PLAINTIFF'S WITNESS, SWORN

8 THE CLERK: Please be seated. Please state your name and
9 spell it for the record.

10 THE WITNESS: Yeah. It's Stuart Kaplan, S-T-U-A-R-T K-A-P-
11 L-A-N.

12 THE CLERK: Thank you.

13 THE COURT: Go ahead.

14 DIRECT EXAMINATION

15 BY MR. PRINCE:

16 Q Dr. Kaplan, good afternoon.

17 A Hi, there. How are you?

18 Q Good. I'll let you get situated.

19 A Please. Thank you.

20 MR. PRINCE: Your Honor, let's approach, while Dr. Kaplan is
21 getting situated. We have one issue --

22 THE COURT: Uh-huh.

23 MR. PRINCE: -- just to bring to the Court's attention.

24 [Sidebar begins at 1:27 p.m.]

25 MR. PRINCE: Just so the Court's aware, Dr. Kaplan brought

1 surgical instrumentation, meaning the screws and rods, just to
2 demonstrate, and he also brought a spinal cord stimulator to kind of
3 demonstrate to the jury just what it is and explain its function.

4 MR. KAHN: As long as there's no needles and no shocks
5 going off I'm fine.

6 THE COURT: I said that before.

7 MR. KAHN: That's all fair game.

8 THE COURT: Yes.

9 [Sidebar ends at 1:27 p.m.]

10 DIRECT EXAMINATION

11 BY MR. PRINCE:

12 Q Dr. Kaplan, good afternoon.

13 A Hi, there.

14 Q In front of you, I have an exhibit binder. Your medical
15 records are part of Exhibit 105 and 106. I just wanted you to -- in case
16 you wanted to have the hard copy. I'm going to put everything on the
17 monitor to share with the jury, but in case you need anything to refer to,
18 please refer to that, okay?

19 A Thank you. Okay.

20 Q Very good. Dr. Kaplan, what is your area of medical
21 specialty?

22 A I'm a neurosurgeon.

23 Q Okay. And describe for us the medical subspecialty of
24 neurosurgery. Tell us what it is.

25 A Okay. So neurosurgery is involved -- we're surgeons. We're

1 involved in the treatment of patients who have a variety of problems,
2 whether it be of the brain, the spine, or the peripheral nerves. So I'll give
3 you an example. Today -- I'm on call today for a few hospitals. I
4 operated this morning. I operated on somebody with a neck problem,
5 with terrible neck and arm symptoms, and I did a cervical operation. I
6 had also to do another operation on somebody who had a herniated disc
7 in their back, and I did that operation this morning, as well, with terrible
8 leg pain. I'm on call today. I saw somebody with a blood clot on the
9 surface of the brain. Fortunately, they don't need surgery, and that's a
10 very good thing. And I also saw somebody else who herniated a very
11 large disc in their back, as well.

12 So we're involved in the treatment of patients who have surgical
13 and non-surgical issues involving the brain, the spine, and the peripheral
14 nerve. So with regard to the brain, blood clots, brain tumors,
15 aneurysms, things like that. The spine issues, like we're here to talk
16 about today, people with spinal problems, spinal cord problems, nerve
17 problems, things like that. And peripheral nerve being things like carpal
18 tunnel ulnar nerve, some smaller type issues there that we also deal
19 with. Other docs do that, as well. In other words, plastic surgeons, hand
20 surgeons, et cetera, but again, that's peripheral nervous system. So we
21 take care of patients like this with these types of maladies.

22 Q Okay. And I want to talk about your education first. First,
23 where did you go to college?

24 A I went to Dartmouth College.

25 Q Dartmouth College. And where did you go to medical

1 school?

2 A I went to Harvard Medical School.

3 Q Okay. And how many years were you at Harvard Medical
4 School?

5 A I was there from 1989 to 1994. Normally, medical school is
6 four years. I spent five. I spent a year between my third and fourth year
7 doing stroke research, and it was a very good year.

8 Q Okay. And after you completed your five years at Harvard
9 Medical School, did you go on to a residency program?

10 A I did. I was at Washington University in St. Louis from 1994
11 to 2002.

12 Q And can you describe for the jury what a neurosurgical
13 residency consists of?

14 A It's a little easier these days. It was brutal back in those
15 timeframes. You know, these days, they have these residency work
16 hours, they generally work 80 hours a week. That wasn't the rules when
17 we were there. But essentially, what we do is we take -- we were
18 basically taking an apprenticeship. Essentially what you're doing is
19 you're learning from your colleagues, your professors, et cetera, how to
20 evaluate patients appropriately, how to determine who's surgical, who's
21 not surgical, and how to do these operations safely. So we're
22 accumulating a large body of knowledge, and we're learning how to be
23 what we are today.

24 Q Okay. And during your neurosurgical residency, are those --
25 how many years are they, six?

1 A Eight.

2 Q Eight years. So during that eight year residency, you're
3 focused on the brain?

4 A Yes, sir.

5 Q You're focused on the spine?

6 A Yes, sir.

7 Q And the peripheral nerves?

8 A Yes, sir.

9 Q So those three areas?

10 A Yes, sir.

11 Q So a significant part of your training, maybe unlike an
12 orthopedic surgeon, a primary focus of neurosurgery is almost always
13 the spine and related issues?

14 A Yes, sir. In other words, we deal with spinal maladies like
15 this from the beginning of our -- the first year is what they call a general
16 surgery internship. We're exposed to things like trauma surgery and
17 cardiac surgery, and all these other issues, so we learn general fund of
18 knowledge, but we start working, and we start taking care of patients
19 with spinal problems like this since, you know, our first year of
20 neurosurgery residency. In other words, for six or seven years.

21 Q Right. After you completed your six -- excuse me, eight year
22 residency at Washington University -- that's in St. Louis?

23 A Yes, sir.

24 Q Missouri, right?

25 A Yes, sir.

1 Q Would you consider that a world renowned medical school?

2 A I would. I mean, not to sound arrogant --

3 Q I know you're bias, but --

4 A -- and cocky. I mean, you know, with all due respect, it is one
5 of the finer institutions in the world.

6 Q Right. It's like a UCLA, John Hopkins. It's at that level.

7 A It is at that level.

8 Q All right. And after you completed your residency training,
9 did you go on to a fellowship?

10 A I did. I spent the eighth year there at Washington University
11 for an extra year of training.

12 Q Okay. In what area?

13 A Actually, I did a pediatric neurosurgery fellowship.

14 Q Okay. So in addition to taking care of adults, you have an
15 additional year of taking care of pediatrics?

16 A Right, because I wanted to take care of both, kids, as well as
17 adults, and that's what I wanted my practice to be.

18 Q Okay. And have you done that so far?

19 A I have.

20 Q Very good. And how long have you been practicing in the
21 State of Nevada, Dr. Kaplan?

22 A Fifteen years.

23 Q Okay. And describe the types of patients that you see in your
24 clinical practice and in your surgical practice here in Clark County,
25 Nevada.

1 A So basically, just like we described earlier, I take care of
2 patients who have both, brain, spine, and peripheral nerve problems, so
3 brain tumors, hemorrhages, spinal issues like we're here to talk about
4 today, like the patients that I've talked about that I operated on today and
5 I've seen today. Those are the kinds of issues that we deal with.
6 Obviously, only a small subset of patients that we see actually need our
7 services. In other words, need surgery. The majority, 90 plus percent of
8 the people we see, fortunately enough, don't require surgical
9 intervention.

10 Q Okay. And as part of your neurosurgical practice, Dr. Kaplan,
11 do you treat patients who have been injured or have suffered some kind
12 of traumatic injury in some way?

13 A All the time. I mean, part of neurosurgery -- I just mentioned
14 earlier, I saw somebody with a subdural hematoma. That was traumatic.
15 That was from a fall. That's part and parcel of neurosurgery. We see
16 trauma all the time. I used to take call at UMC Hospital, University
17 Medical Center over here.

18 Q At the trauma facility?

19 A At the trauma center; yes, sir.

20 Q And that's where Mr. Yahyavi was seen following this
21 collision, right?

22 A Yes, sir.

23 Q Okay. And so you see trauma patients at UMC. Well,
24 number one, we didn't quite get there with Dr. Oliveri, tell us what the
25 UMC Trauma Center is.

1 A Well, the UMC Trauma Center is the only level one trauma
2 center we have here in Clark County.

3 Q And what does it mean to be a level one trauma center?

4 A Well, it's part of the -- there's an academic component.
5 Essentially, that's the difference between a level one and a level two, but
6 essentially, they have dedicated people on staff, ready to go, when
7 people have issues -- you know, significant, traumatic issues. They go to
8 the trauma center, it's all ready to go, there are people there, there's
9 nurses there. They take critically ill patients, CAT scans are done, you
10 know, very expeditiously, the operating room is right over there, so
11 they're prepared to take care of critically ill traumatic patients.

12 Q Right. A level one trauma center is different than simply just
13 an emergency room, right?

14 A Absolutely.

15 Q Right. And in this case, in addition to taking care of Mr.
16 Yahyavi and doing surgery on him, you've also had an opportunity to
17 review all of the medical records associated with his care and treatment
18 following his June 2013 crash?

19 A Yes, sir. And like you said, he went right to UMC Trauma.

20 Q Trauma. Right.

21 A Yes, sir.

22 Q Right.

23 A Which was appropriate.

24 Q Now, you also treat patients in your practice who have
25 suffered industrial or work related injuries to their spine?

1 A All the time.

2 Q All right. How many -- just again, to get an understanding of
3 your surgical experience. So here, we're going to be talking about a
4 multi-level cervical spine fusion that you did in January 2018. How
5 many cervical spine surgeries would you estimate you've done over the
6 course of your career, Dr. Kaplan?

7 A I probably do three or four cervical spine surgeries a week.

8 Q Okay.

9 A So you're talking four times however many weeks. Let's call
10 it 50. We'll call it 200 a year, times -- we'll call it 15 years here.

11 Q So in the thousands?

12 A Thousands.

13 Q And how many lumbar spine surgeries would you estimate
14 you've performed?

15 A Similar.

16 Q A similar number?

17 A Yes, sir.

18 Q So you've done thousands of neck cervical surgeries, as well
19 as thousands of lumbar and low back surgeries over the course of your
20 career?

21 A Yes, sir.

22 Q Very well. Now, I know that your Mr. Yahyavi's treating
23 neurosurgeon who performed the surgery, but in addition to taking care
24 of him and treating him, as part of your role in this case, did we supply
25 you with all of the medical records, imaging studies, following his June

1 19th, 2013 motor vehicle crash?

2 A Yes, sir.

3 Q All right. So you, in addition to having your information you
4 collected during the course of your care, you also have the benefit of
5 reviewing all of these medical records, as well?

6 A Yes, sir.

7 Q All right. And you also -- have you reviewed the Defendant's
8 medical expert, Dr. Tung from California, his expert reports?

9 A Yes, sir.

10 Q All right. And based upon that information, have you
11 formulated opinions concerning what was the cause and the need for
12 Mr. Yahyavi's multi-level spine surgery which we're going to be talking
13 about with you here today?

14 A Yes, sir.

15 Q And what is your opinion?

16 A My opinion is that this man sustained a traumatic cervical
17 injury as it relates to the accident we're here to talk about from 2013.

18 Q And based upon your review of the medical records,
19 including expert reports, have you been able to rule out that there's any
20 other more likely or more probable cause, other than this forklift crash
21 we're here talking about?

22 A There's nothing else.

23 Q Is that your opinion to a reasonable degree of medical
24 probability?

25 A Yes, sir.

1 Q Do you have an opinion whether Mr. Yahyavi -- we're going
2 to talk about this -- whether he exhausted, meaning did everything he
3 could, of conservative care, before he finally elected to have surgery with
4 you in January of 2018?

5 A Oh, there's no question. I mean, this man in looking at the
6 records during my -- when I saw the man -- I saw the man initially in
7 2017, late 2017. I think, specifically, August. And when he came in -- you
8 know, when he came and saw me, obviously, he told me the history, he
9 told me what happened, et cetera. He told me he'd seen another
10 orthopedic surgeon before, Dr. Perry. He told me he'd seen Dr. Schifini.
11 I know he's seen a variety of other pain docs, as well. I think this man
12 has undergone more injections in order to avoid surgery than anyone
13 else in my career, to be honest.

14 Q Okay. So do you think he then exhausted every available
15 conservative option before turning to surgery with you?

16 A Oh, there's no question.

17 Q Do you think all of the care and treatment that he received,
18 including the chiropractic care, the physical therapy, the spinal injections
19 performed by several different pain management specialists, as well as
20 neurosurgery, do you have an opinion whether all of that was caused
21 and needed as a result of the injury he suffered in this June 19th, 2013
22 collision?

23 A Yeah. The answer is yes.

24 Q Is that your opinion to a reasonable degree of medical
25 probability?

1 A Yes, sir.

2 Q Now, I want to -- before we get going here, I want to -- as
3 part of your analysis in this case, Dr. Kaplan, did you utilize clinical
4 correlation as part of your, not only care and treatment of Mr. Yahyavi,
5 but also your reaching your expert conclusions in this case?

6 A You have to.

7 Q All right. And let's bring up the correlation slide. It's
8 Demonstrative 101. I'm going to put it on the monitor for you. Very
9 good. And I've heard it said, Dr. Kaplan, that, you know, listen to your
10 patients carefully, because they're telling you their diagnosis. The
11 founder of John Hopkins said that.

12 A No question. That's exactly right.

13 Q So patient history. Is that an important aspect of the clinical
14 correlation analysis?

15 A It's the foundation.

16 Q Okay.

17 A You make it 50 percent, but actually, in my mind, it's even
18 greater than that, actually.

19 Q Okay.

20 A I mean, history is the foundation for everything.

21 Q Tell us why.

22 A Because the patient -- as you said before, the patient is telling
23 us what we need to do. We just need to listen carefully, and spend
24 enough years learning what to do, but essentially, they are telling us
25 what their problems are. We can look at films -- and I'm sure -- I see you

1 have diagnostic imaging. Diagnostic imaging and isolation is
2 meaningless in merely -- in almost all cases. I mean, obviously, you
3 have a broken arm and you see a, you know, big break, it's obvious
4 what's going on there, but in most of the patients we take care of on a
5 daily basis, that's only one piece of the puzzle, but the history is the
6 foundation for which we do everything.

7 Q For example, when you're treating somebody who has been
8 -- you've seen either in the trauma center or in your clinic, do you start
9 with the history every time?

10 A Every time.

11 Q In fact, every field of medicine starts with a history, right?

12 A Absolutely.

13 Q And when you're talking about history, what types of
14 questions are you asking? Like when did the symptoms start? Do you
15 ask that?

16 A Obviously, the most important is why are you here. I mean,
17 that's question number one. Why are you here, what bugs you, when
18 did it start, what makes it better, what makes it worse. Those are the
19 basic foundations.

20 Q Yeah. Do you try to -- based upon your own specific
21 credentials, meaning as a -- obviously -- are you board certified?

22 A Of course.

23 Q You're board certified, fellowship training in neurosurgery.
24 You obviously know some specific questions to ask some of your
25 patients to understand from them kind of the nature and extent, and the

1 quality of their symptoms, as well; isn't that fair to say?

2 A That is true.

3 Q Why do you ask detailed questions of your patients about the
4 nature and extent, and the qualities of their symptoms?

5 A Because obviously, we're trying to do what's right.
6 Obviously, you're trying to diagnose what the problem is, and you're
7 trying to do what's right by the patient. Obviously -- I'll give you an
8 example, and again, it's not relevant to this case here, but again, we
9 have a lot of patients with neck complaints. Is it neck versus shoulder, is
10 it back versus hip, versus SI joint. In other words there's masqueraders.
11 And our job is to properly diagnose the problem and take care of the
12 issue.

13 Q Okay.

14 A And obviously, we need to understand how long it's been
15 going on, what have they done, what treatment have they done, and
16 again, as we talked about earlier, have they exhausted all forms of
17 conservative therapy, because any surgery we do carries risks. Every
18 surgery we do carries risks.

19 Q Okay.

20 A I don't care if it's a carpal tunnel surgery. There's risk of
21 bleeding, infection, heart attack, stroke. I mean, all these things,
22 significant complications.

23 Q Right.

24 A So it's a risk benefit profile that we deal with on a day-to-day
25 basis.

1 Q And examination findings. In particular, let's say we're
2 focusing to the spine. Are examination findings an important part of
3 your not only diagnosis and treatment, but also your clinical correlation
4 analysis?

5 A Absolutely. In a case like this, you're looking for, does
6 somebody have a neurologic deficit. In other words, we're trained to
7 identify based on certain nerve route patterns, do they have a neurologic
8 deficit? In this case, fortunately enough, prior to surgery, he didn't.
9 Unfortunately, after surgery, he did. I'm sure we'll get into that, but
10 essentially, he had spasms, and he had decreased range of motion on
11 exam prior to surgery.

12 Q Right. And also, did you look at his response -- like Mr.
13 Yahyavi, specifically, his response to the overall treatment, both if you
14 learned during the course of your treatment of him, as well as the
15 records that we've provided to you as part of this case?

16 A Yes, sir. I mean, I know he's undergone a very extensive
17 course of chiro/physical therapy, and he's undergone a very extensive
18 course of injection therapy. Obviously, both for what we call diagnostic,
19 as well as therapeutic purposes.

20 Q But I've heard it said for some patients after they undergo
21 these conservative care, chiropractic care, physical therapy, medications,
22 as well as injections, that they -- before they go to surgery, they've failed
23 conservative care. I've heard that --

24 A Yeah.

25 Q -- term. Even used by you, I think.

1 A That's a term we use all the time.

2 Q Did Mr. Yahyavi fail conservative care in this case?

3 A Oh, no question.

4 Q Now, we also want to talk about diagnostic imaging. We're
5 talking about x-rays, MRIs, CT scans. Does an x-ray tell the whole story?

6 A No, it doesn't, because an x-ray, in isolation, is just a picture
7 in time. It doesn't tell you if you hurt or not. It doesn't tell you what your
8 complaints are. We all know -- I mean, this man is, I think, five years
9 older than myself. I'm 52. He's 57 now, so he probably was around his
10 young 50s when this accident occurred. We all have degeneration over
11 the course of time, but just because you have degeneration on x-rays is
12 clinically insignificant.

13 Q Right. You need to put all the other pieces of the puzzle
14 together?

15 A This is the puzzle to create an algorithm or a treatment
16 paragon. If you have one piece of the puzzle without the others, there's
17 no -- there's no pizza.

18 Q So you need to look at the whole thing to understand the full
19 story?

20 A Absolutely.

21 Q All right. All right. What I'd like to do now, Dr. Kaplan, is to
22 turn to your first visit, which is August 11th, 2017. In front of you, I have
23 open for you Exhibit 105, and it's the Bate number 1012, for the record.
24 It's going to be your first visit with Mr. Yahyavi.

25 MR. PRINCE: So, Greg, what I'd like you to do is start at the

1 top, where it says history and physical report, and go through the whole
2 history of present illness.

3 THE WITNESS: So essentially --

4 MR. PRINCE: Hold on a second.

5 THE WITNESS: I apologize.

6 MR. PRINCE: Let me catch up here with my tech. Okay.

7 BY MR. PRINCE:

8 Q So first off, the first thing you document in your chart note is
9 history of present illness. Is that what you just talked about a minute
10 ago, why the history it's important, why are you there?

11 A Yes. Yes, sir.

12 Q So you asked him, Mr. Yahyavi, why are you here today?

13 A Yes, sir.

14 Q And what did he tell you that day?

15 A So it's interesting. The conversation here is only about the
16 neck, nothing about the back.

17 Q Right.

18 A So I think his back got better. I read in my reports over here,
19 he had some back complaints initially. By the time in which I see him,
20 the back is gone.

21 Q You know since -- that's a good point. We're talking about, in
22 this case, a structural injury to the spine; is that fair to say?

23 A Yes.

24 Q To the cervical spine, to the neck?

25 A Yes.

1 Q So there's something going on with the discs in his neck,
2 right?

3 A Yes.

4 Q That are causing him pain and symptoms, yeah?

5 A Yes.

6 Q And we also are going to talk about the facet pain, right?

7 A Yes.

8 Q With regard to the lower back, did he have any structural
9 injury to his lower back as a result of this collision?

10 A No.

11 Q Did he have a soft tissue strain as a result of this collision?

12 A Yeah, and it makes sense, and I'll tell you why.

13 Q Tell us why.

14 A The man had an accident, and he described to me when I
15 saw him that day, and you know, I'm not very good with names, and I'm
16 not very good with faces, but I remember him very well, and I remember
17 him sitting with me and showing me pictures because it was very
18 dramatic to me.

19 Q The pictures were?

20 A The pictures were; yeah. He showed me the pictures of his
21 forklift, he showed me the pictures of his car and glass, and all this kind
22 of stuff, and whatever. It was very dramatic to me. So the way I look at
23 these things, especially in car accidents like this, obviously, you're
24 wearing a seatbelt. Okay, so obviously, the seatbelt is protecting your
25 lumbar spine much better than it does your neck, but your neck,

1 obviously, you have much more freedom of motion, and I suspect this
2 car was going -- whatever speed it was -- I think I heard -- one report, I
3 read 15, one I read 30 miles an hour. So at some --

4 Q Does it matter?

5 A It doesn't really matter, to be truthful. But the bottom -- I see
6 he was moving, and now all the sudden, he's not moving. So to me,
7 when you're going -- when you're moving and not moving, your neck is
8 going to be thrown forward and back.

9 Q Okay.

10 A So I mean, it makes sense to me.

11 Q And in terms of understanding this case, we're going to be
12 talking about degeneration in some detail here in a moment. Is the
13 timing of the onset of the symptoms an important part of your analysis?

14 A In terms of causation?

15 Q Yes.

16 A To the causation analysis? Absolutely.

17 Q In fact, that's the cornerstone of it, right?

18 A That's the basis for it.

19 Q Yeah. Okay. So let's start here. Mr. Yahyavi comes to see
20 you on August 11th, 2017. Number one, who refers him to you?

21 A The chiropractor, Dr. Bahoora.

22 Q Okay. And it says that the patient is a 55-year-old male who
23 presents with complaint of neck pain, numbness, tingling, dizziness, and
24 weakness. What's significant about those complaints to you?

25 A Well, we, as neurosurgeons, are always very attuned when

1 patients describe arm symptoms. That's very important to us, because
2 we can localize things based upon our neuroanatomy, our known
3 neuroanatomy. So what he described to me is he described neck pain.
4 Now, neck pain could be multi-factorial. Neck pain could be from the
5 discs of the neck, it could be from the joints of the neck. So the discs are
6 in the front between the two bones, the joints are in the back, in the back
7 portion of your neck, right near what they call the spinous processes. If
8 you push hard, you'll feel your spinous processes.

9 So neck pain you can't -- sometimes you can't isolate it just based
10 on history and films, but arm pain, that's really good for us because we
11 have basic neuroanatomy. So this man described pain going down the
12 arm into his third, fourth, and fifth fingers, and he also had pain in his
13 shoulder region, too. So I felt he had probably a multi-factorial cause of
14 his pain in the arm. One being a C5 nerve root, potentially even a C6,
15 and also what we call the C8. The C8 is the nerve between what we call
16 the C7 and the T1 level. The C8 nerve root causes pain all the way down
17 to the pinky, ring, and a portion of the middle finger.

18 Q Yeah, we're going to talk about that in detail in a moment,
19 but in terms of -- it's not just he came to you with neck pain. He also
20 came to you with significant arm complaints?

21 A Yes, sir.

22 Q Where they significant to you as a neurosurgeon?

23 A Absolutely.

24 Q And in terms of your overall review of this case, and
25 including all the medical care, were those arm complaints consistently

1 present?

2 A Yeah, I mean, if you look at the --

3 Q From the beginning?

4 A Yeah. If you look at the records, the first note after being
5 seen at UMC -- appreciate at UMC, things are a little hairy, you know.
6 You know, at UMC, we just got to make sure -- they got to make sure
7 you're alive and breathing, not, you know -- not -- UMC doesn't go into
8 all the details of every specific thing. You know, they do a head CT, a CT
9 of the neck. There's no fractures, no blood, go home, see your doc, but
10 the first person they see was the chiropractor over at the neck and back
11 clinic, and the first visit a couple days later, neck and left arm. So he was
12 having neck and left arm symptoms right from the beginning.

13 Q Yeah, I'd like to look -- just to kind of like -- just honing in on
14 that because we're talking about the onset of the left arm symptom.
15 We're going to go to Exhibit 87, page number 191. Kind of
16 like --

17 A Yeah.

18 Q This is just part of the neck and back chiropractic records,
19 which you reviewed?

20 A Yes, sir.

21 MR. PRINCE: And if you could bring up the bottom third of
22 the course of treatment for the injury. And bring that down and bring up
23 the date, just so the jury is clear on the date.

24 THE WITNESS: It was four or five days later.

25 BY MR. PRINCE:

1 Q So he's seen five days later after being seen at UMC Trauma.
2 He's talking about his neck pain, and then it says -- you see where it says,
3 he reports --

4 A Yes.

5 Q -- the quality of the pain as achy and constant?

6 MR. PRINCE: You see that, Greg? Can you highlight that in
7 the middle?

8 BY MR. PRINCE:

9 Q He reports radiation type of pain in the left arm below the
10 elbow; do you see that?

11 A I do.

12 Q Okay. Is that clinically significant to you as a neurosurgeon
13 that these symptoms, not only in the neck, but also in the arm, were
14 present from the beginning?

15 A Yeah.

16 Q And during -- based upon the records that you reviewed, was
17 the pain in the neck constant from June 19th, 2013, all the way to the
18 present?

19 A It's been consistent the whole time.

20 Q Has he ever been pain free in his neck or his arm from June
21 19th, 2013?

22 A No.

23 Q All right. Let's go back to your note of August 11th, 2017,
24 1012.

25 MR. PRINCE: And bring up the history.

1 BY MR. PRINCE:

2 Q Okay. And then did he describe to you the event that caused
3 the onset of these symptoms?

4 A Yeah. I mean, it says right here, he describes how a forklift
5 came in the road with the forklift up. He said the forklift actually came
6 right through the front of his windshield.

7 Q Okay.

8 A So he actually filled out -- when patients come and see us,
9 they fill out, also, another form where they describe the nature of the
10 accidents that occur, and he described that, and I vividly remember him
11 showing me pictures that day.

12 Q Right. Then he goes on to say, he states he cannot turn his
13 neck well. He has neck pain all the time. He notes it shoots down the left
14 arm, and he gets numbness involving the pinky and ring finger.

15 A Yes, sir.

16 Q Was that specific distribution important for you to
17 understand in this case?

18 A Absolutely.

19 Q All right. What I'd like to do is kind of talk about nerve
20 distribution, okay?

21 A Okay.

22 Q And I'm going to show you a diagram.

23 A I mean, just to-- I mean for me as a neurosurgeon, that's
24 classic C8. That's classically the disc level between the Chapter 7-T1. To
25 me, it's pretty classic.

1 MR. PRINCE: One second. Court's indulgence. There's one
2 specifically I was looking for. I wanted to number the neck, if I could.
3 Oh, here we go. Yep. All right. Let's go to Demonstrative 83. We're
4 going to start there.

5 BY MR. PRINCE:

6 Q Okay. What I'd like you to do, Dr. Kaplan, maybe you can
7 come down to show the jury while I have --

8 MR. PRINCE: -- Your Honor, can Dr. Kaplan step down?

9 THE COURT: That's fine.

10 BY MR. PRINCE:

11 Q What I'd like you to do, Dr. Kaplan is -- Dr. Oliveri did a little
12 bit on Friday, but I want you to talk about the different segments of the
13 cervical spine, how many there are. We're talking about a C8 nerve
14 distribution. If you could kind of give us another quick tutorial, and I'll
15 hold the microphone for you.

16 A Okay. So this is a model --

17 THE MARSHAL: Push it and hold it until the light turns
18 green.

19 MR. PRINCE: Got it.

20 THE WITNESS: This is a model of the spine. So basically
21 what we're looking at is the base of the skull, the skull here. It goes
22 cervical, thoracic, lumbar, sacral, okay. Cervical neck, thoracic chest,
23 essentially, lumbar back, sacral in the pelvic area.

24 So the most common areas that we deal with as surgeons,
25 cervical and lumbar. What happens is we have 8 cervical levels, 12

1 thoracis, generally 5 lumbar in general, and then 5 sacral, and then the
2 coccyx as well as your tailbone there.

3 So in between each bone apart from the C1-C2 level is a disc.
4 So the disc serves as a shock absorber, it allows for motion. It basically
5 allows less motion between the levels there.

6 So when we talk about discectomies, we're talking about
7 discs, okay? So it goes bone, disc, bone, disc, bone. The most common
8 levels that we deal with, 5-6, 6-7. Much less common C7-T1. But
9 essentially as we talked about earlier, the C7-T1 level, you'll have a disc,
10 and if you herniate a disc or there's some problem there, it causes
11 damage to what they call the C8 nerve root.

12 BY MR. PRINCE:

13 Q Right. Maybe we can look at this model. There's a reason
14 why I put this part of it on the screen is because it demonstrates kind of a
15 C6-7, there's the disc. And then coming off of it is the C8 nerve root.

16 A Yes.

17 Q And we're going to be talking about the C8 nerve root in this
18 case, right?

19 A Right.

20 Q Okay. Now, what in -- you're saying he had a distribution in
21 connection with a C8 nerve root, right?

22 A Yes, sir.

23 Q And can you tell the jury what a dermatome pattern is?

24 A Basically, that's the representation on the skin of a particular
25 nerve. So, for instance, the C5-6 level generally causes pain that goes to

1 the thumb and index side. People have numbness in that distribution.

2 THE CLERK: He needs a microphone.

3 THE WITNESS: Oh, sorry.

4 MR. PRINCE: One second. I'm going to get another
5 demonstrative up for us. Sorry, that's my fault. We're going to go to
6 demonstrative 3, Greg. I think this will help you. I hope anyway.

7 BY MR. PRINCE:

8 Q What do we see here, Dr. Kaplan?

9 A Classic. So what you're describing here, these are the
10 dermatomal distributions of pain numbness, et cetera. So a classic C6
11 nerve root causes pain, numbness and tingling to the thumb side. C7,
12 the second and third fingers. C8, the pinky and the ring finger which is
13 the level that I believe he was symptomatic.

14 So that's the distribution of the pain. And you also get
15 numbness in that area as well. Sometimes you can get reflex changes,
16 but that goes beyond the scope. And you can also have weakness in a
17 distribution as well.

18 Q Right. Now with regard to those nerve roots, it's the same
19 on each side, right? Both right and left.

20 A Absolutely. You have the right side. You have the left side.
21 We have one for each side.

22 Q I kind of learned -- I remember, it was a neurosurgeon, I
23 worked with him when I was really young. Think of the spine and the
24 nerve is kind of like an index. You follow the dermatome and it's like an
25 index if you have a probable like the C7-T1, it'll be in CA distribution. It

1 kind of shows you anatomically the distribution of the stuff, either pain
2 numbness or the tingling.

3 A They're telling you -- they're giving you the map. In other
4 words, they don't know the map, but they're giving it to us.

5 Q Did Mr. Yahyavi, since we have this up here, did he also
6 complain of pain radiating from the neck, consistent with the C5
7 problem?

8 A Right. He had pain from the neck to the shoulder region, too.
9 So I felt he had a multifactorial process was.

10 Q What does that mean?

11 A That means multiple levels.

12 Q So multiple levels of pain and symptoms?

13 A Right.

14 Q Okay. And is this dermatome chart important to
15 understanding kind of your role as a neurosurgeon and how your
16 treatment recommendation went for Mr. Yahyavi specifically?

17 A Absolutely. Every patient. I mean, this is cornerstone of how
18 we treat people. We think about this on a day to day basis because
19 again, you don't want to hop around someone's neck if they have a
20 shoulder problem.

21 Q Right. This way you can differentiate --

22 A Absolutely.

23 Q -- where the problem is coming from?

24 A Absolutely.

25 Q Does it also help you identify where the source of the pain or

1 numbness or tingling is coming from?

2 A Absolutely.

3 Q What we call the pain generator?

4 A Yes, sir.

5 Q Did you do that in this case?

6 A I did.

7 Q Very good. Thank you. All right. And in your history, going
8 back to 1012, did Mr. Yahyavi tell you he'd seen a Dr. Archie Perry, an
9 orthopedic spine surgeon?

10 A He did.

11 Q Do you know Dr. Perry?

12 A I do.

13 Q And were you aware from Mr. Yahyavi as will a review of the
14 records of Dr. Perry had recommended a surgery?

15 A I did.

16 Q Was that a multi-level surgery?

17 A He did.

18 Q And obviously Mr. Yahyavi did not undergo that before
19 seeing you?

20 A He did not.

21 Q Are you critical of him for not having surgery sooner?

22 A No. I mean, here's what I tell -- we tell all of our patients
23 every day. You should live with it as long as you can. I mean, that's
24 critical because, again, as we talked about before, surgery carries risks.
25 Number one, and I'm sure we'll get into that later on there --

1 Q Yup.

2 A -- but I tell people to live with it as long as they can.

3 Q Okay.

4 A And again, we have other patients. I have certain patients
5 who I recommend surgery for. They can have weakness in a nerve or
6 distribution or whatever. Number one, they're scared to death. I mean,
7 we see this all the time. I mean, unfortunately, you know, a lot of people
8 know people who've had surgery and things haven't "gone as well as
9 people hope." Doctors will say, am I going to be paralyzed -- patients
10 would say, am I going to be paralyzed from this?

11 You know, there's so many factors out there. There's personal
12 issues. Time off of work. There's so many factors that we deal with on a
13 daily basis.

14 Q Do you educate patients, like I recommend you hold off as
15 long as possible?

16 A I say it every day. I say it every day.

17 Q It's a common recommendation, right?

18 A Well, I mean it's the right thing to do with all due respect. I
19 mean, the bottom line is you should live with it as long as you can. And
20 when you've exhausted everything, and you're at wits end, that's when
21 you do surgery.

22 Obviously, if somebody has got a neurologic deficit in there, you
23 know, they got a foot drop or they have weakness, I always recommend
24 surgery earlier because in that situation the earlier you deal with it, the
25 better off you are, the better chance for recovery.

1 That wasn't the case in this man's situation, in other words, he had
2 strength -- he had good strength, you know, initially, prior to surgery.
3 Unfortunately, not post-op and I'm sure we'll talk about that.

4 Q We are.

5 A But the answer is, I always encourage people to live with it as
6 long as they can. It's the right thing to do.

7 Q Okay. And as part of your evaluation in this case, did you
8 also look at the X-rays?

9 A Of course.

10 Q As well as MRI's?

11 A Even a CT. I ordered a CT also.

12 Q Right. And did you look at those, not only the ones done in
13 2013, but all the way up through the time of your surgery?

14 A Yes, sir.

15 Q Okay. But let's just focus our time in 2013. Did Mr. Yahyavi
16 have degeneration?

17 A Oh, of course.

18 Q Right. Is degeneration common for someone in their early
19 50s?

20 A All the time.

21 Q Would you consider that an age related change?

22 A It is. It is.

23 Q The mere fact that someone has degeneration, does that
24 mean they're symptomatic?

25 A It's clinically meaningless.

1 Q Right. Just because someone has degeneration, that mean
2 they'll ever be symptomatic in their cervical spine.

3 A No. Think about everybody, you know, we all know people
4 older than us. And just because as we all get older, we all have
5 degenerative changes in the spine. Just because you have degenerative
6 changes in the spine, does not mean you have any symptoms.

7 Q Just because you have degeneration by itself, Dr. Kaplan,
8 does that mean that someone will ultimately require medical treatment
9 or even going to have some kind of a spinal surgery?

10 A No.

11 Q You still have to put youth of clinical correlation like we
12 talked about earlier?

13 A Exactly right.

14 Q Now, I do want to ask you this question. The fact that
15 someone does have degeneration, whether it be in the 50s, 60s or
16 otherwise, does that make them more susceptible to becoming
17 symptomatic or developing symptoms after a traumatic event?

18 A Oh, no question. And here's the example I give, and I'll tell
19 you, the way I view this is imagine grandma and grandson walking down
20 the street, there's water on the ground and grandma on grandson both
21 slip. Grandson gets right up, no problem, bounces right back because
22 again, they have more -- their discs are better, their muscles are better,
23 everything's better.

24 When grandma falls, she breaks her hip. Why'd she break her hip?
25 Because she had osteoporosis. So in other words, we come the way we

1 come. So the same forces in somebody that's got I dare call it,
2 degeneration, versus somebody that has a normal spine, you need a lot
3 less forces for I dare call it a degenerated spine and I don't want to call it
4 abnormal spine, that's not a fair term. But I think you know what I'm
5 saying.

6 Q Right. I mean, can even minor trauma cause someone who
7 has disc degeneration become symptomatic requiring medical care
8 intervention, including surgical intervention?

9 A Absolutely right.

10 Q Right. And in this case, when you formed your opinion
11 regarding causation, did you consider that yes, he had degeneration that
12 would have predated this motor vehicle collision?

13 A Of course I did.

14 Q Right.

15 A You have to.

16 Q And in fact, in every case that you're involved in, if
17 someone's in their 30s, 40s or beyond, degeneration is always a factor,
18 right?

19 A It's always there.

20 Q Right. And so you have to use that as one piece of the
21 puzzle?

22 A I mean, that's why we don't see too many kids in our practice
23 with spinal type issues because their discs have a nice amount of water
24 content, they have a lot more plasticity, et cetera.

25 MR. PRINCE: Greg, I'd like you to put demonstrative 102. It's

1 a little bit --

2 BY MR. PRINCE:

3 Q Degeneration, we talked about that that's a normal aging
4 process, right?

5 A Yes, sir.

6 Q And then we add trauma to that. Then that person can
7 become symptomatic?

8 A Yes, sir.

9 Q And in your opinion, did that happen in this case involving
10 the collision with the forklift for Mr. Yahyavi?

11 A That's what happened.

12 Q Is that your opinion to a reasonable degree of medical
13 probability?

14 A Yes, sir.

15 Q Is he chronically symptomatic as result of that?

16 A He's still symptomatic from it.

17 Q Will he be symptomatic for the rest of his life as a result of
18 this?

19 A Oh, no question.

20 Q And I'm not talking about degeneration. I'm talking about
21 what the trauma did to the underlying pre-existing degeneration.

22 A Yeah.

23 Q Did that make that become permanently symptomatic?

24 A Oh, sure. Because by the time in which I saw him was four
25 years later, he's still having significant problems. And at that time for

1 him he says to me, look, I've done therapy, I've done all these injections.
2 I'm done. I'm done. And I ultimately did surgery. I'm sure. Yeah. I
3 don't want to get ahead of you.

4 Q Yup. And let's go to your initial evaluation. We're just going
5 to talk about your examination of the neck and then your assessment
6 and then your plan. 1013.

7 A Yeah.

8 Q We're going to talk about your cervical spine assessment.

9 MR. PRINCE: Greg, that's two-thirds down the page. And
10 then also include the assessment. Maybe take out the bottom part for
11 now. Just where it says, assessment and plan. Just the cervical spine
12 inspection and then the assessment. Just the three lines of the
13 assessment. Okay.

14 BY MR. PRINCE:

15 Q So as part of your initial evaluation, you have examined the
16 next?

17 A Of course.

18 Q And one of the things you found was a spasm. What is a
19 spasm?

20 A A spasm is something that can palpate. Essentially, the
21 muscles are tight and they're, we'll call it quivering, whatever it may be.

22 So essentially this guy's got decreased range of motion of his neck,
23 significantly decreased range of motion of his neck with spasms or
24 tightness and focal areas of tightness in his neck.

25 Q Right. And for example, just because someone has disc

1 degeneration, would that just by itself cause someone to have spasm or
2 reduction of range of motion?

3 A No.

4 Q Right. If someone did have multi-level disc degeneration that
5 was causing pain and symptoms, would you expect someone to have a
6 normal range of motion and no spasm?

7 A No.

8 Q That'd be inconsistent with that?

9 A Correct.

10 Q And so what was your assessment based upon your history,
11 your physical examination and review of the imaging studies in this
12 case?

13 A I felt this man had intractable, meaning, he's not tolerated
14 any forms like we talked about before, of conservative therapy. Whether
15 it be physical therapy, chiro, injections. So he was at wit's end with
16 regard to his pain. He had terrible neck pain. He had arm pain that I felt
17 was probably multiple levels. The most prominent was the C8 level,
18 however.

19 But what I recommended prior to doing surgery, I knew the
20 surgical first visit. I mean, I talked about with him, but I wanted to get a
21 little more information prior to surgery. I wanted to get a CAT Scan
22 because a CAT Scan is a good picture of the bones itself. MRI scans are
23 good for the spinal cord and the nerves. CAT Scan is a little better for
24 looking at bones.

25 So I want to get a CAT Scan of the back -- I'm sorry, neck. I

1 apologize. I ordered, I believe some X-rays also of his neck because
2 again, I wanted to see his alignment as well.

3 So all of these things complement each other. I also ordered an
4 updated EMG nerve conduction study of the arms.

5 Q Okay. We're going to talk about that. It says your
6 assessment was cervical radiculitis. What is cervical radiculitis?

7 A Cervical being neck. Radiculitis because he didn't have,
8 frank, weakness in the arm. I called it radiculitis rather than
9 radiculopathy.

10 Q Is that nerve root irritation?

11 A Yes, sir.

12 Q So when we see radiculitis, we know that to be nerve root
13 irritation?

14 A Absolutely.

15 Q Caused by what?

16 A The nerve's irritated because the disc is abnormal, the disc
17 has been damaged.

18 Q Okay. So now the disc is painful and now causing nerve root
19 irritation?

20 A Yes, sir.

21 Q Okay. Now, Dr. Perry, did he also find cervical radiculitis or
22 nerve root irritation?

23 A Absolutely.

24 Q Okay.

25 MR. PRINCE: If we can turn to -- I'm just going to show this

1 to the jury as part of our discussion. Exhibit 91, page 289. Bate Number
2 289. One of Dr. Perry's notes. That's from October 2013. The visit and
3 then the diagnosis. Number 5. See the 5 under diagnosis?

4 BY MR. PRINCE:

5 Q It says one of the diagnosis is a left greater than right upper
6 extremity, radicular symptoms, is that what you found?

7 A Yes.

8 Q And that was from October of 2013?

9 A Yes.

10 Q Okay. Also, Dr. Perry recommended surgery.

11 MR. PRINCE: Let's look at Exhibit Number 91, page number
12 294, Greg. The second last paragraph.

13 BY MR. PRINCE:

14 Q It says right there, it says, "Dr. Perry and I do believe the
15 patient would benefit from a surgical intervention directed at the levels
16 of C3-4 and C6-7 as previously discussed, given these have been
17 identified possibly as pain generators." Is that similar to your own
18 conclusion?

19 A C3-5, reasonable. C6-7, reasonable. C7-T1, very important.

20 Q Right. That you need to include because that's the
21 symptoms down the arm into the pinky and this ring finger?

22 A That's what I believe. Yes, sir.

23 Q So you needed to go all the way down?

24 A Yes, sir.

25 Q Okay. But more I'm just talking about the similarity of his

1 analysis in 2013 and '14 to yours in 2017 and '18.

2 A It's the same.

3 Q Dr. Kaplan, I'd like you to -- I'm going to put on the screen
4 here your next office visit, which is now October 12th, 2017. You got
5 some additional information I wanted to discuss with the jury.

6 MR. PRINCE: That's Bate Number 1016 of Exhibit Number
7 105. Just under the history section, please.

8 BY MR. PRINCE:

9 Q It says that the patient is a 55 year old male who presents for
10 a follow visit. I saw him in August for a neck and left arm pain. The last
11 time he underwent X-rays of the neck, a CT as well as a nerve test. I said
12 he had pheromonal narrowing at C5-6 and C6-7. He saw Dr. Dixit and
13 was found to have on the EMG bilateral C5-6 radiculopathy.

14 Number one, did you order the neurological testing by Dr. Dixit?

15 A Yeah, that's why I did. I ordered the X-rays -- I ordered X-
16 rays, the CAT Scan and the nerve study because I wanted all these
17 pieces of the puzzle, so I thought I have every piece of information I
18 possibly could have.

19 Q And tell the jury what an EMG nerve conduction study is and
20 how you use that information?

21 A I use that, truthfully. And you'll ask Dr. Allavera [phonetic],
22 because he's the one who does these kind of studies. He can go over the
23 intricacies and the details of them a lot better than me.

24 But essentially, when I use these studies to be truthful, I'm looking
25 for peripheral causes. That's why. I mean, I knew the guy had a problem

1 in his neck and it was obvious to me. But I want to make sure I'm not
2 getting fooled like we talked about before. You don't want to operate on
3 a carpal tunnel. You don't operate on someone's neck when they have
4 carpal tunnel. You want to operate on someone's neck when they have
5 ulnar nerve compression at the elbow.

6 So I use as a test of exclusion. He found positive findings. I'm not
7 surprised.

8 Q Right. Was a C5-6 consistent with finding on this electro
9 diagnostic study. consistent with Mr. Yahyavi's complaints of pain from
10 the neck radiating to the shoulder area?

11 A Neck to the shoulder. Yeah.

12 Q Neck to the shoulder. So we had clinical signs and
13 symptoms confirmed by EMG nerve conduction studies?

14 A Yeah.

15 Q Now let's look at your exam from that day and your
16 impression. That's 1017.

17 A I don't think I -- to be truthful, in the pre-op period, I don't
18 think I did anymore exams because, you know, essentially what's
19 happening is a person's -- I did my exam the first time and he's coming
20 back. Unless he's going to tell me something different.

21 Q Okay.

22 A He doesn't have neurologic deficit. We know he's got
23 spasms to the neck. We know he's got decreasing range of motion of his
24 neck. He didn't have a weakness of his grip. In other words, of that C8
25 distribution that we talked about.

1 But really the most important thing on this follow up visit here,
2 look at the films, look at the data, make a final determination as to what I
3 think is appropriate.

4 Q Okay. I want to talk about -- you made some comments
5 regarding some imaging. If we can look at 1017. It's going to relate to
6 degeneration.

7 MR. PRINCE: Just the review of diagnostic tests, right there,
8 Greg, first couple lines. They've highlighted those with yellow.
9 Comments on the CT.

10 BY MR. PRINCE:

11 Q If you can tell us, you know, what your findings were in your
12 review of the CT scan of the neck.

13 A Essentially, as we talked about earlier, there's no question
14 the man had pre-existing degeneration. We talked about that.

15 Q Was it symptomatic based upon the clinical evidence that
16 you reviewed in this case?

17 A No. But again, this is anatomic picture of what I'm seeing
18 here. So essentially, the C6-7 level had a very rudimentary disc, a very
19 small one. So I called it nearly almost fused.

20 Q We've heard the term in the records called auto fused.

21 A Yeah. And I noticed Dr. Perry talked about the exact same
22 thing in his records after I looked at mine.

23 Q Right. The symptoms in the neck that's found on EMG
24 testing, that's the nerve about, right? That's actually C4-5, right?

25 A And it's important, and I think this is very important, because

1 if one level is essentially -- the way I look at this, if that level is essentially
2 fused, it puts more strain on the level above or below. And that's the --
3 the level below, the level above would be the one that would be more
4 likely to be hurt. So it actually make clinical sense.

5 Q Okay.

6 A And it was ready graphically in his clinical symptoms. Made
7 good sense.

8 Q Right. And so just with that, the mere fact that he was auto
9 fused, does that mean that he was symptomatic and needed any
10 intervention ever before this collision?

11 A No.

12 Q Okay. Now he indicated that he states his pain is terrible. Do
13 you see that?

14 A Right.

15 Q Right. Then we can talk about the -- did you discuss surgical
16 options that day?

17 A Oh, yeah.

18 Q And I want to talk about the risk of surgery for a moment.

19 MR. PRINCE: Greg, if you could go to the surgical risk.
20 Highlight that for me. Those two sentences below.

21 BY MR. PRINCE:

22 Q And, I mean, there's a sundry of risks if you talk about
23 infection, bleeding, a CSF leak, that's spinal fluid leak, right?

24 A Yes.

25 Q And the next one is called neurologic injury. I want to spend

1 my time there for a minute. What type of risk were you talking about
2 when you're discussing a multi-level fusion with a patient? What kind of
3 neurologic injury are you're referring to?

4 A You're talking about damage to the nerves or damage the
5 spinal cord itself.

6 Q Okay. And why is that a risk of surgery?

7 A You're right there. You're working right over there. And you
8 know what? You're taking off bone on top of the spinal cord. You
9 know, we're using high power equipment, drills, punches, all these kind
10 of things. Things happen. You know what, I mean, we all live in the real
11 world here. Things can happen without even looking for it.

12 So the answer is -- and complications can happen. People can
13 have hematomas post-op after surgery and become paralyzed, too, even
14 if the operation goes well. So, so many things can happen.

15 Q Right. As spine surgeries go, would you consider this a big
16 or large spinal surgery?

17 A This is a significant one. The reason being is because you
18 have to move the muscles off the back of the neck and imagine, we make
19 an incision to the back of the neck. We make it from what we call the C2
20 level and you can probably feel that spinous process all the way down in
21 this case, to the T1 levels. So it's a very long incision.

22 You have to move the muscles off the spine, and you have to
23 expose the muscles very far laterally off the spine, because you have to
24 expose what they call the spinous process, the lamina and the facet
25 joints. This hurts a lot. This hurts a lot.

1 And post-op, people are miserable because again, this is -- I mean,
2 imagine we bruise ourselves, what happens? It hurts, but that gets
3 better relatively quickly.

4 Imagine when you got to move all these big muscles off the spine.
5 This is a real big operation.

6 Q Okay.

7 A So this is a significant one and we take very, very, very, very
8 -- we got to be very cautious in our approach.

9 Q Now how many levels are you talking about taking down
10 with this?

11 A Well, I took off the bones of what they call C3, C4, C5, C6 and
12 C7. So 1, 2, 3, 4, 5. And I put screws into what we call C3.

13 Q Why don't we do this? What I'd like you to do is maybe
14 come down -- we could put up the hardware X-ray and I'm going to lay
15 the spine model out like if it would be a patient. And if you could kind of
16 tell the jury, you know, or indicate to the jury about what you did, the
17 things that, you know, the hardware you placed. Did you bring some
18 examples of the hardware you did?

19 A I did. I did.

20 Q Was he laying face down?

21 A Here's how we did this operation just from the beginning.

22 Q Let me get the --

23 A So essentially what we do, obviously, before you do any
24 operation, you have to go to sleep. So obviously, you have an
25 anesthesiologist there present. Endotracheal tube, appropriate lines,

1 whatever, the IV lines, central, peripheral, whatever it may be, arterial
2 lines. So someone has to be obviously I dare call it, all lined up.

3 You get IV fluids, IV antibiotics, medications, et cetera. You're
4 asleep. What we then do is we then put a skull clamp onto the head,
5 because what we do is we position them what they call prone. And what
6 prone means is lying on your stomach.

7 So you essentially, we put the clamp on the head, we then turn
8 them over so we're staring at the back of their neck, okay, like this.

9 So what we then do is we get into position appropriately and
10 secure it on the operating room table. We then bring intraoperative
11 fluoroscopy. Basically, X-rays, intraoperative X-rays into the field so we
12 can identify the levels that we're trying to operate on.

13 So what we do then is I knew in this case here I was going to be
14 operating between the C3 level to the T1 level. So we'll make our
15 incision from the spinous process of C2 through the spinous process of
16 T1.

17 So we make an incision, we move the muscles off the spine, we
18 expose the spine. So that's what this looks like here. So spinous
19 process, you can feel that on you. Lamina. These are the joints of the
20 back of the neck and I'm sure maybe you've talked about facet mediated
21 pain prior.

22 Q Did Mr. Yahyavi have also facet pain?

23 A He did.

24 Q In addition to disc pain?

25 A He did.

1 Q So multiple sources of pain?

2 A Yes, sir.

3 Q Okay.

4 A So what I then did was I exposed all the bone. I put screws
5 in there and actually I brought a couple of them for you all just to get an
6 idea what it looks like.

7 So essentially, I put screws into what they call the lateral mass of
8 C3, C4, C5, C6 and C7. I'm sorry, I skipped C7 because I had to get what
9 they call a T1 pedicle screw in just because anatomically it just doesn't
10 work out well.

11 So I put it screws in the spine, that's what these look like here. And
12 then basically you have a screw there and then we have a rod and then
13 we put a locking nut on top.

14 MR. KAHN: Your Honor, may we approach?

15 THE COURT: Yes.

16 [Sidebar begins at 2:19 p.m.]

17 MR. KAHN: I agreed he could use them as demonstratives. I
18 didn't agree he could start handing things to the jury because he
19 happened to be in front of them.

20 MR. PRINCE: What's the big deal? Why can't they see it and
21 touch it? What prevents them from doing that?

22 THE COURT: Well, you should at least --

23 MR. PRINCE: I didn't know he was doing it. But --

24 THE COURT: Yeah.

25 MR. PRINCE: -- I don't think there's anything that prevents

1 that.

2 MR. KAHN: There's nothing that prevents him from doing it
3 after they go in to deliberate. But right now, having an expert hand
4 them --

5 THE COURT: Well, they're not exhibits, so they're not going
6 back.

7 MR. KAHN: -- his demonstrative -- right.

8 THE COURT: But if he wants to show them, I agree, you
9 didn't --

10 MR. KAHN: I'm fine with him showing.

11 THE COURT: -- you didn't agree to that. But they are
12 demonstrative. He can show it to them. I don't think they need to pass
13 them around. I don't know if they're sharp or not, but I don't want --

14 MR. PRINCE: They're not.

15 THE COURT: -- anybody hurt.

16 MR. PRINCE: Okay. Thank you.

17 THE COURT: So show them. That's it.

18 [Sidebar ends at 2:20 p.m.]

19 MR. PRINCE: Okay. All right, Doctor. And so --

20 THE COURT: Just show them. Let's not --

21 MR. PRINCE: That's fine. Yeah.

22 THE COURT: -- pass things around.

23 MR. PRINCE: Okay. Yeah. No problem.

24 THE WITNESS: Okay. So basically, we -- I said we -- I take
25 off the bone. So what I did then was we put -- I put in the screws

1 appropriately in the positions I want to put them in. And we're using
2 intraoperative neuromonitoring, so we're using EMGs, and what they
3 call somatosensory evoked potentials. In other words, we're checking to
4 make sure we're not damaging anything to the best of our abilities. So I
5 put all the screws in appropriately, and then I decompressed all the
6 levels, and I decompressed the nerves to the best of my ability.

7 BY MR. PRINCE:

8 Q What do you mean by decompress?

9 A So basically, I took off all the bone here. So essentially, I did
10 what they call a laminectomy, which means take off the bone like this.

11 Q Why are you doing that?

12 A Because I want to decompress the spinal cord.

13 Q You want to give it more room?

14 A Yes, sir. And I -- also, what I want to do is I want to give the
15 nerves more room because remember before we talked about this man
16 had problems in the C8 nerve for distribution on the left side. So that
17 was at the minimum. I mean, that one was the most important one in
18 my view.

19 So what I then did is I then took off all this bone to make sure the
20 nerve was I dare call it floating in the breeze if you know what I'm saying.
21 There was -- we want to give it room.

22 Q Right. And sir, in your review of the medical records, was
23 there any evidence, even including the defense expert reports, that Mr.
24 Yahyavi had severe ongoing neck symptoms, including arm symptoms
25 in the C5-6, or even the C7-T1, or the C8 distribution ever before this

1 collision?

2 A It's not in the records, sir.

3 Q No. Okay. And so did he -- any indication he even needed
4 any medical treatment to his neck before this collision occurred?

5 A Not what I saw. No.

6 Q Okay. Is that your opinion to a reasonable degree of medical
7 probability?

8 A Yes, sir.

9 Q Okay. I'm going to have you move up now.

10 A Thank you.

11 Q So now, did he spend a few days in the hospital?

12 A He spent a couple days. Yeah.

13 Q Okay. And during the hospital course, did he have an
14 uncomplicated hospital course? Any problems?

15 A Well, essentially, he -- actually, he did to some degree. He
16 developed -- obviously, he had terrible pain. And that's no terrible
17 surprise, at least in the perioperative period. But right after surgery he
18 developed some weakness of his left arm.

19 Q Okay. Was that incidental to the surgery?

20 A It was related to the surgery.

21 Q In what way was it related to the surgery? So he
22 developed -- so it sounds like he had another issue that developed after
23 the surgery?

24 A Right.

25 Q Let's go to your February 14th, 2018 note. It's your first op --

1 post-op note; you see him in your office. And it's at 1020.

2 A Right.

3 [Witness reviews document]

4 BY MR. PRINCE:

5 Q You do the history of present illness. It says, this is a 56-
6 year-old male who presents for a post-operative appointment. He was
7 taken to surgery for PCDF. What does that mean?

8 A That's the operation I described. So that's the terminology
9 we use. So PCDF means, P is posterior --

10 Q That's the back, right? Posterior is the back?

11 A The back of the neck. Posterior, back of the neck. C, cervical
12 neck. D, decompression, taken off the bone, like we described. Taken off
13 the bone, decompressing the spinal cord, decompressing all the nerves.
14 Fusion, putting in the screws like we talked about, and bone graft
15 material to allow for the fusion to occur.

16 Q So it said he had significant neck pain, as well as bilateral
17 arm pain. He was having problems with his shoulder. The wound looks
18 fine. The muscles are tight. He is having troubles with his left arm. He
19 states that it is weaker now than it was. What problem developed
20 following the surgery with his left arm?

21 A This is -- this is why we talk about risks of surgery. This is
22 unfortunate. In our literature -- in our neurosurgery literature, this
23 happens anywhere from six to ten percent of the time. And what I
24 believe happened is -- and again, six to ten percent of the time this
25 happens. You decompress the spinal cord. You decompress the nerves.

1 What happens then when you remove the bone, the spinal cord moves
2 back. And when the spinal cord moves back, the nerves are still tethered
3 in there, because obviously they're attached to where they're going.

4 So the spinal cord doesn't have to move very much, but it moves
5 enough, and it really -- I dare call it ticks off the nerve. And when you
6 tick off a nerve, it doesn't like it. And this is exactly what happened to
7 this man. This man developed deltoid weakness, bicep weakness, and
8 tricep weakness after his surgery. So multi-level nerve -- I dare call it
9 injury, after this operation. So six to ten percent of the time.

10 Unfortunately, he was in that six to ten percent.

11 Q And in your records, I note that you describe it as a
12 neuropraxia?

13 A Right.

14 Q A C5 neuropraxia.

15 A It was -- I called it a C5. C5 is deltoid. It was more than that.
16 C5 is -- it was actually multi-nerve actually. But at the minimum, C5. So
17 neuropraxia, let's just mean -- let's just call it nerve damage.

18 Q Okay. So he actually developed -- incidental to the surgery,
19 which is just the risk of the surgery, he actually developed a new
20 problem?

21 A Yes, sir.

22 Q Can that happen in spinal surgery, even if you use the utmost
23 care?

24 A Six to ten percent of the time it does.

25 Q And six percent -- just from doing medical malpractice and

1 this type of work for so many years, I mean, six -- one to two percent, or
2 six to ten percent doesn't seem like a high percentage. But medically
3 speaking, is six to ten percent a high incident rate?

4 A Absolutely right. I mean, we worry about this. This is what I
5 worry about. And that's why again, you avoid surgery as long as you
6 can because things happen. Despite doing what you believe to be right,
7 and with no untoward complications per se related to the surgery itself,
8 things can happen. And that's what happened here. It's unfortunate.

9 Q And this nerve injury, this multiple nerve injuries, did it affect
10 his range of motion of his arm?

11 A Oh yeah.

12 Q Has it affected it now permanently?

13 A Yeah, because -- and again, I'm sure you'll talk with other
14 people here who have seen him contemporaneously with me. He's got --

15 MR. KAHN: Your Honor --

16 THE WITNESS: -- weakness, he's got --

17 MR. KAHN: -- I would object and ask to approach.

18 THE COURT: Approach. Well, this is a good time to take a
19 break. I was just asking my staff if -- so we're going to take ten minutes.

20 During this recess, you're admonished; do not talk or
21 converse amongst yourselves or with anyone else on any subject
22 connected with this trial, or read, watch, or listen to any report of, or
23 commentary on the trial, or any person connected with this trial by any
24 medium of information including without limitation newspapers,
25 television, radio, or internet. Do not form or express any opinion on any

1 subject connected with this trial until the case is finally submitted to you.
2 We'll take ten minutes.

3 THE MARSHAL: Please leave your notebooks and things.

4 [Jury out at 2:27 p.m.]

5 [Outside the presence of the jury.]

6 THE COURT: Okay. We're on the record outside of the
7 presence.

8 THE MARSHAL: Please be seated.

9 MR. KAHN: So briefly, you have the -- I believe that there are
10 three reports. If I'm wrong about that, then I'd ask Plaintiff's counsel to
11 provide any others. And in none of those three reports am I seeing an
12 opinion that there's permanent -- what the witness said was a permanent
13 problem with the left arm, and an inability to ever move his left arm the
14 same way, and the shoulder problems.

15 He's identified neuropraxia. That's in some of the records he
16 reviewed. But he's not opining as to lifelong permanent injuries of the
17 arm and shoulder. That wasn't in those three reports. And if Plaintiff
18 can find it, then fine. Otherwise, he's in violation of what the Court's rule
19 is, that the Court --

20 MR. PRINCE: Well --

21 MR. KAHN: -- discussed with us this morning.

22 MR. PRINCE: -- and this is what we had a very specific
23 discussion about this morning when we got started. Dr. Kaplan is a
24 treating physician, as well as somebody who's reviewed expert -- or
25 medical records. He formed these opinions during the course of his

1 care.

2 THE COURT: Wait a second. He needs to go in the
3 anteroom.

4 MR. PRINCE: If you could step outside.

5 THE WITNESS: Of course.

6 MR. PRINCE: And so he doesn't have to have all --

7 THE COURT: Wait. Wait a second.

8 All right. He's outside the presence. Go on.

9 MR. PRINCE: And so with respect to the left arm, the
10 neuropraxia, he diagnosed that during the course of his care. He has
11 continued to treat Mr. Yahyavi up through March of 2019. He still has
12 ongoing problems with the left arm, and inability to -- it says he has
13 pretty significant pain and numbness in the left arm. That's in March of
14 2019. He documents the difficulty of his weakness, as well as the
15 inability to move it fully. That's consistent with his neuropraxic injury.

16 So it doesn't have to be in the written report. This is part of
17 the opinions he formed during the course of his care and treatment. I
18 can also look for it in the reports if necessary. But as long as he formed
19 the opinion during the course of his care, since he is a treating physician,
20 under *FCH1* in *Pizarro-Ortega*, he's allowed to express those opinions.

21 MR. KAHN: So it's pretty simple. Yes, he's a treating doctor.
22 He's also a retained expert. That six months ago he certainly could've
23 repaired a report and told us he's opining that this gentleman has a
24 certain future permanent damage that isn't in any of his three reports.
25 He had an obligation to do that as a retained expert before he starting

1 waxing poetic about it here a few minutes ago.

2 MR. PRINCE: Okay. I'm -- even in his April 2018 report,
3 which you had up, he says he suffered a C5 neuropraxic injury to the
4 spinal cord. Unfortunately, this is a known conversation. I'm hopeful
5 this will improve over time, albeit it is relatively recent in the post-
6 perioperative period. He is clearly talking about that. He talks about it
7 again, the neuropraxic injury, in his August 19th, 2018 report.

8 And so that is part of his ongoing issue. He still has ongoing
9 issues. And he also has discussions regarding the spinal cord stimulator
10 for the nerve issues. That is -- the spinal cord stimulator is to address
11 the neuropraxia and the ongoing left shoulder issues. So it's part of the
12 analysis.

13 And so it is clear that that's part not only of his expert
14 opinion and included within that, but also, it's in his opinions that he
15 formed in the capacity as a treating physician under *FCH1* in *Pizzaro-*
16 *Ortega*.

17 THE COURT: All right. Well, this is your objection --

18 MR. KAHN: May I have the last word?

19 THE COURT: -- so I'll give you the last word.

20 MR. KAHN: My last word is essentially if counsel can find
21 something in the records that have been disclosed from his treatment
22 that say this gentleman has a permanent neuropraxic injury, then I will
23 reconsider my objection. But until and unless something in writing says
24 that, he doesn't get to say he's a retained expert, he's a non-retained
25 expert. I can live by the rules of a retained expert, but because he's a

1 treating physician and he saw him six months ago, he can say whatever
2 he wants up on the witness stand in front of a jury. That's not the way it
3 works.

4 THE COURT: All right. Well, his reports, which you just
5 handed me, are replete with references. And I'm talking -- here, page 21
6 of 27, where he talks about neuro -- I'm not sure I know how to
7 pronounce it -- neuropraxia once, twice, I think three times on this one-
8 page alone. Let me see if -- but I think I saw somewhere -- I should've
9 just -- where he said it's ongoing.

10 MR. KAHN: Ongoing is one thing. Permanent is different.

11 THE COURT: Well, I --

12 MR. KAHN: Ongoing is today, Your Honor.

13 THE COURT: I think maybe that you could certainly argue
14 that. But if you are saying that that's somehow grounds to exclude it, I
15 don't think that line seems too fine to me to be drawn to exclude his
16 opinions. Let me find it.

17 MR. KAHN: I would submit it --

18 MR. PRINCE: August --

19 MR. KAHN: -- but I would ask that the three reports be
20 marked as the next court exhibit. Not admitted, but just marked so
21 they're in the record.

22 THE COURT: That's fine.

23 MR. PRINCE: That's fine. Thanks, Judge.

24 THE CLERK: You'll have those for me?

25 MR. PRINCE: I believe the judge has them.

1 THE COURT: I'm going to hand them to you right now.

2 THE CLERK: Oh, okay.

3 MR. PRINCE: And when does the Court need us back here? I
4 need five or ten minutes.

5 THE COURT: Ten minutes. Go ahead.

6 MR. PRINCE: Oh, thank you, Your Honor.

7 [Recess at 2:34 p.m., recommencing at 2:49 p.m.]

8 THE MARSHAL: Remain seated. Come to order.
9 Department 28 is again in session.

10 THE COURT: You ready?

11 MR. PRINCE: Ready, Your Honor.

12 MR. KAHN: Yes, Your Honor.

13 THE COURT: All right. Bring them in.

14 [Pause]

15 THE MARSHAL: Please rise for the jury.

16 [Jury in at 2:52 p.m.]

17 [Inside the presence of the jury.]

18 THE COURT: Please be seated. Parties acknowledge the
19 presence of the jury?

20 MR. PRINCE: We do, Your Honor.

21 MR. KAHN: Yes, Your Honor.

22 MR. PRINCE: Thank you.

23 THE COURT: Please proceed.

24 MR. PRINCE: Thank you.

25 DIRECT EXAMINATION CONTINUED

1 BY MR. PRINCE:

2 Q Dr. Kaplan, when we took our break, we were talking about
3 the nerve injury that was associated with the surgery, the contraction of
4 the spinal cord?

5 A Yes, sir.

6 Q The neuropraxia?

7 A Yes, sir.

8 Q And in general, does neuropraxia improve with time?

9 A Some do. That's why we follow people out over the course
10 of time. We worry that it will not. And in this case, I don't think it did.
11 Actually, I know it didn't.

12 Q Didn't improve?

13 A No, it did not. Actually, it improved to some degree. He got
14 some strength back. But he's been left impairment. He's been left with
15 atrophy of some of his muscles and those nerve redistributions
16 consistent with nerve damage. So he's able to raise his arm up better
17 than he could before, but it's not -- it's not ideal. It's not the way it was,
18 you know, prior to surgery.

19 Q Okay. And so when he came back to your post-operatively,
20 in addition, did he also have arm weakness?

21 A He did. That's what I'm saying. Yeah, he --

22 Q He had arm symptoms, right?

23 A Yeah.

24 Q We talked about that. You also document post-operatively in
25 June of 2018, he was also suffering from anxiety. He had anxiety. You

1 reported it in your review. Let me show you part of your note, June 1st,
2 2018. Let's fast-forward six months after your surgery. Okay. That's
3 1039 is the date of that note. And I want to go to the review of
4 symptoms, which is 1040.

5 MR. KAHN: Your Honor, can we approach briefly?

6 THE COURT: Yes.

7 [Sidebar begins at 2:55 p.m.]

8 MR. KAHN: I had a printout of what you were using. It went
9 to 1,038, so I'd like to maybe check with the Clerk and see what you
10 have. I don't have a problem with it, but I didn't have it in the exhibits.

11 THE COURT: Okay.

12 MR. PRINCE: We've gone past the --

13 MR. PRINCE: It's 1,039. Go back now and it's 106 -- part of
14 106. The next -- we have two charts. For Western Regional and --

15 MR. KAHN: Okay. I have one. Okay. That's fine.

16 [Sidebar ends at 2:55 p.m.]

17 BY MR. PRINCE:

18 Q We're now on Exhibit 106 for the record. You went from
19 Western Regional to Las Vegas Neurosurgical Institute?

20 A Yeah.

21 Q You just renamed your group?

22 A We renamed our group. One of our partners essentially
23 moved along, and he kept the names. So it's the same players, minus
24 one.

25 Q Okay.

1 A New name.

2 Q All right. So your chart, just for our record and our jury, is
3 Exhibit 105 and 106 just for the record, we're now -- I'm talking about
4 your June 1st, 2018 note at LVNI, and I'm going to go to 1040 under the
5 review of systems. It says, of course, neck pain, arm pain, arm
6 weakness, but in the end it says head -- excuse me, headaches and
7 anxiety. Do you see that?

8 A Yeah.

9 Q I mean, Mr. Yahyavi --

10 MR. PRINCE: -- well, strike that. Let me ask a different
11 question.

12 BY MR. PRINCE:

13 Q Do you believe that as a result of the traumatic injuries
14 caused by the June 2013 collision with the forklift, that he developed
15 chronic pain in his --

16 A Yes.

17 Q -- neck?

18 A Yes.

19 Q And do you have an opinion whether that will be lifelong?

20 A It is.

21 Q Okay.

22 A It is.

23 Q And when someone suffers chronic pain, in your experience,
24 can they -- is it common, associated with chronic pain, to have anxiety?

25 A Anxiety and depression, actually. I mean, I'm not a

1 psychologist or a psychiatrist, but I'll tell you on my day to day basis.
2 And think about -- all of us -- all of us should think about when you have
3 pain, it makes you very upset. I mean, I -- you know, again, I don't
4 believe he had anxiety and depression with a formal diagnosis
5 beforehand, but the answer is, I will tell you, chronic pain can lead to
6 anxiety and depression. We see it all the time. It's a secondary
7 phenomenon.

8 Q Okay. Associated with --

9 A That's what I believe it to be. I mean, if a psychologist or
10 psychiatrist told me otherwise, obviously, I would defer to them, but you
11 know, again, this is a common scenario all the time.

12 Q Right. And you see patients in chronic pain daily in your
13 office, right?

14 A We see this all the time.

15 Q And let me look at kind of your exam findings six months
16 later, 141, in your assessment.

17 A Yeah, look in the assessment and plan.

18 Q I am. I'm putting that there, I believe. It says his x-rays
19 looked good, his shoulder function and deltoid function was improving
20 to some degree. His deltoid function appeared to be good, but he's still
21 having trouble raising his arm over his head. What do you mean by
22 that?

23 A Here's what happened. So again, just to back up a little bit.
24 Post-op, we -- I identified this. I ordered a CAT scan to make sure things
25 are where I want it to be. In other words, you want to make sure that

1 there's no screws mal-aligned or mal-placed or anything like that.
2 Fortunately, that wasn't the case. So in a situation like this, what I did
3 was I sent him to see a shoulder doctor. I didn't think that was the
4 problem, but sometimes when we position people on the table, we have
5 to tape their shoulders down so we can see, and you can irritate the
6 shoulders some. I wasn't thinking -- I didn't think that was the problem,
7 but -- and the principle, being as cautious as I could be, I sent her -- I sent
8 him for an evaluation. It wasn't the case, but again, it was a thought
9 process, and you always do these things to be sure.

10 So I did that, I ordered a CT, I ordered x-rays. I sent him for
11 physical therapy and occupational therapy, because I felt tincture of time
12 would be all that we could do. In other words, with a neurologic injury,
13 all you can do is give it time, and basically in our world, after a year or
14 so, you are where you're going to be. In other words, if it's going to get
15 better, it's going to get better, and over the course of time, he's better,
16 but still, he's got some atrophy in the muscles, and he's got some
17 decreased strength.

18 Q Okay.

19 A I mean, it's grading any gravity. In other words, in our world,
20 we call it -- we call it a zero to five scale. It's in the four range, which is
21 grading any gravity. You can -- you know, it can do some -- again, some
22 degree of resistance, but it's not the same as it is on the right side. It's
23 just not.

24 Q Okay. And by February -- let's go to your note of February
25 2019, that's Bate number 1047 of Exhibit 106.

1 A I'm sorry, what day?

2 Q December 13th, 2019. So now we're a year out from the
3 surgery.

4 A December 7 of 2018?

5 Q No, excuse me. February. My apologies. Let me clarify the
6 record. February 13, 2019.

7 A I'm there, I'm there.

8 Q About one year after the surgery.

9 A Yes.

10 Q I think you said a minute ago that after about a year, you're
11 about as good as you're going to be --

12 A Yes, sir.

13 Q -- post-operatively?

14 A Yes, sir.

15 Q And did have ongoing significant neck pain?

16 A Yes.

17 Q Significant arm pain?

18 A Yes.

19 Q Did he have muscle atrophy, which is consistent with the
20 nerve injury?

21 A He did.

22 Q The nerve injury associated with the surgery?

23 A Yes.

24 Q And now he comes to you, and he says, the last time he told
25 me he was going to see Dr. Thalgott for an IME. Did you have an

1 understanding that the worker's compensation administrator requested
2 that he go see Dr. Thalgott for a second opinion?

3 A Yes.

4 Q And is Dr. Thalgott a spine surgeon?

5 A He's an orthopedic spine surgeon. He's just like Dr. Perry.

6 Q Right. And Dr. Thalgott saw him. Did Dr. Thalgott think that
7 his need for surgery was caused by this motor vehicle collision?

8 A He did.

9 Q He agreed with you?

10 A He did.

11 Q So Dr. Perry -- did Dr. Perry, based upon your review of the
12 records, believe that the surgical recommendation was associated with
13 the motor vehicle collision?

14 A He did.

15 Q And you obviously testified that way, and so Dr. Thalgott has
16 also expressed that opinion, as well?

17 A Yes.

18 Q Now, one of the things it says here, it says, he was seen by
19 Dr. Thalgott. It says he did have an FCE done at ATI Physical Therapy.
20 Do you see that --

21 A Yes.

22 Q -- in your note? Okay. What's a functional capacity
23 evaluation or FCE?

24 A Here's what happens in the work comp world. What we're
25 trying to do is we're trying to sort out what "deficits" they have, and what

1 happens in the work comp world, they want to figure out, can you go
2 back to work and what function can you go back to work. In other words,
3 if you're doing X job after an injury and after a surgery, can you do that
4 job or not.

5 So a physical therapist will do those procedures, and he or she will
6 make you lift -- you know, lift a certain amount of things, do a certain
7 amount of tests, whatever it may be. You can ask Dr. Oliveri the
8 specifics of those --

9 Q Uh-huh.

10 A -- specific things, but essentially what happens is they're
11 trying to figure out what you can and cannot do. So that's, in other
12 words, objectively define what you can and cannot do. The problem is,
13 and I've seen this before, FCEs can be determined -- can be deemed
14 what they call valid or invalid, and it's at the discretion of the physical
15 therapists. I tell all my patients when you go to get this FCE done, I don't
16 care if it hurts you, just push through it. And even if you believe as
17 though it hurts, do the best that you can because there's a subjective
18 component and sometimes, people will say it's "invalid". And when it's
19 deemed to be invalid, people are sometimes taken to be fakers, or
20 malingerers, or something like that.

21 Q Okay.

22 A So I'm always very conscious of this, and I tell our patients, I
23 don't care -- well, I don't want to say I don't care if it hurts. You know
24 what I'm saying. In other words, if it hurts you, still fight your way
25 through and do the best that you possibly can.

1 Q Right.

2 A Don't -- I dare call it, don't give up, even if it hurts you.

3 Q Yeah. And you've also discussed this case with Dr. David
4 Oliveri, correct?

5 A Of course.

6 Q And Dr. -- if you go to page 1049 of your February 13th note,
7 let's kind of review that. And it says -- the first sentence says, I discussed
8 the situation with Dr. Oliveri, as well. Do you see that?

9 A I do.

10 Q Are you aware that Dr. Oliveri was the rating physician who
11 did the apparent rating for worker's comp way back in April 2015?

12 A I am.

13 Q And there was a -- remember, an invalid FCE in 2015. Do you
14 recall seeing that, as well?

15 A I do.

16 Q Does that mean that Mr. Yahyavi is lying or faking or
17 anything like that?

18 A It's like I said to you before, I've seen so many patients where
19 it's "invalid", and I tell the patients, it came back invalid. Either I give
20 them restrictions going forward. I've done quite a few patients, I say,
21 listen, let's do it again, and understand -- and then people will tell me,
22 well, I didn't do the stuff they asked me to do because it was hurting me.
23 So it's one of those things where different -- you know, there's different
24 expectations of different people. In other words, the expectation of the
25 patient versus the expectation of the, I dare call it, the examiner, and

1 sometimes, they're not lined up.

2 Q Right. And one of the other things, did you discuss with Dr.
3 Oliveri that Mr. Yahyavi, he's vocationally disabled? You know that
4 through Dr. Oliveri?

5 A I've seen it.

6 Q Okay. And do you agree with that assessment, even though
7 he can do some things physically, but given his overall clinical picture,
8 he's vocationally disabled?

9 A I'll defer to -- you know, I'll defer to David on the extent of
10 that. There's no question the guy has got neck pain. He's got chronic
11 neck pain and weakness. Dr. Oliveri has disabled him, and I'll defer to
12 him on that.

13 Q Very good. Now, Dr. Oliveri, he's a physical medicine
14 rehabilitation expert?

15 A Yes.

16 Q Is he an expert in vocational disabilities, whether someone is
17 disabled or not disabled?

18 A That is what he does. In other words, he's much more
19 qualified in that arena than I am. I will defer to David because he has the
20 expertise in that area.

21 Q Now, with regard to Dr. Oliveri, is he someone that you
22 professionally respect and know well?

23 A He's -- in my opinion, he's probably the best PMNR doctor in
24 town. I mean, in other words, I would send my family to him, no
25 question.

1 Q Have you co-managed patients with Dr. Oliveri over the
2 years, when he has treated them, and you kind of participated in the
3 care?

4 A All the time.

5 Q Okay.

6 A All the time.

7 Q Is he someone that you collaborate with and discuss patient
8 care with?

9 A I trust him. I trust him. I like him. I think he's an excellent
10 doctor. You know, look, you know, we all know, we all work with people
11 that you have -- you know, I dare say, you have to work with. There are
12 certain people that you believe are on the top, if you know what I'm
13 saying. He's on the top.

14 Q Right. Also, it says here -- let's kind of go through the notes.
15 I reviewed over the report from Dr. Thalgott dated November 29th, 2018.
16 He felt the surgery was related to his worker's compensation injury. He
17 discussed spinal cord stimulator implant, as well. Do you see that?

18 A I do.

19 Q Okay. And now we're going to talk about the spinal cord. Do
20 you agree, or have any opinion whether or not Mr. Yahyavi needs a
21 spinal cord stimulator?

22 A I recommended it.

23 Q And can you tell the jury what a spinal cord stimulator is?

24 A So a spinal cord stimulator is the only option we have for this
25 man going forward. Essentially, I did what I dare call the definitive

1 operation, which was to decompress the nerves, stabilize the spine. He's
2 been left with persistent neck pain, arm pain. The spinal cord stimulator
3 is going to do nothing about the weakness. I mean, that's not even
4 related because that's nerve damage. The goal here would be to help
5 the neck pain and the arm pain.

6 So what we do in this situation, a lot of times we do what they call
7 a spinal cord stimulator trial. What happens is the pain management
8 doctor feeds the electrode over the surface of the spinal cord, and we
9 see how it makes their neck and their arm feel. A lot of times, people are
10 sent for psychology clearance prior, as well, but the bottom line is, we
11 see how well it works, and if it works, we then do the implant. So it's like
12 a two-step process.

13 In this case here, you can't. The reason being is the pain
14 management doctor cannot thread the electrode from below up. The
15 reason being is I did surgery here from C3 to -- we'll call it T1. You can't
16 go from below, because if you go from below, you're going to nail all the
17 scar tissue from the surgery. The pain management docs don't want to
18 go from above because number one, it's technically very, very, very
19 difficult, and what's the greatest risk is that you can impale the spinal
20 cord with a needle. We don't want to do that. That's bad, obviously.
21 That's not what you want to do.

22 So in my experience of situations like this, and I do have some, I've
23 done -- I've basically taken somebody to surgery, I've done what they
24 call a C1 laminectomy, which means take off the bone high up, and I
25 thread the electrode with what they call retrograde behind the body of

1 C2. And it works very effectively in patients with intractable neck and
2 arm symptoms, despite doing what I believe to be an appropriate
3 surgery.

4 Q Okay. So I want to talk about what a spinal cord stimulator
5 actually is and kind of how it functions. Did you bring a spinal cord
6 stimulator and like a battery source?

7 A I did.

8 MR. PRINCE: Okay. Your Honor, can he -- Dr. Kaplan step
9 down to demonstrate for the jury?

10 THE COURT: Okay.

11 MR. PRINCE: Thank you.

12 THE COURT: That's fine.

13 THE WITNESS: So what happens is there's an electrode that
14 sits --

15 MR. PRINCE: Let me get the microphone to you.

16 THE COURT: Yeah.

17 THE WITNESS: There's an electrode that sits on the surface
18 of the spinal cord, and it looks something like this, in the cervical region.
19 A little shorter than this one, a little narrower, but essentially, it's an
20 electrode that sits on the surface of the spinal cord. This is the end of it,
21 and essentially what we do is we attach it to a battery. The battery, we
22 generally position right near the buttock area. And nobody -- there's a
23 lot of theories as to how it works, but essentially what you're doing is
24 you're stimulating the spinal cord, overriding the pain signals and the
25 pain threshold, and so basically, the body -- I dare call it a palliative

1 operation. What I mean by palliative is you're not fixing the problem per
2 say, but you're changing the brain's perception of the pain, and
3 overrides the pain signals that go through the spinal cord, up to the brain
4 stem.

5 So this is a very effective procedure. We use this not
6 uncommonly. We use it a lot more commonly in lumbar patients who
7 we've done lumbar fusions, and they have persistent back, as well as leg
8 issues, but I do do this, as well, in patients such as this. I think it's an
9 excellent option for him.

10 BY MR. PRINCE:

11 Q Is it to deal with the neck pain or the arm pain, or both?

12 A It's both. The main -- the goal is certainly to get the arm
13 symptoms. We may get neck benefit, as well. We may not, but time will
14 tell in that situation, but the main goal is to help the -- what they call the
15 radicular symptoms, meaning the nerve symptoms in the arm, but a lot
16 of times, we do get some benefit from the neck. The problem now is,
17 like we talked about before, he's got neck pain, and part of that is post-
18 surgical pain, and this doesn't work for post-surgical type pain. In other
19 words, scarring, you know, muscle damage related to retraction, et
20 cetera.

21 Q Okay. Can you place the stimulator leads on the spine and
22 kind of show us kind of generally what you'd be -- where they'd be
23 placed and what you --

24 A So we're placing it up high. So in other words, we make an
25 incision higher up than his incision, so up in this region here, at the base

1 of the skull. I would take off the bone, the back of the bone called the
2 lamina of C1, and you then thread it down. So you see the yellow here?
3 The yellow is what they call the dura, which is the lining of the spinal
4 cord, the lining of the nerves, and we thread it down. So it sits on the
5 surface of the spinal cord. If anything, we cheat a little bit to the left,
6 because the symptoms are on the left, if we have to. Most times, we
7 generally place it midline. In other words, right in the middle, but if
8 there's any way to cheat, we'd try to cheat a little more on the left
9 because his pain is on the left.

10 Q Right.

11 A So that would be -- that's the goal here.

12 Q All right. Would that be a permanent implantation?

13 A Oh, yeah.

14 Q Okay. And the goal is -- is it to improve pain and function,
15 and help maybe the quality of your life?

16 A The goal of spinal cord stimulation, the reason why it was
17 designed and approved by the FDA, is we know it improves a patient's
18 quality of life, it reduces their pain med requirements, it improves their
19 perception of the pain. Sometimes, patients will tell us, you know, I feel
20 kind of like a general buzzing in the area where I felt my pain before, but
21 it feels fine.

22 Q Right.

23 A That's really the goal of what we're trying to accomplish.

24 Q Okay, thank you. Has the worker's compensation
25 administrator approved this, to your knowledge?

1 A My understanding -- I haven't seen him since March, and I've
2 been recommending it for a while.

3 Q Okay.

4 A So my understanding is he went through the work comp
5 arena. This is where things get a little confusing because, whatever, I
6 mean, he -- my understanding is he had to see a psychologist first.

7 Q Yep.

8 A That's what work comp wanted. I have not done the implant
9 yet.

10 Q Okay, but are you --

11 A It's possible Dr. Thalgott --

12 Q Are you ready and available to do that?

13 A I want to do it.

14 Q Okay.

15 A I mean, obviously, I did the man's surgery. Obviously, that's
16 what -- I want to take care of him.

17 Q Of course.

18 A It's possible they may be sending him to see Dr. Thalgott.
19 You know, they play by their rules sometimes.

20 Q Right.

21 A I don't know.

22 Q But regardless of that, do you believe, in your opinion, that
23 Mr. Yahyavi is a reasonable candidate for that implantation?

24 A He's an ideal candidate.

25 Q And further, what is required to maintain -- and Dr. Oliveri

1 will talk about the cost of this -- but generally speaking, since you're here
2 and you showed us the stimulator, what is required to maintain a
3 stimulator?

4 A Imagine -- here's how I handle -- in other words, when I see
5 people in the office. So I have a post-op MR, so I know behind --

6 Q What's an MR?

7 A MRI scan. I'm sorry. I know behind the body of C2, which is
8 where I put that electrode, there's enough room, because again, the
9 greatest concern when you do an operation like this is you can damage
10 the spinal cord. If you damage the spinal cord up high at C2, this -- that's
11 not a good thing. This is paralysis, can't breathe. I mean, all of these
12 bad things if you know what I'm saying, so we won't talk about that too
13 much, but I know he has -- anatomically, we can do the procedure.

14 So what I then do is I take somebody to surgery. I see them post-
15 op that week or so later, get the staples and stitches out, et cetera, and
16 then we get the stimulator turned on. We generally wait about a week or
17 so because we like all of the blood products to go away, just allow
18 everyone to recover from surgery, then we turn it on. And then what
19 generally happens is that they -- the spinal cord stimulator will adjust the
20 stimulation parameters in order to get the coverage to the arm that you
21 want.

22 And then generally what happens is, they often continue to follow
23 up either with myself or more commonly, someone like Dr. Oliveri, or a
24 pain management doctor. Sometimes, it needs to be adjustments to the
25 battery, but understand, this is a battery, and batteries, like our cars, only

1 last so long. And it's all based upon the degree of stimulation that you
2 do. In other words, how much, I dare say, you crank it up. In other
3 words, what we generally say is these batteries last -- we'll call it five to
4 seven years on average.

5 Q Okay. And then you have to replace the batteries?

6 A Yes, sir.

7 Q And then do you ever have to replace the actual lead that you
8 put on the spine?

9 A Fortunately enough in my experience, I've only had one or
10 two cases like that. So if you're asking me more likely than not, do I
11 think that he would need to have the electrode replaced, I think the
12 answer would be no. I think, though, that if you place a battery in there,
13 you've got to be prepared, and patients have to understand -- I've had a
14 couple patients where it's only lasted two to three years because their
15 pain was so significant, I dare say they juiced it up so much --

16 Q Right.

17 A -- that they had to have these batteries changed more
18 frequently, but I think it's fair and safe to say if you place a stimulator in
19 there, you have to assume every five to seven years, you're going to
20 have to replace the battery.

21 Q Would this be something that would be -- need to be
22 maintained for the remainder of Mr. Yahyavi's life, Dr. Kaplan?

23 A Yes, sir.

24 Q Okay.

25 A Yes, sir.

1 Q Is that your opinion to a reasonable degree of medical
2 probability?

3 A Yes, sir.

4 Q According to the -- my chart is the billing from Western
5 Regional Center for Brain and Spine is \$83,557.60. That's the charge
6 associated with your care and the surgery?

7 A Makes sense.

8 Q Are those usual and customary for the services that you
9 provide in this community?

10 A Yes, sir.

11 Q And more than that, do you believe that those expenses were
12 incurred as a result of the injuries suffered in the June 2013 motor
13 vehicle collision?

14 A Yes, sir.

15 Q Are those your opinions to a reasonable degree of medical
16 probability?

17 A Yes, sir.

18 Q And beyond that, are you certain?

19 A I am.

20 Q Very good. Your other charges, from Las Vegas
21 Neurosurgical Institute, LVNI, are \$1,750, for the services that you
22 provided and seeing Mr. Yahyavi post-operatively at your new office, are
23 those usual and customary charges for those services?

24 A Yes, sir.

25 Q Are those -- were they caused by the injuries suffered in the

1 June 2013 motor vehicle collision?

2 A Yes, sir.

3 Q Okay. Is that your opinion to a reasonable degree of medical
4 probability?

5 A Yes, sir.

6 Q Very well. When I've -- we're almost done. With regard to
7 this case, you also read all of the reports from Dr. Tung, right?

8 A I did.

9 Q And Dr. Tung, he's also a neurosurgeon similar to yourself?

10 A Yes.

11 Q And first off, in your -- when you spoke with Mr. Yahyavi, did
12 he talk about any significant pre-existing, or any pain he had before?

13 A No.

14 Q Did you see in Dr. Tung's report, he talked about some
15 Southwest Medical Associate's records from 2011?

16 A Yes.

17 MR. KAHN: Your Honor, I'm going to object. May we
18 approach?

19 THE COURT: Yes.

20 [Sidebar begins at 3:16 p.m.]

21 MR. KAHN: That's not in any of his reports.

22 MR. PRINCE: It is --

23 MR. KAHN: It's not in the first treatment.

24 MR. PRINCE: What?

25 MR. KAHN: It's not in the first treatment, it's not in his

1 report.

2 MR. PRINCE: It's -- he read Dr. Tung's reports.

3 MR. KAHN: But he didn't render opinions about --

4 MR. PRINCE: He said he read Dr. Tung's reports, and he
5 disagreed with them.

6 MR. KAHN: That's true. He read Dr. Tung's reports.

7 MR. PRINCE: And he disagreed with them.

8 MR. KAHN: But he did not read the Southwest Medical --

9 MR. PRINCE: I'm talking about Dr. Tung.

10 THE COURT: All right. One at time. What's your objection?

11 MR. KAHN: That he didn't review the reports he's being
12 asked about from Southwest Medical before the accident.

13 MR. PRINCE: I said he read Dr. Tung's reports. He
14 summarized it. And he does talk about that.

15 MR. KAHN: That I would agree with.

16 MR. PRINCE: And I'm talking about using Dr. Tung's
17 commentary.

18 THE COURT: Are you saying he didn't read the Southwest
19 Medical from 2011?

20 MR. KAHN: Correct.

21 MR. PRINCE: I'm saying he read Dr. Tung's reports who
22 comments -- who discusses that. And he kind of deals with responding
23 to Tung, and how he disagrees with Tung. So I'm using it in that
24 fashion. He didn't summarize specific --

25 THE COURT: And you agree -- the Defense you agree that he

1 did read Tung's report --

2 MR. KAHN: Right.

3 THE COURT: -- and comment on it?

4 MR. KAHN: I believe that's in there, yes. That's fair.

5 THE COURT: Well, I'll let him comment on Dr. Tung's report.

6 MR. KAHN: Okay.

7 THE COURT: Where is it, for that matter? Do you know
8 offhand?

9 MR. KAHN: In there, no.

10 MR. PRINCE: It's in there. He read his reports in there.

11 MR. KAHN: It's going to be towards the end on this. It's
12 going to be the last --

13 THE COURT: All right. Well, if you are agree --

14 MR. PRINCE: I'm not going to spend a lot of time on it, but --

15 THE COURT: -- he read Dr. Tung's report, then he can
16 comment on what Dr. Tung said.

17 MR. PRINCE: Yeah.

18 THE COURT: That's --

19 MR. KAHN: Okay, I just want to double-check, because I -- I
20 just got these because of these rulings.

21 MR. PRINCE: That's part of it, that last paragraph.

22 THE COURT: Well, the paragraph before is really what
23 precipitated the paragraph. I do note the independent medical exam
24 neck --

25 MR. KAHN: I'm fine with him talking about Dr. Tung. I don't

1 want him talking about the records that he never reviewed for the first
2 time --

3 MR. PRINCE: No, I'm just going to use -- I'm going to use
4 Tung.

5 THE COURT: All right.

6 MR. KAHN: That seems fair.

7 THE COURT: All right.

8 [Sidebar ends at 3:19 p.m.]

9 BY MR. PRINCE:

10 Q All right. You read Dr. Tung's reports?

11 A I have.

12 Q And you talked -- he summarized a bunch of care. You
13 reviewed that, right?

14 A I did.

15 Q And he commented on some 2011 Southwest Medical
16 records. He reviewed, summarized that and commented on it, right?

17 A He did.

18 Q All right. Based upon your review of those records,
19 understanding of the treatment of Southwest Medical, that there was an
20 isolated complaint of neck complaints for a -- it says a period of years in
21 the 2011 records from Southwest, but nothing after that date, do you
22 believe that there was any ongoing symptoms that predated this June
23 2013 motor vehicle collision that would explain the onset of these
24 symptoms in the neck and the arm, for which he underwent surgery?

25 A No.

1 Q Based upon -- is that your opinion to a reasonable degree of
2 medical probability?

3 A Yes, sir.

4 Q If someone were to have multilevel discogenic pain, like
5 you've diagnosed Mr. Yahyavi as well as facet joint pain with the
6 abnormal range of motion?

7 A No.

8 Q Would there be recommendations in your experience for
9 either -- for like physical therapy, chiropractic treatment, pain
10 management, or even a surgical recommendation if it was significant
11 ongoing complaints for years, affecting your condition?

12 A Yeah, to be clear, if someone comes to a primary doc and
13 has significant -- these are hypotheticals here. Any patient. And comes
14 to see their doc and says, look, my neck is killing me, whatever it may
15 be. In my experience there are medications. They're sent for physical
16 therapy, and/or chiro. An MRI scan is ordered. They're sent to pain
17 management. They're sent to a surgeon. And they have stigmata of the
18 problem.

19 In other words, they're generally on some medication. Whether it
20 be muscle relaxers. Some pain pills, we'll call it. Whether it be narcotics
21 or non-steroidals. They'll have some clinical stigmata, consistent with
22 such. In other words, range of motion issues. Those kinds of things.
23 Neurologic deficits. Obviously if someone's got arm issues, you would
24 hope and expect that their primary doc would document such.

25 Q Right. And in this case, in your review of the -- from Dr.

1 Tung's reports and those actual medical records, was there any ongoing
2 consistent neck complaints prior to 2013, including arm symptoms?

3 A No, and my understanding -- and I have Dr. Tung's report
4 here in front of me here. My understanding is that he saw Dr. Tung -- I'm
5 sorry, he saw his primary doc at Southwest Medical. The doc saw the
6 person -- saw him, ordered some x-rays, and then he continued to see
7 Southwest Medical over the course of time, and nothing else happened.

8 Q Okay. Was there any -- if someone -- if someone has
9 multilevel discogenic pain and facet pain, would you have normal range
10 of motion?

11 A No. You can't.

12 Q Okay. Why not?

13 A Because --

14 Q If it's symptomatic?

15 A -- your facet joints are inflamed, they're irritated. When you
16 have discogenic problems, you're going to have -- you're going to have
17 impaired range of motion. You're going to have spasms. Decreased
18 range of motion. Just has to be.

19 Q Right. And Dr. Tung's -- given his own defense analysis, Dr.
20 Tung, hired by the Defense, does he say that given the pain, that Mr.
21 Yahyavi was suffering from pre-existing neck symptoms up until the
22 time of the accident?

23 A He doesn't say that. All he says is that the reason for the
24 surgery was related to degenerative changes. That's what he says.

25 Q Right. Do you believe that -- did you do the surgery solely

1 because of the degeneration?

2 A No, of course not. And not only that, remember we talked
3 about earlier today, you can have degenerative changes in your x-rays,
4 but have no symptoms, because we all have degenerative changes.
5 What I found interesting too is that what Dr. Tung stated in his report,
6 the two main things I noted were he said it's because of degenerative
7 changes, because he had degenerative changes. And then number two,
8 he said the treatment was reasonable for 14 months. So to me, 14
9 months he was doing all the stuff. He was doing injections. He -- he saw
10 a surgeon then, too. The surgeons were talking about surgery there, too.

11 It seems to me, if you think it's a sprain -- we'll call it -- dare
12 call it a sprain-strain, normally the treatment for that are things like
13 chiropractor or physical therapy for three to four months. Maybe an MRI
14 scan, but no injections. No evaluation by surgeons. Things like that. So
15 he stated the first 14 months were related, but to me that seems
16 inconsistent.

17 Q Okay. And I want to kind of talk about -- let's just -- that 14
18 month window, just to use that for a minute. Number one, in that 14
19 months, was Mr. Yahyavi consistently symptomatic, significantly in his
20 neck and his arm?

21 A Yes.

22 Q Okay. Did -- was there any period of time those symptoms
23 resolved in the 14 months?

24 A No.

25 Q During that 14 month window, did he have any spinal

1 injections?

2 A He did.

3 Q Would you do any type of injections, if you have simply a
4 soft tissue self-limiting strain?

5 A That would be malpractice.

6 Q Okay. So you don't think --

7 A The answer is no, we don't do that.

8 Q Do you even order an MRI if it's a self-limiting soft tissue
9 strain?

10 A Generally what happens if something is a soft limiting -- self-
11 limiting sprain/strain, it tends to get better within three or four months.
12 Your range of motion will be back to normal. You'll be back to normal.
13 There's no reason to get an MRI scan, because you're back to normal.

14 Q And Dr. Oliveri testified on Friday that duration of pain of six
15 months or longer would be characterized as chronic.

16 A I use a little less -- I use a little less. I say about four to six.
17 So that's fine.

18 Q Okay.

19 A Six months is fine.

20 Q So this is the outermost time period.

21 A Yes, right.

22 Q That's using the outermost time period in medicine of six
23 months, and at -- after six months, even by Dr. Tung's analysis, Mr.
24 Yahyavi's already in chronic pain, right?

25 A Yes, sir. Yeah.

1 Q And so that's not consistent with a soft tissue self-limiting
2 injury, right?

3 A No, it's not.

4 Q All right. And one final thing on this point. Looking -- let's
5 look at Dr. Archie Perry's record of July 7th, 2014, which would be within
6 that 14 month window?

7 A Yes, sir.

8 MR. PRINCE: 294. Exhibit 91, big number 294. I'm going to
9 put it up there for you. Greg bring me the date and then the second to
10 the last paragraph.

11 BY MR. PRINCE:

12 Q We looked at this earlier, but I want to just dial in the
13 timeframe, okay. The 14 months. Our event happened in June 2013.
14 Okay.

15 A Yes, sir.

16 Q Dr. Tung says the treatment and the symptoms for 14
17 months are reasonable.

18 A Yes, sir.

19 Q And within that 14 month window, it says Dr. Perry and I do
20 believe the patient would benefit from a cervical intervention directed at
21 Level C3-4 C6-7. Do you see that?

22 A I do.

23 Q Would you ever recommend a multi-level spine surgery for
24 simply a soft tissue injury?

25 A Again malpractice. You don't do that.

1 Q Right. And at any -- do you believe that the picking of 14
2 months, do you think that's arbitrary based upon your other opinions
3 and conclusions in this case?

4 A I do. I think what happened was he saw a note, and they
5 were talking about surgery, and then he decided not to have it.

6 Q Yeah.

7 A And I think that -- I suspect that's where he -- must be
8 another note away. In other words, at 14 months, Dr. Perry then must
9 have discussed surgery. He didn't have the surgery, so, therefore, that's
10 where he drew the line.

11 Q Okay. Yeah. Let's look at -- let's look at that for a minute.
12 We're going to go to the November 10th, 2014 note of Dr. Perry.

13 MR. PRINCE: That's big number 299 on Exhibit 91.

14 BY MR. PRINCE:

15 Q Let's talk about this, as the patient returns. Since his last
16 visit he's seen Dr. Schifini and undergone some facet injections. He had
17 a 33 percent reduction in pain. Little bit more relief. Then he says in
18 this: "Overall I reviewed the patient's diagnostics, as well as his
19 injections. In my opinion, I do not feel confident that surgical
20 intervention will result in any significant clinical improvement of this
21 patient. I have recommended the patient follow-up with pain
22 management. In fact transfer of care and obtain additional non-
23 operative treatment." Do you see that?

24 A I do.

25 Q And based upon your education, training and experience,

1 and the way this note is written, does it mean that he's not surgical?

2 A I don't think so. I think the man was surgical the whole time.

3 Q Right.

4 A And I think what happened was that Dr. Perry and Dr. Schifini
5 were doing a variety of injections. Some were helping him, some were
6 not helping him there. And I think he -- I think Mr. Yahyavi stated he was
7 apprehensive about surgery.

8 Q Right.

9 A And I suspect what probably happened is Dr. Perry and Dr.
10 Schifini, and I hear Dr. Schifini, I heard you say today, he can talk about
11 the specifics of the conversations he's had, but I can imagine the man
12 got pretty frustrated here, and said look, you had these nerve blocks
13 done, you got this much benefit. You had the facet blocks, and you got
14 this much benefit. So I think Dr. Perry probably said, look, I just can't
15 guarantee how much better you're going to get. And he probably said,
16 well, then why should I have the surgery if you can't guarantee it to me.

17 Q Right.

18 A And that's what I think I would do if I was him. But that's
19 my -- that's my -- in reading the records, that's kind of my take of the
20 gestalt of the conversations that appeared as though they occurred.

21 Q Yeah. And I mean, obviously, he's talking in the final
22 paragraph about he's limited at work, right. It says the duration of time, I
23 can't really work greater than 6 to 8 hours a day. He's having difficulty
24 working, even in 2014.

25 A Yeah, I mean to me the month -- a couple of months before

1 he's recommending surgery, or saying we've got a surgical problem,
2 now he said -- now he's backing up a little bit. And I think what's
3 happened is, you know, I dare say he did all these injections, and he's
4 trying -- you know, I'm sure Mr. Yahyavi is like how much better do you
5 think I'm going to get. And I think Dr. Perry then said, look, I just don't
6 know, you've got a lot of things going on here. In other words, you have
7 facet media pain, you have discogenic pain, you have arm pain. I just
8 don't know. And I think that's when he said, look, let's just hold off.

9 Q Right.

10 A I mean understand, too, I did surgery, and look what's
11 happened, with all due respect. You know, the guy had neck and arm
12 pain. I thought I did what was right, and now he's got weakness and
13 pain. So --

14 Q His picture is complicated as a result of his injuries. Would
15 that be fair to say?

16 A I think that's very fair.

17 Q Okay. In your opinion, will Mr. Yahyavi, for the duration of
18 his life, suffer pain, limitation, as well as -- in other, physical neck pain,
19 but also nerve pain, for the balance of his life?

20 A Well, you know, it depends again. If we do the stimulator, I
21 think we can palliate him to some degree. Again, he's not going to be
22 perfect. I think -- but I think potentially we can make him better than he
23 is today.

24 Q Okay. And is there any certainty that a stimulator would help
25 at all?

1 A That's -- no, it's possible. And like I told you before -- or at
2 least I told him, I said, look -- and I told the jury earlier. Normally we do
3 a trial first to see if it works. If you do the trial, then we do the implant,
4 but we can't -- in this case we can't try it out first. In other words, we
5 can't do a trial basis first, because you physically can't do it. So it's
6 possible -- possible, I think it will help him, but it's possible you can do it,
7 and it may not help.

8 Q Okay.

9 A It's true.

10 Q Or it could get worse, right? He could have another
11 complication with this -- with the placement of the stem.

12 A I hope not. I mean potentially, I -- you know, like I told you
13 before the complication that occurred to him, happens six to ten percent
14 of the time. In our world large, but not greater than 50 percent, like in
15 your world there.

16 Q Yeah.

17 A But what I'm saying is it's possible -- it's possible it could be
18 worse. I don't think that's likely, but it's possible.

19 Q Right. And even with the stimulator will he -- in your
20 opinion, will Mr. Yahyavi remain symptomatic in his neck and his arm,
21 for the duration of his life?

22 A No question. Like I told you earlier, the goal is to make the
23 arm better. We might get some neck benefit there. I wouldn't bet it. I
24 wouldn't bet on it. But -- are those your opinions to a reasonable degree
25 of medical probability?

1 A Yes, sir.

2 Q All right. Very good.

3 MR. PRINCE: Your Honor, thank you. I don't have any
4 additional questions. I pass the witness.

5 THE COURT: Cross exam?

6 CROSS-EXAMINATION

7 BY MR. KAHN:

8 Q Good afternoon, Dr. Kaplan.

9 A Hi, there.

10 Q My name is David Kahn. I'm the attorney for the Defendant,
11 Capriati Construction. You and I have never met, correct?

12 A No, we've not.

13 Q I've never retained you for another case, right?

14 A No.

15 Q You've never had reason to come to my law office, for any
16 reason, correct? For any professional reason other than maybe a
17 deposition or two?

18 A I don't even know what your office is, to be truthful.

19 Q That's even better.

20 A I've never seen your face. I don't -- I don't know who you are
21 to be honest with you.

22 Q Mr. Prince, how many times have you worked with him?

23 A I don't know. And here's --

24 Q Give me your best estimate, please.

25 A I can't. And I'll tell you why. Here's the issue. Mr. Prince, I

1 know, used to work with Mr. Eglet. And they have a different kind of
2 practice. And Mr. Prince is in the kind of practice where people will be
3 injured, they'll have -- they'll have an attorney. I'm more than happy --
4 and I see all different types of patients, so I see personal injury patients.
5 And a lot of times after people have treatment, or whatever it may be,
6 and Mr. Eglet's and Mr. Prince's firms have been hired. So in other
7 words, they're like a lawyer to the lawyers. So have I worked with, as
8 Mr. Prince's firm previously asked me to look at cases, or have I've seen
9 cases that he's representing, absolutely. The numbers, I just can't tell
10 you. I just can't.

11 Q Okay. Now the Plaintiff told you that he was going 30 miles
12 an hour at the time of this accident; didn't he?

13 A I didn't -- I'd have to look at my first note there. I think --

14 Q Well, I can help you if you want.

15 A Okay.

16 Q Let me pull up an admitted document. And if you could
17 highlight the handwritten portion above the diagram.

18 A I see what you're saying. Yeah.

19 Q Okay. Are you able to read that handwritten sentence at the
20 top? Are you able to read it?

21 A I can.

22 Q Can you please read it out loud, so the jury can hear what it
23 says?

24 A So when he saw me, we ask patients to fill out intake forms.
25 And one of the intake forms we ask them to fill out is something like this

1 one. And then basically, where he describes the accident in detail. And
2 it says ran into a forklift with -- I can't read what that word says.
3 Something forks at 30 miles an hour.

4 Q Would that be erect?

5 A Could be. And then he's drawing a picture. And it looks like
6 you've got a circle with what looks like to be forks So he says a forklift,
7 and he's describing his car. And it looks like an arrow going forward.
8 That's my take. That's the best I got.

9 Q Okay. So as part of his documentation to your office, he
10 represented to you that he was going 30 miles an hour when this
11 accident happened, correct?

12 A That's -- that's what it says.

13 Q What did Mr. Yahyavi tell you about whether he was a
14 smoker or not, when he came to see you?

15 MR. PRINCE: Objection. Relevancy.

16 THE WITNESS: It says over here.

17 THE COURT: Wait, wait, counsel, approach.

18 MR. KAHN: I can lay a foundation, Your Honor. I'll withdraw
19 it and lay a foundation.

20 THE COURT: All right. Go ahead.

21 BY MR. KAHN:

22 Q Dr. Kaplan, is smoking a risk factor for degenerative disc
23 disease?

24 A It is.

25 Q So is it important to you in treating somebody with

1 degenerative disc disease, to know whether or not they're a smoker?

2 A Yes and no. The more important thing when we deal with
3 smoking issues, look we all have vices, and I try not to be judgmental if
4 you know what I mean? The older I get the less I am. We all are.
5 Smoking, when I deal -- when I deal with patients who are smokers, I tell
6 patients smoking interferes with bone fusion. Smoking also interferes
7 with wound healing, and you're a higher risk for infection. So those are
8 the things I worry about in our smoking patients. But to answer your
9 question, smoking -- patients who smoke can have enhanced
10 degeneration, that is true.

11 Q Okay. So if this gentleman were a smoker, you would expect
12 there's at least a medical probability that he could have enhanced
13 degeneration over the course of his life?

14 A As compared to non-smokers, yes, sir.

15 Q Correct. And what did he tell you about whether or not he
16 smoked? You ask every patient that when they come to see you, right?

17 A Well, it's part of the form, actually. So what happens is
18 they'll check off yes or no.

19 Q And what did he check?

20 A My form says never smoker.

21 Q Never a smoker. So Mr. Yahyavi, the Plaintiff in this case
22 told you, or your office staff, that he was never a smoker his entire life,
23 right?

24 A Well, he didn't tell anybody. I'm going to tell you what likely
25 happened is they fill out -- I dare call -- we call it a yellow form, like an

1 intake form, so he checks off non-smoker.

2 Q Do you take a personal medical history verbally from a
3 patient when they come to see you?

4 A Yeah, so the history -- in other words, if you look on the first
5 encounter where it says history or present illness, that's what he's telling
6 me. The other stuff here, in other words, that his mother's deceased and
7 his father's in good health, I'm not going to ask him that. I'm just not
8 going to. I'm not going to ask him about his HIV risk factors either. It's
9 just not relevant.

10 MR. KAHN: Give me one second. Excuse me, I'm looking for
11 a specific document.

12 BY MR. KAHN:

13 Q Can you check your records? Your encounter number one,
14 10/12/17; can you please look at that record? You have his records with
15 you, correct?

16 A I'm staring at it, but my encounter number one was 8/11 of
17 '17, so I'm confused what --

18 Q You have bates P001016?

19 A Oh, that's not my first encounter though.

20 Q Okay. I'm looking at that document. On the top it has the
21 word encounter, then a little number sign and hashtag then one.

22 A Oh, you're right actually. That's interesting. I apologize for
23 that. Yeah, obviously the computer didn't do its thing. It should be two.

24 Q Okay. So that's the second encounter, but it says encounter
25 one, right?

1 A You're right. You're 100 percent right.

2 Q Okay. Well, that's fine. Just understand the jury is -- this is
3 all new to them, so I need to make it clear. Do you see, if you go about a
4 few inches down, it says social history?

5 A Yeah.

6 Q Can you read the words after that in the parenthesis?

7 A It says -- here's what happens, when you --

8 Q Can you please read the words in the parenthesis? That's the
9 question, sir.

10 A It says, Stuart Kaplan, M.D. That's me.

11 Q Right. Does that mean you made that notation?

12 A No.

13 Q What does it mean?

14 A These are EMR, so the jury understands, these are EMR
15 records. I'm going to tell you, I never talked about smoking with this guy
16 again.

17 Q Okay.

18 A These things are going to follow through. In other words, we
19 have EMR records. Everything is electronic medical records here. Data
20 is input. Patients will check off things on their intake form. It goes into a
21 template and it's then now EMR formats for the government. In other
22 words, we're all -- the government is watching. So bottom line is I never
23 talked about smoking again. It's just not going to happen.

24 Q But the information provided to your office was that he was
25 never a smoker, right?

1 A On the first visit, yeah.

2 Q And that didn't change, as far as you know, in your other
3 records?

4 A I never would have asked again, to be truthful. It may -- it
5 may -- it may carry through record after record after record because
6 that's the basis of electronic medical records, but I'll tell you as a fact, I'll
7 never ask him again.

8 Q He also told you nothing else ever happened to his neck,
9 didn't he?

10 A That's my understanding.

11 Q If you look at P001023.

12 MR. KAHN: I'll help her to pull that up. If you could highlight
13 the last two lines or the end of the second to the last line and then the
14 last line on the bottom. That's fine. That will work. We don't need the
15 whole thing, just the last two lines is fine. Thank you. And can you
16 highlight this part? Are you able to do that? Yeah, that next part, yes.

17 Q So that says, "He tells me nothing else happened to his neck
18 apart from this," doesn't it?

19 A Yep.

20 Q So your information about Mr. Yahyavi wasn't just based on
21 generic history that was provided to your office, this is a notation that
22 this patient, this Plaintiff is telling you directly he never had another
23 issue with his neck, right?

24 A The way I'm looking at the note there is, you know,
25 appreciate, this is a long time. In other words, I saw the man initially in, I

1 think it was August of '17. The acts that you're talking about is June of
2 '13. That's four years later. He tells me Dr. Perry talked about surgery
3 with him, and I think what that really means is nothing else happened in
4 the intervening time. That's how I read it.

5 Q That's not what that says. That says he told you nothing else
6 happened to his neck. Isn't that a part from this? Isn't that what he told
7 you?

8 A I guess if you -- I guess I see how you're looking at it. I guess
9 nothing else happened -- I guess you would have to say also beforehand
10 too. I guess that's fair.

11 Q On November 18, 2017, the Plaintiff failed to call back to
12 schedule an appointment he was supposed to schedule with you; isn't
13 that right?

14 A Say that one more time.

15 Q November 18th, 2017, he was supposed to schedule some
16 other kind of appointment and he did not?

17 A I don't know.

18 Q Okay.

19 MR. KAHN: I'll withdraw that one.

20 BY MR. KAHN:

21 Q What -- you ask your patients whether they play contact
22 sports or have played contact sports; isn't that correct?

23 A It's -- I don't ask them. It's part of our standard form. In
24 other words, it's part of our standard intake form, what level of sports
25 you've played. I don't know why it's there to be truthful. It's been there

1 for the last 15, 20 years since I've been here.

2 Q And why is that important?

3 A In some ways, yes. You know, the problem is if you played
4 contact sports in your life, I don't think it matters, to be honest with you.
5 I think it's a dumb question.

6 Q Do you know whether or not Mr. Yahyavi did play any
7 contact sports?

8 A I don't recall. I think it's a dumb question to be honest. We
9 probably should remove it, but what can I tell you? It's been there for 15,
10 20 years.

11 Q Well, somebody who plays football for a number of years,
12 they're more likely to suffer spinal problems than somebody who never
13 plays a contact sport; isn't that fair?

14 A You're talking about back or neck?

15 Q I'm talking about anything with the spine. If you're smashing
16 your head into other people who have helmets on, your spine is going to
17 be in worse shape than people who never do that; isn't that fair?

18 A Potentially. I think that's fair, potentially.

19 Q How do you -- you said you define chronic pain as four
20 months?

21 A Greater than four to six months, yeah.

22 Q And isn't there a standard in your profession that it's -- that
23 other people believe it's six months?

24 A Some people say greater than three. I use four to six. I'm
25 not going to quibble over six.

1 Q Okay. Six would be the -- like the rehabilitation society or
2 something like that?

3 A I don't know what the rehabilitation society says. I'm a
4 neurosurgeon. I tend to say four to six months. I think most of us
5 believe that.

6 Q And then --

7 A If you want to say six months, I'm fine with that.

8 Q And that's based on subjective pain reports from a patient,
9 correct?

10 A Pain is subjective.

11 Q All pain is subjective?

12 A You're right.

13 Q If I told you this arm was hurting ten out of ten, and this arm
14 was hurting zero out of ten, and I was telling you -- and really it was the
15 opposite, this arm hurt ten out of ten and this arms hurt zero out of ten,
16 and you felt them, looked at x-rays, looked at MRIs, palpated them and
17 couldn't feel spasms or muscle tightness, you wouldn't know which was
18 correct? You wouldn't have any way to backstop me; is that correct?

19 A You have to look for objective signs of correlative symptoms.
20 In other words, you look for things that seem to make sense. But if you
21 had no spasms, full range of motion of neck and you said you had neck
22 and arm symptoms, number one, ten out of ten, I tell our patients ten out
23 of ten means you're ripping my arm off. In other words, you're four
24 quartering me.

25 So -- but the problem is different patients have different

1 perceptions of pain. I can view the same pain stimulus as a three and
2 you can view it as a seven, or vise-versa. I had a marine once who told
3 me the pain was a three and he was miserable. I did surgery. I got him
4 down to a one to a two, and he was thrilled. His wife said it was a nine.
5 You know what I mean?

6 So everybody's perception is different. That's the problem with
7 pain scores. But you have to look for objective signs that make sense.
8 You can't just take someone's word for it like that.

9 Q So medicine, as sophisticated as it is, has no real way to
10 measure pain; is that correct?

11 A We cannot measure it internally. In other words, we don't
12 have the ability to look in someone's brain and say -- you know, and look
13 at the pain signals, if you know what I mean, and identify it when talking
14 to a patient in the office. It just don't happen.

15 Q So if a patient says they are a ten out of ten, and they're not
16 ten out of ten, you have no way to confirm that or determine that it's
17 incorrect, right? You have to take their word for it?

18 A Well, we have to take the patient's word for it in general
19 because again, as we talked about before, history is the foundation for
20 what we do. We look for things that corroborate that history.

21 Q So if a patient were to say I have had neck pain for several
22 years. By your definition that you just gave of chronic pain of three to
23 six months, that patient would have chronic pain; isn't that correct?

24 A If you have pain that lasts for three years continually, the
25 answer is yes.

1 Q Okay. Well, that's not what you said before. You said an
2 excess of three to six months, correct?

3 A Yeah, but --

4 Q So if a patient is a --

5 MR. PRINCE: Objection. Objection. The witness wasn't
6 finished with his response.

7 THE COURT: Go ahead and answer.

8 THE WITNESS: If you have pain that lasts continually for
9 three months, there's a difference between on and off pain there too. In
10 other words, you can go to the gym, hurt your neck or tweak your neck,
11 you can have pain for a day or two or three, whatever it may be, and the
12 pain goes away and then you go back the next month, the same thing
13 happens, the answer is -- we're talking about continual pain.

14 BY MR. KAHN:

15 Q If a person has continual pain for years in their neck, it is
16 chronic pain, correct?

17 A Yes.

18 Q So if this patient -- this Plaintiff, Mr. Yahyavi, had pain in his
19 neck for years, before this accident, 21 months before this accident to be
20 precise, he would have had chronic pain prior to this accident; isn't that
21 correct?

22 A Based on your -- what you're describing, yes.

23 Q Okay. What about the functional capacity exam? You agree
24 that he had an invalid functional capacity exam?

25 A He did.

1 Q That a physical therapist determined when he was trying to
2 figure out what jobs Mr. Yahyavi could do, that Mr. Yahyavi's effort in
3 that test was determined by the physical therapist to be essentially
4 subpar, inadequate; isn't that right?

5 A That's what I said.

6 Q So had you rendered an opinion before today in any of your
7 written reports that were disclosed as to whether the Plaintiff was able to
8 work again in his life?

9 A I don't think I wrote that because, number one, I know Dave
10 Oliveri is a PM&R doctor, physical medicine and rehabilitation doctor. I
11 know he was here the other day and I know he's coming back. He is
12 much more qualified to discuss those issues than I am.

13 Q But your opinion is in line with his. You think Mr. Yahyavi
14 can never work again?

15 A I'm going to defer Dave Oliveri in terms of his opinions on
16 that issue.

17 Q What do you know about Stephen Hawking, the famous
18 scientist?

19 A We all know -- I think he recently passed away, unfortunately.
20 There's no -- the guy obviously was a genius, and obviously we all know
21 he has been wheelchair bound for I don't know how many years.

22 Q Right.

23 A Second -- I believe it was ALS. So obviously his -- he is a --
24 he's on the far end of the spectrum, I dare say. With regard to what he
25 can and cannot do, I'm going to refer to Dave Oliveri.

1 Q What about osteophytes. Can you explain to the jury what
2 osteophytes are, please?

3 A Osteophytes are basically growths of bone, that's basically
4 bone. It's bone. It's part of the -- I dare call it the degenerative process.

5 Q Osteophytes don't occur spontaneously in the event of a
6 traumatic injury, right?

7 A No, they occur over time.

8 Q So if Mr. Yahyavi had osteophytes documented in x-rays --
9 well, let me back up. Your testimony here for the Plaintiff's attorney
10 about the Southwest Medical records, the records relating to this Plaintiff
11 before his accident were based on your reading of the Defense expert
12 neurosurgeon, Dr. Tung's, reports and opinions in this case, right?

13 A I have a -- yeah.

14 Q You have not ever seen the Southwest Medical associate's
15 records; is that correct?

16 A No, I think I have actually.

17 Q Well, they're not documented in any of your reports, correct?

18 A No, it's not in my report. I think they were sent over by Mr.
19 Prince's office. I haven't written any additional reports since the time of
20 Dr. Tung's record.

21 Q Okay. You didn't document in your reports that you
22 reviewed those records, correct?

23 A I have not written any reports that stated I did.

24 Q And how many times have you served as an expert witness
25 in Clark County roughly?

1 A I don't know, plenty. Many.

2 Q More than 100?

3 A I doubt that.

4 Q More than 50?

5 A Probably.

6 Q And you understand the rules for being an expert in this state
7 and this county require that you disclose whatever materials you relied
8 on to render your opinions, otherwise there are repercussions, correct?

9 MR. PRINCE: Objection. Objection. Legal -- concludes a
10 legal opinion. Lacks foundation for this witness on the statement of the
11 law, what the rules require.

12 THE COURT: I'm going to sustain it. I don't --

13 BY MR. KAHN:

14 Q You understand that you had an obligation -- sorry.

15 THE COURT: -- know that that is --

16 BY MR. KAHN:

17 Q You understand you had an obligation to disclose and
18 identify any records upon which you are relying upon to form your
19 opinions at this trial; is that correct?

20 MR. PRINCE: Objection. Form. Foundation as to the form
21 requirement for a treating physician, Your Honor.

22 THE COURT: I'll allow the question.

23 THE WITNESS: I'm not a lawyer, so I can't answer these
24 legal type issues. Here's what I'll tell you, in my experience, binders of
25 records are sent over to me to review. Sometimes I'm asking to actually

1 write a report. Sometimes they're sent over, I dare say for my
2 information only, and I'm not asked to write one, and that's of the
3 discretion of the attorneys who are sending the records over for
4 whatever reason that they do. I know I've seen these records before, but
5 I was not asked to write another report on them.

6 So the answer is I didn't. Now, I will tell you, you never
7 deposed me, so we never talked about it beforehand.

8 BY MR. KAHN:

9 Q What is the affect -- what can the affect be of osteophytes on
10 a cervical spine over the long term?

11 A Anywhere from -- obviously, we talked about it earlier. You
12 can have osteophytes. Just because you have nerve or compression on
13 an MRI scan, once again does not believe that you're symptomatic from
14 such. So the answer is it may do nothing. But again, you do have
15 compression there, and it, by definition it's chronic, generally in patients
16 like that, you've got to set the inflammatory cascade to become
17 symptomatic. But the answer is anything from nothing to symptoms.

18 Q But Mr. Yahyavi's documented in a cervical x-ray in 2011 to
19 have had osteophytes along his cervical spine and those same findings
20 show up after this accident, that isn't something that this accident
21 caused, is it?

22 A No. I mean, as we -- I think we said earlier, there's no
23 question this man had pre-existing -- pretty extensive degenerative
24 changes prior to the accident we're talking about. No question.

25 Q Same with the reversal of lordotic curvature. If this

1 gentleman is determined in an x-ray in 2011 to have had a reversal of the
2 lordotic curvature, then that was already in place prior to this accident
3 and this accident didn't necessarily cause that condition, right?

4 A It has to do with how you position patients there too,
5 whether or not they're lying down. There's so many factors there. I
6 don't look at that one too much. Really what I look at is the x-ray itself
7 per say.

8 Q What about --

9 A It's -- that's too nonspecific.

10 THE COURT: All right. We're going to -- sorry, counsel.
11 We're going to take a short recess.

12 During this recess, you're admonished do not talk or
13 converse amongst yourselves or with anyone else on any subject
14 connected with this trial, read, watch, or listen to any report of or
15 commentary on the trial or any person connected with this trial, by any
16 medium of information, including without limitation, newspapers,
17 television, radio or internet. Do not form or express any opinion on any
18 subject connected with the trial until the case is finally submitted to you.

19 We'll take ten minutes.

20 THE MARSHAL: Please leave your notebooks and pencils.
21 Rise for the jury.

22 [Jury out at 3:53 p.m.]

23 [Outside the presence of the jury.]

24 THE COURT: All right. We're on the record outside the
25 presence. Steve was concerned --

1 THE WITNESS: Do you want me to go over there?

2 THE COURT: No, this isn't about you. Again, with juror
3 number 3 who appears to be very tired.

4 MR. KAHN: Okay.

5 THE COURT: So we're taking a break. What are the
6 likelihood that we're going to get this witness completed by tonight?

7 MR. PRINCE: This witness is going to be completed in 15 or
8 20 minutes. Mr. Arbuckle's not going to miss another day of work. He's
9 missed four, so --

10 MR. KAHN: Well, I don't know, I mean, well, he's under
11 subpoena, Judge, so he's -- if we don't get to him, he'll be here first thing
12 in the morning to do it.

13 MR. PRINCE: He's missed four days of work. He's sitting out
14 here. I'll finish this witness quickly and then Mr. Arbuckle's going to go.

15 MR. KAHN: Well, I'll have a little bit of redirect, but we've got
16 a -- okay.

17 THE COURT: All right. We'll see what happens. Take a
18 break. Ten minutes at the most.

19 [Recess at 3:55 p.m., recommencing at 4:05 p.m.]

20 [Outside the presence of the jury.]

21 THE CLERK: Mr. Prince, are you stipulating.

22 MR. PRINCE: Yes, I'll stipulate.

23 THE CLERK: Okay. So I'll admit them.

24 THE COURT: All right, ready? Bring them in.

25 THE MARSHAL: Please rise for the jury.

1 [Jury in at 4:06 p.m.]

2 [Inside the presence of the jury.]

3 THE COURT: Please be seated. The parties acknowledge the
4 presence of the jury?

5 MR. PRINCE: Yes, Your Honor. Thank you.

6 MR. KAHN: Yes, Your Honor.

7 THE COURT: Counsel, approach.

8 [Sidebar begins at 4:06 p.m.]

9 THE COURT: I should have asked you this in the break, what
10 does Suarez do? We've got to keep him awake. We lose him, we're
11 down to one.

12 MR. KAHN: I think he's --

13 THE COURT: We're only -- we've got two more week.

14 MR. KAHN: I think he's retired.

15 MR. PRINCE: I think he's just -- he might not be sleeping, he
16 might just be sitting there with his eyes closed just listening. I don't
17 know. He usually opens them up.

18 MR. KAHN: Yeah, we'll keep an eye on them.

19 THE COURT: I don't know.

20 MR. KAHN: I'll keep an eye on him.

21 MR. PRINCE: We'll both keep an eye on him.

22 THE COURT: I'll try to -- okay.

23 MR. PRINCE: Okay.

24 [Sidebar ends at 4:07 p.m.]

25 THE COURT: Continue.

1 BY MR. KAHN:

2 Q Dr. Kaplan, I've put in front of you Exhibit FF - sorry, Exhibits
3 FFFF. It's a two-page exhibit from Valley Hospital from the surgical
4 records.

5 A Okay.

6 Q Are you able to take a look at them? Just let me know once
7 you've looked at them.

8 A I'm there. It looks like a physician's --

9 Q I'm sorry. Is it four Gs? It's four Gs if you flip the tab backs.

10 A Yeah -- number 8, you put me there, yes.

11 Q Okay. And does that document not indicate that the hospital
12 felt that Mr. Yahyavi was at moderate risk for smoking?

13 A I don't know where you're looking, but I can see --

14 Q If you look under tobacco, kind of down towards the bottom
15 of the first page.

16 A It says here -- it says, "social history, smoking history, former
17 smoker."

18 Q Right.

19 A It says, "former smoker", is what it says.

20 Q It says, "former smoker". So that's the opposite of what your
21 records say, correct?

22 A Somehow it became in my form -- it says, "never smoker". I
23 can flat out say I doubt I talked to him about it, but it says former smoker.
24 It stands --

25 Q The question to you is, former smoker is the opposite of

1 what your records that say, "never smoker", correct?

2 A Former smoker's different than never smoker, yes, obviously.

3 THE CLERK: Mr. Kahn, is your microphone on?

4 MR. KAHN: I thought it was, but I'll try to turn to turn it on. I
5 think it is.

6 BY MR. KAHN:

7 Q Dr. Kaplan, you've been disciplined by the Nevada State
8 Board of Medical -- the Nevada State Medical Board, correct?

9 A One time, you're right.

10 Q And part of that discipline involved medical record keeping?

11 A Yeah. I mean, here's -- here's the details -- I mean, are you
12 asking me the details?

13 Q No, I'm not. I'm just asking you did part of that discipline
14 involve the medical record keeping?

15 A It's a little too simplistic than that, but the answer is that's -- I
16 had an attorney involved. If you want to know the details, I will tell you,
17 but that's what I, quote, "pled to". It was ridiculous to be truthful.

18 Q Do you think Plaintiff had arthritis before this accident, yes or
19 no?

20 A Of course.

21 Q And do you think that the Plaintiff had degenerative disk
22 disease before this accident, yes or no?

23 A Yes.

24 Q Okay. So he had arthritis; he had degenerative disk; he had
25 osteophytes, he had all those things, correct?

1 A Yeah.

2 Q And are you blaming the autofusion of the spine on this
3 accident alone?

4 A Of course not.

5 Q So --

6 A You're talking about the autofusion at C6-7, specifically?

7 Q Correct.

8 A That was preexisting.

9 Q Okay. So just so the jury understands, when you say as a
10 doctor that it's preexisting, you mean that condition was in place in Mr.
11 Yahyavi's body before this accident, correct?

12 A Yes, sir.

13 Q How do you define the word "symptomatic"?

14 A Symptomatic means that you're having clinical symptoms
15 consistent with whatever pathology you're talking about.

16 Q So when you say something's asymptomatic, you're saying
17 as a doctor that you haven't identified any symptoms?

18 A There's no symptoms.

19 Q And for pain, whether somebody is symptomatic or
20 asymptomatic depends almost entirely on their representation to you as
21 to whether they have pain or not; isn't that right?

22 A It's -- again, as we talked about earlier, pain is subjective, but
23 you look for objective findings consistent with such.

24 Q Again, Mr. Prince asked you about this, Dr. Tung has
25 essentially the same qualifications you do, correct?

1 A He's a neurosurgeon, yes, sir.

2 Q Do you hold any professorships right now at any medical
3 schools or institutions?

4 A I'm in private practice. Dr. Tung's in academic medicine. So
5 the answer is when you're in private practice, you know -- I did before,
6 but no longer.

7 Q When did Mr. Yahyavi first come to see you? What date?

8 A I believe it was -- we can look at the records again, but I
9 believe it was August of 2017.

10 Q So a few years ago, right?

11 A Exactly, yes.

12 Q Whatever your records reflect that's the more accurate --

13 A It was August, some date on August the 2017.

14 Q And I'm not trying to put too fine a point on it. So whenever
15 a new patient comes into your office, they provide you with a full
16 medical history and whatever fashion your office requests, right?

17 A To the best of their ability. Obviously, a patient like this is
18 not going to be able to tell you the specifics of the injections they've had.
19 They're not that savvy. But the answer is they will give us the history to
20 the best of their understanding.

21 Q And that's standard practice in the medical field, right, to take
22 a patient history when they first come to see you, correct?

23 A Of course.

24 Q And that's because in part to treat a new patient, you and
25 your office want to ensure that you're completely familiar with any

1 earlier medical problems each of your patients may have had, right?

2 A Of course.

3 Q And when someone's treating for their neck, aside from
4 whether they tell you they have high blood pressure or they stubbed
5 their toe, you're going to pay particular attention to that part of their
6 body when taking a medical history, right?

7 A You're right. You know, what's interesting in this case here?
8 I never -- I looked in my first note there earlier and even before, I never
9 asked him specifics. I really believed to be truthful, I was -- it was a
10 shocking picture and history to me. In other words, a lot of times I'll ask
11 if you had preexisting neck or back issues before. I'll ask the question
12 specifically.

13 I look in my history of present illness at the first time I saw him,
14 didn't even talk about it.

15 Q Failure of a patient to provide the proper medical history,
16 response to request from a doctor or doctor's office could result in harm
17 to the patient; isn't that correct?

18 A Potentially, based upon the specifics of course. You have to
19 be a little bit more specific than that. That's very general.

20 Q Well, if someone had major head trauma when they were a
21 child -- this is hypothetical -- and they come to you for headaches 40 or
22 50 years later and they don't tell you I was dropped on my head when I
23 was kid, but they're aware of it, that would be a problem because you
24 would have more difficulty treating them, right?

25 A You would, but you know, again, we have to look at every

1 scenario and understand patients are patients. What they perceive to be
2 significant or insignificant may be significant to us and vice versa.
3 Obviously something like that, you've got to imagine or hope that a
4 reasonably intelligent individual will tell, but the answer is sometimes
5 there's errors of omission and sometimes it's frank lies. Anywhere from
6 errors of omission to frank lies.

7 And again, you try to look at each scenario, you know, in context.

8 Q You don't go and -- and I'm not making this specific to you,
9 but you don't go and do any kind of detective work to find out what your
10 patients' histories are before they come to you?

11 A Obviously not. I mean, the reality is patients come to us with
12 whatever records. In other words, in this scenario here, Dr. Bahoora sent
13 them over. So the chiropractor here in town, he would have sent some
14 records over with -- you know, when they send a referral over, they'll
15 send a couple records from their office and that will be the extent of
16 likely what you will have at that time.

17 Q And just to be clear, I'm not accusing you of anything by not
18 doing this, but there is no real way to -- there's no central repository
19 records. If I -- if hypothetically, if I wanted you to check on my medical
20 history, you'd have no way to do it unless I told you what facilities I went
21 to, gave you HIPPA releases, and that sort of thing, right?

22 A That is correct.

23 Q So as a result, when you take a medical history from a
24 patient, you're in essence, almost always, relying on the information
25 provided by that patient to the exclusion of all other information unless

1 like you said, patient happens to bring a record a two, right?

2 A Yeah. I mean, we're only -- patients are only as good as the
3 questions we ask. They're only as good as their interpretation of such,
4 and what we believe to be important.

5 Q And you take the patients' information as true. That's part of
6 your profession. You don't question the patient unless you have a
7 reason to do so, right?

8 A That's fair.

9 Q In this case, Mr. Yahyavi told you about the accident, right?

10 A Yes, sir.

11 Q He told you that he was hit by a forklift, correct?

12 A Yes, sir.

13 Q He told you it was going 30 miles an hour, right?

14 A That's what that one piece of paper you showed me says.

15 Q He came in with complaints including aches and pains in his
16 neck and his upper back when you first saw him, right?

17 A Neck and arm.

18 Q Neck and arm. And he told you -- sorry, pardon me -- and he
19 said he had neck pain since this accident, correct?

20 A Yes, sir.

21 Q He never mentioned to you at any point that he had any
22 treatment, medical visits, or x-rays taken for cervical issues in the two
23 years before this accident; isn't that correct?

24 A It's not in my records.

25 Q So the question to you again, is Mr. Yahyavi never told you

1 that he had neck problems in the two years before this accident; isn't that
2 correct?

3 A He never volunteered it to me.

4 Q Right. He didn't volunteer it with words, meaning he never
5 told you, right?

6 A Yeah. And I never asked him.

7 Q And in fact, while you were treating him, you understood the
8 opposite. You understood that he never had prior neck problems, right?

9 A I'll have to look at all the specific records there. I don't
10 believe I ever asked him on that -- certainly the first time, did he have
11 problems before, to be frank, I was so -- he comes to me with a
12 significant -- seemed to be a significant injury and showed me pictures
13 and I treated him accordingly.

14 Q Before you saw Dr. Tung's report -- can you look in your
15 report records and see when you first found out from Dr. -- first saw
16 about Dr. Tung -- can you identify -- your records have a -- that you're
17 looking at -- have a medical chronology that -- of your review, right?

18 A Right.

19 Q So what I'm asking you is what's the date of Dr. Tung's first
20 report that you reviewed?

21 A The first report. So I'm looking at my first report, which is
22 April 12 of 2018. And let me just go through that and see if there's one in
23 there. It's not in that first one. Then my second report of August 19 of
24 2018 --

25 Q And I'm sorry to put you on the spot. I'm doing the same

1 thing you're doing to try to assist.

2 A I'm trying to answer it for you.

3 Q So I'm not seeing it in your second report. I know it's in your
4 third report, November 13, 2018.

5 A No, here's one. On -- I have on page 14 of 27 in my second
6 report of 8/19/2018.

7 Q Got it.

8 A There's a report on 8/26/2016.

9 Q Yep.

10 A Says, IME 14 pages. So that's one.

11 Q Okay. So three years later you're referencing that you had
12 seen Dr. Tung's, at least, first report, correct?

13 A That I have -- hold on please. Let me answer your question
14 completely.

15 Q It's okay. You don't need to worry about it.

16 A And then the second, I have a third report --

17 Q That's okay.

18 A Okay.

19 Q It's fine. It's okay. I'll withdraw the question just to save
20 time.

21 A Okay.

22 Q Because I have a witness in the hall. So I apologize. Before
23 you saw Dr. Tung's report, you had never heard of this prior visit of Mr.
24 Yahyavi to Southwest Medical Associates, correct?

25 A No, because if you look there's a record here. His report date

1 December 13, 2018 he references it.

2 Q Right. So that's less than a year ago though, right?

3 A December 13, yes, sir.

4 Q So before that, for -- around Christmastime this past year,
5 you never considered the Southwest Medical Associates visits and Mr.
6 Yahyavi, correct?

7 A That's right.

8 Q So before Christmas of 2018 all of your opinions and all of
9 your recommendations and all of your medical decisions were based on
10 the information you had which included a lack of any identification of
11 prior pain symptoms or treatment or images, right?

12 A I was not aware of it until December 13, 2018. In the
13 meanwhile, Mr. Prince's office sent over a binder of records.

14 Q And during that time you also rendered what are called
15 causation opinions in this case. You said at certain points in your
16 opinions in the case that this car accident alone caused Mr. Yahyavi's
17 cervical problems, right?

18 A At -- yes, in my reports.

19 Q At those times?

20 A At those times.

21 Q And at those times you attributed all of his neck problems
22 just to the accident and not to any preexisting degenerative problems; is
23 that fair?

24 A Yeah.

25 Q And that's in part because you relied on what Mr. Yahyavi

1 told you as a patient, right?

2 A Well, I relied upon what he told me plus the remainder of the
3 records that I reviewed. In other words, I have three reports over here,
4 so it's more -- it's more complex than just whatever he tells you.

5 In other words, patients tell you what they tell you and then
6 sometimes the records do not corroborate what patients talk about in
7 general. So it's more than just what he told me, it's what the other
8 providers tell me too.

9 Q You're partially his treating doctor and a surgeon; that's one
10 role you have, correct?

11 A Yes, sir.

12 Q You're also partially now, sitting here today -- this isn't
13 medical treatment, right? You are a paid expert being paid for your time
14 in this case, correct?

15 A Yeah.

16 Q And you charge about \$6,000 for half a day of trial time?

17 A About.

18 Q Can you estimate how much you have charged Mr. Yahyavi
19 and/or, you know, his side to date?

20 A Probably -- I wrote three reports. I would say probably in the
21 10 to 13,000 hours prior today.

22 Q Okay. So adding the \$6,000, you're charging \$16 to \$19,000
23 ballpark, rough number?

24 A Give or take.

25 Q And you're here to try to -- you're being paid today to try to

1 convince the jury that this accident caused Mr. Yahyavi's problems or --

2 MR. PRINCE: Objection. Argumentative. Foundation.

3 MR. KAHN: -- or the lion's share of them?

4 THE COURT: Let him get the question out at least.

5 MR. PRINCE: Objection. Argumentative, foundation.

6 THE WITNESS: I'm not here to --

7 THE COURT: Overruled. Go ahead.

8 THE WITNESS: I'm not here to convince anybody of
9 anything. I mean, the bottom line is these are my opinions based on my
10 interpretation of the data. I mean, it sounds pretty -- I'm not here to
11 convince anybody of anything. They're there to determine what they
12 believe to be correct.

13 MR. KAHN: Okay. Thank you, Doctor.

14 THE COURT: Redirect.

15 MR. PRINCE: Yes.

16 THE COURT: The jury has any questions, start writing them
17 down.

18 REDIRECT EXAMINATION

19 BY MR. PRINCE:

20 Q Well, start with a couple simple ones. Dr. Kaplan, did
21 anything that Mr. Kahn asked you in any way change or alter your
22 opinions in any way whatsoever?

23 A No.

24 Q I want to start off with just this smoking idea for a moment.
25 Did smoking play any role in the onset of these symptoms?

1 A No.

2 Q Did smoking play any role in the healing from these injuries
3 or your surgery in any way?

4 A No, because the reality was I -- number one, I did the
5 surgery. He had no wound healing problems, no infections. There was
6 no complications related to smoking. Here's the issue there too, we as
7 surgeons always worry about smoking related to the time of surgery.

8 In other words, what you did in the past -- I don't want to say
9 it's a free pass -- but in a sense, we worry about what they call
10 pseudarthrosis or failure to fuse or failure to heal, as to what you're
11 doing from the time of the surgery on.

12 Q Okay.

13 A So the fact that you smoked 20 years ago or 10 years ago or
14 5 years ago or 2 years ago has no bearing on the -- no bearing at all on
15 the responses and the healing associated with surgery, none.

16 Q Very good. I want to show you part of Defense Exhibit QQ.
17 Page number 238. This is part of the intake form from August 11th, 2017
18 at your clinic, okay?

19 A Okay.

20 THE CLERK: Is this QQ?

21 MR. PRINCE: QQ, 23U.

22 THE CLERK: Because that one's --

23 MR. PRINCE: Do you have any objection to that -- us
24 showing that? Request --

25 THE CLERK: That's not in here.

1 MR. KAHN: No, that's fine.

2 MR. PRINCE: Very good.

3 BY MR. PRINCE:

4 Q And the question is, do you currently smoke or chew
5 tobacco? What was the answer?

6 A No.

7 Q Okay. Then it says -- goes down -- it says, if yes, how much
8 do you smoke, etcetera and then it asks about quitting. Did he answer
9 any of the questions about quitting?

10 A No.

11 Q So the statement that he never smoked, that somehow got
12 into the typed written record, right?

13 A How interesting, yeah.

14 Q Okay. And I want to show you another record from Exhibit
15 106.

16 A So that's a mistake --

17 Q Page number 1039. And if we can go to the smoking part,
18 the status. This is six months after the surgery, okay? It's dated June
19 1st, 2018. It says, smoking status, no, duration, quit more than two years
20 ago; do you see that?

21 A That's what it says.

22 Q So you obviously had a discussion with him about smoking?

23 A He would have checked these things off. Appreciate, we do
24 the best we can to talk to patients about all of these issues, but what
25 happens is people fill out forms and they'll answer these questions for

1 us. The most important thing for me was related to surgery, he didn't
2 smoke.

3 Q Understood. My point is all of this is, do you perceive Mr.
4 Yahyavi to be a liar and you can't rely on his medical history and the
5 information he's given you?

6 MR. KAHN: Your Honor, I'm going to object.

7 THE COURT: Sustained.

8 BY MR. PRINCE:

9 Q Based upon these statements and the questions by Mr. Kahn
10 that he told you he was never a smoker, does this in any way affect
11 whether -- the reliability of the information he's provided to you?

12 A I don't think so, no.

13 Q All right. Now, you have reviewed the Southwest Medical
14 records, right?

15 A I have.

16 Q And Mr. Kahn just asked you question about those, didn't
17 he?

18 A He did.

19 Q And on the date of the first visit, which is Exhibit Number
20 156, Bate number 2113 --

21 MR. KAHN: Your Honor, can we approach briefly?

22 THE COURT: Yes.

23 [Sidebar begins at 4:27 p.m.]

24 MR. KAHN: I think my questions were more in the nature of
25 hypotheticals and letting him discuss what he talked about with Dr.

1 Tung, which was the Court's ruling. Now, counsel's showing him that
2 records --

3 MR. PRINCE: No, you actual --

4 MR. KAHN: -- which I did not do.

5 MR. PRINCE: You actually referenced the records, asked if he
6 reviewed the records, you talked about the findings on those records,
7 that he complained in the Southwest Medical records that he complained
8 of neck pain for years. You specifically talked about the degeneration
9 findings, noted on the Southwest Medical x-rays. You asked all those
10 questions in the listed and answered.

11 That's opening the door, Judge. And I'm going to now ask
12 him about it specifically. He did on cross exam -- he did this on cross-
13 examination.

14 MR. KAHN: When he said he got the records but never put
15 them in his report, I backed away.

16 MR. PRINCE: No, you didn't. You asked him specific
17 questions about the findings for --

18 THE COURT: I guess it was a lot of questions on the records
19 themselves so I don't see how they're not allowable, if you will or have
20 him -- I hate to use the open the door, but I'm going to allow the
21 question.

22 MR. PRINCE: Thank you.

23 MR. KAHN: Thank you, Your Honor.

24 [Sidebar ends at 4:28 p.m.]

25 BY MR. PRINCE:

1 Q So now we're going to look at -- well, first off, we're going to
2 look at a few records just because we're almost done here. But in
3 looking at the records, just let's talk about, from Southwest Medical, do
4 the records support any idea or notion, Dr. Kaplan, that Mr. Yahyavi had
5 ongoing, symptomatic, multilevel disk problems or pain before this
6 collision?

7 A No, and I'll tell you why. There's records -- there's four or
8 five records in there. I think it's the second record that talks about neck
9 issues. And then basically it says full range of motion, they ordered
10 some x-rays. I think they gave him some naproxen, a little bit of non-
11 steroidal. Then he comes back over the course of time that says he's
12 asymptomatic and has no complaints over the course of time. And I
13 believe the last record from Southwest Medical was a -- actually like a
14 month or two prior to the accident and he says he has no complaints.

15 So if somebody is having significant neck complaints, I think like
16 we talked about earlier, you would have had physical therapy, chiro, pain
17 meds, MRI scans, pain management, surgical eval, all those things. I
18 think there's one note of a -- right in the middle of like four or five where
19 the first note says nothing about -- and actually I think the last note says
20 no complaints at all.

21 Q Okay.

22 A So if he's got chronic problems with his neck that's
23 significant, he's got to tell his doctor. And not only that, he had full
24 range of motion of his neck. So the whole thing doesn't seem to make
25 much sense to me.

1 Q Very good. Let's go to the one note, October 25, 2011, 2110,
2 that's the one where he is reported complains of some kind of neck pain
3 for several years. Let's just talk about that briefly. Just bring up the
4 subjective through the neck exam. All right. Through the neck exam.

5 A So he's meds -- he's on no pain meds.

6 Q Well, let's -- perfect. Says subjective, sent for lab results,
7 also complains of pain for several years, denies any history of neck
8 surgery, no neck trauma. So we know there's no trauma, right --

9 A Right.

10 Q -- according to this? Okay. Is there any medications
11 associated with any sort of pain or anything like that?

12 A No.

13 Q Down on the examination, it says supple with full range of
14 motion; do you see that?

15 A I do.

16 Q If someone has multi-level discogenic pain and facet pain,
17 are you going to have full, pain free range of motion?

18 A No. We talked about that before.

19 Q Right.

20 A Absolutely not.

21 Q Okay. Can people have neck stiffness, aches without it being
22 associated with your disk or a facet or some kind of chronic problem?

23 A Ask your question one more time please.

24 Q Sure. Can you have neck symptoms, or you know, achiness
25 or stiffness without it being even associated with a disk problem?

1 A Absolutely right.

2 Q Or a facet problem?

3 A Absolutely right.

4 Q Okay. Did this physician order any type of treatment directed
5 to the neck?

6 A He's got no spasms there too.

7 Q Is that important for you?

8 A That's important.

9 Q When you saw him, did he have full range of motion?

10 A He did not.

11 Q When you reviewed the medical records in this case, did he
12 ever have full, pain free range of motion any time after this collision?

13 A He did not.

14 Q Is that a significant clinical change from this?

15 A It's very different. It's night and day.

16 Q And more importantly, is there any arm symptoms reported
17 in any Southwest Medical?

18 A No.

19 Q Is that an important factor for you in your analysis among
20 others?

21 A That's probably the most important one, to be truthful there,
22 because again -- you know, again, the main reason I operate, the main
23 one -- we operate for neck pain, but it's the arm symptoms. It's the
24 radicular symptoms. It's the discogenic problem. That's the main
25 reason I operate on the man. And he's got no -- there's no description of

1 that in his record.

2 Q All right. Now, let's go to the November 2012 visit. That's
3 one year after that note, okay? That's 2106. The subjective through the
4 review systems. Okay. It says 50-year-old male.

5 MR. PRINCE: Get the date in line for us, if you could, Greg.

6 BY MR. PRINCE:

7 Q Fifty-year-old male -- let me highlight subjective -- presents
8 to discuss lab results, states that he is feeling well without physical -- any
9 physical complaints. Do you see that?

10 A That's what it says.

11 Q And if someone who had ongoing chronic, multi-level disk
12 and facet pain would you expect them to be -- have no physical
13 complaints at all?

14 A You're going to have neck complaints.

15 Q Did he have any -- it says current meds. There's two listed
16 there. Do you see that?

17 A Yeah, hypertensive medication and it looks like some drop,
18 some drops --

19 Q Was he on any pain medication of any kind?

20 A No.

21 Q Was he -- someone who's got ongoing chronic pain, do you
22 generally see them with some type of prescription, either anti-
23 inflammatory, muscle relaxer, some type of opioid pain medication, or a
24 combination of all three?

25 A All the time.

1 Q Okay. And it says under the muscular skeletal -- you can just
2 -- it says no persistent muscular pain; do you see that?

3 A I do.

4 Q Right. Was this important to -- now, even though you didn't
5 know at the time you wrote your report, now seeing it, is that consistent
6 with your overall analysis of this case, that he had no significant problem
7 before this collision?

8 A Yeah.

9 Q Okay. Is that your opinion, to a reasonable degree of medical
10 probability?

11 A Yes.

12 Q The mere fact that he -- there's one note; does that appear to
13 be an out layer of sorts?

14 A Yeah, because the reason -- the way I view it is you have one
15 record sandwiched in between others. So if it's sandwiched, to me it
16 doesn't sound like a significant problem.

17 Q Would you expect there to be recommendations for
18 treatment if there was a significant ongoing problem?

19 A No question --

20 Q Was there any --

21 A -- like we talked about before.

22 Q Was there any recommendations for treatment made by any
23 one of these Southwest visits?

24 A I think they ordered an x-ray and they gave him naproxen
25 and I think he stopped it within a few months or six months.

1 Q Well, I'm showing the November 2012 and he wasn't on
2 naproxen that day.

3 A Yeah, he was off it. He's --

4 Q Off it?

5 A -- on -- he's on no pain meds a year -- a little less than a year
6 actually. It would be seven months or so --

7 Q Yeah.

8 A -- prior to the accident we were talking about.

9 Q Okay. Was that significant to you?

10 A Very significant.

11 Q Okay. Now, I want to kind of go to Bate number 2119, which
12 is actually the radiology report from Southwest Medical. Okay. If you
13 can pull me the findings.

14 A Say it again, sorry.

15 Q I'm just telling the -- my tech guy to pull it up here. Then it
16 says here he has multi-levels of degeneration.

17 A No question.

18 Q Was there any clinical medical evidence in the 2011 to 2013
19 timeframe that those levels were causing symptoms or problems for Mr.
20 Yahyavi?

21 A No.

22 Q Is that your opinion, it's a reasonable grade medical
23 probability?

24 A Yes.

25 Q And then in the middle here, this is kind of -- it says correlate

1 clinically; do you see that?

2 A Yeah.

3 Q All right. Is that what we've been doing in this case?

4 A Of course.

5 Q And this radiologist, who doesn't see patients, he just looks
6 at films, right?

7 A Yes.

8 Q Is that -- a radiologist, they just stay in a dark room, I've
9 heard it explained, I sit in a dark room and I just look at these x-rays,
10 MRIs or CT scans all day, right?

11 A Right.

12 Q And they say okay, I don't know what's really going on with
13 this patient, but I'm going to leave it up to you surgeons or doctor to
14 clinically correlate whatever findings on this exam?

15 A It's just like we talked about in the beginning, you have the
16 pie chart --

17 Q Right.

18 A -- and the answer is history is the foundation, x-rays, and
19 isolation are clinically meaningless.

20 Q Right.

21 A I mean, look, like I said earlier, if you have broken bone with
22 two bones malaligned, it's obvious. But in situations like this, absolutely
23 not.

24 Q Okay. There's a discussion about this lordotic curve, which is
25 kind of the C -- the little curvature of the spine; is that clinically significant

1 to you in any way?

2 A No.

3 Q Okay. That's a relatively soft finding, don't you think?

4 A Big time.

5 Q Because there's so many things that could influence that,
6 right?

7 A Right.

8 Q And we correlate that back to the examination, was there
9 spasm at the time of that October 25th, 2011 evaluation?

10 A According to the medical doctor, no. So --

11 Q Right.

12 A -- they're saying correlate with spasm, but it doesn't exist.

13 Q That's why I point -- could you -- did you clinically correlate
14 the straightening of the curve with a spasm on an exam from the exact
15 same date?

16 A As we get older -- I'll give you an example. When we're
17 young, we have a nice C-shape curve of our neck. As we get older, it
18 tends to straighten out. That's just the nature of the beast.

19 Q Okay. Can spasms though influence the -- whether the
20 curvature?

21 A It can.

22 Q Right. And in this case, since there's no spasm present, it's
23 not influencing the curvature?

24 A Correct.

25 Q Would that be fair to say?

1 A Correct.

2 Q No clinical correlation?

3 A Correct.

4 Q Now, in my opinion -- well, strike that. In your opinion, not
5 my opinion, you're giving the testimony here today, if this was a minor
6 event, would the EMT personnel from the Las Vegas Fire and Rescue
7 Department have called for a full UMC trauma activation?

8 A No. The person went to UMC trauma to our level 1 trauma
9 center. This is a significant accident. There's no question.

10 Q Okay. And you obviously reviewed the Las Vegas Fire and
11 Rescue records, right?

12 A I have.

13 Q I want to ask you a question about those just briefly to talk
14 about --

15 MR. KAHN: Your Honor, beyond the scope.

16 BY MR. PRINCE:

17 Q We talked about the severity and the speed and so I want to
18 talk about --

19 THE COURT: Counsel, approach.

20 [Sidebar begins at 4:38 p.m.]

21 THE COURT: I agree. It was never ever talked about,
22 anything to do with the EMTs or anything.

23 MR. PRINCE: Well, it doesn't matter. I can -- well, I'm trying
24 to rebut some of his argument.

25 THE COURT: It's beyond the scope of his cross.

1 MR. PRINCE: He did talk about what was happening at the
2 hospital. He did talk about UMC trauma level. He did talk about this
3 being -- not being a -- questioning the speed. So that is an issue. It goes
4 back to rebut some of his questions and suggestions.

5 THE COURT: Well, he's not going to talk about speed other
6 than what he was told. What does that have to do with the EMTs? This
7 is all new stuff. And yeah, he's right. It's outside the scope of his cross.

8 MR. PRINCE: Okay. I'll withdraw.

9 [Sidebar ends at 4:39 p.m.]

10 THE COURT: I'm sustaining the objection.

11 MR. PRINCE: Okay.

12 BY MR. PRINCE:

13 Q What prior academic work have you done, Dr. Kaplan?

14 A I did my residency, as we talked about, my fellowship at
15 Washington University in St. Louis. After I finished there, I went on staff
16 at the University of Cincinnati Department of Neurosurgery for two
17 years. I took an academic position like Dr. Tung. I decided after two
18 years to move here to Las Vegas, as the city was growing. I think I still
19 may have an adjunct professorship at Touro, but we're not very active in
20 that -- me -- my group.

21 Q Okay.

22 A So for two years I was the University of Cincinnati
23 Department of Neurosurgery. I decided to leave that realm and move
24 here to Las Vegas. I think nearly all of my colleagues here in town do
25 not have -- in other words, now we're going to have the University of

1 Nevada, you know --

2 Q Right.

3 A -- Las Vegas Medical School in the future, very -- you very
4 well may have.

5 Q Well, we have -- you have medical residents at UMC, right?

6 A Yes.

7 Q Do they ever follow you, or shadow you?

8 A Yeah, absolutely. We have medical residents at -- you know,
9 I've had residents shadow me from UMC. I've had residents shadow me
10 from Valley. I have residents shadow me from Mountain View. Today I
11 had a resident -- I was operating at Valley shadowing us.

12 Q And you're saying shadowing, you're actually educating
13 them during the course of your surgeries, right?

14 A Of course. I -- I don't have an official title with -- you know,
15 with -- with the University of X, but the answer is, you're involved in the
16 teaching of residents -- and -- and again, we're don't a neurosurgery
17 training program here in Las Vegas. There's a general surgery training
18 program. There's neurology. A variety of others, but not neurosurgery.

19 Q Right. But residents follow you during the -- so they could
20 understand the field of neurosurgery, right?

21 A I think it's important to say -- the reason why it's important is,
22 I think it's important, because even if you're not a neurosurgeon, you
23 want to understand what's important to us; what are we looking for; in
24 other words, we talk to residents from these hospitals, and we explain to
25 them, you know, when they evaluate somebody what's important to us,

1 because when they go in the -- I dare call it the real world -- it's going to
2 be very important for the care of their patients, and they'll be interacting
3 with colleagues like myself.

4 Q Okay. Very good. So you're involved with teaching, and
5 shadowing, and mentoring other positions, not just in the field of
6 neurosurgery, but other areas of medicine?

7 A Of course.

8 Q Okay. Very good. Now, that you have reviewed the medical
9 record from Southwest Medical Associates, does that, in any way -- does
10 that support your opinions?

11 A It doesn't change my opinion at all.

12 Q All right. There's no -- does it support your opinion that
13 there's no pre-existing, on-going symptomatic problem for which Mr.
14 Yahyavi needed medical treatment?

15 A Correct. I mean, again, what we just talked about a few
16 minutes is, you have a record seven months before with no complaints.
17 He's clinically asymptomatic, as it relates to his neck at the minute -- and
18 other's functions, or other organs, whatever may be may, but we're here
19 to talk about the neck, so he's clinically asymptomatic, as it relates to his
20 neck at that time.

21 Q Right. Very good.

22 MR. PRINCE: No additional questions, Your Honor. Thank
23 you.

24 THE COURT: Recross.

25 MR. KAHN: Your Honor, no further questions.

1 I'd ask to go get my witness. I'd like to get him started today
2 if possible.

3 THE COURT: Well, I mean, any questions from the jury, raise
4 your hand? No questions.

5 Thank you. You may step down.

6 THE MARSHAL: Watch your step. Remain standing. Face
7 the clerk of the court.

8 THE CLERK: Please raise your right hand.

9 JOSHUA ARBUCKLE, PLAINTIFF'S WITNESS, SWORN

10 THE CLERK: Please be seated. Please state your name and
11 spell it for the record.

12 THE WITNESS: My name is Joshua Arbuckle, J-O-S-H-U-A
13 A-R-B-U-C-K-L-E.

14 THE CLERK: Thank you.

15 DIRECT EXAMINATION

16 BY MR. PRINCE:

17 Q Mr. Arbuckle, good afternoon.

18 A Good afternoon.

19 Q My name is Dennis Prince, and I represent Mr. Yahyavi, who
20 was the driver of the black Charger involved in the collision with your
21 forklift. We've never met before, correct?

22 A No, sir.

23 Q Well, thank you for your patience and being here today. I
24 have a few questions for you. Before you -- were you aware that Clifford
25 Goodrich, the safety manager for Capriati testified last Friday? Were you

1 aware of that?

2 A Yes, sir.

3 Q Right. And this is -- these are your team of lawyers here,
4 right? This is who has represented you throughout -- these people
5 here -- these gentlemen?

6 A They don't represent me. They represent Capriati.

7 Q Okay. And you were hired -- you were an employee of
8 Capriati Construction in June of 2013, correct?

9 A Correct.

10 Q And you were hired by Capriati Construction in 1996 actually
11 as a laborer, right?

12 A Correct.

13 Q And then as a laborer, you're primarily responsible for
14 shoveling, sweeping, things like that?

15 A Correct.

16 Q And you were a laborer, as I understand it, for approximately
17 five years; is that right?

18 A Correct.

19 Q And after that you became a concrete cement finisher, true?

20 A True.

21 Q And it is your understanding while working a Capriati, that
22 Capriati did primarily large public works projects?

23 A Correct.

24 Q Right. And so in addition to laborers and cement finishers,
25 Capriati Construction also employed operators of equipment for their job

1 sites, correct?

2 A Correct.

3 Q So you were a finisher and they have a title of employees
4 who operate equipment called operators?

5 A Right.

6 Q Right. Now, prior to June 2013 you never received any sort
7 of certification for a forklift operation, correct?

8 A Correct.

9 Q You had driven and/or operated forklifts to move material on
10 job sites for unloading and loading trucks, pallets, those types of things,
11 right?

12 A Right.

13 Q Right. But prior to June 2013, you had been instructed by
14 senior management not to use a forklift; they had told you that before
15 that day right?

16 A I've been told before. Yes, sir.

17 Q Okay. Now, in June 2013 you were doing work near Boulder
18 Highway and --

19 A Glen.

20 Q -- Sahara Avenue, right?

21 A Uh-huh.

22 Q I'm sorry, is that a yes?

23 A Yes, sir.

24 Q Yeah. I know what you're saying, but the court reporter
25 needs to make sure that we have everybody -- go to --

1 MR. PRINCE: If you can go to Demonstrative 10.

2 THE CLERK: What is this?

3 MR. PRINCE: It's a demonstrative slide.

4 THE CLERK: Oh, N?

5 MR. PRINCE: No. Demonstrative.

6 THE CLERK: Oh, okay. Just demonstrative.

7 MR. PRINCE: Yeah.

8 MR. KAHN: And I'm sorry, for the clerk's benefit, that was in
9 the opening.

10 MR. PRINCE: It's part of the PowerPoint.

11 THE CLERK: Oh, okay. Thank you.

12 MR. PRINCE: Yep. I call it Demonstrative 10, just for my
13 reference.

14 BY MR. PRINCE:

15 Q And so I'm showing you like a Google Earth aerial depiction
16 of the project, yeah?

17 A Yes, sir.

18 Q Just to orientate the jury, this area right here near Glen,
19 that's where the collision occurred, right?

20 A Correct.

21 Q And you were doing work just south of Sahara, right, where
22 it says, "Capriati Construction", right? There's some ongoing work in
23 that area?

24 A We were working all over there, not just in that park, but yes,
25 sir.

1 Q Yeah. I'm only talking about you were doing work in this
2 area -- just part of the work was there?

3 A Correct.

4 Q The whole work was -- most of the work was actually going
5 on, on Boulder Highway down there, right?

6 A Right.

7 Q Right. But you had some -- this area was -- you were doing
8 some roadwork in that area?

9 A Correct.

10 Q And this area where -- down here at the corner of Glen and
11 Boulder Highway where I've said Capriati Construction Corp, that was
12 like a storage yard where you could keep material, equipment that type
13 of stuff?

14 A Right.

15 Q Okay. And so on June 19, 2013, you're working on the south
16 of Sahara and Glen Avenue, right?

17 A Correct.

18 Q And as I understand it, the project is in the, kind of wrap up
19 phases, right?

20 A Right.

21 Q And you weren't always assigned to this project, right? You
22 did most -- a lot of your work for the Las Vegas Valley Water District --

23 A Right.

24 Q -- doing some repair and patching of work associated with
25 some of their projects?

1 A Correct.

2 Q Okay. But that day you were also -- had another co-
3 employee down by the name of Darian?

4 A Correct.

5 Q Okay. So you weren't working down there by yourself,
6 correct?

7 A Correct.

8 Q And at some point, you decided you wanted to use a forklift
9 to go to the storage over on Glen and Boulder Highway?

10 A Correct.

11 Q Right. Actually, according to your testimony that you've
12 given, you don't even know why you were even using the forklift that
13 day at all, correct?

14 A I couldn't remember.

15 Q Right. As you sit here today, and at your deposition, you just
16 don't remember, why you were even on the forklift that day, right?

17 A I said I couldn't remember why I had gotten it, correct, sir.

18 Q Yeah. But you do know that Doug Goss [phonetic], the
19 former safety manager, had told you not to operate forklifts before this?

20 A Before that, that's right, but not --

21 Q Okay.

22 A -- on that job.

23 Q And so I want to -- you agree that --

24 MR. PRINCE: Let's see Demonstrative 1.

25 BY MR. PRINCE:

1 Q Let me see if you agree with a few safety concepts. Okay.

2 Do you agree that safety is the most important aspect of any

3 construction site job?

4 A Correct.

5 Q Safety is just number one?

6 A Right.

7 Q Safety first?

8 A That's right.

9 Q And do you agree that each person should be responsible for
10 their own safety and the safety of others around them?

11 A Correct.

12 Q Do you agree that all accidents are preventable?

13 A Not all accidents.

14 Q The vast majority are preventable?

15 A The majority, yes, sir.

16 Q If you're not trained or authorized to do something, you
17 shouldn't do it; you agree with that, right?

18 A Correct.

19 Q Do you agree you should always follow the rules and the
20 safety rules --

21 A Correct.

22 Q -- and the directives by your superiors?

23 A Yes, sir.

24 Q And you should use all equipment safely and in the proper
25 way, correct?

1 A Correct.

2 Q All right. One second, sir.

3 MR. PRINCE: All right. If we could go to Demonstrative 15.

4 BY MR. PRINCE:

5 Q See if you agree with a few other things. Do you agree that
6 an operator of construction equipment must take all steps necessary to
7 avoid injury or harm to other motorists; do you agree with that?

8 A Yes, sir, I do.

9 Q Okay. And to the left there, that's the forklift that you were
10 operating the day of this collision, correct?

11 A Correct.

12 Q And to the left of that forklift is a tractor that was there to
13 load, like, trench plates -- those large metal plates that cover the
14 roadway -- someone was there putting those trench plates on the trailer
15 of that truck, right?

16 A Right.

17 Q And that truck was blocking your view of the road, wasn't it?

18 A Correct.

19 Q Right. And you agree that an operator of construction
20 equipment must not enter the roadway, unless it's safe?

21 A Correct.

22 Q Do you agree that an operator of construction equipment
23 must not enter the roadway when their vision is obstructed, correct?

24 A Correct.

25 Q Construction equipment, you agree, creates special safety

1 hazards on the road; you agree with that, right?

2 A Yes.

3 Q You agree that a forklift, while you may be able to operate it
4 on the road, it has its own special safety hazard, because it's operate, or
5 function like a regular automobile, right?

6 A Correct.

7 Q And it has dangerous objects on the front of it?

8 A Right.

9 Q Right. And is entering the roadway when your vision is
10 obstructed is unsafe and can cause serious injury; do you see that?

11 A Yes, sir.

12 Q You agree with that too, right?

13 A Yes, sir.

14 Q Now, when this happened, as I understand -- let's go to a few
15 pictures --

16 MR. PRINCE: You can go to 127.

17 BY MR. PRINCE:

18 Q That photograph -- you wanted to drive the forklift onto Glen
19 Avenue and make a right turn, correct?

20 A Correct.

21 Q And when you -- you agree that Mr. Yahyavi, he was in the
22 dedicated travel lane for travel, based upon the -- where the cones were
23 placed, when this happened?

24 A And which lane would that be?

25 Q He was in the dedicated lane, right?

1 A He was in a lane.

2 MR. KAHN: Sorry, I'm going to object. Vague, as to which
3 lane is being discussed.

4 THE COURT: I'll sustain it.

5 MR. PRINCE: Okay. Let's go to Exhibit Number 83A. Let's
6 go to 2008. Okay. Fine. Let's go to Demonstrative 13.

7 BY MR. PRINCE:

8 Q So look at the photograph on the left. Do you see that?

9 A Yes, sir.

10 Q That's you in the picture talking to the police officer, isn't it?

11 A Correct.

12 Q Okay. You are standing inside the construction zone, right?

13 A Correct.

14 Q Because everything to the right of the cone is the
15 construction zone, correct?

16 A Correct.

17 Q And you agree that before this, the entire right turn lane on
18 Sahara was shut down by the construction equipment, and the
19 placement of the cones, right?

20 A Correct.

21 Q So Mr. Yahyavi when he's to the left of that cone, he's in the
22 dedicated travel lane, isn't he?

23 A At that position, yes.

24 Q Right. And well, that's where you hit him?

25 A But that wasn't the original position he was in when I first

1 saw him.

2 Q I'm not asking that.

3 A Okay.

4 Q I'm asking when you came into contact with him with your
5 fork to that forklift, he was in the dedicated travel lane, correct?

6 A Correct.

7 Q You didn't see him in that dedicated travel lane, did you --

8 A No.

9 Q -- before the impact?

10 A No, sir.

11 Q I'm just asking you -- so when this impact occurred, the fork
12 to that forklift were actually in the roadway --

13 A Correct.

14 Q -- dedicated for travel, correct?

15 A Correct.

16 Q So initially --

17 MR. PRINCE: Let's go back to the aerial.

18 BY MR. PRINCE:

19 Q Initially you saw Mr. Yahyavi, he was traveling on Sahara
20 Avenue, correct?

21 A Correct.

22 Q He was going east, correct?

23 A Correct.

24 Q That obviously would have been west or to the left of Glen
25 Avenue, correct?

1 A Correct.

2 Q You made the assumption that he was going straight, and
3 not going to make a turn onto Glen, correct?

4 A Correct. I thought he was going straight.

5 Q Right. And so what happens is, you start to drive the forklift.
6 You're now trying to go in front of the truck, correct?

7 A Correct.

8 Q Right. The truck wasn't the only obstruction. There's also a
9 cement mixer, correct?

10 A Not correct.

11 MR. PRINCE: Let's look at 2008. We can look at 134.

12 BY MR. PRINCE:

13 Q You see the cement mixer in this picture?

14 A Yes, sir, I do.

15 Q Right. And that's another -- that was also another
16 obstruction of your view, correct?

17 A The problem is that came after the accident.

18 Q Yeah. Well, Mr. Goodrich, the safety manager, was here and
19 he said that you told him that there was two trucks there.

20 A I don't remember saying that.

21 Q All right.

22 MR. KAHN: I'm going to object to that, Your Honor. Lacks
23 foundation. What somebody else said in court, unless he has a
24 transcript.

25 MR. PRINCE: No, that's not the case.

1 THE COURT: The jury can decide. They've heard the
2 testimony.

3 MR. PRINCE: Right.

4 BY MR. PRINCE:

5 Q In looking at Exhibit 64 -- excuse me -- yeah, Exhibit 64, Bates
6 Number 136, you agree that the fork to that forklift went out into the
7 roadway and collided with that truck, correct -- I mean, with Mr.
8 Yahyavi's car?

9 A Correct.

10 Q Right. As you started to move, you started to elevate the
11 forks, correct?

12 A Correct.

13 Q And while you're driving you thought that Mr. Yahyavi was
14 going to go straight, and you never saw him obviously clear before you
15 entered the roadway, correct?

16 A Correct.

17 Q And that truck was obstructing your view the entire time,
18 correct -- up until the moment of this collision, correct?

19 A Correct.

20 Q Right. And in fact, at no point, before this collision were you
21 even aware that the forks went out into the travel lane, correct?

22 A Correct.

23 Q So as you're driving and you're moving forward, you're
24 lifting the forks up, right -- at the same time?

25 A Right.

1 Q At the same time you're moving forward, fork's coming up,
2 you never see the forks go past the cone in front of you, right?

3 A Right.

4 Q So obviously, you're not paying attention to what's
5 happening in front of you, right -- because you didn't see that?

6 A I was looking at the road waiting to see Mr. Yahyavi pass.

7 Q But you're obviously -- he didn't pass. You're looking at
8 something, but you're not noticing that the forks are now going beyond
9 the cones, correct?

10 A Correct.

11 Q Because those forks -- what are they about six-feet long?

12 A Six feet.

13 Q They almost fully went into the windshield of the car, right?
14 Because you backed up to get to this point, on this photograph.

15 A Right. I backed up there.

16 Q Right. So those forks were almost all the -- completely in the
17 lane at the point of impact?

18 A I -- I don't believe so.

19 Q Well, look how far -- look how far the -- look how far you
20 backed up. You can look at the photograph with me. I mean, you
21 backed up at least four or five feet at that point, right?

22 A I backed up from the tire mark that was here.

23 Q Yeah.

24 A So I wouldn't be completely in the roadway. There would be
25 a portion of it in the roadway.

1 Q A significant portion was in the roadway, right?

2 A Correct.

3 Q That you didn't even know was there, right?

4 A Correct.

5 Q Right. And you agree that this accident occurred because of
6 an error in your thinking, in your words?

7 A Yes.

8 Q It was preventable, wasn't it, by you?

9 A Most accidents are. Yes, sir.

10 Q I'm just talking about this one, respectfully. This accident
11 was preventable by you, correct?

12 MR. KAHN: Objection. Hypothetical.

13 THE COURT: Overruled.

14 BY MR. PRINCE:

15 Q Right.

16 A Yes, sir.

17 Q Right. You didn't asked Dario [phonetic] to come out and
18 help and make sure traffic was clear? You have a co-worker, correct?

19 A Correct.

20 Q You didn't ask the driver of that Peterbilt, hey, can you please
21 make sure traffic is clear, I want to pull out onto Glen; you didn't do that
22 either, correct?

23 A No, sir.

24 Q Right. And you didn't go ask the flagger, who was onsite, to
25 come over and help you, because you wanted to drive the forklift out

1 onto Glen, right?

2 MR. KAHN: Objection. Assumes facts not in evidence. Lacks
3 foundation.

4 THE COURT: Counsel approach.

5 [Sidebar begins at 5:00 p.m.]

6 MR. PRINCE: These are deposition exhibits or objections.

7 THE COURT: And your question -- go ahead, what was your
8 objection?

9 MR. KAHN: My objection is he's asking him a leading
10 question as a direct witness about --

11 MR. PRINCE: Whoa.

12 MR. KAHN: -- a flagger being there, and he's assuming that
13 there was a flagger. Let him lay the foundation asking if there was one
14 or not.

15 MR. PRINCE: We did on Friday.

16 THE COURT: Well, that was Friday. Lay a foundation.

17 MR. PRINCE: What's the foundation?

18 THE COURT: Whether or not there was a flagger.

19 [Sidebar ends at 5:01 p.m.]

20 THE COURT: I'm sustaining the objection. Go ahead.

21 BY MR. PRINCE:

22 Q The daily inspection records from Clark County indicate that
23 there was a flagger present that day. Were you aware there was a
24 flagger?

25 A No, sir.

1 Q Are you familiar with what a flagger is?

2 A Yes, sir.

3 Q What is a flagger?

4 A A flagger is somebody who slows down or stops traffic.

5 Q Okay. If you can look at Exhibit 13 0056. It said under the
6 crews, there's three operators, one laborer, and one flagger. Do you see
7 that on there?

8 A Yes, sir.

9 Q Did you call or look for the flagger to come help you?

10 A I don't even know how to answer that. There was no flagger
11 there.

12 Q There was no flagger that day?

13 A There was -- that's not even correct. There's no way that
14 that's correct. There was two people on the jobsite, no operators, one
15 laborer, one finisher, no flaggers.

16 Q Well, this is what the Clark County Public Works inspector
17 documented.

18 A It doesn't match what was there.

19 Q Okay. It doesn't match your recollection?

20 A No, sir.

21 Q Okay. So regardless, whether there was a flagger there or
22 not a flagger there, you didn't list the help of anybody to make sure the
23 traffic was clear, correct?

24 A Correct.

25 Q All right. Now, after this happens, you go to -- the collision

1 happens. That's your first sign even of Mr. Yahyavi's car again, right?

2 A Can you repeat that, please?

3 Q Sure. The collision was your first indication that Mr. Yahyavi
4 was trying to pull onto Glen, correct?

5 A Correct.

6 Q And obviously, it was very scary to you when this happened,
7 correct?

8 A Correct.

9 Q And you got off the forklift, correct?

10 A Correct.

11 Q Because you were worried about a severe injury or serious
12 injury to Mr. Yahyavi, correct?

13 A Correct.

14 Q Because it was a hard impact, wasn't it?

15 A Yes, sir.

16 Q And even to you on that forklift, it appeared to be a hard or
17 heavy impact, right?

18 A I didn't really feel it on the -- on the forklift. The forklift is a
19 big piece of steel, so you wouldn't really feel it much.

20 Q But you -- for the Charger, it would've been a hard impact,
21 right?

22 A Correct.

23 Q Right. And when you got to Mr. Yahyavi, he was frantic in
24 the car, wasn't he?

25 A Yes, sir.

1 Q Those are your words; frantic. Tell us what -- tell the jury
2 what he was doing in the car.

3 A From what I remember, all Mr. Yahyavi kept saying was
4 something hit me. And I -- and I was just trying to talk to him and see if
5 he was okay and keep him talking because I didn't -- I didn't know if he
6 had any type of head injury. And the way he was acting, I just wanted to
7 make sure that he wouldn't go unconscious. So I kept talking to him and
8 making sure he was fine.

9 Q He didn't appear to be fine, did he?

10 A He was shaken up.

11 Q Right. He didn't appear to be fine, did he?

12 A I -- there was nothing visible that looked bad. But the way he
13 was acting didn't seem normal.

14 Q Right. I mean, it looked like somebody who had went
15 through a traumatic experience of some kind, right?

16 A Yes, sir.

17 Q Right. And you're there, obviously, to try to assist until
18 emergency medical personnel get there. You're just helping out, right?

19 A Correct.

20 Q Okay. And I mean, with all due respect to you, you caused
21 this collision, didn't you?

22 A Yes, sir.

23 Q Okay. And you caused it while you were driving a forklift
24 owned by Capriati, correct?

25 A Correct.

1 Q Doing work for them --

2 A Correct.

3 Q -- on this jobsite, right?

4 A Correct.

5 Q And after this, you were demoted, right?

6 A I don't remember being demoted. I know that I had to wait
7 for the drug test to come in before I could go back to work.

8 Q Right. And then you were also instructed not to use the
9 forklift ever again, right?

10 A Correct.

11 Q Under any circumstance?

12 A Correct.

13 Q Right. And then at some point in 2014, you were later
14 terminated by Capriati?

15 A Correct.

16 Q Right. Okay.

17 MR. PRINCE: All right. Nothing further, Judge. Thank you.

18 THE COURT: Cross?

19 MR. KAHN: Could we approach for a second?

20 THE COURT: Yes.

21 [Sidebar begins at 5:05 p.m.]

22 MR. KAHN: I probably have 10 to 20 minutes of cross
23 because I don't want to bring him back because he doesn't work for us
24 anymore.

25 THE COURT: Right.

1 MR. KAHN: But I don't know about your timing.

2 THE COURT: No, we're going.

3 MR. KAHN: Okay, good.

4 THE COURT: We're going to finish him today.

5 MR. KAHN: Okay. That's fine with me.

6 [Sidebar ends at 5:05 p.m.]

7 CROSS-EXAMINATION

8 BY MR. KAHN:

9 Q Hello, Mr. Arbuckle.

10 THE COURT: Did you have the microphone, sir?

11 MR. PRINCE: Oh I'm sorry.

12 BY MR. KAHN:

13 Q Hello, Mr. Arbuckle.

14 A Hello.

15 Q We've met before, correct?

16 A Yes, sir.

17 Q And you understand that my firm has acted as your attorneys
18 at different points -- as your attorney at different points in this case?

19 A Yes, sir.

20 Q And that's because you're a former employee of Capriati's,
21 correct?

22 A Correct.

23 Q It sounds like you've already answered this, but you take
24 personal responsibility for having caused this accident?

25 A Yes, sir.

1 Q And when you pulled out onto the roadway, what was it that
2 made you think you could proceed without causing an accident?

3 A There was an obstruction, but there was a view before the
4 obstruction. And when I viewed Mr. Yahyavi's position, he was in a lane
5 that was -- there was a lane between the lane that he was in. So I
6 thought for sure he was going straight because if he was going to turn,
7 he would've either had a blinker on, or he would've been as close to the
8 cones to indicate that he was turning.

9 Q Okay.

10 A My original view was him with a lane in between him, so I
11 figured he was going through.

12 Q Okay. So let's go over this piece by piece so the jury
13 understands, and, kind of, unpack it a little bit. So you're pulling up in
14 the forklift and the truck is blocking some of your vision of Sahara and/or
15 Glen, right?

16 A Correct.

17 Q And as you pull up, are you able to see Mr. Yahyavi's car, the
18 black Charger, off to your left past the end of the green trench plate
19 truck?

20 A Yes.

21 Q About how far was that from where the accident occurred?

22 A About 350 feet.

23 Q So basically, about the length of a football field including the
24 end-zones, right?

25 A Right.

1 Q And you're not saying he was speeding; he wasn't going
2 extremely fast or anything, correct?

3 A Correct.

4 Q What about his blinker; what did you observe with his blinker
5 at that time?

6 A There was no blinker.

7 Q Did that factor into your decision to pull onto the roadway?

8 A Yes.

9 Q So just to be clear about the lanes, there was a coned off lane
10 with the cones where the trench plate truck was in, right?

11 A Correct.

12 Q Then there was another lane. Was Mr. Yahyavi in that lane?

13 A No, sir.

14 Q Then there was a lane past that, another eastbound lane,
15 correct?

16 A Correct.

17 Q Was he in that lane?

18 A Yes, sir.

19 Q Okay. So when you observed him, he was not in the
20 rightmost lane that was open? Not the one next to the cones; he was
21 one lane away, correct?

22 A Correct.

23 Q And he wasn't signaling?

24 A He was not.

25 Q You decided to pull forward, and his car hit your forks of

1 your forklift, right?

2 A Correct.

3 Q And as you told Mr. Prince, you got down off the forklift. You
4 went to check on the Plaintiff, correct?

5 A Correct.

6 Q Did you at any point touch him?

7 A I held his hand.

8 Q Okay. And why'd you do that?

9 A I just wanted to console him, comfort him, let him know he
10 was okay.

11 Q And did you stay there until somebody came that you felt
12 comfortable with?

13 A Yes, sir.

14 Q Who was that person?

15 A It was an EMT. But it wasn't the EMT that was in the
16 ambulance. It was one that had stopped when she saw the accident.

17 Q Okay. A passerby stopped, told you that she had some kind
18 of medical training?

19 A Correct.

20 Q And at that point, you left Mr. Yahyavi?

21 A Correct.

22 Q And you're sure that the white cement truck was not there
23 when the accident happened; is that correct?

24 A Correct.

25 Q But the trench plate truck was there, right?

1 A Correct. I know the truck wasn't there because like he said,
2 I'm a finisher. I would've been pouring the truck if it was there. I
3 wouldn't -- I wouldn't have been in a forklift going to get more material.
4 I would've been pouring that truck out.

5 Q You're a concrete finisher; that was a concrete truck?

6 A Correct.

7 Q So you would've been aware of it if it was coming to the
8 jobsite; you would've had to deal with it?

9 A That's right.

10 Q How long in your -- when did you start working in your life?

11 A When did I first start working?

12 Q When did you start working? Yeah. Get a real -- when was
13 your first job?

14 A That would've been Taco Bell.

15 Q Did you used to work for any family businesses?

16 A I worked for my dad.

17 Q What did he do?

18 A I did landscaping for him.

19 Q So, kind of, same thing; laboring, lifting. And how old were
20 you when you started working with him?

21 A I think I was 15.

22 Q What's your current job?

23 A I work for Martin Harris Construction.

24 Q And what's your title?

25 A Heavy equipment operator, concrete finisher.

1 Q Okay. For the heavy equipment operator part, you -- do you
2 drive forklifts?

3 A Yes, sir.

4 Q Do you drive other types of heavy equipment?

5 MR. PRINCE: Objection. Relevance at this point, five years
6 later -- six years later.

7 THE COURT: Counsel, approach.

8 [Sidebar begins at 5:11 p.m.]

9 THE COURT: Yeah, what's the relevance now?

10 MR. PRINCE: Yeah, relevance.

11 MR. KAHN: I'm just laying a little foundation. I'm not going
12 to bring him back.

13 MR. PRINCE: No.

14 MR. KAHN: Your Honor, if I could have two minutes.

15 MR. PRINCE: No. Objection. Foundation. Relevance to
16 anything post any certifications or experience operating after. He was
17 instructed not to use that.

18 THE COURT: Again, what's the relevance?

19 MR. KAHN: The relevance is he took a certification course.
20 He's been asked --

21 THE COURT: Afterwards?

22 MR. KAHN: Yeah, afterwards.

23 THE COURT: All right.

24 MR. KAHN: Okay.

25 THE COURT: I'm sustaining.

1 MR. KAHN: I'll abide by the Court's rules.

2 [Sidebar ends at 5:11 p.m.]

3 THE COURT: I'm sustaining the objection.

4 MR. PRINCE: Thank you.

5 BY MR. KAHN:

6 Q Were there any flaggers working that day?

7 A No.

8 Q And the Daria person, the other individual working with you,
9 there was only one other Capriati person working when the accident
10 happened, right?

11 A Correct.

12 Q Where was that person when the accident happened?

13 A He was down in the manhole.

14 Q And how below ground is that?

15 A That particular one he would have been probably about 10
16 feet.

17 Q Nothing he could just kind of jump up quickly and assist; is
18 that fair?

19 A Correct.

20 Q Do you know what signs Mr. Yahyavi would have passed that
21 day coming into the job site?

22 A It would have been roadwork ahead, right lane closed ahead
23 and a merge sign. They should have been placed 500 feet apart.

24 Q When the incident happened, Mr. Prince already kind of
25 asked you this, did you see anything before the accident happened? Did