

IN THE SUPREME COURT OF THE STATE OF NEVADA

DARELL L. MOORE; AND CHARLENE)
A. MOORE, INDIVIDUALLY AND AS)
HUSBAND AND WIFE,)
Appellants,)
vs.)
JASON LASRY, M.D. INDIVIDUAL;)
AND TERRY BARTIMUS, RN, APRN,)
Respondents.)

Electronically Filed
Jul 21 2021 05:23 p.m.
Elizabeth A. Brown
Clerk of Supreme Court

Supreme Court No. 81659

APPEAL

From the Eighth Judicial District Court, Clark County
The Honorable Kathleen E. Delaney, District Judge
District Court Case No.: A-17-766426-C

APPELLANT'S APPENDIX VOLUME XIV

E. Breen Arntz, Esq.
Nevada Bar No. 3853
Breen@breen.com
Phone: 702-494-4800
Fax: 702-446-8164

Attorney for Appellant Darrell Moore and Charlene Moore

INDEX TO APPELLANT'S APPENDIX

VOLUME	DOCUMENT	BATES NUMBER
I	Complaint dated December 18, 2017	AA00001- AA00024
I	Amended Complaint dated December 20, 2017	AA00025- AA00048
I	Proof of Service upon Fremont Emergency Services dated January 5, 2018	AA00049
I	Dignity Health's Answer to Complaint dated January 17, 2018	AA00050- AA00059
I	Proof of Service of Amended Complaint upon Dignity Health dated January 17, 2018	AA00060
I	Proof of Service of Amended Complaint upon Jason Lasry dated January 31, 2018	AA00061
I	Proof of Service of Amended Complaint upon Terry Bartmus dated January 31, 2018	AA00062
I	Fremont Emergency Services and Terry Bartmus's Answer to Complaint dated February 9, 2018	AA00063- AA00072
I	Jason Lasry's Answer to Complaint dated February 12, 2018	AA00073- AA00081
I	Scheduling Order dated May 4, 2018	AA00082- AA00084
I	Stipulation and Order to Dismiss Dignity Health dated May 4, 2018	AA00085- AA00089
I	Notice of Entry of Order re Stipulation and Order to Dismiss Dignity Health dated June 28, 2018	AA00090- AA00098
I	Proof of Service of Deposition Subpoena Duces Tecum, Notice of Taking Deposition and Notice of Service of Subpoena Duces Tecum dated March 22, 2019	AA00099
I	Order Setting Civil Jury Trial dated May 7, 2019	AA00100- AA00101
I	Stipulation and Order re Expert Disclosures dated October 7, 2019	AA00102- AA00106
I	Notice of Entry of Stipulation and Order re Expert Disclosures dated October 7, 2019	AA00107- AA00114

I	Fremont Emergency Services and Terry Bartmus's Order Affirming the Discovery Commissioner's Report dated October 14, 2019	AA00115- AA00116
I	Fremont Emergency Services and Terry Bartmus's Notice of Entry of Order Affirming the Discovery Commissioner's Report dated October 14, 2019	AA00117- AA00121
I	Plaintiffs' Order Affirming the Discovery Commissioner's Report dated October 16, 2019	AA00122- AA00123
I	Order Allowing Plaintiff to amend their Complaint to remove Dignity Health dated October 16, 2019	AA00124- AA00125
I	Plaintiffs' Notice of Entry of Order Affirming the Discovery Commissioner's Report dated October 16, 2019	AA00126- AA00129
I	Notice of Entry of Order removing Dignity Health dated October 21, 2019	AA00130- AA00133
I	Second Amended Complaint dated October 29, 2019	AA00134- AA00157
II	Fremont Emergency Services and Terry Bartmus's Answer to Second Amended Complaint dated November 12, 2019	AA00158- AA00166
II	Jason Lasry's Answer to Second Amended Complaint dated November 12, 2019	AA00167- AA00175
II	Joint Pre-Trial Memorandum dated December 16, 2019	AA00176- AA00208
II	Stipulation and Order to Dismiss Fremont Emergency Service dated December 18, 2019	AA00209- AA00214
II	Notice of Entry of Stipulation and Order to Dismiss Fremont Emergency Service dated December 18, 2019	AA00215- AA00223
II	Jason Lasry's Pretrial Disclosures dated December 27, 2019	AA00224- AA00238
II	Plaintiffs' Pretrial Disclosures dated December 27, 2019	AA00239- AA00249
II	Terry Bartmus's Pretrial Disclosures dated December 27, 2019	AA00250- AA00267
II	Jason Lasry's First Supplement to Pretrial Disclosures dated January 2, 2020	AA00268- AA00285

II	Jason Lasry's Second Supplement to Pretrial Disclosures dated January 9, 2020	AA00286-AA00303
III	Terry Bartmus's First Supplement to Pretrial Disclosures dated January 10, 2020	AA00304-AA00322
III	Jason Lasry's Third Supplement to Pretrial Disclosures dated January 15, 2020	AA00323-AA00340
III	Plaintiffs' Proposed Jury Instructions dated January 24, 2020	AA00341-AA00378
III	Jason Lasry's Proposed Special Verdict dated February 9, 2020	AA00379-AA00382
III	Jury Instructions dated February 13, 2020	AA00383-AA00425
III	Special Verdict dated February 13, 2020	AA00426-AA00428
III	Judgment on Jury Verdict dated March 10, 2020	AA00429-AA00430
III	Notice of Entry of Judgment on Jury Verdict dated March 10, 2020	AA00431-AA00435
IV	Plaintiffs' Motion for New Trial dated April 7, 2020	AA00436-AA00543
V	Terry Bartmus's Opposition to Plaintiffs' Motion for New Trial dated April 21, 2020	AA00544-AA00711
V	Jason Lasry's Joinder to Terry Bartmus's Opposition to Plaintiffs' Motion for New Trial dated April 21, 2020	AA00712-AA00714
VI	Plaintiffs' Reply in Support of Motion for New Trial dated May 4, 2020	AA00715-AA00817
VI	Terry Bartmus's Supplemental Opposition to Plaintiffs' Motion for New Trial dated June 4, 2020	AA00818-AA00828
VI	Order on Plaintiffs' Motion for New Trial dated July 15, 2020	AA00829-AA00831
VI	Notice of Entry of Order on Plaintiffs' Motion for New Trial dated July 16, 2020	AA00832-AA00837
VI	Notice of Appeal dated August 14, 2020	AA00838-AA00840
VII	Trial Transcript for January 27, 2020	AA00841-AA01029

VIII	Trial Transcript for January 28, 2020	AA01030- AA01221
IX	Trial Transcript for January 29, 2020	AA01222- AA01378
X	Trial Transcript for January 30, 2020	AA01379- AA01558
XI	Trial Transcript for January 31, 2020	AA01559- AA01708
XII	Trial Transcript for February 3, 2020	AA01709- AA01878
XIII	Trial Transcript for February 4, 2020	AA01879- AA02060
XIV	Trial Transcript for February 5, 2020	AA02061- AA02218
XV	Trial Transcript for February 6, 2020	AA02219- AA02400
XVI	Trial Transcript for February 7, 2020	AA02401- AA02608
XVII	Trial Transcript for February 10, 2020	AA02609- AA02764
XVIII	Trial Transcript for February 11, 2020	AA02765- AA02985
XIX	Trial Transcripts for February 12, 2020, February 13, 2020 and June 11, 2020	AA02986- AA03225

ALPHABETICAL INDEX TO APPELLANT APPENDIX

VOLUME	DOCUMENT	BATES NUMBER
I	Amended Complaint dated December 20, 2017	AA00025- AA00048
I	Complaint dated December 18, 2017	AA00001- AA00024
I	Dignity Health's Answer to Complaint dated January 17, 2018	AA00050- AA00059
I	Fremont Emergency Services and Terry Bartmus's Answer to Complaint dated February 9, 2018	AA00063- AA00072
II	Fremont Emergency Services and Terry Bartmus's Answer to Second Amended Complaint dated November 12, 2019	AA00158- AA00166
I	Fremont Emergency Services and Terry Bartmus's Notice of Entry of Order Affirming the Discovery Commissioner's Report dated October 14, 2019	AA00117- AA00121
I	Fremont Emergency Services and Terry Bartmus's Order Affirming the Discovery Commissioner's Report dated October 14, 2019	AA00115- AA00116
I	Jason Lasry's Answer to Complaint dated February 12, 2018	AA00073- AA00081
II	Jason Lasry's Answer to Second Amended Complaint dated November 12, 2019	AA00167- AA00175
II	Jason Lasry's First Supplement to Pretrial Disclosures dated January 2, 2020	AA00268- AA00285
V	Jason Lasry's Joinder to Terry Bartmus's Opposition to Plaintiffs' Motion for New Trial dated April 21, 2020	AA00712- AA00714
II	Jason Lasry's Pretrial Disclosures dated December 27, 2019	AA00224- AA00238
III	Jason Lasry's Proposed Special Verdict dated February 9, 2020	AA00379- AA00382
II	Jason Lasry's Second Supplement to Pretrial Disclosures dated January 9, 2020	AA00286- AA00303
III	Jason Lasry's Third Supplement to Pretrial Disclosures dated January 15, 2020	AA00323- AA00340

II	Joint Pre-Trial Memorandum dated December 16, 2019	AA00176- AA00208
III	Judgment on Jury Verdict dated March 10, 2020	AA00429- AA00430
III	Jury Instructions dated February 13, 2020	AA00383- AA00425
VI	Notice of Appeal dated August 14, 2020	AA00838- AA00840
III	Notice of Entry of Judgment on Jury Verdict dated March 10, 2020	AA00431- AA00435
VI	Notice of Entry of Order on Plaintiffs' Motion for New Trial dated July 16, 2020	AA00832- AA00837
I	Notice of Entry of Order re Stipulation and Order to Dismiss Dignity Health dated June 28, 2018	AA00090- AA00098
I	Notice of Entry of Order removing Dignity Health dated October 21, 2019	AA00130- AA00133
I	Notice of Entry of Stipulation and Order re Expert Disclosures dated October 7, 2019	AA00107- AA00114
II	Notice of Entry of Stipulation and Order to Dismiss Fremont Emergency Service dated December 18, 2019	AA00215- AA00223
I	Order Allowing Plaintiff to amend their Complaint to remove Dignity Health dated October 16, 2019	AA00124- AA00125
VI	Order on Plaintiffs' Motion for New Trial dated July 15, 2020	AA00829- AA00831
I	Order Setting Civil Jury Trial dated May 7, 2019	AA00100- AA00101
IV	Plaintiffs' Motion for New Trial dated April 7, 2020	AA00436- AA00543
I	Plaintiffs' Notice of Entry of Order Affirming the Discovery Commissioner's Report dated October 16, 2019	AA00126- AA00129
I	Plaintiffs' Order Affirming the Discovery Commissioner's Report dated October 16, 2019	AA00122- AA00123
II	Plaintiffs' Pretrial Disclosures dated December 27, 2019	AA00239- AA00249
III	Plaintiffs' Proposed Jury Instructions dated January 24, 2020	AA00341- AA00378

VI	Plaintiffs' Reply in Support of Motion for New Trial dated May 4, 2020	AA00715- AA00817
I	Proof of Service of Amended Complaint upon Dignity Health dated January 17, 2018	AA00060
I	Proof of Service of Amended Complaint upon Jason Lasry dated January 31, 2018	AA00061
I	Proof of Service of Amended Complaint upon Terry Bartmus dated January 31, 2018	AA00062
I	Proof of Service of Deposition Subpoena Duces Tecum, Notice of Taking Deposition and Notice of Service of Subpoena Duces Tecum dated March 22, 2019	AA00099
I	Proof of Service upon Fremont Emergency Services dated January 5, 2018	AA00049
I	Scheduling Order dated May 4, 2018	AA00082- AA00084
I	Second Amended Complaint dated October 29, 2019	AA00134- AA00157
III	Special Verdict dated February 13, 2020	AA00426- AA00428
I	Stipulation and Order re Expert Disclosures dated October 7, 2019	AA00102- AA00106
I	Stipulation and Order to Dismiss Dignity Health dated May 4, 2018	AA00085- AA00089
II	Stipulation and Order to Dismiss Fremont Emergency Service dated December 18, 2019	AA00209- AA00214
III	Terry Bartmus's First Supplement to Pretrial Disclosures dated January 10, 2020	AA00304- AA00322
V	Terry Bartmus's Opposition to Plaintiffs' Motion for New Trial dated April 21, 2020	AA00544- AA00711
II	Terry Bartmus's Pretrial Disclosures dated December 27, 2019	AA00250- AA00267
VI	Terry Bartmus's Supplemental Opposition to Plaintiffs' Motion for New Trial dated June 4, 2020	AA00818- AA00828
XVII	Trial Transcript for February 10, 2020	AA02609- AA02764
XVIII	Trial Transcript for February 11, 2020	AA02765- AA02985

XII	Trial Transcript for February 3, 2020	AA01709- AA01878
XIII	Trial Transcript for February 4, 2020	AA01879- AA02060
XIV	Trial Transcript for February 5, 2020	AA02061- AA02218
XV	Trial Transcript for February 6, 2020	AA02219- AA02400
XVI	Trial Transcript for February 7, 2020	AA02401- AA02608
VII	Trial Transcript for January 27, 2020	AA00841- AA01029
VIII	Trial Transcript for January 28, 2020	AA01030- AA01221
IX	Trial Transcript for January 29, 2020	AA01222- AA01378
X	Trial Transcript for January 30, 2020	AA01379- AA01558
XI	Trial Transcript for January 31, 2020	AA01559- AA01708
XIX	Trial Transcripts for February 12, 2020, February 13, 2020 and June 11, 2020	AA02986- AA03225

CERTIFICATE OF SERVICE

Pursuant to NRAP 25(b), I certify that I am an employee of the law firm and that on this 21st day of July, 2021, I served a true and correct copy of the foregoing **APPELLANT'S APPENDIX VOLUME XIV** as follows:

- by placing same to be deposited for mailing in the United States Mail, in a sealed envelope upon which first class postage was prepaid in Las Vegas, Nevada; and/or
- to be sent via facsimile (as a courtesy only); and/or
- to be hand-delivered to the attorneys at the address listed below:
- to be submitted to the above-entitled Court for electronic filing and service upon the Court's Service List for the above-referenced case.

Robert McBride, Esq
McBride Hall
8329 W. Sunset Rd., Ste. 260
Las Vegas, NV 89113

Keith A. Weaver, Esq.
Lewis Brisbois Bisgaard & Smith, LLP
6385 S. Rainbow Blvd., Ste. 6000
Las Vegas, NV 89118

By: /s/ E. Breen Arntz
An employee of E. Breen Arntz, Chtd.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE EIGHTH JUDICIAL DISTRICT COURT

CLARK COUNTY, NEVADA

DARELL L. MOORE and CHARLENE A.)
MOORE, individually and as)
husband and wife,)

Plaintiffs,)

vs.)

JASON LASRY, M.D.,)
individually; FREMONT EMERGENCY)
SERVICES (MANDAVIA), LTD.;)
TERRY BARTMUS, RN, APRN; and)
DOES I through X, inclusive;)
and ROE CORPORATIONS I)
through V, inclusive,)

Defendants.)

CASE NO.
A-17-766426-C
DEPT. NO. 25

REPORTER'S TRANSCRIPT OF PROCEEDINGS OF JURY TRIAL
P.M. SESSION

BEFORE THE HONORABLE KATHLEEN E. DELANEY

WEDNESDAY, FEBRUARY 5, 2020

APPEARANCES:

For the Plaintiffs:

E. BREEN ARNTZ, ESQ.
HANK HYMANSON, ESQ.
PHILIP M. HYMANSON, ESQ.

For the Defendants:

ROBERT C. McBRIDE, ESQ.
KEITH A. WEAVER, ESQ.
ALISSA BESTICK, ESQ.

REPORTED BY: DANA J. TAVAGLIONE, RPR, CCR No. 841

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESSES	PAGE
SAMUEL WILSON, M.D.	
Direct Examination by Mr. Weaver	24
JOHN JANZEN, M.D.	
Direct Examination by Mr. McBride	100
Cross-Examination by Mr. P. Hymanson	117
Redirect Examination by Mr. McBride	132
Recross-Examination by Mr. P. Hymanson	135

E X H I B I T S

JOINT EXHIBIT	MARKED	ADMITTED
113	Office visit note from Dr. Wiencek	14

1 LAS VEGAS, NEVADA, WEDNESDAY, FEBRUARY 5, 2020

2 1:41 P.M.

3 * * * * *

4

5 (Outside the presence of the jury.)

6 THE COURT: Good afternoon.

7 MR. MCBRIDE: Good afternoon, Your Honor.

8 MR. ARNTZ: Good afternoon.

9 MR. WEAVER: Good afternoon, Your Honor.

10 THE COURT: I have a housekeeping thing.

11 But I understand we have something outside the
12 presence as well.

13 MR. ARNTZ: Yeah, just real briefly,
14 Your Honor. So Dr. Wilson is going to be testifying
15 today. He's got a report that's two pages. That's
16 his initial report, and you'll recall that, before
17 trial started, we had discussions about his rebuttal
18 reports and whether he could bring those out in his
19 initial testimony. I'd like to renew that
20 objection.

21 I don't remember what your ruling was in
22 terms of whether he could testify as to the things
23 that are in his rebuttal?

24 THE COURT: I'm going to check. I still
25 haven't had a chance to see the JAVS. That's true,

1 but give me a second. Who was the proponent of the
2 motion related to that?

3 MR. ARNTZ: Let's see. Was that I think it
4 was a motion that discussed the experts being kept
5 to the opinions that are in their deposition or in
6 their reports.

7 THE COURT: Right. Which, generally, gets
8 granted as sort of a ProForma follow-the-law type of
9 issue, but give me one second. I'm looking. Give
10 me a second. There was a Motion in Limine regarding
11 personal opinions, but that's not the same thing.
12 That was granted. No expert testimony based on
13 hearsay for the experts, that was granted. Still
14 looking.

15 So it was a stipulated -- or what I'm
16 finding anyway that might be what you're talking
17 about, there was a stipulated, Stipulation and Order
18 on Motions in Limine, the fourth of which -- sorry.
19 Third of which -- nope -- fifth of which says:
20 "Experts will be precluded from offering opinions
21 not contained in their expert reports, supplements
22 thereto, and/or deposition testimony."

23 So there's that one, but that's not the one
24 you're talking about?

25 MR. ARNTZ: Well, no. See, the problem

1 with that is that the supplements amount to
2 rebuttals. So they were made as rebuttal
3 designations, and I want to have Dr. Wilson confined
4 to his expert report. We didn't depose him on
5 purpose. He's just got a two-page opinion, and so I
6 wanted to bring that up before because I want to
7 keep him confined to that report.

8 THE COURT: Well, I mean, let me see. I've
9 got the orders on motions in limine that were
10 proposed by Defendant Nurse Practitioner Bartmus.
11 I've got the orders Defendant Jason Lasry's Motion
12 in Limine, and I've got the stipulated orders.

13 So if it was one of yours, I don't know
14 that I have that order that's been provided yet. We
15 had talked about you providing it to us because the
16 others had come in. I thought I had yours.

17 MR. ARNTZ: Oh, they didn't get it over to
18 you? I put that on Mr. Hoffman's office. I thought
19 they had --

20 THE COURT: I don't have it here. I'll
21 double-check the log to see where it is. But at
22 this point in time, I can't, without having the
23 order in front of me -- that's why I kept these here
24 even though they haven't been formerly filed;
25 they've been signed -- but I've kept them here

1 because I need them for reference. But if I don't
2 have yours, I don't know.

3 Mr. Weaver.

4 MR. ARNTZ: Let me just, real briefly,
5 you'll recall that the reason why we brought it up
6 is because --

7 THE COURT: Sorry. I'm checking with my
8 clerk because it was a relief clerk who covered that
9 day, and she still, as of last week, had not posted
10 them. We've gently nudged, and if her minutes are
11 there, that would assist.

12 MR. ARNTZ: So the only reason I brought it
13 up before was because his rebuttal report went into
14 an opinion as it related to smoking is a contributing
15 factor, and that was a new opinion. So the reason I
16 brought it up before was because it was not an old
17 opinion. It wasn't even a supplement to an old
18 opinion. It was a brand new opinion.

19 (Off-record discussion with clerk.)

20 THE COURT: I understand. You believe it's
21 a new opinion, but I guess what I'm trying to get at
22 is did you formally request, or are we just dealing
23 with this the first time here? I don't
24 independently recall.

25 MR. ARNTZ: I formally requested it to

1 be -- to prevent them from going into that as the
2 rebuttal because it was a new opinion. But it was,
3 I believe, in connection with the hearing that we
4 had on all of our motions in limine.

5 THE COURT: Right. That's what I'm looking
6 for.

7 MR. ARNTZ: Right. And we had -- in fact,
8 we filed a joinder, I believe, to the one having to
9 do with experts being confined to their reports and
10 deposition testimony.

11 THE COURT: well, and that's the one I just
12 read which is, it's not a joinder. It's a
13 stipulated Motion in Limine, the one I just read
14 you. I just read it to you. It's Stipulated
15 Motion in Limine No. 5.

16 MR. ARNTZ: Did we do a stipulation after
17 that hearing?

18 MR. WEAVER: Can I just address this, and
19 maybe we can --

20 THE COURT: Of course, Mr. Weaver. Just
21 trying to make that record complete, again, in the
22 stipulated, as it's styled, signed by the counsel:
23 stipulation and Order and Motions in Limine, No. 5,
24 Fifth. "Experts will be precluded from offering
25 opinions not contained in their expert reports,

1 supplements, or deposition testimony."

2 I understand you're saying there's a report
3 here that was a rebuttal report, but it's got a new
4 opinion. So there's a different basis for it, but
5 that's the only thing I'm seeing so far.

6 Mr. Weaver.

7 MR. WEAVER: I would just say that we're
8 talking about supplemental reports, which are
9 allowed, and the law specifically provides for; and
10 more importantly than that, in the Deposition of
11 Dr. Marmureanu, I specifically asked him, "Do you
12 have in your reports all of the factual basis or
13 even all of your opinions for which you're prepared
14 to testify at trial?" And he said no. So we
15 shouldn't be at a disadvantage because we choose to
16 depose them and then they add into their deposition
17 additional opinions or factual bases.

18 If we choose to depose them to flush that
19 out so that there's not any surprise on either side,
20 we shouldn't be at a disadvantage because
21 Dr. Marmureanu said in his deposition he had more
22 opinions, additional opinions and additional factual
23 bases thereto. So I think the ruling was clear that
24 any opinions that are part of a report, supplemental
25 report, rebuttal report, of course, as both sides

1 have already talked about, there aren't going to be
2 every factual bases for the opinions in the reports
3 because there just can't be.

4 So the gist of the opinions, although
5 Dr. Marmureanu had additional and different opinions
6 added and especially that he testified to in light
7 of the fact that it appears Dr. Jacobs isn't. So I
8 don't think that there's any issue at all, given
9 Dr. Marmureanu's testimony in general.

10 THE COURT: Go ahead, Mr. Arntz.

11 MR. ARNTZ: In all fairness, they
12 absolutely should be prejudiced by it because that's
13 their choice. They took a deposition and he asked
14 as part of the questioning, "Do you have any other
15 opinions that you would like to offer?" And he said
16 "Sure." And he offered a whole bunch more. That
17 was his mistake for asking that question.

18 The deposition of an expert should be
19 confined to the report and get the opinions and the
20 bases for the opinions in that report. If you open
21 the door to a whole bunch of new stuff, that's not
22 my fault. That's their fault.

23 THE COURT: Well, okay. So I don't want
24 to -- I mean, I still have a followup question. But
25 I don't think this turns on, you know, again,

1 another sort of tit-for-tat: well, this happened
2 with this one; so that should happen with that one.
3 I think this turns on you've got a stipulated
4 Motion in Limine that says: Reports, supplements
5 thereto and/or deposition testimony is where they're
6 confined to, that would appear to allow those things
7 to be inquired about.

8 You're now indicating to me that we should
9 preclude testimony as to this what you're calling a
10 "rebuttal report." I don't know if it's really that
11 or if it's really a supplemental report because I
12 don't recall if we had a formal Motion in Limine on
13 it. If we did not and you're raising it for the
14 first time now, you know, again, I'm looking for
15 then just let's talk about what the rules would say.

16 I mean, why is this not a supplemental
17 report, and why would they not entitled to utilize
18 it?

19 MR. ARNTZ: Because it was designated as a
20 rebuttal report.

21 THE COURT: well, we've had some dialogue
22 about that in circumstances with regard whether
23 something was rebuttal or whether it was in fact
24 supplemental. You know, if it's a rebuttal report,
25 then, generally, right. You don't bring up new

1 opinions in there.

2 But if it's a supplemental, then, you, you
3 know, arguably can. So I need some more specifics.
4 When was it issued?

5 Are you arguing, Mr. Weaver, that it's a
6 supplemental report and not a rebuttal regardless of
7 how it was styled?

8 I'm just trying to understand. Let's just
9 get to the heart of it. I'm not going to decide
10 this because we did something one way in a different
11 situation. I'm going to decide because either it's
12 a supplemental and it's legitimate thing to do or
13 it's a rebuttal and it's not legitimate thing to do.

14 MR. WEAVER: What I would say to that,
15 Your Honor, is the only issue that was identified as
16 being a problem had to do with smoking. So the fact
17 that Dr. Wilson brought up that smoking --

18 THE COURT: That's the new issue in what's
19 styled as the rebuttal report?

20 MR. WEAVER: Yes, Your Honor.

21 THE COURT: Okay. Go ahead.

22 MR. WEAVER: And so all I would say to that
23 is it's supplementing his opinions about what has to
24 do with causation and what has to do with
25 Mr. Moore's general medical health anyway, which was

1 never an issue. It's in the medical records.

2 Everybody knew about it.

3 THE COURT: Well, and it's been discussed
4 in here many times.

5 But, Mr. Arntz, back to, I know it's styled
6 as a rebuttal report. If it's essentially a
7 supplemental report and you had it, when was it
8 filed and when was it served, I guess?

9 MR. ARNTZ: It was served as a rebuttal
10 report.

11 THE COURT: When?

12 MR. ARNTZ: Whenever the rebuttal deadline
13 was.

14 MR. MCBRIDE: November 6, 2019.

15 THE COURT: Thank you.

16 MR. ARNTZ: I apologize I didn't have that
17 in front of me.

18 THE COURT: It's okay. Mr. McBride did.
19 We're all good.

20 MR. ARNTZ: The gravamen of my argument
21 really has to do with keeping him within the four
22 corners of his opinion. If your determination is
23 that a discussion about smoking could come in, well,
24 then I'll cross-examine him on why he didn't mention
25 it at first. But the reality is his opinion is a

1 two-page opinion, and the bases for those opinions
2 have to be contained in his report. That's the
3 rule.

4 So to suggest that all of the bases for his
5 opinion don't have to be in the report is not what
6 the rule says. I just want to make sure he's kept
7 within the four corners of his reports because we
8 chose not to depose him for that reason.

9 THE COURT: Right. But you're saying two
10 different things, Mr. Arntz, as I'm connecting to
11 your arguments. If I'm not connecting to your
12 argument properly, I apologize, and we'll get there.
13 But what I hear you saying is two different things:
14 There is a report, it has the opinion in it. You've
15 had it since November, but you're trying to exclude
16 it because styled as a rebuttal report on the basis
17 of you shouldn't have a new opinion in a rebuttal
18 report.

19 You're not asking me to confine him to his
20 reports because if I confine him to his reports,
21 it's in one. You're asking me to preclude the use
22 of the rebuttal report; right? So that really
23 mandates me making a determination on whether or not
24 it's truly an improper new opinion or whether it's a
25 supplemental opinion that's in keeping with his

1 initial opinions, styled correctly or not, and
2 ultimately whether or not it's fair game for
3 inquiry; right?

4 MR. ARNTZ: Yeah. I'm asking for two
5 things: One, I want to have him contained to his
6 reports, and to the extent that the rebuttal report
7 is truly a rebuttal and not a new opinion, then he's
8 obviously free to discuss that as well. So my
9 points are two: One, I just want him confined to
10 his reports, and if you decide that the smoking
11 comes in, I can deal with that.

12 THE COURT: But here's where I'm losing
13 you. I'm so sorry. I just don't want this record
14 to be messed up. It's in his report, the smoking
15 opinion. So when you say "confined to his reports,"
16 you're asking me to allow him to talk about his
17 smoking opinion.

18 MR. ARNTZ: I have two objections here.

19 THE COURT: But that's not what you're
20 asking me.

21 MR. ARNTZ: I have two objections.

22 THE COURT: Okay. Hold on. Before you go
23 there, there is no doubt, I will say right now, he
24 is confined to any report that he's entitled to
25 testify about because you all stipulated to that,

1 and that's standard practice.

2 MR. ARNTZ: Okay.

3 THE COURT: The issue is one of the reports
4 is styled as a rebuttal report, but it has a new
5 opinion. You think that's improper; right?

6 MR. ARNTZ: Yes.

7 THE COURT: That's your basis for the
8 objection.

9 MR. ARNTZ: I do.

10 THE COURT: Okay. So when you keep saying,
11 over and over again, "I want him confined to his
12 reports," you're sounding like you're talking at
13 cross-purposes. I know you're not, but that's why
14 I'm trying to make this record more precise.

15 MR. ARNTZ: All right. I appreciate it.

16 THE COURT: You want him to be confined to
17 his report and potentially even his rebuttal report,
18 exclusive of what appears to be a new opinion which,
19 in your opinion, would be improper if it is in fact
20 a rebuttal report.

21 MR. ARNTZ: You just did that a whole lot
22 better than I did.

23 THE COURT: I'm just trying to get there.
24 Like I said, we'll get there. Mr. Weaver.

25 MR. WEAVER: I think the Court has

1 articulated. All I would say including is, for
2 purposes of the rebuttal, is usually the argument
3 that we get is when we do supplemental reports, it
4 should have been a rebuttal so that it would have
5 come sooner to the initial as opposed to up to
6 30 days before trial. So it's rare that we get the
7 argument that a report shouldn't have been a
8 rebuttal, that it should be supplemental. Because
9 usually what we get is, if we do a supplemental, the
10 argument is, because that can be up to 30 days
11 before trial, it should have been a rebuttal and,
12 therefor, they didn't have time to do anything about
13 it. That's No. 1.

14 No. 2 is the issue of the smoking came up
15 because they said that Mr. Moore's condition was
16 acute and our position was the smoking made it
17 chronic. So that the context of the smoking coming
18 up was, in fact, a rebuttal to the opinion that
19 their expert had about "chronic" versus "acute." So
20 that was the context of it coming up in the first
21 place.

22 MR. ARNTZ: The problem with what he just
23 said is it's not in his report. That is not in his
24 initial report. His initial report --

25 THE COURT: Thank you. Be precise.

1 "Initial report," "rebuttal report." Stop saying
2 "report" generically and I don't know which one
3 you're talking about. Please.

4 MR. ARNTZ: Okay. I will identify it. His
5 initial report dated August 19th, 2019, forms the
6 opinion that the occlusion was a chronic occlusion.
7 Nowhere in his report does he give one of the bases
8 for that conclusion as smoking.

9 THE COURT: Understood. But then he issued
10 a what's styled as "Rebuttal Report" which, if I'm
11 understanding correctly, broadens it to include
12 smoking.

13 MR. WEAVER: Right. And I would agree with
14 Mr. Arntz, if he wants to ask him questions about
15 why his report didn't have additional facts in it,
16 if that's what the issue is, then that is a separate
17 issue, but it's not an issue of preclusion.

18 THE COURT: Thank you. Again, to the
19 extent that this matter was previously heard and
20 there's some confusion right now because of lack of
21 proposed order on it or minutes reflecting it, the
22 Court is revisiting the issue now in full, and the
23 Court is going to determine that it is not going to
24 preclude questioning on the contents of the initial
25 or rebuttal report.

1 It appears to effectively be a supplemental
2 report. It is styled "Rebuttal." But I see no
3 prejudice here. It's been known. It's been
4 available, and there's been ample discussion in this
5 trial already with regard to his smoking history and
6 impacts potentially to his condition and the impacts
7 on what occurred that led to this trial. So I think
8 that there's no basis to preclude it, other than for
9 more than a form over substance because something
10 was titled "Rebuttal."

11 I understand the ask. Respectfully
12 declined, and you may inquire as to both reports.
13 But he is, of course, otherwise, confined to the
14 contents his two reports, initial and rebuttal.

15 MR. WEAVER: Thank you, Your Honor.

16 THE COURT: Okay. Thank you.

17 My housekeeping, just quick, we don't have
18 to spend a lot of time on it, but I just want to
19 plant the seed with the I.T. person here. We have
20 identified Courtroom 3F, as our location, "F" as in
21 Frank, as our location for tomorrow afternoon's
22 hearing or continuation of trial. I'm familiar very
23 much with that courtroom because it was the
24 courtroom I used for about a year.

25 But there's two issues there. One I will

1 have to arrange with my court reporters. But this
2 court reporter desk actually came from there when we
3 moved upstairs. They don't have a court reporter.
4 They use a court recorder. So we're going to have
5 to make sure that they have what they need for their
6 setup. And, also, my marshal identified the
7 potential -- and this is for the I.T. person -- that
8 there may be missing some component that would allow
9 the same equipment that we've been using or at least
10 the same connections to work.

11 Joshua, can you help me understand what it
12 is that you believe is missing from down there.

13 THE MARSHAL: Yeah. The port where they're
14 plugging into their USB to is a little different.

15 THE COURT: Okay.

16 THE MARSHAL: So I proposed to them to, if
17 they have time, to go down there with me ahead of
18 time, whether it be on a break or tomorrow earlier,
19 before session.

20 THE COURT: Yeah. We're going to -- either
21 way, I'll leave it mainly because maybe Mr. Hymanson
22 and the I.T. person here, whether you can check it
23 out today. I don't know that Judge Holthus -- it's
24 Judge Holthus, her assignment location -- whether or
25 not she's in session this afternoon. I don't believe

1 she is. I know she's in session tomorrow morning.
2 So that's not an option.

3 But prior to the start of trial or on a
4 break here today, it might be worth it. We can go
5 from the back down and do it that way, as long as
6 she's not in session. So I will communicate with my
7 JEA to check to see if there's an opportunity to go
8 this afternoon, in case folks want to. But that's
9 where we will be. And once we're there, we'll just
10 stay there for the whole afternoon to complete the
11 testimony.

12 I think that was it. I just wanted to let
13 you know that we had that location.

14 MR. MCBRIDE: And, Your Honor, just one
15 other scheduling issue for today also impacts
16 tomorrow too: The plan, we have Dr. Janzen, who is
17 here, who is also planning to testify. Dr. Wilson
18 is going to go first.

19 But we had already coordinated that if we
20 could take our break maybe around 3:45 or a break
21 around then, I told Dr. Janzen to be here before
22 then so we can get him on at 4:00; and we should be
23 able to finish him, take him out of order, and we
24 would probably finish up with Dr. Wilson tomorrow.

25 THE COURT: Okay.

1 MR. MCBRIDE: Okay. Only because he's not
2 able to come back. Janzen is not able to come back.

3 THE COURT: Yeah, let's make sure we get
4 that done. So I'll leave it to you. Just, you know
5 so you want a break about 3:45.

6 MR. MCBRIDE: About 3:45 would probably
7 work for a 15 minute break.

8 THE COURT: Okay. Sounds good. We didn't
9 really get that good 15-minute break yesterday
10 because we ended up being here the whole time.

11 All right. Are we ready to bring them in?

12 MR. MCBRIDE: I think so.

13 THE COURT: Okay.

14 (Jury enters the courtroom.)

15 THE COURT: Thank you. While the jurors
16 are finishing taking their seats, I'll invite
17 everyone else to have a seat as well.

18 Ladies and gentlemen, as we resume, I'm
19 just reminding you that we had mentioned yesterday
20 that we were going to take a witness out of order is
21 how we call it because, instead of continuing with
22 witnesses in the plaintiff's case in chief, we're
23 going to now move over and call a witness from the
24 defense side case in chief in order to accommodate
25 scheduling.

1 And what we're going to end up doing is
2 even a little more nuance than that because what's
3 going to happen is we're going to start with a
4 witness by the name of Dr. Wilson, who you'll meet
5 in a moment; and then when we break, we're going to
6 actually resume with a different witness,
7 doctor also from the defense side, finish that
8 witness, and then we'll complete Dr. Wilson
9 tomorrow.

10 Again, it all has to do with scheduling.
11 We know you're perfectly capable of following all
12 the testimony and keeping your notes, and being on
13 track with that allows us to have the witnesses when
14 we can get them and juggle and be cognizant of not
15 only your time, but theirs as well. So that's how
16 we're going to proceed today.

17 We'll also inform you, before we conclude
18 today, where we're meeting tomorrow afternoon.
19 Because of certain scheduling needs, we're going to
20 be in a different courtroom, just for tomorrow
21 afternoon. But we'll give you more information on
22 that tomorrow or later today. Sorry.

23 So ready to call your witness, Mr. Weaver?

24 MR. WEAVER: Yes, Your Honor. Samuel
25 Wilson.

1 THE COURT: Dr. Wilson, please.

2 Good afternoon, Dr. Wilson. Just come
3 straight through the center of the tables, around
4 the corner of that podium, and you'll see the
5 witness stand straight ahead of you. Just come on
6 up there, step in front of the chair, and when
7 you're ready, remain standing, and my clerk will
8 swear you in. Okay? All the way in front of the
9 chair. Just come all the way in front.

10 There you go. Here she is.

11 (Witness sworn.)

12 THE WITNESS: I do.

13 THE CLERK: Thank you. Please take a seat.

14 THE WITNESS: Thank you.

15 THE CLERK: Would you please state and
16 spell your first and last name, for the record.

17 THE WITNESS: My name is Samuel Wilson.

18 S-A-M-U-E-L, W-I-L-S-O-N.

19 THE COURT: Thank you.

20 You may proceed, Mr. Weaver.

21 MR. WEAVER: Thank you, Your Honor.

22 / / /

23 / / /

24 / / /

25 / / /

1 Thereupon --

2 SAMUEL WILSON, M.D.,
3 having been first duly sworn to testify to the
4 truth, was examined and testified as follows:

5

6 DIRECT EXAMINATION

7 BY MR. WEAVER:

8 Q. Good afternoon, Dr. Wilson.

9 A. Good afternoon.

10 Q. Welcome to Las Vegas.

11 A. Thank you.

12 Q. Are you a board certified general surgeon?

13 A. Yes.

14 Q. Are you a board certified vascular surgeon?

15 A. Yes.

16 Q. I understand one of the last times you were
17 in Las Vegas is you were a visiting professor of
18 vascular surgery at the medical school; is that
19 correct?

20 A. That was some time ago, yes.

21 Q. Okay. Dr. Wilson, we'll get to your
22 credentials later. We'll try and cut through the
23 chase here. I'm going to read a couple questions
24 that the jurors asked of Dr. Marmureanu, who you
25 understand is a plaintiff's expert witness in this

1 case?

2 A. Yes.

3 Q. The first question is, and I'd just like you
4 to respond with your answer to the question, as
5 quote: "Are there instances when an occlusion in a
6 graft dissolves or otherwise goes away without
7 medicine or surgery?"

8 A. No.

9 Q. The second question is: "Will or can blood
10 flow from collaterals demonstrate a pulse in the
11 foot?"

12 A. Yes. That can occur.

13 Q. Please explain why that is.

14 A. In patients with chronic ischemia, not
15 sufficient blood flow to the extremity, significant
16 collaterals can build up. Those are smaller
17 arteries that enlarge to go around the obstruction
18 in the major artery, and the palpation of a pulse is
19 dependent on what the blood pressure is within that
20 artery that you're palpating.

21 so that ordinarily, you can feel a pulse if
22 the blood pressure is 120; if it's 100, you could
23 probably still feel a pulse, and if it's less than
24 that, you wouldn't feel a pulse. So it depends, to
25 a large extent, on the development and presence of

1 collaterals.

2 Q. Thank you, Dr. Wilson. I'm going to ask you
3 some questions about a document from Dr. Wilson.

4 Your Honor, we've stipulated, plaintiff and
5 defendant, to Exhibit 113.

6 THE COURT: All right. We'll admit
7 Exhibit 113.

8 (Whereupon Joint Exhibit No. 113 was
9 admitted into evidence.)

10 THE COURT: And you may proceed. Just make
11 sure you give us a Bates number of any particular
12 page we're reviewing.

13 MR. WEAVER: Thank you, Your Honor. It's
14 page 9.

15 BY MR. WEAVER:

16 Q. And, Dr. Wilson, the page 9 will come up on
17 a monitor for you. It will also come up as a monitor
18 for the jury to see. And if you would first look,
19 Dr. Wilson, in the right-hand corner, the top
20 right-hand corner, and do you see that's an office
21 visit note from Dr. Wiencek?

22 A. Yes.

23 Q. And do you understand that Dr. Wiencek is
24 Mr. Moore's treating cardiovascular surgeon?

25 A. I do.

1 Q. And do you see that the date is August 28th,
2 2019?

3 A. Yes.

4 Q. And then if you would look under down a
5 little bit under the Problem List/Past Medical
6 History and Chronic, do you see "deep vein
7 thrombosis"?

8 A. Yes.

9 Q. So I'll offer to you, Dr. Wilson, that it
10 appears that whether Mr. Moore had a history of deep
11 vein thrombosis, at least as of December 25th, 2016,
12 as appears to be an issue in this case.

13 But would you agree that based on that
14 document that, as of August 28th, 2019, anyway,
15 Dr. Wiencek is listing DVT as either a past medical
16 history or a chronic history?

17 A. Yes. I agree.

18 Q. Okay. Would it indicate to you then, based
19 on your review of that document, that if the deep
20 vein thrombosis wasn't present as of December 25th,
21 2016, which is the date of the incident in this case,
22 it must have been between December 25th, 2016, and
23 Dr. Wiencek's note of August 28th, 2019?

24 MR. ARNTZ: Objection. Leading.

25 / / /

1 BY MR. WEAVER:

2 Q. Does that make sense?

3 MR. ARNTZ: Objection. Leading.

4 THE COURT: There is an objection to it
5 being leading.

6 It is a little bit too -- I know there's
7 obviously foundational questions and the things we
8 need to do to set up. But I mean, he can see the
9 record and testify to the record. I'm going to
10 sustain and see if you can ask a more open-ended
11 question, Mr. Weaver.

12 BY MR. WEAVER:

13 Q. Dr. Wilson, are you able to identify from
14 this document when the DVT occurred?

15 A. No.

16 Q. You're not critical of Dr. Wiencek for not
17 documenting when the DVT or DVTs occurred, are you?

18 A. Well, it would be very difficult to
19 actually establish when it first occurred since we
20 know that he's had venous disease since 2012.

21 Q. Okay. We'll come back to that in a moment.

22 If you would look under Chief Complaint and
23 read into the record what you see under Chief
24 Complaint.

25 A. Consultation, peripheral vascular disease.

1 Q. Okay. So what does that indicate to you in
2 terms of why it appears that Mr. Moore was consulting
3 with Dr. Wiencek on that date?

4 A. What it indicates to me is that Dr. Wiencek
5 had performed an operation on this patient
6 approximately six years previously, and he was in
7 followup for the vascular disease that he had.

8 Q. Since at least 2012?

9 A. Yes.

10 Q. And then do you see a little below that
11 where it says Assessment/Plan?

12 A. Yes.

13 Q. Would you please read the first sentence
14 into the record.

15 A. Patient is a 68-year-old gentleman with
16 peripheral vascular disease, who presents for
17 followup after a left-sided amputation.

18 Q. So would that indicate to you that Mr. Moore
19 was following-up, at least in part, because of his
20 left-sided amputation?

21 A. Yes.

22 Q. All right. And then would you read the next
23 sentence into the record, please, Dr. Wilson.

24 A. His right leg appears to be well
25 vascularized through collaterals around an

1 obstructed superficial femoral artery, SFA, in the
2 mid thigh.

3 Q. All right. So let's break that down a
4 little bit. So starting point is we're now talking,
5 in that sentence, about Mr. Moore's right leg; is
6 that correct?

7 A. Yes.

8 Q. And then we're talking about, as it pertains
9 to his right leg, we're talking about his artery.

10 Is "SFA" just another way of referring to
11 the femoral artery?

12 A. Yes.

13 Q. And generally, Dr. Wilson, is it synonymous
14 to say "SFA" or "femoral artery" or "femoral
15 popliteal artery"? Do they basically mean all the
16 same thing?

17 A. They're often used interchangeably.

18 Q. For our purposes today, is it sufficient to
19 use them interchangeably?

20 A. Yes.

21 Q. Okay. And then that document says that the
22 femoral artery in the right leg is obstructed; is
23 that correct?

24 A. Yes.

25 Q. Okay. And is "obstructed" another word for

1 "occluded" or "blocked"?

2 A. Yes.

3 THE COURT: I mean, we're not getting
4 objections there. But, again, is there ways to ask
5 the questions more along the lines of "Are there
6 other words?" And then depending on the answer
7 supplied, more information? I just a want to --
8 again, I'm trying to avoid the leading objection.

9 MR. WEAVER: Sure. May we approach,
10 Your Honor.

11 THE COURT: Yes.

12 (Bench conference.)

13 THE COURT: Appreciate the opportunity to
14 clarify. Thank you. Whenever you're ready to
15 proceed, Mr. Weaver.

16 MR. WEAVER: Thank you, Your Honor.

17 BY MR. WEAVER:

18 Q. What does "obstructed" mean?

19 A. It means that the opening in the artery,
20 the conduit, is completely blocked.

21 Q. And does it have additional synonyms or
22 additional language that it also means?

23 A. Occluded.

24 Q. So could you tell us in generally,
25 Dr. Wilson, whether what Dr. Wiencek appears to be

1 saying here is, in a nutshell, Mr. Moore has a right
2 occluded femoral artery?

3 MR. ARNTZ: Objection. Leading.

4 THE WITNESS: Yes. What he's saying is the
5 right artery is occluded, but there's sufficient
6 collateral circulation around the block; that his
7 leg is viable, and he's not going to recommend any
8 further intervention for that block.

9 BY MR. WEAVER:

10 Q. And what does the term "well vascularized"
11 mean to a vascular surgeon?

12 A. Good circulation.

13 Q. And what synonyms are there with terms of
14 "good circulation"? would one be that there is good
15 blood flow?

16 A. Yes.

17 Q. All right. So does this note indicate that
18 because of the collaterals around the femoral artery,
19 Mr. Moore's left leg is well vascularized, meaning
20 there's good blood flow?

21 MR. ARNTZ: Objection. Leading.

22 Your Honor --

23 THE COURT: Can I have --

24 THE WITNESS: What he's referring --

25 THE COURT: Hold on. There's an objection

1 pending. Can I have counsel back at the bench.

2 (Bench conference.)

3 THE COURT: The objection is sustained.

4 Please proceed, Mr. Weaver.

5 BY MR. WEAVER:

6 Q. Dr. Wilson, do you have an opinion as to
7 whether or not, based on the information that is
8 highlighted in yellow, Mr. Moore has a vascular
9 emergency in his right leg?

10 A. He does not.

11 Q. Okay. And are you able to tell us the basis
12 for your opinion?

13 A. Yes.

14 Q. And what is that, sir?

15 A. He does have a block, an occlusion, in the
16 femoral artery in the mid thigh. But over time, he's
17 developed sufficient collateral flow. The small
18 vessels that enlarge and go around the block to
19 sustain the leg's viability and to provide adequate
20 circulation for the leg.

21 Q. And are you able to tell us, based on your
22 review of the records in this case, for how long it's
23 likely, if at all, that Mr. Moore has had an occluded
24 right femoral artery?

25 A. We know that he has been occluded since

1 August 2012.

2 Q. Okay. And what is the basis for that
3 opinion?

4 A. He had an arteriogram done in August 2012.

5 Q. And what did that arteriogram show for
6 purposes of the right femoral artery?

7 A. It showed the right femoral was blocked.

8 Q. So are you able to tell us then, based on
9 that information and this note in front of you, for
10 how long Mr. Moore, if at all, has had a blocked
11 right femoral artery that the collaterals have been
12 feeding?

13 A. For at least six years.

14 Q. And, Dr. Wilson, are you able to tell us,
15 based on your review of this note, what
16 recommendation, if any, Dr. Wiencek made in terms of
17 following or dealing with this occluded right femoral
18 artery at this visit?

19 A. That he would come back in six months, and
20 he would again reevaluate the status of the
21 extremity.

22 Q. And are you able to tell us what, if any,
23 intervention Dr. Wiencek specifically recommended on
24 this date?

25 A. No intervention for the right leg.

1 Q. And do you have an opinion why Dr. Wiencek
2 did not recommend any intervention on that day for
3 the occluded right femoral artery?

4 A. Yes.

5 Q. And what is that, sir?

6 A. It was that he had a chronic problem that
7 had been present for years. His leg was viable.
8 There would be no point to doing an operation, given
9 that the circulation is adequate.

10 Q. And is it your opinion that based on what
11 you said, that the circulation is adequate, because
12 of the collaterals?

13 A. Yes.

14 Q. And what specifically, if you're able to
15 tell us or if you have an opinion, were the
16 collaterals?

17 In other words, what is the source of the
18 collaterals in the right leg if the femoral artery is
19 blocked?

20 A. Yeah, the collaterals are coming from the
21 deep femoral artery, the profunda femoris artery
22 which, very typically, supplies blood flow around a
23 block in the superficial femoral artery.

24 Q. Do you know, one way or another whether, as
25 Mr. Moore sits here today, whether the right femoral

1 artery is still occluded?

2 A. Oh, yes. It's still occluded.

3 Q. So you haven't seen any records since
4 August 28th, 2019, that would indicate that Mr. Moore
5 has received any treatment for the right femoral
6 artery occlusion?

7 A. I'm not aware of any records that would
8 show that.

9 Q. In your opinion, based on Dr. Wiencek's
10 note, did you see anything in this note that
11 constitutes if not an emergency, which you already
12 said it wasn't, but urgency in terms of treatment?

13 A. No.

14 Q. Okay. Why is that?

15 A. Well, because his leg is well perfused,
16 well vascularized by collateral flow. There's no
17 point intervening since he has adequate flow to the
18 extremity.

19 Q. We know, Dr. Wilson -- or do you have an
20 opinion whether on December 25th, 2016, the graft in
21 the femoral artery on the left was occluded?

22 A. Yes. It was occluded.

23 Q. And what does that mean, that Mr. Moore's
24 left femoral artery at the location of the graft was
25 occluded?

1 A. well, in this case, it means not only was
2 the femoral artery occluded, but the bypass graft
3 was occluded.

4 Q. And what does it mean that the bypass graft
5 was occluded?

6 A. well, it means that his leg will need to
7 have collaterals that will allow it to continue to
8 be viable in the absence of flow from the graft.

9 Q. A question about the graft, if I may. So
10 it's been described to us already that the graft is
11 actually a synthetic tube or a plastic tube or
12 Dr. Marmureanu described it as "Something God didn't
13 make." Is that a fair description?

14 A. It's "something"?

15 Q. "Something God didn't make."

16 A. Yeah, he's probably right unless you think
17 that God made the people who made the graft.

18 Q. Fair enough. Is the synthetic graft thinner
19 or smaller than the femoral artery?

20 A. It's slightly larger. A normal femoral
21 artery at that level would be 5 to 6 millimeters.
22 The graft that was used was 8 millimeters. So it's
23 a 1 to 2 millimeters larger.

24 Q. The graft is 1 to 2 millimeters larger than
25 the artery?

1 A. Right.

2 Q. So if the graft is working and blood is
3 flowing through it, is it typical that there would at
4 least be as much blood flowing through the graft as
5 there is the femoral artery?

6 A. Not quite as much because there is systemic
7 vascular disease. It's not just one artery. It's
8 the arteries even below where the graft is joined to
9 the artery below the block. So the flow will -- you
10 want the flow to be at least normal. You'd like it
11 to be over 100 cc per minute, but it might be a
12 little less.

13 Q. But is it fair to say that, absent
14 collaterals, the goal of the graft is to allow as
15 much blood flow as if it were the native femoral
16 artery?

17 A. Yes.

18 Q. Okay. Dr. Wilson, I'm going to ask you
19 another question by the juror that where we left off
20 with Dr. Marmureanu. I just want to establish
21 something. You're not here today to testify to
22 standard of care; correct?

23 A. With regard to?

24 Q. With regard to nurse practitioner or
25 Dr. Lasry, you're going to testify to issues having

1 to do with vascular issues, but you're not here to
2 testify to standard of care; correct?

3 A. Fine.

4 Q. Question No. 2. "In your opinion" -- and
5 this is a question that a juror had. "In your
6 opinion, does the standard of care mandate the
7 administration of medicine, like Heparin, if a graft
8 appears occluded or possibly occluded?"

9 A. Did I say -- did you ask me, though, if I
10 was going to comment on standard of care?

11 Q. Right.

12 A. And I said "No."

13 Q. Correct. And just so if we take out the
14 "standard of care" part and just leave in "Does there
15 need to be administration of medicine, like Heparin,
16 if a graft appears occluded or possibly occluded?"

17 MR. ARNTZ: Your Honor, I think the
18 question is vague. He's saying it's not a standard
19 of care opinion, but it's guided as one.

20 MR. WEAVER: Sure. Let me -- I'll re-ask
21 it. I'll just put it in the context of if it didn't
22 come from a juror.

23 BY MR. WEAVER:

24 Q. If a graft appears occluded, does there need
25 to be Heparin or a medication if it appears occluded?

1 A. Not necessarily.

2 Q. why is that?

3 A. well, for a chronic situation, there's no
4 acute clotting. So you would not need to give
5 Heparin. In the acute situation, you would give
6 Heparin. But recall that Mr. Moore has been taking
7 an anticoagulant for some time already. So he is
8 anticoagulated with Xarelto.

9 Q. Do you have an opinion whether, on
10 December 25th, 2016, Mr. Moore's left leg was acutely
11 ischemic?

12 A. On 12/25?

13 Q. Yes, sir.

14 A. I believe it was not acutely ischemic.

15 Q. Do you have an opinion whether on
16 December 25th, 2016, Mr. Moore's left leg was
17 chronically ischemic?

18 A. It was chronically ischemic.

19 Q. we'll come back to that in a few moments. I
20 just want to get to another question by a juror, and
21 that is do you have an opinion whether -- well, let
22 me backup.

23 Do you have an opinion whether, in light of
24 Mr. Moore's December 2012 femoral popliteal bypass
25 procedure, it was impossible for Mr. Moore to have

1 pulses in his foot on December 25th, 2016?

2 A. He had the bypass in 2012, and it had
3 subsequently clotted and had been opened, and on
4 12/25/16, I believe it had been clotted for some
5 period of time. Weeks, perhaps months.

6 Q. So do you have an opinion whether, after the
7 procedure in 2012, there could be pulses in
8 Mr. Moore's left foot?

9 A. Yes. You would expect, given a new graft,
10 that there would be pulses that could be palpated,
11 but not always. Not always.

12 Q. I want you to assume, Dr. Wilson, that
13 Dr. Marmureanu says that, after 2012, it was
14 impossible for there to be pulses in Mr. Moore's left
15 foot. Do you have an opinion whether that's
16 accurate?

17 A. That is not accurate, and I doubt that's
18 what he meant.

19 Q. I want you to assume that Dr. Marmureanu
20 also said that going into the 2012 femoral popliteal
21 artery bypass procedure, that Mr. Moore had no
22 palpable pulses and there was no blood flow heard on
23 a Doppler. Does that make sense to you?

24 A. No.

25 Q. Why not?

1 A. If no blood flow were heard on a Doppler,
2 that would very likely be an acute ischemic event.
3 If the bypass is open, you would generally expect to
4 feel pulses. It's not necessary because there may
5 be some disease in the below-knee position that
6 would prevent you from feeling pulses.

7 But ordinarily you would expect, with a
8 successful bypass, to feel pulses.

9 Q. So do you have an understanding, based on
10 your review of the records -- and we're going back to
11 August and August to November 2012 -- when the
12 occlusion in Mr. Moore's left femoral artery was
13 diagnosed?

14 A. Well, it was first suspected in July 2012,
15 when Mr. Moore had bilateral, both sides, leg pain
16 that had not been responsive to the treatment of the
17 saphenous vein on his left side. And at that point,
18 his physicians thought perhaps this is not the veins
19 or in addition to the veins; this is arterial, and
20 at that point, they got an arteriogram, and that's
21 what demonstrated occlusion, obstruction of the
22 femoral arteries. The arteriogram was done, I
23 believe, in August 20, 2012.

24 Q. So based on your review of the records, when
25 was the femoral popliteal artery surgery, bypass

1 surgery?

2 A. It was done in November 10. I'm not quite
3 sure on the day of 2012. Was done approximately
4 three months later.

5 Q. So the femoral popliteal graft procedure
6 was roughly three months after the diagnosis of the
7 occluded left femoral artery; is that correct?

8 A. That's correct.

9 Q. Did you see any evidence whatsoever that the
10 November 2012 femoral popliteal bypass surgery was
11 anything other than scheduled as an elective surgery
12 on that date?

13 A. No. It was an elective operation.

14 Q. Did you see anything in the records that
15 indicated between August of 2012, when the left
16 femoral artery was occluded and the surgery in
17 November, that it was treated as urgent if not
18 emergent?

19 A. No. He waited three months to do the
20 operation.

21 Q. Do you have an opinion as to why Dr. Wiencek
22 may have waited three months?

23 MR. ARNTZ: Objection. Speculation.

24 THE COURT: Sustained.

25 THE WITNESS: I don't know why he --

1 THE COURT: You can't answer the question.
2 I sustained the objection.

3 THE WITNESS: I'm sorry?

4 THE COURT: I sustained the question,
5 Doctor. You cannot answer the question.

6 THE WITNESS: All right.

7 THE COURT: Mr. Weaver will ask another
8 question.

9 BY MR. WEAVER:

10 Q. Did you see anything in the records that
11 indicated why there was a three month gap for the
12 surgery?

13 A. No. It appeared to be the routine process,
14 and Dr. Wiencek's office --

15 MR. ARNTZ: Objection. This is a backdoor
16 way of answering the question I just objected to.

17 THE COURT: He's allowed to ask the
18 question. The first one was a speculative question.
19 That one was not. Overruled.

20 BY MR. WEAVER:

21 Q. I'm sorry. Go ahead, Dr. Wilson.

22 A. Well, it appeared to be the progression
23 from discovery to consultation to operation, and I
24 can't tell you why they selected the day in
25 November. But, probably, they gave Mr. Moore some

1 time to think about the operation, and perhaps
2 Dr. Wiencek.

3 THE COURT: Well, now, Doctor, you are
4 speculating now.

5 THE WITNESS: I am.

6 THE COURT: I have no problem with you
7 expressing whether or not there's something in the
8 records that would indicate and from your knowledge,
9 but now you are speculating. So we'll direct the
10 jurors to disregard the final commentary that was
11 speculative. But go ahead, Mr. Weaver.

12 MR. WEAVER: Thank you.

13 BY MR. WEAVER:

14 Q. You indicated, Dr. Wilson, that based on
15 your review of the records, the diagnosis of a left
16 femoral artery occlusion appeared to have been
17 discovered when Mr. Moore was being worked up for
18 venous issues; is that correct?

19 A. That's correct.

20 Q. And what is your basis for that opinion,
21 sir?

22 A. Well, the medical record indicates that he
23 was undergoing treatment by Dr. Simon for venous
24 disease. He had a radiofrequency ablation of the
25 saphenous vein on the left side, and they were

1 thinking about doing the right side, and his
2 symptoms had persisted.

3 So they obtained a Doppler exam, ultrasound
4 exam, and that showed that the way form was dampened
5 so that it suggested obstructive disease in the
6 arteries. They then proceeded to an arteriogram,
7 and at that point, vascular surgery got involved.

8 Q. Do you have an opinion whether the
9 arteriogram in August 2012 that diagnosed the left
10 femoral occlusion also provided any information about
11 the right femoral artery?

12 A. Yes.

13 Q. And what is that opinion, sir?

14 A. It showed the right femoral had occlusion
15 too.

16 Q. And so would that indicate that if the right
17 femoral artery appeared to be occluded, based on the
18 arteriogram, that the right artery was occluded at
19 least as of 2012 up through August 28th, 2019?

20 A. Yes.

21 Q. Is there anything that you saw in your
22 records from 2012 to the present that would cause you
23 to be critical of Dr. Wiencek for not treating the
24 right femoral artery occlusion even up to this day as
25 an emergency?

1 A. No.

2 Q. And are you critical of Dr. Wiencek for not
3 having treated the left femoral artery occlusion as
4 an emergency or even urgent?

5 A. No.

6 Q. Dr. Wilson, we'll move forward from the
7 basic jury questions and into a new area.

8 Did Mr. Moore, in your opinion, have a
9 vascular emergency in his left leg on December 25th,
10 2016?

11 A. No.

12 Q. Do you understand, based on your review of
13 this case, that plaintiff's position is that
14 Mr. Moore did, in fact, have a vascular emergency in
15 his left leg on December 25th, 2016, due to acute
16 limb ischemia?

17 A. Through reading Dr. Marmureanu's
18 deposition, his opinion was that he had an acute
19 emergency, and I believe that's the support for the
20 plaintiff's position.

21 Q. And do you -- sometimes I call him "Dr. M"
22 just because I can't pronounce it. So feel free to
23 call him "Dr. M," if you wish.

24 Do you have an understanding as to the basis
25 for why Dr. Marmureanu thought that Mr. Moore's left

1 leg was acutely ischemic on December 25th, 2016?

2 A. well, I think it boiled down to the fact
3 that he felt the leg was cold and numb and also that
4 the ultrasound showed the graft to be occluded. So
5 putting those two things together, I think Dr. M
6 arrived at the conclusion that it must be an acute
7 emergency.

8 Q. Have you arrived at a different conclusion?

9 A. No.

10 Q. No. I'm asking if your opinion is different
11 than Dr. Marmureanu's on whether it was acutely
12 ischemic on December 25th?

13 A. My opinion is different, yes.

14 Q. And what is your opinion as to whether, on
15 December 25th, 2016, Mr. Moore's left leg was acutely
16 ischemic?

17 A. My opinion is that it was chronically
18 ischemic and that the examination that was done by
19 Dr. Lasry and nurse practitioner did not demonstrate
20 signs and symptoms of acute ischemia.

21 Q. So we'll get a little bit into that as we go
22 through. Dr. Wilson, do you have an opinion what the
23 general accepted medical definition is of "acute limb
24 ischemia"?

25 A. Yes.

1 Q. And what is that, sir?

2 A. Well, first of all, it would be severe pain
3 in the foot. There would be change in the color of
4 the extremity. It would be pale. If it was
5 elevated, it would turn a dusky purple color if it
6 was lowered. There would be a lack of motion,
7 particularly of the toes. There could be lack of
8 sensation. The temperature of the foot would be
9 cold. If you examined the patient, you would find
10 that the skin would be cold, discolored; and then on
11 a Doppler examination, you would not have a flow
12 signal in the arteries in the foot.

13 Q. Thank you, Dr. Wilson.

14 Do you have an opinion as to the generally
15 accepted medical definition of chronic limb ischemia
16 in the left leg?

17 A. Well, chronic limb ischemia is a condition
18 where the viability of the leg is maintained, but
19 the circulation is not completely normal because
20 there is a block in the artery, and you're depending
21 in chronic ischemia on the collateral blood flow
22 around the block.

23 In chronic ischemia, the typical symptom in
24 the extremity is claudication, that is, a cramping
25 type of pain when you would walk a certain distance,

1 which would be relieved with rest in about five to
2 ten minutes. And then if you began walking again,
3 the pain would reappear and perhaps your distance
4 would be a little shorter. That's kind of an
5 overview of what chronic ischemia is in the leg.

6 Q. Do you understand that doctor -- or do you
7 have an understanding that Dr. Marmureanu, based on
8 your review of the materials in this case, has formed
9 the opinion that on December 25th, 2016, Mr. Moore
10 had claudication?

11 A. Well, the pain as described is not entirely
12 typical of claudication because it had persisted for
13 a day or a little longer, depending on the note. It
14 seemed to be, to me, in keeping with the visit that
15 he had had approximately three days ago to the pain
16 clinic, where they described "muscle strain and
17 pain," and I think it would fit perhaps under that
18 title. Especially given the fact that in his
19 history, he related that he had walked more than
20 normal, more than his normal walking.

21 So if it had been claudication on the 25th,
22 I would have expected the pain in the calf to have
23 gone away. The fact that the pain persisted in his
24 calf supports more Dr. Lasry's definition.

25 Q. Do you have an opinion whether, by

1 definition, "claudication" means chronically ischemia
2 versus acutely ischemia?

3 A. Absolutely, yes.

4 Q. Was that opinion, sir?

5 A. That claudication is associated with
6 chronic ischemia, meaning that you have enough blood
7 flow to get along and do your -- most of your daily
8 activities. But if you exceed your walking
9 distance, you out strip your blood supply after,
10 say, one or two blocks of walking. The pain comes
11 on in your calf, and then you have to rest to allow
12 the blood supply to catch up.

13 Q. So even if on December 25th, 2016, Mr. Moore
14 had claudication, is it your opinion that that would
15 be chronic ischemia, not acute ischemia?

16 A. Yes.

17 Q. And are you able to tell the jury,
18 Dr. Wilson, based on your experience as a vascular
19 surgeon for a few decades, the commonness or lack of
20 commonness of claudication in men with peripheral
21 vascular disease?

22 A. Yes. Men over the age of 65, particularly
23 if they have a smoking habit or currently smoke or
24 diabetes, a study, for example, from the Netherlands
25 showed that approximately 10 percent of patients

1 would have symptoms of claudication, based on
2 occlusion of the superficial femoral artery.

3 Q. So do you have an opinion, one way or the
4 other, whether it's fairly common for men over the
5 age of 65, who have peripheral vascular disease, to
6 have claudication, that they may not even know that
7 they have an occluded femoral artery?

8 A. That can be a typical presentation, yes.

9 Q. And without specifically talking about
10 Mr. Moore, how does smoking or not smoking factor
11 into persons with peripheral vascular disease?

12 Do you have an opinion on that?

13 A. Well, yes. Tobacco is an important cause
14 of atherosclerotic vascular disease, and I think the
15 most important part in management is to have the
16 patient abstain from tobacco use.

17 Q. How does tobacco factor into peripheral
18 vascular disease, if you have an opinion?

19 A. Well, it's the most important cause of
20 peripheral vascular disease.

21 Q. Why?

22 A. Now that's a very good question. But it's
23 probably the effect of nicotine on the endothelium.
24 That's the inner lining of the blood vessel.

25 Q. Do you have an opinion on whether continued

1 smoking contributes to the natural progression of
2 peripheral vascular disease in most patients?

3 A. Yes. It's hard to hold the current
4 situation if the patient continues to smoke. It
5 worsens the disease.

6 Q. Why? Are you able to tell us
7 pathophysiologically, or whatever the word is that I
8 can't pronounce, what it is about tobacco that
9 furthers the progression of the disease?

10 Do you have an opinion on that?

11 A. It's the nicotine that enters the
12 bloodstream, and in some way, has a deleterious
13 effect on the lining, the single-cell lining called
14 the "endothelium" on the inside of your blood
15 vessels, whether it's an inflammatory reaction is
16 not clear. But it accelerates the progression of
17 atherosclerosis, the fatty deposits that you get in
18 the wall of the blood vessels.

19 Q. Is it fair to say that you, as a vascular
20 surgeon and as a vascular surgeon and a professor of
21 vascular surgery, do you teach residents?

22 A. I do.

23 Q. And what are "residents"?

24 A. Surgeons in training.

25 Q. So they're already physicians and then

1 they're specializing in vascular surgery; is that
2 correct?

3 A. Yes.

4 Q. And what's a "fellow"?

5 A. Today, that's just about the same thing. A
6 "fellow" really is a resident. There's some, a fine
7 discrimination based on whether the salary of the
8 resident is supported by Medicare, payments to the
9 hospital, or whether it's supported by other funds.
10 So a fellow generally doesn't have Medicare support
11 for the position. But actually they're used
12 interchangeably.

13 Q. Fair enough. So do you teach fellows and
14 residents -- we'll just say "residents" since they're
15 interchangeable. Do you teach your residents to
16 teach and encourage their patients to abstain from
17 tobacco if they've got peripheral vascular disease?

18 A. Absolutely. It's the No. 1 thing you can
19 do.

20 Q. And if a patient who is a smoker, even for
21 decades, if they abstain from tobacco, is the general
22 medical thinking, if you have an opinion on this,
23 that it increases the chances that their peripheral
24 vascular disease might not progress?

25 A. Yes.

1 Q. Okay. And why is that?

2 A. Well, you've taken away the noxious insult
3 to the artery. It's not the only thing. You then
4 encourage the patient to walk as much as they can.
5 You treat high blood pressure. You treat cholesterol
6 elevation and any kidney disease. So it all --
7 today, the first-line treatment is medical treatment
8 for a period of time to see if the patient's
9 symptoms will get better so that -- and I don't want
10 to wander here.

11 But I tell my patients that if they give up
12 smoking, take on an exercise program, manage their
13 blood pressure and their cholesterol that, within
14 three months, their walking distance will double and
15 that within six months, their walking distance will
16 triple. So today that's first-line therapy as
17 conservative -- we call it "conservative
18 management."

19 Q. Is what you just described to us
20 conservative management, medical management for
21 chronic limb ischemia?

22 A. Yes.

23 Q. And do you hold an opinion, one way or
24 another whether, as of December 25th, 2016, that was
25 what Mr. Moore had, in other words, chronic limb

1 ischemia?

2 A. He had chronic limb ischemia, yes.

3 Q. Dr. Wilson, did you see in your review of
4 the materials that on December 25th, 2016, Nurse
5 Practitioner Bartmus and Dr. Lasry diagnosed
6 Mr. Moore with musculoskeletal strain?

7 A. I saw that.

8 Q. Do you agree with that?

9 A. I think so. I did not examine Mr. Moore at
10 the time, and so I'm relying on the medical records,
11 and it would appear to have been a reasonable
12 diagnosis.

13 Q. Even if they -- even if they got that
14 diagnosis wrong, which has been alleged here, even if
15 it was in fact claudication, the claudication doesn't
16 convert it from other than being chronic limb
17 ischemia; is that fair?

18 MR. ARNTZ: Objection. Leading.

19 THE COURT: Overruled. You may answer.

20 THE WITNESS: Claudication is typically
21 associated with chronic limb ischemia, not with
22 acute limb ischemia.

23 BY MR. WEAVER:

24 Q. And that your opinion in this case as well?

25 A. Yes.

1 Q. On December 25th, 2016, by ultrasound, by
2 venous ultrasound, was there an occlusion of the left
3 femoral popliteal graft?

4 A. Yes.

5 Q. Is there any doubt in your mind about that?

6 A. No.

7 Q. Okay. So you don't dispute that the
8 ultrasound of Mr. Moore's left leg showed occlusion
9 of the femoral popliteal graft; correct?

10 A. Correct.

11 Q. Does the fact that Mr. Moore's left femoral
12 popliteal graft, by ultrasound, was occluded, does
13 that convert Mr. Moore's chronic limb ischemia, in
14 your opinion, one way or another, to critical limb
15 ischemia or acute limb ischemia?

16 A. Not necessarily, no.

17 Q. Why not?

18 A. Well, he will have built up sufficient
19 collaterals that allow the leg to be maintained, to
20 have viability and not be in a situation of acute
21 ischemia. The graft was probably occluded for some
22 period of time based on the fact that lytic therapy,
23 which it worked in the past, did not work this time,
24 did not dissolve the clot, which means the clot was
25 pretty advanced, had entered what I would call a

1 "rubbery stage" and just didn't respond.

2 Often, as time goes by, the graft becomes
3 attached to the wall of the artery, and you get
4 ingrowth of tissue which fixes it. So based on
5 that, and given that they had been successful on two
6 previous occasions, I think on this occasion, the
7 graft -- the clot had to have been present there for
8 weeks to months.

9 Q. So am I understanding you correctly that
10 even if Mr. Moore had chronic limb ischemia when he
11 came into the emergency department on December 25th,
12 2016, the fact that he had an occluded left femoral
13 popliteal artery did not convert the chronic limb
14 ischemia to acute limb ischemia?

15 MR. ARNTZ: Objection. Leading.

16 THE COURT: Can I have counsel at the
17 bench, please.

18 (Bench conference.)

19 THE COURT: All right. That objection is
20 overruled. You need to re-ask the question, but
21 with the understanding, Mr. Weaver, that we have.

22 BY MR. WEAVER:

23 Q. Dr. Wilson, have you formed an opinion, one
24 way or another whether, based on Mr. Moore's
25 presentation and the Doppler venous ultrasound on

1 December 25th, 2016, Mr. Moore had acute versus
2 chronic limb ischemia?

3 A. I have.

4 Q. And what is that, sir?

5 A. I believe the chronic limb ischemia on
6 December 25, 2016.

7 Q. Dr. Wilson, do you have an opinion whether
8 there is a gold standard way to diagnosis acute limb
9 ischemia?

10 A. Well, it would be on the patient's history.
11 When did it develop, and did it develop relatively
12 suddenly? It would be on whether or not he's
13 feeling severe pain, whether he's noticed
14 discoloration, lack of movement, particularly of the
15 toes, and that is the extremity cold; and he,
16 Mr. Moore, knew that because he actually had acute
17 ischemia on two prior occasions.

18 The examination is important in arriving at
19 the diagnosis. You would have a foot that would be
20 ice cold skin that was discolored. If he elevated
21 the foot, it would blanch out. If you dropped it
22 down, it would turn purple. If you listen with a
23 Doppler, there would be no flow signal in the distal
24 arteries. He would not be able to wiggle his toes,
25 and he would not detect a sensation to pinprick or

1 even to just a cotton swab touching the skin.

2 Q. Thank you, Dr. Wilson.

3 Do you have an opinion whether what you've
4 just described to the jury includes what's called a
5 "clinical evaluation and a physical exam and
6 assessment"?

7 A. Yes. That's a summary of what I was trying
8 to say, yes.

9 Q. And do you have an opinion whether that's
10 frequently referred to as the Five Ps?

11 A. Yes, it is.

12 Q. And I'll represent to you or I'd like for
13 you to assume, Dr. Wilson, that Dr. Marmureanu
14 referred to the Five Ps, and I'm going to quote him
15 exactly as "old medicine practiced by old doctors."

16 Do you have an opinion whether that's a fair
17 assessment? I'm sure no slight was intended.

18 A. Well, I sort of feel personal about that.
19 No, it's not. It's the basics of vascular
20 examination, examination by anyone. Your general
21 practitioner, your internist. You know, technology
22 has advanced, but we still use the history and
23 physical examination. It's the most important
24 thing.

25 Q. Do you have an opinion, one way or another,

1 whether there is a better substitute for the Five Ps
2 than that being practiced by old doctors practicing
3 old medicine?

4 A. That hurts.

5 Q. well, let me ask it this way.

6 A. No. That's still the basis of your
7 investigation.

8 Q. And is that what you teach your residents to
9 use, the Five Ps in the assessment which you've
10 identified includes clinical evaluation, physical
11 exam, and assessment?

12 A. Yes. Absolutely.

13 Q. Do you have an opinion whether or not if you
14 didn't do the Five Ps, how you would reach a
15 diagnosis of acute limb ischemia?

16 A. well, if you didn't, you wouldn't reach the
17 diagnosis if you didn't examine the skin and so on.

18 Q. I want you to assume that Dr. Marmureanu has
19 testified that, in 2020, the way to do a diagnosis of
20 acute limb ischemia is through an arteriogram. So I
21 want you to -- well, go ahead.

22 Do you have an opinion whether you agree
23 with that or not?

24 A. No, I disagree. And I've written the
25 articles that establish CT as the diagnostic test.

1 The diagnostic test is done where you need
2 confirmation of your original diagnosis, but most
3 importantly, to see if there's something corrective
4 that you can do, whether it's giving a clot
5 dissolution enzyme or surgical intervention. So a
6 CT is not your first test, no.

7 Q. So do you have an opinion on whether what
8 you've just identified is talking about how to guide
9 treatment once the diagnosis is made?

10 A. Yes. That's a very important part of the
11 arteriogram.

12 Q. If you don't diagnose acute limb ischemia
13 first by physical exam and assessment of the Five Ps,
14 how would you know to do an arteriogram or CT
15 angiogram?

16 A. Well, you wouldn't know, and if you did it
17 without a good indication, you would be risking
18 complications in the patient from the test.

19 Q. Well, I would like you to assume that, on
20 that point, Dr. Marmureanu has testified that when it
21 comes to CT angiogram, all you do is, quote-unquote,
22 "squirt a little dye." Are there --

23 well, first of all, do you agree it's that
24 simple?

25 A. No. It's 200 cc of intravenous contrast

1 that's injected into a central vein. So you have to
2 introduce a catheter to go up towards the central
3 vein. It's injected using a power injector, which
4 is a automatic tool. It injects at 200 cc rapidly,
5 and you have to use advance computer scanning to
6 detect. Since there's less dye, it's venous test,
7 you have to use a special computer, tomographic CT,
8 to magnify the contrast in the blood vessels.

9 An arteriogram is where you inject the
10 contrast through a incision where you introduce a
11 tube into the femoral artery in the groin, then
12 inject the dye directly into the artery. So they're
13 a little bit different tests. They do show you
14 roughly the same information. A CT is often done as
15 an outpatient. Femoral arteriogram is more often
16 done as an inpatient.

17 Q. And so do you have an opinion, one way or
18 another whether, in addition to what you've described
19 of doing a CT angiogram and arteriogram, that there
20 are risks to certain patient populations by doing
21 CT angiograms with contrast or with iodine?

22 A. Yes. There are risks.

23 Q. And what risks or what patient group would
24 potentially be at risk, particularly if they were
25 repeated CT angiograms to check whether there is

1 acute limb ischemia if, in fact, according to
2 Dr. Marmureanu, that's the way to go?

3 A. Well, the major worry is kidney damage
4 because the contrast is known to cause damage to
5 the, you know, tubules that filter the urine; and
6 generally, you would not want to do that in a
7 patient with any sign of kidney problems or anyone
8 with diabetes, you would not do that. You could do
9 it with preparation of a patient, giving intravenous
10 fluids for several hours before, holding a patient
11 to make sure you've got a good liter of fluid into
12 the system to dilute the contrast.

13 The other second big complication is
14 allergy to the contrast.

15 Q. Thank you, Dr. Wilson.

16 I want you to assume that Dr. Marmureanu
17 has also testified that when it comes to the
18 diagnosis and treatment of acute limb ischemia, there
19 is one standard for everybody whether you're an
20 emergency medicine physician, vascular surgeon,
21 inpatient, outpatient. So I want you to hold that
22 assumption for a moment.

23 Do you have an opinion, one way or another,
24 whether practitioners in the community, as opposed to
25 the emergency department, in order to diagnose acute

1 limb ischemia by CT angiogram, would then have to
2 send patients, for example, to the emergency
3 department for that test?

4 MR. ARNTZ: Your Honor, can we approach for
5 just a minute.

6 THE COURT: Sure.

7 (Bench conference.)

8 THE COURT: All right. Thank you for the
9 opportunity to clarify a couple of things.

10 Mr. Weaver, whenever you're ready.

11 BY MR. WEAVER:

12 Q. Dr. Wilson, I want you to assume a
13 hypothetical, and I want you to assume, for purposes
14 of the hypothetical, that Dr. Marmureanu is correct
15 that the standard of care to diagnose acute limb
16 ischemia is CT angiogram or arteriogram.

17 If, to further the hypothetical,
18 Dr. Marmureanu has testified that it's one standard
19 of care whether it's an inpatient provider in the
20 emergency department or an outpatient provider, for
21 example, in a clinic or an office, do you have an
22 opinion whether that would indicate that outpatient
23 providers would need to send the patient somewhere
24 for the CT angiogram or arteriogram?

25 A. If they're diagnosed acute ischemia, yes.

1 Q. Do you have an opinion, one way or another,
2 based on your review of the materials in this case,
3 that Nurse Practitioner Bartmus and Dr. Lasry
4 accepted, during their evaluation of Mr. Moore, that
5 the femoral popliteal artery graft was occluded?

6 MR. ARNTZ: Object. Lacks foundation.

7 THE COURT: I'm not sure you laid the
8 foundation for this one, Mr. Weaver.

9 BY MR. WEAVER:

10 Q. Sure. Have you reviewed the depositions
11 Nurse Practitioner Bartmus and Dr. Lasry?

12 A. I have.

13 Q. And have you reviewed the medical records in
14 this case?

15 A. I have.

16 Q. And have you formed an opinion whether
17 Nurse Practitioner Bartmus and Dr. Lasry accepted
18 during the December 25th, 2016, emergency department
19 visit that the graft was occluded based on the
20 ultrasound?

21 A. Yes.

22 Q. Do you have an opinion whether the finding
23 of the occlusion on the ultrasound, combined with
24 Mr. Moore's past medical history and his present
25 complaint of seven-out-of-ten calf pain warranted a

1 CT angiogram and a call to a vascular surgeon for
2 emergency treatment?

3 A. No. It did not warrant it at that time.

4 Q. And why not?

5 A. Because chronic ischemia would be expected
6 in the condition that Mr. Moore had. It would only
7 require vascular surgery consultation if it was an
8 acute event that threatened the life of his
9 extremity such that an intervention on an emergency
10 basis was needed. In that case, you would call for
11 a vascular surgeon. You would obtain imaging tests,
12 and that would be the process for acute ischemia.

13 Q. Have you formed the opinion, Dr. Wilson, one
14 way or another, whether those circumstances that you
15 just articulated were present on three days later, on
16 December 28th, 2016?

17 A. Yes. Absolutely. They were present.

18 Q. I'm sorry?

19 A. Those circumstances signifying acute
20 ischemia were definitely present on the 28th.

21 Q. Why is that?

22 A. The presentation was a typical -- it was
23 very typical. When he left the emergency room on
24 the 25th, he walked out. He said his pain, he felt
25 relieved, I think is the word he used, that his pain

1 had subsided or was gone and that he felt okay
2 between the 25th and the morning of the 28th, when
3 he awoke and had severe pain and went to see his, I
4 believe it was his neurologist who, very quickly,
5 diagnosed acute ischemia and had him taken over to
6 the emergency room of the hospital. Totally
7 different presentation.

8 Q. Okay. I want you to tell us whether or not
9 you had reviewed the, as part your review of the
10 materials, whether you've reviewed the ultrasound on
11 December 25th, 2016?

12 A. Just the report. I've looked at the
13 images, but I'm not an expert. I'm no longer an
14 expert on ultrasound images. But I read the report.

15 MR. WEAVER: Exhibit 100, which is admitted
16 into evidence, Your Honor, Bates 1411, we would ask
17 be put up for Dr. Wilson's review.

18 THE COURT: Okay.

19 BY MR. WEAVER:

20 Q. Dr. Wilson, is this the ultrasound that
21 you've seen as part of your review of materials in
22 this case?

23 A. Yes.

24 Q. Okay. So you have seen this document
25 before; is that fair?

1 A. I have seen this document before.

2 Q. And do you see in this document where it
3 says "The femoral popliteal artery graft appears
4 occluded"?

5 A. Yes.

6 Q. And you accept that to be correct; true?

7 A. Yes.

8 Q. Okay. I want you to assume, Dr. Wilson,
9 that Dr. Marmureanu has testified that this
10 ultrasound finding in Mr. Moore's case, standing
11 alone, was a vascular emergency.

12 Do you have an opinion, one way or another,
13 whether this ultrasound report, combined with
14 Mr. Moore's history and presentation on that day,
15 constituted a vascular emergency?

16 A. I don't think so.

17 Q. And what are all the reasons you don't think
18 so?

19 A. Well, first of all, the presentation is not
20 that in my reading of the record of acute ischemia.
21 Secondly, you could certainly have an occluded
22 graft, particularly a poly type of fluoroethylene
23 plastic graft without having acute ischemia. It's
24 not uncommon. So this in itself, given the
25 chronicity of vascular disease, the multiple

1 procedures he had in the past would not suggest to
2 me that he had acute ischemia.

3 You have to take in the presentation, this
4 information is helpful. But, to me, it just
5 confirms that he's had chronic arterial disease.

6 Q. I want you to assume that Dr. Marmureanu
7 testified that taking into account the ultrasound,
8 Mr. Moore's past medical history, and his
9 presentation December 25th, 2016, quote: "If you
10 would be in a submarine, you would see a red light
11 and a sound. This cannot be more of an emergency,
12 those six words here represent flags, alarms, red
13 lights all over." Do you agree with that?

14 A. Well, it certainly is picturesque language,
15 but it's not how one would react to receiving this
16 report.

17 Q. How would one react with receiving that
18 report?

19 A. I would go back and examine the patient
20 again and see that my first examination is accurate,
21 and I would suggest that the patient follow-up with
22 a surgeon because the surgeon would likely want to
23 know that the graft was occluded.

24 Q. So before we get into your credentials,
25 which I know we still haven't, based on these

1 questions that I've asked you so far, do you believe
2 that you're competent to offer the opinions that
3 you've offered so far?

4 A. I do.

5 Q. Do you believe that you are qualified and
6 competent to disagree with my telling you,
7 hypothetically at least, what Dr. Marmureanu has
8 testified to so far that you've disagreed with?

9 A. I disagree with the conclusions --

10 MR. ARNTZ: Let me just make an objection.

11 THE COURT: Hold on, hold on, hold on.

12 MR. ARNTZ: I didn't understand the
13 question.

14 THE COURT: I didn't hear the answer.
15 We're going to have to figure out where we're going.

16 But what was the objection?

17 MR. ARNTZ: It was vague. I didn't even
18 understand the question.

19 MR. WEAVER: Sure. Fair enough. I'll
20 re-ask it.

21 THE COURT: The doctor's already answered.
22 But let's just clean up the record and have you
23 re-ask, Mr. Weaver.

24 MR. WEAVER: Because I missed the answer
25 anyway.

1 THE COURT: And so did I. Sustain the
2 objection.

3 And I know so far it's gone well,
4 Dr. Wilson, but just kind of give a little beat.
5 So just in case there's an objection, we can get it
6 heard before you start answering, and then we don't
7 talk over each other. But no worries. We'll get
8 there. Go ahead, Mr. Weaver.

9 BY MR. WEAVER:

10 Q. Dr. Wilson, do you feel competent and
11 qualified to disagree with Dr. Marmureanu's opinions?

12 A. I do.

13 Q. And why is that?

14 A. Well, first of all, I'm relying on the
15 medical record, and reading the medical record, it
16 does not describe to me a situation of acute
17 ischemia.

18 Q. Dr. Wilson, we'll talk some more about the
19 ultrasound in a moment. But based on your review of
20 the materials in this case, do you have an opinion,
21 one way or another, whether it was warranted for
22 Nurse Practitioner Bartmus and Dr. Lasry to be
23 concerned on December 25th about a DVT?

24 A. Yes. I think that was appropriate.

25 Q. And why is that, Dr. Wilson?

1 A. well, first of all, he had calf pain, which
2 is a classic symptom of deep venous thrombosis.
3 Secondly, he had had treatment for venous disease in
4 the past. So it was reasonable to evaluate him for
5 the presence of deep venous thrombosis.

6 Q. I want you to assume, Dr. Wilson,
7 hypothetically, that Mr. Moore has testified in his
8 deposition that he was diagnosed one or more times
9 with a blood clot in his lung.

10 Do you accept that hypothetical?

11 A. Yes.

12 Q. In fact, in your review of Mr. Moore's
13 deposition, do you recall that?

14 A. I believe I do, yes.

15 Q. And what is a blood clot in the lung?

16 A. well, in medical terms, it's a pulmonary
17 embolus, which means that a blood clot has broken
18 off from the vein, traveled up, and lodged into your
19 lungs and prevents blood circulating through the
20 lungs to allow you to get sufficient oxygen.

21 Q. Do you have an opinion, Dr. Wilson, whether
22 a potential risk of an undiagnosed DVT is that it may
23 become a pulmonary embolism?

24 A. Yes.

25 Q. Okay. And what's your opinion in that

1 regard?

2 A. Well, it commonly will occur with deep
3 venous thrombosis, yes.

4 Q. And do you have an opinion, Dr. Wilson, as
5 to whether there is a potential risk of death if that
6 does occur?

7 A. Yes.

8 Q. And what is that opinion?

9 A. Well, there are estimates of between 250
10 and 500,000 deaths annually due to pulmonary emboli
11 such that it's been a major healthcare concern.

12 Q. And do you have an opinion whether if a
13 primary reason the venous ultrasound was ordered was
14 to detect whether or not a DVT was present?

15 A. Yes.

16 Q. Do you have an opinion, therefore, that it
17 was appropriate in this case?

18 A. I think so. Given the history of pain in
19 the calf, past history of thrombosis, yes.

20 Q. Dr. Marmureanu has testified, I want you to
21 assume that even if there wasn't a past history of
22 DVT, that it would have been appropriate to do so in
23 this case. Do you agree with his opinion?

24 A. That it would have been appropriate to do
25 it even if there wasn't a history, is that the

1 question.

2 Q. Yes, sir.

3 A. Yes.

4 Q. And have you formed an opinion whether the
5 ultrasound identifies the presence or absence of DVT?

6 A. It did not show deep venous thrombosis.

7 Q. Are you able to explain to the jury why a
8 DVT is diagnosed by venous ultrasound, if it is, as
9 opposed to an arterial clot?

10 A. Well, the difference is the clot is in the
11 veins and obstructs the return of blood flow
12 ultimately to the heart. So the ultrasound will
13 examine blood flow in the veins and see if there's a
14 clot within the veins.

15 Q. Do you have an opinion as to why a venous
16 ultrasound would be done for a DVT but an arterial
17 ultrasound isn't done to detect a blood clot in the
18 arteries?

19 A. Well, in this case, I think the suspicion
20 was directed towards a DVT. Now, if the question
21 that you ask is why didn't they also do an arterial
22 ultrasound, I don't know is the answer.

23 Q. Can a DVT be identified by physical exam?

24 A. Sometimes, yes.

25 Q. Is the gold standard to do an ultrasound for

1 it?

2 A. Yes.

3 Q. We'll talk a little bit more about that in a
4 little bit. But are there additional findings on the
5 ultrasound besides that there was not a DVT?

6 A. Yes. He found that there -- the standard
7 part of the test is to compress the calf and see if
8 that changes the velocity of blood in the veins. He
9 did find that there was a normal compressibility of
10 the vein, meaning that there was no clot filling the
11 vein. If there was, you couldn't compress it. That
12 augmentation by squeezing the calf, you could shoot
13 the blood faster up the veins towards the heart, and
14 I think those led him to believe there was no deep
15 venous thrombosis in the left leg.

16 Q. Does the ultrasound indicate, one way or
17 another, whether there was a sufficiency of blood
18 flow in the veins?

19 A. Well, he doesn't use those terms, but
20 reading the findings, it suggests there was normal
21 blood flow in the veins.

22 Q. What is the significance of normal blood
23 flow in the veins, if any, vis-a-vis, arterial blood
24 flow?

25 A. Well, it suggests that you have to have

1 adequate arterial inflow in order to get venous
2 outflow. So it's a secondary finding that arterial
3 inflow was, at that point, satisfactory.

4 Q. Why do you have to have sufficient arterial
5 inflow? Does that mean blood flow through the
6 arteries into the leg? Is that what that term means?

7 A. Yes.

8 Q. Why do you have to have sufficient blood
9 flow into the artery in order for there to be
10 sufficient blood flow in the veins out of the leg?

11 A. Well, the purpose of the veins is to return
12 blood flow from the arteries to the heart. So if
13 you don't have sufficient blood flow, there will be
14 static flow or no flow in the veins, and often that
15 leads to clotting in the veins. So in order to have
16 satisfactory -- I mean, I think it's elementary. In
17 order to have satisfactory outflow, you have to have
18 satisfactory inflow.

19 Q. Do you have an opinion, one way or another,
20 whether it's easier for blood to flow downhill than
21 uphill?

22 A. Yes.

23 Q. And what is that opinion?

24 A. That it's easier for most fluids to go
25 downhill.

1 Q. Do you have an opinion, one way or another,
2 whether when the veins are returning the blood to the
3 heart, it has to pump the blood uphill or against
4 gravity?

5 A. Yes.

6 Q. Okay. If there was insufficient blood flow
7 going down the arteries to cause the venous flow to
8 return to the heart, did you just say that there is
9 the potential that the venous flow would backup and
10 clot?

11 A. Yes. That's correct.

12 Q. And you don't see any evidence of that on
13 this ultrasound; is that correct?

14 A. No.

15 Q. And I think you said earlier, maybe where we
16 kicked off, that the ultrasound also shows the
17 femoral popliteal occlusion obviously; is that
18 correct?

19 A. Yes.

20 Q. Do you hold an opinion, one way or another,
21 Dr. Wilson, whether anything that Nurse Practitioner
22 Bartmus and Dr. Lasry did or didn't do caused
23 Mr. Moore's left leg to be amputated?

24 A. My opinion is that what they did did not
25 cause his leg to be amputated.

1 Q. And do you hold that opinion and all the
2 opinions, so far that you've told the jury, to a
3 reasonable degree of medical probability?

4 A. Yes.

5 Q. And why is it that you hold the opinion that
6 there was nothing Ms. Bartmus or Dr. Lasry did or
7 didn't do that caused Mr. Moore's left leg
8 amputation, to a reasonable degree of medical
9 probability?

10 A. I believe, at the time they saw Mr. Moore,
11 as their medical record states, I believe that he
12 had a chronic condition that had been present for
13 some weeks to months and that when he left the
14 hospital emergency room, he had satisfactory
15 circulation to ensure viability of the leg; and on
16 December 28th, an event occurred which rather
17 suddenly worsened his symptoms and result -- and led
18 to acute ischemia.

19 Q. we'll get into --

20 A. That's my summary.

21 Q. Thank you. Thank you for the summary.
22 we'll get into it in more detail in a little bit.

23 So it sounds like what you're saying is that
24 on December 28th, Mr. Moore had acute limb ischemia
25 when he presented to the emergency department, but it

1 wasn't diagnosable to that time.

2 Do you hold that opinion?

3 A. Yes.

4 Q. Do you have an opinion whether on
5 December 25th, 2016, it was predictable, while
6 Mr. Moore was in the emergency department, that three
7 days later, on December 28th, he would have
8 diagnosable acute limb ischemia?

9 A. I don't think you could predict when that
10 would occur.

11 Q. Why is that, Dr. Wilson?

12 A. Well, the acute ischemia developed because
13 the major, I believe, the major collateral blood
14 vessel supplying the blood to his leg, going around
15 the graft was occluded when the arteriogram was done
16 on the 28th. That cut off the only supply of blood,
17 major supply of blood to his leg. The profunda
18 femoris had clots in it, and I think that's why he
19 presented with such a obvious condition on the 28th.

20 Q. So is what you just said that on
21 December 28th, he acutely or suddenly lost blood flow
22 through his profunda?

23 A. Yes.

24 Q. And what is the profunda artery?

25 A. There are two major arteries that supply

1 the leg, beginning at the level of the groin. Their
2 the superficial femoral artery and then the deeper
3 femoral artery which runs in the muscles.

4 Q. I'm sorry. I missed that. That runs in the
5 what?

6 A. In the muscles. It's mostly in the muscle.
7 So that when the superficial occludes, the profunda
8 takes off, takes over.

9 Q. Is the profunda a collateral?

10 A. Yes.

11 Q. So that's one of the things that you were
12 referring to earlier when you talked about
13 collaterals?

14 A. Yes.

15 Q. In addition to the profunda, were there
16 other collaterals Mr. Moore had as of say
17 December 25th, 2016?

18 A. Well, his major other collateral had been
19 blocked during the operation of 2012, and that is
20 the collateral that supplies blood flow to the hip,
21 the internal iliac. That had been covered with a
22 graft in repair of an aneurysm. I don't have
23 records of the image of the aneurysm but -- because
24 the records begin in July.

25 So he had lost a major source of

1 collaterals. So he was depending on that profunda,
2 and when the clots developed in the funda, in the
3 profunda, that's what precipitated the acute
4 ischemia. That's my analysis of what happened.

5 Q. Is there anything that you reviewed in the
6 medical records of December 28th or a couple of days
7 after, before Mr. Moore's leg was amputated, that
8 gives you support for your opinion that the profunda
9 artery was occluded on December 28th?

10 A. He, the arteriographer noted clots in the
11 profunda, and he actually stated that the vascular
12 supply appears much worse than the last time, and
13 I'm assuming he was the one who did the lysis of the
14 clot just over a year ago.

15 Q. Do you have an opinion, one way or another,
16 whether the occlusion of the profunda identified on
17 the 28th that you said was an acute event leading to
18 acute limb ischemia was caused by the preexisting
19 occlusion of the graft in the femoral artery?

20 A. No. It wasn't caused by the preexisting
21 occlusion.

22 Q. Why do you say that?

23 A. Well, if it had occluded -- if the graft
24 had occluded say six weeks previously or two months,
25 and the profunda had occluded at that time, that's

1 when he would have had acute ischemia. There may
2 have been other causes that could lead to occlusion
3 of the profunda artery.

4 Q. Does the graft in the femoral popliteal
5 artery feed blood to the profunda?

6 A. No.

7 Q. Where is the location of the profunda above
8 or below the femoral popliteal artery graft?

9 A. Well, the graft could take off usually at
10 the level of the profunda, but it takes off from the
11 common femoral artery, not the profunda artery. And
12 at the time of the graft, you would attempt to make
13 sure the profunda artery is open.

14 Q. So the location of the profunda artery is
15 different than where the occlusion of the clot or the
16 occlusion in the femoral popliteal artery is; is that
17 right?

18 A. Yes.

19 Q. If Mr. Moore's profunda artery was acutely
20 occluded on December 28th and that's the cause of the
21 acute leg ischemia, why was Dr. Wiencek unable, if he
22 wasn't able to, dissolve the clot in the graft?

23 A. Okay. The radiologist, I believe, did the
24 attempt at lytic therapy. And the reason he
25 couldn't occlude it and the usual reason is that the

1 clot is old, is attached now to the inside of the
2 artery, has -- the clot, we call it "matured," has a
3 rubbery consistency, that it just doesn't respond to
4 TPA.

5 Q. Do you have an opinion whether, on
6 December 28th or the day or two after that, there was
7 an attempt to dissolve the clot in the occluded
8 femoral popliteal artery?

9 A. Yes.

10 Q. And tell us, again, if you would, why it is
11 you think that that attempt wasn't successful?

12 A. Well, the radiologist said it wasn't
13 successful.

14 Q. Okay. And why, again, wasn't it successful?

15 A. I think it wasn't successful because the
16 clot had been present to such an extent that it
17 wasn't possible for lysis to be successful.

18 If I could back that up, the major study
19 that looked into whether you should do surgery or
20 clot lysis actually included clots up to the period
21 of three weeks duration, and the results were the
22 same whether you did lytic therapy or surgery. So I
23 take that to mean that, beyond older than three
24 weeks, it would be just about impossible to dissolve
25 a clot.

1 Q. And is it your opinion this clot was older
2 than three weeks for the reasons that you've given
3 us, including based on the description?

4 A. Yes.

5 Q. Do you hold the opinion then, it sounds
6 like, that three days wasn't going to make a
7 difference in this case, from the 25th to the 28th,
8 as to whether or not, to a reasonable degree of
9 medical probability, the clot in the femoral
10 popliteal graft could be dissolved?

11 A. No. I don't think that was the major
12 operative factor.

13 Q. So do you hold an opinion, one way or
14 another, whether had there been an attempt to
15 dissolve the clot through thrombolytics on
16 December 25th, hypothetically, whether it would have
17 been successful?

18 A. I don't think the result would have been
19 any different.

20 Q. And is that based on the reasons that you've
21 told us from what happened on the 28th, when it was
22 attempted?

23 A. Yes.

24 Q. So three days wasn't going to make a
25 difference?

1 A. I don't think it did.

2 Q. Okay. So do you hold the opinion then,
3 based on what you've told us so far, that it wasn't
4 the occlusion of the graft in the femoral popliteal
5 artery that caused the acute limb ischemia on the
6 28th, but rather it was the acute occlusion of the
7 profunda artery in the collaterals?

8 A. Yes.

9 Q. And tell us, again, if you would, please,
10 the basis for that opinion.

11 A. Well, I think that Mr. Moore was in
12 reasonable shape when he left the emergency room on
13 the 25th, based on his deposition. That the pain
14 had been relieved. He was ambulatory when he left.
15 He states that his foot, both foot -- feet, sorry --
16 felt pretty much the same, that numbness came and
17 went away frequently, and he didn't really think
18 that was completely different. And then the events
19 that occurred on the 28th were quite different, much
20 more painful, much more severe and were recognized
21 by a neurologist at that time.

22 So I think he had a viable extremity when
23 he left the emergency room on the 25th, and then on
24 the 28th, I think he had occlusion of the
25 collaterals that was keeping that leg alive that was

1 unpredictable, except in a very long sense that, given
2 enough time, Mr. Moore was destined to have serious
3 trouble with his left leg.

4 Q. If I just corrected you for a moment that on
5 the morning of the 28th, he didn't see his
6 neurologist with the acute changes, he just went to
7 the emergency department, does that change your
8 opinion any?

9 A. No.

10 Q. Okay. So if there was a process or a
11 natural progression between the 25th and the 28th
12 that made it predictable that on the 28th, he was
13 going to have acute limb ischemia, what would you
14 expect Mr. Moore's symptoms to be, in the meantime,
15 that you told us weren't present?

16 what would you expect to see during that
17 time?

18 A. I don't think it would have been any
19 different on the 26th and 27th.

20 Q. And is that based on your review of the
21 materials in this case?

22 A. Mostly based on Mr. Moore's deposition.

23 MR. WEAVER: Your Honor, would this be a
24 good time to take a break?

25 THE COURT: Yes. We will go ahead and take

1 a break, as we indicated. We're going to conclude
2 the testimony, at this time, with Dr. Wilson, who
3 will return with us tomorrow, and we'll call another
4 witness when we reconvene this afternoon.

5 So, Dr. Wilson, you are accused for now.
6 Thank you.

7 THE WITNESS: Thank you very much,
8 Your Honor.

9 THE COURT: I'll let you go first, and then
10 I'm going admonish the jurors, reminding them of
11 their admonishment for the break.

12 MR. WEAVER: Thank you, Your Honor.

13 THE COURT: Thank you.

14 We're actually going to break until 4:00.
15 So it's just a little over 15 minutes. Give us some
16 time. We want to check out a couple of things
17 related to the courtroom where we're going to be
18 tomorrow. But as I said, we'll give you some more
19 details on that later.

20 When we return at 4:00 o'clock, ladies and
21 gentlemen, during this slightly more than 15 minute
22 recess, we're going to remind you that you're
23 admonished not to talk or converse among yourselves
24 or with anyone else on any subject connected with
25 this trial or read, watch, or listen to any report

1 of commentary on the trial or any person connected
2 with the trial by any medium of information including,
3 without limitation, newspapers, television, radio,
4 or Internet.

5 No social media communications of any kind
6 or independent investigations including any Internet
7 searches of any kind. And, of course, please do not
8 form or express any opinion on any subject connected
9 with the trial until the case is finally submitted
10 to you. We'll see you back here at 4:00 o'clock.

11 THE MARSHAL: All rise for the jury.

12 (Outside the presence of the jury.)

13 THE COURT: I'd like to make a record of
14 the bench conferences quickly. We had two bench
15 conferences earlier on in the testimony of
16 Dr. Wilson. These focused on objections Mr. Arntz
17 was making that he believed that Mr. Weaver was
18 being leading with his questions. Multiple times,
19 we had debates up here at the bench about how
20 Mr. Weaver is supposed to get at ultimately asking
21 the question of Dr. Wilson's conclusions without
22 supplying that information.

23 There was a lot of debate about whether
24 leading questions generally can be asked of experts.
25 Mr. Weaver indicated there's clear case law that

1 yes, that is the case. Mr. Arntz indicated there is
2 not clear case law that that is the case. We have
3 not requested so far, nor am I necessarily inclined
4 to have briefing on this issue, but there has been a
5 difference of opinion about what the clarity of the
6 law is on that subject.

7 I believe, from the Court's perspective,
8 that leading questions are permitted to allow
9 transition to different topics, and leading
10 questions are permitted to lead into, you know,
11 again certain foundational questions. But at the
12 end of the day, there has to be a foundation laid,
13 and then the question should still be open ended as
14 to what the opinion is.

15 I believe where Mr. Arntz became concerned
16 and where Mr. Weaver may have crossed that line over
17 into something that was leading is to simply supply
18 the answer in the question seeking a yes-or-no
19 answer. I'm not sure that was Mr. Weaver's, you
20 know, regular practice here. And then, of course,
21 the record will reflect what it reflects. But I
22 think a couple of times that did occur.

23 For the most part though, where the Court
24 believed the foundation had been laid, it overruled
25 the objection; where it did not, it sustained.

1 But let me go ahead and have Mr. Arntz add
2 anything to he wants to this topic, and we'll hear
3 from Mr. Weaver.

4 MR. ARNTZ: I'm not familiar with the case
5 law that he's referring to that says you can lead an
6 expert through their opinions. I think it's
7 appropriate to have leading questions through
8 certain types of foundation. But when you're asking
9 the ultimate question of an opinion, I don't know of
10 any case law or any statutory law that would support
11 the conclusion that you can do that through a
12 leading examination.

13 THE COURT: well, I hear you. I think I
14 just want to make sure we're being precise here. As
15 I understood your objection, it was when those
16 ultimate conclusionary statements are being made,
17 that you don't think it's permissible for that to be
18 a leading question: Is it your ultimate, you know,
19 important conclusion on this particular topic X, and
20 then the person says yes. I agreed, in those
21 circumstances, that you can't just go there with the
22 question.

23 But I disagreed, respectfully, that there
24 couldn't be leading questions to, again, transition
25 to a new topic and lay the foundation for ultimately

1 inquiring of the questions. And I did multiple times,
2 although I don't think it ever happened, I indicated
3 to Mr. Weaver that, as long as he laid that
4 foundation and he asked the open-ended question, if
5 he did not get the answer to the question or there
6 was confusion, he could then perhaps engage in a
7 more leading question. But I do think that there is
8 leeway for that. But I appreciate your commentary
9 with regard to what you believe the case law says.

10 Mr. Weaver, what would you like to add?

11 MR. WEAVER: Your Honor, I certainly don't
12 disagree with the Court's analysis, and it's
13 certainly within the discretion of the Court to
14 decide or to allow or not allow leading questions
15 across the board.

16 My intent, and if I didn't do it as well as
17 I would have liked to -- I'll do better
18 tomorrow -- was really with regard to what I think
19 Mr. Arntz would have seen as the more trouble some
20 leading questions was just to get summaries or just
21 to yes, in fact, get conclusions of what he's
22 already testified to basically in summary form.

23 So when I was asking questions that were
24 essentially: "Do I understand that this is what you
25 have already testified to?" That's where I was

1 getting to lay the foundation for the ultimate
2 conclusion, and I don't think it's inappropriate,
3 and if I didn't do a good enough job, I'll do better
4 tomorrow.

5 THE COURT: well, you're saying now you
6 agree with the Court. But you made argument at the
7 bench that there's case law squarely on point with
8 this. I'm not saying anything more than the Court's
9 understanding. But you were the one who specified
10 there's clear case law. And do you have either a
11 more specific reference or a citation or something?

12 MR. WEAVER: well, I've got plenty of cases
13 that I'll supply that are out of state cases. But I
14 think the authority in Nevada is that it lies with
15 the discretion of the Court. So I will certainly
16 provide the authority that I alluded to that there
17 is case law that allows it.

18 THE COURT: I'm just asking for your
19 clarification.

20 The other bench conference came later in
21 the questioning, and it was Mr. Arntz, I think sort
22 of getting a concern, as the questioning was going
23 on where Dr. Wilson was giving what appeared to be
24 standard of care answers, and having raised the
25 issue at the bench that there was some colloquy

1 obviously earlier that Dr. Wilson was not here to
2 give standard of care opinions and what was the
3 circumstances.

4 When questioning, at the bench, Mr. Weaver
5 of the circumstances -- because Mr. Arntz had
6 expressed and the Court too had expressed some, you
7 know, desire to understand what this effort was to
8 get opinions but not be standard of care opinions --
9 and Mr. Weaver clarified that, you know, there are
10 doctors who are coming in to opine as to standard of
11 care or experts who are coming in to opine as to
12 standard of care, but Dr. Wilson is not one of them;
13 it was disclosed that way, but that he is being
14 asked to address opinions related to the
15 circumstances in a way that is sort of imposed by if
16 this testimony had come from Dr. Marmureanu or, to
17 some degree, early on, you asked questions regarding
18 juror's questions, other things.

19 So it was a little confusing what was
20 occurring. We didn't really have an objection
21 necessarily. We didn't sustain any or rule any
22 objections. But there was a concern about sort of
23 this line of questioning and are we actually doing
24 standard of care opinions. I think I left -- the
25 final direction with Mr. Weaver, before he returned

1 to the questioning was, you know, we want to be
2 clear in front of the jurors, you know, if this is
3 not standard of care opinion, that that's not the
4 case and what we're doing. And I think your
5 follow-up questions were more specifically, you
6 know, stated as "hypothetically" or "if you, you
7 know, were told" or other segues like that.

8 But do you want to speak to, Mr. Arntz,
9 your concern that you expressed at the bench on that
10 one?

11 MR. ARNTZ: Yeah. I was surprised by the
12 leading question he gave the expert, and I think the
13 expert was as well surprised when he said "You're
14 not here to give standard of care opinions, are
15 you?" And he looked a little stunned, I felt. And
16 I was a little surprised because his report goes
17 into standard of care opinions.

18 And I think the reason why he did that was
19 because he doesn't want to be duplicative, which
20 he's being. So to say I've got another guy who's
21 going to come in and testify to standard of care
22 when this expert clearly, that's one of his key
23 opinions in his report was the standard of care
24 opinion.

25 THE COURT: well, I think the discussion

1 that we had was not to have any kind of, you know,
2 either muddied record or appellate issue with the
3 fact that there was a witness testifying to standard
4 of care who had not been disclosed to testify in
5 that regard and was being asked questions that were
6 not stated that way. The issue is are they, you
7 know, designed that way and/or being in backdoored
8 that way. But, ultimately, we don't have any -- did
9 not have any motion with regard to the number of
10 experts or potential duplication in that regard. So
11 that's really not something that I think is
12 currently before the Court, and this is the first
13 witness from the defense along these lines.

14 But, Mr. Weaver.

15 MR. WEAVER: And just to clarify a little
16 bit further, Your Honor, is Dr. Wilson is a joint
17 witness for Dr. Lasry and Nurse Practitioner
18 Bartmus. Obviously, we could have both had vascular
19 surgeons. We could have duplicated this. We -- for
20 all kinds of reasons, we thought it made sense to
21 have the same person. Dr. Lasry did not identify
22 Dr. Wilson as having any opinions on standard of
23 care in the disclosures.

24 So, yes, I agree that, theoretically, I
25 could ask Dr. Wilson standard of care opinions. But

1 we're simply trying to short-circuit this in order
2 to have him only testify to opinions regarding
3 causation in the general vascular surgery issues
4 that Dr. Marmureanu testified to, separate and apart
5 from the standard of care opinions. And our own
6 standard of care experts will testify to the
7 standard of care opinions, just as Dr. Jacobs would
8 be here to testify to those standard of care
9 opinions, but of which he also had causation
10 opinions, were it not for the fact that he's
11 unavailable.

12 so plaintiffs had Dr. Marmureanu to testify
13 wholly on standard of care and causation, as well as
14 Dr. Jacobs to testify as to standard of care and
15 causation. We are limiting Dr. Wilson to testify to
16 causation and vascular surgery issues and our
17 standard of care witnesses to testify to standard of
18 care. So it's not like this is, in my opinion, out
19 of the blue or unreasonable or unexpected.

20 THE COURT: We've completed the records. I
21 think we still want to take a break. I do want to
22 let the I.T. people -- I don't know if that's
23 ultimately you, Mr. Hymanson, on one side, or our
24 I.T. person here on the other. But the courtroom is
25 empty right now if you all wanted to go take a look

1 and see what the plug-ins look like and see if
2 you're good to go.

3 If you want to wait and come early to do
4 that tomorrow, that's an option too. We just
5 obviously need to make sure that that's done before
6 we resume at 1:30. So I'll leave that up to you.

7 MR. P. HYMANSON: Your Honor, one last
8 thing, point of order. Can we get some indication
9 on how long the next witness will be? Because I
10 don't want to have it be ten to 5:00 and this is a
11 gentleman who has to leave and now it's our
12 opportunity to cross-examine him. If we get to a
13 certain point, I don't want to have to be the one to
14 have to fight in open court that we're going to have
15 to hold him over.

16 MR. MCBRIDE: 15 to 20 minutes, Your Honor.

17 THE COURT: I'm assuming they wouldn't have
18 proposed starting at 4:00 and thinking we could
19 finish if they were planning to question him all the
20 way to 5:00. But it's good to get clarification.

21 MR. P. HYMANSON: 15 to 20 minutes I could
22 even understand that.

23 THE COURT: We'll see how we do. I would
24 like to finish at 5:00. So we'll plan to do so.
25 Thank you.

1 (Pause in the proceedings.)

2 THE COURT: Since I said it once before or
3 twice, I'll say it again: The break was until 4:00,
4 not 4:20. We have an expert to finish. Please take
5 a seat so we can get started.

6 Please, for the jurors.

7 (Jury enters the courtroom.)

8 THE COURT: While the jurors are taking
9 their seats, I'll invite everyone else to have a
10 seat. We ran into some technical difficulties that
11 we've now resolved. But for that reason, we may
12 have to go a little bit longer today than
13 5:00 o'clock in order to complete a witness.

14 But let's go ahead and get the witness
15 called, Mr. McBride.

16 MR. MCBRIDE: Thank you, Your Honor.
17 Defense would call Dr. John Janzen to the stand.

18 THE COURT: Dr. Janzen, please.

19 Dr. Janzen, as you reach the seat, if you
20 could just come in front of it for the clerk to
21 swear you in, we'd appreciate it. She's here.

22 (Witness sworn.)

23 THE WITNESS: I do.

24 THE CLERK: Thank you. Please take a seat.

25 MR. MCBRIDE: Good afternoon, Dr. Janzen.

1 THE WITNESS: Hi.

2 THE COURT: She hasn't finished.

3 MR. MCBRIDE: Oh, sorry. I'm sorry.

4 THE CLERK: Could you please state and
5 spell your first and last name, for the record.

6 THE WITNESS: John Janzen, J-A-N-Z-E-N.

7 THE COURT: All right.

8 MR. MCBRIDE: Thank you, Your Honor.

9 THE COURT: Whenever you're ready,
10 Mr. McBride.

11

12 Thereupon --

13

JOHN JANZEN, M.D.,

14 having been first duly sworn to testify to the
15 truth, was examined and testified as follows:

16

17

DIRECT EXAMINATION

18

BY MR. MCBRIDE:

19

Q. Dr. Janzen, could you briefly tell the jury
20 what is your profession, sir.

21

A. Yeah, I'm a rehabilitation specialist in
22 the field of vocational and psychological
23 rehabilitation and Life Care planning.

24

Q. Okay. And can you just explain what that
25 job entails. What does that mean that you just

1 told --

2 A. That involves assessing the physical,
3 mental, and emotional effects of a person's injury
4 and determining what their capability, what their
5 capabilities are insofar as their ability to work or
6 their Life Care needs, and that is done by reviewing
7 the medical history of the individual, reviewing any
8 other information on their functional capacities,
9 and then looking at what type of services are
10 necessary to meet their needs.

11 Q. And, Dr. Janzen, where are you located?

12 A. I live in Boise, Idaho.

13 Q. Okay. And I know that your schedule is
14 pretty tight today and you're not able to come back.
15 So we're going to try to get through your testimony
16 as quickly as possible. So we might short-circuit
17 things a little bit.

18 But in an effort to do that, could you just
19 briefly tell the jury a little bit about your
20 educational background and training as a vocational
21 rehab specialist.

22 A. I have a Doctorate degree in counseling and
23 psychology from the University of San Francisco; a
24 Master's degree in rehabilitation and counseling
25 from Oklahoma State University; and I have a

1 Bachelor's degree in social work from Tabor College
2 in Hillsborough, Kansas.

3 In addition to that, I have many continuing
4 education credits for my certification as a
5 rehabilitation counselor, and those go back all the
6 way to 1975, and that's in the field of medical
7 aspects of disability, testing, psychological
8 aspects in evaluating the functional consequences of
9 a person's injury.

10 Q. Okay. And, Doctor, so roughly how long have
11 you worked as a vocational rehab specialist?

12 A. I have been practicing since 1975, and I've
13 had my own practice since 1982 -- or since 1979.
14 Excuse me.

15 Q. And, Doctor, are you a member of various
16 professional affiliations as well?

17 A. I am. I'm a member of the American
18 Congress of Physical Medicine and Rehabilitation,
19 the National Rehabilitation Counseling Association,
20 National Rehabilitation Association, International
21 Academy of Life Care Planners, and there's probably
22 a few others. But those are the main ones.

23 Q. All right. And, Doctor, have you served as
24 an expert before and testified in trial before?

25 A. Yes.

1 Q. And here in the State of Nevada as well?

2 A. Yes.

3 Q. On how many occasions, in total, have you
4 testified in trial?

5 A. I've been in this courthouse -- here in
6 Nevada?

7 Q. Right.

8 A. Yeah, at least five or six times.

9 Q. Okay. Have you been recognized as an expert
10 in the field of vocational rehabilitation in Nevada?

11 A. Yes.

12 Q. In this particular case -- have you served
13 as an expert on behalf of the plaintiff before?

14 A. Yes, I have. And I'm currently serving in
15 that capacity as well.

16 Q. Okay. And as part of your work on behalf of
17 the plaintiff, do you also prepare life care plans?

18 A. I do.

19 Q. In this particular case, what was your
20 understanding of your role as expert on behalf of the
21 defense?

22 A. I was asked to assess the life care needs
23 of Mr. Moore and also respond to life care plans
24 that would be prepared by other individuals. I was
25 not asked to prepare a Life Care Plan on him.

1 Q. And in this particular case, in fact, you
2 did not interview or speak directly with Mr. Moore or
3 Mrs. Moore; is that right?

4 A. That's correct.

5 Q. Okay. In this particular case, were you
6 provided with medical records and other materials
7 that you reviewed in formulation of your opinions?

8 A. I was.

9 Q. Okay. And among those, were you provided
10 with medical records from St. Rose Hospital?

11 A. Yes.

12 Q. And also other subsequent records of
13 Mr. Moore and his care and treatment that he's
14 received up to this date?

15 A. Yes.

16 Q. All right. And did you also review
17 depositions in this case?

18 A. I did.

19 Q. Did you review the deposition of Dr. Fish,
20 plaintiff's vocational rehab expert?

21 A. I did.

22 Q. And did you also, after reviewing all those
23 materials, did you prepare written reports of your
24 opinions that you had formulated?

25 A. I did.

1 Q. Okay. And, Doctor, what I have in front of
2 you, if it helps you, we can kind of go through it
3 real quickly. But beginning at page 7 of that
4 document, the first portion is your C.V. But if you
5 can look at page 7, I think we start with your first
6 report. And what was the date of your first report?

7 A. September 3, 2019.

8 Q. Okay. And at that time --

9 THE COURT: And just for the record, this
10 is not a binder of admitted exhibits; is that
11 correct, Mr. McBride?

12 MR. MCBRIDE: Right.

13 THE COURT: This is just a binder of
14 documents to assist the witness.

15 BY MR. MCBRIDE:

16 Q. Correct. These have not been admitted into
17 evidence. It's just to assist you, Doctor, in
18 viewing your prior reports. Do you understand that?

19 A. Yes.

20 THE COURT: We will advise the jury that
21 the expert reports do not come in. Their testimony
22 is what comes into evidence at the trial.

23 Go ahead.

24 MR. MCBRIDE: Thank you.

25 / / /

1 BY MR. MCBRIDE:

2 Q. Doctor, in addition to performing
3 medical-legal work, have you also, as part of your
4 practice, evaluated patients as part of your clinical
5 practice?

6 A. Yes. And that's been by far, for the last
7 40 years, the major part of my work has been
8 clinical rehabilitation in terms of developing and
9 implementing rehabilitation plans for individuals
10 with injuries and disabilities.

11 Q. And have you worked with individuals in
12 assisting them who have had above-the-knee
13 amputations?

14 A. Yes, I have.

15 Q. And as well as below-the-knee amputations?

16 A. Yes.

17 Q. What other sorts of disabilities have you
18 helped patients with?

19 A. Well, in addition to amputations, I have an
20 extensive number of individuals that have traumatic
21 brain injury, injuries. I also have people that
22 have various degenerative conditions. Whether
23 that's neck or back issues. I have individuals that
24 have vascular problems or circulatory problems that
25 I've worked with. Essentially, all kinds of

1 conditions I've been involved in.

2 Q. Okay. And so in other words, you've both
3 worked, assisted directly patients such as
4 individuals such as Mr. Moore suffering from vascular
5 insufficiency as well as above-the-knee amputation?

6 A. Yes.

7 Q. Okay. Now, Doctor, I want to refer you to
8 actually the third paragraph of your -- to
9 short-circuit things a little bit, third paragraph of
10 your report of September 3, 2009. Can you tell the
11 jury, just briefly summarize, the opinion you had
12 formulated after you reviewed all of the materials up
13 to this point, on September 3rd?

14 A. With an above-the-knee amputation,
15 Mr. Moore should be able to -- should be able to
16 walk without a cane or crutches in his house and use
17 of a cane for stability outside the house. That is
18 provided that he has an appropriate fitting
19 prosthesis. Without a prosthesis, he could
20 effectively use a walker or crutches for mobility,
21 and for longer distance, a manual wheelchair or an
22 electric scooter would be appropriate.

23 I should mention electric scooter tends to
24 be a little bit easier to get in and out of than a
25 manual electric wheelchair is.

1 Q. Dr. Janzen, let me interrupt you.

2 As you sit here today, do you understand why
3 Mr. Moore has not used his prosthesis?

4 A. As I understand, it was a choice. It did
5 not fit well or there was some issue that it was not
6 functional for him.

7 Q. Okay. And do you know of any other attempts
8 that Mr. Moore has made in an effort to get refit for
9 his prosthesis?

10 A. No. I didn't see any in the records that I
11 reviewed.

12 Q. Okay. would it be your recommendation, as
13 part of your review, that he be refit for a
14 prosthesis?

15 A. It is. That's an important recommendation.
16 And I've had clients where I made that
17 recommendation, and that's important.

18 Q. And why is that? Explain to the jury why.

19 A. well, if you have a poor fitting
20 prosthesis, one, you get problems with the skin.
21 You get pain that occurs as a result of a poor
22 fitting prosthesis. And, also, it takes more energy
23 to actually walk with a prosthesis because your gait
24 is different. And so it's really important that the
25 prosthesis that the person wears fits right, and a

1 prosthetist can ensure that that happens, that the
2 person can actually get a good fitting prosthesis.

3 Q. In your opinion, based on all the materials
4 that you reviewed, is there anything based on
5 Mr. Moore's condition that would prevent him from
6 obtaining a proper fitting prosthesis?

7 A. No. I saw nothing in the records that I
8 reviewed. The medical records, his condition that
9 would prevent that.

10 Q. Okay. Real quick, talking about the
11 scooter, the use of an electric scooter, are you
12 talking about the ones that you see in the grocery
13 store in some of the casinos around town where they
14 have the handle bars and people sit on those and go
15 around the aisles? Is that kind of what you're
16 talking about?

17 A. It is. Those are the type of scooters that
18 I'm talking about.

19 Q. Okay. And what are the typical costs for
20 scooter like that?

21 A. Those range, and I looked this up, that for
22 a real good scooter, not just one to get by, it's
23 around \$2,700.

24 Q. Okay. And so do you disagree with
25 Dr. Fish's recommendations that Mr. Moore would need

1 an electric wheelchair?

2 A. Yes, I do. Based on the fact that a
3 scooter would be much more efficient for him than an
4 electric wheelchair.

5 Q. In your experience as a vocational rehab
6 specialist, what are the -- typically of the patients
7 that you treat or provide life care plans for, what
8 are electric wheelchairs intended for?

9 what sort of people? what sort of
10 disabilities?

11 A. Yeah, those are individuals that have
12 spinal cord injuries, that are paraplegic,
13 quadriplegic. An electric wheelchair is beneficial
14 because it has a reclining seat to where they can
15 take pressure off their body, and those, that
16 reclining is adjustable in several different
17 positions. And so really for a person that has a
18 spinal cord injury, an electric wheelchair would be
19 recommended as opposed to a person with an
20 amputation.

21 Q. Okay. And then continuing on, I think where
22 you left off, with an A-K amputation, if you look at
23 paragraph 3 there -- well, let me ask you this
24 question: In your opinion, based on all the
25 materials you reviewed, do you believe that Mr. Moore

1 would be able to independently perform personal care
2 activities?

3 A. Yes. I think that he could do that. He
4 could take care of himself. He could get dressed.
5 He could perform personal hygiene activities whether
6 he used a prosthesis or not. He can also, with
7 above-the-knee amputation, drive without hand
8 controls. He can use his other leg for the foot --
9 and for the brake and the accelerator -- and perform
10 most household activities.

11 The household activity that would be not
12 recommended is if he had to get on a ladder and
13 climb to change a light bulb or let's say there's
14 some problem with the ceiling fan, that wouldn't be
15 good for him.

16 Q. Now, Doctor, did you also address the
17 ability of Mr. Moore to manage his pain in his stump
18 and the phantom pain?

19 A. I did. Being very familiar with that type
20 of pain through my work and my own experience, that
21 is a critical aspect to evaluating what a person can
22 or cannot do. And based on the records that I
23 reviewed, he was able to manage or is able to manage
24 his pain, his phantom pain, his stump pain with his
25 medication that he was taking. I saw no significant

1 interruption of his life as a result of his pain
2 where he had to repeatedly go to see a physician for
3 some type of pain management.

4 Q. Now, I used your terminology there, the term
5 "stump pain," and there's been some testimony
6 yesterday from Dr. Fish, who he took issue with your
7 use of that term, Doctor. You're aware of that?

8 A. I am, yeah.

9 Q. Okay. And how would you respond to that?

10 A. Well, I've been doing this work for
11 40 years, and I've seen no less than 75 individuals
12 that have amputations, lower extremity amputations.
13 That's been a major part of the clientele that I
14 have, and they have referred to their remaining leg
15 as a "stump." It's no -- it's not derogatory. It's
16 not disrespectful.

17 I have -- I certainly wouldn't use it if
18 the implication was that it's disrespectful. Now, I
19 know Dr. Fish thought it was. But in no way would
20 that be a derogatory term based on my experience.

21 Q. And, Doctor, I think Dr. Fish also said that
22 your reference to that, referring to it as a "stump,"
23 showed a lack of insight into patients with
24 amputations. Do you agree with that?

25 A. Well, talk about insight into amputations,

1 I have a lot of insight based on my own experience,
2 as well as working with people that have
3 amputations.

4 I've sat with people to help them overcome
5 the trauma of an amputation. I've developed
6 rehabilitation plans to return people back to work
7 that have amputations and followed them, once they
8 became employed, to make sure that they were
9 successful. And I've also worked with their family,
10 to help the family members understand the affects of
11 an amputation. So I feel very positive about my
12 experience and my understanding of individuals with
13 amputations.

14 Q. And, Doctor, with regard to the
15 recommendations by Dr. Fish that \$100,000 of
16 renovations to Mr. Moore's house would be necessary
17 to accommodate him, do you agree with that?

18 A. No.

19 Q. And could you tell the jury why not?

20 A. Sure. As part of what I've done and what I
21 do with individuals who have amputations, as well as
22 other types of conditions, I look at what type of
23 renovations are necessary to a house to make the
24 house more efficient or accommodate them. And for a
25 person in a wheelchair, it's widened hallways,

1 widened doors, sometimes lowered counters.

2 That's provided they're confined to a
3 wheelchair, such as an individual who's quadriplegic
4 or paraplegic, and look at, okay, well, what is
5 necessary to help them function within their house.
6 And what I found is that there's some accommodations
7 that are appropriate such as, in Mr. Moore's case,
8 having railings in the bathroom, having surfaces of
9 the floor that are not slick, particularly in the
10 bathroom having railings and things.

11 But the national average for making
12 modifications of a person that's confined to the
13 wheelchair is -- it's actually a range. It ranges
14 from 15- to \$30,000, and that's in this year, 2020.
15 That's what is considered the national actual range
16 of home renovations to accommodate someone who is in
17 a wheelchair and that requires accessibility
18 accommodations.

19 Q. Now, with regard to Dr. Fish's
20 recommendation that Mr. Moore would require at least
21 eight hours of attendant care for the next ten years
22 of his life, do you agree with that?

23 A. No.

24 Q. And what's your basis for that?

25 A. I don't have anything in the medical

1 records that I reviewed or any of the records that
2 he has required that level of care since his
3 amputation. And also, in addition to that, for a
4 person who has an above-the-knee amputation, what
5 they're able to do based on their retained
6 abilities, I cannot imagine what eight hours of
7 attendant care or home care would do for an
8 individual.

9 Q. Now, and you're talking about an individual
10 with an above-the-knee amputation?

11 A. Yes.

12 Q. Okay. In this case, you're aware that
13 Ms. Moore, Charlene Moore, has been providing some
14 assistance to her husband with certain daily
15 activities?

16 A. Yes.

17 Q. Okay. And do you believe that eight hours,
18 plus housekeeping care is also required as a result
19 of Mr. Moore's condition?

20 A. I don't believe that is.

21 Q. Okay. With regard to the pain medications
22 that he's currently on, are you aware, based on the
23 medical records, that Mr. Moore had been treating
24 with a pain management physician for cervical and
25 lumbar pain in his back?

1 A. I am aware of that.

2 Q. Prior to the amputation?

3 A. Yes.

4 Q. And is it your understanding that some of
5 the pain that he's currently still on the medications
6 for and he was on previously were for those
7 conditions?

8 A. Yes.

9 Q. Based on your review of those records, have
10 you seen any need for an increase in medication from
11 his pain management physician for any pain associated
12 with his amputation?

13 A. No.

14 Q. Have you seen any prescription for the
15 medication, Neurontin, for Mr. Moore's phantom pain?

16 A. No. I haven't seen any prescription for
17 Neurontin.

18 Q. Are you familiar with the medication,
19 Neurontin?

20 A. Very much. In fact, that's a common
21 medication prescribed for phantom pain. It will be
22 either Neurontin or Gabapentin.

23 Q. Okay. And likewise, given your background,
24 training and experience in counseling and psychology,
25 have you seen any need for Mr. Moore to have

1 additional psychotherapy over the ten years of his
2 life?

3 A. I haven't. I haven't seen any mental
4 condition or emotional condition that is of such
5 severity that he would require therapy or some type
6 of counseling.

7 Q. Okay. And, Doctor, have all the opinions
8 you stated here been stated to a reasonable degree of
9 professional probability?

10 A. They have.

11 MR. McBRIDE: All right. Thank you.
12 That's all I have.

13 THE COURT: Thank you, Mr. McBride.
14 Mr. Hymanson.

15 MR. P. HYMANSON: Thank you, Your Honor.

16

17 CROSS-EXAMINATION

18 BY MR. P. HYMANSON:

19 Q. Good afternoon, sir.

20 A. Hi.

21 Q. My name is Phil Hymanson. I'm counsel
22 for -- one of counsel for Mr. and Moore. And I'll
23 have a few questions for you.

24 A. Okay.

25 Q. Sir, what if you're wrong?

1 A. Pardon me?

2 Q. What if you're wrong?

3 A. I don't think -- I haven't entertained that
4 because I don't believe I am wrong.

5 Q. Fair enough. This is a ten year plan that
6 Dr. Fish spoke of. You said that in 2016, he was
7 examined; he had 80 percent improvement in pain and
8 quality of life, and that he was fine. The next
9 thing you said though was he was still on
10 40 milligrams a day of OxyContin, and you also went
11 on to say that no one with above-the-knee amputation
12 could be on that medication.

13 So what is it? Is he great? Good? Or is
14 it becoming an addict?

15 A. Well, first of all, being great and being
16 on that medication are not different things. He is
17 able to manage his pain with his Oxycodone, and
18 that's why I believe that he is functional, that he
19 is doing well.

20 Q. All right. And how did you make that
21 analysis? How much time did you spend with
22 Mr. and Mrs. Moore?

23 A. Well, as I testified earlier, I have not
24 met either of them.

25 Q. When you do an evaluation such as this,

1 because this is an evaluation for life, would you
2 agree with me that it's important that perhaps you
3 sat down and with Mr. and Mrs. Moore?

4 A. If I was doing a Life Care Plan for them,
5 it would be.

6 Q. Well, aren't you talking about whether or
7 not they're going to get a Life Care Plan?

8 A. I'm talking about the recommendations and
9 what, based on my experience, is necessary for them.

10 Q. Base on your experience, wouldn't you, to
11 give these opinions, want to have met with them?

12 A. If it was different than the information
13 that I had available. If there was a marked
14 difference in the medical records about their
15 history or I had some information that countered
16 some of the records that I had or the information in
17 the records, that may have been helpful.

18 Q. You've said in your report that Mr. Moore
19 can walk with a cane or crutches; right?

20 A. As an above-the-knee amputee, yes.

21 Q. As an above-the-knee amputee, Mr. Moore
22 could use a cane or he could use crutches; right?

23 A. Yes.

24 Q. How many times has he fallen?

25 A. I have no idea.

1 Q. And if he has fallen, would that be a
2 concern to you about him using a cane or a crutch?

3 A. It would be a concern with the fitting of
4 the prosthesis. If he has fallen, that would be
5 significant to me, and that's why I recommend an
6 appropriate fitting prosthesis.

7 Q. Very good. And you said that he had a
8 choice to get it fixed. Is it your findings, your
9 experience that when somebody several years out after
10 losing a limb isn't using their prosthesis, it's
11 because they don't want to or they can't?

12 A. It's usually based on the choice that
13 they're making not to use that.

14 Q. What's the situation with Mr. Moore?

15 A. I don't know. I don't have anything to --
16 any definitive information as to why he's not using
17 it, why he's not trying it, or why he has not seen a
18 prosthetist to get one that works.

19 Q. Yet you're prepared to make a determination
20 on the next ten years of his life that all he needs
21 to do is get that prosthesis back on, and then he can
22 use a cane and he can use crutches and he really
23 doesn't need to have any changes made to his home; is
24 that correct?

25 A. Well, that's not my entire opinion.

1 Q. No, no. But I mean, is that a certain
2 aspect of your analysis?

3 A. That's part of my opinion.

4 Q. Sure. Okay. And does your opinion change
5 if he has experienced several falls and some
6 significant falls?

7 A. That is insufficient information that would
8 change my opinion.

9 Q. Right. You'd want to talk to him about
10 that?

11 A. I would want to have medical information.
12 I would want to have information that he's tried a
13 prosthesis and it did or did not work and he was
14 continuing to fall. So there's additional
15 information I would need.

16 Q. Sure. And tell me about Mr. Moore's career.
17 How are his legs overall and how is his skin
18 condition?

19 A. Actually, that's a medical condition. I
20 would defer to a medical opinion on that.

21 Q. And good point on that because your findings
22 are not medical; correct? It's "professional
23 certainty." When you make a finding, it's to a
24 professional certainty, not to a medical certainty;
25 correct?

1 A. Well, actually, it's based -- it's to a
2 reasonable degree of vocational rehabilitation
3 probability as to his function.

4 Q. Right. But not medical?

5 A. Right. I am not a physician.

6 Q. Sure. Okay. And so it's a professional
7 finding and not a medical finding?

8 A. Well, it's a finding based on what I've
9 indicated it's based on.

10 Q. Fair enough, fair enough. So he gets this
11 prosthesis and he can use a cane. He can use
12 crutches. He can use an electrical scooter.

13 The electrical scooter that you described,
14 is that an item that folds up?

15 A. No. There's different kinds. But the one
16 I'm recommending is not one that folds up. The
17 one -- it's a lot more sturdy for \$2,700. There's
18 some that are much less that are like even less than
19 \$1,000. But the one that I'm recommending has -- is
20 sturdy, and it's not one that folds up.

21 Q. And so that's a scooter that he would use
22 when he goes out and does his daily tasks, or is that
23 something he would use in his home?

24 A. No. That would be something that if he's
25 traveling long distances. It could be to the store.

1 It's outside of his house. It's not in the home.

2 Q. Because it doesn't fit in his home. It
3 wouldn't go anywhere in his home; right?

4 A. Well, I haven't seen his home. But I
5 would -- my recommendation is he would use it
6 outside the house.

7 Q. What do those weigh?

8 A. I have seen that, but I don't recall what
9 the actual weight is.

10 Q. How does Mr. Moore get -- what type of car
11 does Mr. Moore have?

12 A. I don't know.

13 Q. How does he get his wheelchair into his car?

14 A. I don't know how he does that. I haven't
15 seen any indication that he has difficulty doing
16 that or is unable to do it. So I don't know.

17 Q. How much do those electric little scooters
18 weigh?

19 A. I don't have that information with me
20 today.

21 Q. Do they fit in trunks?

22 A. Actually, there's a frame on the back of a
23 car that they can drive up to it, that it will fit
24 there.

25 Q. So they have to get a rack?

1 A. Sure.

2 Q. Okay. Does that cost money?

3 A. Sure.

4 Q. Oh. Did we mention that?

5 A. I didn't include that.

6 Q. Okay. So you put the scooter on the rack,
7 and then he drives around and he pulls it out if and
8 when he needs it? Is that your testimony?

9 A. He lowers -- it's a platform. It's
10 hydraulically controlled. He lowers the platform.
11 He backs it off and he uses it, and then drives it
12 onto the rack when he wants to transport it.

13 Q. And so when would he use the manual
14 wheelchair that you recommend?

15 A. He could use the manual wheelchair for
16 shorter distances. There's no reason why he
17 couldn't use a manual wheelchair. I have
18 recommended the scooter for longer distances. But
19 he could certainly use a manual wheelchair outside
20 of the house.

21 Q. All right. So, again, he can't use that in
22 the house?

23 A. I don't know why, what -- unless his house
24 is so small that he would use it. But I don't have
25 any reason to suggest that he needs to use a manual

1 wheelchair in the house.

2 Q. All right. Even if he's not able to use his
3 prosthesis all the time?

4 A. Yes. I think there's an alternative for
5 him, such as a walker, and specifically a
6 front-wheel walker. They're a lot easier than one
7 that does not have the front wheels.

8 Q. All right. So if he's going to have a
9 walker and he's not going to use a wheelchair and
10 he's going to try and get around in his home and he
11 has difficulty getting, even with the walker, into
12 the bathroom, if you were going to repair something
13 in that home, would you do the bathroom first?

14 A. If there was -- if he was unable to use the
15 bathroom, and I don't have any information that he's
16 unable to access the bathroom, but then I would
17 recommend that the door be widened.

18 Q. Okay. And if you're going to widen the
19 doorway, would you be widening the halls too?

20 A. Well, that would take further analysis as
21 to what the walls are. Now, with a wheelchair, it
22 should be noted that you can get a wheelchair that's
23 like 22 inches wide, and it will go through the
24 different walls. But that would require an
25 assessment of whether or not he would fit into that

1 wheelchair.

2 Q. All right. So now we're getting a specialty
3 wheelchair for when he's in the house, going through
4 narrow hallways?

5 A. That's not -- that's not what I'm saying.

6 Q. All right. And I don't mean to misrepresent
7 what you're saying.

8 A. Yeah.

9 Q. So please clarify, for the record, what you
10 meant. You're saying that there are alternatives;
11 there are alternative wheelchairs prior to having to
12 rebuild the house; is that fair to say?

13 A. Yes.

14 Q. All right. So would you make a change in
15 the bathroom first, the kitchen first, the study
16 where he sleeps, or the bedroom which he hasn't been
17 in for years?

18 A. That would take further analysis of his
19 inability to access those rooms. And, again, based
20 on the records that I've reviewed, I have no
21 information that he's unable to access any room in
22 his house.

23 Q. That's because you haven't reviewed any
24 records about his home. You've reviewed some medical
25 records. And correct me if I'm wrong, but I don't

1 believe you've reviewed anything else, have you?

2 A. I reviewed the medical records, reviewed
3 his deposition, and I don't -- I will say that I
4 don't have any information that says that he cannot
5 access the rooms at his house.

6 Q. All right. And if you're wrong, that's
7 because you didn't have adequate information?

8 A. Well, I don't know how to respond to that
9 because my opinions are based on what I do know.

10 Q. That is kind of a question like "Are you
11 still beating your wife?" There's no really good
12 response to that.

13 MR. MCBRIDE: Objection. That's
14 argumentative.

15 MR. P. HYMANSON: I'll withdraw. I'll
16 stipulate and I'll withdraw.

17 THE COURT: Good example of why he's
18 allowing it to be withdrawn.

19 BY MR. P. HYMANSON:

20 Q. It's a difficult thing, sir, because it's a
21 very serious circumstance. This is a man who's
22 looking at the future of his life, and you're making
23 this analysis based on your experience and your
24 expertise. But would you agree with me you could
25 have benefitted him a lot more if you had done

1 further analysis in terms of his lifestyle?

2 A. Yeah, my response is I don't know that I
3 would benefit him more because this is based on my
4 extensive involvement with individuals that have
5 upper or have above-the-knee amputations and what
6 I've seen when I've been in their homes, when I've
7 talked with them and seen how they navigate within
8 their homes.

9 Q. Well, okay. But as you sit here today, you
10 have no idea how Mr. Moore navigates in his home;
11 correct?

12 A. The specifics of how he navigates.

13 Q. Right.

14 A. Other than he's able to access the rooms, I
15 do not.

16 Q. Do you know for a fact that he can actually
17 all his rooms?

18 A. I have no information that he cannot. So I
19 cannot respond any other way.

20 Q. Do you know what type of help he can give
21 around the house on daily maintenance of the house,
22 cleaning and those type of things?

23 A. Yeah. I don't have any indication from him
24 of what -- or his wife of actually what kind of help
25 he provides around the house.

1 Q. Because, one, you've never inquired. You
2 were never given that information?

3 A. I didn't have that in my review of any of
4 the records.

5 Q. But you've made professional finding and
6 opinion that, for the next decade, they won't need
7 any help cleaning their home or taking care of
8 Mr. Moore. That was your finding, was it not?

9 A. Well, what I did say is I don't think he
10 needs eight hours of help to take care of himself or
11 his home.

12 Q. Fine. How many hours does he need?

13 A. I have not determined a specific number of
14 hours that is required.

15 Q. Sure.

16 A. He would need to -- that information would
17 need to be provided as to what specifically he is or
18 is not doing at home.

19 Q. So you're not saying he doesn't need it.
20 You're just saying he doesn't need eight hours?

21 A. I'm saying that, yes, that's correct.

22 Q. And you have no idea what that would be?

23 A. I don't know. I mean, that would require
24 an assessment of how motivated he is to help around
25 the house versus what his functional capabilities

1 are, what his wife has traditionally provided versus
2 he has provided. So there's many factors here that
3 go into that analysis.

4 Q. And if he has a skin problem or irritation
5 such that he can't wear that prosthesis all the time
6 and that he has balance issues and he's at risk for
7 falling, you're not going to put him on a cane;
8 you're not going to put him on crutches, are you?

9 MR. MCBRIDE: Objection. Lacks foundation.

10 THE COURT: Overruled.

11 THE WITNESS: I'm going to recommend that
12 he follow-up with his prosthetist to get that
13 corrected.

14 BY MR. P. HYMANSON:

15 Q. You bet. And should have done -- he should
16 have had something done with the people working with
17 the prosthesis for some time; right?

18 A. Or certainly available for him to do that
19 now.

20 Q. Sure. And why hasn't that been done?

21 A. I don't know what his motivation is in
22 terms of followup with a prosthesis. So I don't
23 know.

24 Q. Has it been done?

25 A. I don't have any indication that he has

1 done that.

2 Q. Fair enough, fair enough.

3 So you're saying that his major problems
4 will be navigating stairs, climbing stairs, walking
5 on uneven terrain, and carrying objects?

6 A. Yes.

7 Q. You've read his deposition; correct?

8 A. Yes.

9 Q. Would it be fair to say that you really
10 can't tell us anything or, if you can, it's very
11 limited about the lifestyle and the cares and needs
12 of Mr. and Mrs. Moore; is that fair?

13 A. I could talk about the needs that he has.

14 Q. Based on?

15 A. Based on as I've testified based on my
16 experience with many people that have above-the-knee
17 amputations and what they're able to do as well as
18 what they're unable to do.

19 Q. And would you agree with me that people,
20 especially those that wind up losing a limb
21 unexpectedly, everyone is a little different, would
22 you agree?

23 A. I think everyone in the world is different
24 from each other.

25 Q. Sure. And, in fact, if you found somebody

1 that had lost a limb unexpectedly and you felt that
2 it would be inappropriate or unprofessional to refer
3 to that individual's residual limb as a "stump," you
4 would never do that; correct?

5 A. If I had information that that was an
6 offensive term, I would not.

7 Q. You wouldn't do that, and I'll accept that.
8 If everyone is different and this is where a
9 determination is made for the future of Mr. Moore's
10 life, you're saying that you don't need to spend any
11 more time discussing with Mr. Moore what his needs
12 will be over the next decade?

13 A. What I said is if I had information that
14 warranted the need for additional information, other
15 than what I recommended, then that would be
16 appropriate.

17 MR. P. HYMANSON: Sir, thank you for coming
18 today.

19 MR. MCBRIDE: Just a few followup
20 questions, Doctor.

21

22

REDIRECT EXAMINATION

23 BY MR. MCBRIDE:

24 Q. Doctor, again, going back to the reason,
25 your role in this case, was it your role to prepare a

1 Life Care Plan for Mr. Moore?

2 A. No.

3 Q. Okay. In fact, was it your role to rebut
4 the opinions or, at least, analyze the opinions of
5 the needs that Dr. Fish had recommended, and based on
6 your background, training and experience of over
7 40 years as a vocational rehab specialist, to make
8 recommendations that you believe are consistent with
9 the patient's and others that you've treated for
10 these conditions?

11 A. Yes.

12 Q. Okay. And based on that, that's how you
13 came up with your opinions; correct?

14 A. That's correct.

15 Q. And are you aware --

16 THE COURT: Hold on a second, hold on a
17 second. What is happening here?

18 THE MARSHAL: Getting flagged down by one
19 of the jurors.

20 THE COURT: For a question right now?

21 JUROR NO. 1: No, no.

22 THE COURT: Okay. Can you please hand it
23 back to the juror because there might be more
24 questions. We don't call for questions for
25 witnesses.

1 JUROR NO. 1: This is not a question. I
2 need to make arrangements for my daughter since
3 we're staying after 5:00.

4 MR. MCBRIDE: No. It's going to be like
5 two minutes.

6 THE COURT: We're not going to be after
7 5:00 now. Go ahead. Let's go.

8 BY MR. MCBRIDE:

9 Q. All right. And, Doctor, I'll represent to
10 you that plaintiff, Mr. Moore's son, Christopher,
11 lives with them. You're aware of that?

12 A. Well, I am now.

13 Q. Yeah, and I'll represent to you that he
14 testified here the other day, on the stand, that he
15 is unaware of any significant falls that his father
16 has had in the entire time that he's had an
17 amputation. Does that change your opinions in any
18 way?

19 A. No. But that is significant.

20 Q. Okay. And, in fact, did you see anything in
21 the medical records that you reviewed to suggest that
22 Mr. Moore had been treated following any of these
23 significant falls for any medical condition or any
24 injuries that he may have suffered?

25 A. No.

1 Q. Have you seen any medical records from any
2 source to suggest that there is a skin condition that
3 Mr. Moore suffers from that prevents him from having
4 a prosthesis?

5 A. No.

6 MR. MCBRIDE: Okay. That's all I have.
7 Thank you, sir.

8 THE COURT: Mr. Hymanson, any final
9 followup.

10 MR. P. HYMANSON: Very briefly.

11

12

RECROSS-EXAMINATION

13 BY MR. P. HYMANSON:

14 Q. Sir, you said you weren't aware of their
15 son, who was here, and you are now because counsel
16 just said it?

17 A. Yes. Yeah, I wasn't aware that he was
18 here.

19 Q. All right. So and if you have a young man
20 like that around the house to help the family, would
21 that be of assistance to the Moores?

22 A. Probably.

23 Q. And that's something you would factor into
24 your scenario?

25 A. No. That's not part of my opinions, that

1 his son was there to help.

2 Q. well, he's already moved away. So we take
3 that factor out. That's not something you would
4 consider either way?

5 A. It's not something that was part of my
6 opinions.

7 Q. Fair enough. All right. And so other than
8 saying that he's doing fine on the medicals but he's
9 still taking 40 milligrams of OxyContin, you don't
10 have changes or recommendations as to treatment or
11 medical care because that would be beyond your scope;
12 correct?

13 MR. MCBRIDE: Your Honor, it's beyond the
14 scope of my cross or my redirect.

15 THE COURT: It does appear to be beyond the
16 scope.

17 MR. P. HYMANSON: I wouldn't disagree with
18 Your Honor. No further questions.

19 THE COURT: Mr. McBride, anything further?

20 MR. MCBRIDE: Nothing, Your Honor.

21 THE COURT: Any questions from the jurors
22 for this witness?

23 All right. Thank you. At this time,
24 Dr. Janzen, you are excused. Thank you.

25 THE WITNESS: Thank you.

1 THE COURT: I need to have counsel at the
2 bench for a brief scheduling discussion, please.

3 (Bench conference.)

4 THE COURT: I just needed to confer with
5 the counsel because we've had a change in the
6 scheduling now, and we will not be going to the
7 other courtroom tomorrow. We will be doing that on
8 another day, still needed to be determined. So
9 tomorrow you will be back here. You'll be back here
10 at 1:30. This is that odd Thursday where we had
11 some other commitments that we couldn't start trial
12 until the half day. But we'll be back here at 1:30.

13 During this overnight recess, you are
14 admonished not to talk or converse among yourselves
15 or with anyone else on any subject connected with
16 this trial or read, watch or listen to any report of
17 or commentary on the trial or any person connected
18 with the trial by any medium of information
19 including, without limitation, newspapers,
20 television, radio, or Internet.

21 Please do not attempt to visit the scene of
22 any of the events mentioned during the trial or
23 undertake any independent investigation, certainly,
24 or any independent research or Internet searches.
25 And please, of course, do not form or express any

1 opinion on any subject connected with the trial
2 until the case is finally submitted to you. We'll
3 see you tomorrow at 1:30.

4 (Jury exits the courtroom.)

5 THE MARSHAL: All rise for the jury.

6 THE COURT: I really thought she was trying
7 to pass a question for the witness. I'm like what
8 the hell is going on?

9 But in any event, we will start tomorrow at
10 1:30. We'll be up here. We'll finish Dr. Wilson.
11 I understand Mrs. Moore, and then we'll go from
12 there.

13 I'd like to take stock again tomorrow where
14 we are in terms of finishing this trial because I
15 have to tell the jurors tomorrow that we're delayed
16 into next week, and I don't want to say Tuesday and
17 then have it be Wednesday. I don't want to say --
18 you know what I'm saying? We have to figure that
19 out. So okay. See you all tomorrow.

20 MR. MCBRIDE: Thank you, Your Honor.

21 MR. H. HYMANSON: Just briefly, Your Honor.
22 I want to apologize for being late. It was
23 misassumption on my part. So I apologize to the
24 Court and counsel and the parties.

25 THE COURT: You're welcome to apologize.

1 Here's my problem, not with your apology, with the
2 issue, off the record.

3

4 (The proceedings concluded at 5:04 p.m.)

5

-000-

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

\$	27:21, 27:22, 36:20, 40:10, 40:16, 41:1, 47:10, 47:15, 48:1, 48:15, 50:9, 51:13, 55:24, 56:4, 57:1, 58:12, 59:1, 59:6, 66:18, 67:16, 68:11, 70:9, 80:5, 81:17, 118:6	88:20, 89:10, 98:18, 99:3 4:20 [1] - 99:4	131:16 absence [2] - 37:8, 75:5 absent [1] - 38:13 absolutely [5] - 9:12, 51:3, 54:18, 61:12, 67:17 abstain [3] - 52:16, 54:16, 54:21 Academy [1] - 102:21 accelerates [1] - 53:16 accelerator [1] - 111:9 accept [3] - 69:6, 73:10, 132:7 accepted [4] - 48:23, 49:15, 66:4, 66:17 access [5] - 125:16, 126:19, 126:21, 127:5, 128:14 accessibility [1] - 114:17 accommodate [4] - 21:24, 113:17, 113:24, 114:16 accommodations [2] - 114:6, 114:18 according [1] - 64:1 account [1] - 70:7 accurate [3] - 41:16, 41:17, 70:20 accused [1] - 88:5 activities [5] - 51:8, 111:2, 111:5, 111:10, 115:15 activity [1] - 111:11 actual [2] - 114:15, 123:9 acute [47] - 16:16, 16:19, 40:4, 40:5, 42:2, 47:15, 47:18, 48:6, 48:20, 48:23, 51:15, 56:22, 57:15, 57:20, 58:14, 59:1, 59:8, 59:16, 61:15, 61:20, 62:12, 64:1, 64:18, 64:25, 65:15, 65:25, 67:8, 67:12, 67:19, 68:5, 69:20, 69:23, 70:2, 72:16, 79:18, 79:24, 80:8, 80:12, 82:3, 82:17, 82:18, 83:1, 83:21, 86:5, 86:6, 87:6, 87:13 acutely [8] - 40:10, 40:14, 48:1, 48:11, 48:15, 51:2, 80:21, 83:19 add [3] - 8:16, 91:1, 92:10	added [1] - 9:6 addict [1] - 118:14 addition [7] - 42:19, 63:18, 81:15, 102:3, 106:2, 106:19, 115:3 additional [11] - 8:17, 8:22, 9:5, 17:15, 31:21, 31:22, 76:4, 117:1, 121:14, 132:14 address [3] - 7:18, 94:14, 111:16 adequate [6] - 33:19, 35:9, 35:11, 36:17, 77:1, 127:7 adjustable [1] - 110:16 administration [2] - 39:7, 39:15 admit [1] - 26:6 ADMITTED [1] - 2:14 admitted [4] - 26:9, 68:15, 105:10, 105:16 admonish [1] - 88:10 admonished [2] - 88:23, 137:14 admonishment [1] - 88:11 advance [1] - 63:5 advanced [2] - 57:25, 60:22 advise [1] - 105:20 affects [1] - 113:10 affiliations [1] - 102:16 afternoon [15] - 3:6, 3:7, 3:8, 3:9, 19:25, 20:8, 20:10, 22:18, 22:21, 23:2, 24:8, 24:9, 88:4, 99:25, 117:19 afternoon's [1] - 18:21 age [2] - 51:22, 52:5 ago [3] - 24:20, 50:15, 82:14 agree [17] - 17:13, 27:13, 27:17, 56:8, 61:22, 62:23, 70:13, 74:23, 93:6, 96:24, 112:24, 113:17, 114:22, 119:2, 127:24, 131:19, 131:22 agreed [1] - 91:20 ahead [13] - 9:10, 11:21, 19:17, 23:5, 44:21, 45:11, 61:21, 72:8, 87:25, 91:1, 99:14, 105:23, 134:7
/	2019 [8] - 12:14, 17:5, 27:2, 27:14, 27:23, 36:4, 46:19, 105:7	5		
/S/Dana [1] - 140:16	2020 [5] - 1:16, 3:1, 61:19, 114:14, 140:14	5 [4] - 1:16, 7:15, 7:23, 37:21 500,000 [1] - 74:10 5:00 [6] - 98:10, 98:20, 98:24, 99:13, 134:3, 134:7 5:04 [1] - 139:4		
1	22 [1] - 125:23 24 [1] - 2:4 25 [2] - 1:8, 59:6 250 [1] - 74:9 25th [33] - 27:11, 27:20, 27:22, 36:20, 40:10, 40:16, 41:1, 47:9, 47:15, 48:1, 48:12, 48:15, 50:9, 50:21, 51:13, 55:24, 56:4, 57:1, 58:11, 59:1, 66:18, 67:24, 68:2, 68:11, 70:9, 72:23, 80:5, 81:17, 85:7, 85:16, 86:13, 86:23, 87:11 26th [1] - 87:19 27th [1] - 87:19 28th [27] - 27:1, 27:14, 27:23, 36:4, 46:19, 67:16, 67:20, 68:2, 79:16, 79:24, 80:7, 80:16, 80:19, 80:21, 82:6, 82:9, 82:17, 83:20, 84:6, 85:7, 85:21, 86:6, 86:19, 86:24, 87:5, 87:11, 87:12	6		
1 [6] - 16:13, 37:23, 37:24, 54:18, 133:21, 134:1 10 [2] - 43:2, 51:25 100 [4] - 2:7, 25:22, 38:11, 68:15 113 [4] - 2:15, 26:5, 26:7, 26:8 117 [1] - 2:8 12/25 [1] - 40:12 12/25/16 [1] - 41:4 120 [1] - 25:22 132 [1] - 2:9 135 [1] - 2:10 14 [1] - 2:15 1411 [1] - 68:16 15 [6] - 21:7, 88:15, 88:21, 98:16, 98:21, 114:14 15-minute [1] - 21:9 1975 [2] - 102:6, 102:12 1979 [1] - 102:13 1982 [1] - 102:13 19th [1] - 17:5 1:30 [5] - 98:6, 137:10, 137:12, 138:3, 138:10 1:41 [1] - 3:2	6 [2] - 12:14, 37:21 65 [2] - 51:22, 52:5 68-year-old [1] - 29:15 6th [1] - 140:13	7		
		7 [2] - 105:3, 105:5 75 [1] - 112:11		
		8		
		8 [1] - 37:22 80 [1] - 118:7 841 [3] - 1:25, 140:6, 140:17		
		9		
		9 [2] - 26:14, 26:16		
		A		
		A-17-766426-C [1] - 1:7 abilities [1] - 115:6 ability [2] - 101:5, 111:17 ablation [1] - 45:24 able [26] - 20:23, 21:2, 28:13, 33:11, 33:21, 34:8, 34:14, 34:22, 35:14, 51:17, 53:6, 59:24, 75:7, 83:22, 101:14, 107:15, 111:1, 111:23, 115:5, 118:17, 125:2, 128:14, 131:17 above-the-knee [11] - 106:12, 107:5, 107:14, 111:7, 115:4, 115:10, 118:11, 119:20, 119:21, 128:5,		
2	3			
2 [4] - 16:14, 37:23, 37:24, 39:4 20 [3] - 42:23, 98:16, 98:21 200 [2] - 62:25, 63:4 2009 [1] - 107:10 2012 [19] - 28:20, 29:8, 34:1, 34:4, 40:24, 41:2, 41:7, 41:13, 41:20, 42:11, 42:14, 42:23, 43:3, 43:10, 43:15, 46:9, 46:19, 46:22, 81:19 2016 [26] - 27:11,	3 [3] - 105:7, 107:10, 110:23 30 [3] - 3:1, 16:6, 16:10 3:45 [3] - 20:20, 21:5, 21:6 3F [1] - 18:20 3rd [1] - 107:13			
	4			
	40 [5] - 106:7, 112:11, 118:10, 133:7, 136:9 4:00 [6] - 20:22, 88:14,			

<p>aisles [1] - 109:15 AK [1] - 110:22 alarms [1] - 70:12 ALISSA [1] - 1:23 alive [1] - 86:25 alleged [1] - 56:14 allergy [1] - 64:14 allow [1] - 10:6, 14:16, 19:8, 37:7, 38:14, 51:11, 57:19, 73:20, 90:8, 92:14 allowed [2] - 8:9, 44:17 allowing [1] - 127:18 allows [2] - 22:13, 93:17 alluded [1] - 93:16 alone [1] - 69:11 alternative [2] - 125:4, 126:11 alternatives [1] - 126:10 ambulatory [1] - 86:14 American [1] - 102:17 amount [1] - 5:1 ample [1] - 18:4 amputated [3] - 78:23, 78:25, 82:7 amputation [17] - 29:17, 29:20, 79:8, 107:5, 107:14, 110:20, 110:22, 111:7, 113:5, 113:11, 115:3, 115:4, 115:10, 116:2, 116:12, 118:11, 134:17 amputations [13] - 106:13, 106:15, 106:19, 112:12, 112:24, 112:25, 113:3, 113:7, 113:13, 113:21, 128:5, 131:17 amputee [2] - 119:20, 119:21 analysis [9] - 82:4, 92:12, 118:21, 121:2, 125:20, 126:18, 127:23, 128:1, 130:3 analyze [1] - 133:4 aneurysm [2] - 81:22, 81:23 angiogram [7] - 62:15, 62:21, 63:19, 65:1, 65:16, 65:24, 67:1 angiograms [2] - 63:21, 63:25 annually [1] - 74:10</p>	<p>answer [11] - 25:4, 31:6, 44:1, 44:5, 56:19, 71:14, 71:24, 75:22, 90:18, 90:19, 92:5 answered [1] - 71:21 answering [2] - 44:16, 72:6 answers [1] - 93:24 anticoagulant [1] - 40:7 anticoagulated [1] - 40:8 anyway [4] - 4:16, 11:25, 27:14, 71:25 apart [1] - 97:4 apologize [5] - 12:16, 13:12, 138:22, 138:23, 138:25 apology [1] - 139:1 appear [3] - 10:6, 56:11, 136:15 APPEARANCES [1] - 1:17 appeared [5] - 44:13, 44:22, 45:16, 46:17, 93:23 appellate [1] - 96:2 appreciate [4] - 15:15, 31:13, 92:8, 99:21 approach [2] - 31:9, 65:4 appropriate [10] - 72:24, 74:17, 74:22, 74:24, 91:7, 107:18, 107:22, 114:7, 120:6, 132:16 APRN [1] - 1:9 area [1] - 47:7 arguably [1] - 11:3 arguing [1] - 11:5 argument [6] - 12:20, 13:12, 16:2, 16:7, 16:10, 93:6 argumentative [1] - 127:14 arguments [1] - 13:11 Arntz [12] - 9:10, 12:5, 13:10, 17:14, 89:16, 90:1, 90:15, 91:1, 92:19, 93:21, 94:5, 95:8 ARNTZ [43] - 1:19, 3:8, 3:13, 4:3, 4:25, 5:17, 6:4, 6:12, 6:25, 7:7, 7:16, 9:11, 10:19, 12:9, 12:12, 12:16, 12:20, 14:4, 14:18, 14:21, 15:2, 15:6, 15:9, 15:15,</p>	<p>15:21, 16:22, 17:4, 27:24, 28:3, 32:3, 32:21, 39:17, 43:23, 44:15, 56:18, 58:15, 65:4, 66:6, 71:10, 71:12, 71:17, 91:4, 95:11 arrange [1] - 19:1 arrangements [1] - 134:2 arrived [2] - 48:6, 48:8 arriving [1] - 59:18 arterial [9] - 42:19, 70:5, 75:9, 75:16, 75:21, 76:23, 77:1, 77:2, 77:4 arteries [11] - 25:17, 38:8, 42:22, 46:6, 49:12, 59:24, 75:18, 77:6, 77:12, 78:7, 80:25 arteriogram [16] - 34:4, 34:5, 42:20, 42:22, 46:6, 46:9, 46:18, 61:20, 62:11, 62:14, 63:9, 63:15, 63:19, 65:16, 65:24, 80:15 arteriographer [1] - 82:10 artery [74] - 25:18, 25:20, 30:1, 30:9, 30:11, 30:14, 30:15, 30:22, 31:19, 32:2, 32:5, 32:18, 33:16, 33:24, 34:6, 34:11, 34:18, 35:3, 35:18, 35:21, 35:23, 36:1, 36:6, 36:21, 36:24, 37:2, 37:19, 37:21, 37:25, 38:5, 38:7, 38:9, 38:16, 41:21, 42:12, 42:25, 43:7, 43:16, 45:16, 46:11, 46:17, 46:18, 46:24, 47:3, 49:20, 52:2, 52:7, 55:3, 58:3, 58:13, 63:11, 63:12, 66:5, 69:3, 77:9, 80:24, 81:2, 81:3, 82:9, 82:19, 83:3, 83:5, 83:8, 83:11, 83:13, 83:14, 83:16, 83:19, 84:2, 84:8, 86:5, 86:7 articles [1] - 61:25 articulated [2] - 16:1, 67:15 aspect [2] - 111:21, 121:2</p>	<p>aspects [2] - 102:7, 102:8 assess [1] - 103:22 assessing [1] - 101:2 assessment [7] - 60:6, 60:17, 61:9, 61:11, 62:13, 125:25, 129:24 Assessment/Plan [1] - 29:11 assignment [1] - 19:24 assist [3] - 6:11, 105:14, 105:17 assistance [2] - 115:14, 135:21 assisted [1] - 107:3 assisting [1] - 106:12 associated [3] - 51:5, 56:21, 116:11 Association [2] - 102:19, 102:20 assume [12] - 41:12, 41:19, 60:13, 61:18, 62:19, 64:16, 65:12, 65:13, 69:8, 70:6, 73:6, 74:21 assuming [2] - 82:13, 98:17 assumption [1] - 64:22 atherosclerosis [1] - 53:17 atherosclerotic [1] - 52:14 attached [2] - 58:3, 84:1 attempt [6] - 83:12, 83:24, 84:7, 84:11, 85:14, 137:21 attempted [1] - 85:22 attempts [1] - 108:7 attendant [2] - 114:21, 115:7 augmentation [1] - 76:12 August [13] - 17:5, 27:1, 27:14, 27:23, 34:1, 34:4, 36:4, 42:11, 42:23, 43:15, 46:9, 46:19 authority [2] - 93:14, 93:16 automatic [1] - 63:4 available [3] - 18:4, 119:13, 130:18 average [1] - 114:11 avoid [1] - 31:8 aware [9] - 36:7, 112:7, 115:12,</p>	<p>115:22, 116:1, 133:15, 134:11, 135:14, 135:17 awoke [1] - 68:3</p>
B				
			<p>Bachelor's [1] - 102:1 backdoor [1] - 44:15 backdoored [1] - 96:7 background [3] - 101:20, 116:23, 133:6 backs [1] - 124:11 backup [2] - 40:22, 78:9 balance [1] - 130:6 bars [1] - 109:14 Bartmus [9] - 5:10, 56:5, 66:3, 66:11, 66:17, 72:22, 78:22, 79:6, 96:18 BARTMUS [1] - 1:9 base [1] - 119:10 based [55] - 4:12, 27:13, 27:18, 33:7, 33:21, 34:8, 34:15, 35:10, 36:9, 42:9, 42:24, 45:14, 46:17, 47:12, 50:7, 51:18, 52:1, 54:7, 57:22, 58:4, 58:24, 66:2, 66:19, 70:25, 72:19, 85:3, 85:20, 86:3, 86:13, 87:20, 87:22, 109:3, 109:4, 110:2, 110:24, 111:22, 112:20, 113:1, 115:5, 115:22, 116:9, 119:9, 120:12, 122:1, 122:8, 122:9, 126:19, 127:9, 127:23, 128:3, 131:14, 131:15, 133:5, 133:12 bases [7] - 8:17, 8:23, 9:2, 9:20, 13:1, 13:4, 17:7 basic [1] - 47:7 basics [1] - 60:19 basis [13] - 8:4, 8:12, 13:16, 15:7, 18:8, 33:11, 34:2, 45:20, 47:24, 61:6, 67:10, 86:10, 114:24 Bates [2] - 26:11, 68:16 bathroom [7] - 114:8, 114:10, 125:12,</p>	

<p>125:13, 125:15, 125:16, 126:15 beat [1] - 72:4 beating [1] - 127:11 became [2] - 90:15, 113:8 become [1] - 73:23 becomes [1] - 58:2 becoming [1] - 118:14 bedroom [1] - 126:16 BEFORE [1] - 1:15 began [1] - 50:2 begin [1] - 81:24 beginning [2] - 81:1, 105:3 behalf [3] - 103:13, 103:16, 103:20 below [6] - 29:10, 38:8, 38:9, 42:5, 83:8, 106:15 below-knee [1] - 42:5 below-the-knee [1] - 106:15 Bench [4] - 31:12, 58:18, 65:7, 137:3 bench [12] - 33:1, 33:2, 58:17, 89:14, 89:19, 93:7, 93:20, 93:25, 94:4, 95:9, 137:2 beneficial [1] - 110:13 benefit [1] - 128:3 benefitted [1] - 127:25 BESTICK [1] - 1:23 bet [1] - 130:15 better [5] - 15:22, 55:9, 61:1, 92:17, 93:3 between [5] - 27:22, 43:15, 68:2, 74:9, 87:11 beyond [4] - 84:23, 136:11, 136:13, 136:15 big [1] - 64:13 bilateral [1] - 42:15 binder [2] - 105:10, 105:13 bit [14] - 27:5, 28:6, 30:4, 48:21, 63:13, 76:3, 76:4, 79:22, 96:16, 99:12, 101:17, 101:19, 107:9, 107:24 blanche [1] - 59:21 block [8] - 32:6, 32:8, 33:15, 33:18, 35:23, 38:9, 49:20, 49:22 blocked [6] - 31:1, 31:20, 34:7, 34:10,</p>	<p>35:19, 81:19 blocks [1] - 51:10 blood [51] - 25:9, 25:15, 25:19, 25:22, 32:15, 32:20, 35:22, 38:2, 38:4, 38:15, 41:22, 42:1, 49:21, 51:6, 51:9, 51:12, 52:24, 53:14, 53:18, 55:5, 55:13, 63:8, 73:9, 73:15, 73:17, 73:19, 75:11, 75:13, 75:17, 76:8, 76:13, 76:17, 76:21, 76:22, 76:23, 77:5, 77:8, 77:10, 77:12, 77:13, 77:20, 78:2, 78:3, 78:6, 80:13, 80:14, 80:16, 80:17, 80:21, 81:20, 83:5 bloodstream [1] - 53:12 blue [1] - 97:19 board [3] - 24:12, 24:14, 92:15 body [1] - 110:15 boiled [1] - 48:2 Boise [1] - 101:12 brain [1] - 106:21 brake [1] - 111:9 brand [1] - 6:18 break [15] - 19:18, 20:4, 20:20, 21:5, 21:7, 21:9, 22:5, 30:3, 87:24, 88:1, 88:11, 88:14, 97:21, 99:3 BREEN [1] - 1:19 brief [1] - 137:2 briefing [1] - 90:4 briefly [7] - 3:13, 6:4, 100:19, 101:19, 107:11, 135:10, 138:21 bring [4] - 3:18, 5:6, 10:25, 21:11 broadens [1] - 17:11 broken [1] - 73:17 brought [4] - 6:5, 6:12, 6:16, 11:17 build [1] - 25:16 built [1] - 57:18 bulb [1] - 111:13 bunch [2] - 9:16, 9:21 BY [27] - 1:25, 24:7, 26:15, 28:1, 28:12, 31:17, 32:9, 33:5, 39:23, 44:9, 44:20, 45:13, 56:23, 58:22, 65:11, 66:9, 68:19,</p>	<p>72:9, 100:18, 105:15, 106:1, 117:18, 127:19, 130:14, 132:23, 134:8, 135:13 bypass [9] - 37:2, 37:4, 40:24, 41:2, 41:21, 42:3, 42:8, 42:25, 43:10</p> <hr/> <p style="text-align: center;">C</p> <hr/> <p>C.V [1] - 105:4 calf [8] - 50:22, 50:24, 51:11, 66:25, 73:1, 74:19, 76:7, 76:12 cane [8] - 107:16, 107:17, 119:19, 119:22, 120:2, 120:22, 122:11, 130:7 cannot [7] - 44:5, 70:11, 111:22, 115:6, 127:4, 128:18, 128:19 capabilities [2] - 101:5, 129:25 capability [1] - 101:4 capable [1] - 22:11 capacities [1] - 101:8 capacity [1] - 103:15 car [3] - 123:10, 123:13, 123:23 cardiovascular [1] - 26:24 care [45] - 38:22, 39:2, 39:6, 39:10, 39:14, 39:19, 65:15, 65:19, 93:24, 94:2, 94:8, 94:11, 94:12, 94:24, 95:3, 95:14, 95:17, 95:21, 95:23, 96:4, 96:23, 96:25, 97:5, 97:6, 97:7, 97:8, 97:13, 97:14, 97:17, 97:18, 103:17, 103:22, 103:23, 104:13, 110:7, 111:1, 111:4, 114:21, 115:2, 115:7, 115:18, 129:7, 129:10, 136:11 Care [7] - 100:23, 101:6, 102:21, 103:25, 119:4, 119:7, 133:1 career [1] - 121:16 cares [1] - 131:11 carrying [1] - 131:5</p>	<p>case [44] - 20:8, 21:22, 21:24, 25:1, 27:12, 27:21, 33:22, 37:1, 47:13, 50:8, 56:24, 66:2, 66:14, 67:10, 68:22, 69:10, 72:5, 72:20, 74:17, 74:23, 75:19, 85:7, 87:21, 89:9, 89:25, 90:1, 90:2, 91:4, 91:10, 92:9, 93:7, 93:10, 93:17, 95:4, 103:12, 103:19, 104:1, 104:5, 104:17, 114:7, 115:12, 132:25, 138:2 CASE [1] - 1:6 cases [2] - 93:12, 93:13 casinos [1] - 109:13 catch [1] - 51:12 catheter [1] - 63:2 causation [6] - 11:24, 97:3, 97:9, 97:13, 97:15, 97:16 caused [5] - 78:22, 79:7, 82:18, 82:20, 86:5 causes [1] - 83:2 cc [3] - 38:11, 62:25, 63:4 CCR [3] - 1:25, 140:6, 140:17 ceiling [1] - 111:14 cell [1] - 53:13 center [1] - 23:3 central [2] - 63:1, 63:2 certain [8] - 22:19, 49:25, 63:20, 90:11, 91:8, 98:13, 115:14, 121:1 certainly [9] - 69:21, 70:14, 92:11, 92:13, 93:15, 112:17, 124:19, 130:18, 137:23 certainty [3] - 121:23, 121:24 certification [1] - 102:4 Certified [1] - 140:18 certified [2] - 24:12, 24:14 certify [1] - 140:7 cervical [1] - 115:24 chair [2] - 23:6, 23:9 chance [1] - 3:25 chances [1] - 54:23 change [8] - 49:3, 87:7, 111:13, 121:4,</p>	<p>121:8, 126:14, 134:17, 137:5 changes [4] - 76:8, 87:6, 120:23, 136:10 Charlene [1] - 115:13 CHARLENE [1] - 1:3 chase [1] - 24:23 check [6] - 3:24, 5:21, 19:22, 20:7, 63:25, 88:16 checking [1] - 6:7 chief [2] - 21:22, 21:24 Chief [2] - 28:22, 28:23 choice [4] - 9:13, 108:4, 120:8, 120:12 cholesterol [2] - 55:5, 55:13 choose [2] - 8:15, 8:18 chose [1] - 13:8 Christopher [1] - 134:10 chronic [27] - 16:17, 16:19, 17:6, 25:14, 27:16, 35:6, 40:3, 49:15, 49:17, 49:21, 49:23, 50:5, 51:6, 51:15, 55:21, 55:25, 56:2, 56:16, 56:21, 57:13, 58:10, 58:13, 59:2, 59:5, 67:5, 70:5, 79:12 Chronic [1] - 27:6 chronically [4] - 40:17, 40:18, 48:17, 51:1 chronicity [1] - 69:25 circuit [3] - 97:1, 101:16, 107:9 circulating [1] - 73:19 circulation [8] - 32:6, 32:12, 32:14, 33:20, 35:9, 35:11, 49:19, 79:15 circulatory [1] - 106:24 circumstance [1] - 127:21 circumstances [7] - 10:22, 67:14, 67:19, 91:21, 94:3, 94:5, 94:15 citation [1] - 93:11 clarification [2] - 93:19, 98:20 clarified [1] - 94:9 clarify [4] - 31:14, 65:9, 96:15, 126:9 clarity [1] - 90:5</p>
---	---	--	---	---

<p>CLARK [2] - 1:2, 140:4 classic [1] - 73:2 claudication [13] - 49:24, 50:10, 50:12, 50:21, 51:1, 51:5, 51:14, 51:20, 52:1, 52:6, 56:15, 56:20 clean [1] - 71:22 cleaning [2] - 128:22, 129:7 clear [6] - 8:23, 53:16, 89:25, 90:2, 93:10, 95:2 clearly [1] - 95:22 clerk [5] - 6:8, 6:19, 23:7, 99:20 CLERK [4] - 23:13, 23:15, 99:24, 100:4 clientele [1] - 112:13 clients [1] - 108:16 climb [1] - 111:13 climbing [1] - 131:4 clinic [2] - 50:16, 65:21 clinical [4] - 60:5, 61:10, 106:4, 106:8 clot [25] - 57:24, 58:7, 62:4, 73:9, 73:15, 73:17, 75:9, 75:10, 75:14, 75:17, 76:10, 78:10, 82:14, 83:15, 83:22, 84:1, 84:2, 84:7, 84:16, 84:20, 84:25, 85:1, 85:9, 85:15 clots [4] - 80:18, 82:2, 82:10, 84:20 clotted [2] - 41:3, 41:4 clotting [2] - 40:4, 77:15 cognizant [1] - 22:14 cold [5] - 48:3, 49:9, 49:10, 59:15, 59:20 collateral [8] - 32:6, 33:17, 36:16, 49:21, 80:13, 81:9, 81:18, 81:20 collaterals [18] - 25:10, 25:16, 26:1, 29:25, 32:18, 34:11, 35:12, 35:16, 35:18, 35:20, 37:7, 38:14, 57:19, 81:13, 81:16, 82:1, 86:7, 86:25 College [1] - 102:1 colloquy [1] - 93:25 color [2] - 49:3, 49:5 combined [2] - 66:23, 69:13 coming [6] - 16:17,</p>	<p>16:20, 35:20, 94:10, 94:11, 132:17 comment [1] - 39:10 commentary [4] - 45:10, 89:1, 92:8, 137:17 commitments [1] - 137:11 common [3] - 52:4, 83:11, 116:20 commonly [1] - 74:2 commonness [2] - 51:19, 51:20 communicate [1] - 20:6 communications [1] - 89:5 community [1] - 64:24 competent [3] - 71:2, 71:6, 72:10 Complaint [2] - 28:22, 28:24 complaint [1] - 66:25 complete [4] - 7:21, 20:10, 22:8, 99:13 completed [1] - 97:20 completely [3] - 31:20, 49:19, 86:18 complication [1] - 64:13 complications [1] - 62:18 component [1] - 19:8 compress [2] - 76:7, 76:11 compressibility [1] - 76:9 computer [2] - 63:5, 63:7 concern [6] - 74:11, 93:22, 94:22, 95:9, 120:2, 120:3 concerned [2] - 72:23, 90:15 conclude [2] - 22:17, 88:1 concluded [1] - 139:4 conclusion [6] - 17:8, 48:6, 48:8, 91:11, 91:19, 93:2 conclusionary [1] - 91:16 conclusions [3] - 71:9, 89:21, 92:21 condition [15] - 16:15, 18:6, 49:17, 67:6, 79:12, 80:19, 109:5, 109:8, 115:19, 117:4, 121:18, 121:19, 134:23,</p>	<p>135:2 conditions [5] - 106:22, 107:1, 113:22, 116:7, 133:10 conduit [1] - 31:20 confer [1] - 137:4 conference [6] - 31:12, 33:2, 58:18, 65:7, 93:20, 137:3 conferences [2] - 89:14, 89:15 confine [2] - 13:19, 13:20 confined [13] - 5:3, 5:7, 7:9, 9:19, 10:6, 14:9, 14:15, 14:24, 15:11, 15:16, 18:13, 114:2, 114:12 confirmation [1] - 62:2 confirms [1] - 70:5 confusing [1] - 94:19 confusion [2] - 17:20, 92:6 Congress [1] - 102:18 connected [6] - 88:24, 89:1, 89:8, 137:15, 137:17, 138:1 connecting [2] - 13:10, 13:11 connection [1] - 7:3 connections [1] - 19:10 consequences [1] - 102:8 conservative [3] - 55:17, 55:20 consider [1] - 136:4 considered [1] - 114:15 consistency [1] - 84:3 consistent [1] - 133:8 constituted [1] - 69:15 constitutes [1] - 36:11 consultation [3] - 28:25, 44:23, 67:7 consulting [1] - 29:2 contained [4] - 4:21, 7:25, 13:2, 14:5 contents [2] - 17:24, 18:14 context [3] - 16:17, 16:20, 39:21 continuation [1] - 18:22 continue [1] - 37:7 continued [1] - 52:25 continues [1] - 53:4 continuing [4] - 21:21,</p>	<p>102:3, 110:21, 121:14 contrast [7] - 62:25, 63:8, 63:10, 63:21, 64:4, 64:12, 64:14 contributes [1] - 53:1 contributing [1] - 6:14 controlled [1] - 124:10 controls [1] - 111:8 converse [2] - 88:23, 137:14 convert [3] - 56:16, 57:13, 58:13 coordinated [1] - 20:19 copy [1] - 140:11 cord [2] - 110:12, 110:18 corner [3] - 23:4, 26:19, 26:20 corners [2] - 12:22, 13:7 CORPORATIONS [1] - 1:10 correct [33] - 24:19, 30:6, 30:23, 38:22, 39:2, 39:13, 43:7, 43:8, 45:18, 45:19, 54:2, 57:9, 57:10, 65:14, 69:6, 78:11, 78:13, 78:18, 104:4, 105:11, 105:16, 120:24, 121:22, 121:25, 126:25, 128:11, 129:21, 131:7, 132:4, 133:13, 133:14, 136:12, 140:8 corrected [2] - 87:4, 130:13 corrective [1] - 62:3 correctly [3] - 14:1, 17:11, 58:9 cost [1] - 124:2 costs [1] - 109:19 cotton [1] - 60:1 counsel [9] - 7:22, 33:1, 58:16, 117:21, 117:22, 135:15, 137:1, 137:5, 138:24 counseling [4] - 101:22, 101:24, 116:24, 117:6 Counseling [1] - 102:19 counselor [1] - 102:5 countered [1] - 119:15 counters [1] - 114:1 COUNTY [2] - 1:2, 140:4</p>	<p>couple [5] - 24:23, 65:9, 82:6, 88:16, 90:22 course [6] - 7:20, 8:25, 18:13, 89:7, 90:20, 137:25 COURT [105] - 1:1, 3:6, 3:10, 3:24, 4:7, 5:8, 5:20, 6:7, 6:20, 7:5, 7:11, 7:20, 9:10, 9:23, 10:21, 11:18, 11:21, 12:3, 12:11, 12:15, 12:18, 13:9, 14:12, 14:19, 14:22, 15:3, 15:7, 15:10, 15:16, 15:23, 16:25, 17:9, 17:18, 18:16, 19:15, 19:20, 20:25, 21:3, 21:8, 21:13, 21:15, 23:1, 23:19, 26:6, 26:10, 28:4, 31:3, 31:11, 31:13, 32:23, 32:25, 33:3, 43:24, 44:1, 44:4, 44:7, 44:17, 45:3, 45:6, 56:19, 58:16, 58:19, 65:6, 65:8, 66:7, 68:18, 71:11, 71:14, 71:21, 72:1, 87:25, 88:9, 88:13, 89:13, 91:13, 93:5, 93:18, 95:25, 97:20, 98:17, 98:23, 99:2, 99:8, 99:18, 100:2, 100:7, 100:9, 105:9, 105:13, 105:20, 117:13, 127:17, 130:10, 133:16, 133:20, 133:22, 134:6, 135:8, 136:15, 136:19, 136:21, 137:1, 137:4, 138:6, 138:25 court [5] - 19:1, 19:2, 19:3, 19:4, 98:14 Court [12] - 15:25, 17:22, 17:23, 90:23, 92:13, 93:6, 93:15, 94:6, 96:12, 138:24, 140:12, 140:18 Court's [3] - 90:7, 92:12, 93:8 courthouse [1] - 103:5 Courtroom [1] - 18:20 courtroom [9] - 18:23, 18:24, 21:14, 22:20, 88:17, 97:24, 99:7, 137:7, 138:4 covered [2] - 6:8,</p>
---	--	--	---	---

<p>81:21 cramping [1] - 49:24 credentials [2] - 24:22, 70:24 credits [1] - 102:4 critical [5] - 28:16, 46:23, 47:2, 57:14, 111:21 Cross [1] - 2:8 cross [4] - 12:24, 15:13, 98:12, 136:14 CROSS [1] - 117:17 Cross-Examination [1] - 2:8 CROSS-EXAMINATION [1] - 117:17 cross-examine [2] - 12:24, 98:12 cross-purposes [1] - 15:13 crossed [1] - 90:16 crutch [1] - 120:2 crutches [7] - 107:16, 107:20, 119:19, 119:22, 120:22, 122:12, 130:8 CT [13] - 61:25, 62:6, 62:14, 62:21, 63:7, 63:14, 63:19, 63:21, 63:25, 65:1, 65:16, 65:24, 67:1 current [1] - 53:3 cut [2] - 24:22, 80:16</p>	<p>death [1] - 74:5 deaths [1] - 74:10 debate [1] - 89:23 debates [1] - 89:19 decade [2] - 129:6, 132:12 decades [2] - 51:19, 54:21 December [37] - 27:11, 27:20, 27:22, 36:20, 40:10, 40:16, 40:24, 41:1, 47:9, 47:15, 48:1, 48:12, 48:15, 50:9, 51:13, 55:24, 56:4, 57:1, 58:11, 59:1, 59:6, 66:18, 67:16, 68:11, 70:9, 72:23, 79:16, 79:24, 80:5, 80:7, 80:21, 81:17, 82:6, 82:9, 83:20, 84:6, 85:16 decide [4] - 11:9, 11:11, 14:10, 92:14 declined [1] - 18:12 deep [9] - 27:6, 27:10, 27:19, 35:21, 73:2, 73:5, 74:2, 75:6, 76:14 deeper [1] - 81:2 defendant [1] - 26:5 Defendant [2] - 5:10, 5:11 Defendants [2] - 1:11, 1:21 defense [5] - 21:24, 22:7, 96:13, 99:17, 103:21 defer [1] - 121:20 definitely [1] - 67:20 definition [4] - 48:23, 49:15, 50:24, 51:1 definitive [1] - 120:16 degenerative [1] - 106:22 degree [9] - 79:3, 79:8, 85:8, 94:17, 101:22, 101:24, 102:1, 117:8, 122:2 Delaney [1] - 140:11 DELANEY [1] - 1:15 delayed [1] - 138:15 deleterious [1] - 53:12 demonstrate [2] - 25:10, 48:19 demonstrated [1] - 42:21 department [8] - 58:11, 64:25, 65:3, 65:20, 66:18, 79:25,</p>	<p>80:6, 87:7 dependent [1] - 25:19 depose [4] - 5:4, 8:16, 8:18, 13:8 deposition [17] - 4:5, 4:22, 7:10, 8:1, 8:16, 8:21, 9:13, 9:18, 10:5, 47:18, 73:8, 73:13, 86:13, 87:22, 104:19, 127:3, 131:7 Deposition [1] - 8:10 depositions [2] - 66:10, 104:17 deposits [1] - 53:17 DEPT [1] - 1:8 derogatory [2] - 112:15, 112:20 describe [1] - 72:16 described [8] - 37:10, 37:12, 50:11, 50:16, 55:19, 60:4, 63:18, 122:13 description [2] - 37:13, 85:3 designated [1] - 10:19 designations [1] - 5:3 designed [1] - 96:7 desire [1] - 94:7 desk [1] - 19:2 destined [1] - 87:2 detail [1] - 79:22 details [1] - 88:19 detect [4] - 59:25, 63:6, 74:14, 75:17 determination [4] - 12:22, 13:23, 120:19, 132:9 determine [1] - 17:23 determined [2] - 129:13, 137:8 determining [1] - 101:4 develop [2] - 59:11 developed [4] - 33:17, 80:12, 82:2, 113:5 developing [1] - 106:8 development [1] - 25:25 diabetes [2] - 51:24, 64:8 diagnosable [2] - 80:1, 80:8 diagnose [3] - 62:12, 64:25, 65:15 diagnosed [7] - 42:13, 46:9, 56:5, 65:25, 68:5, 73:8, 75:8 diagnosis [12] - 43:6, 45:15, 56:12, 56:14, 59:8, 59:19, 61:15,</p>	<p>61:17, 61:19, 62:2, 62:9, 64:18 diagnostic [2] - 61:25, 62:1 dialogue [1] - 10:21 difference [5] - 75:10, 85:7, 85:25, 90:5, 119:14 different [28] - 8:4, 9:5, 11:10, 13:10, 13:13, 19:14, 22:6, 22:20, 48:8, 48:10, 48:13, 63:13, 68:7, 83:15, 85:19, 86:18, 86:19, 87:19, 90:9, 108:24, 110:16, 118:16, 119:12, 122:15, 125:24, 131:21, 131:23, 132:8 difficult [2] - 28:18, 127:20 difficulties [1] - 99:10 difficulty [2] - 123:15, 125:11 dilute [1] - 64:12 direct [1] - 45:9 DIRECT [2] - 24:6, 100:17 Direct [2] - 2:4, 2:7 directed [1] - 75:20 direction [1] - 94:25 directly [3] - 63:12, 104:2, 107:3 disabilities [3] - 106:10, 106:17, 110:10 disability [1] - 102:7 disadvantage [2] - 8:15, 8:20 disagree [7] - 61:24, 71:6, 71:9, 72:11, 92:12, 109:24, 136:17 disagreed [2] - 71:8, 91:23 disclosed [2] - 94:13, 96:4 disclosures [1] - 96:23 discoloration [1] - 59:14 discolored [2] - 49:10, 59:20 discovered [1] - 45:17 discovery [1] - 44:23 discretion [2] - 92:13, 93:15 discrimination [1] - 54:7</p>	<p>discuss [1] - 14:8 discussed [2] - 4:4, 12:3 discussing [1] - 132:11 discussion [5] - 6:19, 12:23, 18:4, 95:25, 137:2 discussions [1] - 3:17 disease [23] - 28:20, 28:25, 29:7, 29:16, 38:7, 42:5, 45:24, 46:5, 51:21, 52:5, 52:11, 52:14, 52:18, 52:20, 53:2, 53:5, 53:9, 54:17, 54:24, 55:6, 69:25, 70:5, 73:3 dispute [1] - 57:7 disregard [1] - 45:10 disrespectful [2] - 112:16, 112:18 dissolution [1] - 62:5 dissolve [5] - 57:24, 83:22, 84:7, 84:24, 85:15 dissolved [1] - 85:10 dissolves [1] - 25:6 distal [1] - 59:23 distance [6] - 49:25, 50:3, 51:9, 55:14, 55:15, 107:21 distances [3] - 122:25, 124:16, 124:18 DISTRICT [1] - 1:1 District [1] - 140:12 doctor [3] - 22:7, 50:6, 106:2 Doctor [16] - 44:5, 45:3, 102:10, 102:15, 102:23, 105:1, 105:17, 107:7, 111:16, 112:7, 112:21, 113:14, 117:7, 132:20, 132:24, 134:9 doctor's [1] - 71:21 Doctorate [1] - 101:22 doctors [3] - 60:15, 61:2, 94:10 document [9] - 26:3, 27:14, 27:19, 28:14, 30:21, 68:24, 69:1, 69:2, 105:4 documenting [1] - 28:17 documents [1] - 105:14</p>
D				
<p>daily [5] - 51:7, 115:14, 122:22, 128:21, 140:10 damage [2] - 64:3, 64:4 dampened [1] - 46:4 Dana [2] - 140:6, 140:17 DANA [1] - 1:25 DARELL [1] - 1:3 date [7] - 27:1, 27:21, 29:3, 34:24, 43:12, 104:14, 105:6 dated [2] - 17:5, 140:13 daughter [1] - 134:2 days [8] - 16:6, 16:10, 50:15, 67:15, 80:7, 82:6, 85:6, 85:24 deadline [1] - 12:12 deal [1] - 14:11 dealing [2] - 6:22, 34:17</p>				

<p>DOES [1] - 1:9 done [21] - 21:4, 34:4, 42:22, 43:2, 43:3, 48:18, 62:1, 63:14, 63:16, 75:16, 75:17, 80:15, 98:5, 101:6, 113:20, 127:25, 130:15, 130:16, 130:20, 130:24, 131:1 door [2] - 9:21, 125:17 doors [1] - 114:1 doorway [1] - 125:19 Doppler [6] - 41:23, 42:1, 46:3, 49:11, 58:25, 59:23 double [2] - 5:21, 55:14 double-check [1] - 5:21 doubt [3] - 14:23, 41:17, 57:5 down [10] - 19:12, 19:17, 20:5, 27:4, 30:3, 48:2, 59:22, 78:7, 119:3, 133:18 downhill [2] - 77:20, 77:25 Dr [148] - 2:15, 3:14, 5:3, 8:11, 8:21, 9:5, 9:7, 9:9, 11:17, 20:16, 20:17, 20:21, 20:24, 22:4, 22:8, 23:1, 23:2, 24:8, 24:21, 24:24, 26:2, 26:3, 26:16, 26:19, 26:21, 26:23, 27:9, 27:15, 27:23, 28:13, 28:16, 29:3, 29:4, 29:23, 30:13, 31:25, 33:6, 34:14, 34:16, 34:23, 35:1, 36:9, 36:19, 37:12, 38:18, 38:20, 38:25, 41:12, 41:13, 41:19, 43:21, 44:14, 44:21, 45:2, 45:14, 45:23, 46:23, 47:2, 47:6, 47:17, 47:21, 47:23, 47:25, 48:5, 48:11, 48:19, 48:22, 49:13, 50:7, 50:24, 51:18, 56:3, 56:5, 58:23, 59:7, 60:2, 60:13, 61:18, 62:20, 64:2, 64:15, 64:16, 65:12, 65:14, 65:18, 66:3, 66:11, 66:17, 67:13, 68:17, 68:20, 69:8, 69:9, 70:6, 71:7, 72:4,</p>	<p>72:10, 72:11, 72:18, 72:22, 72:25, 73:6, 73:21, 74:4, 74:20, 78:21, 78:22, 79:6, 80:11, 83:21, 88:2, 88:5, 89:16, 89:21, 93:23, 94:1, 94:12, 94:16, 96:16, 96:17, 96:21, 96:22, 96:25, 97:4, 97:7, 97:12, 97:14, 97:15, 99:17, 99:18, 99:19, 99:25, 100:19, 101:11, 104:19, 108:1, 109:25, 112:6, 112:19, 112:21, 113:15, 114:19, 118:6, 133:5, 136:24, 138:10 dressed [1] - 111:4 drive [2] - 111:7, 123:23 drives [2] - 124:7, 124:11 dropped [1] - 59:21 due [2] - 47:15, 74:10 duly [2] - 24:3, 100:14 duplicated [1] - 96:19 duplication [1] - 96:10 duplicative [1] - 95:19 duration [1] - 84:21 during [7] - 66:4, 66:18, 81:19, 87:16, 88:21, 137:13, 137:22 dusky [1] - 49:5 DVT [13] - 27:15, 28:14, 28:17, 72:23, 73:22, 74:14, 74:22, 75:5, 75:8, 75:16, 75:20, 75:23, 76:5 DVTs [1] - 28:17 dye [3] - 62:22, 63:6, 63:12</p>	<p>effort [3] - 94:7, 101:18, 108:8 eight [5] - 114:21, 115:6, 115:17, 129:10, 129:20 EIGHTH [1] - 1:1 either [9] - 8:19, 11:11, 19:20, 27:15, 93:10, 96:2, 116:22, 118:24, 136:4 elective [2] - 43:11, 43:13 electric [10] - 107:22, 107:23, 107:25, 109:11, 110:1, 110:4, 110:8, 110:13, 110:18, 123:17 electrical [2] - 122:12, 122:13 elementary [1] - 77:16 elevated [2] - 49:5, 59:20 elevation [1] - 55:6 emboli [1] - 74:10 embolism [1] - 73:23 embolus [1] - 73:17 emergency [27] - 33:9, 36:11, 46:25, 47:4, 47:9, 47:14, 47:19, 48:7, 58:11, 64:20, 64:25, 65:2, 65:20, 66:18, 67:2, 67:9, 67:23, 68:6, 69:11, 69:15, 70:11, 79:14, 79:25, 80:6, 86:12, 86:23, 87:7 EMERGENCY [1] - 1:8 emergent [1] - 43:18 emotional [2] - 101:3, 117:4 employed [1] - 113:8 empty [1] - 97:25 encourage [2] - 54:16, 55:4 end [2] - 22:1, 90:12 ended [4] - 21:10, 28:10, 90:13, 92:4 endothelium [2] - 52:23, 53:14 energy [1] - 108:22 engage [1] - 92:6 enlarge [2] - 25:17, 33:18 ensure [2] - 79:15, 109:1 entails [1] - 100:25 entered [1] - 57:25 enters [3] - 21:14, 53:11, 99:7</p>	<p>entertained [1] - 118:3 entire [2] - 120:25, 134:16 entirely [1] - 50:11 entitled [2] - 10:17, 14:24 enzyme [1] - 62:5 equipment [1] - 19:9 especially [3] - 9:6, 50:18, 131:20 ESQ [6] - 1:19, 1:20, 1:20, 1:22, 1:23, 1:23 essentially [3] - 12:6, 92:24, 106:25 establish [3] - 28:19, 38:20, 61:25 estimates [1] - 74:9 evaluate [1] - 73:4 evaluated [1] - 106:4 evaluating [2] - 102:8, 111:21 evaluation [5] - 60:5, 61:10, 66:4, 118:25, 119:1 event [5] - 42:2, 67:8, 79:16, 82:17, 138:9 events [2] - 86:18, 137:22 evidence [6] - 26:9, 43:9, 68:16, 78:12, 105:17, 105:22 exactly [1] - 60:15 exam [6] - 46:3, 46:4, 60:5, 61:11, 62:13, 75:23 Examination [5] - 2:4, 2:7, 2:8, 2:9, 2:10 EXAMINATION [5] - 24:6, 100:17, 117:17, 132:22, 135:12 examination [8] - 48:18, 49:11, 59:18, 60:20, 60:23, 70:20, 91:12 examine [6] - 12:24, 56:9, 61:17, 70:19, 75:13, 98:12 examined [4] - 24:4, 49:9, 100:15, 118:7 example [4] - 51:24, 65:2, 65:21, 127:17 exceed [1] - 51:8 except [1] - 87:1 exclude [1] - 13:15 exclusive [1] - 15:18 excuse [1] - 102:14 excused [1] - 136:24 exercise [1] - 55:12</p>	<p>EXHIBIT [1] - 2:14 Exhibit [4] - 26:5, 26:7, 26:8, 68:15 exhibits [1] - 105:10 exits [1] - 138:4 expect [5] - 41:9, 42:3, 42:7, 87:14, 87:16 expected [2] - 50:22, 67:5 experience [13] - 51:18, 110:5, 111:20, 112:20, 113:1, 113:12, 116:24, 119:9, 119:10, 120:9, 127:23, 131:16, 133:6 experienced [1] - 121:5 expert [20] - 4:12, 4:21, 5:4, 7:25, 9:18, 16:19, 24:25, 68:13, 68:14, 91:6, 95:12, 95:13, 95:22, 99:4, 102:24, 103:9, 103:13, 103:20, 104:20, 105:21 expertise [1] - 127:24 experts [8] - 4:4, 4:13, 7:9, 7:24, 89:24, 94:11, 96:10, 97:6 Experts [1] - 4:20 explain [4] - 25:13, 75:7, 100:24, 108:18 express [2] - 89:8, 137:25 expressed [3] - 94:6, 95:9 expressing [1] - 45:7 extensive [2] - 106:20, 128:4 extent [4] - 14:6, 17:19, 25:25, 84:16 extremity [9] - 25:15, 34:21, 36:18, 49:4, 49:24, 59:15, 67:9, 86:22, 112:12</p>
	E			F
<p>early [2] - 94:17, 98:3 easier [4] - 77:20, 77:24, 107:24, 125:6 education [1] - 102:4 educational [1] - 101:20 effect [2] - 52:23, 53:13 effectively [2] - 18:1, 107:20 effects [1] - 101:3 efficient [2] - 110:3, 113:24</p>				<p>fact [26] - 7:7, 9:7, 10:23, 11:16, 15:19, 16:18, 47:14, 48:2, 50:18, 50:23, 56:15, 57:11, 57:22, 58:12, 64:1, 73:12, 92:21, 96:3, 97:10, 104:1, 110:2, 116:20, 128:16, 131:25, 133:3, 134:20</p>

<p>factor [6] - 6:15, 52:10, 52:17, 85:12, 135:23, 136:3</p> <p>factors [1] - 130:2</p> <p>facts [1] - 17:15</p> <p>factual [4] - 8:12, 8:17, 8:22, 9:2</p> <p>fair [19] - 14:2, 37:13, 37:18, 38:13, 53:19, 54:13, 56:17, 60:16, 68:25, 71:19, 118:5, 122:10, 126:12, 131:2, 131:9, 131:12, 136:7</p> <p>fairly [1] - 52:4</p> <p>fairness [1] - 9:11</p> <p>fall [1] - 121:14</p> <p>fallen [3] - 119:24, 120:1, 120:4</p> <p>falling [1] - 130:7</p> <p>falls [4] - 121:5, 121:6, 134:15, 134:23</p> <p>familiar [4] - 18:22, 91:4, 111:19, 116:18</p> <p>famly [3] - 113:9, 113:10, 135:20</p> <p>fan [1] - 111:14</p> <p>far [9] - 8:5, 71:1, 71:3, 71:8, 72:3, 79:2, 86:3, 90:3, 106:6</p> <p>faster [1] - 76:13</p> <p>father [1] - 134:15</p> <p>fatty [1] - 53:17</p> <p>fault [2] - 9:22</p> <p>FEBRUARY [1] - 1:16</p> <p>February [1] - 140:14</p> <p>feed [1] - 83:5</p> <p>feeding [1] - 34:12</p> <p>feet [1] - 86:15</p> <p>fellow [3] - 54:4, 54:6, 54:10</p> <p>fellows [1] - 54:13</p> <p>felt [6] - 48:3, 67:24, 68:1, 86:16, 95:15, 132:1</p> <p>femoral [63] - 30:1, 30:11, 30:14, 30:22, 32:2, 32:18, 33:16, 33:24, 34:6, 34:7, 34:11, 34:17, 35:3, 35:18, 35:21, 35:23, 35:25, 36:5, 36:21, 36:24, 37:2, 37:19, 37:20, 38:5, 38:15, 40:24, 41:20, 42:12, 42:22, 42:25, 43:5, 43:7, 43:10, 43:16, 45:16, 46:10, 46:11, 46:14, 46:17, 46:24,</p>	<p>47:3, 52:2, 52:7, 57:3, 57:9, 57:11, 58:12, 63:11, 63:15, 66:5, 69:3, 78:17, 81:2, 81:3, 82:19, 83:4, 83:8, 83:11, 83:16, 84:8, 85:9, 86:4</p> <p>femoris [2] - 35:21, 80:18</p> <p>few [5] - 40:19, 51:19, 102:22, 117:23, 132:19</p> <p>field [3] - 100:22, 102:6, 103:10</p> <p>Fifth [1] - 7:24</p> <p>fifth [1] - 4:19</p> <p>fight [1] - 98:14</p> <p>figure [2] - 71:15, 138:18</p> <p>filed [3] - 5:24, 7:8, 12:8</p> <p>filling [1] - 76:10</p> <p>filter [1] - 64:5</p> <p>final [3] - 45:10, 94:25, 135:8</p> <p>finally [2] - 89:9, 138:2</p> <p>findings [4] - 76:4, 76:20, 120:8, 121:21</p> <p>fine [5] - 39:3, 54:6, 118:8, 129:12, 136:8</p> <p>finish [7] - 20:23, 20:24, 22:7, 98:19, 98:24, 99:4, 138:10</p> <p>finished [1] - 100:2</p> <p>finishing [2] - 21:16, 138:14</p> <p>first [34] - 6:23, 10:14, 12:25, 16:20, 20:18, 23:16, 24:3, 25:3, 26:18, 28:19, 29:13, 42:14, 44:18, 49:2, 55:7, 55:16, 62:6, 62:13, 62:23, 69:19, 70:20, 72:14, 73:1, 88:9, 96:12, 100:5, 100:14, 105:4, 105:5, 105:6, 118:15, 125:13, 126:15</p> <p>first-line [2] - 55:7, 55:16</p> <p>fish [7] - 104:19, 112:6, 112:19, 112:21, 113:15, 118:6, 133:5</p> <p>fish's [2] - 109:25, 114:19</p> <p>fit [6] - 50:17, 108:5, 123:2, 123:21,</p>	<p>123:23, 125:25</p> <p>fits [1] - 108:25</p> <p>fitting [7] - 107:18, 108:19, 108:22, 109:2, 109:6, 120:3, 120:6</p> <p>Five [6] - 60:10, 60:14, 61:1, 61:9, 61:14, 62:13</p> <p>five [2] - 50:1, 103:8</p> <p>fixed [1] - 120:8</p> <p>fixes [1] - 58:4</p> <p>flagged [1] - 133:18</p> <p>flags [1] - 70:12</p> <p>floor [1] - 114:9</p> <p>flow [37] - 25:10, 25:15, 32:15, 32:20, 33:17, 35:22, 36:16, 36:17, 37:8, 38:9, 38:10, 38:15, 41:22, 42:1, 49:11, 49:21, 51:7, 59:23, 75:11, 75:13, 76:18, 76:21, 76:23, 76:24, 77:5, 77:9, 77:10, 77:12, 77:13, 77:14, 77:20, 78:6, 78:7, 78:9, 80:21, 81:20</p> <p>flowing [2] - 38:3, 38:4</p> <p>fluid [1] - 64:11</p> <p>fluids [2] - 64:10, 77:24</p> <p>fluoroethylene [1] - 69:22</p> <p>flush [1] - 8:18</p> <p>focused [1] - 89:16</p> <p>folds [3] - 122:14, 122:16, 122:20</p> <p>folks [1] - 20:8</p> <p>follow [4] - 4:8, 70:21, 95:5, 130:12</p> <p>follow-the-law [1] - 4:8</p> <p>follow-up [3] - 70:21, 95:5, 130:12</p> <p>followed [1] - 113:7</p> <p>following [4] - 22:11, 29:19, 34:17, 134:22</p> <p>following-up [1] - 29:19</p> <p>follows [2] - 24:4, 100:15</p> <p>followup [6] - 9:24, 29:7, 29:17, 130:22, 132:19, 135:9</p> <p>foot [12] - 25:11, 41:1, 41:8, 41:15, 49:3, 49:8, 49:12, 59:19, 59:21, 86:15, 111:8</p>	<p>foregoing [1] - 140:7</p> <p>form [5] - 18:9, 46:4, 89:8, 92:22, 137:25</p> <p>formal [1] - 10:12</p> <p>formally [2] - 6:22, 6:25</p> <p>formed [5] - 50:8, 58:23, 66:16, 67:13, 75:4</p> <p>formerly [1] - 5:24</p> <p>forms [1] - 17:5</p> <p>formulated [2] - 104:24, 107:12</p> <p>formulation [1] - 104:7</p> <p>forward [1] - 47:6</p> <p>foundation [9] - 66:6, 66:8, 90:12, 90:24, 91:8, 91:25, 92:4, 93:1, 130:9</p> <p>foundational [2] - 28:7, 90:11</p> <p>four [2] - 12:21, 13:7</p> <p>fourth [1] - 4:18</p> <p>frame [1] - 123:22</p> <p>Francisco [1] - 101:23</p> <p>Frank [1] - 18:21</p> <p>free [2] - 14:8, 47:22</p> <p>FREMONT [1] - 1:8</p> <p>frequently [2] - 60:10, 86:17</p> <p>front [11] - 5:23, 12:17, 23:6, 23:8, 23:9, 34:9, 95:2, 99:20, 105:1, 125:6, 125:7</p> <p>front-wheel [1] - 125:6</p> <p>full [1] - 17:22</p> <p>function [2] - 114:5, 122:3</p> <p>functional [5] - 101:8, 102:8, 108:6, 118:18, 129:25</p> <p>funda [1] - 82:2</p> <p>funds [1] - 54:9</p> <p>further [1] - 53:9</p> <p>future [2] - 127:22, 132:9</p>	<p>10:25, 30:13, 31:24, 42:3, 49:14, 54:10, 64:6, 89:24</p> <p>generically [1] - 17:2</p> <p>gentleman [2] - 29:15, 98:11</p> <p>gentlemen [2] - 21:18, 88:21</p> <p>gently [1] - 6:10</p> <p>gist [1] - 9:4</p> <p>given [11] - 9:8, 35:8, 41:9, 50:18, 58:5, 69:24, 74:18, 85:2, 87:1, 116:23, 129:2</p> <p>goal [1] - 38:14</p> <p>God [3] - 37:12, 37:15, 37:17</p> <p>gold [2] - 59:8, 75:25</p> <p>graft [45] - 25:6, 36:20, 36:24, 37:2, 37:4, 37:8, 37:9, 37:10, 37:17, 37:18, 37:22, 37:24, 38:2, 38:4, 38:8, 38:14, 39:7, 39:16, 39:24, 41:9, 43:5, 48:4, 57:3, 57:9, 57:12, 57:21, 58:2, 58:7, 66:5, 66:19, 69:3, 69:22, 69:23, 70:23, 80:15, 81:22, 82:19, 82:23, 83:4, 83:8, 83:9, 83:12, 83:22, 85:10, 86:4</p> <p>granted [3] - 4:8, 4:12, 4:13</p> <p>gravamen [1] - 12:20</p> <p>gravity [1] - 78:4</p> <p>great [2] - 118:13, 118:15</p> <p>grocery [1] - 109:12</p> <p>groin [2] - 63:11, 81:1</p> <p>group [1] - 63:23</p> <p>guess [2] - 6:21, 12:8</p> <p>guide [1] - 62:8</p> <p>guided [1] - 39:19</p> <p>guy [1] - 95:20</p>
H				
G				
<p>Gabapentin [1] - 116:22</p> <p>gait [1] - 108:23</p> <p>game [1] - 14:2</p> <p>gap [1] - 44:11</p> <p>general [7] - 9:9, 11:25, 24:12, 48:23, 54:21, 60:20, 97:3</p> <p>generally [9] - 4:7,</p>				
<p>habit [1] - 51:23</p> <p>half [1] - 137:12</p> <p>halls [1] - 125:19</p> <p>hallways [2] - 113:25, 126:4</p> <p>hand [4] - 26:19, 26:20, 111:7, 133:22</p> <p>handle [1] - 109:14</p> <p>HANK [1] - 1:20</p> <p>hard [1] - 53:3</p>				

<p>health ^[1] - 11:25 healthcare ^[1] - 74:11 hear ^[4] - 13:13, 71:14, 91:2, 91:13 heard ^[4] - 17:19, 41:22, 42:1, 72:6 hearing ^[3] - 7:3, 7:17, 18:22 hearsay ^[1] - 4:13 heart ^[6] - 11:9, 75:12, 76:13, 77:12, 78:3, 78:8 hell ^[1] - 138:8 help ^[11] - 19:11, 113:4, 113:10, 114:5, 128:20, 128:24, 129:7, 129:10, 129:24, 135:20, 136:1 helped ^[1] - 106:18 helpful ^[2] - 70:4, 119:17 helps ^[1] - 105:2 Heparin ^[5] - 39:7, 39:15, 39:25, 40:5, 40:6 hereby ^[1] - 140:7 hi ^[2] - 100:1, 117:20 high ^[1] - 55:5 highlighted ^[1] - 33:8 Hillsborough ^[1] - 102:2 himself ^[2] - 111:4, 129:10 hip ^[1] - 81:20 History ^[1] - 27:6 history ^[16] - 18:5, 27:10, 27:16, 50:19, 59:10, 60:22, 66:24, 69:14, 70:8, 74:18, 74:19, 74:21, 74:25, 101:7, 119:15 Hoffman's ^[1] - 5:18 hold ^[18] - 14:22, 32:25, 53:3, 55:23, 64:21, 71:11, 78:20, 79:1, 79:5, 80:2, 85:5, 85:13, 86:2, 98:15, 133:16 holding ^[1] - 64:10 Holthus ^[2] - 19:23, 19:24 home ^[15] - 114:16, 115:7, 120:23, 122:23, 123:1, 123:2, 123:3, 123:4, 125:10, 125:13, 126:24, 128:10, 129:7, 129:11, 129:18</p>	<p>homes ^[2] - 128:6, 128:8 Hon ^[1] - 140:11 Honor ^[32] - 3:7, 3:9, 3:14, 11:15, 11:20, 18:15, 20:14, 22:24, 23:21, 26:4, 26:13, 31:10, 31:16, 32:22, 39:17, 65:4, 68:16, 87:23, 88:8, 88:12, 92:11, 96:16, 98:7, 98:16, 99:16, 100:8, 117:15, 136:13, 136:18, 136:20, 138:20, 138:21 HONORABLE ^[1] - 1:15 hospital ^[3] - 54:9, 68:6, 79:14 Hospital ^[1] - 104:10 hours ^[8] - 64:10, 114:21, 115:6, 115:17, 129:10, 129:12, 129:14, 129:20 house ^[21] - 107:16, 107:17, 113:16, 113:23, 113:24, 114:5, 123:1, 123:6, 124:20, 124:22, 124:23, 125:1, 126:3, 126:12, 126:22, 127:5, 128:21, 128:25, 129:25, 135:20 household ^[2] - 111:10, 111:11 housekeeping ^[3] - 3:10, 18:17, 115:18 hurts ^[1] - 61:4 husband ^[2] - 1:4, 115:14 hydraulically ^[1] - 124:10 hygiene ^[1] - 111:5 Hymanson ^[7] - 2:8, 2:10, 19:21, 97:23, 117:14, 117:21, 135:8 HYMANSON ^[14] - 1:20, 1:20, 98:7, 98:21, 117:15, 117:18, 127:15, 127:19, 130:14, 132:17, 135:10, 135:13, 136:17, 138:21 hypothetical ^[4] - 65:13, 65:14, 65:17, 73:10</p>	<p>hypothetically ^[4] - 71:7, 73:7, 85:16, 95:6</p> <p style="text-align: center;">I</p> <p>I.T ^[5] - 18:19, 19:7, 19:22, 97:22, 97:24 ice ^[1] - 59:20 Idaho ^[1] - 101:12 idea ^[3] - 119:25, 128:10, 129:22 identified ^[7] - 11:15, 18:20, 19:6, 61:10, 62:8, 75:23, 82:16 identifies ^[1] - 75:5 identify ^[3] - 17:4, 28:13, 96:21 iliac ^[1] - 81:21 image ^[1] - 81:23 images ^[2] - 68:13, 68:14 imagine ^[1] - 115:6 imaging ^[1] - 67:11 impacts ^[3] - 18:6, 20:15 implementing ^[1] - 106:9 implication ^[1] - 112:18 important ^[11] - 52:13, 52:15, 52:19, 59:18, 60:23, 62:10, 91:19, 108:15, 108:17, 108:24, 119:2 importantly ^[2] - 8:10, 62:3 imposed ^[1] - 94:15 impossible ^[3] - 40:25, 41:14, 84:24 improper ^[3] - 13:24, 15:5, 15:19 improvement ^[1] - 118:7 IN ^[1] - 1:1 inability ^[1] - 126:19 inappropriate ^[2] - 93:2, 132:2 inches ^[1] - 125:23 incident ^[1] - 27:21 incision ^[1] - 63:10 inclined ^[1] - 90:3 include ^[2] - 17:11, 124:5 included ^[1] - 84:20 includes ^[2] - 60:4, 61:10 including ^[5] - 16:1, 85:3, 89:2, 89:6, 137:19</p>	<p>inclusive ^[2] - 1:9, 1:10 increase ^[1] - 116:10 increases ^[1] - 54:23 independent ^[3] - 89:6, 137:23, 137:24 independently ^[2] - 6:24, 111:1 indicate ^[9] - 27:18, 29:1, 29:18, 32:17, 36:4, 45:8, 46:16, 65:22, 76:16 indicated ^[8] - 43:15, 44:11, 45:14, 88:1, 89:25, 90:1, 92:2, 122:9 indicates ^[2] - 29:4, 45:22 indicating ^[1] - 10:8 indication ^[5] - 62:17, 98:8, 123:15, 128:23, 130:25 individual ^[4] - 101:7, 114:3, 115:8, 115:9 individual's ^[1] - 132:3 individually ^[2] - 1:4, 1:8 individuals ^[11] - 103:24, 106:9, 106:11, 106:20, 106:23, 107:4, 110:11, 112:11, 113:12, 113:21, 128:4 inflammatory ^[1] - 53:15 inflow ^[4] - 77:1, 77:3, 77:5, 77:18 inform ^[1] - 22:17 information ^[30] - 22:21, 31:7, 33:7, 34:9, 46:10, 63:14, 70:4, 89:2, 89:22, 101:8, 119:12, 119:15, 119:16, 120:16, 121:7, 121:11, 121:12, 121:15, 123:19, 125:15, 126:21, 127:4, 127:7, 128:18, 129:2, 129:16, 132:5, 132:13, 132:14, 137:18 ingrowth ^[1] - 58:4 initial ^[10] - 3:16, 3:19, 14:1, 16:5, 16:24, 17:1, 17:5, 17:24, 18:14</p>	<p>inject ^[2] - 63:9, 63:12 injected ^[2] - 63:1, 63:3 injector ^[1] - 63:3 injects ^[1] - 63:4 injuries ^[4] - 106:10, 106:21, 110:12, 134:24 injury ^[4] - 101:3, 102:9, 106:21, 110:18 inner ^[1] - 52:24 inpatient ^[3] - 63:16, 64:21, 65:19 inquire ^[1] - 18:12 inquired ^[2] - 10:7, 129:1 inquiring ^[1] - 92:1 inquiry ^[1] - 14:3 inside ^[2] - 53:14, 84:1 insight ^[3] - 112:23, 112:25, 113:1 insofar ^[1] - 101:5 instances ^[1] - 25:5 instead ^[1] - 21:21 insufficiency ^[1] - 107:5 insufficient ^[2] - 78:6, 121:7 insult ^[1] - 55:2 intended ^[2] - 60:17, 110:8 intent ^[1] - 92:16 interchangeable ^[1] - 54:15 interchangeably ^[3] - 30:17, 30:19, 54:12 internal ^[1] - 81:21 International ^[1] - 102:20 Internet ^[4] - 89:4, 89:6, 137:20, 137:24 internist ^[1] - 60:21 interrupt ^[1] - 108:1 interruption ^[1] - 112:1 intervening ^[1] - 36:17 intervention ^[6] - 32:8, 34:23, 34:25, 35:2, 62:5, 67:9 interview ^[1] - 104:2 intravenous ^[2] - 62:25, 64:9 introduce ^[2] - 63:2, 63:10 investigation ^[2] - 61:7, 137:23 investigations ^[1] - 89:6 invite ^[2] - 21:16, 99:9</p>
---	---	--	---	---

<p>involved [2] - 46:7, 107:1</p> <p>involvement [1] - 128:4</p> <p>involves [1] - 101:2</p> <p>iodine [1] - 63:21</p> <p>irritation [1] - 130:4</p> <p>ischemia [57] - 25:14, 47:16, 48:20, 48:24, 49:15, 49:17, 49:21, 49:23, 50:5, 51:1, 51:2, 51:6, 51:15, 55:21, 56:1, 56:2, 56:17, 56:21, 56:22, 57:13, 57:15, 57:21, 58:10, 58:14, 59:2, 59:5, 59:9, 59:17, 61:15, 61:20, 62:12, 64:1, 64:18, 65:1, 65:16, 65:25, 67:5, 67:12, 67:20, 68:5, 69:20, 69:23, 70:2, 72:17, 79:18, 79:24, 80:8, 80:12, 82:4, 82:18, 83:1, 83:21, 86:5, 87:13</p> <p>ischemic [9] - 40:11, 40:14, 40:17, 40:18, 42:2, 48:1, 48:12, 48:16, 48:18</p> <p>issue [20] - 4:9, 9:8, 11:15, 11:18, 12:1, 15:3, 16:14, 17:16, 17:17, 17:22, 20:15, 27:12, 90:4, 93:25, 96:2, 96:6, 108:5, 112:6, 139:2</p> <p>issued [2] - 11:4, 17:9</p> <p>issues [8] - 18:25, 38:25, 39:1, 45:18, 97:3, 97:16, 106:23, 130:6</p> <p>item [1] - 122:14</p> <p>itself [1] - 69:24</p>	<p>JASON [1] - 1:7</p> <p>Jason [1] - 5:11</p> <p>JAVS [1] - 3:25</p> <p>JEA [1] - 20:7</p> <p>job [2] - 93:3, 100:25</p> <p>John [2] - 99:17, 100:6</p> <p>JOHN [2] - 2:6, 100:13</p> <p>joinder [2] - 7:8, 7:12</p> <p>joined [1] - 38:8</p> <p>joint [1] - 96:16</p> <p>JOINT [1] - 2:14</p> <p>Joint [1] - 26:8</p> <p>Joshua [1] - 19:11</p> <p>Judge [3] - 19:23, 19:24, 140:12</p> <p>JUDICIAL [1] - 1:1</p> <p>juggle [1] - 22:14</p> <p>July [2] - 42:14, 81:24</p> <p>juror [5] - 38:19, 39:5, 39:22, 40:20, 133:23</p> <p>JUROR [2] - 133:21, 134:1</p> <p>juror's [1] - 94:18</p> <p>jurors [10] - 21:15, 24:24, 45:10, 88:10, 95:2, 99:6, 99:8, 133:19, 136:21, 138:15</p> <p>JURY [1] - 1:13</p> <p>Jury [3] - 21:14, 99:7, 138:4</p> <p>jury [16] - 3:5, 26:18, 47:7, 51:17, 60:4, 75:7, 79:2, 89:11, 89:12, 100:19, 101:19, 105:20, 107:11, 108:18, 113:19, 138:5</p>	<p>kinds [3] - 96:20, 106:25, 122:15</p> <p>kitchen [1] - 126:15</p> <p>knee [13] - 42:5, 106:12, 106:15, 107:5, 107:14, 111:7, 115:4, 115:10, 118:11, 119:20, 119:21, 128:5, 131:16</p> <p>knowledge [1] - 45:8</p> <p>known [2] - 18:3, 64:4</p>	<p>least [12] - 19:9, 27:11, 29:8, 29:19, 34:13, 38:4, 38:10, 46:19, 71:7, 103:8, 114:20, 133:4</p> <p>leave [5] - 19:21, 21:4, 39:14, 98:6, 98:11</p> <p>led [3] - 18:7, 76:14, 79:17</p> <p>leeway [1] - 92:8</p> <p>left [38] - 29:17, 29:20, 32:19, 36:21, 36:24, 38:19, 40:10, 40:16, 41:8, 41:14, 42:12, 42:17, 43:7, 43:15, 45:15, 45:25, 46:9, 47:3, 47:9, 47:15, 47:25, 48:15, 49:16, 57:2, 57:8, 57:11, 58:12, 67:23, 76:15, 78:23, 79:7, 79:13, 86:12, 86:14, 86:23, 87:3, 94:24, 110:22</p> <p>left-sided [2] - 29:17, 29:20</p> <p>leg [42] - 29:24, 30:5, 30:9, 30:22, 32:7, 32:19, 33:9, 33:20, 34:25, 35:7, 35:18, 36:15, 37:6, 40:10, 40:16, 42:15, 47:9, 47:15, 48:1, 48:3, 48:15, 49:16, 49:18, 50:5, 57:8, 57:19, 76:15, 77:6, 77:10, 78:23, 78:25, 79:7, 79:15, 80:14, 80:17, 81:1, 82:7, 83:21, 86:25, 87:3, 111:8, 112:14</p> <p>leg's [1] - 33:19</p> <p>legal [1] - 106:3</p> <p>legitimate [2] - 11:12, 11:13</p> <p>legs [1] - 121:17</p> <p>less [6] - 25:23, 38:12, 63:6, 112:11, 122:18</p> <p>level [4] - 37:21, 81:1, 83:10, 115:2</p> <p>lies [1] - 93:14</p> <p>Life [7] - 100:23, 101:6, 102:21, 103:25, 119:4, 119:7, 133:1</p> <p>life [13] - 67:8, 103:17, 103:22, 103:23, 110:7, 112:1, 114:22, 117:2, 118:8, 119:1, 120:20, 127:22,</p>	<p>132:10</p> <p>lifestyle [2] - 128:1, 131:11</p> <p>light [4] - 9:6, 40:23, 70:10, 111:13</p> <p>lights [1] - 70:13</p> <p>likely [3] - 33:23, 42:2, 70:22</p> <p>likewise [1] - 116:23</p> <p>limb [35] - 47:16, 48:23, 49:15, 49:17, 55:21, 55:25, 56:2, 56:16, 56:21, 56:22, 57:13, 57:14, 57:15, 58:10, 58:13, 58:14, 59:2, 59:5, 59:8, 61:15, 61:20, 62:12, 64:1, 64:18, 65:1, 65:15, 79:24, 80:8, 82:18, 86:5, 87:13, 120:10, 131:20, 132:1, 132:3</p> <p>Limine [8] - 4:10, 4:18, 5:12, 7:13, 7:15, 7:23, 10:4, 10:12</p> <p>limine [2] - 5:9, 7:4</p> <p>limitation [2] - 89:3, 137:19</p> <p>limited [1] - 131:11</p> <p>limiting [1] - 97:15</p> <p>line [4] - 55:7, 55:16, 90:16, 94:23</p> <p>lines [2] - 31:5, 96:13</p> <p>lining [3] - 52:24, 53:13</p> <p>List/Past [1] - 27:5</p> <p>listen [3] - 59:22, 88:25, 137:16</p> <p>listing [1] - 27:15</p> <p>liter [1] - 64:11</p> <p>live [1] - 101:12</p> <p>lives [1] - 134:11</p> <p>located [1] - 101:11</p> <p>location [7] - 18:20, 18:21, 19:24, 20:13, 36:24, 83:7, 83:14</p> <p>lodged [1] - 73:18</p> <p>log [1] - 5:21</p> <p>look [9] - 26:18, 27:4, 28:22, 97:25, 98:1, 105:5, 110:22, 113:22, 114:4</p> <p>looked [4] - 68:12, 84:19, 95:15, 109:21</p> <p>looking [6] - 4:9, 4:14, 7:5, 10:14, 101:9, 127:22</p> <p>losing [3] - 14:12, 120:10, 131:20</p>
J	K	L		
<p>J-A-N-Z-E-N [1] - 100:6</p> <p>Jacobs [3] - 9:7, 97:7, 97:14</p> <p>JANUARY [1] - 3:1</p> <p>Janzen [12] - 20:16, 20:21, 21:2, 99:17, 99:18, 99:19, 99:25, 100:6, 100:19, 101:11, 108:1, 136:24</p> <p>JANZEN [2] - 2:6, 100:13</p>	<p>Kansas [1] - 102:2</p> <p>KATHLEEN [1] - 1:15</p> <p>Kathleen [1] - 140:11</p> <p>keep [2] - 5:7, 15:10</p> <p>keeping [5] - 12:21, 13:25, 22:12, 50:14, 86:25</p> <p>KEITH [1] - 1:23</p> <p>kept [4] - 4:4, 5:23, 5:25, 13:6</p> <p>key [1] - 95:22</p> <p>kicked [1] - 78:16</p> <p>kidney [3] - 55:6, 64:3, 64:7</p> <p>kind [9] - 50:4, 72:4, 89:5, 89:7, 96:1, 105:2, 109:15, 127:10, 128:24</p>	<p>lack [6] - 17:20, 49:6, 49:7, 51:19, 59:14, 112:23</p> <p>lacks [2] - 66:6, 130:9</p> <p>ladder [1] - 111:12</p> <p>ladies [2] - 21:18, 88:20</p> <p>laid [4] - 66:7, 90:12, 90:24, 92:3</p> <p>language [2] - 31:22, 70:14</p> <p>large [1] - 25:25</p> <p>larger [3] - 37:20, 37:23, 37:24</p> <p>Las [4] - 24:10, 24:17, 140:13, 140:18</p> <p>LAS [1] - 3:1</p> <p>Lasry [11] - 38:25, 48:19, 56:5, 66:3, 66:11, 66:17, 72:22, 78:22, 79:6, 96:17, 96:21</p> <p>LASRY [1] - 1:7</p> <p>Lasry's [2] - 5:11, 50:24</p> <p>last [7] - 6:9, 23:16, 24:16, 82:12, 98:7, 100:5, 106:6</p> <p>late [1] - 138:22</p> <p>law [12] - 4:8, 8:9, 89:25, 90:2, 90:6, 91:5, 91:10, 92:9, 93:7, 93:10, 93:17</p> <p>lay [2] - 91:25, 93:1</p> <p>lead [3] - 83:2, 90:10, 91:5</p> <p>leading [22] - 27:24, 28:3, 28:5, 31:8, 32:3, 32:21, 56:18, 58:15, 82:17, 89:18, 89:24, 90:8, 90:9, 90:17, 91:7, 91:12, 91:18, 91:24, 92:7, 92:14, 92:20, 95:12</p> <p>leads [1] - 77:15</p>		

<p>lost [3] - 80:21, 81:25, 132:1</p> <p>lower [1] - 112:12</p> <p>lowered [2] - 49:6, 114:1</p> <p>lowers [2] - 124:9, 124:10</p> <p>LTD [1] - 1:8</p> <p>lumbar [1] - 115:25</p> <p>lung [2] - 73:9, 73:15</p> <p>lungs [2] - 73:19, 73:20</p> <p>lysis [3] - 82:13, 84:17, 84:20</p> <p>lytic [3] - 57:22, 83:24, 84:22</p>	<p>Marmureanu's [4] - 9:9, 47:17, 48:11, 72:11</p> <p>marshal [1] - 19:6</p> <p>MARSHAL [5] - 19:13, 19:16, 89:11, 133:18, 138:5</p> <p>Master's [1] - 101:24</p> <p>materials [12] - 50:8, 56:4, 66:2, 68:10, 68:21, 72:20, 87:21, 104:6, 104:23, 107:12, 109:3, 110:25</p> <p>matter [1] - 17:19</p> <p>matured [1] - 84:2</p> <p>McBride [34] - 1:22, 2:9, 3:7, 12:14, 12:18, 20:14, 21:1, 21:6, 21:12, 98:16, 99:15, 99:16, 99:25, 100:3, 100:8, 100:10, 100:18, 105:11, 105:15, 105:24, 106:1, 117:11, 117:13, 127:13, 130:9, 132:19, 132:23, 134:4, 134:8, 135:6, 136:13, 136:19, 136:20, 138:20</p> <p>mcBride [2] - 2:7, 105:12</p> <p>mean [17] - 5:8, 9:24, 10:16, 28:8, 30:15, 31:3, 31:18, 32:11, 36:23, 37:4, 77:5, 77:16, 84:23, 100:25, 121:1, 126:6, 129:23</p> <p>meaning [3] - 32:19, 51:6, 76:10</p> <p>means [8] - 31:19, 31:22, 37:1, 37:6, 51:1, 57:24, 73:17, 77:6</p> <p>meant [2] - 41:18, 126:10</p> <p>meantime [1] - 87:14</p> <p>media [1] - 89:5</p> <p>medical [44] - 11:25, 12:1, 24:18, 27:15, 45:22, 48:23, 49:15, 54:22, 55:7, 55:20, 56:10, 66:13, 66:24, 70:8, 72:15, 73:16, 79:3, 79:8, 79:11, 82:6, 85:9, 101:7, 102:6, 104:6, 104:10, 106:3,</p>	<p>109:8, 114:25, 115:23, 119:14, 121:11, 121:19, 121:20, 121:22, 121:24, 122:4, 122:7, 126:24, 127:2, 134:21, 134:23, 135:1, 136:11</p> <p>Medical [1] - 27:5</p> <p>medical-legal [1] - 106:3</p> <p>medicals [1] - 136:8</p> <p>Medicare [2] - 54:8, 54:10</p> <p>medication [8] - 39:25, 111:25, 116:10, 116:15, 116:18, 116:21, 118:12, 118:16</p> <p>medications [2] - 115:21, 116:5</p> <p>medicine [6] - 25:7, 39:7, 39:15, 60:15, 61:3, 64:20</p> <p>Medicine [1] - 102:18</p> <p>medium [2] - 89:2, 137:18</p> <p>meet [2] - 22:4, 101:10</p> <p>meeting [1] - 22:18</p> <p>member [2] - 102:15, 102:17</p> <p>members [1] - 113:10</p> <p>men [3] - 51:20, 51:22, 52:4</p> <p>mental [2] - 101:3, 117:3</p> <p>mention [3] - 12:24, 107:23, 124:4</p> <p>mentioned [2] - 21:19, 137:22</p> <p>messed [1] - 14:14</p> <p>met [2] - 118:24, 119:11</p> <p>midthigh [2] - 30:2, 33:16</p> <p>might [6] - 4:16, 20:4, 38:11, 54:24, 101:16, 133:23</p> <p>milligrams [2] - 118:10, 136:9</p> <p>millimeters [4] - 37:21, 37:22, 37:23, 37:24</p> <p>mind [1] - 57:5</p> <p>minute [4] - 21:7, 38:11, 65:5, 88:21</p> <p>minutes [7] - 6:10, 17:21, 50:2, 88:15, 98:16, 98:21, 134:5</p>	<p>misassumption [1] - 138:23</p> <p>misrepresent [1] - 126:6</p> <p>missed [2] - 71:24, 81:4</p> <p>missing [2] - 19:8, 19:12</p> <p>mistake [1] - 9:17</p> <p>mobility [1] - 107:20</p> <p>modifications [1] - 114:12</p> <p>moment [5] - 22:5, 28:21, 64:22, 72:19, 87:4</p> <p>moments [1] - 40:19</p> <p>money [1] - 124:2</p> <p>monitor [2] - 26:17</p> <p>month [1] - 44:11</p> <p>months [11] - 34:19, 41:5, 43:4, 43:6, 43:19, 43:22, 55:14, 55:15, 58:8, 79:13, 82:24</p> <p>Moore [67] - 27:10, 29:2, 29:18, 32:1, 33:8, 33:23, 34:10, 35:25, 36:4, 40:6, 40:25, 41:21, 42:15, 44:25, 45:17, 47:8, 47:14, 50:9, 51:13, 52:10, 55:25, 56:6, 56:9, 58:10, 59:1, 59:16, 66:4, 67:6, 73:7, 79:10, 79:24, 80:6, 81:16, 86:11, 87:2, 103:23, 104:2, 104:3, 104:13, 107:4, 107:15, 108:3, 108:8, 109:25, 110:25, 111:17, 114:20, 115:13, 115:23, 116:25, 117:22, 118:22, 119:3, 119:18, 119:21, 120:14, 123:10, 123:11, 128:10, 129:8, 131:12, 132:11, 133:1, 134:22, 135:3, 138:11</p> <p>MOORE [2] - 1:3, 1:4</p> <p>Moore's [37] - 11:25, 16:15, 26:24, 30:5, 32:19, 36:23, 40:10, 40:16, 40:24, 41:8, 41:14, 42:12, 47:25, 48:15, 57:8, 57:11, 57:13, 58:24, 66:24,</p>	<p>69:10, 69:14, 70:8, 73:12, 78:23, 79:7, 82:7, 83:19, 87:14, 87:22, 109:5, 113:16, 114:7, 115:19, 116:15, 121:16, 132:9, 134:10</p> <p>Moore's [1] - 135:21</p> <p>morning [3] - 20:1, 68:2, 87:5</p> <p>most [9] - 51:7, 52:15, 52:19, 53:2, 60:23, 62:2, 77:24, 90:23, 111:10</p> <p>mostly [2] - 81:6, 87:22</p> <p>motion [4] - 4:2, 4:4, 49:6, 96:9</p> <p>Motion [6] - 4:10, 5:11, 7:13, 7:15, 10:4, 10:12</p> <p>motions [2] - 5:9, 7:4</p> <p>Motions [2] - 4:18, 7:23</p> <p>motivated [1] - 129:24</p> <p>motivation [1] - 130:21</p> <p>move [2] - 21:23, 47:6</p> <p>moved [2] - 19:3, 136:2</p> <p>movement [1] - 59:14</p> <p>MR [122] - 3:7, 3:8, 3:9, 3:13, 4:3, 4:25, 5:17, 6:4, 6:12, 6:25, 7:7, 7:16, 7:18, 8:7, 9:11, 10:19, 11:14, 11:20, 11:22, 12:9, 12:12, 12:14, 12:16, 12:20, 14:4, 14:18, 14:21, 15:2, 15:6, 15:9, 15:15, 15:21, 15:25, 16:22, 17:4, 17:13, 18:15, 20:14, 21:1, 21:6, 21:12, 22:24, 23:21, 24:7, 26:13, 26:15, 27:24, 28:1, 28:3, 28:12, 31:9, 31:16, 31:17, 32:3, 32:9, 32:21, 33:5, 39:17, 39:20, 39:23, 43:23, 44:9, 44:15, 44:20, 45:12, 45:13, 56:18, 56:23, 58:15, 58:22, 65:4, 65:11, 66:6, 66:9, 68:15, 68:19, 71:10, 71:12, 71:17, 71:19, 71:24, 72:9, 87:23, 88:12, 91:4, 92:11,</p>
M				
<p>M.D [5] - 1:7, 2:3, 2:6, 24:2, 100:13</p> <p>machine [1] - 140:9</p> <p>magnify [1] - 63:8</p> <p>main [1] - 102:22</p> <p>maintained [2] - 49:18, 57:19</p> <p>maintenance [1] - 128:21</p> <p>major [14] - 25:18, 64:3, 74:11, 80:13, 80:17, 80:25, 81:18, 81:25, 84:18, 85:11, 106:7, 112:13, 131:3</p> <p>man [2] - 127:21, 135:19</p> <p>manage [5] - 55:12, 111:17, 111:23, 118:17</p> <p>management [7] - 52:15, 55:18, 55:20, 112:3, 115:24, 116:11</p> <p>mandate [1] - 39:6</p> <p>mandates [1] - 13:23</p> <p>MANDAVIA [1] - 1:8</p> <p>manual [7] - 107:21, 107:25, 124:13, 124:15, 124:17, 124:19, 124:25</p> <p>MARKED [1] - 2:14</p> <p>marked [1] - 119:13</p> <p>Marmureanu [24] - 8:11, 8:21, 9:5, 24:24, 37:12, 38:20, 41:13, 41:19, 47:25, 50:7, 60:13, 61:18, 62:20, 64:2, 64:16, 65:14, 65:18, 69:9, 70:6, 71:7, 74:20, 94:16, 97:4, 97:12</p>				

<p>93:12, 95:11, 96:15, 98:7, 98:16, 98:21, 99:16, 99:25, 100:3, 100:8, 100:18, 105:12, 105:15, 105:24, 106:1, 117:11, 117:15, 117:18, 127:13, 127:15, 127:19, 130:9, 130:14, 132:17, 132:19, 132:23, 134:4, 134:8, 135:6, 135:10, 135:13, 136:13, 136:17, 136:20, 138:20, 138:21</p> <p>muddied [1] - 96:2</p> <p>multiple [3] - 69:25, 89:18, 92:1</p> <p>muscle [2] - 50:16, 81:6</p> <p>muscles [2] - 81:3, 81:6</p> <p>musculoskeletal [1] - 56:6</p> <p>must [2] - 27:22, 48:6</p>	<p>132:10, 132:14, 134:2, 137:1</p> <p>needed [3] - 67:10, 137:4, 137:8</p> <p>needs [12] - 22:19, 101:6, 101:10, 103:22, 120:20, 124:8, 124:25, 129:10, 131:11, 131:13, 132:11, 133:5</p> <p>Netherlands [1] - 51:24</p> <p>neurologist [3] - 68:4, 86:21, 87:6</p> <p>Neurontin [4] - 116:15, 116:17, 116:19, 116:22</p> <p>NEVADA [3] - 1:2, 3:1, 140:3</p> <p>Nevada [6] - 93:14, 103:1, 103:6, 103:10, 140:13, 140:18</p> <p>never [4] - 12:1, 129:1, 129:2, 132:4</p> <p>new [16] - 6:15, 6:18, 6:21, 7:2, 8:3, 9:21, 10:25, 11:18, 13:17, 13:24, 14:7, 15:4, 15:18, 41:9, 47:7, 91:25</p> <p>newspapers [2] - 89:3, 137:19</p> <p>next [8] - 29:22, 98:9, 114:21, 118:8, 120:20, 129:6, 132:12, 138:16</p> <p>nicotine [2] - 52:23, 53:11</p> <p>NO [5] - 1:6, 1:8, 133:21, 134:1, 140:17</p> <p>normal [8] - 37:20, 38:10, 49:19, 50:20, 76:9, 76:20, 76:22</p> <p>note [9] - 2:15, 26:21, 27:23, 32:17, 34:9, 34:15, 36:10, 50:13</p> <p>noted [2] - 82:10, 125:22</p> <p>notes [2] - 22:12, 140:9</p> <p>nothing [3] - 79:6, 109:7, 136:20</p> <p>noticed [1] - 59:13</p> <p>November [7] - 12:14, 13:15, 42:11, 43:2, 43:10, 43:17, 44:25</p> <p>nowhere [1] - 17:7</p>	<p>noxious [1] - 55:2</p> <p>nuance [1] - 22:2</p> <p>nudged [1] - 6:10</p> <p>numb [1] - 48:3</p> <p>number [4] - 26:11, 96:9, 106:20, 129:13</p> <p>numbness [1] - 86:16</p> <p>nurse [2] - 38:24, 48:19</p> <p>Nurse [8] - 5:10, 56:4, 66:3, 66:11, 66:17, 72:22, 78:21, 96:17</p> <p>nutshell [1] - 32:1</p>	<p>58:12, 66:5, 66:19, 69:4, 69:21, 70:23, 80:15, 82:9, 82:23, 82:24, 82:25, 83:20, 84:7</p> <p>occludes [1] - 81:7</p> <p>occlusion [26] - 17:6, 25:5, 33:15, 36:6, 42:12, 42:21, 45:16, 46:10, 46:14, 46:24, 47:3, 52:2, 57:2, 57:8, 66:23, 78:17, 82:16, 82:19, 82:21, 83:2, 83:15, 83:16, 86:4, 86:6, 86:24</p> <p>occur [5] - 25:12, 74:2, 74:6, 80:10, 90:22</p> <p>occurred [6] - 18:7, 28:14, 28:17, 28:19, 79:16, 86:19</p> <p>occurring [1] - 94:20</p> <p>occurs [1] - 108:21</p> <p>odd [1] - 137:10</p> <p>OF [4] - 1:13, 140:3, 140:4</p> <p>Off-record [1] - 6:19</p> <p>offensive [1] - 132:6</p> <p>offer [3] - 9:15, 27:9, 71:2</p> <p>offered [2] - 9:16, 71:3</p> <p>offering [2] - 4:20, 7:24</p> <p>office [4] - 5:18, 26:20, 44:14, 65:21</p> <p>Office [1] - 2:15</p> <p>often [5] - 30:17, 58:2, 63:14, 63:15, 77:14</p> <p>Oklahoma [1] - 101:25</p> <p>old [7] - 6:16, 6:17, 60:15, 61:2, 61:3, 84:1</p> <p>older [2] - 84:23, 85:1</p> <p>once [4] - 20:9, 62:9, 99:2, 113:7</p> <p>one [71] - 4:9, 4:23, 5:13, 7:8, 7:11, 7:13, 10:2, 11:10, 13:21, 14:5, 14:9, 15:3, 17:2, 17:7, 18:25, 20:14, 24:16, 32:14, 35:24, 38:7, 39:19, 44:18, 44:19, 51:10, 52:3, 55:23, 57:14, 58:23, 60:25, 63:17, 64:19, 64:23, 65:18, 66:1, 66:8, 67:13, 69:12, 70:15, 70:17, 72:21, 73:8, 76:16, 77:19, 78:1, 78:20,</p>	<p>81:11, 82:13, 82:15, 85:13, 93:9, 94:12, 95:10, 95:22, 97:23, 98:7, 98:13, 108:20, 109:22, 117:22, 118:11, 120:18, 122:15, 122:16, 122:17, 122:19, 122:20, 125:6, 129:1, 133:18</p> <p>ones [2] - 102:22, 109:12</p> <p>oOo [1] - 139:5</p> <p>open [7] - 9:20, 28:10, 42:3, 83:13, 90:13, 92:4, 98:14</p> <p>open-ended [2] - 28:10, 92:4</p> <p>opened [1] - 41:3</p> <p>opening [1] - 31:19</p> <p>operation [7] - 29:5, 35:8, 43:13, 43:20, 44:23, 45:1, 81:19</p> <p>operative [1] - 85:12</p> <p>opine [2] - 94:10, 94:11</p> <p>opinion [129] - 5:5, 6:14, 6:15, 6:17, 6:18, 6:21, 7:2, 8:4, 12:22, 12:25, 13:1, 13:5, 13:14, 13:17, 13:24, 13:25, 14:7, 14:15, 14:17, 15:5, 15:18, 15:19, 16:18, 17:6, 33:6, 33:12, 34:3, 35:1, 35:10, 35:15, 36:9, 36:20, 39:4, 39:6, 39:19, 40:9, 40:15, 40:21, 40:23, 41:6, 41:15, 43:21, 45:20, 46:8, 46:13, 47:8, 47:18, 48:10, 48:13, 48:14, 48:17, 48:22, 49:14, 50:9, 50:25, 51:4, 51:14, 52:3, 52:12, 52:18, 52:25, 53:10, 54:22, 55:23, 56:24, 57:14, 58:23, 59:7, 60:3, 60:9, 60:16, 60:25, 61:13, 61:22, 62:7, 63:17, 64:23, 65:22, 66:1, 66:16, 66:22, 67:13, 69:12, 72:20, 73:21, 73:25, 74:4, 74:8, 74:12, 74:16, 74:23, 75:4, 75:15, 77:19, 77:23, 78:1, 78:20, 78:24, 79:1, 79:5, 80:2,</p>
O				
<p>o'clock [3] - 88:20, 89:10, 99:13</p> <p>object [1] - 66:6</p> <p>objected [1] - 44:16</p> <p>objection [25] - 3:20, 15:8, 27:24, 28:3, 28:4, 31:8, 32:3, 32:21, 32:25, 33:3, 43:23, 44:2, 44:15, 56:18, 58:15, 58:19, 71:10, 71:16, 72:2, 72:5, 90:25, 91:15, 94:20, 127:13, 130:9</p> <p>objections [5] - 14:18, 14:21, 31:4, 89:16, 94:22</p> <p>objects [1] - 131:5</p> <p>obstructed [4] - 30:1, 30:22, 30:25, 31:18</p> <p>obstruction [2] - 25:17, 42:21</p> <p>obstructive [1] - 46:5</p> <p>obstructs [1] - 75:11</p> <p>obtain [1] - 67:11</p> <p>obtained [1] - 46:3</p> <p>obtaining [1] - 109:6</p> <p>obvious [1] - 80:19</p> <p>obviously [6] - 14:8, 28:7, 78:17, 94:1, 96:18, 98:5</p> <p>occasion [1] - 58:6</p> <p>occasions [3] - 58:6, 59:17, 103:3</p> <p>occlude [1] - 83:25</p> <p>occluded [43] - 31:1, 31:23, 32:2, 32:5, 33:23, 33:25, 34:17, 35:3, 36:1, 36:2, 36:21, 36:22, 36:25, 37:2, 37:3, 37:5, 39:8, 39:16, 39:24, 39:25, 43:7, 43:16, 46:17, 46:18, 48:4, 52:7, 57:12, 57:21,</p>				

N

name [5] - 22:4, 23:16, 23:17, 100:5, 117:21

narrow [1] - 126:4

National [2] - 102:19, 102:20

national [2] - 114:11, 114:15

native [1] - 38:15

natural [2] - 53:1, 87:11

navigate [1] - 128:7

navigates [2] - 128:10, 128:12

navigating [1] - 131:4

necessarily [4] - 40:1, 57:16, 90:3, 94:21

necessary [6] - 42:4, 101:10, 113:16, 113:23, 114:5, 119:9

neck [1] - 106:23

need [27] - 6:1, 11:3, 19:5, 28:8, 37:6, 39:15, 39:24, 40:4, 58:20, 62:1, 65:23, 98:5, 109:25, 116:10, 116:25, 120:23, 121:15, 129:6, 129:12, 129:16, 129:17, 129:19, 129:20,

<p>80:4, 82:8, 82:15, 84:5, 85:1, 85:5, 85:13, 86:2, 86:10, 87:8, 89:8, 90:5, 90:14, 91:9, 95:3, 95:24, 97:18, 107:11, 109:3, 110:24, 120:25, 121:3, 121:4, 121:8, 121:20, 129:6, 138:1</p> <p>opinions [49] - 4:5, 4:11, 4:20, 7:25, 8:13, 8:17, 8:22, 8:24, 9:2, 9:4, 9:5, 9:15, 9:19, 9:20, 11:1, 11:23, 13:1, 14:1, 71:2, 72:11, 79:2, 91:6, 94:2, 94:8, 94:14, 94:24, 95:14, 95:17, 95:23, 96:22, 96:25, 97:2, 97:5, 97:7, 97:9, 97:10, 104:7, 104:24, 117:7, 119:11, 127:9, 133:4, 133:13, 134:17, 135:25, 136:6</p> <p>opportunity [4] - 20:7, 31:13, 65:9, 98:12</p> <p>opposed [4] - 16:5, 64:24, 75:9, 110:19</p> <p>option [2] - 20:2, 98:4</p> <p>order [14] - 5:14, 5:23, 17:21, 20:23, 21:20, 21:24, 64:25, 77:1, 77:9, 77:15, 77:17, 97:1, 98:8, 99:13</p> <p>Order [2] - 4:17, 7:23</p> <p>ordered [1] - 74:13</p> <p>orders [3] - 5:9, 5:11, 5:12</p> <p>ordinarily [2] - 25:21, 42:7</p> <p>original [2] - 62:2, 140:9</p> <p>otherwise [2] - 18:13, 25:6</p> <p>outflow [2] - 77:2, 77:17</p> <p>outpatient [4] - 63:15, 64:21, 65:20, 65:22</p> <p>Outside [2] - 3:5, 89:12</p> <p>outside [5] - 3:11, 107:17, 123:1, 123:6, 124:19</p> <p>overall [1] - 121:17</p> <p>overcome [1] - 113:4</p> <p>overnight [1] - 137:13</p>	<p>overruled [5] - 44:19, 56:19, 58:20, 90:24, 130:10</p> <p>overview [1] - 50:5</p> <p>own [4] - 97:5, 102:13, 111:20, 113:1</p> <p>Oxycodone [1] - 118:17</p> <p>OxyContin [2] - 118:10, 136:9</p> <p>oxygen [1] - 73:20</p>	<p>51:22, 59:14, 63:24, 69:22, 114:9</p> <p>parties [1] - 138:24</p> <p>pass [1] - 138:7</p> <p>past [8] - 27:15, 57:23, 66:24, 70:1, 70:8, 73:4, 74:19, 74:21</p> <p>pathophysiological [1] - 53:7</p> <p>patient [16] - 29:5, 29:15, 49:9, 52:16, 53:4, 54:20, 55:4, 62:18, 63:20, 63:23, 64:7, 64:9, 64:10, 65:23, 70:19, 70:21</p> <p>patient's [3] - 55:8, 59:10, 133:9</p> <p>patients [11] - 25:14, 51:25, 53:2, 54:16, 55:11, 65:2, 106:4, 106:18, 107:3, 110:6, 112:23</p> <p>Pause [1] - 99:1</p> <p>payments [1] - 54:8</p> <p>pending [1] - 33:1</p> <p>people [11] - 37:17, 97:22, 106:21, 109:14, 110:9, 113:2, 113:4, 113:6, 130:16, 131:16, 131:19</p> <p>per [1] - 38:11</p> <p>percent [2] - 51:25, 118:7</p> <p>perfectly [1] - 22:11</p> <p>perform [3] - 111:1, 111:5, 111:9</p> <p>performed [1] - 29:5</p> <p>performing [1] - 106:2</p> <p>perfused [1] - 36:15</p> <p>perhaps [7] - 41:5, 42:18, 45:1, 50:3, 50:17, 92:6, 119:2</p> <p>period [4] - 41:5, 55:8, 57:22, 84:20</p> <p>peripheral [10] - 28:25, 29:16, 51:20, 52:5, 52:11, 52:17, 52:20, 53:2, 54:17, 54:23</p> <p>permissible [1] - 91:17</p> <p>permitted [2] - 90:8, 90:10</p> <p>persisted [3] - 46:2, 50:12, 50:23</p> <p>person [16] - 18:19, 19:7, 19:22, 89:1, 91:20, 96:21, 97:24, 108:25, 109:2,</p>	<p>110:17, 110:19, 111:21, 113:25, 114:12, 115:4, 137:17</p> <p>person's [2] - 101:3, 102:9</p> <p>personal [4] - 4:11, 60:18, 111:1, 111:5</p> <p>persons [1] - 52:11</p> <p>perspective [1] - 90:7</p> <p>pertains [1] - 30:8</p> <p>phantom [4] - 111:18, 111:24, 116:15, 116:21</p> <p>Phil [1] - 117:21</p> <p>PHILIP [1] - 1:20</p> <p>physical [6] - 60:5, 60:23, 61:10, 62:13, 75:23, 101:2</p> <p>Physical [1] - 102:18</p> <p>physician [5] - 64:20, 112:2, 115:24, 116:11, 122:5</p> <p>physicians [2] - 42:18, 53:25</p> <p>picturesque [1] - 70:14</p> <p>pinprick [1] - 59:25</p> <p>place [2] - 16:21, 140:10</p> <p>plaintiff [4] - 26:4, 103:13, 103:17, 134:10</p> <p>plaintiff's [5] - 21:22, 24:25, 47:13, 47:20, 104:20</p> <p>Plaintiffs [2] - 1:5, 1:18</p> <p>plaintiffs [1] - 97:12</p> <p>plan [3] - 20:16, 98:24, 118:5</p> <p>Plan [4] - 103:25, 119:4, 119:7, 133:1</p> <p>Planners [1] - 102:21</p> <p>planning [3] - 20:17, 98:19, 100:23</p> <p>plans [5] - 103:17, 103:23, 106:9, 110:7, 113:6</p> <p>plant [1] - 18:19</p> <p>plastic [2] - 37:11, 69:23</p> <p>platform [2] - 124:9, 124:10</p> <p>plenty [1] - 93:12</p> <p>plug [1] - 98:1</p> <p>plug-ins [1] - 98:1</p> <p>plugging [1] - 19:14</p> <p>plus [1] - 115:18</p> <p>podium [1] - 23:4</p>	<p>point [14] - 5:22, 30:4, 35:8, 36:17, 42:17, 42:20, 46:7, 62:20, 77:3, 93:7, 98:8, 98:13, 107:13, 121:21</p> <p>points [1] - 14:9</p> <p>poly [1] - 69:22</p> <p>poor [2] - 108:19, 108:21</p> <p>popliteal [19] - 30:15, 40:24, 41:20, 42:25, 43:5, 43:10, 57:3, 57:9, 57:12, 58:13, 66:5, 69:3, 78:17, 83:4, 83:8, 83:16, 84:8, 85:10, 86:4</p> <p>populations [1] - 63:20</p> <p>port [1] - 19:13</p> <p>portion [1] - 105:4</p> <p>position [5] - 16:16, 42:5, 47:13, 47:20, 54:11</p> <p>positions [1] - 110:17</p> <p>positive [1] - 113:11</p> <p>possible [2] - 84:17, 101:16</p> <p>possibly [2] - 39:8, 39:16</p> <p>posted [1] - 6:9</p> <p>potential [5] - 19:7, 73:22, 74:5, 78:9, 96:10</p> <p>potentially [3] - 15:17, 18:6, 63:24</p> <p>power [1] - 63:3</p> <p>practice [5] - 15:1, 90:20, 102:13, 106:4, 106:5</p> <p>practiced [2] - 60:15, 61:2</p> <p>practicing [2] - 61:2, 102:12</p> <p>practitioner [3] - 38:24, 48:19, 60:21</p> <p>Practitioner [8] - 5:10, 56:5, 66:3, 66:11, 66:17, 72:22, 78:21, 96:17</p> <p>practitioners [1] - 64:24</p> <p>precipitated [1] - 82:3</p> <p>precise [3] - 15:14, 16:25, 91:14</p> <p>preclude [4] - 10:9, 13:21, 17:24, 18:8</p> <p>precluded [2] - 4:20, 7:24</p> <p>preclusion [1] - 17:17</p>
P				
<p>p.m [1] - 139:4</p> <p>P.M [2] - 1:14, 3:2</p> <p>page [7] - 5:5, 13:1, 26:12, 26:14, 26:16, 105:3, 105:5</p> <p>PAGE [1] - 2:2</p> <p>pages [1] - 3:15</p> <p>pain [38] - 42:15, 49:2, 49:25, 50:3, 50:11, 50:15, 50:17, 50:22, 50:23, 51:10, 59:13, 66:25, 67:24, 67:25, 68:3, 73:1, 74:18, 86:13, 108:21, 111:17, 111:18, 111:20, 111:24, 112:1, 112:3, 112:5, 115:21, 115:24, 115:25, 116:5, 116:11, 116:15, 116:21, 118:7, 118:17</p> <p>painful [1] - 86:20</p> <p>pale [1] - 49:4</p> <p>palpable [1] - 41:22</p> <p>palpated [1] - 41:10</p> <p>palpating [1] - 25:20</p> <p>palpation [1] - 25:18</p> <p>paragraph [3] - 107:8, 107:9, 110:23</p> <p>paraplegic [2] - 110:12, 114:4</p> <p>pardon [1] - 118:1</p> <p>part [21] - 8:24, 9:14, 29:19, 39:14, 52:15, 62:10, 68:9, 68:21, 76:7, 90:23, 103:16, 106:3, 106:4, 106:7, 108:13, 112:13, 113:20, 121:3, 135:25, 136:5, 138:23</p> <p>particular [6] - 26:11, 91:19, 103:12, 103:19, 104:1, 104:5</p> <p>particularly [6] - 49:7,</p>	<p>51:22, 59:14, 63:24, 69:22, 114:9</p> <p>parties [1] - 138:24</p> <p>pass [1] - 138:7</p> <p>past [8] - 27:15, 57:23, 66:24, 70:1, 70:8, 73:4, 74:19, 74:21</p> <p>pathophysiological [1] - 53:7</p> <p>patient [16] - 29:5, 29:15, 49:9, 52:16, 53:4, 54:20, 55:4, 62:18, 63:20, 63:23, 64:7, 64:9, 64:10, 65:23, 70:19, 70:21</p> <p>patient's [3] - 55:8, 59:10, 133:9</p> <p>patients [11] - 25:14, 51:25, 53:2, 54:16, 55:11, 65:2, 106:4, 106:18, 107:3, 110:6, 112:23</p> <p>Pause [1] - 99:1</p> <p>payments [1] - 54:8</p> <p>pending [1] - 33:1</p> <p>people [11] - 37:17, 97:22, 106:21, 109:14, 110:9, 113:2, 113:4, 113:6, 130:16, 131:16, 131:19</p> <p>per [1] - 38:11</p> <p>percent [2] - 51:25, 118:7</p> <p>perfectly [1] - 22:11</p> <p>perform [3] - 111:1, 111:5, 111:9</p> <p>performed [1] - 29:5</p> <p>performing [1] - 106:2</p> <p>perfused [1] - 36:15</p> <p>perhaps [7] - 41:5, 42:18, 45:1, 50:3, 50:17, 92:6, 119:2</p> <p>period [4] - 41:5, 55:8, 57:22, 84:20</p> <p>peripheral [10] - 28:25, 29:16, 51:20, 52:5, 52:11, 52:17, 52:20, 53:2, 54:17, 54:23</p> <p>permissible [1] - 91:17</p> <p>permitted [2] - 90:8, 90:10</p> <p>persisted [3] - 46:2, 50:12, 50:23</p> <p>person [16] - 18:19, 19:7, 19:22, 89:1, 91:20, 96:21, 97:24, 108:25, 109:2,</p>	<p>110:17, 110:19, 111:21, 113:25, 114:12, 115:4, 137:17</p> <p>person's [2] - 101:3, 102:9</p> <p>personal [4] - 4:11, 60:18, 111:1, 111:5</p> <p>persons [1] - 52:11</p> <p>perspective [1] - 90:7</p> <p>pertains [1] - 30:8</p> <p>phantom [4] - 111:18, 111:24, 116:15, 116:21</p> <p>Phil [1] - 117:21</p> <p>PHILIP [1] - 1:20</p> <p>physical [6] - 60:5, 60:23, 61:10, 62:13, 75:23, 101:2</p> <p>Physical [1] - 102:18</p> <p>physician [5] - 64:20, 112:2, 115:24, 116:11, 122:5</p> <p>physicians [2] - 42:18, 53:25</p> <p>picturesque [1] - 70:14</p> <p>pinprick [1] - 59:25</p> <p>place [2] - 16:21, 140:10</p> <p>plaintiff [4] - 26:4, 103:13, 103:17, 134:10</p> <p>plaintiff's [5] - 21:22, 24:25, 47:13, 47:20, 104:20</p> <p>Plaintiffs [2] - 1:5, 1:18</p> <p>plaintiffs [1] - 97:12</p> <p>plan [3] - 20:16, 98:24, 118:5</p> <p>Plan [4] - 103:25, 119:4, 119:7, 133:1</p> <p>Planners [1] - 102:21</p> <p>planning [3] - 20:17, 98:19, 100:23</p> <p>plans [5] - 103:17, 103:23, 106:9, 110:7, 113:6</p> <p>plant [1] - 18:19</p> <p>plastic [2] - 37:11, 69:23</p> <p>platform [2] - 124:9, 124:10</p> <p>plenty [1] - 93:12</p> <p>plug [1] - 98:1</p> <p>plug-ins [1] - 98:1</p> <p>plugging [1] - 19:14</p> <p>plus [1] - 115:18</p> <p>podium [1] - 23:4</p>	<p>point [14] - 5:22, 30:4, 35:8, 36:17, 42:17, 42:20, 46:7, 62:20, 77:3, 93:7, 98:8, 98:13, 107:13, 121:21</p> <p>points [1] - 14:9</p> <p>poly [1] - 69:22</p> <p>poor [2] - 108:19, 108:21</p> <p>popliteal [19] - 30:15, 40:24, 41:20, 42:25, 43:5, 43:10, 57:3, 57:9, 57:12, 58:13, 66:5, 69:3, 78:17, 83:4, 83:8, 83:16, 84:8, 85:10, 86:4</p> <p>populations [1] - 63:20</p> <p>port [1] - 19:13</p> <p>portion [1] - 105:4</p> <p>position [5] - 16:16, 42:5, 47:13, 47:20, 54:11</p> <p>positions [1] - 110:17</p> <p>positive [1] - 113:11</p> <p>possible [2] - 84:17, 101:16</p> <p>possibly [2] - 39:8, 39:16</p> <p>posted [1] - 6:9</p> <p>potential [5] - 19:7, 73:22, 74:5, 78:9, 96:10</p> <p>potentially [3] - 15:17, 18:6, 63:24</p> <p>power [1] - 63:3</p> <p>practice [5] - 15:1, 90:20, 102:13, 106:4, 106:5</p> <p>practiced [2] - 60:15, 61:2</p> <p>practicing [2] - 61:2, 102:12</p> <p>practitioner [3] - 38:24, 48:19, 60:21</p> <p>Practitioner [8] - 5:10, 56:5, 66:3, 66:11, 66:17, 72:22, 78:21, 96:17</p> <p>practitioners [1] - 64:24</p> <p>precipitated [1] - 82:3</p> <p>precise [3] - 15:14, 16:25, 91:14</p> <p>preclude [4] - 10:9, 13:21, 17:24, 18:8</p> <p>precluded [2] - 4:20, 7:24</p> <p>preclusion [1] - 17:17</p>	

<p>predict [1] - 80:9 predictable [2] - 80:5, 87:12 preexisting [2] - 82:18, 82:20 prejudice [1] - 18:3 prejudiced [1] - 9:12 preparation [1] - 64:9 prepare [4] - 103:17, 103:25, 104:23, 132:25 prepared [4] - 8:13, 103:24, 120:19, 140:10 prescribed [1] - 116:21 prescription [2] - 116:14, 116:16 presence [6] - 3:5, 3:12, 25:25, 73:5, 75:5, 89:12 present [12] - 27:20, 35:7, 46:22, 58:7, 66:24, 67:15, 67:17, 67:20, 74:14, 79:12, 84:16, 87:15 presentation [8] - 52:8, 58:25, 67:22, 68:7, 69:14, 69:19, 70:3, 70:9 presented [2] - 79:25, 80:19 presents [1] - 29:16 presiding [1] - 140:12 pressure [5] - 25:19, 25:22, 55:5, 55:13, 110:15 pretty [3] - 57:25, 86:16, 101:14 prevent [4] - 7:1, 42:6, 109:5, 109:9 prevents [2] - 73:19, 135:3 previous [1] - 58:6 previously [4] - 17:19, 29:6, 82:24, 116:6 primary [1] - 74:13 probability [5] - 79:3, 79:9, 85:9, 117:9, 122:3 Problem [1] - 27:5 problem [8] - 4:25, 11:16, 16:22, 35:6, 45:6, 111:14, 130:4, 139:1 problems [5] - 64:7, 106:24, 108:20, 131:3 procedure [4] - 40:25, 41:7, 41:21, 43:5</p>	<p>procedures [1] - 70:1 proceed [5] - 22:16, 23:20, 26:10, 31:15, 33:4 proceeded [1] - 46:6 PROCEEDINGS [1] - 1:13 proceedings [3] - 99:1, 139:4, 140:8 process [3] - 44:13, 67:12, 87:10 profession [1] - 100:20 professional [6] - 102:16, 117:9, 121:22, 121:24, 122:6, 129:5 professor [2] - 24:17, 53:20 ProForma [1] - 4:8 profunda [22] - 35:21, 80:17, 80:22, 80:24, 81:7, 81:9, 81:15, 82:1, 82:3, 82:8, 82:11, 82:16, 82:25, 83:3, 83:5, 83:7, 83:10, 83:11, 83:13, 83:14, 83:19, 86:7 program [1] - 55:12 progress [1] - 54:24 progression [5] - 44:22, 53:1, 53:9, 53:16, 87:11 pronounce [2] - 47:22, 53:8 proper [1] - 109:6 properly [1] - 13:12 proponent [1] - 4:1 proposed [4] - 5:10, 17:21, 19:16, 98:18 prosthesis [23] - 107:19, 108:3, 108:9, 108:14, 108:20, 108:22, 108:23, 108:25, 109:2, 109:6, 111:6, 120:4, 120:6, 120:10, 120:21, 121:13, 122:11, 125:3, 130:5, 130:17, 130:22, 135:4 prosthetist [3] - 109:1, 120:18, 130:12 provide [3] - 33:19, 93:16, 110:7 provided [9] - 5:14, 46:10, 104:6, 104:9, 107:18, 114:2,</p>	<p>129:17, 130:1, 130:2 provider [2] - 65:19, 65:20 providers [1] - 65:23 provides [2] - 8:9, 128:25 providing [2] - 5:15, 115:13 Ps [6] - 60:10, 60:14, 61:1, 61:9, 61:14, 62:13 psychological [2] - 100:22, 102:7 psychology [2] - 101:23, 116:24 psychotherapy [1] - 117:1 pulls [1] - 124:7 pulmonary [3] - 73:16, 73:23, 74:10 pulse [5] - 25:10, 25:18, 25:21, 25:23, 25:24 pulses [8] - 41:1, 41:7, 41:10, 41:14, 41:22, 42:4, 42:6, 42:8 pump [1] - 78:3 purple [2] - 49:5, 59:22 purpose [2] - 5:5, 77:11 purposes [5] - 15:13, 16:2, 30:18, 34:6, 65:13 put [6] - 5:18, 39:21, 68:17, 124:6, 130:7, 130:8 putting [1] - 48:5</p>	<p>quick [2] - 18:17, 109:10 quickly [4] - 68:4, 89:14, 101:16, 105:3 quite [3] - 38:6, 43:2, 86:19 quote [4] - 25:5, 60:14, 62:21, 70:9 quote-unquote [1] - 62:21</p>	<p>reappear [1] - 50:3 reason [12] - 6:5, 6:12, 6:15, 13:8, 74:13, 83:24, 83:25, 95:18, 99:11, 124:16, 124:25, 132:24 reasonable [8] - 56:11, 73:4, 79:3, 79:8, 85:8, 86:12, 117:8, 122:2 reasons [4] - 69:17, 85:2, 85:20, 96:20 rebuild [1] - 126:12 rebut [1] - 133:3 rebuttal [33] - 3:17, 3:23, 5:2, 6:13, 7:2, 8:3, 8:25, 10:10, 10:20, 10:23, 10:24, 11:6, 11:13, 11:19, 12:6, 12:9, 12:12, 13:16, 13:17, 13:22, 14:6, 14:7, 15:4, 15:17, 15:20, 16:2, 16:4, 16:8, 16:11, 16:18, 17:1, 17:25, 18:14 Rebuttal [3] - 17:10, 18:2, 18:10 rebuttals [1] - 5:2 received [2] - 36:5, 104:14 receiving [2] - 70:15, 70:17 recess [2] - 88:22, 137:13 reclining [2] - 110:14, 110:16 recognized [2] - 86:20, 103:9 recommend [6] - 32:7, 35:2, 120:5, 124:14, 125:17, 130:11 recommendation [6] - 34:16, 108:12, 108:15, 108:17, 114:20, 123:5 recommendations [5] - 109:25, 113:15, 119:8, 133:8, 136:10 recommended [6] - 34:23, 110:19, 111:12, 124:18, 132:15, 133:5 recommending [2] - 122:16, 122:19 reconvene [1] - 88:4 record [23] - 6:19, 7:21, 14:13, 15:14, 23:16, 28:9, 28:23, 29:14, 29:23, 45:22,</p>
R				
<p>rack [3] - 123:25, 124:6, 124:12 radio [2] - 89:3, 137:20 radiofrequency [1] - 45:24 radiologist [2] - 83:23, 84:12 railings [2] - 114:8, 114:10 raised [1] - 93:24 raising [1] - 10:13 ran [1] - 99:10 range [3] - 109:21, 114:13, 114:15 ranges [1] - 114:13 rapidly [1] - 63:4 rare [1] - 16:6 rather [2] - 79:16, 86:6 re [4] - 39:20, 58:20, 71:20, 71:23 re-ask [4] - 39:20, 58:20, 71:20, 71:23 reach [3] - 61:14, 61:16, 99:19 react [2] - 70:15, 70:17 reaction [1] - 53:15 read [11] - 7:12, 7:13, 7:14, 24:23, 28:23, 29:13, 29:22, 68:14, 88:25, 131:7, 137:16 reading [4] - 47:17, 69:20, 72:15, 76:20 ready [6] - 21:11, 22:23, 23:7, 31:14, 65:10, 100:9 real [5] - 3:13, 6:4, 105:3, 109:10, 109:22 reality [1] - 12:25 really [16] - 10:10, 10:11, 12:21, 13:22, 21:9, 54:6, 86:17, 92:18, 94:20, 96:11, 108:24, 110:17, 120:22, 127:11, 131:9, 138:6</p>	<p>quadruplegic [2] - 110:13, 114:3 qualified [2] - 71:5, 72:11 quality [1] - 118:8 questioning [7] - 9:14, 17:24, 93:21, 93:22, 94:4, 94:23, 95:1 questions [28] - 17:14, 24:23, 26:3, 28:7, 31:5, 47:7, 71:1, 89:18, 89:24, 90:8, 90:10, 90:11, 91:7, 91:24, 92:1, 92:14, 92:20, 92:23, 94:17, 94:18, 95:5, 96:5, 117:23, 132:20, 133:24, 136:18, 136:21</p>	<p style="text-align: center;">Q</p>	<p>quick [2] - 18:17, 109:10 quickly [4] - 68:4, 89:14, 101:16, 105:3 quite [3] - 38:6, 43:2, 86:19 quote [4] - 25:5, 60:14, 62:21, 70:9 quote-unquote [1] - 62:21</p>	<p>reappear [1] - 50:3 reason [12] - 6:5, 6:12, 6:15, 13:8, 74:13, 83:24, 83:25, 95:18, 99:11, 124:16, 124:25, 132:24 reasonable [8] - 56:11, 73:4, 79:3, 79:8, 85:8, 86:12, 117:8, 122:2 reasons [4] - 69:17, 85:2, 85:20, 96:20 rebuild [1] - 126:12 rebut [1] - 133:3 rebuttal [33] - 3:17, 3:23, 5:2, 6:13, 7:2, 8:3, 8:25, 10:10, 10:20, 10:23, 10:24, 11:6, 11:13, 11:19, 12:6, 12:9, 12:12, 13:16, 13:17, 13:22, 14:6, 14:7, 15:4, 15:17, 15:20, 16:2, 16:4, 16:8, 16:11, 16:18, 17:1, 17:25, 18:14 Rebuttal [3] - 17:10, 18:2, 18:10 rebuttals [1] - 5:2 received [2] - 36:5, 104:14 receiving [2] - 70:15, 70:17 recess [2] - 88:22, 137:13 reclining [2] - 110:14, 110:16 recognized [2] - 86:20, 103:9 recommend [6] - 32:7, 35:2, 120:5, 124:14, 125:17, 130:11 recommendation [6] - 34:16, 108:12, 108:15, 108:17, 114:20, 123:5 recommendations [5] - 109:25, 113:15, 119:8, 133:8, 136:10 recommended [6] - 34:23, 110:19, 111:12, 124:18, 132:15, 133:5 recommending [2] - 122:16, 122:19 reconvene [1] - 88:4 record [23] - 6:19, 7:21, 14:13, 15:14, 23:16, 28:9, 28:23, 29:14, 29:23, 45:22,</p>

<p>69:20, 71:22, 72:15, 79:11, 89:13, 90:21, 96:2, 100:5, 105:9, 126:9, 139:2</p> <p>recorder [1] - 19:4</p> <p>records [38] - 12:1, 33:22, 36:3, 36:7, 42:10, 42:24, 43:14, 44:10, 45:8, 45:15, 46:22, 56:10, 66:13, 81:23, 81:24, 82:6, 97:20, 104:6, 104:10, 104:12, 108:10, 109:7, 109:8, 111:22, 115:1, 115:23, 116:9, 119:14, 119:16, 119:17, 126:20, 126:24, 126:25, 127:2, 129:4, 134:21, 135:1</p> <p>Recross [1] - 2:10</p> <p>RECROSS [1] - 135:12</p> <p>Recross-Examination [1] - 2:10</p> <p>RECROSS-EXAMINATION [1] - 135:12</p> <p>red [2] - 70:10, 70:12</p> <p>Redirect [1] - 2:9</p> <p>REDIRECT [1] - 132:22</p> <p>redirect [1] - 136:14</p> <p>reevaluate [1] - 34:20</p> <p>refer [2] - 107:7, 132:2</p> <p>reference [3] - 6:1, 93:11, 112:22</p> <p>referred [3] - 60:10, 60:14, 112:14</p> <p>referring [5] - 30:10, 32:24, 81:12, 91:5, 112:22</p> <p>refit [2] - 108:8, 108:13</p> <p>reflected [1] - 140:9</p> <p>reflecting [1] - 17:21</p> <p>reflects [2] - 90:21</p> <p>regard [13] - 10:22, 18:5, 38:23, 38:24, 74:1, 92:9, 92:18, 96:5, 96:9, 96:10, 113:14, 114:19, 115:21</p> <p>regarding [3] - 4:10, 94:17, 97:2</p> <p>regardless [1] - 11:6</p> <p>regular [1] - 90:20</p> <p>rehab [5] - 101:21,</p>	<p>102:11, 104:20, 110:5, 133:7</p> <p>Rehabilitation [3] - 102:18, 102:19, 102:20</p> <p>rehabilitation [9] - 100:21, 100:23, 101:24, 102:5, 103:10, 106:8, 106:9, 113:6, 122:2</p> <p>related [5] - 4:2, 6:14, 50:19, 88:17, 94:14</p> <p>relatively [1] - 59:11</p> <p>relief [1] - 6:8</p> <p>relieved [3] - 50:1, 67:25, 86:14</p> <p>relying [2] - 56:10, 72:14</p> <p>remain [1] - 23:7</p> <p>remaining [1] - 112:14</p> <p>remember [1] - 3:21</p> <p>remind [1] - 88:22</p> <p>reminding [2] - 21:19, 88:10</p> <p>renew [1] - 3:19</p> <p>renovations [3] - 113:16, 113:23, 114:16</p> <p>repair [2] - 81:22, 125:12</p> <p>repeated [1] - 63:25</p> <p>repeatedly [1] - 112:2</p> <p>Report [1] - 17:10</p> <p>report [60] - 3:15, 3:16, 5:4, 5:7, 6:13, 8:2, 8:3, 8:24, 8:25, 9:19, 9:20, 10:10, 10:11, 10:17, 10:20, 10:24, 11:6, 11:19, 12:6, 12:7, 12:10, 13:2, 13:5, 13:14, 13:16, 13:18, 13:22, 14:6, 14:14, 14:24, 15:4, 15:17, 15:20, 16:7, 16:23, 16:24, 17:1, 17:2, 17:5, 17:7, 17:15, 17:25, 18:2, 68:12, 68:14, 69:13, 70:16, 70:18, 88:25, 95:16, 95:23, 105:6, 107:10, 119:18, 137:16</p> <p>reported [1] - 140:7</p> <p>REPORTED [1] - 1:25</p> <p>Reporter [1] - 140:18</p> <p>reporter [2] - 19:2, 19:3</p> <p>REPORTER'S [1] - 1:13</p> <p>reporters [1] - 19:1</p>	<p>reports [23] - 3:18, 4:6, 4:21, 7:9, 7:25, 8:8, 8:12, 9:2, 10:4, 13:7, 13:20, 14:6, 14:10, 14:15, 15:3, 15:12, 16:3, 18:12, 18:14, 104:23, 105:18, 105:21</p> <p>represent [4] - 60:12, 70:12, 134:9, 134:13</p> <p>request [1] - 6:22</p> <p>requested [2] - 6:25, 90:3</p> <p>require [5] - 67:7, 114:20, 117:5, 125:24, 129:23</p> <p>required [3] - 115:2, 115:18, 129:14</p> <p>requires [1] - 114:17</p> <p>research [1] - 137:24</p> <p>resident [2] - 54:6, 54:8</p> <p>residents [6] - 53:21, 53:23, 54:14, 54:15, 61:8</p> <p>residual [1] - 132:3</p> <p>resolved [1] - 99:11</p> <p>respectfully [2] - 18:11, 91:23</p> <p>respond [7] - 25:4, 58:1, 84:3, 103:23, 112:9, 127:8, 128:19</p> <p>response [2] - 127:12, 128:2</p> <p>responsive [1] - 42:16</p> <p>rest [2] - 50:1, 51:11</p> <p>result [5] - 79:17, 85:18, 108:21, 112:1, 115:18</p> <p>results [1] - 84:21</p> <p>resume [3] - 21:18, 22:6, 98:6</p> <p>retained [1] - 115:5</p> <p>return [6] - 75:11, 77:11, 78:8, 88:3, 88:20, 113:6</p> <p>returned [1] - 94:25</p> <p>returning [1] - 78:2</p> <p>review [21] - 27:19, 33:22, 34:15, 42:10, 42:24, 45:15, 47:12, 50:8, 56:3, 66:2, 68:9, 68:17, 68:21, 72:19, 73:12, 87:20, 104:16, 104:19, 108:13, 116:9, 129:3</p> <p>reviewed [20] - 66:10, 66:13, 68:9, 68:10, 82:5, 104:7, 107:12, 108:11, 109:4,</p>	<p>109:8, 110:25, 111:23, 115:1, 126:20, 126:23, 126:24, 127:1, 127:2, 134:21</p> <p>reviewing [4] - 26:12, 101:6, 101:7, 104:22</p> <p>revisiting [1] - 17:22</p> <p>right-hand [2] - 26:19, 26:20</p> <p>rise [2] - 89:11, 138:5</p> <p>risk [4] - 63:24, 73:22, 74:5, 130:6</p> <p>risking [1] - 62:17</p> <p>risks [3] - 63:20, 63:22, 63:23</p> <p>RN [1] - 1:9</p> <p>ROBERT [1] - 1:22</p> <p>ROE [1] - 1:10</p> <p>role [4] - 103:20, 132:25, 133:3</p> <p>room [6] - 67:23, 68:6, 79:14, 86:12, 86:23, 126:21</p> <p>rooms [4] - 126:19, 127:5, 128:14, 128:17</p> <p>Rose [1] - 104:10</p> <p>roughly [3] - 43:6, 63:14, 102:10</p> <p>routine [1] - 44:13</p> <p>RPR [3] - 1:25, 140:6, 140:17</p> <p>rubbery [2] - 58:1, 84:3</p> <p>rule [3] - 13:3, 13:6, 94:21</p> <p>rules [1] - 10:15</p> <p>ruling [2] - 3:21, 8:23</p> <p>runs [2] - 81:3, 81:4</p>	<p>schedule [1] - 101:13</p> <p>scheduled [1] - 43:11</p> <p>scheduling [6] - 20:15, 21:25, 22:10, 22:19, 137:2, 137:6</p> <p>school [1] - 24:18</p> <p>scooter [12] - 107:22, 107:23, 109:11, 109:20, 109:22, 110:3, 122:12, 122:13, 122:21, 124:6, 124:18</p> <p>scooters [2] - 109:17, 123:17</p> <p>scope [3] - 136:11, 136:14, 136:16</p> <p>searches [2] - 89:7, 137:24</p> <p>seat [7] - 21:17, 23:13, 99:5, 99:10, 99:19, 99:24, 110:14</p> <p>seats [2] - 21:16, 99:9</p> <p>second [7] - 4:1, 4:9, 4:10, 25:9, 64:13, 133:16, 133:17</p> <p>secondary [1] - 77:2</p> <p>secondly [2] - 69:21, 73:3</p> <p>see [42] - 3:25, 4:3, 4:25, 5:8, 5:21, 18:2, 20:7, 23:4, 26:18, 26:20, 27:1, 27:6, 28:8, 28:10, 28:23, 29:10, 36:10, 43:9, 43:14, 44:10, 55:8, 56:3, 62:3, 68:3, 69:2, 70:10, 70:20, 75:13, 76:7, 78:12, 87:5, 87:16, 89:10, 98:1, 98:23, 108:10, 109:12, 112:2, 134:20, 138:3, 138:19</p> <p>seed [1] - 18:19</p> <p>seeing [1] - 8:5</p> <p>seeking [1] - 90:18</p> <p>segues [1] - 95:7</p> <p>selected [1] - 44:24</p> <p>send [2] - 65:2, 65:23</p> <p>sensation [2] - 49:8, 59:25</p> <p>sense [4] - 28:2, 41:23, 87:1, 96:20</p> <p>sentence [3] - 29:13, 29:23, 30:5</p> <p>separate [2] - 17:16, 97:4</p> <p>September [3] - 105:7, 107:10, 107:13</p> <p>serious [2] - 87:2,</p>
S				
			<p>salary [1] - 54:7</p> <p>Samuel [2] - 22:24, 23:17</p> <p>SAMUEL [3] - 2:3, 23:18, 24:2</p> <p>San [1] - 101:23</p> <p>saphenous [2] - 42:17, 45:25</p> <p>sat [2] - 113:4, 119:3</p> <p>satisfactory [5] - 77:3, 77:16, 77:17, 77:18, 79:14</p> <p>saw [5] - 46:21, 56:7, 79:10, 109:7, 111:25</p> <p>scanning [1] - 63:5</p> <p>scenario [1] - 135:24</p> <p>scene [1] - 137:21</p>	

<p>127:21 served [4] - 12:8, 12:9, 102:23, 103:12 services [1] - 101:9 SERVICES [1] - 1:8 servicing [1] - 103:14 session [4] - 19:19, 19:25, 20:1, 20:6 SESSION [1] - 1:14 set [1] - 28:8 setup [1] - 19:6 seven [1] - 66:25 seven-out-of-ten [1] - 66:25 several [4] - 64:10, 110:16, 120:9, 121:5 severe [4] - 49:2, 59:13, 68:3, 86:20 severity [1] - 117:5 SFA [3] - 30:1, 30:10, 30:14 shape [1] - 86:12 shoot [1] - 76:12 short [3] - 97:1, 101:16, 107:9 short-circuit [3] - 97:1, 101:16, 107:9 shorter [2] - 50:4, 124:16 shorthand [1] - 140:9 show [4] - 34:5, 36:8, 63:13, 75:6 showed [7] - 34:7, 46:4, 46:14, 48:4, 51:25, 57:8, 112:23 shows [1] - 78:16 side [7] - 8:19, 21:24, 22:7, 42:17, 45:25, 46:1, 97:23 sided [2] - 29:17, 29:20 sides [2] - 8:25, 42:15 sign [1] - 64:7 signal [2] - 49:12, 59:23 signed [2] - 5:25, 7:22 significance [1] - 76:22 significant [7] - 25:15, 111:25, 120:5, 121:6, 134:15, 134:19, 134:23 signifying [1] - 67:19 signs [1] - 48:20 Simon [1] - 45:23 simple [1] - 62:24 simply [2] - 90:17, 97:1 single [1] - 53:13 single-cell [1] - 53:13</p>	<p>sit [3] - 108:2, 109:14, 128:9 sits [1] - 35:25 situation [7] - 11:11, 40:3, 40:5, 53:4, 57:20, 72:16, 120:14 six [7] - 29:6, 34:13, 34:19, 55:15, 70:12, 82:24, 103:8 skin [8] - 49:10, 59:20, 60:1, 61:17, 108:20, 121:17, 130:4, 135:2 sleeps [1] - 126:16 slick [1] - 114:9 slight [1] - 60:17 slightly [2] - 37:20, 88:21 small [2] - 33:17, 124:24 smaller [2] - 25:16, 37:19 smoke [2] - 51:23, 53:4 smoker [1] - 54:20 smoking [18] - 6:14, 11:16, 11:17, 12:23, 14:10, 14:14, 14:17, 16:14, 16:16, 16:17, 17:8, 17:12, 18:5, 51:23, 52:10, 53:1, 55:12 social [2] - 89:5, 102:1 someone [1] - 114:16 sometimes [3] - 47:21, 75:24, 114:1 somewhere [1] - 65:23 son [3] - 134:10, 135:15, 136:1 sooner [1] - 16:5 sorry [11] - 4:18, 6:7, 14:13, 22:22, 44:3, 44:21, 67:18, 81:4, 86:15, 100:3 sort [8] - 4:8, 10:1, 60:18, 93:21, 94:15, 94:22, 110:9 sorts [1] - 106:17 sound [1] - 70:11 sounding [1] - 15:12 sounds [3] - 21:8, 79:23, 85:5 source [3] - 35:17, 81:25, 135:2 special [1] - 63:7 specialist [5] - 100:21, 101:21, 102:11, 110:6, 133:7 specializing [1] - 54:1 specialty [1] - 126:2</p>	<p>specific [2] - 93:11, 129:13 specifically [8] - 8:9, 8:11, 34:23, 35:14, 52:9, 95:5, 125:5, 129:17 specifics [2] - 11:3, 128:12 specified [1] - 93:9 speculating [2] - 45:4, 45:9 speculation [1] - 43:23 speculative [2] - 44:18, 45:11 spell [2] - 23:16, 100:5 spend [3] - 18:18, 118:21, 132:10 spinal [2] - 110:12, 110:18 squarely [1] - 93:7 squeezing [1] - 76:12 squirt [1] - 62:22 SS [1] - 140:3 St [1] - 104:10 stability [1] - 107:17 stage [1] - 58:1 stairs [2] - 131:4 stand [3] - 23:5, 99:17, 134:14 standard [35] - 15:1, 38:22, 39:2, 39:6, 39:10, 39:14, 39:18, 59:8, 64:19, 65:15, 65:18, 75:25, 76:6, 93:24, 94:2, 94:8, 94:10, 94:12, 94:24, 95:3, 95:14, 95:17, 95:21, 95:23, 96:3, 96:22, 96:25, 97:5, 97:6, 97:7, 97:8, 97:13, 97:14, 97:17 standing [2] - 23:7, 69:10 start [6] - 20:3, 22:3, 72:6, 105:5, 137:11, 138:9 started [2] - 3:17, 99:5 starting [2] - 30:4, 98:18 State [2] - 101:25, 103:1 state [3] - 23:15, 93:13, 100:4 STATE [1] - 140:3 statements [1] - 91:16 states [2] - 79:11, 86:15 static [1] - 77:14 status [1] - 34:20</p>	<p>statutory [1] - 91:10 stay [1] - 20:10 staying [1] - 134:3 step [1] - 23:6 still [17] - 3:24, 4:13, 6:9, 9:24, 25:23, 36:1, 36:2, 60:22, 61:6, 70:25, 90:13, 97:21, 116:5, 118:9, 127:11, 136:9, 137:8 stipulate [1] - 127:16 stipulated [7] - 4:15, 4:17, 5:12, 7:13, 10:3, 14:25, 26:4 Stipulated [2] - 7:14, 7:22 Stipulation [1] - 4:17 stipulation [2] - 7:16, 7:23 stock [1] - 138:13 stop [1] - 17:1 store [2] - 109:13, 122:25 straight [2] - 23:3, 23:5 strain [2] - 50:16, 56:6 strip [1] - 51:9 study [3] - 51:24, 84:18, 126:15 stuff [1] - 9:21 stump [6] - 111:17, 111:24, 112:5, 112:15, 112:22, 132:3 stunned [1] - 95:15 sturdy [2] - 122:17, 122:20 styled [9] - 7:22, 11:7, 11:19, 12:5, 13:16, 14:1, 15:4, 17:10, 18:2 subject [5] - 88:24, 89:8, 90:6, 137:15, 138:1 submarine [1] - 70:10 submitted [2] - 89:9, 138:2 subsequent [1] - 104:12 subsequently [1] - 41:3 subsided [1] - 68:1 substance [1] - 18:9 substitute [1] - 61:1 successful [9] - 42:8, 58:5, 84:11, 84:13, 84:14, 84:15, 84:17, 85:17, 113:9 suddenly [3] - 59:12, 79:17, 80:21</p>	<p>suffered [1] - 134:24 suffering [1] - 107:4 suffers [1] - 135:3 sufficiency [1] - 76:17 sufficient [10] - 25:15, 30:18, 32:5, 33:17, 57:18, 73:20, 77:4, 77:8, 77:10, 77:13 suggest [6] - 13:4, 70:1, 70:21, 124:25, 134:21, 135:2 suggested [1] - 46:5 suggests [2] - 76:20, 76:25 Stipularies [1] - 92:20 summarize [1] - 107:11 summary [4] - 60:7, 79:20, 79:21, 92:22 superficial [5] - 30:1, 35:23, 52:2, 81:2, 81:7 supplement [1] - 6:17 supplemental [14] - 8:8, 8:24, 10:11, 10:16, 10:24, 11:2, 11:6, 11:12, 12:7, 13:25, 16:3, 16:8, 16:9, 18:1 supplementing [1] - 11:23 supplements [4] - 4:21, 5:1, 8:1, 10:4 supplied [1] - 31:7 supplies [2] - 35:22, 81:20 supply [8] - 51:9, 51:12, 80:16, 80:17, 80:25, 82:12, 90:17, 93:13 supplying [2] - 80:14, 89:22 support [4] - 47:19, 54:10, 82:8, 91:10 supported [2] - 54:8, 54:9 supports [1] - 50:24 supposed [1] - 89:20 surfaces [1] - 114:8 surgeon [12] - 24:12, 24:14, 26:24, 32:11, 51:19, 53:20, 64:20, 67:1, 67:11, 70:22 surgeons [2] - 53:24, 96:19 surgery [16] - 24:18, 25:7, 42:25, 43:1, 43:10, 43:11, 43:16, 44:12, 46:7, 53:21, 54:1, 67:7, 84:19,</p>
---	---	---	--	--

<p>84:22, 97:3, 97:16 surgical [1] - 62:5 surprise [1] - 8:19 surprised [3] - 95:11, 95:13, 95:16 suspected [1] - 42:14 suspicion [1] - 75:19 sustain [4] - 28:10, 33:19, 72:1, 94:21 sustained [5] - 33:3, 43:24, 44:2, 44:4, 90:25 swab [1] - 60:1 swear [2] - 23:8, 99:21 sworn [4] - 23:11, 24:3, 99:22, 100:14 symptom [2] - 49:23, 73:2 symptoms [6] - 46:2, 48:20, 52:1, 55:9, 79:17, 87:14 synonymous [1] - 30:13 synonyms [2] - 31:21, 32:13 synthetic [2] - 37:11, 37:18 system [1] - 64:12 systemic [1] - 38:6</p>	<p>73:16, 76:19, 106:8, 128:1, 130:22, 138:14 terrain [1] - 131:5 TERRY [1] - 1:9 test [7] - 61:25, 62:1, 62:6, 62:18, 63:6, 65:3, 76:7 testified [20] - 9:6, 24:4, 61:19, 62:20, 64:17, 65:18, 69:9, 70:7, 71:8, 73:7, 74:20, 92:22, 92:25, 97:4, 100:15, 102:24, 103:4, 118:23, 131:15, 134:14 testify [19] - 3:22, 8:14, 14:25, 20:17, 24:3, 28:9, 38:21, 38:25, 39:2, 95:21, 96:4, 97:2, 97:6, 97:8, 97:12, 97:14, 97:15, 97:17, 100:14 testifying [2] - 3:14, 96:3 testimony [17] - 3:19, 4:12, 4:22, 7:10, 8:1, 9:9, 10:5, 10:9, 20:11, 22:12, 88:2, 89:15, 94:16, 101:15, 105:21, 112:5, 124:8 testing [1] - 102:7 tests [2] - 63:13, 67:11 THE [131] - 1:1, 1:15, 3:6, 3:10, 3:24, 4:7, 5:8, 5:20, 6:7, 6:20, 7:5, 7:11, 7:20, 9:10, 9:23, 10:21, 11:18, 11:21, 12:3, 12:11, 12:15, 12:18, 13:9, 14:12, 14:19, 14:22, 15:3, 15:7, 15:10, 15:16, 15:23, 16:25, 17:9, 17:18, 18:16, 19:13, 19:15, 19:16, 19:20, 20:25, 21:3, 21:8, 21:13, 21:15, 23:1, 23:12, 23:13, 23:14, 23:15, 23:17, 23:19, 26:6, 26:10, 28:4, 31:3, 31:11, 31:13, 32:4, 32:23, 32:24, 32:25, 33:3, 43:24, 43:25, 44:1, 44:3, 44:4, 44:6, 44:7, 44:17, 45:3, 45:5, 45:6, 56:19, 56:20, 58:16, 58:19,</p>	<p>65:6, 65:8, 66:7, 68:18, 71:11, 71:14, 71:21, 72:1, 87:25, 88:7, 88:9, 88:13, 89:11, 89:13, 91:13, 93:5, 93:18, 95:25, 97:20, 98:17, 98:23, 99:2, 99:8, 99:18, 99:23, 99:24, 100:1, 100:2, 100:4, 100:6, 100:7, 100:9, 105:9, 105:13, 105:20, 117:13, 127:17, 130:10, 130:11, 133:16, 133:18, 133:20, 133:22, 134:6, 135:8, 136:15, 136:19, 136:21, 136:25, 137:1, 137:4, 138:5, 138:6, 138:25 theirs [1] - 22:15 theoretically [1] - 96:24 therapy [5] - 55:16, 57:22, 83:24, 84:22, 117:5 therefor [1] - 16:12 therefore [1] - 74:16 thereto [3] - 4:22, 8:23, 10:5 Thereupon [2] - 24:1, 100:12 they've [2] - 5:25, 54:17 thinking [3] - 46:1, 54:22, 98:18 thinner [1] - 37:18 third [3] - 4:19, 107:8, 107:9 threatened [1] - 67:8 three [14] - 43:4, 43:6, 43:19, 43:22, 44:11, 50:15, 55:14, 67:15, 80:6, 84:21, 84:23, 85:2, 85:6, 85:24 thrombolytics [1] - 85:15 thrombosis [9] - 27:7, 27:11, 27:20, 73:2, 73:5, 74:3, 74:19, 75:6, 76:15 THURSDAY [1] - 3:1 Thursday [1] - 137:10 tight [1] - 101:14 tissue [1] - 58:4 tit [1] - 10:1 tit-for-tat [1] - 10:1 title [1] - 50:18 titled [1] - 18:10</p>	<p>tobacco [6] - 52:13, 52:16, 52:17, 53:8, 54:17, 54:21 today [19] - 3:15, 19:23, 20:4, 20:15, 22:16, 22:18, 22:22, 30:18, 35:25, 38:21, 54:5, 55:7, 55:16, 99:12, 101:14, 108:2, 123:20, 128:9, 132:18 toes [3] - 49:7, 59:15, 59:24 together [1] - 48:5 tomographic [1] - 63:7 tomorrow [21] - 18:21, 19:18, 20:1, 20:16, 20:24, 22:9, 22:18, 22:20, 22:22, 88:3, 88:18, 92:18, 93:4, 98:4, 137:7, 137:9, 138:3, 138:9, 138:13, 138:15, 138:19 took [2] - 9:13, 112:6 tool [1] - 63:4 top [1] - 26:19 topic [3] - 91:2, 91:19, 91:25 topics [1] - 90:9 total [1] - 103:3 totally [1] - 68:6 touching [1] - 60:1 towards [3] - 63:2, 75:20, 76:13 town [1] - 109:13 TPA [1] - 84:4 track [1] - 22:13 traditionally [1] - 130:1 training [4] - 53:24, 101:20, 116:24, 133:6 TRANSCRIPT [1] - 1:13 transition [2] - 90:9, 91:24 transport [1] - 124:12 trauma [1] - 113:5 traumatic [1] - 106:20 traveled [1] - 73:18 traveling [1] - 122:25 treat [3] - 55:5, 110:7 treated [4] - 43:17, 47:3, 133:9, 134:22 treating [3] - 26:24, 46:23, 115:23 treatment [12] - 36:5, 36:12, 42:16, 45:23,</p>	<p>55:7, 62:9, 64:18, 67:2, 73:3, 104:13, 136:10 TRIAL [1] - 1:13 trial [22] - 3:17, 8:14, 16:6, 16:11, 18:5, 18:7, 18:22, 20:3, 88:25, 89:1, 89:2, 89:9, 102:24, 103:4, 105:22, 137:11, 137:16, 137:17, 137:18, 137:22, 138:1, 138:14 tried [1] - 121:12 triple [1] - 55:16 trouble [2] - 87:3, 92:19 true [3] - 3:25, 69:6, 140:8 truly [2] - 13:24, 14:7 trunks [1] - 123:21 truth [2] - 24:4, 100:15 try [3] - 24:22, 101:15, 125:10 trying [11] - 6:21, 7:21, 11:8, 13:15, 15:14, 15:23, 31:8, 60:7, 97:1, 120:17, 138:6 tube [3] - 37:11, 63:11 tubules [1] - 64:5 Tuesday [1] - 138:16 turn [2] - 49:5, 59:22 turns [2] - 9:25, 10:3 twice [1] - 99:3 two [20] - 3:15, 5:5, 13:1, 13:9, 13:13, 14:4, 14:9, 14:18, 14:21, 18:14, 18:25, 48:5, 51:10, 58:5, 59:17, 80:25, 82:24, 84:6, 89:14, 134:5 two-page [2] - 5:5, 13:1 type [12] - 4:8, 49:25, 69:22, 101:9, 109:17, 111:19, 112:3, 113:22, 117:5, 123:10, 128:20, 128:22 types [2] - 91:8, 113:22 typical [7] - 38:3, 49:23, 50:12, 52:8, 67:22, 67:23, 109:19 typically [3] - 35:22, 56:20, 110:6</p>
T				
<p>tables [1] - 23:3 Tabor [1] - 102:1 tasks [1] - 122:22 tat [1] - 10:1 Tavaglione [3] - 140:6, 140:16, 140:17 TAVAGLIONE [1] - 1:25 teach [5] - 53:21, 54:13, 54:15, 54:16, 61:8 technical [1] - 99:10 technology [1] - 60:21 television [2] - 89:3, 137:20 temperature [1] - 49:8 ten [7] - 50:2, 66:25, 98:10, 114:21, 117:1, 118:5, 120:20 tends [1] - 107:23 term [6] - 32:10, 77:6, 112:4, 112:7, 112:20, 132:6 terminology [1] - 112:4 terms [11] - 3:22, 29:2, 32:13, 34:16, 36:12,</p>	<p>73:16, 76:19, 106:8, 128:1, 130:22, 138:14 terrain [1] - 131:5 TERRY [1] - 1:9 test [7] - 61:25, 62:1, 62:6, 62:18, 63:6, 65:3, 76:7 testified [20] - 9:6, 24:4, 61:19, 62:20, 64:17, 65:18, 69:9, 70:7, 71:8, 73:7, 74:20, 92:22, 92:25, 97:4, 100:15, 102:24, 103:4, 118:23, 131:15, 134:14 testify [19] - 3:22, 8:14, 14:25, 20:17, 24:3, 28:9, 38:21, 38:25, 39:2, 95:21, 96:4, 97:2, 97:6, 97:8, 97:12, 97:14, 97:15, 97:17, 100:14 testifying [2] - 3:14, 96:3 testimony [17] - 3:19, 4:12, 4:22, 7:10, 8:1, 9:9, 10:5, 10:9, 20:11, 22:12, 88:2, 89:15, 94:16, 101:15, 105:21, 112:5, 124:8 testing [1] - 102:7 tests [2] - 63:13, 67:11 THE [131] - 1:1, 1:15, 3:6, 3:10, 3:24, 4:7, 5:8, 5:20, 6:7, 6:20, 7:5, 7:11, 7:20, 9:10, 9:23, 10:21, 11:18, 11:21, 12:3, 12:11, 12:15, 12:18, 13:9, 14:12, 14:19, 14:22, 15:3, 15:7, 15:10, 15:16, 15:23, 16:25, 17:9, 17:18, 18:16, 19:13, 19:15, 19:16, 19:20, 20:25, 21:3, 21:8, 21:13, 21:15, 23:1, 23:12, 23:13, 23:14, 23:15, 23:17, 23:19, 26:6, 26:10, 28:4, 31:3, 31:11, 31:13, 32:4, 32:23, 32:24, 32:25, 33:3, 43:24, 43:25, 44:1, 44:3, 44:4, 44:6, 44:7, 44:17, 45:3, 45:5, 45:6, 56:19, 56:20, 58:16, 58:19,</p>	<p>65:6, 65:8, 66:7, 68:18, 71:11, 71:14, 71:21, 72:1, 87:25, 88:7, 88:9, 88:13, 89:11, 89:13, 91:13, 93:5, 93:18, 95:25, 97:20, 98:17, 98:23, 99:2, 99:8, 99:18, 99:23, 99:24, 100:1, 100:2, 100:4, 100:6, 100:7, 100:9, 105:9, 105:13, 105:20, 117:13, 127:17, 130:10, 130:11, 133:16, 133:18, 133:20, 133:22, 134:6, 135:8, 136:15, 136:19, 136:21, 136:25, 137:1, 137:4, 138:5, 138:6, 138:25 theirs [1] - 22:15 theoretically [1] - 96:24 therapy [5] - 55:16, 57:22, 83:24, 84:22, 117:5 therefor [1] - 16:12 therefore [1] - 74:16 thereto [3] - 4:22, 8:23, 10:5 Thereupon [2] - 24:1, 100:12 they've [2] - 5:25, 54:17 thinking [3] - 46:1, 54:22, 98:18 thinner [1] - 37:18 third [3] - 4:19, 107:8, 107:9 threatened [1] - 67:8 three [14] - 43:4, 43:6, 43:19, 43:22, 44:11, 50:15, 55:14, 67:15, 80:6, 84:21, 84:23, 85:2, 85:6, 85:24 thrombolytics [1] - 85:15 thrombosis [9] - 27:7, 27:11, 27:20, 73:2, 73:5, 74:3, 74:19, 75:6, 76:15 THURSDAY [1] - 3:1 Thursday [1] - 137:10 tight [1] - 101:14 tissue [1] - 58:4 tit [1] - 10:1 tit-for-tat [1] - 10:1 title [1] - 50:18 titled [1] - 18:10</p>	<p>tobacco [6] - 52:13, 52:16, 52:17, 53:8, 54:17, 54:21 today [19] - 3:15, 19:23, 20:4, 20:15, 22:16, 22:18, 22:22, 30:18, 35:25, 38:21, 54:5, 55:7, 55:16, 99:12, 101:14, 108:2, 123:20, 128:9, 132:18 toes [3] - 49:7, 59:15, 59:24 together [1] - 48:5 tomographic [1] - 63:7 tomorrow [21] - 18:21, 19:18, 20:1, 20:16, 20:24, 22:9, 22:18, 22:20, 22:22, 88:3, 88:18, 92:18, 93:4, 98:4, 137:7, 137:9, 138:3, 138:9, 138:13, 138:15, 138:19 took [2] - 9:13, 112:6 tool [1] - 63:4 top [1] - 26:19 topic [3] - 91:2, 91:19, 91:25 topics [1] - 90:9 total [1] - 103:3 totally [1] - 68:6 touching [1] - 60:1 towards [3] - 63:2, 75:20, 76:13 town [1] - 109:13 TPA [1] - 84:4 track [1] - 22:13 traditionally [1] - 130:1 training [4] - 53:24, 101:20, 116:24, 133:6 TRANSCRIPT [1] - 1:13 transition [2] - 90:9, 91:24 transport [1] - 124:12 trauma [1] - 113:5 traumatic [1] - 106:20 traveled [1] - 73:18 traveling [1] - 122:25 treat [3] - 55:5, 110:7 treated [4] - 43:17, 47:3, 133:9, 134:22 treating [3] - 26:24, 46:23, 115:23 treatment [12] - 36:5, 36:12, 42:16, 45:23,</p>	<p>55:7, 62:9, 64:18, 67:2, 73:3, 104:13, 136:10 TRIAL [1] - 1:13 trial [22] - 3:17, 8:14, 16:6, 16:11, 18:5, 18:7, 18:22, 20:3, 88:25, 89:1, 89:2, 89:9, 102:24, 103:4, 105:22, 137:11, 137:16, 137:17, 137:18, 137:22, 138:1, 138:14 tried [1] - 121:12 triple [1] - 55:16 trouble [2] - 87:3, 92:19 true [3] - 3:25, 69:6, 140:8 truly [2] - 13:24, 14:7 trunks [1] - 123:21 truth [2] - 24:4, 100:15 try [3] - 24:22, 101:15, 125:10 trying [11] - 6:21, 7:21, 11:8, 13:15, 15:14, 15:23, 31:8, 60:7, 97:1, 120:17, 138:6 tube [3] - 37:11, 63:11 tubules [1] - 64:5 Tuesday [1] - 138:16 turn [2] - 49:5, 59:22 turns [2] - 9:25, 10:3 twice [1] - 99:3 two [20] - 3:15, 5:5, 13:1, 13:9, 13:13, 14:4, 14:9, 14:18, 14:21, 18:14, 18:25, 48:5, 51:10, 58:5, 59:17, 80:25, 82:24, 84:6, 89:14, 134:5 two-page [2] - 5:5, 13:1 type [12] - 4:8, 49:25, 69:22, 101:9, 109:17, 111:19, 112:3, 113:22, 117:5, 123:10, 128:20, 128:22 types [2] - 91:8, 113:22 typical [7] - 38:3, 49:23, 50:12, 52:8, 67:22, 67:23, 109:19 typically [3] - 35:22, 56:20, 110:6</p>
U				
<p>ultimate [4] - 91:9,</p>				

<p>91:16, 91:18, 93:1 ultimately [6] - 14:2, 75:12, 89:20, 91:25, 96:8, 97:23 ultrasound [28] - 46:3, 48:4, 57:1, 57:2, 57:8, 57:12, 58:25, 66:20, 66:23, 68:10, 68:14, 68:20, 69:10, 69:13, 70:7, 72:19, 74:13, 75:5, 75:8, 75:12, 75:16, 75:17, 75:22, 75:25, 76:5, 76:16, 78:13, 78:16 unable [6] - 83:21, 123:16, 125:14, 125:16, 126:21, 131:18 unavailable [1] - 97:11 unaware [1] - 134:15 uncommon [1] - 69:24 under [5] - 27:4, 27:5, 28:22, 28:23, 50:17 undergoing [1] - 45:23 understood [2] - 17:9, 91:15 undertake [1] - 137:23 undiagnosed [1] - 73:22 uneven [1] - 131:5 unexpected [1] - 97:19 unexpectedly [2] - 131:21, 132:1 University [2] - 101:23, 101:25 unless [2] - 37:16, 124:23 unpredictable [1] - 87:1 unprofessional [1] - 132:2 unquote [1] - 62:21 unreasonable [1] - 97:19 up [49] - 5:6, 6:5, 6:13, 6:16, 10:25, 11:17, 14:14, 16:5, 16:10, 16:14, 16:18, 16:20, 20:24, 21:10, 22:1, 23:6, 25:16, 26:16, 26:17, 28:8, 29:19, 45:17, 46:19, 46:24, 51:12, 55:11, 57:18, 63:2, 68:17, 70:21, 71:22, 73:18, 76:13, 84:18, 84:20, 89:19, 95:5, 98:6, 104:14,</p>	<p>107:12, 109:21, 122:14, 122:16, 122:20, 123:23, 130:12, 131:20, 133:13, 138:10 uphill [2] - 77:21, 78:3 upper [1] - 128:5 upstairs [1] - 19:3 urgency [1] - 36:12 urgent [2] - 43:17, 47:4 urine [1] - 64:5 USB [1] - 19:14 uses [1] - 124:11 usual [1] - 83:25 utilize [1] - 10:17</p> <p style="text-align: center;">V</p> <p>vague [2] - 39:18, 71:17 various [2] - 102:15, 106:22 vascular [40] - 24:14, 24:18, 28:25, 29:7, 29:16, 32:11, 33:8, 38:7, 39:1, 46:7, 47:9, 47:14, 51:18, 51:21, 52:5, 52:11, 52:14, 52:18, 52:20, 53:2, 53:19, 53:20, 53:21, 54:1, 54:17, 54:24, 60:19, 64:20, 67:1, 67:7, 67:11, 69:11, 69:15, 69:25, 82:11, 96:18, 97:3, 97:16, 106:24, 107:4 vascularized [4] - 29:25, 32:10, 32:19, 36:16 VEGAS [1] - 3:1 Vegas [4] - 24:10, 24:17, 140:13, 140:18 vein [10] - 27:6, 27:11, 27:20, 42:17, 45:25, 63:1, 63:3, 73:18, 76:10, 76:11 veins [15] - 42:18, 42:19, 75:11, 75:13, 75:14, 76:8, 76:13, 76:18, 76:21, 76:23, 77:10, 77:11, 77:14, 77:15, 78:2 velocity [1] - 76:8 venous [18] - 28:20, 45:18, 45:23, 57:2, 58:25, 63:6, 73:2, 73:3, 73:5, 74:3, 74:13, 75:6, 75:8,</p>	<p>75:15, 76:15, 77:1, 78:7, 78:9 versus [5] - 16:19, 51:2, 59:1, 129:25, 130:1 vessel [2] - 52:24, 80:14 vessels [4] - 33:18, 53:15, 53:18, 63:8 viability [4] - 33:19, 49:18, 57:20, 79:15 viable [4] - 32:7, 35:7, 37:8, 86:22 viewing [1] - 105:18 vis [2] - 76:23 vis-a-vis [1] - 76:23 visit [6] - 2:15, 26:21, 34:18, 50:14, 66:19, 137:21 visiting [1] - 24:17 vocational [8] - 100:22, 101:20, 102:11, 103:10, 104:20, 110:5, 122:2, 133:7 vs [1] - 1:6</p> <p style="text-align: center;">W</p> <p>W-I-L-S-O-N [1] - 23:18 wait [1] - 98:3 waited [2] - 43:19, 43:22 walk [5] - 49:25, 55:4, 107:16, 108:23, 119:19 walked [2] - 50:19, 67:24 walker [5] - 107:20, 125:5, 125:6, 125:9, 125:11 walking [7] - 50:2, 50:20, 51:8, 51:10, 55:14, 55:15, 131:4 wall [2] - 53:18, 58:3 walls [2] - 125:21, 125:24 wander [1] - 55:10 wants [3] - 17:14, 91:2, 124:12 warrant [1] - 67:3 warranted [3] - 66:25, 72:21, 132:14 watch [2] - 88:25, 137:16 ways [1] - 31:4 wear [1] - 130:5 wears [1] - 108:25 weaver [1] - 8:6</p>	<p>WEAVER [42] - 1:23, 3:9, 7:18, 8:7, 11:14, 11:20, 11:22, 15:25, 17:13, 18:15, 22:24, 23:21, 24:7, 26:13, 26:15, 28:1, 28:12, 31:9, 31:16, 31:17, 32:9, 33:5, 39:20, 39:23, 44:9, 44:20, 45:12, 45:13, 56:23, 58:22, 65:11, 66:9, 68:15, 68:19, 71:19, 71:24, 72:9, 87:23, 88:12, 92:11, 93:12, 96:15 Weaver [28] - 2:4, 6:3, 7:20, 11:5, 15:24, 22:23, 23:20, 28:11, 31:15, 33:4, 44:7, 45:11, 58:21, 65:10, 66:8, 71:23, 72:8, 89:17, 89:20, 89:25, 90:16, 91:3, 92:3, 92:10, 94:4, 94:9, 94:25, 96:14 Weaver's [1] - 90:19 WEDNESDAY [1] - 1:16 Wednesday [1] - 138:17 week [2] - 6:9, 138:16 weeks [7] - 41:5, 58:8, 79:13, 82:24, 84:21, 84:24, 85:2 weigh [2] - 123:7, 123:18 weight [1] - 123:9 welcome [2] - 24:10, 138:25 whatsoever [1] - 43:9 wheel [1] - 125:6 wheelchair [21] - 107:21, 107:25, 110:1, 110:4, 110:13, 110:18, 113:25, 114:3, 114:13, 114:17, 123:13, 124:14, 124:15, 124:17, 124:19, 125:1, 125:9, 125:21, 125:22, 126:1, 126:3 wheelchairs [2] - 110:8, 126:11 wheels [1] - 125:7 whole [5] - 9:16, 9:21, 15:21, 20:10, 21:10 wholly [1] - 97:13 wide [1] - 125:23 widen [1] - 125:18</p>	<p>widened [3] - 113:25, 114:1, 125:17 widening [1] - 125:19 Wienczek [16] - 2:16, 26:21, 26:23, 27:15, 28:16, 29:3, 29:4, 31:25, 34:16, 34:23, 35:1, 43:21, 45:2, 46:23, 47:2, 83:21 Wienczek's [3] - 27:23, 36:9, 44:14 wife [4] - 1:4, 127:11, 128:24, 130:1 wiggle [1] - 59:24 WILSON [2] - 2:3, 24:2 Wilson [63] - 3:14, 5:3, 11:17, 20:17, 20:24, 22:4, 22:8, 22:25, 23:1, 23:2, 23:17, 24:8, 24:21, 26:2, 26:3, 26:16, 26:19, 27:9, 28:13, 29:23, 30:13, 31:25, 33:6, 34:14, 36:19, 38:18, 41:12, 44:21, 45:14, 47:6, 48:22, 49:13, 51:18, 56:3, 58:23, 59:7, 60:2, 60:13, 64:15, 65:12, 67:13, 68:20, 69:8, 72:4, 72:10, 72:18, 72:25, 73:6, 73:21, 74:4, 78:21, 80:11, 88:2, 88:5, 89:16, 93:23, 94:1, 94:12, 96:16, 96:22, 96:25, 97:15, 138:10 Wilson's [2] - 68:17, 89:21 wind [1] - 131:20 wish [1] - 47:23 withdraw [2] - 127:15, 127:16 withdrawn [1] - 127:18 witness [18] - 21:20, 21:23, 22:4, 22:6, 22:8, 22:23, 23:5, 24:25, 88:4, 96:3, 96:13, 96:17, 98:9, 99:13, 99:14, 105:14, 136:22, 138:7 Witness [2] - 23:11, 99:22 WITNESS [16] - 23:12, 23:14, 23:17, 32:4, 32:24, 43:25, 44:3, 44:6, 45:5, 56:20, 88:7, 99:23, 100:1,</p>
---	---	--	---	---

100:6, 130:11,
136:25
WITNESSES ^[1] - 2:2
witnesses ^[4] - 21:22,
22:13, 97:17, 133:25
word ^[3] - 30:25, 53:7,
67:25
words ^[5] - 31:6,
35:17, 55:25, 70:12,
107:2
works ^[1] - 120:18
world ^[1] - 131:23
worries ^[1] - 72:7
worry ^[1] - 64:3
worse ^[1] - 82:12
worsened ^[1] - 79:17
worsens ^[1] - 53:5
worth ^[1] - 20:4
written ^[2] - 61:24,
104:23

X

Xarelto ^[1] - 40:8

Y

year ^[4] - 18:24, 82:14,
114:14, 118:5
years ^[11] - 29:6,
34:13, 35:7, 106:7,
112:11, 114:21,
117:1, 120:9,
120:20, 126:17,
133:7
yellow ^[1] - 33:8
yes-or-no ^[1] - 90:18
yesterday ^[3] - 21:9,
21:19, 112:6
young ^[1] - 135:19
yourselves ^[2] -
88:23, 137:14

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE EIGHTH JUDICIAL DISTRICT COURT

CLARK COUNTY, NEVADA

DARELL L. MOORE and CHARLENE A.)
MOORE, individually and as)
husband and wife,)

Plaintiffs,)

vs.)

JASON LASRY, M.D.,)
individually; FREMONT EMERGENCY)
SERVICES (MANDAVIA), LTD.;)
TERRY BARTMUS, RN, APRN; and)
DOES I through X, inclusive;)
and ROE CORPORATIONS I)
through V, inclusive,)

Defendants.)

CASE NO.
A-17-766426-C
DEPT. NO. 25

REPORTER'S TRANSCRIPT OF PROCEEDINGS OF JURY TRIAL
P.M. SESSION

BEFORE THE HONORABLE KATHLEEN E. DELANEY

WEDNESDAY, FEBRUARY 5, 2020

APPEARANCES:

For the Plaintiffs:

E. BREEN ARNTZ, ESQ.
HANK HYMANSON, ESQ.
PHILIP M. HYMANSON, ESQ.

For the Defendants:

ROBERT C. McBRIDE, ESQ.
KEITH A. WEAVER, ESQ.
ALISSA BESTICK, ESQ.

REPORTED BY: DANA J. TAVAGLIONE, RPR, CCR No. 841

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESSES	PAGE
SAMUEL WILSON, M.D.	
Direct Examination by Mr. Weaver	24
JOHN JANZEN, M.D.	
Direct Examination by Mr. McBride	100
Cross-Examination by Mr. P. Hymanson	117
Redirect Examination by Mr. McBride	132
Recross-Examination by Mr. P. Hymanson	135

E X H I B I T S

JOINT EXHIBIT	MARKED	ADMITTED
113	Office visit note from Dr. Wiencek	14

1 LAS VEGAS, NEVADA, WEDNESDAY, FEBRUARY 5, 2020

2 1:41 P.M.

3 * * * * *

4

5 (Outside the presence of the jury.)

6 THE COURT: Good afternoon.

7 MR. MCBRIDE: Good afternoon, Your Honor.

8 MR. ARNTZ: Good afternoon.

9 MR. WEAVER: Good afternoon, Your Honor.

10 THE COURT: I have a housekeeping thing.

11 But I understand we have something outside the
12 presence as well.

13 MR. ARNTZ: Yeah, just real briefly,
14 Your Honor. So Dr. Wilson is going to be testifying
15 today. He's got a report that's two pages. That's
16 his initial report, and you'll recall that, before
17 trial started, we had discussions about his rebuttal
18 reports and whether he could bring those out in his
19 initial testimony. I'd like to renew that
20 objection.

21 I don't remember what your ruling was in
22 terms of whether he could testify as to the things
23 that are in his rebuttal?

24 THE COURT: I'm going to check. I still
25 haven't had a chance to see the JAVS. That's true,

1 but give me a second. Who was the proponent of the
2 motion related to that?

3 MR. ARNTZ: Let's see. Was that I think it
4 was a motion that discussed the experts being kept
5 to the opinions that are in their deposition or in
6 their reports.

7 THE COURT: Right. Which, generally, gets
8 granted as sort of a ProForma follow-the-law type of
9 issue, but give me one second. I'm looking. Give
10 me a second. There was a Motion in Limine regarding
11 personal opinions, but that's not the same thing.
12 That was granted. No expert testimony based on
13 hearsay for the experts, that was granted. Still
14 looking.

15 So it was a stipulated -- or what I'm
16 finding anyway that might be what you're talking
17 about, there was a stipulated, Stipulation and Order
18 on Motions in Limine, the fourth of which -- sorry.
19 Third of which -- nope -- fifth of which says:
20 "Experts will be precluded from offering opinions
21 not contained in their expert reports, supplements
22 thereto, and/or deposition testimony."

23 So there's that one, but that's not the one
24 you're talking about?

25 MR. ARNTZ: Well, no. See, the problem

1 with that is that the supplements amount to
2 rebuttals. So they were made as rebuttal
3 designations, and I want to have Dr. Wilson confined
4 to his expert report. We didn't depose him on
5 purpose. He's just got a two-page opinion, and so I
6 wanted to bring that up before because I want to
7 keep him confined to that report.

8 THE COURT: Well, I mean, let me see. I've
9 got the orders on motions in limine that were
10 proposed by Defendant Nurse Practitioner Bartmus.
11 I've got the orders Defendant Jason Lasry's Motion
12 in Limine, and I've got the stipulated orders.

13 So if it was one of yours, I don't know
14 that I have that order that's been provided yet. We
15 had talked about you providing it to us because the
16 others had come in. I thought I had yours.

17 MR. ARNTZ: Oh, they didn't get it over to
18 you? I put that on Mr. Hoffman's office. I thought
19 they had --

20 THE COURT: I don't have it here. I'll
21 double-check the log to see where it is. But at
22 this point in time, I can't, without having the
23 order in front of me -- that's why I kept these here
24 even though they haven't been formerly filed;
25 they've been signed -- but I've kept them here

1 because I need them for reference. But if I don't
2 have yours, I don't know.

3 Mr. Weaver.

4 MR. ARNTZ: Let me just, real briefly,
5 you'll recall that the reason why we brought it up
6 is because --

7 THE COURT: Sorry. I'm checking with my
8 clerk because it was a relief clerk who covered that
9 day, and she still, as of last week, had not posted
10 them. We've gently nudged, and if her minutes are
11 there, that would assist.

12 MR. ARNTZ: So the only reason I brought it
13 up before was because his rebuttal report went into
14 an opinion as it related to smoking is a contributing
15 factor, and that was a new opinion. So the reason I
16 brought it up before was because it was not an old
17 opinion. It wasn't even a supplement to an old
18 opinion. It was a brand new opinion.

19 (Off-record discussion with clerk.)

20 THE COURT: I understand. You believe it's
21 a new opinion, but I guess what I'm trying to get at
22 is did you formally request, or are we just dealing
23 with this the first time here? I don't
24 independently recall.

25 MR. ARNTZ: I formally requested it to

1 be -- to prevent them from going into that as the
2 rebuttal because it was a new opinion. But it was,
3 I believe, in connection with the hearing that we
4 had on all of our motions in limine.

5 THE COURT: Right. That's what I'm looking
6 for.

7 MR. ARNTZ: Right. And we had -- in fact,
8 we filed a joinder, I believe, to the one having to
9 do with experts being confined to their reports and
10 deposition testimony.

11 THE COURT: well, and that's the one I just
12 read which is, it's not a joinder. It's a
13 stipulated Motion in Limine, the one I just read
14 you. I just read it to you. It's Stipulated
15 Motion in Limine No. 5.

16 MR. ARNTZ: Did we do a stipulation after
17 that hearing?

18 MR. WEAVER: Can I just address this, and
19 maybe we can --

20 THE COURT: Of course, Mr. Weaver. Just
21 trying to make that record complete, again, in the
22 stipulated, as it's styled, signed by the counsel:
23 stipulation and Order and Motions in Limine, No. 5,
24 Fifth. "Experts will be precluded from offering
25 opinions not contained in their expert reports,

1 supplements, or deposition testimony."

2 I understand you're saying there's a report
3 here that was a rebuttal report, but it's got a new
4 opinion. So there's a different basis for it, but
5 that's the only thing I'm seeing so far.

6 Mr. Weaver.

7 MR. WEAVER: I would just say that we're
8 talking about supplemental reports, which are
9 allowed, and the law specifically provides for; and
10 more importantly than that, in the Deposition of
11 Dr. Marmureanu, I specifically asked him, "Do you
12 have in your reports all of the factual basis or
13 even all of your opinions for which you're prepared
14 to testify at trial?" And he said no. So we
15 shouldn't be at a disadvantage because we choose to
16 depose them and then they add into their deposition
17 additional opinions or factual bases.

18 If we choose to depose them to flush that
19 out so that there's not any surprise on either side,
20 we shouldn't be at a disadvantage because
21 Dr. Marmureanu said in his deposition he had more
22 opinions, additional opinions and additional factual
23 bases thereto. So I think the ruling was clear that
24 any opinions that are part of a report, supplemental
25 report, rebuttal report, of course, as both sides

1 have already talked about, there aren't going to be
2 every factual bases for the opinions in the reports
3 because there just can't be.

4 So the gist of the opinions, although
5 Dr. Marmureanu had additional and different opinions
6 added and especially that he testified to in light
7 of the fact that it appears Dr. Jacobs isn't. So I
8 don't think that there's any issue at all, given
9 Dr. Marmureanu's testimony in general.

10 THE COURT: Go ahead, Mr. Arntz.

11 MR. ARNTZ: In all fairness, they
12 absolutely should be prejudiced by it because that's
13 their choice. They took a deposition and he asked
14 as part of the questioning, "Do you have any other
15 opinions that you would like to offer?" And he said
16 "Sure." And he offered a whole bunch more. That
17 was his mistake for asking that question.

18 The deposition of an expert should be
19 confined to the report and get the opinions and the
20 bases for the opinions in that report. If you open
21 the door to a whole bunch of new stuff, that's not
22 my fault. That's their fault.

23 THE COURT: Well, okay. So I don't want
24 to -- I mean, I still have a followup question. But
25 I don't think this turns on, you know, again,

1 another sort of tit-for-tat: well, this happened
2 with this one; so that should happen with that one.
3 I think this turns on you've got a stipulated
4 Motion in Limine that says: Reports, supplements
5 thereto and/or deposition testimony is where they're
6 confined to, that would appear to allow those things
7 to be inquired about.

8 You're now indicating to me that we should
9 preclude testimony as to this what you're calling a
10 "rebuttal report." I don't know if it's really that
11 or if it's really a supplemental report because I
12 don't recall if we had a formal Motion in Limine on
13 it. If we did not and you're raising it for the
14 first time now, you know, again, I'm looking for
15 then just let's talk about what the rules would say.

16 I mean, why is this not a supplemental
17 report, and why would they not entitled to utilize
18 it?

19 MR. ARNTZ: Because it was designated as a
20 rebuttal report.

21 THE COURT: well, we've had some dialogue
22 about that in circumstances with regard whether
23 something was rebuttal or whether it was in fact
24 supplemental. You know, if it's a rebuttal report,
25 then, generally, right. You don't bring up new

1 opinions in there.

2 But if it's a supplemental, then, you, you
3 know, arguably can. So I need some more specifics.
4 When was it issued?

5 Are you arguing, Mr. Weaver, that it's a
6 supplemental report and not a rebuttal regardless of
7 how it was styled?

8 I'm just trying to understand. Let's just
9 get to the heart of it. I'm not going to decide
10 this because we did something one way in a different
11 situation. I'm going to decide because either it's
12 a supplemental and it's legitimate thing to do or
13 it's a rebuttal and it's not legitimate thing to do.

14 MR. WEAVER: What I would say to that,
15 Your Honor, is the only issue that was identified as
16 being a problem had to do with smoking. So the fact
17 that Dr. Wilson brought up that smoking --

18 THE COURT: That's the new issue in what's
19 styled as the rebuttal report?

20 MR. WEAVER: Yes, Your Honor.

21 THE COURT: Okay. Go ahead.

22 MR. WEAVER: And so all I would say to that
23 is it's supplementing his opinions about what has to
24 do with causation and what has to do with
25 Mr. Moore's general medical health anyway, which was

1 never an issue. It's in the medical records.

2 Everybody knew about it.

3 THE COURT: Well, and it's been discussed
4 in here many times.

5 But, Mr. Arntz, back to, I know it's styled
6 as a rebuttal report. If it's essentially a
7 supplemental report and you had it, when was it
8 filed and when was it served, I guess?

9 MR. ARNTZ: It was served as a rebuttal
10 report.

11 THE COURT: When?

12 MR. ARNTZ: Whenever the rebuttal deadline
13 was.

14 MR. MCBRIDE: November 6, 2019.

15 THE COURT: Thank you.

16 MR. ARNTZ: I apologize I didn't have that
17 in front of me.

18 THE COURT: It's okay. Mr. McBride did.
19 We're all good.

20 MR. ARNTZ: The gravamen of my argument
21 really has to do with keeping him within the four
22 corners of his opinion. If your determination is
23 that a discussion about smoking could come in, well,
24 then I'll cross-examine him on why he didn't mention
25 it at first. But the reality is his opinion is a

1 two-page opinion, and the bases for those opinions
2 have to be contained in his report. That's the
3 rule.

4 So to suggest that all of the bases for his
5 opinion don't have to be in the report is not what
6 the rule says. I just want to make sure he's kept
7 within the four corners of his reports because we
8 chose not to depose him for that reason.

9 THE COURT: Right. But you're saying two
10 different things, Mr. Arntz, as I'm connecting to
11 your arguments. If I'm not connecting to your
12 argument properly, I apologize, and we'll get there.
13 But what I hear you saying is two different things:
14 There is a report, it has the opinion in it. You've
15 had it since November, but you're trying to exclude
16 it because styled as a rebuttal report on the basis
17 of you shouldn't have a new opinion in a rebuttal
18 report.

19 You're not asking me to confine him to his
20 reports because if I confine him to his reports,
21 it's in one. You're asking me to preclude the use
22 of the rebuttal report; right? So that really
23 mandates me making a determination on whether or not
24 it's truly an improper new opinion or whether it's a
25 supplemental opinion that's in keeping with his

1 initial opinions, styled correctly or not, and
2 ultimately whether or not it's fair game for
3 inquiry; right?

4 MR. ARNTZ: Yeah. I'm asking for two
5 things: One, I want to have him contained to his
6 reports, and to the extent that the rebuttal report
7 is truly a rebuttal and not a new opinion, then he's
8 obviously free to discuss that as well. So my
9 points are two: One, I just want him confined to
10 his reports, and if you decide that the smoking
11 comes in, I can deal with that.

12 THE COURT: But here's where I'm losing
13 you. I'm so sorry. I just don't want this record
14 to be messed up. It's in his report, the smoking
15 opinion. So when you say "confined to his reports,"
16 you're asking me to allow him to talk about his
17 smoking opinion.

18 MR. ARNTZ: I have two objections here.

19 THE COURT: But that's not what you're
20 asking me.

21 MR. ARNTZ: I have two objections.

22 THE COURT: Okay. Hold on. Before you go
23 there, there is no doubt, I will say right now, he
24 is confined to any report that he's entitled to
25 testify about because you all stipulated to that,

1 and that's standard practice.

2 MR. ARNTZ: Okay.

3 THE COURT: The issue is one of the reports
4 is styled as a rebuttal report, but it has a new
5 opinion. You think that's improper; right?

6 MR. ARNTZ: Yes.

7 THE COURT: That's your basis for the
8 objection.

9 MR. ARNTZ: I do.

10 THE COURT: Okay. So when you keep saying,
11 over and over again, "I want him confined to his
12 reports," you're sounding like you're talking at
13 cross-purposes. I know you're not, but that's why
14 I'm trying to make this record more precise.

15 MR. ARNTZ: All right. I appreciate it.

16 THE COURT: You want him to be confined to
17 his report and potentially even his rebuttal report,
18 exclusive of what appears to be a new opinion which,
19 in your opinion, would be improper if it is in fact
20 a rebuttal report.

21 MR. ARNTZ: You just did that a whole lot
22 better than I did.

23 THE COURT: I'm just trying to get there.
24 Like I said, we'll get there. Mr. Weaver.

25 MR. WEAVER: I think the Court has

1 articulated. All I would say including is, for
2 purposes of the rebuttal, is usually the argument
3 that we get is when we do supplemental reports, it
4 should have been a rebuttal so that it would have
5 come sooner to the initial as opposed to up to
6 30 days before trial. So it's rare that we get the
7 argument that a report shouldn't have been a
8 rebuttal, that it should be supplemental. Because
9 usually what we get is, if we do a supplemental, the
10 argument is, because that can be up to 30 days
11 before trial, it should have been a rebuttal and,
12 therefor, they didn't have time to do anything about
13 it. That's No. 1.

14 No. 2 is the issue of the smoking came up
15 because they said that Mr. Moore's condition was
16 acute and our position was the smoking made it
17 chronic. So that the context of the smoking coming
18 up was, in fact, a rebuttal to the opinion that
19 their expert had about "chronic" versus "acute." So
20 that was the context of it coming up in the first
21 place.

22 MR. ARNTZ: The problem with what he just
23 said is it's not in his report. That is not in his
24 initial report. His initial report --

25 THE COURT: Thank you. Be precise.

1 "Initial report," "rebuttal report." Stop saying
2 "report" generically and I don't know which one
3 you're talking about. Please.

4 MR. ARNTZ: Okay. I will identify it. His
5 initial report dated August 19th, 2019, forms the
6 opinion that the occlusion was a chronic occlusion.
7 Nowhere in his report does he give one of the bases
8 for that conclusion as smoking.

9 THE COURT: Understood. But then he issued
10 a what's styled as "Rebuttal Report" which, if I'm
11 understanding correctly, broadens it to include
12 smoking.

13 MR. WEAVER: Right. And I would agree with
14 Mr. Arntz, if he wants to ask him questions about
15 why his report didn't have additional facts in it,
16 if that's what the issue is, then that is a separate
17 issue, but it's not an issue of preclusion.

18 THE COURT: Thank you. Again, to the
19 extent that this matter was previously heard and
20 there's some confusion right now because of lack of
21 proposed order on it or minutes reflecting it, the
22 Court is revisiting the issue now in full, and the
23 Court is going to determine that it is not going to
24 preclude questioning on the contents of the initial
25 or rebuttal report.

1 It appears to effectively be a supplemental
2 report. It is styled "Rebuttal." But I see no
3 prejudice here. It's been known. It's been
4 available, and there's been ample discussion in this
5 trial already with regard to his smoking history and
6 impacts potentially to his condition and the impacts
7 on what occurred that led to this trial. So I think
8 that there's no basis to preclude it, other than for
9 more than a form over substance because something
10 was titled "Rebuttal."

11 I understand the ask. Respectfully
12 declined, and you may inquire as to both reports.
13 But he is, of course, otherwise, confined to the
14 contents his two reports, initial and rebuttal.

15 MR. WEAVER: Thank you, Your Honor.

16 THE COURT: Okay. Thank you.

17 My housekeeping, just quick, we don't have
18 to spend a lot of time on it, but I just want to
19 plant the seed with the I.T. person here. We have
20 identified Courtroom 3F, as our location, "F" as in
21 Frank, as our location for tomorrow afternoon's
22 hearing or continuation of trial. I'm familiar very
23 much with that courtroom because it was the
24 courtroom I used for about a year.

25 But there's two issues there. One I will

1 have to arrange with my court reporters. But this
2 court reporter desk actually came from there when we
3 moved upstairs. They don't have a court reporter.
4 They use a court recorder. So we're going to have
5 to make sure that they have what they need for their
6 setup. And, also, my marshal identified the
7 potential -- and this is for the I.T. person -- that
8 there may be missing some component that would allow
9 the same equipment that we've been using or at least
10 the same connections to work.

11 Joshua, can you help me understand what it
12 is that you believe is missing from down there.

13 THE MARSHAL: Yeah. The port where they're
14 plugging into their USB to is a little different.

15 THE COURT: Okay.

16 THE MARSHAL: So I proposed to them to, if
17 they have time, to go down there with me ahead of
18 time, whether it be on a break or tomorrow earlier,
19 before session.

20 THE COURT: Yeah. We're going to -- either
21 way, I'll leave it mainly because maybe Mr. Hymanson
22 and the I.T. person here, whether you can check it
23 out today. I don't know that Judge Holthus -- it's
24 Judge Holthus, her assignment location -- whether or
25 not she's in session this afternoon. I don't believe

1 she is. I know she's in session tomorrow morning.
2 So that's not an option.

3 But prior to the start of trial or on a
4 break here today, it might be worth it. We can go
5 from the back down and do it that way, as long as
6 she's not in session. So I will communicate with my
7 JEA to check to see if there's an opportunity to go
8 this afternoon, in case folks want to. But that's
9 where we will be. And once we're there, we'll just
10 stay there for the whole afternoon to complete the
11 testimony.

12 I think that was it. I just wanted to let
13 you know that we had that location.

14 MR. MCBRIDE: And, Your Honor, just one
15 other scheduling issue for today also impacts
16 tomorrow too: The plan, we have Dr. Janzen, who is
17 here, who is also planning to testify. Dr. Wilson
18 is going to go first.

19 But we had already coordinated that if we
20 could take our break maybe around 3:45 or a break
21 around then, I told Dr. Janzen to be here before
22 then so we can get him on at 4:00; and we should be
23 able to finish him, take him out of order, and we
24 would probably finish up with Dr. Wilson tomorrow.

25 THE COURT: Okay.

1 MR. MCBRIDE: Okay. Only because he's not
2 able to come back. Janzen is not able to come back.

3 THE COURT: Yeah, let's make sure we get
4 that done. So I'll leave it to you. Just, you know
5 so you want a break about 3:45.

6 MR. MCBRIDE: About 3:45 would probably
7 work for a 15 minute break.

8 THE COURT: Okay. Sounds good. We didn't
9 really get that good 15-minute break yesterday
10 because we ended up being here the whole time.

11 All right. Are we ready to bring them in?

12 MR. MCBRIDE: I think so.

13 THE COURT: Okay.

14 (Jury enters the courtroom.)

15 THE COURT: Thank you. While the jurors
16 are finishing taking their seats, I'll invite
17 everyone else to have a seat as well.

18 Ladies and gentlemen, as we resume, I'm
19 just reminding you that we had mentioned yesterday
20 that we were going to take a witness out of order is
21 how we call it because, instead of continuing with
22 witnesses in the plaintiff's case in chief, we're
23 going to now move over and call a witness from the
24 defense side case in chief in order to accommodate
25 scheduling.

1 And what we're going to end up doing is
2 even a little more nuance than that because what's
3 going to happen is we're going to start with a
4 witness by the name of Dr. Wilson, who you'll meet
5 in a moment; and then when we break, we're going to
6 actually resume with a different witness,
7 doctor also from the defense side, finish that
8 witness, and then we'll complete Dr. Wilson
9 tomorrow.

10 Again, it all has to do with scheduling.
11 We know you're perfectly capable of following all
12 the testimony and keeping your notes, and being on
13 track with that allows us to have the witnesses when
14 we can get them and juggle and be cognizant of not
15 only your time, but theirs as well. So that's how
16 we're going to proceed today.

17 We'll also inform you, before we conclude
18 today, where we're meeting tomorrow afternoon.
19 Because of certain scheduling needs, we're going to
20 be in a different courtroom, just for tomorrow
21 afternoon. But we'll give you more information on
22 that tomorrow or later today. Sorry.

23 So ready to call your witness, Mr. Weaver?

24 MR. WEAVER: Yes, Your Honor. Samuel
25 Wilson.

1 THE COURT: Dr. Wilson, please.

2 Good afternoon, Dr. Wilson. Just come
3 straight through the center of the tables, around
4 the corner of that podium, and you'll see the
5 witness stand straight ahead of you. Just come on
6 up there, step in front of the chair, and when
7 you're ready, remain standing, and my clerk will
8 swear you in. Okay? All the way in front of the
9 chair. Just come all the way in front.

10 There you go. Here she is.

11 (Witness sworn.)

12 THE WITNESS: I do.

13 THE CLERK: Thank you. Please take a seat.

14 THE WITNESS: Thank you.

15 THE CLERK: Would you please state and
16 spell your first and last name, for the record.

17 THE WITNESS: My name is Samuel Wilson.

18 S-A-M-U-E-L, W-I-L-S-O-N.

19 THE COURT: Thank you.

20 You may proceed, Mr. Weaver.

21 MR. WEAVER: Thank you, Your Honor.

22 / / /

23 / / /

24 / / /

25 / / /

1 Thereupon --

2 SAMUEL WILSON, M.D.,
3 having been first duly sworn to testify to the
4 truth, was examined and testified as follows:

5

6 DIRECT EXAMINATION

7 BY MR. WEAVER:

8 Q. Good afternoon, Dr. Wilson.

9 A. Good afternoon.

10 Q. Welcome to Las Vegas.

11 A. Thank you.

12 Q. Are you a board certified general surgeon?

13 A. Yes.

14 Q. Are you a board certified vascular surgeon?

15 A. Yes.

16 Q. I understand one of the last times you were
17 in Las Vegas is you were a visiting professor of
18 vascular surgery at the medical school; is that
19 correct?

20 A. That was some time ago, yes.

21 Q. Okay. Dr. Wilson, we'll get to your
22 credentials later. We'll try and cut through the
23 chase here. I'm going to read a couple questions
24 that the jurors asked of Dr. Marmureanu, who you
25 understand is a plaintiff's expert witness in this

1 case?

2 A. Yes.

3 Q. The first question is, and I'd just like you
4 to respond with your answer to the question, as
5 quote: "Are there instances when an occlusion in a
6 graft dissolves or otherwise goes away without
7 medicine or surgery?"

8 A. No.

9 Q. The second question is: "Will or can blood
10 flow from collaterals demonstrate a pulse in the
11 foot?"

12 A. Yes. That can occur.

13 Q. Please explain why that is.

14 A. In patients with chronic ischemia, not
15 sufficient blood flow to the extremity, significant
16 collaterals can build up. Those are smaller
17 arteries that enlarge to go around the obstruction
18 in the major artery, and the palpation of a pulse is
19 dependent on what the blood pressure is within that
20 artery that you're palpating.

21 so that ordinarily, you can feel a pulse if
22 the blood pressure is 120; if it's 100, you could
23 probably still feel a pulse, and if it's less than
24 that, you wouldn't feel a pulse. So it depends, to
25 a large extent, on the development and presence of

1 collaterals.

2 Q. Thank you, Dr. Wilson. I'm going to ask you
3 some questions about a document from Dr. Wilson.

4 Your Honor, we've stipulated, plaintiff and
5 defendant, to Exhibit 113.

6 THE COURT: All right. We'll admit
7 Exhibit 113.

8 (Whereupon Joint Exhibit No. 113 was
9 admitted into evidence.)

10 THE COURT: And you may proceed. Just make
11 sure you give us a Bates number of any particular
12 page we're reviewing.

13 MR. WEAVER: Thank you, Your Honor. It's
14 page 9.

15 BY MR. WEAVER:

16 Q. And, Dr. Wilson, the page 9 will come up on
17 a monitor for you. It will also come up as a monitor
18 for the jury to see. And if you would first look,
19 Dr. Wilson, in the right-hand corner, the top
20 right-hand corner, and do you see that's an office
21 visit note from Dr. Wiencek?

22 A. Yes.

23 Q. And do you understand that Dr. Wiencek is
24 Mr. Moore's treating cardiovascular surgeon?

25 A. I do.

1 Q. And do you see that the date is August 28th,
2 2019?

3 A. Yes.

4 Q. And then if you would look under down a
5 little bit under the Problem List/Past Medical
6 History and Chronic, do you see "deep vein
7 thrombosis"?

8 A. Yes.

9 Q. So I'll offer to you, Dr. Wilson, that it
10 appears that whether Mr. Moore had a history of deep
11 vein thrombosis, at least as of December 25th, 2016,
12 as appears to be an issue in this case.

13 But would you agree that based on that
14 document that, as of August 28th, 2019, anyway,
15 Dr. Wiencek is listing DVT as either a past medical
16 history or a chronic history?

17 A. Yes. I agree.

18 Q. Okay. Would it indicate to you then, based
19 on your review of that document, that if the deep
20 vein thrombosis wasn't present as of December 25th,
21 2016, which is the date of the incident in this case,
22 it must have been between December 25th, 2016, and
23 Dr. Wiencek's note of August 28th, 2019?

24 MR. ARNTZ: Objection. Leading.

25 / / /

1 BY MR. WEAVER:

2 Q. Does that make sense?

3 MR. ARNTZ: Objection. Leading.

4 THE COURT: There is an objection to it
5 being leading.

6 It is a little bit too -- I know there's
7 obviously foundational questions and the things we
8 need to do to set up. But I mean, he can see the
9 record and testify to the record. I'm going to
10 sustain and see if you can ask a more open-ended
11 question, Mr. Weaver.

12 BY MR. WEAVER:

13 Q. Dr. Wilson, are you able to identify from
14 this document when the DVT occurred?

15 A. No.

16 Q. You're not critical of Dr. Wiencek for not
17 documenting when the DVT or DVTs occurred, are you?

18 A. Well, it would be very difficult to
19 actually establish when it first occurred since we
20 know that he's had venous disease since 2012.

21 Q. Okay. We'll come back to that in a moment.

22 If you would look under Chief Complaint and
23 read into the record what you see under Chief
24 Complaint.

25 A. Consultation, peripheral vascular disease.

1 Q. Okay. So what does that indicate to you in
2 terms of why it appears that Mr. Moore was consulting
3 with Dr. Wiencek on that date?

4 A. What it indicates to me is that Dr. Wiencek
5 had performed an operation on this patient
6 approximately six years previously, and he was in
7 followup for the vascular disease that he had.

8 Q. Since at least 2012?

9 A. Yes.

10 Q. And then do you see a little below that
11 where it says Assessment/Plan?

12 A. Yes.

13 Q. Would you please read the first sentence
14 into the record.

15 A. Patient is a 68-year-old gentleman with
16 peripheral vascular disease, who presents for
17 followup after a left-sided amputation.

18 Q. So would that indicate to you that Mr. Moore
19 was following-up, at least in part, because of his
20 left-sided amputation?

21 A. Yes.

22 Q. All right. And then would you read the next
23 sentence into the record, please, Dr. Wilson.

24 A. His right leg appears to be well
25 vascularized through collaterals around an

1 obstructed superficial femoral artery, SFA, in the
2 mid thigh.

3 Q. All right. So let's break that down a
4 little bit. So starting point is we're now talking,
5 in that sentence, about Mr. Moore's right leg; is
6 that correct?

7 A. Yes.

8 Q. And then we're talking about, as it pertains
9 to his right leg, we're talking about his artery.

10 Is "SFA" just another way of referring to
11 the femoral artery?

12 A. Yes.

13 Q. And generally, Dr. Wilson, is it synonymous
14 to say "SFA" or "femoral artery" or "femoral
15 popliteal artery"? Do they basically mean all the
16 same thing?

17 A. They're often used interchangeably.

18 Q. For our purposes today, is it sufficient to
19 use them interchangeably?

20 A. Yes.

21 Q. Okay. And then that document says that the
22 femoral artery in the right leg is obstructed; is
23 that correct?

24 A. Yes.

25 Q. Okay. And is "obstructed" another word for

1 "occluded" or "blocked"?

2 A. Yes.

3 THE COURT: I mean, we're not getting
4 objections there. But, again, is there ways to ask
5 the questions more along the lines of "Are there
6 other words?" And then depending on the answer
7 supplied, more information? I just a want to --
8 again, I'm trying to avoid the leading objection.

9 MR. WEAVER: Sure. May we approach,
10 Your Honor.

11 THE COURT: Yes.

12 (Bench conference.)

13 THE COURT: Appreciate the opportunity to
14 clarify. Thank you. Whenever you're ready to
15 proceed, Mr. Weaver.

16 MR. WEAVER: Thank you, Your Honor.

17 BY MR. WEAVER:

18 Q. What does "obstructed" mean?

19 A. It means that the opening in the artery,
20 the conduit, is completely blocked.

21 Q. And does it have additional synonyms or
22 additional language that it also means?

23 A. Occluded.

24 Q. So could you tell us in generally,
25 Dr. Wilson, whether what Dr. Wiencek appears to be

1 saying here is, in a nutshell, Mr. Moore has a right
2 occluded femoral artery?

3 MR. ARNTZ: Objection. Leading.

4 THE WITNESS: Yes. What he's saying is the
5 right artery is occluded, but there's sufficient
6 collateral circulation around the block; that his
7 leg is viable, and he's not going to recommend any
8 further intervention for that block.

9 BY MR. WEAVER:

10 Q. And what does the term "well vascularized"
11 mean to a vascular surgeon?

12 A. Good circulation.

13 Q. And what synonyms are there with terms of
14 "good circulation"? would one be that there is good
15 blood flow?

16 A. Yes.

17 Q. All right. So does this note indicate that
18 because of the collaterals around the femoral artery,
19 Mr. Moore's left leg is well vascularized, meaning
20 there's good blood flow?

21 MR. ARNTZ: Objection. Leading.

22 Your Honor --

23 THE COURT: Can I have --

24 THE WITNESS: What he's referring --

25 THE COURT: Hold on. There's an objection

1 pending. Can I have counsel back at the bench.

2 (Bench conference.)

3 THE COURT: The objection is sustained.

4 Please proceed, Mr. Weaver.

5 BY MR. WEAVER:

6 Q. Dr. Wilson, do you have an opinion as to
7 whether or not, based on the information that is
8 highlighted in yellow, Mr. Moore has a vascular
9 emergency in his right leg?

10 A. He does not.

11 Q. Okay. And are you able to tell us the basis
12 for your opinion?

13 A. Yes.

14 Q. And what is that, sir?

15 A. He does have a block, an occlusion, in the
16 femoral artery in the mid thigh. But over time, he's
17 developed sufficient collateral flow. The small
18 vessels that enlarge and go around the block to
19 sustain the leg's viability and to provide adequate
20 circulation for the leg.

21 Q. And are you able to tell us, based on your
22 review of the records in this case, for how long it's
23 likely, if at all, that Mr. Moore has had an occluded
24 right femoral artery?

25 A. We know that he has been occluded since

1 August 2012.

2 Q. Okay. And what is the basis for that
3 opinion?

4 A. He had an arteriogram done in August 2012.

5 Q. And what did that arteriogram show for
6 purposes of the right femoral artery?

7 A. It showed the right femoral was blocked.

8 Q. So are you able to tell us then, based on
9 that information and this note in front of you, for
10 how long Mr. Moore, if at all, has had a blocked
11 right femoral artery that the collaterals have been
12 feeding?

13 A. For at least six years.

14 Q. And, Dr. Wilson, are you able to tell us,
15 based on your review of this note, what
16 recommendation, if any, Dr. Wiencek made in terms of
17 following or dealing with this occluded right femoral
18 artery at this visit?

19 A. That he would come back in six months, and
20 he would again reevaluate the status of the
21 extremity.

22 Q. And are you able to tell us what, if any,
23 intervention Dr. Wiencek specifically recommended on
24 this date?

25 A. No intervention for the right leg.

1 Q. And do you have an opinion why Dr. Wiencek
2 did not recommend any intervention on that day for
3 the occluded right femoral artery?

4 A. Yes.

5 Q. And what is that, sir?

6 A. It was that he had a chronic problem that
7 had been present for years. His leg was viable.
8 There would be no point to doing an operation, given
9 that the circulation is adequate.

10 Q. And is it your opinion that based on what
11 you said, that the circulation is adequate, because
12 of the collaterals?

13 A. Yes.

14 Q. And what specifically, if you're able to
15 tell us or if you have an opinion, were the
16 collaterals?

17 In other words, what is the source of the
18 collaterals in the right leg if the femoral artery is
19 blocked?

20 A. Yeah, the collaterals are coming from the
21 deep femoral artery, the profunda femoris artery
22 which, very typically, supplies blood flow around a
23 block in the superficial femoral artery.

24 Q. Do you know, one way or another whether, as
25 Mr. Moore sits here today, whether the right femoral

1 artery is still occluded?

2 A. Oh, yes. It's still occluded.

3 Q. So you haven't seen any records since
4 August 28th, 2019, that would indicate that Mr. Moore
5 has received any treatment for the right femoral
6 artery occlusion?

7 A. I'm not aware of any records that would
8 show that.

9 Q. In your opinion, based on Dr. Wiencek's
10 note, did you see anything in this note that
11 constitutes if not an emergency, which you already
12 said it wasn't, but urgency in terms of treatment?

13 A. No.

14 Q. Okay. Why is that?

15 A. Well, because his leg is well perfused,
16 well vascularized by collateral flow. There's no
17 point intervening since he has adequate flow to the
18 extremity.

19 Q. We know, Dr. Wilson -- or do you have an
20 opinion whether on December 25th, 2016, the graft in
21 the femoral artery on the left was occluded?

22 A. Yes. It was occluded.

23 Q. And what does that mean, that Mr. Moore's
24 left femoral artery at the location of the graft was
25 occluded?

1 A. well, in this case, it means not only was
2 the femoral artery occluded, but the bypass graft
3 was occluded.

4 Q. And what does it mean that the bypass graft
5 was occluded?

6 A. well, it means that his leg will need to
7 have collaterals that will allow it to continue to
8 be viable in the absence of flow from the graft.

9 Q. A question about the graft, if I may. So
10 it's been described to us already that the graft is
11 actually a synthetic tube or a plastic tube or
12 Dr. Marmureanu described it as "Something God didn't
13 make." Is that a fair description?

14 A. It's "something"?

15 Q. "Something God didn't make."

16 A. Yeah, he's probably right unless you think
17 that God made the people who made the graft.

18 Q. Fair enough. Is the synthetic graft thinner
19 or smaller than the femoral artery?

20 A. It's slightly larger. A normal femoral
21 artery at that level would be 5 to 6 millimeters.
22 The graft that was used was 8 millimeters. So it's
23 a 1 to 2 millimeters larger.

24 Q. The graft is 1 to 2 millimeters larger than
25 the artery?

1 A. Right.

2 Q. So if the graft is working and blood is
3 flowing through it, is it typical that there would at
4 least be as much blood flowing through the graft as
5 there is the femoral artery?

6 A. Not quite as much because there is systemic
7 vascular disease. It's not just one artery. It's
8 the arteries even below where the graft is joined to
9 the artery below the block. So the flow will -- you
10 want the flow to be at least normal. You'd like it
11 to be over 100 cc per minute, but it might be a
12 little less.

13 Q. But is it fair to say that, absent
14 collaterals, the goal of the graft is to allow as
15 much blood flow as if it were the native femoral
16 artery?

17 A. Yes.

18 Q. Okay. Dr. Wilson, I'm going to ask you
19 another question by the juror that where we left off
20 with Dr. Marmureanu. I just want to establish
21 something. You're not here today to testify to
22 standard of care; correct?

23 A. With regard to?

24 Q. With regard to nurse practitioner or
25 Dr. Lasry, you're going to testify to issues having

1 to do with vascular issues, but you're not here to
2 testify to standard of care; correct?

3 A. Fine.

4 Q. Question No. 2. "In your opinion" -- and
5 this is a question that a juror had. "In your
6 opinion, does the standard of care mandate the
7 administration of medicine, like Heparin, if a graft
8 appears occluded or possibly occluded?"

9 A. Did I say -- did you ask me, though, if I
10 was going to comment on standard of care?

11 Q. Right.

12 A. And I said "No."

13 Q. Correct. And just so if we take out the
14 "standard of care" part and just leave in "Does there
15 need to be administration of medicine, like Heparin,
16 if a graft appears occluded or possibly occluded?"

17 MR. ARNTZ: Your Honor, I think the
18 question is vague. He's saying it's not a standard
19 of care opinion, but it's guided as one.

20 MR. WEAVER: Sure. Let me -- I'll re-ask
21 it. I'll just put it in the context of if it didn't
22 come from a juror.

23 BY MR. WEAVER:

24 Q. If a graft appears occluded, does there need
25 to be Heparin or a medication if it appears occluded?

1 A. Not necessarily.

2 Q. why is that?

3 A. well, for a chronic situation, there's no
4 acute clotting. So you would not need to give
5 Heparin. In the acute situation, you would give
6 Heparin. But recall that Mr. Moore has been taking
7 an anticoagulant for some time already. So he is
8 anticoagulated with Xarelto.

9 Q. Do you have an opinion whether, on
10 December 25th, 2016, Mr. Moore's left leg was acutely
11 ischemic?

12 A. On 12/25?

13 Q. Yes, sir.

14 A. I believe it was not acutely ischemic.

15 Q. Do you have an opinion whether on
16 December 25th, 2016, Mr. Moore's left leg was
17 chronically ischemic?

18 A. It was chronically ischemic.

19 Q. we'll come back to that in a few moments. I
20 just want to get to another question by a juror, and
21 that is do you have an opinion whether -- well, let
22 me backup.

23 Do you have an opinion whether, in light of
24 Mr. Moore's December 2012 femoral popliteal bypass
25 procedure, it was impossible for Mr. Moore to have

1 pulses in his foot on December 25th, 2016?

2 A. He had the bypass in 2012, and it had
3 subsequently clotted and had been opened, and on
4 12/25/16, I believe it had been clotted for some
5 period of time. Weeks, perhaps months.

6 Q. So do you have an opinion whether, after the
7 procedure in 2012, there could be pulses in
8 Mr. Moore's left foot?

9 A. Yes. You would expect, given a new graft,
10 that there would be pulses that could be palpated,
11 but not always. Not always.

12 Q. I want you to assume, Dr. Wilson, that
13 Dr. Marmureanu says that, after 2012, it was
14 impossible for there to be pulses in Mr. Moore's left
15 foot. Do you have an opinion whether that's
16 accurate?

17 A. That is not accurate, and I doubt that's
18 what he meant.

19 Q. I want you to assume that Dr. Marmureanu
20 also said that going into the 2012 femoral popliteal
21 artery bypass procedure, that Mr. Moore had no
22 palpable pulses and there was no blood flow heard on
23 a Doppler. Does that make sense to you?

24 A. No.

25 Q. Why not?

1 A. If no blood flow were heard on a Doppler,
2 that would very likely be an acute ischemic event.
3 If the bypass is open, you would generally expect to
4 feel pulses. It's not necessary because there may
5 be some disease in the below-knee position that
6 would prevent you from feeling pulses.

7 But ordinarily you would expect, with a
8 successful bypass, to feel pulses.

9 Q. So do you have an understanding, based on
10 your review of the records -- and we're going back to
11 August and August to November 2012 -- when the
12 occlusion in Mr. Moore's left femoral artery was
13 diagnosed?

14 A. Well, it was first suspected in July 2012,
15 when Mr. Moore had bilateral, both sides, leg pain
16 that had not been responsive to the treatment of the
17 saphenous vein on his left side. And at that point,
18 his physicians thought perhaps this is not the veins
19 or in addition to the veins; this is arterial, and
20 at that point, they got an arteriogram, and that's
21 what demonstrated occlusion, obstruction of the
22 femoral arteries. The arteriogram was done, I
23 believe, in August 20, 2012.

24 Q. So based on your review of the records, when
25 was the femoral popliteal artery surgery, bypass

1 surgery?

2 A. It was done in November 10. I'm not quite
3 sure on the day of 2012. Was done approximately
4 three months later.

5 Q. So the femoral popliteal graft procedure
6 was roughly three months after the diagnosis of the
7 occluded left femoral artery; is that correct?

8 A. That's correct.

9 Q. Did you see any evidence whatsoever that the
10 November 2012 femoral popliteal bypass surgery was
11 anything other than scheduled as an elective surgery
12 on that date?

13 A. No. It was an elective operation.

14 Q. Did you see anything in the records that
15 indicated between August of 2012, when the left
16 femoral artery was occluded and the surgery in
17 November, that it was treated as urgent if not
18 emergent?

19 A. No. He waited three months to do the
20 operation.

21 Q. Do you have an opinion as to why Dr. Wiencek
22 may have waited three months?

23 MR. ARNTZ: Objection. Speculation.

24 THE COURT: Sustained.

25 THE WITNESS: I don't know why he --

1 THE COURT: You can't answer the question.
2 I sustained the objection.

3 THE WITNESS: I'm sorry?

4 THE COURT: I sustained the question,
5 Doctor. You cannot answer the question.

6 THE WITNESS: All right.

7 THE COURT: Mr. Weaver will ask another
8 question.

9 BY MR. WEAVER:

10 Q. Did you see anything in the records that
11 indicated why there was a three month gap for the
12 surgery?

13 A. No. It appeared to be the routine process,
14 and Dr. Wiencek's office --

15 MR. ARNTZ: Objection. This is a backdoor
16 way of answering the question I just objected to.

17 THE COURT: He's allowed to ask the
18 question. The first one was a speculative question.
19 That one was not. Overruled.

20 BY MR. WEAVER:

21 Q. I'm sorry. Go ahead, Dr. Wilson.

22 A. Well, it appeared to be the progression
23 from discovery to consultation to operation, and I
24 can't tell you why they selected the day in
25 November. But, probably, they gave Mr. Moore some

1 time to think about the operation, and perhaps
2 Dr. Wiencek.

3 THE COURT: Well, now, Doctor, you are
4 speculating now.

5 THE WITNESS: I am.

6 THE COURT: I have no problem with you
7 expressing whether or not there's something in the
8 records that would indicate and from your knowledge,
9 but now you are speculating. So we'll direct the
10 jurors to disregard the final commentary that was
11 speculative. But go ahead, Mr. Weaver.

12 MR. WEAVER: Thank you.

13 BY MR. WEAVER:

14 Q. You indicated, Dr. Wilson, that based on
15 your review of the records, the diagnosis of a left
16 femoral artery occlusion appeared to have been
17 discovered when Mr. Moore was being worked up for
18 venous issues; is that correct?

19 A. That's correct.

20 Q. And what is your basis for that opinion,
21 sir?

22 A. Well, the medical record indicates that he
23 was undergoing treatment by Dr. Simon for venous
24 disease. He had a radiofrequency ablation of the
25 saphenous vein on the left side, and they were

1 thinking about doing the right side, and his
2 symptoms had persisted.

3 So they obtained a Doppler exam, ultrasound
4 exam, and that showed that the way form was dampened
5 so that it suggested obstructive disease in the
6 arteries. They then proceeded to an arteriogram,
7 and at that point, vascular surgery got involved.

8 Q. Do you have an opinion whether the
9 arteriogram in August 2012 that diagnosed the left
10 femoral occlusion also provided any information about
11 the right femoral artery?

12 A. Yes.

13 Q. And what is that opinion, sir?

14 A. It showed the right femoral had occlusion
15 too.

16 Q. And so would that indicate that if the right
17 femoral artery appeared to be occluded, based on the
18 arteriogram, that the right artery was occluded at
19 least as of 2012 up through August 28th, 2019?

20 A. Yes.

21 Q. Is there anything that you saw in your
22 records from 2012 to the present that would cause you
23 to be critical of Dr. Wiencek for not treating the
24 right femoral artery occlusion even up to this day as
25 an emergency?

1 A. No.

2 Q. And are you critical of Dr. Wiencek for not
3 having treated the left femoral artery occlusion as
4 an emergency or even urgent?

5 A. No.

6 Q. Dr. Wilson, we'll move forward from the
7 basic jury questions and into a new area.

8 Did Mr. Moore, in your opinion, have a
9 vascular emergency in his left leg on December 25th,
10 2016?

11 A. No.

12 Q. Do you understand, based on your review of
13 this case, that plaintiff's position is that
14 Mr. Moore did, in fact, have a vascular emergency in
15 his left leg on December 25th, 2016, due to acute
16 limb ischemia?

17 A. Through reading Dr. Marmureanu's
18 deposition, his opinion was that he had an acute
19 emergency, and I believe that's the support for the
20 plaintiff's position.

21 Q. And do you -- sometimes I call him "Dr. M"
22 just because I can't pronounce it. So feel free to
23 call him "Dr. M," if you wish.

24 Do you have an understanding as to the basis
25 for why Dr. Marmureanu thought that Mr. Moore's left

1 leg was acutely ischemic on December 25th, 2016?

2 A. well, I think it boiled down to the fact
3 that he felt the leg was cold and numb and also that
4 the ultrasound showed the graft to be occluded. So
5 putting those two things together, I think Dr. M
6 arrived at the conclusion that it must be an acute
7 emergency.

8 Q. Have you arrived at a different conclusion?

9 A. No.

10 Q. No. I'm asking if your opinion is different
11 than Dr. Marmureanu's on whether it was acutely
12 ischemic on December 25th?

13 A. My opinion is different, yes.

14 Q. And what is your opinion as to whether, on
15 December 25th, 2016, Mr. Moore's left leg was acutely
16 ischemic?

17 A. My opinion is that it was chronically
18 ischemic and that the examination that was done by
19 Dr. Lasry and nurse practitioner did not demonstrate
20 signs and symptoms of acute ischemia.

21 Q. So we'll get a little bit into that as we go
22 through. Dr. Wilson, do you have an opinion what the
23 general accepted medical definition is of "acute limb
24 ischemia"?

25 A. Yes.

1 Q. And what is that, sir?

2 A. Well, first of all, it would be severe pain
3 in the foot. There would be change in the color of
4 the extremity. It would be pale. If it was
5 elevated, it would turn a dusky purple color if it
6 was lowered. There would be a lack of motion,
7 particularly of the toes. There could be lack of
8 sensation. The temperature of the foot would be
9 cold. If you examined the patient, you would find
10 that the skin would be cold, discolored; and then on
11 a Doppler examination, you would not have a flow
12 signal in the arteries in the foot.

13 Q. Thank you, Dr. Wilson.

14 Do you have an opinion as to the generally
15 accepted medical definition of chronic limb ischemia
16 in the left leg?

17 A. Well, chronic limb ischemia is a condition
18 where the viability of the leg is maintained, but
19 the circulation is not completely normal because
20 there is a block in the artery, and you're depending
21 in chronic ischemia on the collateral blood flow
22 around the block.

23 In chronic ischemia, the typical symptom in
24 the extremity is claudication, that is, a cramping
25 type of pain when you would walk a certain distance,

1 which would be relieved with rest in about five to
2 ten minutes. And then if you began walking again,
3 the pain would reappear and perhaps your distance
4 would be a little shorter. That's kind of an
5 overview of what chronic ischemia is in the leg.

6 Q. Do you understand that doctor -- or do you
7 have an understanding that Dr. Marmureanu, based on
8 your review of the materials in this case, has formed
9 the opinion that on December 25th, 2016, Mr. Moore
10 had claudication?

11 A. Well, the pain as described is not entirely
12 typical of claudication because it had persisted for
13 a day or a little longer, depending on the note. It
14 seemed to be, to me, in keeping with the visit that
15 he had had approximately three days ago to the pain
16 clinic, where they described "muscle strain and
17 pain," and I think it would fit perhaps under that
18 title. Especially given the fact that in his
19 history, he related that he had walked more than
20 normal, more than his normal walking.

21 So if it had been claudication on the 25th,
22 I would have expected the pain in the calf to have
23 gone away. The fact that the pain persisted in his
24 calf supports more Dr. Lasry's definition.

25 Q. Do you have an opinion whether, by

1 definition, "claudication" means chronically ischemia
2 versus acutely ischemia?

3 A. Absolutely, yes.

4 Q. Was that opinion, sir?

5 A. That claudication is associated with
6 chronic ischemia, meaning that you have enough blood
7 flow to get along and do your -- most of your daily
8 activities. But if you exceed your walking
9 distance, you out strip your blood supply after,
10 say, one or two blocks of walking. The pain comes
11 on in your calf, and then you have to rest to allow
12 the blood supply to catch up.

13 Q. So even if on December 25th, 2016, Mr. Moore
14 had claudication, is it your opinion that that would
15 be chronic ischemia, not acute ischemia?

16 A. Yes.

17 Q. And are you able to tell the jury,
18 Dr. Wilson, based on your experience as a vascular
19 surgeon for a few decades, the commonness or lack of
20 commonness of claudication in men with peripheral
21 vascular disease?

22 A. Yes. Men over the age of 65, particularly
23 if they have a smoking habit or currently smoke or
24 diabetes, a study, for example, from the Netherlands
25 showed that approximately 10 percent of patients

1 would have symptoms of claudication, based on
2 occlusion of the superficial femoral artery.

3 Q. So do you have an opinion, one way or the
4 other, whether it's fairly common for men over the
5 age of 65, who have peripheral vascular disease, to
6 have claudication, that they may not even know that
7 they have an occluded femoral artery?

8 A. That can be a typical presentation, yes.

9 Q. And without specifically talking about
10 Mr. Moore, how does smoking or not smoking factor
11 into persons with peripheral vascular disease?

12 Do you have an opinion on that?

13 A. Well, yes. Tobacco is an important cause
14 of atherosclerotic vascular disease, and I think the
15 most important part in management is to have the
16 patient abstain from tobacco use.

17 Q. How does tobacco factor into peripheral
18 vascular disease, if you have an opinion?

19 A. Well, it's the most important cause of
20 peripheral vascular disease.

21 Q. Why?

22 A. Now that's a very good question. But it's
23 probably the effect of nicotine on the endothelium.
24 That's the inner lining of the blood vessel.

25 Q. Do you have an opinion on whether continued

1 smoking contributes to the natural progression of
2 peripheral vascular disease in most patients?

3 A. Yes. It's hard to hold the current
4 situation if the patient continues to smoke. It
5 worsens the disease.

6 Q. Why? Are you able to tell us
7 pathophysiologically, or whatever the word is that I
8 can't pronounce, what it is about tobacco that
9 furthers the progression of the disease?

10 Do you have an opinion on that?

11 A. It's the nicotine that enters the
12 bloodstream, and in some way, has a deleterious
13 effect on the lining, the single-cell lining called
14 the "endothelium" on the inside of your blood
15 vessels, whether it's an inflammatory reaction is
16 not clear. But it accelerates the progression of
17 atherosclerosis, the fatty deposits that you get in
18 the wall of the blood vessels.

19 Q. Is it fair to say that you, as a vascular
20 surgeon and as a vascular surgeon and a professor of
21 vascular surgery, do you teach residents?

22 A. I do.

23 Q. And what are "residents"?

24 A. Surgeons in training.

25 Q. So they're already physicians and then

1 they're specializing in vascular surgery; is that
2 correct?

3 A. Yes.

4 Q. And what's a "fellow"?

5 A. Today, that's just about the same thing. A
6 "fellow" really is a resident. There's some, a fine
7 discrimination based on whether the salary of the
8 resident is supported by Medicare, payments to the
9 hospital, or whether it's supported by other funds.
10 So a fellow generally doesn't have Medicare support
11 for the position. But actually they're used
12 interchangeably.

13 Q. Fair enough. So do you teach fellows and
14 residents -- we'll just say "residents" since they're
15 interchangeable. Do you teach your residents to
16 teach and encourage their patients to abstain from
17 tobacco if they've got peripheral vascular disease?

18 A. Absolutely. It's the No. 1 thing you can
19 do.

20 Q. And if a patient who is a smoker, even for
21 decades, if they abstain from tobacco, is the general
22 medical thinking, if you have an opinion on this,
23 that it increases the chances that their peripheral
24 vascular disease might not progress?

25 A. Yes.

1 Q. Okay. And why is that?

2 A. Well, you've taken away the noxious insult
3 to the artery. It's not the only thing. You then
4 encourage the patient to walk as much as they can.
5 You treat high blood pressure. You treat cholesterol
6 elevation and any kidney disease. So it all --
7 today, the first-line treatment is medical treatment
8 for a period of time to see if the patient's
9 symptoms will get better so that -- and I don't want
10 to wander here.

11 But I tell my patients that if they give up
12 smoking, take on an exercise program, manage their
13 blood pressure and their cholesterol that, within
14 three months, their walking distance will double and
15 that within six months, their walking distance will
16 triple. So today that's first-line therapy as
17 conservative -- we call it "conservative
18 management."

19 Q. Is what you just described to us
20 conservative management, medical management for
21 chronic limb ischemia?

22 A. Yes.

23 Q. And do you hold an opinion, one way or
24 another whether, as of December 25th, 2016, that was
25 what Mr. Moore had, in other words, chronic limb

1 ischemia?

2 A. He had chronic limb ischemia, yes.

3 Q. Dr. Wilson, did you see in your review of
4 the materials that on December 25th, 2016, Nurse
5 Practitioner Bartmus and Dr. Lasry diagnosed
6 Mr. Moore with musculoskeletal strain?

7 A. I saw that.

8 Q. Do you agree with that?

9 A. I think so. I did not examine Mr. Moore at
10 the time, and so I'm relying on the medical records,
11 and it would appear to have been a reasonable
12 diagnosis.

13 Q. Even if they -- even if they got that
14 diagnosis wrong, which has been alleged here, even if
15 it was in fact claudication, the claudication doesn't
16 convert it from other than being chronic limb
17 ischemia; is that fair?

18 MR. ARNTZ: Objection. Leading.

19 THE COURT: Overruled. You may answer.

20 THE WITNESS: Claudication is typically
21 associated with chronic limb ischemia, not with
22 acute limb ischemia.

23 BY MR. WEAVER:

24 Q. And that your opinion in this case as well?

25 A. Yes.

1 Q. On December 25th, 2016, by ultrasound, by
2 venous ultrasound, was there an occlusion of the left
3 femoral popliteal graft?

4 A. Yes.

5 Q. Is there any doubt in your mind about that?

6 A. No.

7 Q. Okay. So you don't dispute that the
8 ultrasound of Mr. Moore's left leg showed occlusion
9 of the femoral popliteal graft; correct?

10 A. Correct.

11 Q. Does the fact that Mr. Moore's left femoral
12 popliteal graft, by ultrasound, was occluded, does
13 that convert Mr. Moore's chronic limb ischemia, in
14 your opinion, one way or another, to critical limb
15 ischemia or acute limb ischemia?

16 A. Not necessarily, no.

17 Q. Why not?

18 A. Well, he will have built up sufficient
19 collaterals that allow the leg to be maintained, to
20 have viability and not be in a situation of acute
21 ischemia. The graft was probably occluded for some
22 period of time based on the fact that lytic therapy,
23 which it worked in the past, did not work this time,
24 did not dissolve the clot, which means the clot was
25 pretty advanced, had entered what I would call a

1 "rubbery stage" and just didn't respond.

2 Often, as time goes by, the graft becomes
3 attached to the wall of the artery, and you get
4 ingrowth of tissue which fixes it. So based on
5 that, and given that they had been successful on two
6 previous occasions, I think on this occasion, the
7 graft -- the clot had to have been present there for
8 weeks to months.

9 Q. So am I understanding you correctly that
10 even if Mr. Moore had chronic limb ischemia when he
11 came into the emergency department on December 25th,
12 2016, the fact that he had an occluded left femoral
13 popliteal artery did not convert the chronic limb
14 ischemia to acute limb ischemia?

15 MR. ARNTZ: Objection. Leading.

16 THE COURT: Can I have counsel at the
17 bench, please.

18 (Bench conference.)

19 THE COURT: All right. That objection is
20 overruled. You need to re-ask the question, but
21 with the understanding, Mr. Weaver, that we have.

22 BY MR. WEAVER:

23 Q. Dr. Wilson, have you formed an opinion, one
24 way or another whether, based on Mr. Moore's
25 presentation and the Doppler venous ultrasound on

1 December 25th, 2016, Mr. Moore had acute versus
2 chronic limb ischemia?

3 A. I have.

4 Q. And what is that, sir?

5 A. I believe the chronic limb ischemia on
6 December 25, 2016.

7 Q. Dr. Wilson, do you have an opinion whether
8 there is a gold standard way to diagnosis acute limb
9 ischemia?

10 A. Well, it would be on the patient's history.
11 When did it develop, and did it develop relatively
12 suddenly? It would be on whether or not he's
13 feeling severe pain, whether he's noticed
14 discoloration, lack of movement, particularly of the
15 toes, and that is the extremity cold; and he,
16 Mr. Moore, knew that because he actually had acute
17 ischemia on two prior occasions.

18 The examination is important in arriving at
19 the diagnosis. You would have a foot that would be
20 ice cold skin that was discolored. If he elevated
21 the foot, it would blanch out. If you dropped it
22 down, it would turn purple. If you listen with a
23 Doppler, there would be no flow signal in the distal
24 arteries. He would not be able to wiggle his toes,
25 and he would not detect a sensation to pinprick or

1 even to just a cotton swab touching the skin.

2 Q. Thank you, Dr. Wilson.

3 Do you have an opinion whether what you've
4 just described to the jury includes what's called a
5 "clinical evaluation and a physical exam and
6 assessment"?

7 A. Yes. That's a summary of what I was trying
8 to say, yes.

9 Q. And do you have an opinion whether that's
10 frequently referred to as the Five Ps?

11 A. Yes, it is.

12 Q. And I'll represent to you or I'd like for
13 you to assume, Dr. Wilson, that Dr. Marmureanu
14 referred to the Five Ps, and I'm going to quote him
15 exactly as "old medicine practiced by old doctors."

16 Do you have an opinion whether that's a fair
17 assessment? I'm sure no slight was intended.

18 A. Well, I sort of feel personal about that.
19 No, it's not. It's the basics of vascular
20 examination, examination by anyone. Your general
21 practitioner, your internist. You know, technology
22 has advanced, but we still use the history and
23 physical examination. It's the most important
24 thing.

25 Q. Do you have an opinion, one way or another,

1 whether there is a better substitute for the Five Ps
2 than that being practiced by old doctors practicing
3 old medicine?

4 A. That hurts.

5 Q. well, let me ask it this way.

6 A. No. That's still the basis of your
7 investigation.

8 Q. And is that what you teach your residents to
9 use, the Five Ps in the assessment which you've
10 identified includes clinical evaluation, physical
11 exam, and assessment?

12 A. Yes. Absolutely.

13 Q. Do you have an opinion whether or not if you
14 didn't do the Five Ps, how you would reach a
15 diagnosis of acute limb ischemia?

16 A. well, if you didn't, you wouldn't reach the
17 diagnosis if you didn't examine the skin and so on.

18 Q. I want you to assume that Dr. Marmureanu has
19 testified that, in 2020, the way to do a diagnosis of
20 acute limb ischemia is through an arteriogram. So I
21 want you to -- well, go ahead.

22 Do you have an opinion whether you agree
23 with that or not?

24 A. No, I disagree. And I've written the
25 articles that establish CT as the diagnostic test.

1 The diagnostic test is done where you need
2 confirmation of your original diagnosis, but most
3 importantly, to see if there's something corrective
4 that you can do, whether it's giving a clot
5 dissolution enzyme or surgical intervention. So a
6 CT is not your first test, no.

7 Q. So do you have an opinion on whether what
8 you've just identified is talking about how to guide
9 treatment once the diagnosis is made?

10 A. Yes. That's a very important part of the
11 arteriogram.

12 Q. If you don't diagnose acute limb ischemia
13 first by physical exam and assessment of the Five Ps,
14 how would you know to do an arteriogram or CT
15 angiogram?

16 A. Well, you wouldn't know, and if you did it
17 without a good indication, you would be risking
18 complications in the patient from the test.

19 Q. Well, I would like you to assume that, on
20 that point, Dr. Marmureanu has testified that when it
21 comes to CT angiogram, all you do is, quote-unquote,
22 "squirt a little dye." Are there --

23 well, first of all, do you agree it's that
24 simple?

25 A. No. It's 200 cc of intravenous contrast

1 that's injected into a central vein. So you have to
2 introduce a catheter to go up towards the central
3 vein. It's injected using a power injector, which
4 is a automatic tool. It injects at 200 cc rapidly,
5 and you have to use advance computer scanning to
6 detect. Since there's less dye, it's venous test,
7 you have to use a special computer, tomographic CT,
8 to magnify the contrast in the blood vessels.

9 An arteriogram is where you inject the
10 contrast through a incision where you introduce a
11 tube into the femoral artery in the groin, then
12 inject the dye directly into the artery. So they're
13 a little bit different tests. They do show you
14 roughly the same information. A CT is often done as
15 an outpatient. Femoral arteriogram is more often
16 done as an inpatient.

17 Q. And so do you have an opinion, one way or
18 another whether, in addition to what you've described
19 of doing a CT angiogram and arteriogram, that there
20 are risks to certain patient populations by doing
21 CT angiograms with contrast or with iodine?

22 A. Yes. There are risks.

23 Q. And what risks or what patient group would
24 potentially be at risk, particularly if they were
25 repeated CT angiograms to check whether there is

1 acute limb ischemia if, in fact, according to
2 Dr. Marmureanu, that's the way to go?

3 A. Well, the major worry is kidney damage
4 because the contrast is known to cause damage to
5 the, you know, tubules that filter the urine; and
6 generally, you would not want to do that in a
7 patient with any sign of kidney problems or anyone
8 with diabetes, you would not do that. You could do
9 it with preparation of a patient, giving intravenous
10 fluids for several hours before, holding a patient
11 to make sure you've got a good liter of fluid into
12 the system to dilute the contrast.

13 The other second big complication is
14 allergy to the contrast.

15 Q. Thank you, Dr. Wilson.

16 I want you to assume that Dr. Marmureanu
17 has also testified that when it comes to the
18 diagnosis and treatment of acute limb ischemia, there
19 is one standard for everybody whether you're an
20 emergency medicine physician, vascular surgeon,
21 inpatient, outpatient. So I want you to hold that
22 assumption for a moment.

23 Do you have an opinion, one way or another,
24 whether practitioners in the community, as opposed to
25 the emergency department, in order to diagnose acute

1 limb ischemia by CT angiogram, would then have to
2 send patients, for example, to the emergency
3 department for that test?

4 MR. ARNTZ: Your Honor, can we approach for
5 just a minute.

6 THE COURT: Sure.

7 (Bench conference.)

8 THE COURT: All right. Thank you for the
9 opportunity to clarify a couple of things.

10 Mr. Weaver, whenever you're ready.

11 BY MR. WEAVER:

12 Q. Dr. Wilson, I want you to assume a
13 hypothetical, and I want you to assume, for purposes
14 of the hypothetical, that Dr. Marmureanu is correct
15 that the standard of care to diagnose acute limb
16 ischemia is CT angiogram or arteriogram.

17 If, to further the hypothetical,
18 Dr. Marmureanu has testified that it's one standard
19 of care whether it's an inpatient provider in the
20 emergency department or an outpatient provider, for
21 example, in a clinic or an office, do you have an
22 opinion whether that would indicate that outpatient
23 providers would need to send the patient somewhere
24 for the CT angiogram or arteriogram?

25 A. If they're diagnosed acute ischemia, yes.

1 Q. Do you have an opinion, one way or another,
2 based on your review of the materials in this case,
3 that Nurse Practitioner Bartmus and Dr. Lasry
4 accepted, during their evaluation of Mr. Moore, that
5 the femoral popliteal artery graft was occluded?

6 MR. ARNTZ: Object. Lacks foundation.

7 THE COURT: I'm not sure you laid the
8 foundation for this one, Mr. Weaver.

9 BY MR. WEAVER:

10 Q. Sure. Have you reviewed the depositions
11 Nurse Practitioner Bartmus and Dr. Lasry?

12 A. I have.

13 Q. And have you reviewed the medical records in
14 this case?

15 A. I have.

16 Q. And have you formed an opinion whether
17 Nurse Practitioner Bartmus and Dr. Lasry accepted
18 during the December 25th, 2016, emergency department
19 visit that the graft was occluded based on the
20 ultrasound?

21 A. Yes.

22 Q. Do you have an opinion whether the finding
23 of the occlusion on the ultrasound, combined with
24 Mr. Moore's past medical history and his present
25 complaint of seven-out-of-ten calf pain warranted a

1 CT angiogram and a call to a vascular surgeon for
2 emergency treatment?

3 A. No. It did not warrant it at that time.

4 Q. And why not?

5 A. Because chronic ischemia would be expected
6 in the condition that Mr. Moore had. It would only
7 require vascular surgery consultation if it was an
8 acute event that threatened the life of his
9 extremity such that an intervention on an emergency
10 basis was needed. In that case, you would call for
11 a vascular surgeon. You would obtain imaging tests,
12 and that would be the process for acute ischemia.

13 Q. Have you formed the opinion, Dr. Wilson, one
14 way or another, whether those circumstances that you
15 just articulated were present on three days later, on
16 December 28th, 2016?

17 A. Yes. Absolutely. They were present.

18 Q. I'm sorry?

19 A. Those circumstances signifying acute
20 ischemia were definitely present on the 28th.

21 Q. Why is that?

22 A. The presentation was a typical -- it was
23 very typical. When he left the emergency room on
24 the 25th, he walked out. He said his pain, he felt
25 relieved, I think is the word he used, that his pain

1 had subsided or was gone and that he felt okay
2 between the 25th and the morning of the 28th, when
3 he awoke and had severe pain and went to see his, I
4 believe it was his neurologist who, very quickly,
5 diagnosed acute ischemia and had him taken over to
6 the emergency room of the hospital. Totally
7 different presentation.

8 Q. Okay. I want you to tell us whether or not
9 you had reviewed the, as part your review of the
10 materials, whether you've reviewed the ultrasound on
11 December 25th, 2016?

12 A. Just the report. I've looked at the
13 images, but I'm not an expert. I'm no longer an
14 expert on ultrasound images. But I read the report.

15 MR. WEAVER: Exhibit 100, which is admitted
16 into evidence, Your Honor, Bates 1411, we would ask
17 be put up for Dr. Wilson's review.

18 THE COURT: Okay.

19 BY MR. WEAVER:

20 Q. Dr. Wilson, is this the ultrasound that
21 you've seen as part of your review of materials in
22 this case?

23 A. Yes.

24 Q. Okay. So you have seen this document
25 before; is that fair?

1 A. I have seen this document before.

2 Q. And do you see in this document where it
3 says "The femoral popliteal artery graft appears
4 occluded"?

5 A. Yes.

6 Q. And you accept that to be correct; true?

7 A. Yes.

8 Q. Okay. I want you to assume, Dr. Wilson,
9 that Dr. Marmureanu has testified that this
10 ultrasound finding in Mr. Moore's case, standing
11 alone, was a vascular emergency.

12 Do you have an opinion, one way or another,
13 whether this ultrasound report, combined with
14 Mr. Moore's history and presentation on that day,
15 constituted a vascular emergency?

16 A. I don't think so.

17 Q. And what are all the reasons you don't think
18 so?

19 A. Well, first of all, the presentation is not
20 that in my reading of the record of acute ischemia.
21 Secondly, you could certainly have an occluded
22 graft, particularly a poly type of fluoroethylene
23 plastic graft without having acute ischemia. It's
24 not uncommon. So this in itself, given the
25 chronicity of vascular disease, the multiple

1 procedures he had in the past would not suggest to
2 me that he had acute ischemia.

3 You have to take in the presentation, this
4 information is helpful. But, to me, it just
5 confirms that he's had chronic arterial disease.

6 Q. I want you to assume that Dr. Marmureanu
7 testified that taking into account the ultrasound,
8 Mr. Moore's past medical history, and his
9 presentation December 25th, 2016, quote: "If you
10 would be in a submarine, you would see a red light
11 and a sound. This cannot be more of an emergency,
12 those six words here represent flags, alarms, red
13 lights all over." Do you agree with that?

14 A. Well, it certainly is picturesque language,
15 but it's not how one would react to receiving this
16 report.

17 Q. How would one react with receiving that
18 report?

19 A. I would go back and examine the patient
20 again and see that my first examination is accurate,
21 and I would suggest that the patient follow-up with
22 a surgeon because the surgeon would likely want to
23 know that the graft was occluded.

24 Q. So before we get into your credentials,
25 which I know we still haven't, based on these

1 questions that I've asked you so far, do you believe
2 that you're competent to offer the opinions that
3 you've offered so far?

4 A. I do.

5 Q. Do you believe that you are qualified and
6 competent to disagree with my telling you,
7 hypothetically at least, what Dr. Marmureanu has
8 testified to so far that you've disagreed with?

9 A. I disagree with the conclusions --

10 MR. ARNTZ: Let me just make an objection.

11 THE COURT: Hold on, hold on, hold on.

12 MR. ARNTZ: I didn't understand the
13 question.

14 THE COURT: I didn't hear the answer.
15 We're going to have to figure out where we're going.

16 But what was the objection?

17 MR. ARNTZ: It was vague. I didn't even
18 understand the question.

19 MR. WEAVER: Sure. Fair enough. I'll
20 re-ask it.

21 THE COURT: The doctor's already answered.
22 But let's just clean up the record and have you
23 re-ask, Mr. Weaver.

24 MR. WEAVER: Because I missed the answer
25 anyway.

1 THE COURT: And so did I. Sustain the
2 objection.

3 And I know so far it's gone well,
4 Dr. Wilson, but just kind of give a little beat.
5 So just in case there's an objection, we can get it
6 heard before you start answering, and then we don't
7 talk over each other. But no worries. We'll get
8 there. Go ahead, Mr. Weaver.

9 BY MR. WEAVER:

10 Q. Dr. Wilson, do you feel competent and
11 qualified to disagree with Dr. Marmureanu's opinions?

12 A. I do.

13 Q. And why is that?

14 A. Well, first of all, I'm relying on the
15 medical record, and reading the medical record, it
16 does not describe to me a situation of acute
17 ischemia.

18 Q. Dr. Wilson, we'll talk some more about the
19 ultrasound in a moment. But based on your review of
20 the materials in this case, do you have an opinion,
21 one way or another, whether it was warranted for
22 Nurse Practitioner Bartmus and Dr. Lasry to be
23 concerned on December 25th about a DVT?

24 A. Yes. I think that was appropriate.

25 Q. And why is that, Dr. Wilson?

1 A. well, first of all, he had calf pain, which
2 is a classic symptom of deep venous thrombosis.
3 Secondly, he had had treatment for venous disease in
4 the past. So it was reasonable to evaluate him for
5 the presence of deep venous thrombosis.

6 Q. I want you to assume, Dr. Wilson,
7 hypothetically, that Mr. Moore has testified in his
8 deposition that he was diagnosed one or more times
9 with a blood clot in his lung.

10 Do you accept that hypothetical?

11 A. Yes.

12 Q. In fact, in your review of Mr. Moore's
13 deposition, do you recall that?

14 A. I believe I do, yes.

15 Q. And what is a blood clot in the lung?

16 A. well, in medical terms, it's a pulmonary
17 embolus, which means that a blood clot has broken
18 off from the vein, traveled up, and lodged into your
19 lungs and prevents blood circulating through the
20 lungs to allow you to get sufficient oxygen.

21 Q. Do you have an opinion, Dr. Wilson, whether
22 a potential risk of an undiagnosed DVT is that it may
23 become a pulmonary embolism?

24 A. Yes.

25 Q. Okay. And what's your opinion in that

1 regard?

2 A. Well, it commonly will occur with deep
3 venous thrombosis, yes.

4 Q. And do you have an opinion, Dr. Wilson, as
5 to whether there is a potential risk of death if that
6 does occur?

7 A. Yes.

8 Q. And what is that opinion?

9 A. Well, there are estimates of between 250
10 and 500,000 deaths annually due to pulmonary emboli
11 such that it's been a major healthcare concern.

12 Q. And do you have an opinion whether if a
13 primary reason the venous ultrasound was ordered was
14 to detect whether or not a DVT was present?

15 A. Yes.

16 Q. Do you have an opinion, therefore, that it
17 was appropriate in this case?

18 A. I think so. Given the history of pain in
19 the calf, past history of thrombosis, yes.

20 Q. Dr. Marmureanu has testified, I want you to
21 assume that even if there wasn't a past history of
22 DVT, that it would have been appropriate to do so in
23 this case. Do you agree with his opinion?

24 A. That it would have been appropriate to do
25 it even if there wasn't a history, is that the

1 question.

2 Q. Yes, sir.

3 A. Yes.

4 Q. And have you formed an opinion whether the
5 ultrasound identifies the presence or absence of DVT?

6 A. It did not show deep venous thrombosis.

7 Q. Are you able to explain to the jury why a
8 DVT is diagnosed by venous ultrasound, if it is, as
9 opposed to an arterial clot?

10 A. Well, the difference is the clot is in the
11 veins and obstructs the return of blood flow
12 ultimately to the heart. So the ultrasound will
13 examine blood flow in the veins and see if there's a
14 clot within the veins.

15 Q. Do you have an opinion as to why a venous
16 ultrasound would be done for a DVT but an arterial
17 ultrasound isn't done to detect a blood clot in the
18 arteries?

19 A. Well, in this case, I think the suspicion
20 was directed towards a DVT. Now, if the question
21 that you ask is why didn't they also do an arterial
22 ultrasound, I don't know is the answer.

23 Q. Can a DVT be identified by physical exam?

24 A. Sometimes, yes.

25 Q. Is the gold standard to do an ultrasound for

1 it?

2 A. Yes.

3 Q. We'll talk a little bit more about that in a
4 little bit. But are there additional findings on the
5 ultrasound besides that there was not a DVT?

6 A. Yes. He found that there -- the standard
7 part of the test is to compress the calf and see if
8 that changes the velocity of blood in the veins. He
9 did find that there was a normal compressibility of
10 the vein, meaning that there was no clot filling the
11 vein. If there was, you couldn't compress it. That
12 augmentation by squeezing the calf, you could shoot
13 the blood faster up the veins towards the heart, and
14 I think those led him to believe there was no deep
15 venous thrombosis in the left leg.

16 Q. Does the ultrasound indicate, one way or
17 another, whether there was a sufficiency of blood
18 flow in the veins?

19 A. Well, he doesn't use those terms, but
20 reading the findings, it suggests there was normal
21 blood flow in the veins.

22 Q. What is the significance of normal blood
23 flow in the veins, if any, vis-a-vis, arterial blood
24 flow?

25 A. Well, it suggests that you have to have

1 adequate arterial inflow in order to get venous
2 outflow. So it's a secondary finding that arterial
3 inflow was, at that point, satisfactory.

4 Q. Why do you have to have sufficient arterial
5 inflow? Does that mean blood flow through the
6 arteries into the leg? Is that what that term means?

7 A. Yes.

8 Q. Why do you have to have sufficient blood
9 flow into the artery in order for there to be
10 sufficient blood flow in the veins out of the leg?

11 A. Well, the purpose of the veins is to return
12 blood flow from the arteries to the heart. So if
13 you don't have sufficient blood flow, there will be
14 static flow or no flow in the veins, and often that
15 leads to clotting in the veins. So in order to have
16 satisfactory -- I mean, I think it's elementary. In
17 order to have satisfactory outflow, you have to have
18 satisfactory inflow.

19 Q. Do you have an opinion, one way or another,
20 whether it's easier for blood to flow downhill than
21 uphill?

22 A. Yes.

23 Q. And what is that opinion?

24 A. That it's easier for most fluids to go
25 downhill.

1 Q. Do you have an opinion, one way or another,
2 whether when the veins are returning the blood to the
3 heart, it has to pump the blood uphill or against
4 gravity?

5 A. Yes.

6 Q. Okay. If there was insufficient blood flow
7 going down the arteries to cause the venous flow to
8 return to the heart, did you just say that there is
9 the potential that the venous flow would backup and
10 clot?

11 A. Yes. That's correct.

12 Q. And you don't see any evidence of that on
13 this ultrasound; is that correct?

14 A. No.

15 Q. And I think you said earlier, maybe where we
16 kicked off, that the ultrasound also shows the
17 femoral popliteal occlusion obviously; is that
18 correct?

19 A. Yes.

20 Q. Do you hold an opinion, one way or another,
21 Dr. Wilson, whether anything that Nurse Practitioner
22 Bartmus and Dr. Lasry did or didn't do caused
23 Mr. Moore's left leg to be amputated?

24 A. My opinion is that what they did did not
25 cause his leg to be amputated.

1 Q. And do you hold that opinion and all the
2 opinions, so far that you've told the jury, to a
3 reasonable degree of medical probability?

4 A. Yes.

5 Q. And why is it that you hold the opinion that
6 there was nothing Ms. Bartmus or Dr. Lasry did or
7 didn't do that caused Mr. Moore's left leg
8 amputation, to a reasonable degree of medical
9 probability?

10 A. I believe, at the time they saw Mr. Moore,
11 as their medical record states, I believe that he
12 had a chronic condition that had been present for
13 some weeks to months and that when he left the
14 hospital emergency room, he had satisfactory
15 circulation to ensure viability of the leg; and on
16 December 28th, an event occurred which rather
17 suddenly worsened his symptoms and result -- and led
18 to acute ischemia.

19 Q. we'll get into --

20 A. That's my summary.

21 Q. Thank you. Thank you for the summary.
22 we'll get into it in more detail in a little bit.

23 So it sounds like what you're saying is that
24 on December 28th, Mr. Moore had acute limb ischemia
25 when he presented to the emergency department, but it

1 wasn't diagnosable to that time.

2 Do you hold that opinion?

3 A. Yes.

4 Q. Do you have an opinion whether on
5 December 25th, 2016, it was predictable, while
6 Mr. Moore was in the emergency department, that three
7 days later, on December 28th, he would have
8 diagnosable acute limb ischemia?

9 A. I don't think you could predict when that
10 would occur.

11 Q. Why is that, Dr. Wilson?

12 A. Well, the acute ischemia developed because
13 the major, I believe, the major collateral blood
14 vessel supplying the blood to his leg, going around
15 the graft was occluded when the arteriogram was done
16 on the 28th. That cut off the only supply of blood,
17 major supply of blood to his leg. The profunda
18 femoris had clots in it, and I think that's why he
19 presented with such a obvious condition on the 28th.

20 Q. So is what you just said that on
21 December 28th, he acutely or suddenly lost blood flow
22 through his profunda?

23 A. Yes.

24 Q. And what is the profunda artery?

25 A. There are two major arteries that supply

1 the leg, beginning at the level of the groin. Their
2 the superficial femoral artery and then the deeper
3 femoral artery which runs in the muscles.

4 Q. I'm sorry. I missed that. That runs in the
5 what?

6 A. In the muscles. It's mostly in the muscle.
7 So that when the superficial occludes, the profunda
8 takes off, takes over.

9 Q. Is the profunda a collateral?

10 A. Yes.

11 Q. So that's one of the things that you were
12 referring to earlier when you talked about
13 collaterals?

14 A. Yes.

15 Q. In addition to the profunda, were there
16 other collaterals Mr. Moore had as of say
17 December 25th, 2016?

18 A. Well, his major other collateral had been
19 blocked during the operation of 2012, and that is
20 the collateral that supplies blood flow to the hip,
21 the internal iliac. That had been covered with a
22 graft in repair of an aneurysm. I don't have
23 records of the image of the aneurysm but -- because
24 the records begin in July.

25 So he had lost a major source of

1 collaterals. So he was depending on that profunda,
2 and when the clots developed in the funda, in the
3 profunda, that's what precipitated the acute
4 ischemia. That's my analysis of what happened.

5 Q. Is there anything that you reviewed in the
6 medical records of December 28th or a couple of days
7 after, before Mr. Moore's leg was amputated, that
8 gives you support for your opinion that the profunda
9 artery was occluded on December 28th?

10 A. He, the arteriographer noted clots in the
11 profunda, and he actually stated that the vascular
12 supply appears much worse than the last time, and
13 I'm assuming he was the one who did the lysis of the
14 clot just over a year ago.

15 Q. Do you have an opinion, one way or another,
16 whether the occlusion of the profunda identified on
17 the 28th that you said was an acute event leading to
18 acute limb ischemia was caused by the preexisting
19 occlusion of the graft in the femoral artery?

20 A. No. It wasn't caused by the preexisting
21 occlusion.

22 Q. Why do you say that?

23 A. Well, if it had occluded -- if the graft
24 had occluded say six weeks previously or two months,
25 and the profunda had occluded at that time, that's

1 when he would have had acute ischemia. There may
2 have been other causes that could lead to occlusion
3 of the profunda artery.

4 Q. Does the graft in the femoral popliteal
5 artery feed blood to the profunda?

6 A. No.

7 Q. Where is the location of the profunda above
8 or below the femoral popliteal artery graft?

9 A. Well, the graft could take off usually at
10 the level of the profunda, but it takes off from the
11 common femoral artery, not the profunda artery. And
12 at the time of the graft, you would attempt to make
13 sure the profunda artery is open.

14 Q. So the location of the profunda artery is
15 different than where the occlusion of the clot or the
16 occlusion in the femoral popliteal artery is; is that
17 right?

18 A. Yes.

19 Q. If Mr. Moore's profunda artery was acutely
20 occluded on December 28th and that's the cause of the
21 acute leg ischemia, why was Dr. Wiencek unable, if he
22 wasn't able to, dissolve the clot in the graft?

23 A. Okay. The radiologist, I believe, did the
24 attempt at lytic therapy. And the reason he
25 couldn't occlude it and the usual reason is that the

1 clot is old, is attached now to the inside of the
2 artery, has -- the clot, we call it "matured," has a
3 rubbery consistency, that it just doesn't respond to
4 TPA.

5 Q. Do you have an opinion whether, on
6 December 28th or the day or two after that, there was
7 an attempt to dissolve the clot in the occluded
8 femoral popliteal artery?

9 A. Yes.

10 Q. And tell us, again, if you would, why it is
11 you think that that attempt wasn't successful?

12 A. Well, the radiologist said it wasn't
13 successful.

14 Q. Okay. And why, again, wasn't it successful?

15 A. I think it wasn't successful because the
16 clot had been present to such an extent that it
17 wasn't possible for lysis to be successful.

18 If I could back that up, the major study
19 that looked into whether you should do surgery or
20 clot lysis actually included clots up to the period
21 of three weeks duration, and the results were the
22 same whether you did lytic therapy or surgery. So I
23 take that to mean that, beyond older than three
24 weeks, it would be just about impossible to dissolve
25 a clot.

1 Q. And is it your opinion this clot was older
2 than three weeks for the reasons that you've given
3 us, including based on the description?

4 A. Yes.

5 Q. Do you hold the opinion then, it sounds
6 like, that three days wasn't going to make a
7 difference in this case, from the 25th to the 28th,
8 as to whether or not, to a reasonable degree of
9 medical probability, the clot in the femoral
10 popliteal graft could be dissolved?

11 A. No. I don't think that was the major
12 operative factor.

13 Q. So do you hold an opinion, one way or
14 another, whether had there been an attempt to
15 dissolve the clot through thrombolytics on
16 December 25th, hypothetically, whether it would have
17 been successful?

18 A. I don't think the result would have been
19 any different.

20 Q. And is that based on the reasons that you've
21 told us from what happened on the 28th, when it was
22 attempted?

23 A. Yes.

24 Q. So three days wasn't going to make a
25 difference?

1 A. I don't think it did.

2 Q. Okay. So do you hold the opinion then,
3 based on what you've told us so far, that it wasn't
4 the occlusion of the graft in the femoral popliteal
5 artery that caused the acute limb ischemia on the
6 28th, but rather it was the acute occlusion of the
7 profunda artery in the collaterals?

8 A. Yes.

9 Q. And tell us, again, if you would, please,
10 the basis for that opinion.

11 A. Well, I think that Mr. Moore was in
12 reasonable shape when he left the emergency room on
13 the 25th, based on his deposition. That the pain
14 had been relieved. He was ambulatory when he left.
15 He states that his foot, both foot -- feet, sorry --
16 felt pretty much the same, that numbness came and
17 went away frequently, and he didn't really think
18 that was completely different. And then the events
19 that occurred on the 28th were quite different, much
20 more painful, much more severe and were recognized
21 by a neurologist at that time.

22 So I think he had a viable extremity when
23 he left the emergency room on the 25th, and then on
24 the 28th, I think he had occlusion of the
25 collaterals that was keeping that leg alive that was

1 unpredictable, except in a very long sense that, given
2 enough time, Mr. Moore was destined to have serious
3 trouble with his left leg.

4 Q. If I just corrected you for a moment that on
5 the morning of the 28th, he didn't see his
6 neurologist with the acute changes, he just went to
7 the emergency department, does that change your
8 opinion any?

9 A. No.

10 Q. Okay. So if there was a process or a
11 natural progression between the 25th and the 28th
12 that made it predictable that on the 28th, he was
13 going to have acute limb ischemia, what would you
14 expect Mr. Moore's symptoms to be, in the meantime,
15 that you told us weren't present?

16 what would you expect to see during that
17 time?

18 A. I don't think it would have been any
19 different on the 26th and 27th.

20 Q. And is that based on your review of the
21 materials in this case?

22 A. Mostly based on Mr. Moore's deposition.

23 MR. WEAVER: Your Honor, would this be a
24 good time to take a break?

25 THE COURT: Yes. We will go ahead and take

1 a break, as we indicated. We're going to conclude
2 the testimony, at this time, with Dr. Wilson, who
3 will return with us tomorrow, and we'll call another
4 witness when we reconvene this afternoon.

5 So, Dr. Wilson, you are accused for now.
6 Thank you.

7 THE WITNESS: Thank you very much,
8 Your Honor.

9 THE COURT: I'll let you go first, and then
10 I'm going admonish the jurors, reminding them of
11 their admonishment for the break.

12 MR. WEAVER: Thank you, Your Honor.

13 THE COURT: Thank you.

14 We're actually going to break until 4:00.
15 So it's just a little over 15 minutes. Give us some
16 time. We want to check out a couple of things
17 related to the courtroom where we're going to be
18 tomorrow. But as I said, we'll give you some more
19 details on that later.

20 When we return at 4:00 o'clock, ladies and
21 gentlemen, during this slightly more than 15 minute
22 recess, we're going to remind you that you're
23 admonished not to talk or converse among yourselves
24 or with anyone else on any subject connected with
25 this trial or read, watch, or listen to any report

1 of commentary on the trial or any person connected
2 with the trial by any medium of information including,
3 without limitation, newspapers, television, radio,
4 or Internet.

5 No social media communications of any kind
6 or independent investigations including any Internet
7 searches of any kind. And, of course, please do not
8 form or express any opinion on any subject connected
9 with the trial until the case is finally submitted
10 to you. We'll see you back here at 4:00 o'clock.

11 THE MARSHAL: All rise for the jury.

12 (Outside the presence of the jury.)

13 THE COURT: I'd like to make a record of
14 the bench conferences quickly. We had two bench
15 conferences earlier on in the testimony of
16 Dr. Wilson. These focused on objections Mr. Arntz
17 was making that he believed that Mr. Weaver was
18 being leading with his questions. Multiple times,
19 we had debates up here at the bench about how
20 Mr. Weaver is supposed to get at ultimately asking
21 the question of Dr. Wilson's conclusions without
22 supplying that information.

23 There was a lot of debate about whether
24 leading questions generally can be asked of experts.
25 Mr. Weaver indicated there's clear case law that

1 yes, that is the case. Mr. Arntz indicated there is
2 not clear case law that that is the case. We have
3 not requested so far, nor am I necessarily inclined
4 to have briefing on this issue, but there has been a
5 difference of opinion about what the clarity of the
6 law is on that subject.

7 I believe, from the Court's perspective,
8 that leading questions are permitted to allow
9 transition to different topics, and leading
10 questions are permitted to lead into, you know,
11 again certain foundational questions. But at the
12 end of the day, there has to be a foundation laid,
13 and then the question should still be open ended as
14 to what the opinion is.

15 I believe where Mr. Arntz became concerned
16 and where Mr. Weaver may have crossed that line over
17 into something that was leading is to simply supply
18 the answer in the question seeking a yes-or-no
19 answer. I'm not sure that was Mr. Weaver's, you
20 know, regular practice here. And then, of course,
21 the record will reflect what it reflects. But I
22 think a couple of times that did occur.

23 For the most part though, where the Court
24 believed the foundation had been laid, it overruled
25 the objection; where it did not, it sustained.

1 But let me go ahead and have Mr. Arntz add
2 anything to he wants to this topic, and we'll hear
3 from Mr. Weaver.

4 MR. ARNTZ: I'm not familiar with the case
5 law that he's referring to that says you can lead an
6 expert through their opinions. I think it's
7 appropriate to have leading questions through
8 certain types of foundation. But when you're asking
9 the ultimate question of an opinion, I don't know of
10 any case law or any statutory law that would support
11 the conclusion that you can do that through a
12 leading examination.

13 THE COURT: well, I hear you. I think I
14 just want to make sure we're being precise here. As
15 I understood your objection, it was when those
16 ultimate conclusionary statements are being made,
17 that you don't think it's permissible for that to be
18 a leading question: Is it your ultimate, you know,
19 important conclusion on this particular topic X, and
20 then the person says yes. I agreed, in those
21 circumstances, that you can't just go there with the
22 question.

23 But I disagreed, respectfully, that there
24 couldn't be leading questions to, again, transition
25 to a new topic and lay the foundation for ultimately

1 inquiring of the questions. And I did multiple times,
2 although I don't think it ever happened, I indicated
3 to Mr. Weaver that, as long as he laid that
4 foundation and he asked the open-ended question, if
5 he did not get the answer to the question or there
6 was confusion, he could then perhaps engage in a
7 more leading question. But I do think that there is
8 leeway for that. But I appreciate your commentary
9 with regard to what you believe the case law says.

10 Mr. Weaver, what would you like to add?

11 MR. WEAVER: Your Honor, I certainly don't
12 disagree with the Court's analysis, and it's
13 certainly within the discretion of the Court to
14 decide or to allow or not allow leading questions
15 across the board.

16 My intent, and if I didn't do it as well as
17 I would have liked to -- I'll do better
18 tomorrow -- was really with regard to what I think
19 Mr. Arntz would have seen as the more trouble some
20 leading questions was just to get summaries or just
21 to yes, in fact, get conclusions of what he's
22 already testified to basically in summary form.

23 So when I was asking questions that were
24 essentially: "Do I understand that this is what you
25 have already testified to?" That's where I was

1 getting to lay the foundation for the ultimate
2 conclusion, and I don't think it's inappropriate,
3 and if I didn't do a good enough job, I'll do better
4 tomorrow.

5 THE COURT: well, you're saying now you
6 agree with the Court. But you made argument at the
7 bench that there's case law squarely on point with
8 this. I'm not saying anything more than the Court's
9 understanding. But you were the one who specified
10 there's clear case law. And do you have either a
11 more specific reference or a citation or something?

12 MR. WEAVER: well, I've got plenty of cases
13 that I'll supply that are out of state cases. But I
14 think the authority in Nevada is that it lies with
15 the discretion of the Court. So I will certainly
16 provide the authority that I alluded to that there
17 is case law that allows it.

18 THE COURT: I'm just asking for your
19 clarification.

20 The other bench conference came later in
21 the questioning, and it was Mr. Arntz, I think sort
22 of getting a concern, as the questioning was going
23 on where Dr. Wilson was giving what appeared to be
24 standard of care answers, and having raised the
25 issue at the bench that there was some colloquy

1 obviously earlier that Dr. Wilson was not here to
2 give standard of care opinions and what was the
3 circumstances.

4 When questioning, at the bench, Mr. Weaver
5 of the circumstances -- because Mr. Arntz had
6 expressed and the Court too had expressed some, you
7 know, desire to understand what this effort was to
8 get opinions but not be standard of care opinions --
9 and Mr. Weaver clarified that, you know, there are
10 doctors who are coming in to opine as to standard of
11 care or experts who are coming in to opine as to
12 standard of care, but Dr. Wilson is not one of them;
13 it was disclosed that way, but that he is being
14 asked to address opinions related to the
15 circumstances in a way that is sort of imposed by if
16 this testimony had come from Dr. Marmureanu or, to
17 some degree, early on, you asked questions regarding
18 juror's questions, other things.

19 So it was a little confusing what was
20 occurring. We didn't really have an objection
21 necessarily. We didn't sustain any or rule any
22 objections. But there was a concern about sort of
23 this line of questioning and are we actually doing
24 standard of care opinions. I think I left -- the
25 final direction with Mr. Weaver, before he returned

1 to the questioning was, you know, we want to be
2 clear in front of the jurors, you know, if this is
3 not standard of care opinion, that that's not the
4 case and what we're doing. And I think your
5 follow-up questions were more specifically, you
6 know, stated as "hypothetically" or "if you, you
7 know, were told" or other segues like that.

8 But do you want to speak to, Mr. Arntz,
9 your concern that you expressed at the bench on that
10 one?

11 MR. ARNTZ: Yeah. I was surprised by the
12 leading question he gave the expert, and I think the
13 expert was as well surprised when he said "You're
14 not here to give standard of care opinions, are
15 you?" And he looked a little stunned, I felt. And
16 I was a little surprised because his report goes
17 into standard of care opinions.

18 And I think the reason why he did that was
19 because he doesn't want to be duplicative, which
20 he's being. So to say I've got another guy who's
21 going to come in and testify to standard of care
22 when this expert clearly, that's one of his key
23 opinions in his report was the standard of care
24 opinion.

25 THE COURT: well, I think the discussion

1 that we had was not to have any kind of, you know,
2 either muddied record or appellate issue with the
3 fact that there was a witness testifying to standard
4 of care who had not been disclosed to testify in
5 that regard and was being asked questions that were
6 not stated that way. The issue is are they, you
7 know, designed that way and/or being in backdoored
8 that way. But, ultimately, we don't have any -- did
9 not have any motion with regard to the number of
10 experts or potential duplication in that regard. So
11 that's really not something that I think is
12 currently before the Court, and this is the first
13 witness from the defense along these lines.

14 But, Mr. Weaver.

15 MR. WEAVER: And just to clarify a little
16 bit further, Your Honor, is Dr. Wilson is a joint
17 witness for Dr. Lasry and Nurse Practitioner
18 Bartmus. Obviously, we could have both had vascular
19 surgeons. We could have duplicated this. We -- for
20 all kinds of reasons, we thought it made sense to
21 have the same person. Dr. Lasry did not identify
22 Dr. Wilson as having any opinions on standard of
23 care in the disclosures.

24 So, yes, I agree that, theoretically, I
25 could ask Dr. Wilson standard of care opinions. But

1 we're simply trying to short-circuit this in order
2 to have him only testify to opinions regarding
3 causation in the general vascular surgery issues
4 that Dr. Marmureanu testified to, separate and apart
5 from the standard of care opinions. And our own
6 standard of care experts will testify to the
7 standard of care opinions, just as Dr. Jacobs would
8 be here to testify to those standard of care
9 opinions, but of which he also had causation
10 opinions, were it not for the fact that he's
11 unavailable.

12 so plaintiffs had Dr. Marmureanu to testify
13 wholly on standard of care and causation, as well as
14 Dr. Jacobs to testify as to standard of care and
15 causation. We are limiting Dr. Wilson to testify to
16 causation and vascular surgery issues and our
17 standard of care witnesses to testify to standard of
18 care. So it's not like this is, in my opinion, out
19 of the blue or unreasonable or unexpected.

20 THE COURT: We've completed the records. I
21 think we still want to take a break. I do want to
22 let the I.T. people -- I don't know if that's
23 ultimately you, Mr. Hymanson, on one side, or our
24 I.T. person here on the other. But the courtroom is
25 empty right now if you all wanted to go take a look

1 and see what the plug-ins look like and see if
2 you're good to go.

3 If you want to wait and come early to do
4 that tomorrow, that's an option too. We just
5 obviously need to make sure that that's done before
6 we resume at 1:30. So I'll leave that up to you.

7 MR. P. HYMANSON: Your Honor, one last
8 thing, point of order. Can we get some indication
9 on how long the next witness will be? Because I
10 don't want to have it be ten to 5:00 and this is a
11 gentleman who has to leave and now it's our
12 opportunity to cross-examine him. If we get to a
13 certain point, I don't want to have to be the one to
14 have to fight in open court that we're going to have
15 to hold him over.

16 MR. MCBRIDE: 15 to 20 minutes, Your Honor.

17 THE COURT: I'm assuming they wouldn't have
18 proposed starting at 4:00 and thinking we could
19 finish if they were planning to question him all the
20 way to 5:00. But it's good to get clarification.

21 MR. P. HYMANSON: 15 to 20 minutes I could
22 even understand that.

23 THE COURT: We'll see how we do. I would
24 like to finish at 5:00. So we'll plan to do so.
25 Thank you.

1 (Pause in the proceedings.)

2 THE COURT: Since I said it once before or
3 twice, I'll say it again: The break was until 4:00,
4 not 4:20. We have an expert to finish. Please take
5 a seat so we can get started.

6 Please, for the jurors.

7 (Jury enters the courtroom.)

8 THE COURT: While the jurors are taking
9 their seats, I'll invite everyone else to have a
10 seat. We ran into some technical difficulties that
11 we've now resolved. But for that reason, we may
12 have to go a little bit longer today than
13 5:00 o'clock in order to complete a witness.

14 But let's go ahead and get the witness
15 called, Mr. McBride.

16 MR. MCBRIDE: Thank you, Your Honor.
17 Defense would call Dr. John Janzen to the stand.

18 THE COURT: Dr. Janzen, please.

19 Dr. Janzen, as you reach the seat, if you
20 could just come in front of it for the clerk to
21 swear you in, we'd appreciate it. She's here.

22 (Witness sworn.)

23 THE WITNESS: I do.

24 THE CLERK: Thank you. Please take a seat.

25 MR. MCBRIDE: Good afternoon, Dr. Janzen.

1 THE WITNESS: Hi.

2 THE COURT: She hasn't finished.

3 MR. MCBRIDE: Oh, sorry. I'm sorry.

4 THE CLERK: Could you please state and
5 spell your first and last name, for the record.

6 THE WITNESS: John Janzen, J-A-N-Z-E-N.

7 THE COURT: All right.

8 MR. MCBRIDE: Thank you, Your Honor.

9 THE COURT: Whenever you're ready,
10 Mr. McBride.

11

12 Thereupon --

13

JOHN JANZEN, M.D.,

14 having been first duly sworn to testify to the
15 truth, was examined and testified as follows:

16

17

DIRECT EXAMINATION

18

BY MR. MCBRIDE:

19

Q. Dr. Janzen, could you briefly tell the jury
20 what is your profession, sir.

21

A. Yeah, I'm a rehabilitation specialist in
22 the field of vocational and psychological
23 rehabilitation and Life Care planning.

24

Q. Okay. And can you just explain what that
25 job entails. What does that mean that you just

1 told --

2 A. That involves assessing the physical,
3 mental, and emotional effects of a person's injury
4 and determining what their capability, what their
5 capabilities are insofar as their ability to work or
6 their Life Care needs, and that is done by reviewing
7 the medical history of the individual, reviewing any
8 other information on their functional capacities,
9 and then looking at what type of services are
10 necessary to meet their needs.

11 Q. And, Dr. Janzen, where are you located?

12 A. I live in Boise, Idaho.

13 Q. Okay. And I know that your schedule is
14 pretty tight today and you're not able to come back.
15 So we're going to try to get through your testimony
16 as quickly as possible. So we might short-circuit
17 things a little bit.

18 But in an effort to do that, could you just
19 briefly tell the jury a little bit about your
20 educational background and training as a vocational
21 rehab specialist.

22 A. I have a Doctorate degree in counseling and
23 psychology from the University of San Francisco; a
24 Master's degree in rehabilitation and counseling
25 from Oklahoma State University; and I have a

1 Bachelor's degree in social work from Tabor College
2 in Hillsborough, Kansas.

3 In addition to that, I have many continuing
4 education credits for my certification as a
5 rehabilitation counselor, and those go back all the
6 way to 1975, and that's in the field of medical
7 aspects of disability, testing, psychological
8 aspects in evaluating the functional consequences of
9 a person's injury.

10 Q. Okay. And, Doctor, so roughly how long have
11 you worked as a vocational rehab specialist?

12 A. I have been practicing since 1975, and I've
13 had my own practice since 1982 -- or since 1979.
14 Excuse me.

15 Q. And, Doctor, are you a member of various
16 professional affiliations as well?

17 A. I am. I'm a member of the American
18 Congress of Physical Medicine and Rehabilitation,
19 the National Rehabilitation Counseling Association,
20 National Rehabilitation Association, International
21 Academy of Life Care Planners, and there's probably
22 a few others. But those are the main ones.

23 Q. All right. And, Doctor, have you served as
24 an expert before and testified in trial before?

25 A. Yes.

1 Q. And here in the State of Nevada as well?

2 A. Yes.

3 Q. On how many occasions, in total, have you
4 testified in trial?

5 A. I've been in this courthouse -- here in
6 Nevada?

7 Q. Right.

8 A. Yeah, at least five or six times.

9 Q. Okay. Have you been recognized as an expert
10 in the field of vocational rehabilitation in Nevada?

11 A. Yes.

12 Q. In this particular case -- have you served
13 as an expert on behalf of the plaintiff before?

14 A. Yes, I have. And I'm currently serving in
15 that capacity as well.

16 Q. Okay. And as part of your work on behalf of
17 the plaintiff, do you also prepare life care plans?

18 A. I do.

19 Q. In this particular case, what was your
20 understanding of your role as expert on behalf of the
21 defense?

22 A. I was asked to assess the life care needs
23 of Mr. Moore and also respond to life care plans
24 that would be prepared by other individuals. I was
25 not asked to prepare a Life Care Plan on him.

1 Q. And in this particular case, in fact, you
2 did not interview or speak directly with Mr. Moore or
3 Mrs. Moore; is that right?

4 A. That's correct.

5 Q. Okay. In this particular case, were you
6 provided with medical records and other materials
7 that you reviewed in formulation of your opinions?

8 A. I was.

9 Q. Okay. And among those, were you provided
10 with medical records from St. Rose Hospital?

11 A. Yes.

12 Q. And also other subsequent records of
13 Mr. Moore and his care and treatment that he's
14 received up to this date?

15 A. Yes.

16 Q. All right. And did you also review
17 depositions in this case?

18 A. I did.

19 Q. Did you review the deposition of Dr. Fish,
20 plaintiff's vocational rehab expert?

21 A. I did.

22 Q. And did you also, after reviewing all those
23 materials, did you prepare written reports of your
24 opinions that you had formulated?

25 A. I did.

1 Q. Okay. And, Doctor, what I have in front of
2 you, if it helps you, we can kind of go through it
3 real quickly. But beginning at page 7 of that
4 document, the first portion is your C.V. But if you
5 can look at page 7, I think we start with your first
6 report. And what was the date of your first report?

7 A. September 3, 2019.

8 Q. Okay. And at that time --

9 THE COURT: And just for the record, this
10 is not a binder of admitted exhibits; is that
11 correct, Mr. McBride?

12 MR. MCBRIDE: Right.

13 THE COURT: This is just a binder of
14 documents to assist the witness.

15 BY MR. MCBRIDE:

16 Q. Correct. These have not been admitted into
17 evidence. It's just to assist you, Doctor, in
18 viewing your prior reports. Do you understand that?

19 A. Yes.

20 THE COURT: We will advise the jury that
21 the expert reports do not come in. Their testimony
22 is what comes into evidence at the trial.

23 Go ahead.

24 MR. MCBRIDE: Thank you.

25 / / /

1 BY MR. MCBRIDE:

2 Q. Doctor, in addition to performing
3 medical-legal work, have you also, as part of your
4 practice, evaluated patients as part of your clinical
5 practice?

6 A. Yes. And that's been by far, for the last
7 40 years, the major part of my work has been
8 clinical rehabilitation in terms of developing and
9 implementing rehabilitation plans for individuals
10 with injuries and disabilities.

11 Q. And have you worked with individuals in
12 assisting them who have had above-the-knee
13 amputations?

14 A. Yes, I have.

15 Q. And as well as below-the-knee amputations?

16 A. Yes.

17 Q. What other sorts of disabilities have you
18 helped patients with?

19 A. Well, in addition to amputations, I have an
20 extensive number of individuals that have traumatic
21 brain injury, injuries. I also have people that
22 have various degenerative conditions. Whether
23 that's neck or back issues. I have individuals that
24 have vascular problems or circulatory problems that
25 I've worked with. Essentially, all kinds of

1 conditions I've been involved in.

2 Q. Okay. And so in other words, you've both
3 worked, assisted directly patients such as
4 individuals such as Mr. Moore suffering from vascular
5 insufficiency as well as above-the-knee amputation?

6 A. Yes.

7 Q. Okay. Now, Doctor, I want to refer you to
8 actually the third paragraph of your -- to
9 short-circuit things a little bit, third paragraph of
10 your report of September 3, 2009. Can you tell the
11 jury, just briefly summarize, the opinion you had
12 formulated after you reviewed all of the materials up
13 to this point, on September 3rd?

14 A. With an above-the-knee amputation,
15 Mr. Moore should be able to -- should be able to
16 walk without a cane or crutches in his house and use
17 of a cane for stability outside the house. That is
18 provided that he has an appropriate fitting
19 prosthesis. Without a prosthesis, he could
20 effectively use a walker or crutches for mobility,
21 and for longer distance, a manual wheelchair or an
22 electric scooter would be appropriate.

23 I should mention electric scooter tends to
24 be a little bit easier to get in and out of than a
25 manual electric wheelchair is.

1 Q. Dr. Janzen, let me interrupt you.

2 As you sit here today, do you understand why
3 Mr. Moore has not used his prosthesis?

4 A. As I understand, it was a choice. It did
5 not fit well or there was some issue that it was not
6 functional for him.

7 Q. Okay. And do you know of any other attempts
8 that Mr. Moore has made in an effort to get refit for
9 his prosthesis?

10 A. No. I didn't see any in the records that I
11 reviewed.

12 Q. Okay. would it be your recommendation, as
13 part of your review, that he be refit for a
14 prosthesis?

15 A. It is. That's an important recommendation.
16 And I've had clients where I made that
17 recommendation, and that's important.

18 Q. And why is that? Explain to the jury why.

19 A. well, if you have a poor fitting
20 prosthesis, one, you get problems with the skin.
21 You get pain that occurs as a result of a poor
22 fitting prosthesis. And, also, it takes more energy
23 to actually walk with a prosthesis because your gait
24 is different. And so it's really important that the
25 prosthesis that the person wears fits right, and a

1 prosthetist can ensure that that happens, that the
2 person can actually get a good fitting prosthesis.

3 Q. In your opinion, based on all the materials
4 that you reviewed, is there anything based on
5 Mr. Moore's condition that would prevent him from
6 obtaining a proper fitting prosthesis?

7 A. No. I saw nothing in the records that I
8 reviewed. The medical records, his condition that
9 would prevent that.

10 Q. Okay. Real quick, talking about the
11 scooter, the use of an electric scooter, are you
12 talking about the ones that you see in the grocery
13 store in some of the casinos around town where they
14 have the handle bars and people sit on those and go
15 around the aisles? Is that kind of what you're
16 talking about?

17 A. It is. Those are the type of scooters that
18 I'm talking about.

19 Q. Okay. And what are the typical costs for
20 scooter like that?

21 A. Those range, and I looked this up, that for
22 a real good scooter, not just one to get by, it's
23 around \$2,700.

24 Q. Okay. And so do you disagree with
25 Dr. Fish's recommendations that Mr. Moore would need

1 an electric wheelchair?

2 A. Yes, I do. Based on the fact that a
3 scooter would be much more efficient for him than an
4 electric wheelchair.

5 Q. In your experience as a vocational rehab
6 specialist, what are the -- typically of the patients
7 that you treat or provide life care plans for, what
8 are electric wheelchairs intended for?

9 what sort of people? what sort of
10 disabilities?

11 A. Yeah, those are individuals that have
12 spinal cord injuries, that are paraplegic,
13 quadriplegic. An electric wheelchair is beneficial
14 because it has a reclining seat to where they can
15 take pressure off their body, and those, that
16 reclining is adjustable in several different
17 positions. And so really for a person that has a
18 spinal cord injury, an electric wheelchair would be
19 recommended as opposed to a person with an
20 amputation.

21 Q. Okay. And then continuing on, I think where
22 you left off, with an A-K amputation, if you look at
23 paragraph 3 there -- well, let me ask you this
24 question: In your opinion, based on all the
25 materials you reviewed, do you believe that Mr. Moore

1 would be able to independently perform personal care
2 activities?

3 A. Yes. I think that he could do that. He
4 could take care of himself. He could get dressed.
5 He could perform personal hygiene activities whether
6 he used a prosthesis or not. He can also, with
7 above-the-knee amputation, drive without hand
8 controls. He can use his other leg for the foot --
9 and for the brake and the accelerator -- and perform
10 most household activities.

11 The household activity that would be not
12 recommended is if he had to get on a ladder and
13 climb to change a light bulb or let's say there's
14 some problem with the ceiling fan, that wouldn't be
15 good for him.

16 Q. Now, Doctor, did you also address the
17 ability of Mr. Moore to manage his pain in his stump
18 and the phantom pain?

19 A. I did. Being very familiar with that type
20 of pain through my work and my own experience, that
21 is a critical aspect to evaluating what a person can
22 or cannot do. And based on the records that I
23 reviewed, he was able to manage or is able to manage
24 his pain, his phantom pain, his stump pain with his
25 medication that he was taking. I saw no significant

1 interruption of his life as a result of his pain
2 where he had to repeatedly go to see a physician for
3 some type of pain management.

4 Q. Now, I used your terminology there, the term
5 "stump pain," and there's been some testimony
6 yesterday from Dr. Fish, who he took issue with your
7 use of that term, Doctor. You're aware of that?

8 A. I am, yeah.

9 Q. Okay. And how would you respond to that?

10 A. Well, I've been doing this work for
11 40 years, and I've seen no less than 75 individuals
12 that have amputations, lower extremity amputations.
13 That's been a major part of the clientele that I
14 have, and they have referred to their remaining leg
15 as a "stump." It's no -- it's not derogatory. It's
16 not disrespectful.

17 I have -- I certainly wouldn't use it if
18 the implication was that it's disrespectful. Now, I
19 know Dr. Fish thought it was. But in no way would
20 that be a derogatory term based on my experience.

21 Q. And, Doctor, I think Dr. Fish also said that
22 your reference to that, referring to it as a "stump,"
23 showed a lack of insight into patients with
24 amputations. Do you agree with that?

25 A. Well, talk about insight into amputations,

1 I have a lot of insight based on my own experience,
2 as well as working with people that have
3 amputations.

4 I've sat with people to help them overcome
5 the trauma of an amputation. I've developed
6 rehabilitation plans to return people back to work
7 that have amputations and followed them, once they
8 became employed, to make sure that they were
9 successful. And I've also worked with their family,
10 to help the family members understand the affects of
11 an amputation. So I feel very positive about my
12 experience and my understanding of individuals with
13 amputations.

14 Q. And, Doctor, with regard to the
15 recommendations by Dr. Fish that \$100,000 of
16 renovations to Mr. Moore's house would be necessary
17 to accommodate him, do you agree with that?

18 A. No.

19 Q. And could you tell the jury why not?

20 A. Sure. As part of what I've done and what I
21 do with individuals who have amputations, as well as
22 other types of conditions, I look at what type of
23 renovations are necessary to a house to make the
24 house more efficient or accommodate them. And for a
25 person in a wheelchair, it's widened hallways,

1 widened doors, sometimes lowered counters.

2 That's provided they're confined to a
3 wheelchair, such as an individual who's quadriplegic
4 or paraplegic, and look at, okay, well, what is
5 necessary to help them function within their house.
6 And what I found is that there's some accommodations
7 that are appropriate such as, in Mr. Moore's case,
8 having railings in the bathroom, having surfaces of
9 the floor that are not slick, particularly in the
10 bathroom having railings and things.

11 But the national average for making
12 modifications of a person that's confined to the
13 wheelchair is -- it's actually a range. It ranges
14 from 15- to \$30,000, and that's in this year, 2020.
15 That's what is considered the national actual range
16 of home renovations to accommodate someone who is in
17 a wheelchair and that requires accessibility
18 accommodations.

19 Q. Now, with regard to Dr. Fish's
20 recommendation that Mr. Moore would require at least
21 eight hours of attendant care for the next ten years
22 of his life, do you agree with that?

23 A. No.

24 Q. And what's your basis for that?

25 A. I don't have anything in the medical

1 records that I reviewed or any of the records that
2 he has required that level of care since his
3 amputation. And also, in addition to that, for a
4 person who has an above-the-knee amputation, what
5 they're able to do based on their retained
6 abilities, I cannot imagine what eight hours of
7 attendant care or home care would do for an
8 individual.

9 Q. Now, and you're talking about an individual
10 with an above-the-knee amputation?

11 A. Yes.

12 Q. Okay. In this case, you're aware that
13 Ms. Moore, Charlene Moore, has been providing some
14 assistance to her husband with certain daily
15 activities?

16 A. Yes.

17 Q. Okay. And do you believe that eight hours,
18 plus housekeeping care is also required as a result
19 of Mr. Moore's condition?

20 A. I don't believe that is.

21 Q. Okay. With regard to the pain medications
22 that he's currently on, are you aware, based on the
23 medical records, that Mr. Moore had been treating
24 with a pain management physician for cervical and
25 lumbar pain in his back?

1 A. I am aware of that.

2 Q. Prior to the amputation?

3 A. Yes.

4 Q. And is it your understanding that some of
5 the pain that he's currently still on the medications
6 for and he was on previously were for those
7 conditions?

8 A. Yes.

9 Q. Based on your review of those records, have
10 you seen any need for an increase in medication from
11 his pain management physician for any pain associated
12 with his amputation?

13 A. No.

14 Q. Have you seen any prescription for the
15 medication, Neurontin, for Mr. Moore's phantom pain?

16 A. No. I haven't seen any prescription for
17 Neurontin.

18 Q. Are you familiar with the medication,
19 Neurontin?

20 A. Very much. In fact, that's a common
21 medication prescribed for phantom pain. It will be
22 either Neurontin or Gabapentin.

23 Q. Okay. And likewise, given your background,
24 training and experience in counseling and psychology,
25 have you seen any need for Mr. Moore to have

1 additional psychotherapy over the ten years of his
2 life?

3 A. I haven't. I haven't seen any mental
4 condition or emotional condition that is of such
5 severity that he would require therapy or some type
6 of counseling.

7 Q. Okay. And, Doctor, have all the opinions
8 you stated here been stated to a reasonable degree of
9 professional probability?

10 A. They have.

11 MR. McBRIDE: All right. Thank you.
12 That's all I have.

13 THE COURT: Thank you, Mr. McBride.
14 Mr. Hymanson.

15 MR. P. HYMANSON: Thank you, Your Honor.

16

17 CROSS-EXAMINATION

18 BY MR. P. HYMANSON:

19 Q. Good afternoon, sir.

20 A. Hi.

21 Q. My name is Phil Hymanson. I'm counsel
22 for -- one of counsel for Mr. and Moore. And I'll
23 have a few questions for you.

24 A. Okay.

25 Q. Sir, what if you're wrong?

1 A. Pardon me?

2 Q. What if you're wrong?

3 A. I don't think -- I haven't entertained that
4 because I don't believe I am wrong.

5 Q. Fair enough. This is a ten year plan that
6 Dr. Fish spoke of. You said that in 2016, he was
7 examined; he had 80 percent improvement in pain and
8 quality of life, and that he was fine. The next
9 thing you said though was he was still on
10 40 milligrams a day of OxyContin, and you also went
11 on to say that no one with above-the-knee amputation
12 could be on that medication.

13 So what is it? Is he great? Good? Or is
14 it becoming an addict?

15 A. Well, first of all, being great and being
16 on that medication are not different things. He is
17 able to manage his pain with his Oxycodone, and
18 that's why I believe that he is functional, that he
19 is doing well.

20 Q. All right. And how did you make that
21 analysis? How much time did you spend with
22 Mr. and Mrs. Moore?

23 A. Well, as I testified earlier, I have not
24 met either of them.

25 Q. When you do an evaluation such as this,

1 because this is an evaluation for life, would you
2 agree with me that it's important that perhaps you
3 sat down and with Mr. and Mrs. Moore?

4 A. If I was doing a Life Care Plan for them,
5 it would be.

6 Q. Well, aren't you talking about whether or
7 not they're going to get a Life Care Plan?

8 A. I'm talking about the recommendations and
9 what, based on my experience, is necessary for them.

10 Q. Base on your experience, wouldn't you, to
11 give these opinions, want to have met with them?

12 A. If it was different than the information
13 that I had available. If there was a marked
14 difference in the medical records about their
15 history or I had some information that countered
16 some of the records that I had or the information in
17 the records, that may have been helpful.

18 Q. You've said in your report that Mr. Moore
19 can walk with a cane or crutches; right?

20 A. As an above-the-knee amputee, yes.

21 Q. As an above-the-knee amputee, Mr. Moore
22 could use a cane or he could use crutches; right?

23 A. Yes.

24 Q. How many times has he fallen?

25 A. I have no idea.

1 Q. And if he has fallen, would that be a
2 concern to you about him using a cane or a crutch?

3 A. It would be a concern with the fitting of
4 the prosthesis. If he has fallen, that would be
5 significant to me, and that's why I recommend an
6 appropriate fitting prosthesis.

7 Q. Very good. And you said that he had a
8 choice to get it fixed. Is it your findings, your
9 experience that when somebody several years out after
10 losing a limb isn't using their prosthesis, it's
11 because they don't want to or they can't?

12 A. It's usually based on the choice that
13 they're making not to use that.

14 Q. What's the situation with Mr. Moore?

15 A. I don't know. I don't have anything to --
16 any definitive information as to why he's not using
17 it, why he's not trying it, or why he has not seen a
18 prosthetist to get one that works.

19 Q. Yet you're prepared to make a determination
20 on the next ten years of his life that all he needs
21 to do is get that prosthesis back on, and then he can
22 use a cane and he can use crutches and he really
23 doesn't need to have any changes made to his home; is
24 that correct?

25 A. Well, that's not my entire opinion.

1 Q. No, no. But I mean, is that a certain
2 aspect of your analysis?

3 A. That's part of my opinion.

4 Q. Sure. Okay. And does your opinion change
5 if he has experienced several falls and some
6 significant falls?

7 A. That is insufficient information that would
8 change my opinion.

9 Q. Right. You'd want to talk to him about
10 that?

11 A. I would want to have medical information.
12 I would want to have information that he's tried a
13 prosthesis and it did or did not work and he was
14 continuing to fall. So there's additional
15 information I would need.

16 Q. Sure. And tell me about Mr. Moore's career.
17 How are his legs overall and how is his skin
18 condition?

19 A. Actually, that's a medical condition. I
20 would defer to a medical opinion on that.

21 Q. And good point on that because your findings
22 are not medical; correct? It's "professional
23 certainty." When you make a finding, it's to a
24 professional certainty, not to a medical certainty;
25 correct?

1 A. Well, actually, it's based -- it's to a
2 reasonable degree of vocational rehabilitation
3 probability as to his function.

4 Q. Right. But not medical?

5 A. Right. I am not a physician.

6 Q. Sure. Okay. And so it's a professional
7 finding and not a medical finding?

8 A. Well, it's a finding based on what I've
9 indicated it's based on.

10 Q. Fair enough, fair enough. So he gets this
11 prosthesis and he can use a cane. He can use
12 crutches. He can use an electrical scooter.

13 The electrical scooter that you described,
14 is that an item that folds up?

15 A. No. There's different kinds. But the one
16 I'm recommending is not one that folds up. The
17 one -- it's a lot more sturdy for \$2,700. There's
18 some that are much less that are like even less than
19 \$1,000. But the one that I'm recommending has -- is
20 sturdy, and it's not one that folds up.

21 Q. And so that's a scooter that he would use
22 when he goes out and does his daily tasks, or is that
23 something he would use in his home?

24 A. No. That would be something that if he's
25 traveling long distances. It could be to the store.

1 It's outside of his house. It's not in the home.

2 Q. Because it doesn't fit in his home. It
3 wouldn't go anywhere in his home; right?

4 A. Well, I haven't seen his home. But I
5 would -- my recommendation is he would use it
6 outside the house.

7 Q. What do those weigh?

8 A. I have seen that, but I don't recall what
9 the actual weight is.

10 Q. How does Mr. Moore get -- what type of car
11 does Mr. Moore have?

12 A. I don't know.

13 Q. How does he get his wheelchair into his car?

14 A. I don't know how he does that. I haven't
15 seen any indication that he has difficulty doing
16 that or is unable to do it. So I don't know.

17 Q. How much do those electric little scooters
18 weigh?

19 A. I don't have that information with me
20 today.

21 Q. Do they fit in trunks?

22 A. Actually, there's a frame on the back of a
23 car that they can drive up to it, that it will fit
24 there.

25 Q. So they have to get a rack?

1 A. Sure.

2 Q. Okay. Does that cost money?

3 A. Sure.

4 Q. Oh. Did we mention that?

5 A. I didn't include that.

6 Q. Okay. So you put the scooter on the rack,
7 and then he drives around and he pulls it out if and
8 when he needs it? Is that your testimony?

9 A. He lowers -- it's a platform. It's
10 hydraulically controlled. He lowers the platform.
11 He backs it off and he uses it, and then drives it
12 onto the rack when he wants to transport it.

13 Q. And so when would he use the manual
14 wheelchair that you recommend?

15 A. He could use the manual wheelchair for
16 shorter distances. There's no reason why he
17 couldn't use a manual wheelchair. I have
18 recommended the scooter for longer distances. But
19 he could certainly use a manual wheelchair outside
20 of the house.

21 Q. All right. So, again, he can't use that in
22 the house?

23 A. I don't know why, what -- unless his house
24 is so small that he would use it. But I don't have
25 any reason to suggest that he needs to use a manual

1 wheelchair in the house.

2 Q. All right. Even if he's not able to use his
3 prosthesis all the time?

4 A. Yes. I think there's an alternative for
5 him, such as a walker, and specifically a
6 front-wheel walker. They're a lot easier than one
7 that does not have the front wheels.

8 Q. All right. So if he's going to have a
9 walker and he's not going to use a wheelchair and
10 he's going to try and get around in his home and he
11 has difficulty getting, even with the walker, into
12 the bathroom, if you were going to repair something
13 in that home, would you do the bathroom first?

14 A. If there was -- if he was unable to use the
15 bathroom, and I don't have any information that he's
16 unable to access the bathroom, but then I would
17 recommend that the door be widened.

18 Q. Okay. And if you're going to widen the
19 doorway, would you be widening the halls too?

20 A. Well, that would take further analysis as
21 to what the walls are. Now, with a wheelchair, it
22 should be noted that you can get a wheelchair that's
23 like 22 inches wide, and it will go through the
24 different walls. But that would require an
25 assessment of whether or not he would fit into that

1 wheelchair.

2 Q. All right. So now we're getting a specialty
3 wheelchair for when he's in the house, going through
4 narrow hallways?

5 A. That's not -- that's not what I'm saying.

6 Q. All right. And I don't mean to misrepresent
7 what you're saying.

8 A. Yeah.

9 Q. So please clarify, for the record, what you
10 meant. You're saying that there are alternatives;
11 there are alternative wheelchairs prior to having to
12 rebuild the house; is that fair to say?

13 A. Yes.

14 Q. All right. So would you make a change in
15 the bathroom first, the kitchen first, the study
16 where he sleeps, or the bedroom which he hasn't been
17 in for years?

18 A. That would take further analysis of his
19 inability to access those rooms. And, again, based
20 on the records that I've reviewed, I have no
21 information that he's unable to access any room in
22 his house.

23 Q. That's because you haven't reviewed any
24 records about his home. You've reviewed some medical
25 records. And correct me if I'm wrong, but I don't

1 believe you've reviewed anything else, have you?

2 A. I reviewed the medical records, reviewed
3 his deposition, and I don't -- I will say that I
4 don't have any information that says that he cannot
5 access the rooms at his house.

6 Q. All right. And if you're wrong, that's
7 because you didn't have adequate information?

8 A. Well, I don't know how to respond to that
9 because my opinions are based on what I do know.

10 Q. That is kind of a question like "Are you
11 still beating your wife?" There's no really good
12 response to that.

13 MR. MCBRIDE: Objection. That's
14 argumentative.

15 MR. P. HYMANSON: I'll withdraw. I'll
16 stipulate and I'll withdraw.

17 THE COURT: Good example of why he's
18 allowing it to be withdrawn.

19 BY MR. P. HYMANSON:

20 Q. It's a difficult thing, sir, because it's a
21 very serious circumstance. This is a man who's
22 looking at the future of his life, and you're making
23 this analysis based on your experience and your
24 expertise. But would you agree with me you could
25 have benefitted him a lot more if you had done

1 further analysis in terms of his lifestyle?

2 A. Yeah, my response is I don't know that I
3 would benefit him more because this is based on my
4 extensive involvement with individuals that have
5 upper or have above-the-knee amputations and what
6 I've seen when I've been in their homes, when I've
7 talked with them and seen how they navigate within
8 their homes.

9 Q. Well, okay. But as you sit here today, you
10 have no idea how Mr. Moore navigates in his home;
11 correct?

12 A. The specifics of how he navigates.

13 Q. Right.

14 A. Other than he's able to access the rooms, I
15 do not.

16 Q. Do you know for a fact that he can actually
17 all his rooms?

18 A. I have no information that he cannot. So I
19 cannot respond any other way.

20 Q. Do you know what type of help he can give
21 around the house on daily maintenance of the house,
22 cleaning and those type of things?

23 A. Yeah. I don't have any indication from him
24 of what -- or his wife of actually what kind of help
25 he provides around the house.

1 Q. Because, one, you've never inquired. You
2 were never given that information?

3 A. I didn't have that in my review of any of
4 the records.

5 Q. But you've made professional finding and
6 opinion that, for the next decade, they won't need
7 any help cleaning their home or taking care of
8 Mr. Moore. That was your finding, was it not?

9 A. Well, what I did say is I don't think he
10 needs eight hours of help to take care of himself or
11 his home.

12 Q. Fine. How many hours does he need?

13 A. I have not determined a specific number of
14 hours that is required.

15 Q. Sure.

16 A. He would need to -- that information would
17 need to be provided as to what specifically he is or
18 is not doing at home.

19 Q. So you're not saying he doesn't need it.
20 You're just saying he doesn't need eight hours?

21 A. I'm saying that, yes, that's correct.

22 Q. And you have no idea what that would be?

23 A. I don't know. I mean, that would require
24 an assessment of how motivated he is to help around
25 the house versus what his functional capabilities

1 are, what his wife has traditionally provided versus
2 he has provided. So there's many factors here that
3 go into that analysis.

4 Q. And if he has a skin problem or irritation
5 such that he can't wear that prosthesis all the time
6 and that he has balance issues and he's at risk for
7 falling, you're not going to put him on a cane;
8 you're not going to put him on crutches, are you?

9 MR. MCBRIDE: Objection. Lacks foundation.

10 THE COURT: Overruled.

11 THE WITNESS: I'm going to recommend that
12 he follow-up with his prosthetist to get that
13 corrected.

14 BY MR. P. HYMANSON:

15 Q. You bet. And should have done -- he should
16 have had something done with the people working with
17 the prosthesis for some time; right?

18 A. Or certainly available for him to do that
19 now.

20 Q. Sure. And why hasn't that been done?

21 A. I don't know what his motivation is in
22 terms of followup with a prosthesis. So I don't
23 know.

24 Q. Has it been done?

25 A. I don't have any indication that he has

1 done that.

2 Q. Fair enough, fair enough.

3 So you're saying that his major problems
4 will be navigating stairs, climbing stairs, walking
5 on uneven terrain, and carrying objects?

6 A. Yes.

7 Q. You've read his deposition; correct?

8 A. Yes.

9 Q. Would it be fair to say that you really
10 can't tell us anything or, if you can, it's very
11 limited about the lifestyle and the cares and needs
12 of Mr. and Mrs. Moore; is that fair?

13 A. I could talk about the needs that he has.

14 Q. Based on?

15 A. Based on as I've testified based on my
16 experience with many people that have above-the-knee
17 amputations and what they're able to do as well as
18 what they're unable to do.

19 Q. And would you agree with me that people,
20 especially those that wind up losing a limb
21 unexpectedly, everyone is a little different, would
22 you agree?

23 A. I think everyone in the world is different
24 from each other.

25 Q. Sure. And, in fact, if you found somebody

1 that had lost a limb unexpectedly and you felt that
2 it would be inappropriate or unprofessional to refer
3 to that individual's residual limb as a "stump," you
4 would never do that; correct?

5 A. If I had information that that was an
6 offensive term, I would not.

7 Q. You wouldn't do that, and I'll accept that.
8 If everyone is different and this is where a
9 determination is made for the future of Mr. Moore's
10 life, you're saying that you don't need to spend any
11 more time discussing with Mr. Moore what his needs
12 will be over the next decade?

13 A. What I said is if I had information that
14 warranted the need for additional information, other
15 than what I recommended, then that would be
16 appropriate.

17 MR. P. HYMANSON: Sir, thank you for coming
18 today.

19 MR. MCBRIDE: Just a few followup
20 questions, Doctor.

21

22

REDIRECT EXAMINATION

23 BY MR. MCBRIDE:

24 Q. Doctor, again, going back to the reason,
25 your role in this case, was it your role to prepare a

1 Life Care Plan for Mr. Moore?

2 A. No.

3 Q. Okay. In fact, was it your role to rebut
4 the opinions or, at least, analyze the opinions of
5 the needs that Dr. Fish had recommended, and based on
6 your background, training and experience of over
7 40 years as a vocational rehab specialist, to make
8 recommendations that you believe are consistent with
9 the patient's and others that you've treated for
10 these conditions?

11 A. Yes.

12 Q. Okay. And based on that, that's how you
13 came up with your opinions; correct?

14 A. That's correct.

15 Q. And are you aware --

16 THE COURT: Hold on a second, hold on a
17 second. What is happening here?

18 THE MARSHAL: Getting flagged down by one
19 of the jurors.

20 THE COURT: For a question right now?

21 JUROR NO. 1: No, no.

22 THE COURT: Okay. Can you please hand it
23 back to the juror because there might be more
24 questions. We don't call for questions for
25 witnesses.

1 JUROR NO. 1: This is not a question. I
2 need to make arrangements for my daughter since
3 we're staying after 5:00.

4 MR. MCBRIDE: No. It's going to be like
5 two minutes.

6 THE COURT: We're not going to be after
7 5:00 now. Go ahead. Let's go.

8 BY MR. MCBRIDE:

9 Q. All right. And, Doctor, I'll represent to
10 you that plaintiff, Mr. Moore's son, Christopher,
11 lives with them. You're aware of that?

12 A. Well, I am now.

13 Q. Yeah, and I'll represent to you that he
14 testified here the other day, on the stand, that he
15 is unaware of any significant falls that his father
16 has had in the entire time that he's had an
17 amputation. Does that change your opinions in any
18 way?

19 A. No. But that is significant.

20 Q. Okay. And, in fact, did you see anything in
21 the medical records that you reviewed to suggest that
22 Mr. Moore had been treated following any of these
23 significant falls for any medical condition or any
24 injuries that he may have suffered?

25 A. No.

1 Q. Have you seen any medical records from any
2 source to suggest that there is a skin condition that
3 Mr. Moore suffers from that prevents him from having
4 a prosthesis?

5 A. No.

6 MR. MCBRIDE: Okay. That's all I have.
7 Thank you, sir.

8 THE COURT: Mr. Hymanson, any final
9 followup.

10 MR. P. HYMANSON: Very briefly.

11

12

RECROSS-EXAMINATION

13 BY MR. P. HYMANSON:

14 Q. Sir, you said you weren't aware of their
15 son, who was here, and you are now because counsel
16 just said it?

17 A. Yes. Yeah, I wasn't aware that he was
18 here.

19 Q. All right. So and if you have a young man
20 like that around the house to help the family, would
21 that be of assistance to the Moores?

22 A. Probably.

23 Q. And that's something you would factor into
24 your scenario?

25 A. No. That's not part of my opinions, that

1 his son was there to help.

2 Q. well, he's already moved away. So we take
3 that factor out. That's not something you would
4 consider either way?

5 A. It's not something that was part of my
6 opinions.

7 Q. Fair enough. All right. And so other than
8 saying that he's doing fine on the medicals but he's
9 still taking 40 milligrams of OxyContin, you don't
10 have changes or recommendations as to treatment or
11 medical care because that would be beyond your scope;
12 correct?

13 MR. MCBRIDE: Your Honor, it's beyond the
14 scope of my cross or my redirect.

15 THE COURT: It does appear to be beyond the
16 scope.

17 MR. P. HYMANSON: I wouldn't disagree with
18 Your Honor. No further questions.

19 THE COURT: Mr. McBride, anything further?

20 MR. MCBRIDE: Nothing, Your Honor.

21 THE COURT: Any questions from the jurors
22 for this witness?

23 All right. Thank you. At this time,
24 Dr. Janzen, you are excused. Thank you.

25 THE WITNESS: Thank you.

1 THE COURT: I need to have counsel at the
2 bench for a brief scheduling discussion, please.

3 (Bench conference.)

4 THE COURT: I just needed to confer with
5 the counsel because we've had a change in the
6 scheduling now, and we will not be going to the
7 other courtroom tomorrow. We will be doing that on
8 another day, still needed to be determined. So
9 tomorrow you will be back here. You'll be back here
10 at 1:30. This is that odd Thursday where we had
11 some other commitments that we couldn't start trial
12 until the half day. But we'll be back here at 1:30.

13 During this overnight recess, you are
14 admonished not to talk or converse among yourselves
15 or with anyone else on any subject connected with
16 this trial or read, watch or listen to any report of
17 or commentary on the trial or any person connected
18 with the trial by any medium of information
19 including, without limitation, newspapers,
20 television, radio, or Internet.

21 Please do not attempt to visit the scene of
22 any of the events mentioned during the trial or
23 undertake any independent investigation, certainly,
24 or any independent research or Internet searches.
25 And please, of course, do not form or express any

1 opinion on any subject connected with the trial
2 until the case is finally submitted to you. We'll
3 see you tomorrow at 1:30.

4 (Jury exits the courtroom.)

5 THE MARSHAL: All rise for the jury.

6 THE COURT: I really thought she was trying
7 to pass a question for the witness. I'm like what
8 the hell is going on?

9 But in any event, we will start tomorrow at
10 1:30. We'll be up here. We'll finish Dr. Wilson.
11 I understand Mrs. Moore, and then we'll go from
12 there.

13 I'd like to take stock again tomorrow where
14 we are in terms of finishing this trial because I
15 have to tell the jurors tomorrow that we're delayed
16 into next week, and I don't want to say Tuesday and
17 then have it be Wednesday. I don't want to say --
18 you know what I'm saying? We have to figure that
19 out. So okay. See you all tomorrow.

20 MR. MCBRIDE: Thank you, Your Honor.

21 MR. H. HYMANSON: Just briefly, Your Honor.
22 I want to apologize for being late. It was
23 misassumption on my part. So I apologize to the
24 Court and counsel and the parties.

25 THE COURT: You're welcome to apologize.

1 Here's my problem, not with your apology, with the
2 issue, off the record.

3

4 (The proceedings concluded at 5:04 p.m.)

5

-000-

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

\$	27:21, 27:22, 36:20, 40:10, 40:16, 41:1, 47:10, 47:15, 48:1, 48:15, 50:9, 51:13, 55:24, 56:4, 57:1, 58:12, 59:1, 59:6, 66:18, 67:16, 68:11, 70:9, 80:5, 81:17, 118:6	88:20, 89:10, 98:18, 99:3 4:20 [1] - 99:4	131:16 absence [2] - 37:8, 75:5 absent [1] - 38:13 absolutely [5] - 9:12, 51:3, 54:18, 61:12, 67:17 abstain [3] - 52:16, 54:16, 54:21 Academy [1] - 102:21 accelerates [1] - 53:16 accelerator [1] - 111:9 accept [3] - 69:6, 73:10, 132:7 accepted [4] - 48:23, 49:15, 66:4, 66:17 access [5] - 125:16, 126:19, 126:21, 127:5, 128:14 accessibility [1] - 114:17 accommodate [4] - 21:24, 113:17, 113:24, 114:16 accommodations [2] - 114:6, 114:18 according [1] - 64:1 account [1] - 70:7 accurate [3] - 41:16, 41:17, 70:20 accused [1] - 88:5 activities [5] - 51:8, 111:2, 111:5, 111:10, 115:15 activity [1] - 111:11 actual [2] - 114:15, 123:9 acute [47] - 16:16, 16:19, 40:4, 40:5, 42:2, 47:15, 47:18, 48:6, 48:20, 48:23, 51:15, 56:22, 57:15, 57:20, 58:14, 59:1, 59:8, 59:16, 61:15, 61:20, 62:12, 64:1, 64:18, 64:25, 65:15, 65:25, 67:8, 67:12, 67:19, 68:5, 69:20, 69:23, 70:2, 72:16, 79:18, 79:24, 80:8, 80:12, 82:3, 82:17, 82:18, 83:1, 83:21, 86:5, 86:6, 87:6, 87:13 acutely [8] - 40:10, 40:14, 48:1, 48:11, 48:15, 51:2, 80:21, 83:19 add [3] - 8:16, 91:1, 92:10	added [1] - 9:6 addict [1] - 118:14 addition [7] - 42:19, 63:18, 81:15, 102:3, 106:2, 106:19, 115:3 additional [11] - 8:17, 8:22, 9:5, 17:15, 31:21, 31:22, 76:4, 117:1, 121:14, 132:14 address [3] - 7:18, 94:14, 111:16 adequate [6] - 33:19, 35:9, 35:11, 36:17, 77:1, 127:7 adjustable [1] - 110:16 administration [2] - 39:7, 39:15 admit [1] - 26:6 ADMITTED [1] - 2:14 admitted [4] - 26:9, 68:15, 105:10, 105:16 admonish [1] - 88:10 admonished [2] - 88:23, 137:14 admonishment [1] - 88:11 advance [1] - 63:5 advanced [2] - 57:25, 60:22 advise [1] - 105:20 affects [1] - 113:10 affiliations [1] - 102:16 afternoon [15] - 3:6, 3:7, 3:8, 3:9, 19:25, 20:8, 20:10, 22:18, 22:21, 23:2, 24:8, 24:9, 88:4, 99:25, 117:19 afternoon's [1] - 18:21 age [2] - 51:22, 52:5 ago [3] - 24:20, 50:15, 82:14 agree [17] - 17:13, 27:13, 27:17, 56:8, 61:22, 62:23, 70:13, 74:23, 93:6, 96:24, 112:24, 113:17, 114:22, 119:2, 127:24, 131:19, 131:22 agreed [1] - 91:20 ahead [13] - 9:10, 11:21, 19:17, 23:5, 44:21, 45:11, 61:21, 72:8, 87:25, 91:1, 99:14, 105:23, 134:7
/	2019 [8] - 12:14, 17:5, 27:2, 27:14, 27:23, 36:4, 46:19, 105:7	5		
/S/Dana [1] - 140:16	2020 [5] - 1:16, 3:1, 61:19, 114:14, 140:14	5 [4] - 1:16, 7:15, 7:23, 37:21 500,000 [1] - 74:10 5:00 [6] - 98:10, 98:20, 98:24, 99:13, 134:3, 134:7 5:04 [1] - 139:4		
1	22 [1] - 125:23 24 [1] - 2:4 25 [2] - 1:8, 59:6 250 [1] - 74:9 25th [33] - 27:11, 27:20, 27:22, 36:20, 40:10, 40:16, 41:1, 47:9, 47:15, 48:1, 48:12, 48:15, 50:9, 50:21, 51:13, 55:24, 56:4, 57:1, 58:11, 59:1, 66:18, 67:24, 68:2, 68:11, 70:9, 72:23, 80:5, 81:17, 85:7, 85:16, 86:13, 86:23, 87:11 26th [1] - 87:19 27th [1] - 87:19 28th [27] - 27:1, 27:14, 27:23, 36:4, 46:19, 67:16, 67:20, 68:2, 79:16, 79:24, 80:7, 80:16, 80:19, 80:21, 82:6, 82:9, 82:17, 83:20, 84:6, 85:7, 85:21, 86:6, 86:19, 86:24, 87:5, 87:11, 87:12	6		
1 [6] - 16:13, 37:23, 37:24, 54:18, 133:21, 134:1 10 [2] - 43:2, 51:25 100 [4] - 2:7, 25:22, 38:11, 68:15 113 [4] - 2:15, 26:5, 26:7, 26:8 117 [1] - 2:8 12/25 [1] - 40:12 12/25/16 [1] - 41:4 120 [1] - 25:22 132 [1] - 2:9 135 [1] - 2:10 14 [1] - 2:15 1411 [1] - 68:16 15 [6] - 21:7, 88:15, 88:21, 98:16, 98:21, 114:14 15-minute [1] - 21:9 1975 [2] - 102:6, 102:12 1979 [1] - 102:13 1982 [1] - 102:13 19th [1] - 17:5 1:30 [5] - 98:6, 137:10, 137:12, 138:3, 138:10 1:41 [1] - 3:2	6 [2] - 12:14, 37:21 65 [2] - 51:22, 52:5 68-year-old [1] - 29:15 6th [1] - 140:13	7		
		7 [2] - 105:3, 105:5 75 [1] - 112:11		
		8		
		8 [1] - 37:22 80 [1] - 118:7 841 [3] - 1:25, 140:6, 140:17		
		9		
		9 [2] - 26:14, 26:16		
		A		
		A-17-766426-C [1] - 1:7 abilities [1] - 115:6 ability [2] - 101:5, 111:17 ablation [1] - 45:24 able [26] - 20:23, 21:2, 28:13, 33:11, 33:21, 34:8, 34:14, 34:22, 35:14, 51:17, 53:6, 59:24, 75:7, 83:22, 101:14, 107:15, 111:1, 111:23, 115:5, 118:17, 125:2, 128:14, 131:17 above-the-knee [11] - 106:12, 107:5, 107:14, 111:7, 115:4, 115:10, 118:11, 119:20, 119:21, 128:5,		
2	3			
2 [4] - 16:14, 37:23, 37:24, 39:4 20 [3] - 42:23, 98:16, 98:21 200 [2] - 62:25, 63:4 2009 [1] - 107:10 2012 [19] - 28:20, 29:8, 34:1, 34:4, 40:24, 41:2, 41:7, 41:13, 41:20, 42:11, 42:14, 42:23, 43:3, 43:10, 43:15, 46:9, 46:19, 46:22, 81:19 2016 [26] - 27:11,	3 [3] - 105:7, 107:10, 110:23 30 [3] - 3:1, 16:6, 16:10 3:45 [3] - 20:20, 21:5, 21:6 3F [1] - 18:20 3rd [1] - 107:13			
	4			
	40 [5] - 106:7, 112:11, 118:10, 133:7, 136:9 4:00 [6] - 20:22, 88:14,			

<p>aisles [1] - 109:15 AK [1] - 110:22 alarms [1] - 70:12 ALISSA [1] - 1:23 alive [1] - 86:25 alleged [1] - 56:14 allergy [1] - 64:14 allow [1] - 10:6, 14:16, 19:8, 37:7, 38:14, 51:11, 57:19, 73:20, 90:8, 92:14 allowed [2] - 8:9, 44:17 allowing [1] - 127:18 allows [2] - 22:13, 93:17 alluded [1] - 93:16 alone [1] - 69:11 alternative [2] - 125:4, 126:11 alternatives [1] - 126:10 ambulatory [1] - 86:14 American [1] - 102:17 amount [1] - 5:1 ample [1] - 18:4 amputated [3] - 78:23, 78:25, 82:7 amputation [17] - 29:17, 29:20, 79:8, 107:5, 107:14, 110:20, 110:22, 111:7, 113:5, 113:11, 115:3, 115:4, 115:10, 116:2, 116:12, 118:11, 134:17 amputations [13] - 106:13, 106:15, 106:19, 112:12, 112:24, 112:25, 113:3, 113:7, 113:13, 113:21, 128:5, 131:17 amputee [2] - 119:20, 119:21 analysis [9] - 82:4, 92:12, 118:21, 121:2, 125:20, 126:18, 127:23, 128:1, 130:3 analyze [1] - 133:4 aneurysm [2] - 81:22, 81:23 angiogram [7] - 62:15, 62:21, 63:19, 65:1, 65:16, 65:24, 67:1 angiograms [2] - 63:21, 63:25 annually [1] - 74:10</p>	<p>answer [11] - 25:4, 31:6, 44:1, 44:5, 56:19, 71:14, 71:24, 75:22, 90:18, 90:19, 92:5 answered [1] - 71:21 answering [2] - 44:16, 72:6 answers [1] - 93:24 anticoagulant [1] - 40:7 anticoagulated [1] - 40:8 anyway [4] - 4:16, 11:25, 27:14, 71:25 apart [1] - 97:4 apologize [5] - 12:16, 13:12, 138:22, 138:23, 138:25 apology [1] - 139:1 appear [3] - 10:6, 56:11, 136:15 APPEARANCES [1] - 1:17 appeared [5] - 44:13, 44:22, 45:16, 46:17, 93:23 appellate [1] - 96:2 appreciate [4] - 15:15, 31:13, 92:8, 99:21 approach [2] - 31:9, 65:4 appropriate [10] - 72:24, 74:17, 74:22, 74:24, 91:7, 107:18, 107:22, 114:7, 120:6, 132:16 APRN [1] - 1:9 area [1] - 47:7 arguably [1] - 11:3 arguing [1] - 11:5 argument [6] - 12:20, 13:12, 16:2, 16:7, 16:10, 93:6 argumentative [1] - 127:14 arguments [1] - 13:11 Arntz [12] - 9:10, 12:5, 13:10, 17:14, 89:16, 90:1, 90:15, 91:1, 92:19, 93:21, 94:5, 95:8 ARNTZ [43] - 1:19, 3:8, 3:13, 4:3, 4:25, 5:17, 6:4, 6:12, 6:25, 7:7, 7:16, 9:11, 10:19, 12:9, 12:12, 12:16, 12:20, 14:4, 14:18, 14:21, 15:2, 15:6, 15:9, 15:15,</p>	<p>15:21, 16:22, 17:4, 27:24, 28:3, 32:3, 32:21, 39:17, 43:23, 44:15, 56:18, 58:15, 65:4, 66:6, 71:10, 71:12, 71:17, 91:4, 95:11 arrange [1] - 19:1 arrangements [1] - 134:2 arrived [2] - 48:6, 48:8 arriving [1] - 59:18 arterial [9] - 42:19, 70:5, 75:9, 75:16, 75:21, 76:23, 77:1, 77:2, 77:4 arteries [11] - 25:17, 38:8, 42:22, 46:6, 49:12, 59:24, 75:18, 77:6, 77:12, 78:7, 80:25 arteriogram [16] - 34:4, 34:5, 42:20, 42:22, 46:6, 46:9, 46:18, 61:20, 62:11, 62:14, 63:9, 63:15, 63:19, 65:16, 65:24, 80:15 arteriographer [1] - 82:10 artery [74] - 25:18, 25:20, 30:1, 30:9, 30:11, 30:14, 30:15, 30:22, 31:19, 32:2, 32:5, 32:18, 33:16, 33:24, 34:6, 34:11, 34:18, 35:3, 35:18, 35:21, 35:23, 36:1, 36:6, 36:21, 36:24, 37:2, 37:19, 37:21, 37:25, 38:5, 38:7, 38:9, 38:16, 41:21, 42:12, 42:25, 43:7, 43:16, 45:16, 46:11, 46:17, 46:18, 46:24, 47:3, 49:20, 52:2, 52:7, 55:3, 58:3, 58:13, 63:11, 63:12, 66:5, 69:3, 77:9, 80:24, 81:2, 81:3, 82:9, 82:19, 83:3, 83:5, 83:8, 83:11, 83:13, 83:14, 83:16, 83:19, 84:2, 84:8, 86:5, 86:7 articles [1] - 61:25 articulated [2] - 16:1, 67:15 aspect [2] - 111:21, 121:2</p>	<p>aspects [2] - 102:7, 102:8 assess [1] - 103:22 assessing [1] - 101:2 assessment [7] - 60:6, 60:17, 61:9, 61:11, 62:13, 125:25, 129:24 Assessment/Plan [1] - 29:11 assignment [1] - 19:24 assist [3] - 6:11, 105:14, 105:17 assistance [2] - 115:14, 135:21 assisted [1] - 107:3 assisting [1] - 106:12 associated [3] - 51:5, 56:21, 116:11 Association [2] - 102:19, 102:20 assume [12] - 41:12, 41:19, 60:13, 61:18, 62:19, 64:16, 65:12, 65:13, 69:8, 70:6, 73:6, 74:21 assuming [2] - 82:13, 98:17 assumption [1] - 64:22 atherosclerosis [1] - 53:17 atherosclerotic [1] - 52:14 attached [2] - 58:3, 84:1 attempt [6] - 83:12, 83:24, 84:7, 84:11, 85:14, 137:21 attempted [1] - 85:22 attempts [1] - 108:7 attendant [2] - 114:21, 115:7 augmentation [1] - 76:12 August [13] - 17:5, 27:1, 27:14, 27:23, 34:1, 34:4, 36:4, 42:11, 42:23, 43:15, 46:9, 46:19 authority [2] - 93:14, 93:16 automatic [1] - 63:4 available [3] - 18:4, 119:13, 130:18 average [1] - 114:11 avoid [1] - 31:8 aware [9] - 36:7, 112:7, 115:12,</p>	<p>115:22, 116:1, 133:15, 134:11, 135:14, 135:17 awoke [1] - 68:3</p>
B				
			<p>Bachelor's [1] - 102:1 backdoor [1] - 44:15 backdoored [1] - 96:7 background [3] - 101:20, 116:23, 133:6 backs [1] - 124:11 backup [2] - 40:22, 78:9 balance [1] - 130:6 bars [1] - 109:14 Bartmus [9] - 5:10, 56:5, 66:3, 66:11, 66:17, 72:22, 78:22, 79:6, 96:18 BARTMUS [1] - 1:9 base [1] - 119:10 based [55] - 4:12, 27:13, 27:18, 33:7, 33:21, 34:8, 34:15, 35:10, 36:9, 42:9, 42:24, 45:14, 46:17, 47:12, 50:7, 51:18, 52:1, 54:7, 57:22, 58:4, 58:24, 66:2, 66:19, 70:25, 72:19, 85:3, 85:20, 86:3, 86:13, 87:20, 87:22, 109:3, 109:4, 110:2, 110:24, 111:22, 112:20, 113:1, 115:5, 115:22, 116:9, 119:9, 120:12, 122:1, 122:8, 122:9, 126:19, 127:9, 127:23, 128:3, 131:14, 131:15, 133:5, 133:12 bases [7] - 8:17, 8:23, 9:2, 9:20, 13:1, 13:4, 17:7 basic [1] - 47:7 basics [1] - 60:19 basis [13] - 8:4, 8:12, 13:16, 15:7, 18:8, 33:11, 34:2, 45:20, 47:24, 61:6, 67:10, 86:10, 114:24 Bates [2] - 26:11, 68:16 bathroom [7] - 114:8, 114:10, 125:12,</p>	

<p>125:13, 125:15, 125:16, 126:15 beat [1] - 72:4 beating [1] - 127:11 became [2] - 90:15, 113:8 become [1] - 73:23 becomes [1] - 58:2 becoming [1] - 118:14 bedroom [1] - 126:16 BEFORE [1] - 1:15 began [1] - 50:2 begin [1] - 81:24 beginning [2] - 81:1, 105:3 behalf [3] - 103:13, 103:16, 103:20 below [6] - 29:10, 38:8, 38:9, 42:5, 83:8, 106:15 below-knee [1] - 42:5 below-the-knee [1] - 106:15 Bench [4] - 31:12, 58:18, 65:7, 137:3 bench [12] - 33:1, 33:2, 58:17, 89:14, 89:19, 93:7, 93:20, 93:25, 94:4, 95:9, 137:2 beneficial [1] - 110:13 benefit [1] - 128:3 benefitted [1] - 127:25 BESTICK [1] - 1:23 bet [1] - 130:15 better [5] - 15:22, 55:9, 61:1, 92:17, 93:3 between [5] - 27:22, 43:15, 68:2, 74:9, 87:11 beyond [4] - 84:23, 136:11, 136:13, 136:15 big [1] - 64:13 bilateral [1] - 42:15 binder [2] - 105:10, 105:13 bit [14] - 27:5, 28:6, 30:4, 48:21, 63:13, 76:3, 76:4, 79:22, 96:16, 99:12, 101:17, 101:19, 107:9, 107:24 blanche [1] - 59:21 block [8] - 32:6, 32:8, 33:15, 33:18, 35:23, 38:9, 49:20, 49:22 blocked [6] - 31:1, 31:20, 34:7, 34:10,</p>	<p>35:19, 81:19 blocks [1] - 51:10 blood [51] - 25:9, 25:15, 25:19, 25:22, 32:15, 32:20, 35:22, 38:2, 38:4, 38:15, 41:22, 42:1, 49:21, 51:6, 51:9, 51:12, 52:24, 53:14, 53:18, 55:5, 55:13, 63:8, 73:9, 73:15, 73:17, 73:19, 75:11, 75:13, 75:17, 76:8, 76:13, 76:17, 76:21, 76:22, 76:23, 77:5, 77:8, 77:10, 77:12, 77:13, 77:20, 78:2, 78:3, 78:6, 80:13, 80:14, 80:16, 80:17, 80:21, 81:20, 83:5 bloodstream [1] - 53:12 blue [1] - 97:19 board [3] - 24:12, 24:14, 92:15 body [1] - 110:15 boiled [1] - 48:2 Boise [1] - 101:12 brain [1] - 106:21 brake [1] - 111:9 brand [1] - 6:18 break [15] - 19:18, 20:4, 20:20, 21:5, 21:7, 21:9, 22:5, 30:3, 87:24, 88:1, 88:11, 88:14, 97:21, 99:3 BREEN [1] - 1:19 brief [1] - 137:2 briefing [1] - 90:4 briefly [7] - 3:13, 6:4, 100:19, 101:19, 107:11, 135:10, 138:21 bring [4] - 3:18, 5:6, 10:25, 21:11 broadens [1] - 17:11 broken [1] - 73:17 brought [4] - 6:5, 6:12, 6:16, 11:17 build [1] - 25:16 built [1] - 57:18 bulb [1] - 111:13 bunch [2] - 9:16, 9:21 BY [27] - 1:25, 24:7, 26:15, 28:1, 28:12, 31:17, 32:9, 33:5, 39:23, 44:9, 44:20, 45:13, 56:23, 58:22, 65:11, 66:9, 68:19,</p>	<p>72:9, 100:18, 105:15, 106:1, 117:18, 127:19, 130:14, 132:23, 134:8, 135:13 bypass [9] - 37:2, 37:4, 40:24, 41:2, 41:21, 42:3, 42:8, 42:25, 43:10</p> <hr/> <p style="text-align: center;">C</p> <hr/> <p>C.V [1] - 105:4 calf [8] - 50:22, 50:24, 51:11, 66:25, 73:1, 74:19, 76:7, 76:12 cane [8] - 107:16, 107:17, 119:19, 119:22, 120:2, 120:22, 122:11, 130:7 cannot [7] - 44:5, 70:11, 111:22, 115:6, 127:4, 128:18, 128:19 capabilities [2] - 101:5, 129:25 capability [1] - 101:4 capable [1] - 22:11 capacities [1] - 101:8 capacity [1] - 103:15 car [3] - 123:10, 123:13, 123:23 cardiovascular [1] - 26:24 care [45] - 38:22, 39:2, 39:6, 39:10, 39:14, 39:19, 65:15, 65:19, 93:24, 94:2, 94:8, 94:11, 94:12, 94:24, 95:3, 95:14, 95:17, 95:21, 95:23, 96:4, 96:23, 96:25, 97:5, 97:6, 97:7, 97:8, 97:13, 97:14, 97:17, 97:18, 103:17, 103:22, 103:23, 104:13, 110:7, 111:1, 111:4, 114:21, 115:2, 115:7, 115:18, 129:7, 129:10, 136:11 Care [7] - 100:23, 101:6, 102:21, 103:25, 119:4, 119:7, 133:1 career [1] - 121:16 cares [1] - 131:11 carrying [1] - 131:5</p>	<p>case [44] - 20:8, 21:22, 21:24, 25:1, 27:12, 27:21, 33:22, 37:1, 47:13, 50:8, 56:24, 66:2, 66:14, 67:10, 68:22, 69:10, 72:5, 72:20, 74:17, 74:23, 75:19, 85:7, 87:21, 89:9, 89:25, 90:1, 90:2, 91:4, 91:10, 92:9, 93:7, 93:10, 93:17, 95:4, 103:12, 103:19, 104:1, 104:5, 104:17, 114:7, 115:12, 132:25, 138:2 CASE [1] - 1:6 cases [2] - 93:12, 93:13 casinos [1] - 109:13 catch [1] - 51:12 catheter [1] - 63:2 causation [6] - 11:24, 97:3, 97:9, 97:13, 97:15, 97:16 caused [5] - 78:22, 79:7, 82:18, 82:20, 86:5 causes [1] - 83:2 cc [3] - 38:11, 62:25, 63:4 CCR [3] - 1:25, 140:6, 140:17 ceiling [1] - 111:14 cell [1] - 53:13 center [1] - 23:3 central [2] - 63:1, 63:2 certain [8] - 22:19, 49:25, 63:20, 90:11, 91:8, 98:13, 115:14, 121:1 certainly [9] - 69:21, 70:14, 92:11, 92:13, 93:15, 112:17, 124:19, 130:18, 137:23 certainty [3] - 121:23, 121:24 certification [1] - 102:4 Certified [1] - 140:18 certified [2] - 24:12, 24:14 certify [1] - 140:7 cervical [1] - 115:24 chair [2] - 23:6, 23:9 chance [1] - 3:25 chances [1] - 54:23 change [8] - 49:3, 87:7, 111:13, 121:4,</p>	<p>121:8, 126:14, 134:17, 137:5 changes [4] - 76:8, 87:6, 120:23, 136:10 Charlene [1] - 115:13 CHARLENE [1] - 1:3 chase [1] - 24:23 check [6] - 3:24, 5:21, 19:22, 20:7, 63:25, 88:16 checking [1] - 6:7 chief [2] - 21:22, 21:24 Chief [2] - 28:22, 28:23 choice [4] - 9:13, 108:4, 120:8, 120:12 cholesterol [2] - 55:5, 55:13 choose [2] - 8:15, 8:18 chose [1] - 13:8 Christopher [1] - 134:10 chronic [27] - 16:17, 16:19, 17:6, 25:14, 27:16, 35:6, 40:3, 49:15, 49:17, 49:21, 49:23, 50:5, 51:6, 51:15, 55:21, 55:25, 56:2, 56:16, 56:21, 57:13, 58:10, 58:13, 59:2, 59:5, 67:5, 70:5, 79:12 Chronic [1] - 27:6 chronically [4] - 40:17, 40:18, 48:17, 51:1 chronicity [1] - 69:25 circuit [3] - 97:1, 101:16, 107:9 circulating [1] - 73:19 circulation [8] - 32:6, 32:12, 32:14, 33:20, 35:9, 35:11, 49:19, 79:15 circulatory [1] - 106:24 circumstance [1] - 127:21 circumstances [7] - 10:22, 67:14, 67:19, 91:21, 94:3, 94:5, 94:15 citation [1] - 93:11 clarification [2] - 93:19, 98:20 clarified [1] - 94:9 clarify [4] - 31:14, 65:9, 96:15, 126:9 clarity [1] - 90:5</p>
---	---	--	---	---

<p>CLARK [2] - 1:2, 140:4 classic [1] - 73:2 claudication [13] - 49:24, 50:10, 50:12, 50:21, 51:1, 51:5, 51:14, 51:20, 52:1, 52:6, 56:15, 56:20 clean [1] - 71:22 cleaning [2] - 128:22, 129:7 clear [6] - 8:23, 53:16, 89:25, 90:2, 93:10, 95:2 clearly [1] - 95:22 clerk [5] - 6:8, 6:19, 23:7, 99:20 CLERK [4] - 23:13, 23:15, 99:24, 100:4 clientele [1] - 112:13 clients [1] - 108:16 climb [1] - 111:13 climbing [1] - 131:4 clinic [2] - 50:16, 65:21 clinical [4] - 60:5, 61:10, 106:4, 106:8 clot [25] - 57:24, 58:7, 62:4, 73:9, 73:15, 73:17, 75:9, 75:10, 75:14, 75:17, 76:10, 78:10, 82:14, 83:15, 83:22, 84:1, 84:2, 84:7, 84:16, 84:20, 84:25, 85:1, 85:9, 85:15 clots [4] - 80:18, 82:2, 82:10, 84:20 clotted [2] - 41:3, 41:4 clotting [2] - 40:4, 77:15 cognizant [1] - 22:14 cold [5] - 48:3, 49:9, 49:10, 59:15, 59:20 collateral [8] - 32:6, 33:17, 36:16, 49:21, 80:13, 81:9, 81:18, 81:20 collaterals [18] - 25:10, 25:16, 26:1, 29:25, 32:18, 34:11, 35:12, 35:16, 35:18, 35:20, 37:7, 38:14, 57:19, 81:13, 81:16, 82:1, 86:7, 86:25 College [1] - 102:1 colloquy [1] - 93:25 color [2] - 49:3, 49:5 combined [2] - 66:23, 69:13 coming [6] - 16:17,</p>	<p>16:20, 35:20, 94:10, 94:11, 132:17 comment [1] - 39:10 commentary [4] - 45:10, 89:1, 92:8, 137:17 commitments [1] - 137:11 common [3] - 52:4, 83:11, 116:20 commonly [1] - 74:2 commonness [2] - 51:19, 51:20 communicate [1] - 20:6 communications [1] - 89:5 community [1] - 64:24 competent [3] - 71:2, 71:6, 72:10 Complaint [2] - 28:22, 28:24 complaint [1] - 66:25 complete [4] - 7:21, 20:10, 22:8, 99:13 completed [1] - 97:20 completely [3] - 31:20, 49:19, 86:18 complication [1] - 64:13 complications [1] - 62:18 component [1] - 19:8 compress [2] - 76:7, 76:11 compressibility [1] - 76:9 computer [2] - 63:5, 63:7 concern [6] - 74:11, 93:22, 94:22, 95:9, 120:2, 120:3 concerned [2] - 72:23, 90:15 conclude [2] - 22:17, 88:1 concluded [1] - 139:4 conclusion [6] - 17:8, 48:6, 48:8, 91:11, 91:19, 93:2 conclusionary [1] - 91:16 conclusions [3] - 71:9, 89:21, 92:21 condition [15] - 16:15, 18:6, 49:17, 67:6, 79:12, 80:19, 109:5, 109:8, 115:19, 117:4, 121:18, 121:19, 134:23,</p>	<p>135:2 conditions [5] - 106:22, 107:1, 113:22, 116:7, 133:10 conduit [1] - 31:20 confer [1] - 137:4 conference [6] - 31:12, 33:2, 58:18, 65:7, 93:20, 137:3 conferences [2] - 89:14, 89:15 confine [2] - 13:19, 13:20 confined [13] - 5:3, 5:7, 7:9, 9:19, 10:6, 14:9, 14:15, 14:24, 15:11, 15:16, 18:13, 114:2, 114:12 confirmation [1] - 62:2 confirms [1] - 70:5 confusing [1] - 94:19 confusion [2] - 17:20, 92:6 Congress [1] - 102:18 connected [6] - 88:24, 89:1, 89:8, 137:15, 137:17, 138:1 connecting [2] - 13:10, 13:11 connection [1] - 7:3 connections [1] - 19:10 consequences [1] - 102:8 conservative [3] - 55:17, 55:20 consider [1] - 136:4 considered [1] - 114:15 consistency [1] - 84:3 consistent [1] - 133:8 constituted [1] - 69:15 constitutes [1] - 36:11 consultation [3] - 28:25, 44:23, 67:7 consulting [1] - 29:2 contained [4] - 4:21, 7:25, 13:2, 14:5 contents [2] - 17:24, 18:14 context [3] - 16:17, 16:20, 39:21 continuation [1] - 18:22 continue [1] - 37:7 continued [1] - 52:25 continues [1] - 53:4 continuing [4] - 21:21,</p>	<p>102:3, 110:21, 121:14 contrast [7] - 62:25, 63:8, 63:10, 63:21, 64:4, 64:12, 64:14 contributes [1] - 53:1 contributing [1] - 6:14 controlled [1] - 124:10 controls [1] - 111:8 converse [2] - 88:23, 137:14 convert [3] - 56:16, 57:13, 58:13 coordinated [1] - 20:19 copy [1] - 140:11 cord [2] - 110:12, 110:18 corner [3] - 23:4, 26:19, 26:20 corners [2] - 12:22, 13:7 CORPORATIONS [1] - 1:10 correct [33] - 24:19, 30:6, 30:23, 38:22, 39:2, 39:13, 43:7, 43:8, 45:18, 45:19, 54:2, 57:9, 57:10, 65:14, 69:6, 78:11, 78:13, 78:18, 104:4, 105:11, 105:16, 120:24, 121:22, 121:25, 126:25, 128:11, 129:21, 131:7, 132:4, 133:13, 133:14, 136:12, 140:8 corrected [2] - 87:4, 130:13 corrective [1] - 62:3 correctly [3] - 14:1, 17:11, 58:9 cost [1] - 124:2 costs [1] - 109:19 cotton [1] - 60:1 counsel [9] - 7:22, 33:1, 58:16, 117:21, 117:22, 135:15, 137:1, 137:5, 138:24 counseling [4] - 101:22, 101:24, 116:24, 117:6 Counseling [1] - 102:19 counselor [1] - 102:5 countered [1] - 119:15 counters [1] - 114:1 COUNTY [2] - 1:2, 140:4</p>	<p>couple [5] - 24:23, 65:9, 82:6, 88:16, 90:22 course [6] - 7:20, 8:25, 18:13, 89:7, 90:20, 137:25 COURT [105] - 1:1, 3:6, 3:10, 3:24, 4:7, 5:8, 5:20, 6:7, 6:20, 7:5, 7:11, 7:20, 9:10, 9:23, 10:21, 11:18, 11:21, 12:3, 12:11, 12:15, 12:18, 13:9, 14:12, 14:19, 14:22, 15:3, 15:7, 15:10, 15:16, 15:23, 16:25, 17:9, 17:18, 18:16, 19:15, 19:20, 20:25, 21:3, 21:8, 21:13, 21:15, 23:1, 23:19, 26:6, 26:10, 28:4, 31:3, 31:11, 31:13, 32:23, 32:25, 33:3, 43:24, 44:1, 44:4, 44:7, 44:17, 45:3, 45:6, 56:19, 58:16, 58:19, 65:6, 65:8, 66:7, 68:18, 71:11, 71:14, 71:21, 72:1, 87:25, 88:9, 88:13, 89:13, 91:13, 93:5, 93:18, 95:25, 97:20, 98:17, 98:23, 99:2, 99:8, 99:18, 100:2, 100:7, 100:9, 105:9, 105:13, 105:20, 117:13, 127:17, 130:10, 133:16, 133:20, 133:22, 134:6, 135:8, 136:15, 136:19, 136:21, 137:1, 137:4, 138:6, 138:25 court [5] - 19:1, 19:2, 19:3, 19:4, 98:14 Court [12] - 15:25, 17:22, 17:23, 90:23, 92:13, 93:6, 93:15, 94:6, 96:12, 138:24, 140:12, 140:18 Court's [3] - 90:7, 92:12, 93:8 courthouse [1] - 103:5 Courtroom [1] - 18:20 courtroom [9] - 18:23, 18:24, 21:14, 22:20, 88:17, 97:24, 99:7, 137:7, 138:4 covered [2] - 6:8,</p>
---	--	--	---	---

<p>81:21 cramping [1] - 49:24 credentials [2] - 24:22, 70:24 credits [1] - 102:4 critical [5] - 28:16, 46:23, 47:2, 57:14, 111:21 Cross [1] - 2:8 cross [4] - 12:24, 15:13, 98:12, 136:14 CROSS [1] - 117:17 Cross-Examination [1] - 2:8 CROSS-EXAMINATION [1] - 117:17 cross-examine [2] - 12:24, 98:12 cross-purposes [1] - 15:13 crossed [1] - 90:16 crutch [1] - 120:2 crutches [7] - 107:16, 107:20, 119:19, 119:22, 120:22, 122:12, 130:8 CT [13] - 61:25, 62:6, 62:14, 62:21, 63:7, 63:14, 63:19, 63:21, 63:25, 65:1, 65:16, 65:24, 67:1 current [1] - 53:3 cut [2] - 24:22, 80:16</p>	<p>death [1] - 74:5 deaths [1] - 74:10 debate [1] - 89:23 debates [1] - 89:19 decade [2] - 129:6, 132:12 decades [2] - 51:19, 54:21 December [37] - 27:11, 27:20, 27:22, 36:20, 40:10, 40:16, 40:24, 41:1, 47:9, 47:15, 48:1, 48:12, 48:15, 50:9, 51:13, 55:24, 56:4, 57:1, 58:11, 59:1, 59:6, 66:18, 67:16, 68:11, 70:9, 72:23, 79:16, 79:24, 80:5, 80:7, 80:21, 81:17, 82:6, 82:9, 83:20, 84:6, 85:16 decide [4] - 11:9, 11:11, 14:10, 92:14 declined [1] - 18:12 deep [9] - 27:6, 27:10, 27:19, 35:21, 73:2, 73:5, 74:2, 75:6, 76:14 deeper [1] - 81:2 defendant [1] - 26:5 Defendant [2] - 5:10, 5:11 Defendants [2] - 1:11, 1:21 defense [5] - 21:24, 22:7, 96:13, 99:17, 103:21 defer [1] - 121:20 definitely [1] - 67:20 definition [4] - 48:23, 49:15, 50:24, 51:1 definitive [1] - 120:16 degenerative [1] - 106:22 degree [9] - 79:3, 79:8, 85:8, 94:17, 101:22, 101:24, 102:1, 117:8, 122:2 Delaney [1] - 140:11 DELANEY [1] - 1:15 delayed [1] - 138:15 deleterious [1] - 53:12 demonstrate [2] - 25:10, 48:19 demonstrated [1] - 42:21 department [8] - 58:11, 64:25, 65:3, 65:20, 66:18, 79:25,</p>	<p>80:6, 87:7 dependent [1] - 25:19 depose [4] - 5:4, 8:16, 8:18, 13:8 deposition [17] - 4:5, 4:22, 7:10, 8:1, 8:16, 8:21, 9:13, 9:18, 10:5, 47:18, 73:8, 73:13, 86:13, 87:22, 104:19, 127:3, 131:7 Deposition [1] - 8:10 depositions [2] - 66:10, 104:17 deposits [1] - 53:17 DEPT [1] - 1:8 derogatory [2] - 112:15, 112:20 describe [1] - 72:16 described [8] - 37:10, 37:12, 50:11, 50:16, 55:19, 60:4, 63:18, 122:13 description [2] - 37:13, 85:3 designated [1] - 10:19 designations [1] - 5:3 designed [1] - 96:7 desire [1] - 94:7 desk [1] - 19:2 destined [1] - 87:2 detail [1] - 79:22 details [1] - 88:19 detect [4] - 59:25, 63:6, 74:14, 75:17 determination [4] - 12:22, 13:23, 120:19, 132:9 determine [1] - 17:23 determined [2] - 129:13, 137:8 determining [1] - 101:4 develop [2] - 59:11 developed [4] - 33:17, 80:12, 82:2, 113:5 developing [1] - 106:8 development [1] - 25:25 diabetes [2] - 51:24, 64:8 diagnosable [2] - 80:1, 80:8 diagnose [3] - 62:12, 64:25, 65:15 diagnosed [7] - 42:13, 46:9, 56:5, 65:25, 68:5, 73:8, 75:8 diagnosis [12] - 43:6, 45:15, 56:12, 56:14, 59:8, 59:19, 61:15,</p>	<p>61:17, 61:19, 62:2, 62:9, 64:18 diagnostic [2] - 61:25, 62:1 dialogue [1] - 10:21 difference [5] - 75:10, 85:7, 85:25, 90:5, 119:14 different [28] - 8:4, 9:5, 11:10, 13:10, 13:13, 19:14, 22:6, 22:20, 48:8, 48:10, 48:13, 63:13, 68:7, 83:15, 85:19, 86:18, 86:19, 87:19, 90:9, 108:24, 110:16, 118:16, 119:12, 122:15, 125:24, 131:21, 131:23, 132:8 difficult [2] - 28:18, 127:20 difficulties [1] - 99:10 difficulty [2] - 123:15, 125:11 dilute [1] - 64:12 direct [1] - 45:9 DIRECT [2] - 24:6, 100:17 Direct [2] - 2:4, 2:7 directed [1] - 75:20 direction [1] - 94:25 directly [3] - 63:12, 104:2, 107:3 disabilities [3] - 106:10, 106:17, 110:10 disability [1] - 102:7 disadvantage [2] - 8:15, 8:20 disagree [7] - 61:24, 71:6, 71:9, 72:11, 92:12, 109:24, 136:17 disagreed [2] - 71:8, 91:23 disclosed [2] - 94:13, 96:4 disclosures [1] - 96:23 discoloration [1] - 59:14 discolored [2] - 49:10, 59:20 discovered [1] - 45:17 discovery [1] - 44:23 discretion [2] - 92:13, 93:15 discrimination [1] - 54:7</p>	<p>discuss [1] - 14:8 discussed [2] - 4:4, 12:3 discussing [1] - 132:11 discussion [5] - 6:19, 12:23, 18:4, 95:25, 137:2 discussions [1] - 3:17 disease [23] - 28:20, 28:25, 29:7, 29:16, 38:7, 42:5, 45:24, 46:5, 51:21, 52:5, 52:11, 52:14, 52:18, 52:20, 53:2, 53:5, 53:9, 54:17, 54:24, 55:6, 69:25, 70:5, 73:3 dispute [1] - 57:7 disregard [1] - 45:10 disrespectful [2] - 112:16, 112:18 dissolution [1] - 62:5 dissolve [5] - 57:24, 83:22, 84:7, 84:24, 85:15 dissolved [1] - 85:10 dissolves [1] - 25:6 distal [1] - 59:23 distance [6] - 49:25, 50:3, 51:9, 55:14, 55:15, 107:21 distances [3] - 122:25, 124:16, 124:18 DISTRICT [1] - 1:1 District [1] - 140:12 doctor [3] - 22:7, 50:6, 106:2 Doctor [16] - 44:5, 45:3, 102:10, 102:15, 102:23, 105:1, 105:17, 107:7, 111:16, 112:7, 112:21, 113:14, 117:7, 132:20, 132:24, 134:9 doctor's [1] - 71:21 Doctorate [1] - 101:22 doctors [3] - 60:15, 61:2, 94:10 document [9] - 26:3, 27:14, 27:19, 28:14, 30:21, 68:24, 69:1, 69:2, 105:4 documenting [1] - 28:17 documents [1] - 105:14</p>
D				
<p>daily [5] - 51:7, 115:14, 122:22, 128:21, 140:10 damage [2] - 64:3, 64:4 dampened [1] - 46:4 Dana [2] - 140:6, 140:17 DANA [1] - 1:25 DARELL [1] - 1:3 date [7] - 27:1, 27:21, 29:3, 34:24, 43:12, 104:14, 105:6 dated [2] - 17:5, 140:13 daughter [1] - 134:2 days [8] - 16:6, 16:10, 50:15, 67:15, 80:7, 82:6, 85:6, 85:24 deadline [1] - 12:12 deal [1] - 14:11 dealing [2] - 6:22, 34:17</p>				

<p>DOES [1] - 1:9 done [21] - 21:4, 34:4, 42:22, 43:2, 43:3, 48:18, 62:1, 63:14, 63:16, 75:16, 75:17, 80:15, 98:5, 101:6, 113:20, 127:25, 130:15, 130:16, 130:20, 130:24, 131:1 door [2] - 9:21, 125:17 doors [1] - 114:1 doorway [1] - 125:19 Doppler [6] - 41:23, 42:1, 46:3, 49:11, 58:25, 59:23 double [2] - 5:21, 55:14 double-check [1] - 5:21 doubt [3] - 14:23, 41:17, 57:5 down [10] - 19:12, 19:17, 20:5, 27:4, 30:3, 48:2, 59:22, 78:7, 119:3, 133:18 downhill [2] - 77:20, 77:25 Dr [148] - 2:15, 3:14, 5:3, 8:11, 8:21, 9:5, 9:7, 9:9, 11:17, 20:16, 20:17, 20:21, 20:24, 22:4, 22:8, 23:1, 23:2, 24:8, 24:21, 24:24, 26:2, 26:3, 26:16, 26:19, 26:21, 26:23, 27:9, 27:15, 27:23, 28:13, 28:16, 29:3, 29:4, 29:23, 30:13, 31:25, 33:6, 34:14, 34:16, 34:23, 35:1, 36:9, 36:19, 37:12, 38:18, 38:20, 38:25, 41:12, 41:13, 41:19, 43:21, 44:14, 44:21, 45:2, 45:14, 45:23, 46:23, 47:2, 47:6, 47:17, 47:21, 47:23, 47:25, 48:5, 48:11, 48:19, 48:22, 49:13, 50:7, 50:24, 51:18, 56:3, 56:5, 58:23, 59:7, 60:2, 60:13, 61:18, 62:20, 64:2, 64:15, 64:16, 65:12, 65:14, 65:18, 66:3, 66:11, 66:17, 67:13, 68:17, 68:20, 69:8, 69:9, 70:6, 71:7, 72:4,</p>	<p>72:10, 72:11, 72:18, 72:22, 72:25, 73:6, 73:21, 74:4, 74:20, 78:21, 78:22, 79:6, 80:11, 83:21, 88:2, 88:5, 89:16, 89:21, 93:23, 94:1, 94:12, 94:16, 96:16, 96:17, 96:21, 96:22, 96:25, 97:4, 97:7, 97:12, 97:14, 97:15, 99:17, 99:18, 99:19, 99:25, 100:19, 101:11, 104:19, 108:1, 109:25, 112:6, 112:19, 112:21, 113:15, 114:19, 118:6, 133:5, 136:24, 138:10 dressed [1] - 111:4 drive [2] - 111:7, 123:23 drives [2] - 124:7, 124:11 dropped [1] - 59:21 due [2] - 47:15, 74:10 duly [2] - 24:3, 100:14 duplicated [1] - 96:19 duplication [1] - 96:10 uplicative [1] - 95:19 duration [1] - 84:21 during [7] - 66:4, 66:18, 81:19, 87:16, 88:21, 137:13, 137:22 dusky [1] - 49:5 DVT [13] - 27:15, 28:14, 28:17, 72:23, 73:22, 74:14, 74:22, 75:5, 75:8, 75:16, 75:20, 75:23, 76:5 DVTs [1] - 28:17 dye [3] - 62:22, 63:6, 63:12</p>	<p>effort [3] - 94:7, 101:18, 108:8 eight [5] - 114:21, 115:6, 115:17, 129:10, 129:20 EIGHTH [1] - 1:1 either [9] - 8:19, 11:11, 19:20, 27:15, 93:10, 96:2, 116:22, 118:24, 136:4 elective [2] - 43:11, 43:13 electric [10] - 107:22, 107:23, 107:25, 109:11, 110:1, 110:4, 110:8, 110:13, 110:18, 123:17 electrical [2] - 122:12, 122:13 elementary [1] - 77:16 elevated [2] - 49:5, 59:20 elevation [1] - 55:6 emboli [1] - 74:10 embolism [1] - 73:23 embolus [1] - 73:17 emergency [27] - 33:9, 36:11, 46:25, 47:4, 47:9, 47:14, 47:19, 48:7, 58:11, 64:20, 64:25, 65:2, 65:20, 66:18, 67:2, 67:9, 67:23, 68:6, 69:11, 69:15, 70:11, 79:14, 79:25, 80:6, 86:12, 86:23, 87:7 EMERGENCY [1] - 1:8 emergent [1] - 43:18 emotional [2] - 101:3, 117:4 employed [1] - 113:8 empty [1] - 97:25 encourage [2] - 54:16, 55:4 end [2] - 22:1, 90:12 ended [4] - 21:10, 28:10, 90:13, 92:4 endothelium [2] - 52:23, 53:14 energy [1] - 108:22 engage [1] - 92:6 enlarge [2] - 25:17, 33:18 ensure [2] - 79:15, 109:1 entails [1] - 100:25 entered [1] - 57:25 enters [3] - 21:14, 53:11, 99:7</p>	<p>entertained [1] - 118:3 entire [2] - 120:25, 134:16 entirely [1] - 50:11 entitled [2] - 10:17, 14:24 enzyme [1] - 62:5 equipment [1] - 19:9 especially [3] - 9:6, 50:18, 131:20 ESQ [6] - 1:19, 1:20, 1:20, 1:22, 1:23, 1:23 essentially [3] - 12:6, 92:24, 106:25 establish [3] - 28:19, 38:20, 61:25 estimates [1] - 74:9 evaluate [1] - 73:4 evaluated [1] - 106:4 evaluating [2] - 102:8, 111:21 evaluation [5] - 60:5, 61:10, 66:4, 118:25, 119:1 event [5] - 42:2, 67:8, 79:16, 82:17, 138:9 events [2] - 86:18, 137:22 evidence [6] - 26:9, 43:9, 68:16, 78:12, 105:17, 105:22 exactly [1] - 60:15 exam [6] - 46:3, 46:4, 60:5, 61:11, 62:13, 75:23 Examination [5] - 2:4, 2:7, 2:8, 2:9, 2:10 EXAMINATION [5] - 24:6, 100:17, 117:17, 132:22, 135:12 examination [8] - 48:18, 49:11, 59:18, 60:20, 60:23, 70:20, 91:12 examine [6] - 12:24, 56:9, 61:17, 70:19, 75:13, 98:12 examined [4] - 24:4, 49:9, 100:15, 118:7 example [4] - 51:24, 65:2, 65:21, 127:17 exceed [1] - 51:8 except [1] - 87:1 exclude [1] - 13:15 exclusive [1] - 15:18 excuse [1] - 102:14 excused [1] - 136:24 exercise [1] - 55:12</p>	<p>EXHIBIT [1] - 2:14 Exhibit [4] - 26:5, 26:7, 26:8, 68:15 exhibits [1] - 105:10 exits [1] - 138:4 expect [5] - 41:9, 42:3, 42:7, 87:14, 87:16 expected [2] - 50:22, 67:5 experience [13] - 51:18, 110:5, 111:20, 112:20, 113:1, 113:12, 116:24, 119:9, 119:10, 120:9, 127:23, 131:16, 133:6 experienced [1] - 121:5 expert [20] - 4:12, 4:21, 5:4, 7:25, 9:18, 16:19, 24:25, 68:13, 68:14, 91:6, 95:12, 95:13, 95:22, 99:4, 102:24, 103:9, 103:13, 103:20, 104:20, 105:21 expertise [1] - 127:24 experts [8] - 4:4, 4:13, 7:9, 7:24, 89:24, 94:11, 96:10, 97:6 Experts [1] - 4:20 explain [4] - 25:13, 75:7, 100:24, 108:18 express [2] - 89:8, 137:25 expressed [3] - 94:6, 95:9 expressing [1] - 45:7 extensive [2] - 106:20, 128:4 extent [4] - 14:6, 17:19, 25:25, 84:16 extremity [9] - 25:15, 34:21, 36:18, 49:4, 49:24, 59:15, 67:9, 86:22, 112:12</p>
	E			F
<p>early [2] - 94:17, 98:3 easier [4] - 77:20, 77:24, 107:24, 125:6 education [1] - 102:4 educational [1] - 101:20 effect [2] - 52:23, 53:13 effectively [2] - 18:1, 107:20 effects [1] - 101:3 efficient [2] - 110:3, 113:24</p>				<p>fact [26] - 7:7, 9:7, 10:23, 11:16, 15:19, 16:18, 47:14, 48:2, 50:18, 50:23, 56:15, 57:11, 57:22, 58:12, 64:1, 73:12, 92:21, 96:3, 97:10, 104:1, 110:2, 116:20, 128:16, 131:25, 133:3, 134:20</p>

<p>factor [6] - 6:15, 52:10, 52:17, 85:12, 135:23, 136:3</p> <p>factors [1] - 130:2</p> <p>facts [1] - 17:15</p> <p>factual [4] - 8:12, 8:17, 8:22, 9:2</p> <p>fair [19] - 14:2, 37:13, 37:18, 38:13, 53:19, 54:13, 56:17, 60:16, 68:25, 71:19, 118:5, 122:10, 126:12, 131:2, 131:9, 131:12, 136:7</p> <p>fairly [1] - 52:4</p> <p>fairness [1] - 9:11</p> <p>fall [1] - 121:14</p> <p>fallen [3] - 119:24, 120:1, 120:4</p> <p>falling [1] - 130:7</p> <p>falls [4] - 121:5, 121:6, 134:15, 134:23</p> <p>familiar [4] - 18:22, 91:4, 111:19, 116:18</p> <p>famly [3] - 113:9, 113:10, 135:20</p> <p>fan [1] - 111:14</p> <p>far [9] - 8:5, 71:1, 71:3, 71:8, 72:3, 79:2, 86:3, 90:3, 106:6</p> <p>faster [1] - 76:13</p> <p>father [1] - 134:15</p> <p>fatty [1] - 53:17</p> <p>fault [2] - 9:22</p> <p>FEBRUARY [1] - 1:16</p> <p>February [1] - 140:14</p> <p>feed [1] - 83:5</p> <p>feeding [1] - 34:12</p> <p>feet [1] - 86:15</p> <p>fellow [3] - 54:4, 54:6, 54:10</p> <p>fellows [1] - 54:13</p> <p>felt [6] - 48:3, 67:24, 68:1, 86:16, 95:15, 132:1</p> <p>femoral [63] - 30:1, 30:11, 30:14, 30:22, 32:2, 32:18, 33:16, 33:24, 34:6, 34:7, 34:11, 34:17, 35:3, 35:18, 35:21, 35:23, 35:25, 36:5, 36:21, 36:24, 37:2, 37:19, 37:20, 38:5, 38:15, 40:24, 41:20, 42:12, 42:22, 42:25, 43:5, 43:7, 43:10, 43:16, 45:16, 46:10, 46:11, 46:14, 46:17, 46:24,</p>	<p>47:3, 52:2, 52:7, 57:3, 57:9, 57:11, 58:12, 63:11, 63:15, 66:5, 69:3, 78:17, 81:2, 81:3, 82:19, 83:4, 83:8, 83:11, 83:16, 84:8, 85:9, 86:4</p> <p>femoris [2] - 35:21, 80:18</p> <p>few [5] - 40:19, 51:19, 102:22, 117:23, 132:19</p> <p>field [3] - 100:22, 102:6, 103:10</p> <p>Fifth [1] - 7:24</p> <p>fifth [1] - 4:19</p> <p>fight [1] - 98:14</p> <p>figure [2] - 71:15, 138:18</p> <p>filed [3] - 5:24, 7:8, 12:8</p> <p>filling [1] - 76:10</p> <p>filter [1] - 64:5</p> <p>final [3] - 45:10, 94:25, 135:8</p> <p>finally [2] - 89:9, 138:2</p> <p>findings [4] - 76:4, 76:20, 120:8, 121:21</p> <p>fine [5] - 39:3, 54:6, 118:8, 129:12, 136:8</p> <p>finish [7] - 20:23, 20:24, 22:7, 98:19, 98:24, 99:4, 138:10</p> <p>finished [1] - 100:2</p> <p>finishing [2] - 21:16, 138:14</p> <p>first [34] - 6:23, 10:14, 12:25, 16:20, 20:18, 23:16, 24:3, 25:3, 26:18, 28:19, 29:13, 42:14, 44:18, 49:2, 55:7, 55:16, 62:6, 62:13, 62:23, 69:19, 70:20, 72:14, 73:1, 88:9, 96:12, 100:5, 100:14, 105:4, 105:5, 105:6, 118:15, 125:13, 126:15</p> <p>first-line [2] - 55:7, 55:16</p> <p>fish [7] - 104:19, 112:6, 112:19, 112:21, 113:15, 118:6, 133:5</p> <p>fish's [2] - 109:25, 114:19</p> <p>fit [6] - 50:17, 108:5, 123:2, 123:21,</p>	<p>123:23, 125:25</p> <p>fits [1] - 108:25</p> <p>fitting [7] - 107:18, 108:19, 108:22, 109:2, 109:6, 120:3, 120:6</p> <p>Five [6] - 60:10, 60:14, 61:1, 61:9, 61:14, 62:13</p> <p>five [2] - 50:1, 103:8</p> <p>fixed [1] - 120:8</p> <p>fixes [1] - 58:4</p> <p>flagged [1] - 133:18</p> <p>flags [1] - 70:12</p> <p>floor [1] - 114:9</p> <p>flow [37] - 25:10, 25:15, 32:15, 32:20, 33:17, 35:22, 36:16, 36:17, 37:8, 38:9, 38:10, 38:15, 41:22, 42:1, 49:11, 49:21, 51:7, 59:23, 75:11, 75:13, 76:18, 76:21, 76:23, 76:24, 77:5, 77:9, 77:10, 77:12, 77:13, 77:14, 77:20, 78:6, 78:7, 78:9, 80:21, 81:20</p> <p>flowing [2] - 38:3, 38:4</p> <p>fluid [1] - 64:11</p> <p>fluids [2] - 64:10, 77:24</p> <p>fluoroethylene [1] - 69:22</p> <p>flush [1] - 8:18</p> <p>focused [1] - 89:16</p> <p>folds [3] - 122:14, 122:16, 122:20</p> <p>folks [1] - 20:8</p> <p>follow [4] - 4:8, 70:21, 95:5, 130:12</p> <p>follow-the-law [1] - 4:8</p> <p>follow-up [3] - 70:21, 95:5, 130:12</p> <p>followed [1] - 113:7</p> <p>following [4] - 22:11, 29:19, 34:17, 134:22</p> <p>following-up [1] - 29:19</p> <p>follows [2] - 24:4, 100:15</p> <p>followup [6] - 9:24, 29:7, 29:17, 130:22, 132:19, 135:9</p> <p>foot [12] - 25:11, 41:1, 41:8, 41:15, 49:3, 49:8, 49:12, 59:19, 59:21, 86:15, 111:8</p>	<p>foregoing [1] - 140:7</p> <p>form [5] - 18:9, 46:4, 89:8, 92:22, 137:25</p> <p>formal [1] - 10:12</p> <p>formally [2] - 6:22, 6:25</p> <p>formed [5] - 50:8, 58:23, 66:16, 67:13, 75:4</p> <p>formerly [1] - 5:24</p> <p>forms [1] - 17:5</p> <p>formulated [2] - 104:24, 107:12</p> <p>formulation [1] - 104:7</p> <p>forward [1] - 47:6</p> <p>foundation [9] - 66:6, 66:8, 90:12, 90:24, 91:8, 91:25, 92:4, 93:1, 130:9</p> <p>foundational [2] - 28:7, 90:11</p> <p>four [2] - 12:21, 13:7</p> <p>fourth [1] - 4:18</p> <p>frame [1] - 123:22</p> <p>Francisco [1] - 101:23</p> <p>Frank [1] - 18:21</p> <p>free [2] - 14:8, 47:22</p> <p>FREMONT [1] - 1:8</p> <p>frequently [2] - 60:10, 86:17</p> <p>front [11] - 5:23, 12:17, 23:6, 23:8, 23:9, 34:9, 95:2, 99:20, 105:1, 125:6, 125:7</p> <p>front-wheel [1] - 125:6</p> <p>full [1] - 17:22</p> <p>function [2] - 114:5, 122:3</p> <p>functional [5] - 101:8, 102:8, 108:6, 118:18, 129:25</p> <p>funda [1] - 82:2</p> <p>funds [1] - 54:9</p> <p>further [1] - 53:9</p> <p>future [2] - 127:22, 132:9</p>	<p>10:25, 30:13, 31:24, 42:3, 49:14, 54:10, 64:6, 89:24</p> <p>generically [1] - 17:2</p> <p>gentleman [2] - 29:15, 98:11</p> <p>gentlemen [2] - 21:18, 88:21</p> <p>gently [1] - 6:10</p> <p>gist [1] - 9:4</p> <p>given [11] - 9:8, 35:8, 41:9, 50:18, 58:5, 69:24, 74:18, 85:2, 87:1, 116:23, 129:2</p> <p>goal [1] - 38:14</p> <p>God [3] - 37:12, 37:15, 37:17</p> <p>gold [2] - 59:8, 75:25</p> <p>graft [45] - 25:6, 36:20, 36:24, 37:2, 37:4, 37:8, 37:9, 37:10, 37:17, 37:18, 37:22, 37:24, 38:2, 38:4, 38:8, 38:14, 39:7, 39:16, 39:24, 41:9, 43:5, 48:4, 57:3, 57:9, 57:12, 57:21, 58:2, 58:7, 66:5, 66:19, 69:3, 69:22, 69:23, 70:23, 80:15, 81:22, 82:19, 82:23, 83:4, 83:8, 83:9, 83:12, 83:22, 85:10, 86:4</p> <p>granted [3] - 4:8, 4:12, 4:13</p> <p>gravamen [1] - 12:20</p> <p>gravity [1] - 78:4</p> <p>great [2] - 118:13, 118:15</p> <p>grocery [1] - 109:12</p> <p>groin [2] - 63:11, 81:1</p> <p>group [1] - 63:23</p> <p>guess [2] - 6:21, 12:8</p> <p>guide [1] - 62:8</p> <p>guided [1] - 39:19</p> <p>guy [1] - 95:20</p>
			H	
		G		
		<p>Gabapentin [1] - 116:22</p> <p>gait [1] - 108:23</p> <p>game [1] - 14:2</p> <p>gap [1] - 44:11</p> <p>general [7] - 9:9, 11:25, 24:12, 48:23, 54:21, 60:20, 97:3</p> <p>generally [9] - 4:7,</p>	<p>habit [1] - 51:23</p> <p>half [1] - 137:12</p> <p>halls [1] - 125:19</p> <p>hallways [2] - 113:25, 126:4</p> <p>hand [4] - 26:19, 26:20, 111:7, 133:22</p> <p>handle [1] - 109:14</p> <p>HANK [1] - 1:20</p> <p>hard [1] - 53:3</p>	

<p>health ^[1] - 11:25 healthcare ^[1] - 74:11 hear ^[4] - 13:13, 71:14, 91:2, 91:13 heard ^[4] - 17:19, 41:22, 42:1, 72:6 hearing ^[3] - 7:3, 7:17, 18:22 hearsay ^[1] - 4:13 heart ^[6] - 11:9, 75:12, 76:13, 77:12, 78:3, 78:8 hell ^[1] - 138:8 help ^[11] - 19:11, 113:4, 113:10, 114:5, 128:20, 128:24, 129:7, 129:10, 129:24, 135:20, 136:1 helped ^[1] - 106:18 helpful ^[2] - 70:4, 119:17 helps ^[1] - 105:2 Heparin ^[5] - 39:7, 39:15, 39:25, 40:5, 40:6 hereby ^[1] - 140:7 hi ^[2] - 100:1, 117:20 high ^[1] - 55:5 highlighted ^[1] - 33:8 Hillsborough ^[1] - 102:2 himself ^[2] - 111:4, 129:10 hip ^[1] - 81:20 History ^[1] - 27:6 history ^[16] - 18:5, 27:10, 27:16, 50:19, 59:10, 60:22, 66:24, 69:14, 70:8, 74:18, 74:19, 74:21, 74:25, 101:7, 119:15 Hoffman's ^[1] - 5:18 hold ^[18] - 14:22, 32:25, 53:3, 55:23, 64:21, 71:11, 78:20, 79:1, 79:5, 80:2, 85:5, 85:13, 86:2, 98:15, 133:16 holding ^[1] - 64:10 Holthus ^[2] - 19:23, 19:24 home ^[15] - 114:16, 115:7, 120:23, 122:23, 123:1, 123:2, 123:3, 123:4, 125:10, 125:13, 126:24, 128:10, 129:7, 129:11, 129:18</p>	<p>homes ^[2] - 128:6, 128:8 Hon ^[1] - 140:11 Honor ^[32] - 3:7, 3:9, 3:14, 11:15, 11:20, 18:15, 20:14, 22:24, 23:21, 26:4, 26:13, 31:10, 31:16, 32:22, 39:17, 65:4, 68:16, 87:23, 88:8, 88:12, 92:11, 96:16, 98:7, 98:16, 99:16, 100:8, 117:15, 136:13, 136:18, 136:20, 138:20, 138:21 HONORABLE ^[1] - 1:15 hospital ^[3] - 54:9, 68:6, 79:14 Hospital ^[1] - 104:10 hours ^[8] - 64:10, 114:21, 115:6, 115:17, 129:10, 129:12, 129:14, 129:20 house ^[21] - 107:16, 107:17, 113:16, 113:23, 113:24, 114:5, 123:1, 123:6, 124:20, 124:22, 124:23, 125:1, 126:3, 126:12, 126:22, 127:5, 128:21, 128:25, 129:25, 135:20 household ^[2] - 111:10, 111:11 housekeeping ^[3] - 3:10, 18:17, 115:18 hurts ^[1] - 61:4 husband ^[2] - 1:4, 115:14 hydraulically ^[1] - 124:10 hygiene ^[1] - 111:5 Hymanson ^[7] - 2:8, 2:10, 19:21, 97:23, 117:14, 117:21, 135:8 HYMANSON ^[14] - 1:20, 1:20, 98:7, 98:21, 117:15, 117:18, 127:15, 127:19, 130:14, 132:17, 135:10, 135:13, 136:17, 138:21 hypothetical ^[4] - 65:13, 65:14, 65:17, 73:10</p>	<p>hypothetically ^[4] - 71:7, 73:7, 85:16, 95:6</p> <p style="text-align: center;">I</p> <p>I.T ^[5] - 18:19, 19:7, 19:22, 97:22, 97:24 ice ^[1] - 59:20 Idaho ^[1] - 101:12 idea ^[3] - 119:25, 128:10, 129:22 identified ^[7] - 11:15, 18:20, 19:6, 61:10, 62:8, 75:23, 82:16 identifies ^[1] - 75:5 identify ^[3] - 17:4, 28:13, 96:21 iliac ^[1] - 81:21 image ^[1] - 81:23 images ^[2] - 68:13, 68:14 imagine ^[1] - 115:6 imaging ^[1] - 67:11 impacts ^[3] - 18:6, 20:15 implementing ^[1] - 106:9 implication ^[1] - 112:18 important ^[11] - 52:13, 52:15, 52:19, 59:18, 60:23, 62:10, 91:19, 108:15, 108:17, 108:24, 119:2 importantly ^[2] - 8:10, 62:3 imposed ^[1] - 94:15 impossible ^[3] - 40:25, 41:14, 84:24 improper ^[3] - 13:24, 15:5, 15:19 improvement ^[1] - 118:7 IN ^[1] - 1:1 inability ^[1] - 126:19 inappropriate ^[2] - 93:2, 132:2 inches ^[1] - 125:23 incident ^[1] - 27:21 incision ^[1] - 63:10 inclined ^[1] - 90:3 include ^[2] - 17:11, 124:5 included ^[1] - 84:20 includes ^[2] - 60:4, 61:10 including ^[5] - 16:1, 85:3, 89:2, 89:6, 137:19</p>	<p>inclusive ^[2] - 1:9, 1:10 increase ^[1] - 116:10 increases ^[1] - 54:23 independent ^[3] - 89:6, 137:23, 137:24 independently ^[2] - 6:24, 111:1 indicate ^[9] - 27:18, 29:1, 29:18, 32:17, 36:4, 45:8, 46:16, 65:22, 76:16 indicated ^[8] - 43:15, 44:11, 45:14, 88:1, 89:25, 90:1, 92:2, 122:9 indicates ^[2] - 29:4, 45:22 indicating ^[1] - 10:8 indication ^[5] - 62:17, 98:8, 123:15, 128:23, 130:25 individual ^[4] - 101:7, 114:3, 115:8, 115:9 individual's ^[1] - 132:3 individually ^[2] - 1:4, 1:8 individuals ^[11] - 103:24, 106:9, 106:11, 106:20, 106:23, 107:4, 110:11, 112:11, 113:12, 113:21, 128:4 inflammatory ^[1] - 53:15 inflow ^[4] - 77:1, 77:3, 77:5, 77:18 inform ^[1] - 22:17 information ^[30] - 22:21, 31:7, 33:7, 34:9, 46:10, 63:14, 70:4, 89:2, 89:22, 101:8, 119:12, 119:15, 119:16, 120:16, 121:7, 121:11, 121:12, 121:15, 123:19, 125:15, 126:21, 127:4, 127:7, 128:18, 129:2, 129:16, 132:5, 132:13, 132:14, 137:18 ingrowth ^[1] - 58:4 initial ^[10] - 3:16, 3:19, 14:1, 16:5, 16:24, 17:1, 17:5, 17:24, 18:14</p>	<p>inject ^[2] - 63:9, 63:12 injected ^[2] - 63:1, 63:3 injector ^[1] - 63:3 injects ^[1] - 63:4 injuries ^[4] - 106:10, 106:21, 110:12, 134:24 injury ^[4] - 101:3, 102:9, 106:21, 110:18 inner ^[1] - 52:24 inpatient ^[3] - 63:16, 64:21, 65:19 inquire ^[1] - 18:12 inquired ^[2] - 10:7, 129:1 inquiring ^[1] - 92:1 inquiry ^[1] - 14:3 inside ^[2] - 53:14, 84:1 insight ^[3] - 112:23, 112:25, 113:1 insofar ^[1] - 101:5 instances ^[1] - 25:5 instead ^[1] - 21:21 insufficiency ^[1] - 107:5 insufficient ^[2] - 78:6, 121:7 insult ^[1] - 55:2 intended ^[2] - 60:17, 110:8 intent ^[1] - 92:16 interchangeable ^[1] - 54:15 interchangeably ^[3] - 30:17, 30:19, 54:12 internal ^[1] - 81:21 International ^[1] - 102:20 Internet ^[4] - 89:4, 89:6, 137:20, 137:24 internist ^[1] - 60:21 interrupt ^[1] - 108:1 interruption ^[1] - 112:1 intervening ^[1] - 36:17 intervention ^[6] - 32:8, 34:23, 34:25, 35:2, 62:5, 67:9 interview ^[1] - 104:2 intravenous ^[2] - 62:25, 64:9 introduce ^[2] - 63:2, 63:10 investigation ^[2] - 61:7, 137:23 investigations ^[1] - 89:6 invite ^[2] - 21:16, 99:9</p>
---	---	--	---	---

<p>involved [2] - 46:7, 107:1</p> <p>involvement [1] - 128:4</p> <p>involves [1] - 101:2</p> <p>iodine [1] - 63:21</p> <p>irritation [1] - 130:4</p> <p>ischemia [57] - 25:14, 47:16, 48:20, 48:24, 49:15, 49:17, 49:21, 49:23, 50:5, 51:1, 51:2, 51:6, 51:15, 55:21, 56:1, 56:2, 56:17, 56:21, 56:22, 57:13, 57:15, 57:21, 58:10, 58:14, 59:2, 59:5, 59:9, 59:17, 61:15, 61:20, 62:12, 64:1, 64:18, 65:1, 65:16, 65:25, 67:5, 67:12, 67:20, 68:5, 69:20, 69:23, 70:2, 72:17, 79:18, 79:24, 80:8, 80:12, 82:4, 82:18, 83:1, 83:21, 86:5, 87:13</p> <p>ischemic [9] - 40:11, 40:14, 40:17, 40:18, 42:2, 48:1, 48:12, 48:16, 48:18</p> <p>issue [20] - 4:9, 9:8, 11:15, 11:18, 12:1, 15:3, 16:14, 17:16, 17:17, 17:22, 20:15, 27:12, 90:4, 93:25, 96:2, 96:6, 108:5, 112:6, 139:2</p> <p>issued [2] - 11:4, 17:9</p> <p>issues [8] - 18:25, 38:25, 39:1, 45:18, 97:3, 97:16, 106:23, 130:6</p> <p>item [1] - 122:14</p> <p>itself [1] - 69:24</p>	<p>JASON [1] - 1:7</p> <p>Jason [1] - 5:11</p> <p>JAVS [1] - 3:25</p> <p>JEA [1] - 20:7</p> <p>job [2] - 93:3, 100:25</p> <p>John [2] - 99:17, 100:6</p> <p>JOHN [2] - 2:6, 100:13</p> <p>joinder [2] - 7:8, 7:12</p> <p>joined [1] - 38:8</p> <p>joint [1] - 96:16</p> <p>JOINT [1] - 2:14</p> <p>Joint [1] - 26:8</p> <p>Joshua [1] - 19:11</p> <p>Judge [3] - 19:23, 19:24, 140:12</p> <p>JUDICIAL [1] - 1:1</p> <p>juggle [1] - 22:14</p> <p>July [2] - 42:14, 81:24</p> <p>juror [5] - 38:19, 39:5, 39:22, 40:20, 133:23</p> <p>JUROR [2] - 133:21, 134:1</p> <p>juror's [1] - 94:18</p> <p>jurors [10] - 21:15, 24:24, 45:10, 88:10, 95:2, 99:6, 99:8, 133:19, 136:21, 138:15</p> <p>JURY [1] - 1:13</p> <p>Jury [3] - 21:14, 99:7, 138:4</p> <p>jury [16] - 3:5, 26:18, 47:7, 51:17, 60:4, 75:7, 79:2, 89:11, 89:12, 100:19, 101:19, 105:20, 107:11, 108:18, 113:19, 138:5</p>	<p>kinds [3] - 96:20, 106:25, 122:15</p> <p>kitchen [1] - 126:15</p> <p>knee [13] - 42:5, 106:12, 106:15, 107:5, 107:14, 111:7, 115:4, 115:10, 118:11, 119:20, 119:21, 128:5, 131:16</p> <p>knowledge [1] - 45:8</p> <p>known [2] - 18:3, 64:4</p>	<p>least [12] - 19:9, 27:11, 29:8, 29:19, 34:13, 38:4, 38:10, 46:19, 71:7, 103:8, 114:20, 133:4</p> <p>leave [5] - 19:21, 21:4, 39:14, 98:6, 98:11</p> <p>led [3] - 18:7, 76:14, 79:17</p> <p>leeway [1] - 92:8</p> <p>left [38] - 29:17, 29:20, 32:19, 36:21, 36:24, 38:19, 40:10, 40:16, 41:8, 41:14, 42:12, 42:17, 43:7, 43:15, 45:15, 45:25, 46:9, 47:3, 47:9, 47:15, 47:25, 48:15, 49:16, 57:2, 57:8, 57:11, 58:12, 67:23, 76:15, 78:23, 79:7, 79:13, 86:12, 86:14, 86:23, 87:3, 94:24, 110:22</p> <p>left-sided [2] - 29:17, 29:20</p> <p>leg [42] - 29:24, 30:5, 30:9, 30:22, 32:7, 32:19, 33:9, 33:20, 34:25, 35:7, 35:18, 36:15, 37:6, 40:10, 40:16, 42:15, 47:9, 47:15, 48:1, 48:3, 48:15, 49:16, 49:18, 50:5, 57:8, 57:19, 76:15, 77:6, 77:10, 78:23, 78:25, 79:7, 79:15, 80:14, 80:17, 81:1, 82:7, 83:21, 86:25, 87:3, 111:8, 112:14</p> <p>leg's [1] - 33:19</p> <p>legal [1] - 106:3</p> <p>legitimate [2] - 11:12, 11:13</p> <p>legs [1] - 121:17</p> <p>less [6] - 25:23, 38:12, 63:6, 112:11, 122:18</p> <p>level [4] - 37:21, 81:1, 83:10, 115:2</p> <p>lies [1] - 93:14</p> <p>Life [7] - 100:23, 101:6, 102:21, 103:25, 119:4, 119:7, 133:1</p> <p>life [13] - 67:8, 103:17, 103:22, 103:23, 110:7, 112:1, 114:22, 117:2, 118:8, 119:1, 120:20, 127:22,</p>	<p>132:10</p> <p>lifestyle [2] - 128:1, 131:11</p> <p>light [4] - 9:6, 40:23, 70:10, 111:13</p> <p>lights [1] - 70:13</p> <p>likely [3] - 33:23, 42:2, 70:22</p> <p>likewise [1] - 116:23</p> <p>limb [35] - 47:16, 48:23, 49:15, 49:17, 55:21, 55:25, 56:2, 56:16, 56:21, 56:22, 57:13, 57:14, 57:15, 58:10, 58:13, 58:14, 59:2, 59:5, 59:8, 61:15, 61:20, 62:12, 64:1, 64:18, 65:1, 65:15, 79:24, 80:8, 82:18, 86:5, 87:13, 120:10, 131:20, 132:1, 132:3</p> <p>Limine [8] - 4:10, 4:18, 5:12, 7:13, 7:15, 7:23, 10:4, 10:12</p> <p>limine [2] - 5:9, 7:4</p> <p>limitation [2] - 89:3, 137:19</p> <p>limited [1] - 131:11</p> <p>limiting [1] - 97:15</p> <p>line [4] - 55:7, 55:16, 90:16, 94:23</p> <p>lines [2] - 31:5, 96:13</p> <p>lining [3] - 52:24, 53:13</p> <p>List/Past [1] - 27:5</p> <p>listen [3] - 59:22, 88:25, 137:16</p> <p>listing [1] - 27:15</p> <p>liter [1] - 64:11</p> <p>live [1] - 101:12</p> <p>lives [1] - 134:11</p> <p>located [1] - 101:11</p> <p>location [7] - 18:20, 18:21, 19:24, 20:13, 36:24, 83:7, 83:14</p> <p>lodged [1] - 73:18</p> <p>log [1] - 5:21</p> <p>look [9] - 26:18, 27:4, 28:22, 97:25, 98:1, 105:5, 110:22, 113:22, 114:4</p> <p>looked [4] - 68:12, 84:19, 95:15, 109:21</p> <p>looking [6] - 4:9, 4:14, 7:5, 10:14, 101:9, 127:22</p> <p>losing [3] - 14:12, 120:10, 131:20</p>
J	K	L		
<p>J-A-N-Z-E-N [1] - 100:6</p> <p>Jacobs [3] - 9:7, 97:7, 97:14</p> <p>JANUARY [1] - 3:1</p> <p>Janzen [12] - 20:16, 20:21, 21:2, 99:17, 99:18, 99:19, 99:25, 100:6, 100:19, 101:11, 108:1, 136:24</p> <p>JANZEN [2] - 2:6, 100:13</p>	<p>Kansas [1] - 102:2</p> <p>KATHLEEN [1] - 1:15</p> <p>Kathleen [1] - 140:11</p> <p>keep [2] - 5:7, 15:10</p> <p>keeping [5] - 12:21, 13:25, 22:12, 50:14, 86:25</p> <p>KEITH [1] - 1:23</p> <p>kept [4] - 4:4, 5:23, 5:25, 13:6</p> <p>key [1] - 95:22</p> <p>kicked [1] - 78:16</p> <p>kidney [3] - 55:6, 64:3, 64:7</p> <p>kind [9] - 50:4, 72:4, 89:5, 89:7, 96:1, 105:2, 109:15, 127:10, 128:24</p>	<p>lack [6] - 17:20, 49:6, 49:7, 51:19, 59:14, 112:23</p> <p>lacks [2] - 66:6, 130:9</p> <p>ladder [1] - 111:12</p> <p>ladies [2] - 21:18, 88:20</p> <p>laid [4] - 66:7, 90:12, 90:24, 92:3</p> <p>language [2] - 31:22, 70:14</p> <p>large [1] - 25:25</p> <p>larger [3] - 37:20, 37:23, 37:24</p> <p>Las [4] - 24:10, 24:17, 140:13, 140:18</p> <p>LAS [1] - 3:1</p> <p>Lasry [11] - 38:25, 48:19, 56:5, 66:3, 66:11, 66:17, 72:22, 78:22, 79:6, 96:17, 96:21</p> <p>LASRY [1] - 1:7</p> <p>Lasry's [2] - 5:11, 50:24</p> <p>last [7] - 6:9, 23:16, 24:16, 82:12, 98:7, 100:5, 106:6</p> <p>late [1] - 138:22</p> <p>law [12] - 4:8, 8:9, 89:25, 90:2, 90:6, 91:5, 91:10, 92:9, 93:7, 93:10, 93:17</p> <p>lay [2] - 91:25, 93:1</p> <p>lead [3] - 83:2, 90:10, 91:5</p> <p>leading [22] - 27:24, 28:3, 28:5, 31:8, 32:3, 32:21, 56:18, 58:15, 82:17, 89:18, 89:24, 90:8, 90:9, 90:17, 91:7, 91:12, 91:18, 91:24, 92:7, 92:14, 92:20, 95:12</p> <p>leads [1] - 77:15</p>		

<p>lost [3] - 80:21, 81:25, 132:1</p> <p>lower [1] - 112:12</p> <p>lowered [2] - 49:6, 114:1</p> <p>lowers [2] - 124:9, 124:10</p> <p>LTD [1] - 1:8</p> <p>lumbar [1] - 115:25</p> <p>lung [2] - 73:9, 73:15</p> <p>lungs [2] - 73:19, 73:20</p> <p>lysis [3] - 82:13, 84:17, 84:20</p> <p>lytic [3] - 57:22, 83:24, 84:22</p>	<p>Marmureanu's [4] - 9:9, 47:17, 48:11, 72:11</p> <p>marshal [1] - 19:6</p> <p>MARSHAL [5] - 19:13, 19:16, 89:11, 133:18, 138:5</p> <p>Master's [1] - 101:24</p> <p>materials [12] - 50:8, 56:4, 66:2, 68:10, 68:21, 72:20, 87:21, 104:6, 104:23, 107:12, 109:3, 110:25</p> <p>matter [1] - 17:19</p> <p>matured [1] - 84:2</p> <p>McBride [34] - 1:22, 2:9, 3:7, 12:14, 12:18, 20:14, 21:1, 21:6, 21:12, 98:16, 99:15, 99:16, 99:25, 100:3, 100:8, 100:10, 100:18, 105:11, 105:15, 105:24, 106:1, 117:11, 117:13, 127:13, 130:9, 132:19, 132:23, 134:4, 134:8, 135:6, 136:13, 136:19, 136:20, 138:20</p> <p>mcBride [2] - 2:7, 105:12</p> <p>mean [17] - 5:8, 9:24, 10:16, 28:8, 30:15, 31:3, 31:18, 32:11, 36:23, 37:4, 77:5, 77:16, 84:23, 100:25, 121:1, 126:6, 129:23</p> <p>meaning [3] - 32:19, 51:6, 76:10</p> <p>means [8] - 31:19, 31:22, 37:1, 37:6, 51:1, 57:24, 73:17, 77:6</p> <p>meant [2] - 41:18, 126:10</p> <p>meantime [1] - 87:14</p> <p>media [1] - 89:5</p> <p>medical [44] - 11:25, 12:1, 24:18, 27:15, 45:22, 48:23, 49:15, 54:22, 55:7, 55:20, 56:10, 66:13, 66:24, 70:8, 72:15, 73:16, 79:3, 79:8, 79:11, 82:6, 85:9, 101:7, 102:6, 104:6, 104:10, 106:3,</p>	<p>109:8, 114:25, 115:23, 119:14, 121:11, 121:19, 121:20, 121:22, 121:24, 122:4, 122:7, 126:24, 127:2, 134:21, 134:23, 135:1, 136:11</p> <p>Medical [1] - 27:5</p> <p>medical-legal [1] - 106:3</p> <p>medicals [1] - 136:8</p> <p>Medicare [2] - 54:8, 54:10</p> <p>medication [8] - 39:25, 111:25, 116:10, 116:15, 116:18, 116:21, 118:12, 118:16</p> <p>medications [2] - 115:21, 116:5</p> <p>medicine [6] - 25:7, 39:7, 39:15, 60:15, 61:3, 64:20</p> <p>Medicine [1] - 102:18</p> <p>medium [2] - 89:2, 137:18</p> <p>meet [2] - 22:4, 101:10</p> <p>meeting [1] - 22:18</p> <p>member [2] - 102:15, 102:17</p> <p>members [1] - 113:10</p> <p>men [3] - 51:20, 51:22, 52:4</p> <p>mental [2] - 101:3, 117:3</p> <p>mention [3] - 12:24, 107:23, 124:4</p> <p>mentioned [2] - 21:19, 137:22</p> <p>messed [1] - 14:14</p> <p>met [2] - 118:24, 119:11</p> <p>midthigh [2] - 30:2, 33:16</p> <p>might [6] - 4:16, 20:4, 38:11, 54:24, 101:16, 133:23</p> <p>milligrams [2] - 118:10, 136:9</p> <p>millimeters [4] - 37:21, 37:22, 37:23, 37:24</p> <p>mind [1] - 57:5</p> <p>minute [4] - 21:7, 38:11, 65:5, 88:21</p> <p>minutes [7] - 6:10, 17:21, 50:2, 88:15, 98:16, 98:21, 134:5</p>	<p>misassumption [1] - 138:23</p> <p>misrepresent [1] - 126:6</p> <p>missed [2] - 71:24, 81:4</p> <p>missing [2] - 19:8, 19:12</p> <p>mistake [1] - 9:17</p> <p>mobility [1] - 107:20</p> <p>modifications [1] - 114:12</p> <p>moment [5] - 22:5, 28:21, 64:22, 72:19, 87:4</p> <p>moments [1] - 40:19</p> <p>money [1] - 124:2</p> <p>monitor [2] - 26:17</p> <p>month [1] - 44:11</p> <p>months [11] - 34:19, 41:5, 43:4, 43:6, 43:19, 43:22, 55:14, 55:15, 58:8, 79:13, 82:24</p> <p>Moore [67] - 27:10, 29:2, 29:18, 32:1, 33:8, 33:23, 34:10, 35:25, 36:4, 40:6, 40:25, 41:21, 42:15, 44:25, 45:17, 47:8, 47:14, 50:9, 51:13, 52:10, 55:25, 56:6, 56:9, 58:10, 59:1, 59:16, 66:4, 67:6, 73:7, 79:10, 79:24, 80:6, 81:16, 86:11, 87:2, 103:23, 104:2, 104:3, 104:13, 107:4, 107:15, 108:3, 108:8, 109:25, 110:25, 111:17, 114:20, 115:13, 115:23, 116:25, 117:22, 118:22, 119:3, 119:18, 119:21, 120:14, 123:10, 123:11, 128:10, 129:8, 131:12, 132:11, 133:1, 134:22, 135:3, 138:11</p> <p>MOORE [2] - 1:3, 1:4</p> <p>Moore's [37] - 11:25, 16:15, 26:24, 30:5, 32:19, 36:23, 40:10, 40:16, 40:24, 41:8, 41:14, 42:12, 47:25, 48:15, 57:8, 57:11, 57:13, 58:24, 66:24,</p>	<p>69:10, 69:14, 70:8, 73:12, 78:23, 79:7, 82:7, 83:19, 87:14, 87:22, 109:5, 113:16, 114:7, 115:19, 116:15, 121:16, 132:9, 134:10</p> <p>Moore's [1] - 135:21</p> <p>morning [3] - 20:1, 68:2, 87:5</p> <p>most [9] - 51:7, 52:15, 52:19, 53:2, 60:23, 62:2, 77:24, 90:23, 111:10</p> <p>mostly [2] - 81:6, 87:22</p> <p>motion [4] - 4:2, 4:4, 49:6, 96:9</p> <p>Motion [6] - 4:10, 5:11, 7:13, 7:15, 10:4, 10:12</p> <p>motions [2] - 5:9, 7:4</p> <p>Motions [2] - 4:18, 7:23</p> <p>motivated [1] - 129:24</p> <p>motivation [1] - 130:21</p> <p>move [2] - 21:23, 47:6</p> <p>moved [2] - 19:3, 136:2</p> <p>movement [1] - 59:14</p> <p>MR [122] - 3:7, 3:8, 3:9, 3:13, 4:3, 4:25, 5:17, 6:4, 6:12, 6:25, 7:7, 7:16, 7:18, 8:7, 9:11, 10:19, 11:14, 11:20, 11:22, 12:9, 12:12, 12:14, 12:16, 12:20, 14:4, 14:18, 14:21, 15:2, 15:6, 15:9, 15:15, 15:21, 15:25, 16:22, 17:4, 17:13, 18:15, 20:14, 21:1, 21:6, 21:12, 22:24, 23:21, 24:7, 26:13, 26:15, 27:24, 28:1, 28:3, 28:12, 31:9, 31:16, 31:17, 32:3, 32:9, 32:21, 33:5, 39:17, 39:20, 39:23, 43:23, 44:9, 44:15, 44:20, 45:12, 45:13, 56:18, 56:23, 58:15, 58:22, 65:4, 65:11, 66:6, 66:9, 68:15, 68:19, 71:10, 71:12, 71:17, 71:19, 71:24, 72:9, 87:23, 88:12, 91:4, 92:11,</p>
M				
<p>M.D [5] - 1:7, 2:3, 2:6, 24:2, 100:13</p> <p>machine [1] - 140:9</p> <p>magnify [1] - 63:8</p> <p>main [1] - 102:22</p> <p>maintained [2] - 49:18, 57:19</p> <p>maintenance [1] - 128:21</p> <p>major [14] - 25:18, 64:3, 74:11, 80:13, 80:17, 80:25, 81:18, 81:25, 84:18, 85:11, 106:7, 112:13, 131:3</p> <p>man [2] - 127:21, 135:19</p> <p>manage [5] - 55:12, 111:17, 111:23, 118:17</p> <p>management [7] - 52:15, 55:18, 55:20, 112:3, 115:24, 116:11</p> <p>mandate [1] - 39:6</p> <p>mandates [1] - 13:23</p> <p>MANDAVIA [1] - 1:8</p> <p>manual [7] - 107:21, 107:25, 124:13, 124:15, 124:17, 124:19, 124:25</p> <p>MARKED [1] - 2:14</p> <p>marked [1] - 119:13</p> <p>Marmureanu [24] - 8:11, 8:21, 9:5, 24:24, 37:12, 38:20, 41:13, 41:19, 47:25, 50:7, 60:13, 61:18, 62:20, 64:2, 64:16, 65:14, 65:18, 69:9, 70:6, 71:7, 74:20, 94:16, 97:4, 97:12</p>				

<p>93:12, 95:11, 96:15, 98:7, 98:16, 98:21, 99:16, 99:25, 100:3, 100:8, 100:18, 105:12, 105:15, 105:24, 106:1, 117:11, 117:15, 117:18, 127:13, 127:15, 127:19, 130:9, 130:14, 132:17, 132:19, 132:23, 134:4, 134:8, 135:6, 135:10, 135:13, 136:13, 136:17, 136:20, 138:20, 138:21</p> <p>muddied [1] - 96:2</p> <p>multiple [3] - 69:25, 89:18, 92:1</p> <p>muscle [2] - 50:16, 81:6</p> <p>muscles [2] - 81:3, 81:6</p> <p>musculoskeletal [1] - 56:6</p> <p>must [2] - 27:22, 48:6</p>	<p>132:10, 132:14, 134:2, 137:1</p> <p>needed [3] - 67:10, 137:4, 137:8</p> <p>needs [12] - 22:19, 101:6, 101:10, 103:22, 120:20, 124:8, 124:25, 129:10, 131:11, 131:13, 132:11, 133:5</p> <p>Netherlands [1] - 51:24</p> <p>neurologist [3] - 68:4, 86:21, 87:6</p> <p>Neurontin [4] - 116:15, 116:17, 116:19, 116:22</p> <p>NEVADA [3] - 1:2, 3:1, 140:3</p> <p>Nevada [6] - 93:14, 103:1, 103:6, 103:10, 140:13, 140:18</p> <p>never [4] - 12:1, 129:1, 129:2, 132:4</p> <p>new [16] - 6:15, 6:18, 6:21, 7:2, 8:3, 9:21, 10:25, 11:18, 13:17, 13:24, 14:7, 15:4, 15:18, 41:9, 47:7, 91:25</p> <p>newspapers [2] - 89:3, 137:19</p> <p>next [8] - 29:22, 98:9, 114:21, 118:8, 120:20, 129:6, 132:12, 138:16</p> <p>nicotine [2] - 52:23, 53:11</p> <p>NO [5] - 1:6, 1:8, 133:21, 134:1, 140:17</p> <p>normal [8] - 37:20, 38:10, 49:19, 50:20, 76:9, 76:20, 76:22</p> <p>note [9] - 2:15, 26:21, 27:23, 32:17, 34:9, 34:15, 36:10, 50:13</p> <p>noted [2] - 82:10, 125:22</p> <p>notes [2] - 22:12, 140:9</p> <p>nothing [3] - 79:6, 109:7, 136:20</p> <p>noticed [1] - 59:13</p> <p>November [7] - 12:14, 13:15, 42:11, 43:2, 43:10, 43:17, 44:25</p> <p>nowhere [1] - 17:7</p>	<p>noxious [1] - 55:2</p> <p>nuance [1] - 22:2</p> <p>nudged [1] - 6:10</p> <p>numb [1] - 48:3</p> <p>number [4] - 26:11, 96:9, 106:20, 129:13</p> <p>numbness [1] - 86:16</p> <p>nurse [2] - 38:24, 48:19</p> <p>Nurse [8] - 5:10, 56:4, 66:3, 66:11, 66:17, 72:22, 78:21, 96:17</p> <p>nutshell [1] - 32:1</p>	<p>58:12, 66:5, 66:19, 69:4, 69:21, 70:23, 80:15, 82:9, 82:23, 82:24, 82:25, 83:20, 84:7</p> <p>occludes [1] - 81:7</p> <p>occlusion [26] - 17:6, 25:5, 33:15, 36:6, 42:12, 42:21, 45:16, 46:10, 46:14, 46:24, 47:3, 52:2, 57:2, 57:8, 66:23, 78:17, 82:16, 82:19, 82:21, 83:2, 83:15, 83:16, 86:4, 86:6, 86:24</p> <p>occur [5] - 25:12, 74:2, 74:6, 80:10, 90:22</p> <p>occurred [6] - 18:7, 28:14, 28:17, 28:19, 79:16, 86:19</p> <p>occurring [1] - 94:20</p> <p>occurs [1] - 108:21</p> <p>odd [1] - 137:10</p> <p>OF [4] - 1:13, 140:3, 140:4</p> <p>Off-record [1] - 6:19</p> <p>offensive [1] - 132:6</p> <p>offer [3] - 9:15, 27:9, 71:2</p> <p>offered [2] - 9:16, 71:3</p> <p>offering [2] - 4:20, 7:24</p> <p>office [4] - 5:18, 26:20, 44:14, 65:21</p> <p>Office [1] - 2:15</p> <p>often [5] - 30:17, 58:2, 63:14, 63:15, 77:14</p> <p>Oklahoma [1] - 101:25</p> <p>old [7] - 6:16, 6:17, 60:15, 61:2, 61:3, 84:1</p> <p>older [2] - 84:23, 85:1</p> <p>once [4] - 20:9, 62:9, 99:2, 113:7</p> <p>one [71] - 4:9, 4:23, 5:13, 7:8, 7:11, 7:13, 10:2, 11:10, 13:21, 14:5, 14:9, 15:3, 17:2, 17:7, 18:25, 20:14, 24:16, 32:14, 35:24, 38:7, 39:19, 44:18, 44:19, 51:10, 52:3, 55:23, 57:14, 58:23, 60:25, 63:17, 64:19, 64:23, 65:18, 66:1, 66:8, 67:13, 69:12, 70:15, 70:17, 72:21, 73:8, 76:16, 77:19, 78:1, 78:20,</p>	<p>81:11, 82:13, 82:15, 85:13, 93:9, 94:12, 95:10, 95:22, 97:23, 98:7, 98:13, 108:20, 109:22, 117:22, 118:11, 120:18, 122:15, 122:16, 122:17, 122:19, 122:20, 125:6, 129:1, 133:18</p> <p>ones [2] - 102:22, 109:12</p> <p>oOo [1] - 139:5</p> <p>open [7] - 9:20, 28:10, 42:3, 83:13, 90:13, 92:4, 98:14</p> <p>open-ended [2] - 28:10, 92:4</p> <p>opened [1] - 41:3</p> <p>opening [1] - 31:19</p> <p>operation [7] - 29:5, 35:8, 43:13, 43:20, 44:23, 45:1, 81:19</p> <p>operative [1] - 85:12</p> <p>opine [2] - 94:10, 94:11</p> <p>opinion [129] - 5:5, 6:14, 6:15, 6:17, 6:18, 6:21, 7:2, 8:4, 12:22, 12:25, 13:1, 13:5, 13:14, 13:17, 13:24, 13:25, 14:7, 14:15, 14:17, 15:5, 15:18, 15:19, 16:18, 17:6, 33:6, 33:12, 34:3, 35:1, 35:10, 35:15, 36:9, 36:20, 39:4, 39:6, 39:19, 40:9, 40:15, 40:21, 40:23, 41:6, 41:15, 43:21, 45:20, 46:8, 46:13, 47:8, 47:18, 48:10, 48:13, 48:14, 48:17, 48:22, 49:14, 50:9, 50:25, 51:4, 51:14, 52:3, 52:12, 52:18, 52:25, 53:10, 54:22, 55:23, 56:24, 57:14, 58:23, 59:7, 60:3, 60:9, 60:16, 60:25, 61:13, 61:22, 62:7, 63:17, 64:23, 65:22, 66:1, 66:16, 66:22, 67:13, 69:12, 72:20, 73:21, 73:25, 74:4, 74:8, 74:12, 74:16, 74:23, 75:4, 75:15, 77:19, 77:23, 78:1, 78:20, 78:24, 79:1, 79:5, 80:2,</p>
O				
<p>o'clock [3] - 88:20, 89:10, 99:13</p> <p>object [1] - 66:6</p> <p>objected [1] - 44:16</p> <p>objection [25] - 3:20, 15:8, 27:24, 28:3, 28:4, 31:8, 32:3, 32:21, 32:25, 33:3, 43:23, 44:2, 44:15, 56:18, 58:15, 58:19, 71:10, 71:16, 72:2, 72:5, 90:25, 91:15, 94:20, 127:13, 130:9</p> <p>objections [5] - 14:18, 14:21, 31:4, 89:16, 94:22</p> <p>objects [1] - 131:5</p> <p>obstructed [4] - 30:1, 30:22, 30:25, 31:18</p> <p>obstruction [2] - 25:17, 42:21</p> <p>obstructive [1] - 46:5</p> <p>obstructs [1] - 75:11</p> <p>obtain [1] - 67:11</p> <p>obtained [1] - 46:3</p> <p>obtaining [1] - 109:6</p> <p>obvious [1] - 80:19</p> <p>obviously [6] - 14:8, 28:7, 78:17, 94:1, 96:18, 98:5</p> <p>occasion [1] - 58:6</p> <p>occasions [3] - 58:6, 59:17, 103:3</p> <p>occlude [1] - 83:25</p> <p>occluded [43] - 31:1, 31:23, 32:2, 32:5, 33:23, 33:25, 34:17, 35:3, 36:1, 36:2, 36:21, 36:22, 36:25, 37:2, 37:3, 37:5, 39:8, 39:16, 39:24, 39:25, 43:7, 43:16, 46:17, 46:18, 48:4, 52:7, 57:12, 57:21,</p>				

N

name [5] - 22:4, 23:16, 23:17, 100:5, 117:21

narrow [1] - 126:4

National [2] - 102:19, 102:20

national [2] - 114:11, 114:15

native [1] - 38:15

natural [2] - 53:1, 87:11

navigate [1] - 128:7

navigates [2] - 128:10, 128:12

navigating [1] - 131:4

necessarily [4] - 40:1, 57:16, 90:3, 94:21

necessary [6] - 42:4, 101:10, 113:16, 113:23, 114:5, 119:9

neck [1] - 106:23

need [27] - 6:1, 11:3, 19:5, 28:8, 37:6, 39:15, 39:24, 40:4, 58:20, 62:1, 65:23, 98:5, 109:25, 116:10, 116:25, 120:23, 121:15, 129:6, 129:12, 129:16, 129:17, 129:19, 129:20,

80:4, 82:8, 82:15, 84:5, 85:1, 85:5, 85:13, 86:2, 86:10, 87:8, 89:8, 90:5, 90:14, 91:9, 95:3, 95:24, 97:18, 107:11, 109:3, 110:24, 120:25, 121:3, 121:4, 121:8, 121:20, 129:6, 138:1	overruled [5] - 44:19, 56:19, 58:20, 90:24, 130:10	51:22, 59:14, 63:24, 69:22, 114:9	110:17, 110:19, 111:21, 113:25, 114:12, 115:4, 137:17	point [14] - 5:22, 30:4, 35:8, 36:17, 42:17, 42:20, 46:7, 62:20, 77:3, 93:7, 98:8, 98:13, 107:13, 121:21
opinions [49] - 4:5, 4:11, 4:20, 7:25, 8:13, 8:17, 8:22, 8:24, 9:2, 9:4, 9:5, 9:15, 9:19, 9:20, 11:1, 11:23, 13:1, 14:1, 71:2, 72:11, 79:2, 91:6, 94:2, 94:8, 94:14, 94:24, 95:14, 95:17, 95:23, 96:22, 96:25, 97:2, 97:5, 97:7, 97:9, 97:10, 104:7, 104:24, 117:7, 119:11, 127:9, 133:4, 133:13, 134:17, 135:25, 136:6	overview [1] - 50:5	parties [1] - 138:24	person's [2] - 101:3, 102:9	points [1] - 14:9
opportunity [4] - 20:7, 31:13, 65:9, 98:12	own [4] - 97:5, 102:13, 111:20, 113:1	pass [1] - 138:7	personal [4] - 4:11, 60:18, 111:1, 111:5	poly [1] - 69:22
opposed [4] - 16:5, 64:24, 75:9, 110:19	Oxycodone [1] - 118:17	past [8] - 27:15, 57:23, 66:24, 70:1, 70:8, 73:4, 74:19, 74:21	persons [1] - 52:11	poor [2] - 108:19, 108:21
option [2] - 20:2, 98:4	OxyContin [2] - 118:10, 136:9	pathophysiological	perspective [1] - 90:7	popliteal [19] - 30:15, 40:24, 41:20, 42:25, 43:5, 43:10, 57:3, 57:9, 57:12, 58:13, 66:5, 69:3, 78:17, 83:4, 83:8, 83:16, 84:8, 85:10, 86:4
order [14] - 5:14, 5:23, 17:21, 20:23, 21:20, 21:24, 64:25, 77:1, 77:9, 77:15, 77:17, 97:1, 98:8, 99:13	oxygen [1] - 73:20	y [1] - 53:7	pertains [1] - 30:8	populations [1] - 63:20
Order [2] - 4:17, 7:23		patient [16] - 29:5, 29:15, 49:9, 52:16, 53:4, 54:20, 55:4, 62:18, 63:20, 63:23, 64:7, 64:9, 64:10, 65:23, 70:19, 70:21	phantom [4] - 111:18, 111:24, 116:15, 116:21	port [1] - 19:13
ordered [1] - 74:13	P	patient's [3] - 55:8, 59:10, 133:9	Phil [1] - 117:21	portion [1] - 105:4
orders [3] - 5:9, 5:11, 5:12	p.m [1] - 139:4	patients [11] - 25:14, 51:25, 53:2, 54:16, 55:11, 65:2, 106:4, 106:18, 107:3, 110:6, 112:23	PHILIP [1] - 1:20	position [5] - 16:16, 42:5, 47:13, 47:20, 54:11
ordinarily [2] - 25:21, 42:7	P.M [2] - 1:14, 3:2	Pause [1] - 99:1	physical [6] - 60:5, 60:23, 61:10, 62:13, 75:23, 101:2	positions [1] - 110:17
original [2] - 62:2, 140:9	page [7] - 5:5, 13:1, 26:12, 26:14, 26:16, 105:3, 105:5	payments [1] - 54:8	Physical [1] - 102:18	positive [1] - 113:11
otherwise [2] - 18:13, 25:6	PAGE [1] - 2:2	pending [1] - 33:1	physician [5] - 64:20, 112:2, 115:24, 116:11, 122:5	possible [2] - 84:17, 101:16
outflow [2] - 77:2, 77:17	pages [1] - 3:15	people [11] - 37:17, 97:22, 106:21, 109:14, 110:9, 113:2, 113:4, 113:6, 130:16, 131:16, 131:19	physicians [2] - 42:18, 53:25	possibly [2] - 39:8, 39:16
outpatient [4] - 63:15, 64:21, 65:20, 65:22	pain [38] - 42:15, 49:2, 49:25, 50:3, 50:11, 50:15, 50:17, 50:22, 50:23, 51:10, 59:13, 66:25, 67:24, 67:25, 68:3, 73:1, 74:18, 86:13, 108:21, 111:17, 111:18, 111:20, 111:24, 112:1, 112:3, 112:5, 115:21, 115:24, 115:25, 116:5, 116:11, 116:15, 116:21, 118:7, 118:17	per [1] - 38:11	picturesque [1] - 70:14	posted [1] - 6:9
Outside [2] - 3:5, 89:12	painful [1] - 86:20	percent [2] - 51:25, 118:7	pinprick [1] - 59:25	potential [5] - 19:7, 73:22, 74:5, 78:9, 96:10
outside [5] - 3:11, 107:17, 123:1, 123:6, 124:19	pale [1] - 49:4	perfectly [1] - 22:11	place [2] - 16:21, 140:10	potentially [3] - 15:17, 18:6, 63:24
overall [1] - 121:17	palpable [1] - 41:22	perform [3] - 111:1, 111:5, 111:9	plaintiff [4] - 26:4, 103:13, 103:17, 134:10	power [1] - 63:3
overcome [1] - 113:4	palpated [1] - 41:10	performed [1] - 29:5	plaintiffs [5] - 21:22, 24:25, 47:13, 47:20, 104:20	practice [5] - 15:1, 90:20, 102:13, 106:4, 106:5
overnight [1] - 137:13	palpating [1] - 25:20	performing [1] - 106:2	plaintiffs [2] - 1:5, 1:18	practiced [2] - 60:15, 61:2
	palpation [1] - 25:18	perfused [1] - 36:15	plaintiffs [1] - 97:12	practicing [2] - 61:2, 102:12
	paragraph [3] - 107:8, 107:9, 110:23	perhaps [7] - 41:5, 42:18, 45:1, 50:3, 50:17, 92:6, 119:2	plan [3] - 20:16, 98:24, 118:5	practitioner [3] - 38:24, 48:19, 60:21
	paraplegic [2] - 110:12, 114:4	period [4] - 41:5, 55:8, 57:22, 84:20	Plan [4] - 103:25, 119:4, 119:7, 133:1	Practitioner [8] - 5:10, 56:5, 66:3, 66:11, 66:17, 72:22, 78:21, 96:17
	pardon [1] - 118:1	peripheral [10] - 28:25, 29:16, 51:20, 52:5, 52:11, 52:17, 52:20, 53:2, 54:17, 54:23	Planners [1] - 102:21	practitioners [1] - 64:24
	part [21] - 8:24, 9:14, 29:19, 39:14, 52:15, 62:10, 68:9, 68:21, 76:7, 90:23, 103:16, 106:3, 106:4, 106:7, 108:13, 112:13, 113:20, 121:3, 135:25, 136:5, 138:23	period [4] - 41:5, 55:8, 57:22, 84:20	planning [3] - 20:17, 98:19, 100:23	precipitated [1] - 82:3
	particular [6] - 26:11, 91:19, 103:12, 103:19, 104:1, 104:5	permissible [1] - 91:17	plans [5] - 103:17, 103:23, 106:9, 110:7, 113:6	precise [3] - 15:14, 16:25, 91:14
	particularly [6] - 49:7,	permitted [2] - 90:8, 90:10	plant [1] - 18:19	preclude [4] - 10:9, 13:21, 17:24, 18:8
		persisted [3] - 46:2, 50:12, 50:23	plastic [2] - 37:11, 69:23	precluded [2] - 4:20, 7:24
		person [16] - 18:19, 19:7, 19:22, 89:1, 91:20, 96:21, 97:24, 108:25, 109:2,	platform [2] - 124:9, 124:10	preclusion [1] - 17:17
			plenty [1] - 93:12	
			plug [1] - 98:1	
			plug-ins [1] - 98:1	
			plugging [1] - 19:14	
			plus [1] - 115:18	
			podium [1] - 23:4	

<p>predict [1] - 80:9 predictable [2] - 80:5, 87:12 preexisting [2] - 82:18, 82:20 prejudice [1] - 18:3 prejudiced [1] - 9:12 preparation [1] - 64:9 prepare [4] - 103:17, 103:25, 104:23, 132:25 prepared [4] - 8:13, 103:24, 120:19, 140:10 prescribed [1] - 116:21 prescription [2] - 116:14, 116:16 presence [6] - 3:5, 3:12, 25:25, 73:5, 75:5, 89:12 present [12] - 27:20, 35:7, 46:22, 58:7, 66:24, 67:15, 67:17, 67:20, 74:14, 79:12, 84:16, 87:15 presentation [8] - 52:8, 58:25, 67:22, 68:7, 69:14, 69:19, 70:3, 70:9 presented [2] - 79:25, 80:19 presents [1] - 29:16 presiding [1] - 140:12 pressure [5] - 25:19, 25:22, 55:5, 55:13, 110:15 pretty [3] - 57:25, 86:16, 101:14 prevent [4] - 7:1, 42:6, 109:5, 109:9 prevents [2] - 73:19, 135:3 previous [1] - 58:6 previously [4] - 17:19, 29:6, 82:24, 116:6 primary [1] - 74:13 probability [5] - 79:3, 79:9, 85:9, 117:9, 122:3 Problem [1] - 27:5 problem [8] - 4:25, 11:16, 16:22, 35:6, 45:6, 111:14, 130:4, 139:1 problems [5] - 64:7, 106:24, 108:20, 131:3 procedure [4] - 40:25, 41:7, 41:21, 43:5</p>	<p>procedures [1] - 70:1 proceed [5] - 22:16, 23:20, 26:10, 31:15, 33:4 proceeded [1] - 46:6 PROCEEDINGS [1] - 1:13 proceedings [3] - 99:1, 139:4, 140:8 process [3] - 44:13, 67:12, 87:10 profession [1] - 100:20 professional [6] - 102:16, 117:9, 121:22, 121:24, 122:6, 129:5 professor [2] - 24:17, 53:20 ProForma [1] - 4:8 profunda [22] - 35:21, 80:17, 80:22, 80:24, 81:7, 81:9, 81:15, 82:1, 82:3, 82:8, 82:11, 82:16, 82:25, 83:3, 83:5, 83:7, 83:10, 83:11, 83:13, 83:14, 83:19, 86:7 program [1] - 55:12 progress [1] - 54:24 progression [5] - 44:22, 53:1, 53:9, 53:16, 87:11 pronounce [2] - 47:22, 53:8 proper [1] - 109:6 properly [1] - 13:12 proponent [1] - 4:1 proposed [4] - 5:10, 17:21, 19:16, 98:18 prosthesis [23] - 107:19, 108:3, 108:9, 108:14, 108:20, 108:22, 108:23, 108:25, 109:2, 109:6, 111:6, 120:4, 120:6, 120:10, 120:21, 121:13, 122:11, 125:3, 130:5, 130:17, 130:22, 135:4 prosthetist [3] - 109:1, 120:18, 130:12 provide [3] - 33:19, 93:16, 110:7 provided [9] - 5:14, 46:10, 104:6, 104:9, 107:18, 114:2,</p>	<p>129:17, 130:1, 130:2 provider [2] - 65:19, 65:20 providers [1] - 65:23 provides [2] - 8:9, 128:25 providing [2] - 5:15, 115:13 Ps [6] - 60:10, 60:14, 61:1, 61:9, 61:14, 62:13 psychological [2] - 100:22, 102:7 psychology [2] - 101:23, 116:24 psychotherapy [1] - 117:1 pulls [1] - 124:7 pulmonary [3] - 73:16, 73:23, 74:10 pulse [5] - 25:10, 25:18, 25:21, 25:23, 25:24 pulses [8] - 41:1, 41:7, 41:10, 41:14, 41:22, 42:4, 42:6, 42:8 pump [1] - 78:3 purple [2] - 49:5, 59:22 purpose [2] - 5:5, 77:11 purposes [5] - 15:13, 16:2, 30:18, 34:6, 65:13 put [6] - 5:18, 39:21, 68:17, 124:6, 130:7, 130:8 putting [1] - 48:5</p>	<p>quick [2] - 18:17, 109:10 quickly [4] - 68:4, 89:14, 101:16, 105:3 quite [3] - 38:6, 43:2, 86:19 quote [4] - 25:5, 60:14, 62:21, 70:9 quote-unquote [1] - 62:21</p>	<p>reappear [1] - 50:3 reason [12] - 6:5, 6:12, 6:15, 13:8, 74:13, 83:24, 83:25, 95:18, 99:11, 124:16, 124:25, 132:24 reasonable [8] - 56:11, 73:4, 79:3, 79:8, 85:8, 86:12, 117:8, 122:2 reasons [4] - 69:17, 85:2, 85:20, 96:20 rebuild [1] - 126:12 rebut [1] - 133:3 rebuttal [33] - 3:17, 3:23, 5:2, 6:13, 7:2, 8:3, 8:25, 10:10, 10:20, 10:23, 10:24, 11:6, 11:13, 11:19, 12:6, 12:9, 12:12, 13:16, 13:17, 13:22, 14:6, 14:7, 15:4, 15:17, 15:20, 16:2, 16:4, 16:8, 16:11, 16:18, 17:1, 17:25, 18:14 Rebuttal [3] - 17:10, 18:2, 18:10 rebuttals [1] - 5:2 received [2] - 36:5, 104:14 receiving [2] - 70:15, 70:17 recess [2] - 88:22, 137:13 reclining [2] - 110:14, 110:16 recognized [2] - 86:20, 103:9 recommend [6] - 32:7, 35:2, 120:5, 124:14, 125:17, 130:11 recommendation [6] - 34:16, 108:12, 108:15, 108:17, 114:20, 123:5 recommendations [5] - 109:25, 113:15, 119:8, 133:8, 136:10 recommended [6] - 34:23, 110:19, 111:12, 124:18, 132:15, 133:5 recommending [2] - 122:16, 122:19 reconvene [1] - 88:4 record [23] - 6:19, 7:21, 14:13, 15:14, 23:16, 28:9, 28:23, 29:14, 29:23, 45:22,</p>
R				
<p>rack [3] - 123:25, 124:6, 124:12 radio [2] - 89:3, 137:20 radiofrequency [1] - 45:24 radiologist [2] - 83:23, 84:12 railings [2] - 114:8, 114:10 raised [1] - 93:24 raising [1] - 10:13 ran [1] - 99:10 range [3] - 109:21, 114:13, 114:15 ranges [1] - 114:13 rapidly [1] - 63:4 rare [1] - 16:6 rather [2] - 79:16, 86:6 re [4] - 39:20, 58:20, 71:20, 71:23 re-ask [4] - 39:20, 58:20, 71:20, 71:23 reach [3] - 61:14, 61:16, 99:19 react [2] - 70:15, 70:17 reaction [1] - 53:15 read [11] - 7:12, 7:13, 7:14, 24:23, 28:23, 29:13, 29:22, 68:14, 88:25, 131:7, 137:16 reading [4] - 47:17, 69:20, 72:15, 76:20 ready [6] - 21:11, 22:23, 23:7, 31:14, 65:10, 100:9 real [5] - 3:13, 6:4, 105:3, 109:10, 109:22 reality [1] - 12:25 really [16] - 10:10, 10:11, 12:21, 13:22, 21:9, 54:6, 86:17, 92:18, 94:20, 96:11, 108:24, 110:17, 120:22, 127:11, 131:9, 138:6</p>	<p>quadruplegic [2] - 110:13, 114:3 qualified [2] - 71:5, 72:11 quality [1] - 118:8 questioning [7] - 9:14, 17:24, 93:21, 93:22, 94:4, 94:23, 95:1 questions [28] - 17:14, 24:23, 26:3, 28:7, 31:5, 47:7, 71:1, 89:18, 89:24, 90:8, 90:10, 90:11, 91:7, 91:24, 92:1, 92:14, 92:20, 92:23, 94:17, 94:18, 95:5, 96:5, 117:23, 132:20, 133:24, 136:18, 136:21</p>	<p style="text-align: center;">Q</p>	<p>quick [2] - 18:17, 109:10 quickly [4] - 68:4, 89:14, 101:16, 105:3 quite [3] - 38:6, 43:2, 86:19 quote [4] - 25:5, 60:14, 62:21, 70:9 quote-unquote [1] - 62:21</p>	<p>reappear [1] - 50:3 reason [12] - 6:5, 6:12, 6:15, 13:8, 74:13, 83:24, 83:25, 95:18, 99:11, 124:16, 124:25, 132:24 reasonable [8] - 56:11, 73:4, 79:3, 79:8, 85:8, 86:12, 117:8, 122:2 reasons [4] - 69:17, 85:2, 85:20, 96:20 rebuild [1] - 126:12 rebut [1] - 133:3 rebuttal [33] - 3:17, 3:23, 5:2, 6:13, 7:2, 8:3, 8:25, 10:10, 10:20, 10:23, 10:24, 11:6, 11:13, 11:19, 12:6, 12:9, 12:12, 13:16, 13:17, 13:22, 14:6, 14:7, 15:4, 15:17, 15:20, 16:2, 16:4, 16:8, 16:11, 16:18, 17:1, 17:25, 18:14 Rebuttal [3] - 17:10, 18:2, 18:10 rebuttals [1] - 5:2 received [2] - 36:5, 104:14 receiving [2] - 70:15, 70:17 recess [2] - 88:22, 137:13 reclining [2] - 110:14, 110:16 recognized [2] - 86:20, 103:9 recommend [6] - 32:7, 35:2, 120:5, 124:14, 125:17, 130:11 recommendation [6] - 34:16, 108:12, 108:15, 108:17, 114:20, 123:5 recommendations [5] - 109:25, 113:15, 119:8, 133:8, 136:10 recommended [6] - 34:23, 110:19, 111:12, 124:18, 132:15, 133:5 recommending [2] - 122:16, 122:19 reconvene [1] - 88:4 record [23] - 6:19, 7:21, 14:13, 15:14, 23:16, 28:9, 28:23, 29:14, 29:23, 45:22,</p>

<p>69:20, 71:22, 72:15, 79:11, 89:13, 90:21, 96:2, 100:5, 105:9, 126:9, 139:2</p> <p>recorder [1] - 19:4</p> <p>records [38] - 12:1, 33:22, 36:3, 36:7, 42:10, 42:24, 43:14, 44:10, 45:8, 45:15, 46:22, 56:10, 66:13, 81:23, 81:24, 82:6, 97:20, 104:6, 104:10, 104:12, 108:10, 109:7, 109:8, 111:22, 115:1, 115:23, 116:9, 119:14, 119:16, 119:17, 126:20, 126:24, 126:25, 127:2, 129:4, 134:21, 135:1</p> <p>Recross [1] - 2:10</p> <p>RECROSS [1] - 135:12</p> <p>Recross-Examination [1] - 2:10</p> <p>RECROSS-EXAMINATION [1] - 135:12</p> <p>red [2] - 70:10, 70:12</p> <p>Redirect [1] - 2:9</p> <p>REDIRECT [1] - 132:22</p> <p>redirect [1] - 136:14</p> <p>reevaluate [1] - 34:20</p> <p>refer [2] - 107:7, 132:2</p> <p>reference [3] - 6:1, 93:11, 112:22</p> <p>referred [3] - 60:10, 60:14, 112:14</p> <p>referring [5] - 30:10, 32:24, 81:12, 91:5, 112:22</p> <p>refit [2] - 108:8, 108:13</p> <p>reflected [1] - 140:9</p> <p>reflecting [1] - 17:21</p> <p>reflects [2] - 90:21</p> <p>regard [13] - 10:22, 18:5, 38:23, 38:24, 74:1, 92:9, 92:18, 96:5, 96:9, 96:10, 113:14, 114:19, 115:21</p> <p>regarding [3] - 4:10, 94:17, 97:2</p> <p>regardless [1] - 11:6</p> <p>regular [1] - 90:20</p> <p>rehab [5] - 101:21,</p>	<p>102:11, 104:20, 110:5, 133:7</p> <p>Rehabilitation [3] - 102:18, 102:19, 102:20</p> <p>rehabilitation [9] - 100:21, 100:23, 101:24, 102:5, 103:10, 106:8, 106:9, 113:6, 122:2</p> <p>related [5] - 4:2, 6:14, 50:19, 88:17, 94:14</p> <p>relatively [1] - 59:11</p> <p>relief [1] - 6:8</p> <p>relieved [3] - 50:1, 67:25, 86:14</p> <p>relying [2] - 56:10, 72:14</p> <p>remain [1] - 23:7</p> <p>remaining [1] - 112:14</p> <p>remember [1] - 3:21</p> <p>remind [1] - 88:22</p> <p>reminding [2] - 21:19, 88:10</p> <p>renew [1] - 3:19</p> <p>renovations [3] - 113:16, 113:23, 114:16</p> <p>repair [2] - 81:22, 125:12</p> <p>repeated [1] - 63:25</p> <p>repeatedly [1] - 112:2</p> <p>Report [1] - 17:10</p> <p>report [60] - 3:15, 3:16, 5:4, 5:7, 6:13, 8:2, 8:3, 8:24, 8:25, 9:19, 9:20, 10:10, 10:11, 10:17, 10:20, 10:24, 11:6, 11:19, 12:6, 12:7, 12:10, 13:2, 13:5, 13:14, 13:16, 13:18, 13:22, 14:6, 14:14, 14:24, 15:4, 15:17, 15:20, 16:7, 16:23, 16:24, 17:1, 17:2, 17:5, 17:7, 17:15, 17:25, 18:2, 68:12, 68:14, 69:13, 70:16, 70:18, 88:25, 95:16, 95:23, 105:6, 107:10, 119:18, 137:16</p> <p>reported [1] - 140:7</p> <p>REPORTED [1] - 1:25</p> <p>Reporter [1] - 140:18</p> <p>reporter [2] - 19:2, 19:3</p> <p>REPORTER'S [1] - 1:13</p> <p>reporters [1] - 19:1</p>	<p>reports [23] - 3:18, 4:6, 4:21, 7:9, 7:25, 8:8, 8:12, 9:2, 10:4, 13:7, 13:20, 14:6, 14:10, 14:15, 15:3, 15:12, 16:3, 18:12, 18:14, 104:23, 105:18, 105:21</p> <p>represent [4] - 60:12, 70:12, 134:9, 134:13</p> <p>request [1] - 6:22</p> <p>requested [2] - 6:25, 90:3</p> <p>require [5] - 67:7, 114:20, 117:5, 125:24, 129:23</p> <p>required [3] - 115:2, 115:18, 129:14</p> <p>requires [1] - 114:17</p> <p>research [1] - 137:24</p> <p>resident [2] - 54:6, 54:8</p> <p>residents [6] - 53:21, 53:23, 54:14, 54:15, 61:8</p> <p>residual [1] - 132:3</p> <p>resolved [1] - 99:11</p> <p>respectfully [2] - 18:11, 91:23</p> <p>respond [7] - 25:4, 58:1, 84:3, 103:23, 112:9, 127:8, 128:19</p> <p>response [2] - 127:12, 128:2</p> <p>responsive [1] - 42:16</p> <p>rest [2] - 50:1, 51:11</p> <p>result [5] - 79:17, 85:18, 108:21, 112:1, 115:18</p> <p>results [1] - 84:21</p> <p>resume [3] - 21:18, 22:6, 98:6</p> <p>retained [1] - 115:5</p> <p>return [6] - 75:11, 77:11, 78:8, 88:3, 88:20, 113:6</p> <p>returned [1] - 94:25</p> <p>returning [1] - 78:2</p> <p>review [21] - 27:19, 33:22, 34:15, 42:10, 42:24, 45:15, 47:12, 50:8, 56:3, 66:2, 68:9, 68:17, 68:21, 72:19, 73:12, 87:20, 104:16, 104:19, 108:13, 116:9, 129:3</p> <p>reviewed [20] - 66:10, 66:13, 68:9, 68:10, 82:5, 104:7, 107:12, 108:11, 109:4,</p>	<p>109:8, 110:25, 111:23, 115:1, 126:20, 126:23, 126:24, 127:1, 127:2, 134:21</p> <p>reviewing [4] - 26:12, 101:6, 101:7, 104:22</p> <p>revisiting [1] - 17:22</p> <p>right-hand [2] - 26:19, 26:20</p> <p>rise [2] - 89:11, 138:5</p> <p>risk [4] - 63:24, 73:22, 74:5, 130:6</p> <p>risking [1] - 62:17</p> <p>risks [3] - 63:20, 63:22, 63:23</p> <p>RN [1] - 1:9</p> <p>ROBERT [1] - 1:22</p> <p>ROE [1] - 1:10</p> <p>role [4] - 103:20, 132:25, 133:3</p> <p>room [6] - 67:23, 68:6, 79:14, 86:12, 86:23, 126:21</p> <p>rooms [4] - 126:19, 127:5, 128:14, 128:17</p> <p>Rose [1] - 104:10</p> <p>roughly [3] - 43:6, 63:14, 102:10</p> <p>routine [1] - 44:13</p> <p>RPR [3] - 1:25, 140:6, 140:17</p> <p>rubbery [2] - 58:1, 84:3</p> <p>rule [3] - 13:3, 13:6, 94:21</p> <p>rules [1] - 10:15</p> <p>ruling [2] - 3:21, 8:23</p> <p>runs [2] - 81:3, 81:4</p>	<p>schedule [1] - 101:13</p> <p>scheduled [1] - 43:11</p> <p>scheduling [6] - 20:15, 21:25, 22:10, 22:19, 137:2, 137:6</p> <p>school [1] - 24:18</p> <p>scooter [12] - 107:22, 107:23, 109:11, 109:20, 109:22, 110:3, 122:12, 122:13, 122:21, 124:6, 124:18</p> <p>scooters [2] - 109:17, 123:17</p> <p>scope [3] - 136:11, 136:14, 136:16</p> <p>searches [2] - 89:7, 137:24</p> <p>seat [7] - 21:17, 23:13, 99:5, 99:10, 99:19, 99:24, 110:14</p> <p>seats [2] - 21:16, 99:9</p> <p>second [7] - 4:1, 4:9, 4:10, 25:9, 64:13, 133:16, 133:17</p> <p>secondary [1] - 77:2</p> <p>secondly [2] - 69:21, 73:3</p> <p>see [42] - 3:25, 4:3, 4:25, 5:8, 5:21, 18:2, 20:7, 23:4, 26:18, 26:20, 27:1, 27:6, 28:8, 28:10, 28:23, 29:10, 36:10, 43:9, 43:14, 44:10, 55:8, 56:3, 62:3, 68:3, 69:2, 70:10, 70:20, 75:13, 76:7, 78:12, 87:5, 87:16, 89:10, 98:1, 98:23, 108:10, 109:12, 112:2, 134:20, 138:3, 138:19</p> <p>seed [1] - 18:19</p> <p>seeing [1] - 8:5</p> <p>seeking [1] - 90:18</p> <p>segues [1] - 95:7</p> <p>selected [1] - 44:24</p> <p>send [2] - 65:2, 65:23</p> <p>sensation [2] - 49:8, 59:25</p> <p>sense [4] - 28:2, 41:23, 87:1, 96:20</p> <p>sentence [3] - 29:13, 29:23, 30:5</p> <p>separate [2] - 17:16, 97:4</p> <p>September [3] - 105:7, 107:10, 107:13</p> <p>serious [2] - 87:2,</p>
S				
			<p>salary [1] - 54:7</p> <p>Samuel [2] - 22:24, 23:17</p> <p>SAMUEL [3] - 2:3, 23:18, 24:2</p> <p>San [1] - 101:23</p> <p>saphenous [2] - 42:17, 45:25</p> <p>sat [2] - 113:4, 119:3</p> <p>satisfactory [5] - 77:3, 77:16, 77:17, 77:18, 79:14</p> <p>saw [5] - 46:21, 56:7, 79:10, 109:7, 111:25</p> <p>scanning [1] - 63:5</p> <p>scenario [1] - 135:24</p> <p>scene [1] - 137:21</p>	

<p>127:21 served [4] - 12:8, 12:9, 102:23, 103:12 services [1] - 101:9 SERVICES [1] - 1:8 servicing [1] - 103:14 session [4] - 19:19, 19:25, 20:1, 20:6 SESSION [1] - 1:14 set [1] - 28:8 setup [1] - 19:6 seven [1] - 66:25 seven-out-of-ten [1] - 66:25 several [4] - 64:10, 110:16, 120:9, 121:5 severe [4] - 49:2, 59:13, 68:3, 86:20 severity [1] - 117:5 SFA [3] - 30:1, 30:10, 30:14 shape [1] - 86:12 shoot [1] - 76:12 short [3] - 97:1, 101:16, 107:9 short-circuit [3] - 97:1, 101:16, 107:9 shorter [2] - 50:4, 124:16 shorthand [1] - 140:9 show [4] - 34:5, 36:8, 63:13, 75:6 showed [7] - 34:7, 46:4, 46:14, 48:4, 51:25, 57:8, 112:23 shows [1] - 78:16 side [7] - 8:19, 21:24, 22:7, 42:17, 45:25, 46:1, 97:23 sided [2] - 29:17, 29:20 sides [2] - 8:25, 42:15 sign [1] - 64:7 signal [2] - 49:12, 59:23 signed [2] - 5:25, 7:22 significance [1] - 76:22 significant [7] - 25:15, 111:25, 120:5, 121:6, 134:15, 134:19, 134:23 signifying [1] - 67:19 signs [1] - 48:20 Simon [1] - 45:23 simple [1] - 62:24 simply [2] - 90:17, 97:1 single [1] - 53:13 single-cell [1] - 53:13</p>	<p>sit [3] - 108:2, 109:14, 128:9 sits [1] - 35:25 situation [7] - 11:11, 40:3, 40:5, 53:4, 57:20, 72:16, 120:14 six [7] - 29:6, 34:13, 34:19, 55:15, 70:12, 82:24, 103:8 skin [8] - 49:10, 59:20, 60:1, 61:17, 108:20, 121:17, 130:4, 135:2 sleeps [1] - 126:16 slick [1] - 114:9 slight [1] - 60:17 slightly [2] - 37:20, 88:21 small [2] - 33:17, 124:24 smaller [2] - 25:16, 37:19 smoke [2] - 51:23, 53:4 smoker [1] - 54:20 smoking [18] - 6:14, 11:16, 11:17, 12:23, 14:10, 14:14, 14:17, 16:14, 16:16, 16:17, 17:8, 17:12, 18:5, 51:23, 52:10, 53:1, 55:12 social [2] - 89:5, 102:1 someone [1] - 114:16 sometimes [3] - 47:21, 75:24, 114:1 somewhere [1] - 65:23 son [3] - 134:10, 135:15, 136:1 sooner [1] - 16:5 sorry [11] - 4:18, 6:7, 14:13, 22:22, 44:3, 44:21, 67:18, 81:4, 86:15, 100:3 sort [8] - 4:8, 10:1, 60:18, 93:21, 94:15, 94:22, 110:9 sorts [1] - 106:17 sound [1] - 70:11 sounding [1] - 15:12 sounds [3] - 21:8, 79:23, 85:5 source [3] - 35:17, 81:25, 135:2 special [1] - 63:7 specialist [5] - 100:21, 101:21, 102:11, 110:6, 133:7 specializing [1] - 54:1 specialty [1] - 126:2</p>	<p>specific [2] - 93:11, 129:13 specifically [8] - 8:9, 8:11, 34:23, 35:14, 52:9, 95:5, 125:5, 129:17 specifics [2] - 11:3, 128:12 specified [1] - 93:9 speculating [2] - 45:4, 45:9 speculation [1] - 43:23 speculative [2] - 44:18, 45:11 spell [2] - 23:16, 100:5 spend [3] - 18:18, 118:21, 132:10 spinal [2] - 110:12, 110:18 squarely [1] - 93:7 squeezing [1] - 76:12 squirt [1] - 62:22 SS [1] - 140:3 St [1] - 104:10 stability [1] - 107:17 stage [1] - 58:1 stairs [2] - 131:4 stand [3] - 23:5, 99:17, 134:14 standard [35] - 15:1, 38:22, 39:2, 39:6, 39:10, 39:14, 39:18, 59:8, 64:19, 65:15, 65:18, 75:25, 76:6, 93:24, 94:2, 94:8, 94:10, 94:12, 94:24, 95:3, 95:14, 95:17, 95:21, 95:23, 96:3, 96:22, 96:25, 97:5, 97:6, 97:7, 97:8, 97:13, 97:14, 97:17 standing [2] - 23:7, 69:10 start [6] - 20:3, 22:3, 72:6, 105:5, 137:11, 138:9 started [2] - 3:17, 99:5 starting [2] - 30:4, 98:18 State [2] - 101:25, 103:1 state [3] - 23:15, 93:13, 100:4 STATE [1] - 140:3 statements [1] - 91:16 states [2] - 79:11, 86:15 static [1] - 77:14 status [1] - 34:20</p>	<p>statutory [1] - 91:10 stay [1] - 20:10 staying [1] - 134:3 step [1] - 23:6 still [17] - 3:24, 4:13, 6:9, 9:24, 25:23, 36:1, 36:2, 60:22, 61:6, 70:25, 90:13, 97:21, 116:5, 118:9, 127:11, 136:9, 137:8 stipulate [1] - 127:16 stipulated [7] - 4:15, 4:17, 5:12, 7:13, 10:3, 14:25, 26:4 Stipulated [2] - 7:14, 7:22 Stipulation [1] - 4:17 stipulation [2] - 7:16, 7:23 stock [1] - 138:13 stop [1] - 17:1 store [2] - 109:13, 122:25 straight [2] - 23:3, 23:5 strain [2] - 50:16, 56:6 strip [1] - 51:9 study [3] - 51:24, 84:18, 126:15 stuff [1] - 9:21 stump [6] - 111:17, 111:24, 112:5, 112:15, 112:22, 132:3 stunned [1] - 95:15 sturdy [2] - 122:17, 122:20 styled [9] - 7:22, 11:7, 11:19, 12:5, 13:16, 14:1, 15:4, 17:10, 18:2 subject [5] - 88:24, 89:8, 90:6, 137:15, 138:1 submarine [1] - 70:10 submitted [2] - 89:9, 138:2 subsequent [1] - 104:12 subsequently [1] - 41:3 subsided [1] - 68:1 substance [1] - 18:9 substitute [1] - 61:1 successful [9] - 42:8, 58:5, 84:11, 84:13, 84:14, 84:15, 84:17, 85:17, 113:9 suddenly [3] - 59:12, 79:17, 80:21</p>	<p>suffered [1] - 134:24 suffering [1] - 107:4 suffers [1] - 135:3 sufficiency [1] - 76:17 sufficient [10] - 25:15, 30:18, 32:5, 33:17, 57:18, 73:20, 77:4, 77:8, 77:10, 77:13 suggest [6] - 13:4, 70:1, 70:21, 124:25, 134:21, 135:2 suggested [1] - 46:5 suggests [2] - 76:20, 76:25 Stipularies [1] - 92:20 summarize [1] - 107:11 summary [4] - 60:7, 79:20, 79:21, 92:22 superficial [5] - 30:1, 35:23, 52:2, 81:2, 81:7 supplement [1] - 6:17 supplemental [14] - 8:8, 8:24, 10:11, 10:16, 10:24, 11:2, 11:6, 11:12, 12:7, 13:25, 16:3, 16:8, 16:9, 18:1 supplementing [1] - 11:23 supplements [4] - 4:21, 5:1, 8:1, 10:4 supplied [1] - 31:7 supplies [2] - 35:22, 81:20 supply [8] - 51:9, 51:12, 80:16, 80:17, 80:25, 82:12, 90:17, 93:13 supplying [2] - 80:14, 89:22 support [4] - 47:19, 54:10, 82:8, 91:10 supported [2] - 54:8, 54:9 supports [1] - 50:24 supposed [1] - 89:20 surfaces [1] - 114:8 surgeon [12] - 24:12, 24:14, 26:24, 32:11, 51:19, 53:20, 64:20, 67:1, 67:11, 70:22 surgeons [2] - 53:24, 96:19 surgery [16] - 24:18, 25:7, 42:25, 43:1, 43:10, 43:11, 43:16, 44:12, 46:7, 53:21, 54:1, 67:7, 84:19,</p>
---	---	---	--	--

<p>84:22, 97:3, 97:16 surgical [1] - 62:5 surprise [1] - 8:19 surprised [3] - 95:11, 95:13, 95:16 suspected [1] - 42:14 suspicion [1] - 75:19 sustain [4] - 28:10, 33:19, 72:1, 94:21 sustained [5] - 33:3, 43:24, 44:2, 44:4, 90:25 swab [1] - 60:1 swear [2] - 23:8, 99:21 sworn [4] - 23:11, 24:3, 99:22, 100:14 symptom [2] - 49:23, 73:2 symptoms [6] - 46:2, 48:20, 52:1, 55:9, 79:17, 87:14 synonymous [1] - 30:13 synonyms [2] - 31:21, 32:13 synthetic [2] - 37:11, 37:18 system [1] - 64:12 systemic [1] - 38:6</p>	<p>73:16, 76:19, 106:8, 128:1, 130:22, 138:14 terrain [1] - 131:5 TERRY [1] - 1:9 test [7] - 61:25, 62:1, 62:6, 62:18, 63:6, 65:3, 76:7 testified [20] - 9:6, 24:4, 61:19, 62:20, 64:17, 65:18, 69:9, 70:7, 71:8, 73:7, 74:20, 92:22, 92:25, 97:4, 100:15, 102:24, 103:4, 118:23, 131:15, 134:14 testify [19] - 3:22, 8:14, 14:25, 20:17, 24:3, 28:9, 38:21, 38:25, 39:2, 95:21, 96:4, 97:2, 97:6, 97:8, 97:12, 97:14, 97:15, 97:17, 100:14 testifying [2] - 3:14, 96:3 testimony [17] - 3:19, 4:12, 4:22, 7:10, 8:1, 9:9, 10:5, 10:9, 20:11, 22:12, 88:2, 89:15, 94:16, 101:15, 105:21, 112:5, 124:8 testing [1] - 102:7 tests [2] - 63:13, 67:11 THE [131] - 1:1, 1:15, 3:6, 3:10, 3:24, 4:7, 5:8, 5:20, 6:7, 6:20, 7:5, 7:11, 7:20, 9:10, 9:23, 10:21, 11:18, 11:21, 12:3, 12:11, 12:15, 12:18, 13:9, 14:12, 14:19, 14:22, 15:3, 15:7, 15:10, 15:16, 15:23, 16:25, 17:9, 17:18, 18:16, 19:13, 19:15, 19:16, 19:20, 20:25, 21:3, 21:8, 21:13, 21:15, 23:1, 23:12, 23:13, 23:14, 23:15, 23:17, 23:19, 26:6, 26:10, 28:4, 31:3, 31:11, 31:13, 32:4, 32:23, 32:24, 32:25, 33:3, 43:24, 43:25, 44:1, 44:3, 44:4, 44:6, 44:7, 44:17, 45:3, 45:5, 45:6, 56:19, 56:20, 58:16, 58:19,</p>	<p>65:6, 65:8, 66:7, 68:18, 71:11, 71:14, 71:21, 72:1, 87:25, 88:7, 88:9, 88:13, 89:11, 89:13, 91:13, 93:5, 93:18, 95:25, 97:20, 98:17, 98:23, 99:2, 99:8, 99:18, 99:23, 99:24, 100:1, 100:2, 100:4, 100:6, 100:7, 100:9, 105:9, 105:13, 105:20, 117:13, 127:17, 130:10, 130:11, 133:16, 133:18, 133:20, 133:22, 134:6, 135:8, 136:15, 136:19, 136:21, 136:25, 137:1, 137:4, 138:5, 138:6, 138:25 theirs [1] - 22:15 theoretically [1] - 96:24 therapy [5] - 55:16, 57:22, 83:24, 84:22, 117:5 therefor [1] - 16:12 therefore [1] - 74:16 thereto [3] - 4:22, 8:23, 10:5 Thereupon [2] - 24:1, 100:12 they've [2] - 5:25, 54:17 thinking [3] - 46:1, 54:22, 98:18 thinner [1] - 37:18 third [3] - 4:19, 107:8, 107:9 threatened [1] - 67:8 three [14] - 43:4, 43:6, 43:19, 43:22, 44:11, 50:15, 55:14, 67:15, 80:6, 84:21, 84:23, 85:2, 85:6, 85:24 thrombolytics [1] - 85:15 thrombosis [9] - 27:7, 27:11, 27:20, 73:2, 73:5, 74:3, 74:19, 75:6, 76:15 THURSDAY [1] - 3:1 Thursday [1] - 137:10 tight [1] - 101:14 tissue [1] - 58:4 tit [1] - 10:1 tit-for-tat [1] - 10:1 title [1] - 50:18 titled [1] - 18:10</p>	<p>tobacco [6] - 52:13, 52:16, 52:17, 53:8, 54:17, 54:21 today [19] - 3:15, 19:23, 20:4, 20:15, 22:16, 22:18, 22:22, 30:18, 35:25, 38:21, 54:5, 55:7, 55:16, 99:12, 101:14, 108:2, 123:20, 128:9, 132:18 toes [3] - 49:7, 59:15, 59:24 together [1] - 48:5 tomographic [1] - 63:7 tomorrow [21] - 18:21, 19:18, 20:1, 20:16, 20:24, 22:9, 22:18, 22:20, 22:22, 88:3, 88:18, 92:18, 93:4, 98:4, 137:7, 137:9, 138:3, 138:9, 138:13, 138:15, 138:19 took [2] - 9:13, 112:6 tool [1] - 63:4 top [1] - 26:19 topic [3] - 91:2, 91:19, 91:25 topics [1] - 90:9 total [1] - 103:3 totally [1] - 68:6 touching [1] - 60:1 towards [3] - 63:2, 75:20, 76:13 town [1] - 109:13 TPA [1] - 84:4 track [1] - 22:13 traditionally [1] - 130:1 training [4] - 53:24, 101:20, 116:24, 133:6 TRANSCRIPT [1] - 1:13 transition [2] - 90:9, 91:24 transport [1] - 124:12 trauma [1] - 113:5 traumatic [1] - 106:20 traveled [1] - 73:18 traveling [1] - 122:25 treat [3] - 55:5, 110:7 treated [4] - 43:17, 47:3, 133:9, 134:22 treating [3] - 26:24, 46:23, 115:23 treatment [12] - 36:5, 36:12, 42:16, 45:23,</p>	<p>55:7, 62:9, 64:18, 67:2, 73:3, 104:13, 136:10 TRIAL [1] - 1:13 trial [22] - 3:17, 8:14, 16:6, 16:11, 18:5, 18:7, 18:22, 20:3, 88:25, 89:1, 89:2, 89:9, 102:24, 103:4, 105:22, 137:11, 137:16, 137:17, 137:18, 137:22, 138:1, 138:14 tried [1] - 121:12 triple [1] - 55:16 trouble [2] - 87:3, 92:19 true [3] - 3:25, 69:6, 140:8 truly [2] - 13:24, 14:7 trunks [1] - 123:21 truth [2] - 24:4, 100:15 try [3] - 24:22, 101:15, 125:10 trying [11] - 6:21, 7:21, 11:8, 13:15, 15:14, 15:23, 31:8, 60:7, 97:1, 120:17, 138:6 tube [3] - 37:11, 63:11 tubules [1] - 64:5 Tuesday [1] - 138:16 turn [2] - 49:5, 59:22 turns [2] - 9:25, 10:3 twice [1] - 99:3 two [20] - 3:15, 5:5, 13:1, 13:9, 13:13, 14:4, 14:9, 14:18, 14:21, 18:14, 18:25, 48:5, 51:10, 58:5, 59:17, 80:25, 82:24, 84:6, 89:14, 134:5 two-page [2] - 5:5, 13:1 type [12] - 4:8, 49:25, 69:22, 101:9, 109:17, 111:19, 112:3, 113:22, 117:5, 123:10, 128:20, 128:22 types [2] - 91:8, 113:22 typical [7] - 38:3, 49:23, 50:12, 52:8, 67:22, 67:23, 109:19 typically [3] - 35:22, 56:20, 110:6</p>
T				
<p>tables [1] - 23:3 Tabor [1] - 102:1 tasks [1] - 122:22 tat [1] - 10:1 Tavaglione [3] - 140:6, 140:16, 140:17 TAVAGLIONE [1] - 1:25 teach [5] - 53:21, 54:13, 54:15, 54:16, 61:8 technical [1] - 99:10 technology [1] - 60:21 television [2] - 89:3, 137:20 temperature [1] - 49:8 ten [7] - 50:2, 66:25, 98:10, 114:21, 117:1, 118:5, 120:20 tends [1] - 107:23 term [6] - 32:10, 77:6, 112:4, 112:7, 112:20, 132:6 terminology [1] - 112:4 terms [11] - 3:22, 29:2, 32:13, 34:16, 36:12,</p>	<p>73:16, 76:19, 106:8, 128:1, 130:22, 138:14 terrain [1] - 131:5 TERRY [1] - 1:9 test [7] - 61:25, 62:1, 62:6, 62:18, 63:6, 65:3, 76:7 testified [20] - 9:6, 24:4, 61:19, 62:20, 64:17, 65:18, 69:9, 70:7, 71:8, 73:7, 74:20, 92:22, 92:25, 97:4, 100:15, 102:24, 103:4, 118:23, 131:15, 134:14 testify [19] - 3:22, 8:14, 14:25, 20:17, 24:3, 28:9, 38:21, 38:25, 39:2, 95:21, 96:4, 97:2, 97:6, 97:8, 97:12, 97:14, 97:15, 97:17, 100:14 testifying [2] - 3:14, 96:3 testimony [17] - 3:19, 4:12, 4:22, 7:10, 8:1, 9:9, 10:5, 10:9, 20:11, 22:12, 88:2, 89:15, 94:16, 101:15, 105:21, 112:5, 124:8 testing [1] - 102:7 tests [2] - 63:13, 67:11 THE [131] - 1:1, 1:15, 3:6, 3:10, 3:24, 4:7, 5:8, 5:20, 6:7, 6:20, 7:5, 7:11, 7:20, 9:10, 9:23, 10:21, 11:18, 11:21, 12:3, 12:11, 12:15, 12:18, 13:9, 14:12, 14:19, 14:22, 15:3, 15:7, 15:10, 15:16, 15:23, 16:25, 17:9, 17:18, 18:16, 19:13, 19:15, 19:16, 19:20, 20:25, 21:3, 21:8, 21:13, 21:15, 23:1, 23:12, 23:13, 23:14, 23:15, 23:17, 23:19, 26:6, 26:10, 28:4, 31:3, 31:11, 31:13, 32:4, 32:23, 32:24, 32:25, 33:3, 43:24, 43:25, 44:1, 44:3, 44:4, 44:6, 44:7, 44:17, 45:3, 45:5, 45:6, 56:19, 56:20, 58:16, 58:19,</p>	<p>65:6, 65:8, 66:7, 68:18, 71:11, 71:14, 71:21, 72:1, 87:25, 88:7, 88:9, 88:13, 89:11, 89:13, 91:13, 93:5, 93:18, 95:25, 97:20, 98:17, 98:23, 99:2, 99:8, 99:18, 99:23, 99:24, 100:1, 100:2, 100:4, 100:6, 100:7, 100:9, 105:9, 105:13, 105:20, 117:13, 127:17, 130:10, 130:11, 133:16, 133:18, 133:20, 133:22, 134:6, 135:8, 136:15, 136:19, 136:21, 136:25, 137:1, 137:4, 138:5, 138:6, 138:25 theirs [1] - 22:15 theoretically [1] - 96:24 therapy [5] - 55:16, 57:22, 83:24, 84:22, 117:5 therefor [1] - 16:12 therefore [1] - 74:16 thereto [3] - 4:22, 8:23, 10:5 Thereupon [2] - 24:1, 100:12 they've [2] - 5:25, 54:17 thinking [3] - 46:1, 54:22, 98:18 thinner [1] - 37:18 third [3] - 4:19, 107:8, 107:9 threatened [1] - 67:8 three [14] - 43:4, 43:6, 43:19, 43:22, 44:11, 50:15, 55:14, 67:15, 80:6, 84:21, 84:23, 85:2, 85:6, 85:24 thrombolytics [1] - 85:15 thrombosis [9] - 27:7, 27:11, 27:20, 73:2, 73:5, 74:3, 74:19, 75:6, 76:15 THURSDAY [1] - 3:1 Thursday [1] - 137:10 tight [1] - 101:14 tissue [1] - 58:4 tit [1] - 10:1 tit-for-tat [1] - 10:1 title [1] - 50:18 titled [1] - 18:10</p>	<p>tobacco [6] - 52:13, 52:16, 52:17, 53:8, 54:17, 54:21 today [19] - 3:15, 19:23, 20:4, 20:15, 22:16, 22:18, 22:22, 30:18, 35:25, 38:21, 54:5, 55:7, 55:16, 99:12, 101:14, 108:2, 123:20, 128:9, 132:18 toes [3] - 49:7, 59:15, 59:24 together [1] - 48:5 tomographic [1] - 63:7 tomorrow [21] - 18:21, 19:18, 20:1, 20:16, 20:24, 22:9, 22:18, 22:20, 22:22, 88:3, 88:18, 92:18, 93:4, 98:4, 137:7, 137:9, 138:3, 138:9, 138:13, 138:15, 138:19 took [2] - 9:13, 112:6 tool [1] - 63:4 top [1] - 26:19 topic [3] - 91:2, 91:19, 91:25 topics [1] - 90:9 total [1] - 103:3 totally [1] - 68:6 touching [1] - 60:1 towards [3] - 63:2, 75:20, 76:13 town [1] - 109:13 TPA [1] - 84:4 track [1] - 22:13 traditionally [1] - 130:1 training [4] - 53:24, 101:20, 116:24, 133:6 TRANSCRIPT [1] - 1:13 transition [2] - 90:9, 91:24 transport [1] - 124:12 trauma [1] - 113:5 traumatic [1] - 106:20 traveled [1] - 73:18 traveling [1] - 122:25 treat [3] - 55:5, 110:7 treated [4] - 43:17, 47:3, 133:9, 134:22 treating [3] - 26:24, 46:23, 115:23 treatment [12] - 36:5, 36:12, 42:16, 45:23,</p>	<p>55:7, 62:9, 64:18, 67:2, 73:3, 104:13, 136:10 TRIAL [1] - 1:13 trial [22] - 3:17, 8:14, 16:6, 16:11, 18:5, 18:7, 18:22, 20:3, 88:25, 89:1, 89:2, 89:9, 102:24, 103:4, 105:22, 137:11, 137:16, 137:17, 137:18, 137:22, 138:1, 138:14 tried [1] - 121:12 triple [1] - 55:16 trouble [2] - 87:3, 92:19 true [3] - 3:25, 69:6, 140:8 truly [2] - 13:24, 14:7 trunks [1] - 123:21 truth [2] - 24:4, 100:15 try [3] - 24:22, 101:15, 125:10 trying [11] - 6:21, 7:21, 11:8, 13:15, 15:14, 15:23, 31:8, 60:7, 97:1, 120:17, 138:6 tube [3] - 37:11, 63:11 tubules [1] - 64:5 Tuesday [1] - 138:16 turn [2] - 49:5, 59:22 turns [2] - 9:25, 10:3 twice [1] - 99:3 two [20] - 3:15, 5:5, 13:1, 13:9, 13:13, 14:4, 14:9, 14:18, 14:21, 18:14, 18:25, 48:5, 51:10, 58:5, 59:17, 80:25, 82:24, 84:6, 89:14, 134:5 two-page [2] - 5:5, 13:1 type [12] - 4:8, 49:25, 69:22, 101:9, 109:17, 111:19, 112:3, 113:22, 117:5, 123:10, 128:20, 128:22 types [2] - 91:8, 113:22 typical [7] - 38:3, 49:23, 50:12, 52:8, 67:22, 67:23, 109:19 typically [3] - 35:22, 56:20, 110:6</p>
U				
<p>ultimate [4] - 91:9,</p>				

<p>91:16, 91:18, 93:1 ultimately [6] - 14:2, 75:12, 89:20, 91:25, 96:8, 97:23 ultrasound [28] - 46:3, 48:4, 57:1, 57:2, 57:8, 57:12, 58:25, 66:20, 66:23, 68:10, 68:14, 68:20, 69:10, 69:13, 70:7, 72:19, 74:13, 75:5, 75:8, 75:12, 75:16, 75:17, 75:22, 75:25, 76:5, 76:16, 78:13, 78:16 unable [6] - 83:21, 123:16, 125:14, 125:16, 126:21, 131:18 unavailable [1] - 97:11 unaware [1] - 134:15 uncommon [1] - 69:24 under [5] - 27:4, 27:5, 28:22, 28:23, 50:17 undergoing [1] - 45:23 understood [2] - 17:9, 91:15 undertake [1] - 137:23 undiagnosed [1] - 73:22 uneven [1] - 131:5 unexpected [1] - 97:19 unexpectedly [2] - 131:21, 132:1 University [2] - 101:23, 101:25 unless [2] - 37:16, 124:23 unpredictable [1] - 87:1 unprofessional [1] - 132:2 unquote [1] - 62:21 unreasonable [1] - 97:19 up [49] - 5:6, 6:5, 6:13, 6:16, 10:25, 11:17, 14:14, 16:5, 16:10, 16:14, 16:18, 16:20, 20:24, 21:10, 22:1, 23:6, 25:16, 26:16, 26:17, 28:8, 29:19, 45:17, 46:19, 46:24, 51:12, 55:11, 57:18, 63:2, 68:17, 70:21, 71:22, 73:18, 76:13, 84:18, 84:20, 89:19, 95:5, 98:6, 104:14,</p>	<p>107:12, 109:21, 122:14, 122:16, 122:20, 123:23, 130:12, 131:20, 133:13, 138:10 uphill [2] - 77:21, 78:3 upper [1] - 128:5 upstairs [1] - 19:3 urgency [1] - 36:12 urgent [2] - 43:17, 47:4 urine [1] - 64:5 USB [1] - 19:14 uses [1] - 124:11 usual [1] - 83:25 utilize [1] - 10:17</p>	<p>75:15, 76:15, 77:1, 78:7, 78:9 versus [5] - 16:19, 51:2, 59:1, 129:25, 130:1 vessel [2] - 52:24, 80:14 vessels [4] - 33:18, 53:15, 53:18, 63:8 viability [4] - 33:19, 49:18, 57:20, 79:15 viable [4] - 32:7, 35:7, 37:8, 86:22 viewing [1] - 105:18 vis [2] - 76:23 vis-a-vis [1] - 76:23 visit [6] - 2:15, 26:21, 34:18, 50:14, 66:19, 137:21 visiting [1] - 24:17 vocational [8] - 100:22, 101:20, 102:11, 103:10, 104:20, 110:5, 122:2, 133:7 vs [1] - 1:6</p>	<p>WEAVER [42] - 1:23, 3:9, 7:18, 8:7, 11:14, 11:20, 11:22, 15:25, 17:13, 18:15, 22:24, 23:21, 24:7, 26:13, 26:15, 28:1, 28:12, 31:9, 31:16, 31:17, 32:9, 33:5, 39:20, 39:23, 44:9, 44:20, 45:12, 45:13, 56:23, 58:22, 65:11, 66:9, 68:15, 68:19, 71:19, 71:24, 72:9, 87:23, 88:12, 92:11, 93:12, 96:15 Weaver [28] - 2:4, 6:3, 7:20, 11:5, 15:24, 22:23, 23:20, 28:11, 31:15, 33:4, 44:7, 45:11, 58:21, 65:10, 66:8, 71:23, 72:8, 89:17, 89:20, 89:25, 90:16, 91:3, 92:3, 92:10, 94:4, 94:9, 94:25, 96:14 Weaver's [1] - 90:19 WEDNESDAY [1] - 1:16 Wednesday [1] - 138:17 week [2] - 6:9, 138:16 weeks [7] - 41:5, 58:8, 79:13, 82:24, 84:21, 84:24, 85:2 weigh [2] - 123:7, 123:18 weight [1] - 123:9 welcome [2] - 24:10, 138:25 whatsoever [1] - 43:9 wheel [1] - 125:6 wheelchair [21] - 107:21, 107:25, 110:1, 110:4, 110:13, 110:18, 113:25, 114:3, 114:13, 114:17, 123:13, 124:14, 124:15, 124:17, 124:19, 125:1, 125:9, 125:21, 125:22, 126:1, 126:3 wheelchairs [2] - 110:8, 126:11 wheels [1] - 125:7 whole [5] - 9:16, 9:21, 15:21, 20:10, 21:10 wholly [1] - 97:13 wide [1] - 125:23 widen [1] - 125:18</p>	<p>widened [3] - 113:25, 114:1, 125:17 widening [1] - 125:19 Wienczek [16] - 2:16, 26:21, 26:23, 27:15, 28:16, 29:3, 29:4, 31:25, 34:16, 34:23, 35:1, 43:21, 45:2, 46:23, 47:2, 83:21 Wienczek's [3] - 27:23, 36:9, 44:14 wife [4] - 1:4, 127:11, 128:24, 130:1 wiggle [1] - 59:24 WILSON [2] - 2:3, 24:2 Wilson [63] - 3:14, 5:3, 11:17, 20:17, 20:24, 22:4, 22:8, 22:25, 23:1, 23:2, 23:17, 24:8, 24:21, 26:2, 26:3, 26:16, 26:19, 27:9, 28:13, 29:23, 30:13, 31:25, 33:6, 34:14, 36:19, 38:18, 41:12, 44:21, 45:14, 47:6, 48:22, 49:13, 51:18, 56:3, 58:23, 59:7, 60:2, 60:13, 64:15, 65:12, 67:13, 68:20, 69:8, 72:4, 72:10, 72:18, 72:25, 73:6, 73:21, 74:4, 78:21, 80:11, 88:2, 88:5, 89:16, 93:23, 94:1, 94:12, 96:16, 96:22, 96:25, 97:15, 138:10 Wilson's [2] - 68:17, 89:21 wind [1] - 131:20 wish [1] - 47:23 withdraw [2] - 127:15, 127:16 withdrawn [1] - 127:18 witness [18] - 21:20, 21:23, 22:4, 22:6, 22:8, 22:23, 23:5, 24:25, 88:4, 96:3, 96:13, 96:17, 98:9, 99:13, 99:14, 105:14, 136:22, 138:7 Witness [2] - 23:11, 99:22 WITNESS [16] - 23:12, 23:14, 23:17, 32:4, 32:24, 43:25, 44:3, 44:6, 45:5, 56:20, 88:7, 99:23, 100:1,</p>
V		W		
<p>vague [2] - 39:18, 71:17 various [2] - 102:15, 106:22 vascular [40] - 24:14, 24:18, 28:25, 29:7, 29:16, 32:11, 33:8, 38:7, 39:1, 46:7, 47:9, 47:14, 51:18, 51:21, 52:5, 52:11, 52:14, 52:18, 52:20, 53:2, 53:19, 53:20, 53:21, 54:1, 54:17, 54:24, 60:19, 64:20, 67:1, 67:7, 67:11, 69:11, 69:15, 69:25, 82:11, 96:18, 97:3, 97:16, 106:24, 107:4 vascularized [4] - 29:25, 32:10, 32:19, 36:16 VEGAS [1] - 3:1 Vegas [4] - 24:10, 24:17, 140:13, 140:18 vein [10] - 27:6, 27:11, 27:20, 42:17, 45:25, 63:1, 63:3, 73:18, 76:10, 76:11 veins [15] - 42:18, 42:19, 75:11, 75:13, 75:14, 76:8, 76:13, 76:18, 76:21, 76:23, 77:10, 77:11, 77:14, 77:15, 78:2 velocity [1] - 76:8 venous [18] - 28:20, 45:18, 45:23, 57:2, 58:25, 63:6, 73:2, 73:3, 73:5, 74:3, 74:13, 75:6, 75:8,</p>	<p>W-I-L-S-O-N [1] - 23:18 wait [1] - 98:3 waited [2] - 43:19, 43:22 walk [5] - 49:25, 55:4, 107:16, 108:23, 119:19 walked [2] - 50:19, 67:24 walker [5] - 107:20, 125:5, 125:6, 125:9, 125:11 walking [7] - 50:2, 50:20, 51:8, 51:10, 55:14, 55:15, 131:4 wall [2] - 53:18, 58:3 walls [2] - 125:21, 125:24 wander [1] - 55:10 wants [3] - 17:14, 91:2, 124:12 warrant [1] - 67:3 warranted [3] - 66:25, 72:21, 132:14 watch [2] - 88:25, 137:16 ways [1] - 31:4 wear [1] - 130:5 wears [1] - 108:25 weaver [1] - 8:6</p>			

100:6, 130:11,
136:25
WITNESSES ^[1] - 2:2
witnesses ^[4] - 21:22,
22:13, 97:17, 133:25
word ^[3] - 30:25, 53:7,
67:25
words ^[5] - 31:6,
35:17, 55:25, 70:12,
107:2
works ^[1] - 120:18
world ^[1] - 131:23
worries ^[1] - 72:7
worry ^[1] - 64:3
worse ^[1] - 82:12
worsened ^[1] - 79:17
worsens ^[1] - 53:5
worth ^[1] - 20:4
written ^[2] - 61:24,
104:23

X

Xarelto ^[1] - 40:8

Y

year ^[4] - 18:24, 82:14,
114:14, 118:5
years ^[11] - 29:6,
34:13, 35:7, 106:7,
112:11, 114:21,
117:1, 120:9,
120:20, 126:17,
133:7
yellow ^[1] - 33:8
yes-or-no ^[1] - 90:18
yesterday ^[3] - 21:9,
21:19, 112:6
young ^[1] - 135:19
yourselves ^[2] -
88:23, 137:14