

**IN THE SUPREME COURT OF THE  
STATE OF NEVADA**

KIMBERLY TAYLOR,

Appellant,

v.

KEITH BRILL, M.D. and WOMEN'S  
HEALTH ASSOCIATES OF  
SOUTHERN NEVADA-MARTIN,  
PLLC,

Respondents

Electronically Filed  
Mar 10 2022 11:53 a.m.  
Elizabeth A. Brown  
Clerk of Supreme Court

SUPREME COURT CASE NO. 83847

Dist. Court Case No. A-18-773472-C

---

**APPELLANT'S APPENDIX**

**VOLUME IX**

---

**ADAM J. BREEDEN, ESQ.**  
Nevada Bar No. 008768  
**BREEDEN & ASSOCIATES, PLLC**  
376 E. Warm Springs Road, Suite 120  
Las Vegas, Nevada 89119  
Phone (702) 819-7770  
Fax (702) 819-7771  
Adam@breedenandassociates.com  
*Attorney for Appellant Taylor*

*CHRONOLOGICAL LIST*

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
<b><i>VOLUME I</i></b>			
Complaint	04/25/2018	I	APPX000001 – APPX000025
Answer to Complaint	09/26/2018	I	APPX000026 – APPX000043
Stipulation and Order to Dismiss Nurse Defendant Bruce Hutchins, RN without Prejudice	10/18/2018	I	APPX000044 – APPX000048
Notice of Entry of Order	10/24/2018	I	APPX000049 – APPX000056
Stipulation and Order to Dismiss Defendant Dignity Health D/B/A St. Rose Dominican Hospital – Siena Campus	03/09/2021	I	APPX000057 – APPX000061
Notice of Entry of Stipulation and Order to Dismiss Dignity Health D/B/A St. Rose Dominican Hospital Siena Campus	03/10/2021	I	APPX000062 – APPX000067
Stipulation and Order to Dismiss Defendant Valley Health System, LLC d/b/a Henderson Hospital with Prejudice and to Amend Caption	03/17/2021	I	APPX000068 – APPX000077
Notice of Entry of Stipulation and Order for Dismissal	03/19/2021	I	APPX000078 – APPX000090
Stipulation and Order for Defendant Christensen, M.D.’s Dismissal With Prejudice Only	04/21/2021	I	APPX000091 – APPX000097
Notice of Entry of Stipulation and Order for Defendant Christensen, M.D.’s Dismissal with Prejudice Only	04/22/2021	I	APPX000098 – APPX000106
Plaintiff’s Motion in Limine #1: Motion to Permit Certain Closing Argument Techniques of Plaintiff’s Counsel	08/18/2021	I	APPX000107 – APPX000115
Plaintiff’s Motion in Limine #2: Motion to Exclude Informed Consent Form and Terms or Argument Regarding “Risk” or “Known Complication”	08/18/2021	I	APPX000116 – APPX000189

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
<b><i>VOLUME II</i></b>			
Plaintiff's Motion in Limine #3: Motion to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	08/18/2021	II	APPX000190 – APPX000329
Plaintiff's Motion in Limine #4: Exclusion of Collateral Source Payments	08/18/2021	II	APPX000330 – APPX000349
Plaintiff's Proposed Jury Instructions	08/18/2021	II	APPX000350 – APPX000389
Plaintiff's Proposed Voir Dire	08/18/2021	II	APPX000390 – APPX000395
<b><i>VOLUME III</i></b>			
Defendant's Motion in Limine No. 3 to Exclude Defendants' Insurance Coverage	08/20/2021	III	APPX000396 – APPX000402
Defendant's Motion in Limine No. 1 to Include Others on the Verdict Form	08/20/2021	III	APPX000403 – APPX000467
Defendant's Motion in Limine No. 2 to Allow Defendants to Introduce Evidence of Collateral Sources Pursuant to NRS 42.021	08/20/2021	III	APPX000468 – APPX000613
Plaintiff's Opposition to Defendant's Motion in Limine #1: Inclusion of Others on Verdict Form	08/27/2021	III	APPX000614 – APPX000617
Plaintiff's Opposition to Defendant's Motion in Limine #2: Collateral Source Issues & NRS 42.021	08/27/2021	III	APPX000618 – APPX000621
<b><i>VOLUME IV</i></b>			
Plaintiff's Opposition to Defendant's Motion in Limine #3: Exclusion of Defendants' Insurance Coverage	08/27/2021	IV	APPX000622 – APPX000734
Defendants' Opposition to Plaintiff's Motion in Limine No. 1 to Permit Certain Closing Argument Techniques	09/01/2021	IV	APPX000735 – APPX000746
Defendants' Opposition to Plaintiff's Motion in Limine No. 2 to Exclude	09/01/2021	IV	APPX000747 – APPX000775

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Informed Consent Form and Terms or Argument Regarding Risk or Known Complication			
Defendants' Opposition to Plaintiff's Motion in Limine No. 3 to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	09/01/2021	IV	APPX000776 – APPX000803
Defendants' Opposition to Plaintiff's Motion in Limine No. 4 Exclusion of Collateral Source Payments	09/01/2021	IV	APPX000804 – APPX000827
Plaintiff's Reply in Support of Motion in Limine #1: Motion to Permit Certain Closing Argument Techniques of Plaintiff's Counsel	09/08/2021	IV	APPX000828 – APPX000833
Plaintiff's Reply in Support of Motion in Limine #2: Motion to Exclude Informed Consent Form and Terms or Argument Regarding "Risk" or "Known Complication"	09/08/2021	IV	APPX000834 – APPX000838
Plaintiff's Reply in Support of Motion in Limine #3: Motion to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	09/08/2021	IV	APPX000839 – APPX000843
Plaintiff's Reply in Support of Motion in Limine #4: Exclusion of Collateral Source Payments	09/08/2021	IV	APPX000844 – APPX000847
Defendants' Reply to Motion in Limine No. 1 Include Others on the Verdict Form	09/09/2021	IV	APPX000848 – APPX000853
Defendants' Reply to Motion in Limine No. 2 to Allow Defendants to Introduce Evidence of Collateral Sources Pursuant to NRS 42.021	09/09/2021	IV	APPX000854 – APPX000858
<b><i>VOLUME V</i></b>			
Defendants' Reply to Motion in Limine No. 3 to Exclude Defendants' Insurance Coverage	09/13/2021	V	APPX000859 – APPX00956

<b>DESCRIPTION OF DOCUMENT</b>	<b>DATE</b>	<b>VOL.</b>	<b>PAGE(S)</b>
Transcript of Hearing - All Pending Motions in Limine	09/27/2021	V	APPX000957 – APPX000997
Transcript of Proceedings – Calendar Call	09/28/2021	V	APPX000998 – APPX001008
Motion to Reconsider or Clarify Order Regarding Plaintiff’s Motion in Limine No. 2 to Exclude Informed Consent Form and Terms or Argument Regarding “Risk” or “Known Complication” on Order Shortening Time	10/04/2021	V	APPX001009 – APPX001061
Order on Defense Motions in Limine	10/06/2021	V	APPX001062 – APPX001068
Notice of Entry of Order on Defense Motions in Limine	10/06/2021	V	APPX001069 – APPX001078
Plaintiff Kimberly Taylor’s Opposition to Defendants’ Motion to Reconsider or Clarify Order Regarding Plaintiff’s Motion in Limine to Exclude Informed Consent Form and Terms or Argument Regarding “Risk” or “Known Complication”	10/06/2021	V	APPX001079 – APPX001087
Plaintiff Kimberly Taylor’s Trial Brief	10/06/2021	V	APPX001088 – APPX001097
Order Regarding Plaintiff’s Motions in Limine	10/07/2021	V	APPX001098 – APPX001102
<b><i>VOLUME VI</i></b>			
Recorder’s Transcript of Jury Trial – Day 1	10/07/2021	VI	APPX001103 – APPX001314
<b><i>VOLUME VII</i></b>			
Recorder’s Transcript of Jury Trial – Day 2	10/08/2021	VII	APPX001315 – APPX001526
<b><i>VOLUME VIII</i></b>			
Plaintiff’s First Amended Proposed Jury Instructions	10/09/2021	VIII	APPX001527 – APPX001573
Notice of Entry of Order Regarding Plaintiff’s Motions in Limine	10/11/2021	VIII	APPX001574 – APPX001581

<b>DESCRIPTION OF DOCUMENT</b>	<b>DATE</b>	<b>VOL.</b>	<b>PAGE(S)</b>
Recorder's Transcript of Jury Trial – Day 3	10/12/2021	VIII	APPX001582 – APPX001707
<b><i>VOLUME IX</i></b>			
Recorder's Transcript of Jury Trial – Day 4	10/13/2021	IX	APPX001708 – APPX001905
<b><i>VOLUME X</i></b>			
Recorder's Transcript of Jury Trial – Day 5	10/14/2021	X	APPX001906 – APPX002091
<b><i>VOLUME XI</i></b>			
Recorder's Transcript of Jury Trial – Day 6	10/15/2021	XI	APPX002092 – APPX002292
<b><i>VOLUME XII</i></b>			
Recorder's Transcript of Jury Trial – Day 7	10/18/2021	XII	APPX002293 – APPX002491
<b><i>VOLUME XIII</i></b>			
Recorder's Transcript of Jury Trial – Day 8	10/19/2021	XIII	APPX002492 – APPX002602
Instructions to the Jury	10/19/2021	XIII	APPX002603 – APPX002641
Special Verdict Form	10/19/2021	XIII	APPX002678 – APPX002680
Plaintiff's Proposed Jury Instructions – Not Given at Trial	10/21/2021	XIII	APPX002642 – APPX002651
Plaintiff's Proposed Special Verdict Form Not Given at Trial	10/21/2021	XIII	APPX002652 – APPX002654
Transcript of Proceedings – Status Check	10/26/2021	XIII	APPX002655 – APPX002659
Judgment on Jury Verdict	11/19/2021	XIII	APPX002660 – APPX002665
Notice of Entry of Judgment on Jury Verdict	11/19/2021	XIII	APPX002666 – APPX002673
Notice of Appeal	11/22/2021	XIII	APPX002674 – APPX002675
Actual Symphon Resectoscope Photo 1		XIII	APPX002676
Actual Symphon Resectoscope Photo 2		XIII	APPX002677

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Educational Materials		XIII	APPX002681 – APPX002685
HH15		XIII	APPX002686
Pathology HH156-157		XIII	APPX002687— APPX002688
Pathology SRDH1-2		XIII	APPX002689 – APPX002690
Response to Jury Question No. 1		XIII	APPX002691

*ALPHABETICAL LIST*

<b>DESCRIPTION OF DOCUMENT</b>	<b>DATE</b>	<b>VOL.</b>	<b>PAGE(S)</b>
Actual Symphion Resectoscope Photo 1		XIII	APPX002676
Actual Symphion Resectoscope Photo 1		XIII	APPX002677
Answer to Complaint	09/26/2018	I	APPX000026 – APPX000043
Complaint	04/25/2018	I	APPX000001 – APPX000025
Defendant's Motion in Limine No. 2 to Allow Defendants to Introduce Evidence of Collateral Sources Pursuant to NRS 42.021	08/20/2021	III	APPX000468 – APPX000613
Defendant's Motion in Limine No. 3 to Exclude Defendants' Insurance Coverage	08/20/2021	III	APPX000396 – APPX000402
Defendant's Motion in Limine No. 1 to Include Others on the Verdict Form	08/20/2021	III	APPX000403 – APPX000467
Defendants' Opposition to Plaintiff's Motion in Limine No. 3 to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	09/01/2021	IV	APPX000776 – APPX000803
Defendants' Opposition to Plaintiff's Motion in Limine No. 2 to Exclude Informed Consent Form and Terms or Argument Regarding Risk or Known Complication	09/01/2021	IV	APPX000747 – APPX000775
Defendants' Opposition to Plaintiff's Motion in Limine No. 4 Exclusion of Collateral Source Payments	09/01/2021	IV	APPX000804 – APPX000827
Defendants' Opposition to Plaintiff's Motion in Limine No. 1 to Permit Certain Closing Argument Techniques	09/01/2021	IV	APPX000735 – APPX000746
Defendants' Reply to Motion in Limine No. 2 to Allow Defendants to Introduce Evidence of Collateral Sources Pursuant to NRS 42.021	09/09/2021	IV	APPX000854 – APPX000858



<b>DESCRIPTION OF DOCUMENT</b>	<b>DATE</b>	<b>VOL.</b>	<b>PAGE(S)</b>
Defendants' Reply to Motion in Limine No. 3 to Exclude Defendants' Insurance Coverage	09/13/2021	V	APPX000859 – APPX00956
Defendants' Reply to Motion in Limine No. 1 Include Others on the Verdict Form	09/09/2021	IV	APPX000848 – APPX000853
Educational Materials		XIII	APPX002681 – APPX002685
HH15		XIII	APPX002686
Instructions to the Jury	10/19/2021	XIII	APPX002603 – APPX002641
Judgment on Jury Verdict	11/19/2021	XIII	APPX002660 – APPX002665
Motion to Reconsider or Clarify Order Regarding Plaintiff's Motion in Limine No. 2 to Exclude Informed Consent Form and Terms or Argument Regarding "Risk" or "Known Complication" on Order Shortening Time	10/04/2021	V	APPX001009 – APPX001061
Notice of Appeal	11/22/2021	XIII	APPX002674 – APPX002675
Notice of Entry of Judgment on Jury Verdict	11/19/2021	XIII	APPX002666 – APPX002673
Notice of Entry of Order	10/24/2018	I	APPX000049 – APPX000056
Notice of Entry of Order on Defense Motions in Limine	10/06/2021	V	APPX001069 – APPX001078
Notice of Entry of Order Regarding Plaintiff's Motions in Limine	10/11/2021	VIII	APPX001574 – APPX001581
Notice of Entry of Stipulation and Order for Defendant Christensen, M.D.'s Dismissal with Prejudice Only	04/22/2021	I	APPX000098 – APPX000106
Notice of Entry of Stipulation and Order for Dismissal	03/19/2021	I	APPX000078 – APPX000090
Notice of Entry of Stipulation and Order to Dismiss Dignity Health D/B/A St.	03/10/2021	I	APPX000062 – APPX000067

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Rose Dominican Hospital Siena Campus			
Order on Defense Motions in Limine	10/06/2021	V	APPX001062 – APPX001068
Order Regarding Plaintiff's Motions in Limine	10/07/2021	V	APPX001098 – APPX001102
Pathology HH156-157		XIII	APPX002687 – APPX002688
Pathology SRDH1-2		XIII	APPX002689 – APPX002690
Plaintiff's First Amended Proposed Jury Instructions	10/09/2021	VIII	APPX001527 – APPX001573
Plaintiff Kimberly Taylor's Opposition to Defendants' Motion to Reconsider or Clarify Order Regarding Plaintiff's Motion in Limine to Exclude Informed Consent Form and Terms or Argument Regarding "Risk" or "Known Complication"	10/06/2021	V	APPX001079 – APPX001087
Plaintiff Kimberly Taylor's Trial Brief	10/06/2021	V	APPX001088 – APPX001097
Plaintiff's Motion in Limine #3: Motion to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	08/18/2021	II	APPX000190 – APPX000329
Plaintiff's Motion in Limine #2: Motion to Exclude Informed Consent Form and Terms or Argument Regarding "Risk" or "Known Complication"	08/18/2021	I	APPX000116 – APPX000189
Plaintiff's Motion in Limine #4: Exclusion of Collateral Source Payments	08/18/2021	II	APPX000330 – APPX000349
Plaintiff's Motion in Limine #1: Motion to Permit Certain Closing Argument Techniques of Plaintiff's Counsel	08/18/2021	I	APPX000107 – APPX000115
Plaintiff's Opposition to Defendant's Motion in Limine #2: Collateral Source Issues & NRS 42.021	08/27/2021	III	APPX000618 – APPX000621

<b>DESCRIPTION OF DOCUMENT</b>	<b>DATE</b>	<b>VOL.</b>	<b>PAGE(S)</b>
Plaintiff's Opposition to Defendant's Motion in Limine #3: Exclusion of Defendants' Insurance Coverage	08/27/2021	IV	APPX000622 – APPX000734
Plaintiff's Opposition to Defendant's Motion in Limine #1: Inclusion of Others on Verdict Form	08/27/2021	III	APPX000614 – APPX000617
Plaintiff's Proposed Jury Instructions	08/18/2021	II	APPX000350 – APPX000389
Plaintiff's Proposed Jury Instructions – Not Given at Trial	10/21/2021	XIII	APPX002642 – APPX002651
Plaintiff's Proposed Special Verdict Form Not Given at Trial	10/21/2021	XIII	APPX002652 – APPX002654
Plaintiff's Proposed Voir Dire	08/18/2021	II	APPX000390 – APPX000395
Plaintiff's Reply in Support of Motion in Limine #3: Motion to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	09/08/2021	IV	APPX000839 – APPX000843
Plaintiff's Reply in Support of Motion in Limine #2: Motion to Exclude Informed Consent Form and Terms or Argument Regarding "Risk" or "Known Complication"	09/08/2021	IV	APPX000834 – APPX000838
Plaintiff's Reply in Support of Motion in Limine #4: Exclusion of Collateral Source Payments	09/08/2021	IV	APPX000844 – APPX000847
Plaintiff's Reply in Support of Motion in Limine #1: Motion to Permit Certain Closing Argument Techniques of Plaintiff's Counsel	09/08/2021	IV	APPX000828 – APPX000833
Recorder's Transcript of Jury Trial – Day 1	10/07/2021	VI	APPX001103 – APPX001314
Recorder's Transcript of Jury Trial – Day 2	10/08/2021	VII	APPX001315 – APPX001526
Recorder's Transcript of Jury Trial – Day 3	10/12/2021	VIII	APPX001582 – APPX001707
Recorder's Transcript of Jury Trial – Day 4	10/13/2021	IX	APPX001708 – APPX001905

<b>DESCRIPTION OF DOCUMENT</b>	<b>DATE</b>	<b>VOL.</b>	<b>PAGE(S)</b>
Recorder's Transcript of Jury Trial – Day 5	10/14/2021	X	APPX001906 – APPX002091
Recorder's Transcript of Jury Trial – Day 6	10/15/2021	XI	APPX002092 – APPX002292
Recorder's Transcript of Jury Trial – Day 7	10/18/2021	XII	APPX002293 – APPX002491
Recorder's Transcript of Jury Trial – Day 8	10/19/2021	XIII	APPX002492 – APPX002602
Response to Jury Question No. 1		XIII	APPX002691
Special Verdict Form	10/19/2021	XIII	APPX002678 – APPX002680
Stipulation and Order for Defendant Christensen, M.D.'s Dismissal With Prejudice Only	04/21/2021	I	APPX000091 – APPX000097
Stipulation and Order to Dismiss Defendant Dignity Health D/B/A St. Rose Dominican Hospital – Siena Campus	03/09/2021	I	APPX000057 – APPX000061
Stipulation and Order to Dismiss Defendant Valley Health System, LLC d/b/a Henderson Hospital with Prejudice and to Amend Caption	03/17/2021	I	APPX000068 – APPX000077
Stipulation and Order to Dismiss Nurse Defendant Bruce Hutchins, RN without Prejudice	10/18/2018	I	APPX000044 – APPX000048
Transcript of Hearing - All Pending Motions in Limine	09/27/2021	V	APPX000957 – APPX000997
Transcript of Proceedings – Calendar Call	09/28/2021	V	APPX000998 – APPX001008
Transcript of Proceedings – Status Check	10/26/2021	XIII	APPX002655 – APPX002659


**CERTIFICATE OF SERVICE**

Pursuant to Nev. R. App. 25, I hereby certify that on the 10th day of March, 2022, a copy of the foregoing **APPELLANT’S APPENDIX, VOLUME IX** via the method indicated below:

X	Pursuant to NRAP 25(c), by electronically serving all counsel and e-mails registered to this matter on the Supreme Court Electronic Filing System.
	Pursuant to NRCP 5, by placing a copy in the US mail, postage pre-paid to the following counsel of record or parties in proper person:
	Via receipt of copy (proof of service to follow)

An Attorney or Employee of the firm:

/s/ Sarah Daniels  
**BREEDEN & ASSOCIATES PLLC**



1 RTRAN

2  
3  
4  
5 DISTRICT COURT  
6 CLARK COUNTY, NEVADA

7 KIMBERLY D. TAYLOR,  
8 Plaintiff,

CASE#: A-18-773472-C  
DEPT. III

9 vs.

10 KEITH BRILL, M.D., ET AL.,  
11 Defendants.  
12

13 BEFORE THE HONORABLE MONICA TRUJILLO  
14 DISTRICT COURT JUDGE  
WEDNESDAY, OCTOBER 13, 2021

15 **RECORDER'S TRANSCRIPT OF JURY TRIAL - DAY 4**

16  
17 APPEARANCES:

18 For the Plaintiff:

ADAM J. BREEDEN, ESQ.  
YIANNA ALBERTSON REIZAKIS, ESQ.

19  
20 For the Defendants:

ROBERT C. MCBRIDE, ESQ.  
HEATHER S. HALL, ESQ.

21  
22  
23  
24  
25 RECORDED BY: JILL HAWKINS, COURT RECORDER

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

INDEX

Testimony .....9

WITNESSES FOR THE PLAINTIFF

ELIZABETH HAMILTON

Direct Examination by Ms. Albertson ..... 9  
Cross-Examination by Mr. McBride ..... 48  
Redirect Examination by Ms. Albertson ..... 63  
Recross Examination by Mr. McBride..... 65

ELIZABETH LACA

Direct Examination by Mr. Breeden ..... 70  
Cross-Examination by Ms. Hall ..... 81

LAURIE HERDA

Direct Examination by Mr. Breeden ..... 87  
Cross-Examination by Ms. Hall ..... 90

BRUCE HUTCHINS

Direct Examination by Mr. Breeden ..... 91  
Cross-Examination by Mr. McBride ..... 114  
Redirect Examination by Mr. Breeden ..... 136

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

WITNESSES FOR THE PLAINTIFF (Continued)

CHRISTY SANDOVAL

Direct Examination by Mr. Breeden ..... 159

Cross-Examination by Ms. Hall ..... 165

TINA BURCH

Direct Examination by Mr. Breeden ..... 167

Cross-Examination by Ms. Hall ..... 173

BRIAN KLEVEN

Direct Examination by Mr. Breeden ..... 174

Cross-Examination by Ms. Hall ..... 184



- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

RECEIVED

90

RECEIVED

10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

RECEIVED

6

6

1 Las Vegas, Nevada, Wednesday, October 13, 2021

2  
3 [Case called at 10:30 a.m.]

4 [Outside the presence of the jury]

5 THE COURT: All right. We're on the record in case number  
6 A-18-773472-C, Kimberly Taylor v. Brill and Women's Health Associates  
7 of Southern Nevada - Martin PLLC. Counsel for both sides are present,  
8 and we are outside the presence of the jury. Are there any issues before  
9 we continue with Plaintiff's case?

10 MR. BREEDEN: Nothing at this time, Your Honor. We have  
11 all the jurors here now and we're ready?

12 THE COURT: Yes.

13 MR. BREEDEN: Oh, terrific. Our witness, who is appearing  
14 by BlueJeans, is ready as well.

15 THE COURT: Anything on behalf of Defendant?

16 MR. MCBRIDE: Nothing, Your Honor. We're discussing the  
17 possibility of maybe stipulating to admit Dr. Hamilton's office chart as an  
18 exhibit, which is Joint Exhibit 13, I believe.

19 [Counsel confer]

20 MS. HALL: So Your Honor, we do have one thing to put on  
21 the record before we bring the jury in.

22 THE COURT: Okay. Go ahead.

23 MS. HALL: We have stipulated to the admission of Joint  
24 Exhibit 13, which is Dr. Hamilton's office chart as well as Joint Exhibit 15,  
25 which is the City of Henderson ambulance records.

1 THE COURT: So Joint Exhibit 13 and 15 will be admitted by  
2 stipulation.

3 [Joint Exhibits 13 and 15 admitted into evidence]

4 THE COURT: And then I know we put stipulations on the  
5 record yesterday as to Joint Exhibit 1, Joint Exhibit 3 and Joint Exhibit 5,  
6 but I'm not sure we admitted them, so just for the record, they're going  
7 to be admitted by stipulation.

8 [Joint Exhibits 1, 3 and 5 admitted into evidence]

9 MS. HALL: And Your Honor, on that issue, it came to my  
10 attention that in the Henderson Hospital records, separate and apart  
11 from the discharge instructions, there were still included a few consent  
12 forms from the hospital. And I discussed that with Mr. Breeden and the  
13 agreement is my paralegal is going to do a revised joint exhibit list --

14 THE COURT: Okay.

15 MS. HALL: -- remove pages 62 through 66 from the  
16 Henderson Hospital exhibit and make that a separate joint exhibit, which  
17 will be clearly identified, should I, you know, try to introduce that in  
18 some fashion during the trial, but it will be --

19 THE COURT: So you don't want me to admit the previously  
20 -- you want to strike the Joint Exhibit 5 as it currently is?

21 MS. HALL: That is correct, because unfortunately, neither of  
22 us realized that it does contain four pages of consent forms from  
23 Henderson Hospital and I think subject to Your Honor's prior ruling,  
24 those should come out and be a separate exhibit, should I try to admit  
25 them at some other point.

1 MR. BREEDEN: And Judge, I don't know that you need to  
2 strike the exhibit. I just think we need to redact those pages out.

3 THE COURT: Okay. Well, the way she said it was they were  
4 going to redo a whole new one, so I just -- that's the only reason I said  
5 that.

6 MR. BREEDEN: Yeah, let's not --

7 MS. HALL: Either way is fine.

8 MR. BREEDEN: -- throw the baby out with the bath water.

9 MS. HALL: Sure.

10 MR. BREEDEN: We'll keep what we have and then Ms. Hall  
11 wants a new exhibit of those pages that are being redacted and that's  
12 fine. I don't know what she's going to do with them, but if wants to label  
13 them differently for probably purposes of the record.

14 THE COURT: Okay.

15 MS. HALL: Sure. I didn't mean to make that more confusing,  
16 but basically Exhibit 5 is fine as it is with the understanding that we'll be  
17 taking out pages 62 to 66.

18 THE COURT: Okay.

19 MS. HALL: And we'll put that at the end of the exhibit list.

20 THE COURT: Okay. Sounds good. And the BlueJeans is up  
21 and ready? Okay. Anything else?

22 MR. MCBRIDE: Nothing, Your Honor.

23 THE COURT: Okay. Go ahead and bring them in, Ray.  
24 Thank you.

25 THE CLERK: That was for Exhibit 5?

1 MS. HALL: Yes.

2 THE CLERK: What about Exhibit 3? Because yesterday you  
3 had said that you guys would be removing consent forms?

4 MS. HALL: That has already been removed, and it's  
5 accurately reflected. The Bate pages in that --

6 THE CLERK: Oh. So this is good?

7 MS. HALL: Yes. That is still correct.

8 THE MARSHAL: All rise for the jury.

9 [Jury in at 10:38 a.m.]

10 THE MARSHAL: The jury is all present, Your Honor.

11 THE COURT: Thank you. You may be seated. Parties  
12 stipulate to the presence of the jury?

13 MR. BREEDEN: Stipulated.

14 MR. MCBRIDE: Yes, Your Honor.

15 THE COURT: Thank you. Welcome back everyone and good  
16 morning. We are going proceed with the Plaintiff's case and on behalf of  
17 Plaintiff, do you have another witness?

18 MS. ALBERTSON: Yes, Your Honor. Plaintiff's call Elizabeth  
19 Hamilton, M.D.

20 MR. BREEDEN: Looks like she stepped away, Your Honor. If  
21 I could step into the hall.

22 THE COURT: Yeah.

23 [Pause]

24 MS. ALBERTSON: Dr. Hamilton?

25 DR. HAMILTON: Yes.

1 MS. ALBERTSON: Okay.

2 THE CLERK: Please raise your right hand.

3 ELIZABETH HAMILTON, PLAINTIFF'S WITNESS, SWORN

4 THE CLERK: Please state and spell your name for the record.

5 THE WITNESS: Elizabeth Hamilton, E-L-I-Z-A-B-E-T-H

6 H-A-M-I-L-T-O-N.

7 DIRECT EXAMINATION

8 BY MS. ALBERTSON:

9 Q Okay. Dr. Hamilton, can you hear me okay?

10 A Yes, I can.

11 Q Okay. If at any point you cannot hear me, please let me  
12 know. We do all have to wear masks in here. I appreciate that you're  
13 not wearing a mask, because it'll make it easier for us to hear and  
14 understand you. And I just want to confirm you're in a place where it's  
15 okay for you not to wear one, correct?

16 A I'm in my tiny little office, so yes.

17 Q Okay. Thank you. Okay. Did you perform a surgery on  
18 Kimberly Taylor on April 27th, 2017 to repair a bowel perforation?

19 A Per the records, yes.

20 Q And is it your understanding that was a resection of the small  
21 bowel with -- and I'm going to do my best with this word, re-  
22 anastomosis. Is that correct?

23 A Yes, sir. May I just ask who's talking? I can't see who's  
24 talking to me.

25 Q Sure. My name is Anna Albertson. I'm co-counsel with

1 Adam Breeden.

2 A Oh, you're at the podium? Okay. At the podium. Okay.

3 Q Yeah. I have to stay here, because the microphone's right  
4 here.

5 A Okay.

6 Q And if I move away from it with the mask, you won't be able  
7 to --

8 A Okay.

9 Q -- hear me.

10 A Okay.

11 Q But -- sorry. I cannot see what you see, unfortunately, I don't  
12 think, unless --

13 A Okay.

14 MS. ALBERTSON: Is the small screen in the bottom what  
15 she's seeing?

16 THE CLERK: She should be able to see you.

17 MS. ALBERTSON: Okay.

18 BY MS. ALBERTSON:

19 Q Okay. I'm going to ask you some background questions  
20 now.

21 MS. ALBERTSON: And look, as far as the jurors go, I'm  
22 perfectly fine with you just facing her, if you want, because I'm just going  
23 to be asking questions, and she's going to be the one answering them.  
24 That might be easier than turning back and forth. And I'm sorry, but I do  
25 have to stay as close to this as I can, so it gets recorded.

1 BY MS. ALBERTSON:

2 Q Were you a physician, duly licensed to practice medicine in  
3 Nevada in April of 2017?

4 A Yes, ma'am.

5 Q Could you please describe your educational background for  
6 us?

7 A I'm a trained general surgeon, board certified. Do you want  
8 more background?

9 Q Yes, please. Yeah. If you can go through where you went to  
10 medical school, where you did any postgraduate --

11 A I went to --

12 Q -- course study work, internship, residency, things like that.

13 A Okay. I went to -- I'm from Nevada and I went to the  
14 University of Nevada School of Medicine for my medical school  
15 education from 1993 to 1997. I then moved to Dallas, Texas and did my  
16 internship and entire residency training at one institution, which was UT  
17 Southwestern/Parkland Hospital. And I did that from 1997 to 2004. I  
18 then was a -- I got boarded in 2005 and have just been a practicing  
19 general surgeon since.

20 Q Okay. Boarded in what specialty?

21 A General surgery.

22 Q And how long prior to April, 2017 did you practice?

23 A Well, I graduated from residency in 2004 and this case  
24 happened in 2017, so 13 years after residency.

25 Q Okay. And are you still currently board certified?



1           A     Yes, ma'am.

2           Q     Okay. And what does your current practice entail?

3           A     I recently just switched positions, so I'm doing wound care  
4 and acute care emergency surgery, which is general surgery.

5           Q     Okay. And you said you recently just switched positions.  
6 What did you do immediately previous to your current position?

7           A     Acute care emergency surgery.

8           Q     And was --

9           A     And general surgery.

10          Q     -- was that what you were doing in April of 2017?

11          A     Yes, ma'am.

12          Q     Okay. And can you describe what acute care emergency  
13 surgery encompasses?

14          A     Yes. Acute care emergency surgery encompasses general  
15 surgical intervention on patients who present in a more urgent or  
16 emergent fashion, usually through an emergency room or through an  
17 inpatient like consultation while they're in the hospital, rather than  
18 electively making an appointment in a doctor's office, like for instance,  
19 for a hernia or something that is less pressing.

20          Q     And have you ever previously given testimony in a  
21 courtroom?

22          A     No.

23          Q     Okay. So far you're doing really well with waiting for me to  
24 finish my question before you answer. I would just ask that we continue  
25 that way as much as possible and I would also ask that if at any point

1 you don't understand my question, ask for a clarification before you  
2 respond. Is that okay?

3 A Yes.

4 MS. ALBERTSON: Now, Your Honor, pursuant to 16.1, I'm  
5 tendering Ms. Hamilton as a qualified, non-retained treating physician to  
6 offer expert testimony pertaining to the procedures she performed on  
7 Kim in April of 2017.

8 MR. MCBRIDE: Well, to the extent -- I'm going to object to  
9 the -- her testimony is limited to those of a treating physician, not an  
10 expert witness. She has not authored any report. So as to opinions that  
11 she performed at the time of her kind of treatment.

12 THE COURT: Counsel approach.

13 MR. MCBRIDE: Sure.

14 [Sidebar at 10:46 a.m., ending at 10:48, not transcribed]

15 BY MS. ALBERTSON:

16 Q Okay. Now just to be clear, too, you aren't here to give an  
17 opinion about malpractice. You're just hear to discuss what you did,  
18 what you observed and any opinions you formed in the course and  
19 scope of your treatment of Ms. Taylor; is that clear?

20 A Yes, ma'am.

21 Q Okay. How did you come to treat -- and would you prefer I  
22 call -- look, we've been referring to our client as Kim, but would you  
23 prefer I call her Ms. Taylor, or Kim, or do you have a preference?

24 A Whichever makes her comfortable.

25 Q Okay. How did you come to treat or care for Kim in your

1 medical profession?

2 A On review of the notes on the hospital records, I was  
3 consulted to be available in the operating room or to help treat this  
4 patient who had presented to the hospital. And so I don't know if Dr.  
5 Ivie, the physician, the gynecologist who went to the operating room  
6 with me consulted me or -- it didn't seem like the emergency room had  
7 called me directly, but to answer your question, I'm not sure exactly who  
8 called me, but from review of my notes, I was asked to be available to  
9 help treat this patient, once she was already in the hospital.

10 Q What hospital was that?

11 A St. Rose Siena Hospital.

12 Q And what was your position at St. Rose Siena Hospital at that  
13 time?

14 A General surgery, general surgeon.

15 Q Now, I just want to be clear. You don't still work at St. Rose  
16 Sienna, right?

17 A Correct.

18 Q Okay. Because you don't currently live in Nevada. Is that  
19 correct?

20 A That's correct.

21 Q Okay. And that's part of what you're testifying the way  
22 you're testifying remotely, correct?

23 A That's correct.

24 Q Okay. But at the time, you did live in Nevada, and you were  
25 working as a general surgeon in St. Rose Siena?

1 A Yes, ma'am.

2 Q Okay. And it is your understanding that you were called for a  
3 surgical consult for Kim?

4 A Yes.

5 Q What were the symptoms or issues that were presenting that  
6 required your consultation?

7 A On review of my notes, it was that she'd had a recent  
8 surgical intervention and that she had re-presented to the hospital with  
9 severe abdominal pain.

10 Q Do you know what the -- and you can look at your notes --  
11 what the recent surgical procedure was that you just referred to?

12 A Yes. I think she'd had a hysteroscopy and had some plan for  
13 addressing some fibroids, uterine fibroids and -- the day before.

14 Q Okay. So it was your understand --

15 A At a different hospital.

16 Q It was your understanding you were seeing her the day after  
17 the hysteroscopy?

18 A Yes, ma'am.

19 Q Okay. And I'm sorry. One more time. The symptoms that  
20 were -- that she was complaining about were what again?

21 A Severe abdominal pain.

22 Q Is it your understanding that that's a normal symptom  
23 following a hysteroscopy?

24 A It -- from the description, it sounded like it was much more  
25 significant than was expected after her procedure.

1 Q Okay. And did you do an exam of Kim?

2 A Yes.

3 Q What were your --

4 A My report --

5 Q -- findings? And you can refer to your notes, if need to.

6 A I think my consultation note had stated that I met with Ms.  
7 Taylor and her parents before the operation, that she had guarding and  
8 peritonitis on examination and that com -- this -- the complaint of severe  
9 abdominal pain, the examination of peritonitis and the CT scan finding  
10 that was available, maybe concerned that she had a complication.

11 Q What is peritonitis?

12 A Peritonitis is irritation of the lining of your abdominal cavity  
13 from a factor, whether it be infection or bleeding or something like that.

14 Q And how dangerous can that be?

15 A It can be dangerous. I mean, it could be life threatening. It's  
16 a symptom suggesting that there's a significant issue going on in the  
17 abdominal cavity.

18 Q And your notes indicate that you saw her for the first time, I  
19 think, on April 27th, which would have been the day after surgery for  
20 your initial exam?

21 A That's correct.

22 Q What would your initial exam have entailed?

23 A Speaking to the patient and trying to get as complete as  
24 history as possible about the series of events prior to this leading up to  
25 this presentation. And then a physical examination on just looking at

1 her in general. And then focusing on the -- mostly on the abdominal  
2 examination, then reviewing her laboratory and radiology studies and  
3 then trying to conduct with a plan of action to address her concern, her  
4 symptom complex.

5 Q How quickly after the exam did you devise a plan of action or  
6 is it immediate?

7 A Per my note, it suggested that I thought that this needed to  
8 be done and that there wasn't a clear question that it needed to be done.  
9 If you're asking how quickly we went to the operating room, I couldn't  
10 tell from the timing.

11 Q But you say per your note, there's something that needed to  
12 be done like urgently?

13 A Yes.

14 Q And the this you're talking about is the surgery that you were  
15 going to perform?

16 MR. MCBRIDE: Objection. Leading.

17 THE COURT: Overruled.

18 BY MS. ALBERTSON:

19 Q And what surgery were you going to perform or what  
20 surgery did you believe was needed after your exam?

21 A I'm trying to find my note, but I did just read it earlier. And  
22 what I felt that she had based on my examination of her on the 27th was  
23 peritonitis, which in the postoperative period I was concerned could  
24 represent a complication from surgery. I felt that she needed evaluation  
25 in the operating room rather than just continued observation with just

1 antibiotics. And that she would have -- that I was going to try to do a  
2 laparoscopy, which means looking in her peritoneal cavity with a  
3 camera. And if that didn't work, to open her up through a -- through a  
4 regular incision, open incision, and looking to make sure there wasn't a  
5 complication where something contributing to her symptoms.

6 Q Okay. Did you explain all that to her?

7 A Yes.

8 Q And did you eventually perform that procedure, that surgery  
9 you just talked about?

10 A Yes.

11 Q Okay. And did it end up being a closed procedure or a -- did  
12 you have to open her up?

13 A No. It looks like I started with a laparoscopy and converted  
14 to an open operation.

15 Q Okay. Let's take a look at your operative report. I believe the  
16 date stamp at the bottom of the page is 00039. It's in the bottom right.  
17 And I'm sorry to the jurors, we can't have the doctor's face on the screen  
18 and have the page up, so we're going to do our best to make it as clear  
19 as possible what we're looking at and detail it.

20 Do you have your operative report open, Doctor?

21 A Yes.

22 Q Can you explain what this is exactly? I want you to give me  
23 like, you know, is this a record of the procedure you did that you take  
24 immediately after or how is this document made?

25 A Okay, wait. I'm trying to find it on my end. I got it, but I'm

1 looking at it. Are you showing it on the screen too? I don't see it on the  
2 screen.

3 Q Unfortunately, we can't show it on the screen too.

4 A Okay.

5 Q But it's the page that it says -- it's got a 00039 at the bottom  
6 right. So if we're on the same page, that should be the number on the  
7 bottom right of the page. And it starts with -- it says --

8 A I found it. I found it.

9 Q Okay.

10 A I found it.

11 Q Okay. So what is this document exactly?

12 A This is my operative report. And it indicates what I thought  
13 going into the operation, what I found in the operating room, and what I  
14 did in the operating room.

15 Q Okay. Let's break that down and talk about each one of  
16 those. The first thing I want to talk about is, I just want to be clear, this  
17 document lists you as the surgeon that performed the surgery, correct?

18 A Yes.

19 Q Was there any surgeon that assisted?

20 A It looked like Dr. Ivie.

21 Q Okay. What would have been your role? What would have  
22 been Dr. Ivie's role in the surgery to the best of your memory?

23 A Dr. Ivie must have been the gynecologist who was covering  
24 for that group, and I would have been the one who was, I guess, leading  
25 the operation.



1 Q Okay.

2 A The primary surgeon.

3 Q Thank you. Okay, let's go over your preoperative diagnosis.

4 And can you first -- preoperative diagnosis, what does that mean?

5 A The diagnosis that I felt was true going into the operating  
6 room based on the information I had.

7 Q Okay. And what was your preoperative diagnosis? It looks  
8 like you had three different diagnoses, correct?

9 A Uh-huh. I had peritonitis.

10 Q Okay. And that again just to clarify is the -- it's an infection.  
11 Is that how you defined it for us?

12 A It's an irritation of the lining of the peritoneal cavity.

13 Q That can be deadly, correct?

14 A It is a pathologic finding, and it can be associated with deadly  
15 conditions.

16 Q Pre-operation, did you have any idea how that was caused or  
17 what caused that?

18 A The peritonitis?

19 Q Yeah. Yes.

20 A I think number two would suggest the answer to that  
21 question and that was concern for bowel perforation, which can lead to  
22 peritonitis.

23 Q Okay. So a bowel perforation could lead to peritonitis. And  
24 then what was your third preoperative diagnosis?

25 A A recent uterine hysteroscopy for menorrhagia with reported

1 uterine perforation.

2 Q Okay. So can you just kind of layman's term what that  
3 means?

4 A It means that -- my understanding was that she had a scope  
5 placed in her uterus and that there had been an identified perforation of  
6 the uterus during that procedure. And then my understanding was they  
7 had aborted a portion of the procedure that they planned to go ahead  
8 with. But the report was that she had a uterine manipulation somehow  
9 and a reported perforation.

10 Q Okay. So what was your understanding of how the uterine  
11 perforation was caused?

12 A I'm not a gynecologist, and I don't have any idea.

13 Q Okay.

14 A But somehow during that procedure.

15 Q During the hysteroscopy?

16 A Yes, ma'am.

17 Q Okay. And what about the bowel perforation. What was  
18 your understanding of how that was caused?

19 A I'm not sure that I can like -- are you asking me going into the  
20 operation or are you asking me what I found?

21 Q I'm going to ask you -- I'm asking you, going into the  
22 operation now, but I'm going to ask you as we get down this report  
23 when we get to -- after the operation I'm going to ask you a post-  
24 operation opinion as well.

25 A Are you asking me then why I thought the person had a

1 bowel perforation?

2 Q Well I would like the answer to that too. So if you want to  
3 answer that, that would be like -- but I think you described that a little bit  
4 because of the extreme abdominal pain, correct?

5 A Correct. I mean, I'm trying to follow you, but I think that the  
6 concern -- with my preoperative diagnosis I said, peritoneal, concern for  
7 bow perforation and recent uterine hysteroscopy for reported uterine  
8 perforation. The reason I would have written that third statement was  
9 that my concern was that the bowel perforation would have been caused  
10 by the number three.

11 Q By the hysteroscopy?

12 A By the reported uterine perforation. That's right.

13 Q Okay. Let's go a little bit into the procedure that you did, and  
14 then afterwards I want to discuss your postoperative diagnosis. So can  
15 you detail for the jury what -- well, let's start first with how quickly this  
16 procedure was done. Was this an urgent procedure that needed to be  
17 done like within 24 hours of the diagnosis?

18 A Yes, ma'am.

19 Q Okay. And was this done to your recollection and according  
20 to the records, within 24 hours of the diagnosis?

21 A Yes. I believe it was done the same evening.

22 Q Okay. Can you detail what you did in the operating room?  
23 And I think it is kind of detailed on the next pages, so feel free to read  
24 your notes. But I just kind of want you to go through with the jury step  
25 by step what happened.

1           A     It sounds like I entered the abdomen. Again, the standard  
2 like way that I usually do by entering -- placing trocars into the abdomen  
3 and establishing pneumoperitoneum with carbon dioxide and then  
4 looking around inside the peritoneal cavity.

5           Q     Okay. I'm going to stop you.

6           A     Other than that my --

7           Q     Sorry. I want to go bit by bit. So how did you look in the  
8 cavity? You entered -- you put cameras inside of Kim's body?

9           A     I placed a Hassan trocar, it says, which is my usual way of  
10 entering an abdomen, the abdomen. And I would have created the  
11 space with carbon dioxide first and then put a camera in to look around.

12          Q     Okay. And what did you find?

13          A     It said significant adhesions of the small bowel to the  
14 anterior abdominal wall. And significant fibrinous exudate, evidence of  
15 succus spill [phonetic], and evidence of contamination in the abdomen,  
16 which was too significant proceed laparoscopically.

17          Q     Okay.

18          A     And there was contamination in four quadrants.

19          Q     Okay. When you say too significant to proceed  
20 laparoscopically, what does that mean?

21          A     It meant that working around the abdominal cavity, I didn't  
22 feel that I would be able to get a good view of everything that I needed to  
23 see, mainly bowel, and the uterus, and not just the small bowel, but the  
24 large intestine laparoscopically. And then I would need to have a larger  
25 incision. I would need to have my hands in the abdomen in order to

1 clean things up first and then be able to look around.

2 Q Okay. And you say that all four quadrants had, for lack of a  
3 better description, is it fecal matter floating around in there?

4 A I don't know if -- it didn't say fecal matter. It just said  
5 contamination or succus.

6 Q So contamination, okay. So what did you do next?

7 A It said, for this reason, I removed the scope, and I made a  
8 lower midline incision. And then on entering the abdomen, I evacuated  
9 that fluid and then I could just start evaluating once I cleaned it up  
10 enough to be able to see the structures definitively or un-definitively.

11 Q Okay. So at that point you cut him open for lack of a better  
12 description. What size cut do you make?

13 A It depends on what I think I need to do to see, but I said a  
14 lower midline incision on her, which would usually imply from about the  
15 bellybutton down.

16 Q Okay. And then so that basically you could see inside and  
17 clean out all that contamination?

18 A Yes.

19 Q Okay. And so, you did that and what happened next?

20 A Then I just started systematically looking at the bowel. So I  
21 was -- so it says right here -- let's see, I began by identifying the terminal  
22 ileum at the cecum. So I looked at -- I found the cecum, which is  
23 something you can usually find just because of where it is even when  
24 there's contamination. And I could see the appendix coming off the  
25 cecum where it always is. And then I started evaluating the bowel in a

1 sequential fashion from distally, which means at the cecum  
2 approximately towards the stomach.

3 Q Okay. When you say evaluating, are you essentially going  
4 through the small intestine to see if there's any kind of perforation?

5 A Yes. I'm just trying to look to the best of my ability, like  
6 every square inch of it to see if I -- if I can see a hole in it.

7 Q Okay. And did you see any kind of hole or perforation in it?

8 A Yes. Per my operative report, about one foot proximal to the  
9 terminal ileum, which is right where the cecum is and the appendix.  
10 There was a hole in the intestine.

11 Q Okay. And then what did you do next?

12 A I looked at the rest of the bowel, and I couldn't see anything  
13 as far as a clear hole. And I looked at the uterus and there was a  
14 perforation there and then it said -- and I looked at the rectum, which  
15 was in close proximity to that there too. And then it says I washed out  
16 and removed that portion of the bowel that was perforated.

17 Q Okay. What portion of the bowel was perforated? How big  
18 of a portion?

19 A Towards the end of the small intestine, about a foot  
20 approximal to the cecum was -- there was a small perforation, and I  
21 removed that section.

22 Q What size section did you have to remove?

23 A I think it was about --

24 Q And take your time because I think -- I do think it is in here.

25 A It said I found the size of the perforation was about three

1 centimeters. I thought it saw it, but I think it's on the top of my findings.  
2 But sorry, I'd have to look through this again. I saw it in the pathology  
3 report, but I'm sorry, I'm just not seeing it right here.

4 Q No. I think three centimeters -- I know there was like  
5 actual -- there's a little more measurements at a few different spots. But  
6 three centimeters sounds about right, and I think three centimeters is  
7 also what you noted in your findings. Do you want to flip to your  
8 findings and tell me if that's accurate?

9 A Here is my finding. I wasn't being able to see this. Yeah, I  
10 said -- sorry. Under specimen up on my findings I wasn't seeing this  
11 page. Removed a small bowel section of about five, six centimeters.

12 Q Okay. So about five to six centimeters is the section that you  
13 had to take out to repair the three-centimeter perforation?

14 A Yeah, to remove it.

15 Q Okay. And then once it's removed, what do you do next?

16 A Then you hook it back together. Well, you can do several  
17 things, but I hooked it back together in what we called stapled  
18 anastomosis, which is a reconnection of the intestine.

19 Q Does the intestine then fuse and then is it then able to repair?

20 A Yeah. Makes it heal together over time.

21 Q Okay. When you say over time, what kind of time are we  
22 talking about?

23 A To be thoroughly completely candid, I don't know exactly  
24 how many weeks it would be, but certainly within a month or two it  
25 would be healed.

1 Q Okay. So it takes multiple weeks for it to be fully healed?

2 A It takes weeks, yes.

3 Q And can there be lasting symptoms of pain or discomfort  
4 thereafter still?

5 A Sorry?

6 Q Are there lasting symptoms, pain, discomfort, anything like  
7 that that might prolong past that month or two healing period?

8 A From the healing of the intestine, I don't believe so. From  
9 healing from the surgery, very much so.

10 Q Okay. So there's multiple healings then. The intestine has to  
11 heal, but then your body has to heal from a surgical procedure like this  
12 as well, correct?

13 A The wound, yes. The open wound is usually what hurts.

14 Q Okay.

15 A And the perineal lining until contamination goes away.

16 Q Okay. How long would it take for the contamination to go  
17 away?

18 A Again, I think everybody might be a little bit differently, but  
19 we wash it out to get rid of the gross contamination with usually liters of  
20 fluid and I think I said we used at least three liters here to get rid of it.  
21 And then the body and antibiotics take care of the rest of it. And to  
22 answer your question, I'm not sure the exact amount of time. I think it  
23 might be different based on the level of contamination on everyone is  
24 different.

25 Q Okay.



1           A     On how much of that -- uh-huh.

2           Q     Okay. You said earlier those four quadrants were  
3 contaminated. How many quadrants are there?

4           A     Four.

5           Q     Okay. So all the quadrants were contaminated?

6           A     Yes.

7           Q     Okay. And so, you used three liters of fluid. Is that a lot of  
8 fluid to flush it out?

9           A     Yeah, that's a lot of fluid. We usually just keep washing until  
10 it's clear, until you can't see -- until you feel like you've gotten all the  
11 gross contamination as possible out of the abdomen.

12          Q     So is it fair to say there was a large amount of cleaning to do  
13 in this situation as well?

14          A     Yes.

15          Q     Okay. Now concerning the bowel perforation. Would the  
16 bowel perforation have been a normal complication or risk of a  
17 hysteroscopy?

18               MR. MCBRIDE: Objection. It's beyond the scope. She's a  
19 general surgeon. Not a gynecologist.

20               THE WITNESS: Yeah. I'm a general surgeon.

21               THE COURT: Sustained.

22               MR. MCBRIDE: Thank you.

23 BY MS. ALBERTSON:

24          Q     And let's go back to the first page of your operative report.  
25 And before we look at your post-op diagnosis, which is what I want to

1 look at next, I want to look at the procedure performed. Can you read  
2 me the procedure performed; how you detailed it on your operative  
3 report?

4 A "Procedure performed: Diagnostic laparoscopy converted to  
5 exploratory laparotomy with small bowel resection and washout of the  
6 abdomen and pelvis."

7 Q Okay. And would you agree that's what you just described to  
8 us, correct?

9 A Yes, ma'am.

10 Q Okay. Do you believe this surgery, the procedure you  
11 performed, was reasonable and necessary in order to -- based on Kim's  
12 symptoms and complaints?

13 A Absolutely.

14 Q Do you think it was medically warranted?

15 A Absolutely.

16 Q Okay. Let's talk about your post-op diagnosis. What were  
17 your postoperative diagnoses in this matter?

18 A It said the same thing as the preoperative diagnosis.  
19 Peritonitis, concern for bowel perforation, and the same thing.

20 Q Okay. So fair to say --

21 A And the same --

22 Q Sorry for interrupting you. Go ahead.

23 A No. I think I could have put bowel perforation, not concern  
24 for it. But it says -- it says the same thing as the preoperative diagnosis.  
25 So I feel the findings are very detailed.

1 Q Okay. So essentially the only real difference between the  
2 pre-op and post-op, although they're the same on the sheet, is that  
3 instead of a concern for bowel perforation, you essentially confirmed a  
4 bowel perforation, correct?

5 A Absolutely.

6 Q Okay.

7 A And a uterine perforation.

8 Q Okay. Was the uterine perforation repaired?

9 A I don't -- I don't think so. I don't -- I have to look.

10 Q And I'm not asking about you. I'm asking when you first  
11 identified it, was the uterine perforation repaired? Because the next  
12 question I'm going to ask you is, did you repair it if you say it's repaired.

13 A I didn't see in my op report that I repaired the uterine  
14 perforation. It probably would have been Dr. Ivie if anyone did. But I  
15 don't see that I did.

16 Q Okay. And you don't see if you noted whether or not it was  
17 repaired, do you? And take your time.

18 [Witness reviews document]

19 THE WITNESS: I don't see -- I see that she -- no, I don't see  
20 that we did.

21 BY MS. ALBERTSON:

22 Q Okay. Is it something you would have repaired?

23 A In my experience, things that are self-limited that repair  
24 themselves, you know, you wouldn't necessarily repair it more.

25 Q Okay.

1           A     It just depends on the specific situation, what you find, if  
2 there's still evidence of a, you know, wide open hole, if it's something  
3 that it's -- there's evidence of an injury. So just -- it's on a case-by-case  
4 basis. But do I usually repair uteruses? No.

5           Q     Will a uterine perforation repair itself? Is that what you just  
6 said?

7           A     Yeah. Do I -- is that what you're asking me?

8           Q     Yes. Yeah. That's exactly what I'm asking, can it repair  
9 itself?

10          A     Oh, I thought you said did I. Yes. Yes, they repair  
11 themselves.

12          Q     So is it -- can you kind of describe that for me, how that  
13 occurs?

14          A     Oh, I can't because you'd have to ask a gynecologist.

15          Q     Okay. But it's your understanding as a general surgeon that  
16 a uterine perforation can repair itself?

17          A     Yes.

18          Q     Okay. What about a bowel perforation, can that repair itself?

19          A     Bowel perforation. If they're tiny enough, then, yes, I think  
20 they can. For instance, ulcers, uh-huh.

21          Q     Okay. In this case, we're dealing with a three centimeter  
22 bowel perforation. Is that --

23          A     This is a different mechanism. This is a traumatic  
24 mechanism, and this is not the type that we would wait to see if it would  
25 heal itself. I'm not aware of these type of injuries healing themselves.

1 This is a completely different mechanism.

2 Q When you say traumatic mechanism, what are you referring  
3 to?

4 A A traumatic mechanism would be like caused, you know,  
5 from a surgery, not something like -- for instance, like a perforated peptic  
6 ulcer. So an injury caused by surgery, either manipulation with the  
7 hands, a thermal injury from cautery, or an instrument, or things like  
8 that. So in this situation, it is not -- I have -- I have not -- I'm not aware of  
9 a -- that it's appropriate to watch to see if these kind of things heal  
10 themselves.

11 Q So was it your opinion that the bowel perforation was caused  
12 by the hysteroscopy?

13 A Yes.

14 Q Okay. And that's why you're calling it a traumatic injury?

15 A Yes.

16 Q Because essentially that was the trauma?

17 A That's right.

18 Q Okay. It's different from -- I think you were just talking about  
19 like ulcers or something that might occur in someone's body -- I don't  
20 want to say naturally, but over time. Is that fair to say?

21 A Yeah. I guess iatrogenic. Meaning like caused or person  
22 caused versus -- or a mechanism that wasn't just something that, you  
23 know, just happened spontaneously in the person's body. Yes.

24 Q So you're saying this was person-caused, the bowel  
25 perforation, correct?

1 A From what it looked like.

2 Q Okay.

3 A Procedure-caused.

4 Q Procedure-caused. Thank you. And the procedure was the  
5 hysteroscopy?

6 A From the report.

7 Q Okay. And let's just -- I think we touched on most of your  
8 findings, but I just want to make sure we confirm them for the record.  
9 The findings you have listed on this operative report, those were post-  
10 surgical findings, correct?

11 A Yes.

12 Q So is it fair to say those are your opinions after opening up  
13 Kim, doing all the cleaning you were talking about, and doing the bowel  
14 repair? Correct?

15 A Resection and repair, yes.

16 Q Resection and repair. Thank you. Sorry. If I misstate  
17 anything, please just step in and correct.

18 Okay. So what were your -- there's five findings listed here. Can  
19 you go through each one of them? Can you start with the first one for  
20 me?

21 A Yes. "Perforated small bowel over the length of about three  
22 centimeters in this area for perforation is about one foot proximal to the  
23 terminal ileum. Fibrinous exudate and succus in the abdomen and  
24 pelvis. No clear injury noted to the colon. Normal appearing appendix.  
25 Perforation noted anterior to the" -- "anteriorly on the uterus with the

1 perforation being of about a centimeter in size with associated clot."

2 Q Okay. I think we understand number one, the perforated  
3 small bowel over a length of about three centimeters. We talked about  
4 that at length a few minutes ago, that there was a three centimeter  
5 perforation in Kim's bowel that was caused by the hysteroscopy.

6 Let's go over number 2 though.

7 MR. MCBRIDE: Objection. Your Honor, can we have less  
8 commentary and testifying by counsel and just ask questions of the  
9 witness, please?

10 THE COURT: Sustained. Ask the question.

11 MS. ALBERTSON: Okay.

12 BY MS. ALBERTSON:

13 Q Let's go over number 2. The fibrinous exudate. Can you  
14 read number 2 for me again and define what that means?

15 A They're going to succuate [phonetic] and succus in the  
16 abdomen and pelvis. The succus being the green contents of the bowel  
17 that we see in the -- in the abdominal cavity when bowel is perforated.  
18 And the fibrinous exudate is the yellowish-tan, stringy like thick material  
19 that's on there when the -- when the succus or the intestinal contents has  
20 been sitting there for a little -- for a little while.

21 Q Is this the contamination you were talking about?

22 A The succus and the -- the succus is the contamination -- all of  
23 it's the contamination because none of it's usually there.

24 Q Okay. So none of this should have been --

25 A I mean the pictures that I see in this record that -- I took

1 pictures.

2 Q And the pictures, I believe you have those as well.  
3 Unfortunately, it's going to be really hard to show the jury the pictures  
4 while you describe them. But I would like you to confirm the page that  
5 they're on. If you could skip ahead a couple of pages, I believe the  
6 pictures that you're talking about are on page 1119 and page 1120. You  
7 should have those pages, but they're probably pretty far ahead of where  
8 we are currently.

9 A Whoops. I just saw one.

10 Q Do you have them?

11 A Okay. I see them.

12 Q Okay. Are those the pictures you're referring to?

13 A Yes.

14 Q Okay. Which one of those pictures show the succus?

15 A I'm on SRDH-001119, and I -- there's four pictures on this  
16 chart. And I would say the two to the left. The one on the top left and  
17 bottom left.

18 Q And I know the juries can't -- the jurors can't see the pictures,  
19 but we'll probably be showing them later on. Where on these pictures is  
20 the succus? Which color basically is what I'm asking you.

21 A It's the fluid. The greenish-yellowish-tannish fluid. It's kind  
22 of hard to describe it, but --

23 Q So none of that is supposed to be there?

24 A Correct. And then the tannish, stringy, it looks like cobwebs,  
25 that's the exudate, the fibrinous exudate. That's easier to describe.



1 Q Okay. And that --

2 A The fluid that's in -- go ahead.

3 Q The cobweblike substance, that's visible on the other  
4 photographs as well; is that --

5 A Yes.

6 Q Those other photographs, do they also demonstrate the  
7 exudate?

8 A Yes.

9 Q Okay. So that was all you had to clean out? None of that  
10 should be there?

11 A None of that stuff in the -- none of that is usually there in a  
12 normal abdomen, no.

13 Q Okay.

14 A Especially the stuff down in the pelvis.

15 Q Okay. The next finding you had was, "No clear injury noted  
16 to the colon." Why would you note that?

17 A The significance is that when you've had pelvic surgery,  
18 gynecologic surgery, urologic surgery down there deep in the pelvis, one  
19 of the key structures that can get hurt is the rectum, the colon or the  
20 rectum. And so you just make special -- you know, do as good a job as  
21 you can to make sure that you don't see an injury there.

22 Q Okay. And then the --

23 A Because it's in close -- it's in close proximity to the female  
24 organs, to the gynecologic organs.

25 Q Okay. And then the next finding, "Normal appearing

1 appendix." Would that be something similar because it's in close  
2 proximity of those organs?

3 A That's because I just was -- it can be in some people, but  
4 that's basically where the -- I started examining the abdomen.

5 Q At the appendix?

6 A And so --

7 Yeah. Like at the cecum and appendix. So I saw it, so I said  
8 that's -- it looked okay.

9 Q And then finally, number 5, "Perforation noted anteriorly on  
10 the uterus with the perforation being approximately one centimeter in  
11 size with associated clot." That's the uterus perforation that you talked  
12 about?

13 A Yeah. That's the basically presumed perforation that they  
14 had, I guess, self-reported that they knew had happened during the  
15 procedure.

16 Q And you say self-reported. Did you see documentation that  
17 that uterus perforation was previously reported?

18 A Yes. I -- actually the patient told me that -- that there had  
19 been a uterine perforation, and I felt like I'd seen some documentation or  
20 had a discussion with the -- with the person who was consulting me,  
21 because in my preoperative diagnosis it said uterine perforation.

22 Q Okay. Did you ever talk to Dr. Brill before you performed the  
23 surgery on Kim?

24 A No. I don't know if I've ever talked to Dr. Brill.

25 Q Okay. And that was going to be my -- did he ever contact

1 you or make any attempt to contact you before the surgery?

2 A Not that I can remember.

3 Q What about after the surgery?

4 A I -- it's been years, and I -- and I really don't remember. I saw  
5 documentation in the chart that he had spoken to his partners, so.

6 Q And do you know which partners?

7 A Schoenhaus, and I think -- I don't -- and Dr. Ivie.

8 Q Do you know when those conversations occurred?

9 A No. I just saw it in the medical record.

10 Q Okay. Okay. We also expect from this matter that we're  
11 going to hear some testimony about Dr. Brill changing Kim's diet after  
12 the surgery to a -- to one that allowed some solid foods.

13 MR. MCBRIDE: Your Honor, again, can we just have the  
14 question, please?

15 MS. ALBERTSON: I'm getting to the question.

16 THE COURT: Go ahead.

17 BY MS. ALBERTSON:

18 Q Did you put Kim on a liquid diet?

19 A Usually after this, and I didn't see my postoperative orders --  
20 there are 1,200 pages of records, and I couldn't find like what specific  
21 postoperative order I wrote since they're electronic. But usually in this  
22 situation I would not give them a -- like a diet just to start with, because I  
23 would anticipate that the bowels would be slow to wake up.

24 Q So you would not give them solid food is what you're  
25 saying?

1           A     Solid food immediately after the hospital, I usually do not.

2           Q     Okay. How long are they on a liquid diet after this kind of  
3 operation?

4           A     It is completely based on how the patient does clinically.  
5 There are multiple ways to proceed with advancing people's diet, and it  
6 also depends on like whether this is an emergent situation and what you  
7 found on the operation, or it's something elective and there's not  
8 contamination. But in this situation, my standard is usually to try to limit  
9 what they're eating until I know some bowel function has returned  
10 because I think it's really uncomfortable, you know, to vomit and things  
11 like that.

12          Q     Okay. In this situation, according to your notes -- and feel  
13 free to take your time -- I want to ask you if you put Kim on a liquid diet  
14 and how long you had her on the liquid diet?

15          A     I don't know if I'll ever be able to find that because I couldn't  
16 really specifically see that in the 1,200 pages of electronic records.

17          Q     Okay.

18          A     I didn't know where to find my postoperative orders, and I'm  
19 not sure if I wrote it or Dr. Ivie wrote the initial postoperative orders,  
20 because I think the patient remained on the gynecologic service.

21          Q     What does that mean?

22          A     There's -- I think the patient was admitted to the gynecology  
23 service, which means that send -- set of specialists or the patient's  
24 primary doctors. And then we were called in as consultants. There were  
25 many others consultants. I saw an infectious disease doctor, a

1 pulmonologist, et cetera. But I think that the patient remained on that --  
2 with the gynecologists as her primary team. And so, again, I don't know  
3 if I wrote the postoperative orders or not, but often the primary team is  
4 the one that writes them.

5 Q Okay. So you're not even sure that you would have been the  
6 one who established her diet after the operation?

7 A We -- they usually defer to us on when to advance the diet.  
8 And usually we will have a conversation on a daily basis or write it in our  
9 note and say, okay, we think this would be appropriate. But, again, I  
10 can't see who wrote the postoperative orders. I can't -- I don't know  
11 where that is in this big thing.

12 Q Okay. Why do they defer to you on when to advance the  
13 diet?

14 A Because we're the most experienced with intestinal  
15 resections, and like knowing the course that people usually take after a  
16 situation like this.

17 Q Okay. So you would have been the best person to decide  
18 when to transfer from liquids onto solids in this situation?

19 A I would say that I would have been -- me or my partners  
20 would have been the most -- certainly probably the most experienced. I  
21 don't know the best, but most experienced.

22 Q Your partners are all general surgeons as well?

23 A Yes.

24 Q Okay. Because you said, I think, if I heard you correctly, that  
25 transferring on the solid diet too soon could cause what kind of

1 symptoms?

2 A Oh, sometimes their bowels just are very, very slow to wake  
3 up in these situations, and then eating could potentially make them  
4 nauseated and want to vomit.

5 Q Okay. That gets me to --

6 A Even more than they already want to.

7 Q Thanks. That gets me to my --

8 A Sorry.

9 Q -- next line of questioning, which is, to your knowledge,  
10 what's the recovery from a surgery like the one that Kim had? And let's  
11 talk like immediately. Like when she wakes up, what's her condition like?

12 A In my experience, people wake up and sometimes they feel a  
13 bit better because the contamination has been washed out, and I think  
14 psychologically they feel relieved that they know what's going on finally  
15 and that something's been done, that they're usually just -- shortly after  
16 that they become -- they have a significant amount of discomfort,  
17 depending on, you know, how successful the pain control is.

18 But the main thing that you know -- that I usually experience is that  
19 when there's been contamination, their bowels are very slow to recover,  
20 and that they have, and we call a prolonged ileus where their tummy is  
21 big and bloated and they feel nauseated, and they're not passing gas and  
22 they're not pooping, and it makes them very uncomfortable. And that is  
23 usually what slows the progression down the most in these situations.  
24 They also have wound complications -- they often have wound  
25 complications as well because of the contamination. But it's the ileus

1 usually that really slows the recovery.

2 Q The ileus that you're talking about, this bloating you just  
3 detailed -- excuse me --

4 A Uh-huh.

5 Q -- how long can that -- I'm going to use the word bloating  
6 instead of ileus just because it's an easier word for me because I can  
7 relate to that -- how long does that bloating and discomfort usually last?

8 MR. MCBRIDE: Objection.

9 BY MS. ALBERTSON:

10 Q How long does that bloating and discomfort usually last?

11 MR. MCBRIDE: Objection, Your Honor.

12 THE WITNESS: It can -- it can last --

13 MR. MCBRIDE: Wait. Specific to this patient as opposed to  
14 in general. We're talking about Ms. Taylor. So it's vague and  
15 ambiguous, overbroad.

16 THE COURT: I'm going to allow it. Go ahead. Overruled.

17 THE WITNESS: In this situation it is not unusual for them to  
18 have those ileus symptoms for a good week to two weeks.

19 MS. ALBERTSON: Okay.

20 THE WITNESS: Which I really hope --

21 BY MS. ALBERTSON:

22 Q And I think Kim was in the hospital for eight or nine days, so  
23 would it be fair to state she could have had those symptoms the whole  
24 time?

25 A Yes.

1           Q     Okay. And I know that your records are hard to look through,  
2 but did you see anything in the records that reflected that she didn't have  
3 those symptoms for the full time she was in the hospital?

4           A     It sounded like at least for the first week, perhaps at least  
5 until a couple of days before discharge, and potentially, even though a  
6 little bit at discharge, I couldn't tell there, but that she had symptoms of,  
7 you know, slow return of her bowel function.

8           Q     Okay. Now in addition to that ileus, the, like bloating and  
9 discomfort, you said there was also, is it -- did you say wound pain? Did  
10 I hear that correctly?

11          A     Incisional pain or pain in the wound, yeah, from being cut  
12 open. Uh-huh.

13          Q     Okay. And what -- is that just -- is that pain while the body  
14 tries to repair that wound?

15          A     Yes.

16          Q     What does that involve? Is there itchiness, swelling?

17          A     I think there's swelling, and there's just pain from the tissues  
18 having been cut open, and then the tissues are sewn back together, sewn  
19 and stapled back together, and I think they come together pretty quickly,  
20 but still, there's trauma to the -- to the skin and to the fat and to the layer  
21 between the muscles that's cut open to enter the bowel, and those all  
22 have to heal back, and those nerve endings have to heal.

23          Q     How long does that wound pain usually last?

24          A     I think it can last -- again, I think it's different on many  
25 people, and I think it's worse if you have infection than if it's kind of a



1 clean procedure to begin with, but it's not unusual for it to last weeks.

2 Q Okay. And that's even without infection, that lasting weeks?

3 A It can. I think everybody's a little different on their -- how  
4 they perceive that pain.

5 Q Okay. Why was Kim in the hospital for the eight or nine days  
6 following this procedure?

7 A I think it was a combination of reasons. Number one, she  
8 had to have -- she had the surgery, and she had to -- after the surgery  
9 she had to have pain control. She had to have resumed her bowel  
10 function so that she could sustain herself on taking in fluids and food,  
11 and she had to come -- get antibiotics, and, you know, be able to, like,  
12 come to meet the criteria so that it was thought she'd be safe to be  
13 outside the hospital setting.

14 Q Would you agree that that hospital stay was medically  
15 warranted and necessary?

16 A Definitely, in the -- in my opinion, I don't see --

17 Q And would you agree that it was --

18 A -- any other possibility.

19 Q Would you agree that it was reasonable?

20 A Yes.

21 Q Would you agree that it was all related to the surgery you  
22 performed?

23 A I know about the surgery I performed, but her pathology, her  
24 presenting bowel perforation.

25 Q So it was all related to the bowel perforation?

1           A     Yes.

2           Q     And was your understanding the bowel perforation related to  
3 the hysteroscopy, correct?

4           A     That's my understanding, yes.

5           Q     After Kim got out of the hospital, after those eight or nine  
6 days, what kind of recovery was she looking at thereafter? She get back  
7 to her daily activities with no problem or is there some downtime?

8           A     I think many people are different, but usually people are  
9 somewhat weak and debilitated. Usually, they're encouraged to walk  
10 around and be active, but usually, in my opinion, it's weeks and it's not  
11 months until they regain their approximating the normal life, a normal  
12 level of activity, I should say.

13          Q     So weeks if not months to get back to normal activity?

14          A     In my experience in these situations, yes.

15          Q     Okay. And did you have any reason to think that it wasn't  
16 going to take Kim weeks or months to get back to normal activity?

17          A     No.

18          Q     Okay. If Kim likes pretty intense workouts, some of these,  
19 like, Orange Theory and Boot Camps, would those workouts take a long  
20 time to get back to after a surgery like this?

21          A     Very much so.

22          Q     And would it be fair to say also that if you wanted to go back  
23 to working out, those kind of workouts, you'd have to go quite slowly?

24          A     Yes.

25          Q     Okay. Because you -- I think you said to --

1           A     Well, you just have to listen to your body and go back when  
2 your body, you know, tells you it's ready.

3           Q     Okay. And that could take up to months, you said, right?

4           A     Absolutely.

5           Q     Okay. What was Kim's alternative to the surgery you  
6 performed, and by that mean -- by that I mean your corrective surgery?  
7 Had you not performed it, what would have been the alternative?

8           A     I think the alternative would have been observation with  
9 antibiotics alone or, I guess, observation without any antibiotics. I don't  
10 feel -- I feel either of them could have been life-threatening, however,  
11 and that would -- could lead to, you know, sepsis and death with an  
12 uncontrolled bowel perforation.

13          Q     And fair to say that's your medical opinion?

14          A     That's my medical opinion, yes, based on experience.

15          Q     So I think you said a few times here, but I just want to  
16 confirm, you did believe the surgery to be urgent and medically  
17 necessary, and possibly even a life or death situation?

18               MR. MCBRIDE: It's been asked and answered, Your Honor.

19               THE COURT: Sustained.

20 BY MS. ALBERTSON:

21          Q     Do your records note the level of pain Kim was in  
22 immediately before you performed your surgery?

23          A     I thought my note somewhere said that she was in very, very  
24 significant pain.

25          Q     Okay.

1           A     Actually, somewhere in my note I had said where I talked to  
2 Kim and her parents before the operation and that she was in very  
3 significant discomfort.

4           Q     Okay. And did you ever get the impression Kim was aware  
5 of the bowel perforation prior to your surgery?

6           A     I thought so.

7           Q     What did you say?

8           A     I thought so, yes.

9           Q     You thought she was aware that her bowel had been  
10 perforated?

11          A     Oh, excuse me. I thought you said urine.

12          Q     No, no.

13          A     No. Bowel perforation? No. Uterine, yes.

14          Q     Okay. Would you agree that but for the hysteroscopy, Kim  
15 would not have had a small bowel perforation?

16          A     Do I believe if not for that she wouldn't?

17          Q     Yes.

18          A     Yes, I believe that.

19          Q     And would you agree that but for the hysteroscopy, Kim  
20 would not have needed a small bowel resection and repair?

21          A     I believe that if she hadn't had that procedure the day before,  
22 whatever that specific procedure entailed in detail, I don't think she  
23 would have had that bowel perforation.

24                MS. ALBERTSON: Okay. Thank you very much.

25                THE COURT: Cross-examination?

1 MR. MCBRIDE: Thank you, Your Honor.

2 CROSS-EXAMINATION

3 BY MR. MCBRIDE:

4 Q Dr. Hamilton?

5 A Yeah.

6 Q Can you hear me okay?

7 A Yeah.

8 Q I'm at the podium now. Robert McBride, and I represent Dr.  
9 Brill in this matter. Now, Dr. Hamilton, you and I have never spoken  
10 before, have we?

11 A No, right.

12 Q And in fact, this, the records that you were provided, were  
13 those provided to you by Plaintiff's counsel?

14 A Yes.

15 Q All right. The -- were you provided with the entirety of the  
16 records from St. Rose?

17 A I don't know, but about 1,200 pages.

18 Q Okay. And were you provided with any records from your  
19 office visits with Ms. Taylor?

20 A I didn't see any -- in the surgery's notes.

21 Q Okay. Now we'll talk about that in a second, and I'll see if I  
22 could refresh your recollection, but outside the medical records, do you  
23 have an independent recollection of Ms. Taylor?

24 A Not really.

25 Q Okay. The --

1           A     I remember the story. I remember the story, but not Ms.  
2 Taylor specifically.

3           Q     Okay. The records that you were provided, were those the  
4 things that you were looking at on your phone just a minute ago?

5           A     Yes.

6           Q     All right. So you operated on her on the -- as you  
7 understood it, the day after the hysteroscopy that Dr. Brill had  
8 performed, correct?

9           A     Yes, sir.

10          Q     So roughly, within 24 hours of that surgery, correct?

11          A     Roughly.

12          Q     All right. The -- were you aware that Ms. Taylor had been  
13 seen in the emergency room at St. Rose Sienna before she was admitted  
14 to your service?

15          A     Yeah, I'm not sure she was admitted to my service, but yes,  
16 from review of these notes it looked like she had come back either to St.  
17 Rose or a different hospital complaining of this pain and before this  
18 admission, before she was admitted.

19          Q     And I'll represent to you that admission occurred at  
20 approximately 12:00 in the morning on the 26th which was the day of the  
21 surgery or into the 27th, and she was seen by Dr. Christensen in the ER;  
22 do you recall seeing those records?

23          A     I don't think so.

24          Q     All right. Do you know, from your understanding of her  
25 being seen by another physician, was she sent home?

1           A     Yes. Somewhere in my note it said -- well, I was reading my  
2 note, and it looks like it's on page 000219.

3           Q     Correct. Can we have that in front of you?

4           A     Where I said -- I do, and it says, called by GYN, and call Dr.  
5 Ivie for assistance in a case. She's 45 year-old female whose had a  
6 hysteroscopy at Henderson Hospital yesterday morning. She was  
7 supposed to have removal of a fibroid and ablation for menorrhagia. It  
8 wasn't done, and there was concern for uterine perforation. She was  
9 sent out of the hospital last night about five --

10          Q     Can you wait? Can you --

11          A     -- after having severe --

12          Q     Can you slow down just a little bit just for the court recorder?

13          A     Sure. She said she was sent out of the hospital last night  
14 about 5 p.m. after having severe pain all day, but felt -- but she felt a little  
15 -- felt a bit better from 5 p.m. to 11 p.m. At 11 p.m. the pain returned,  
16 and it's been ten out of ten since. I mean, that's kind of what I had  
17 written there.

18          Q     Okay. And then basically, do you -- are you aware a CT scan  
19 had been performed in the emergency room that evening?

20          A     Yes, and I don't know if there were more than one, but I am  
21 aware of the one that said -- I had said she had associated nausea and  
22 vomiting and tenesmus, and she had sweating without fever, and she  
23 reported, like, her belly being hard and pressure, and that she came to  
24 the emergency for evaluation, and it says that CT showed free air and  
25 free fluid.

1 Q Okay. And then she was sent home and then returned to the  
2 ER later that day when you saw her, correct?

3 A I -- again, I don't know the sequence of events and when she  
4 was sent out because it's kind of a 24-hour period and there was a lot,  
5 you know, seems like there was a lot of coming and going, so I don't  
6 know the sequence of when she was sent out, if it was after this CT scan  
7 or not. I believe I -- I think I operated late in the evening, maybe at nine  
8 or ten at night, perhaps.

9 Q That's approximately --

10 A And I was trying to see the --

11 Q -- correct. I believe your note indicates your procedure was  
12 at 21:57 on the -- April 27.

13 A And I'm trying to see what time that CT scan was done, and I  
14 don't know, I can't say for sure, like, when -- okay. The CT scan looks like  
15 it was done at -- oh, my goodness. I can't tell from this CT, when it was  
16 done, the time, the timestamp on the CT scan.

17 Q I'll represent to you the records have been admitted into  
18 evidence, and it was done in the early morning hours of the 27th before  
19 she was ultimate --

20 A So the morning before I operated.

21 Q Correct.

22 A Of the same day. Okay.

23 Q Okay. And then she was discharged by Dr. Christensen, the  
24 emergency room physician.

25 A Okay. Okay. And then presented.



1 Q Correct. Does that refresh your recollection?

2 A It's consistent with the story that I knew. I just don't know  
3 the details of when she came and when she -- when she got sent out.

4 Q Okay. Now, Dr. Hamilton, have you as a general surgeon,  
5 have you had to repair traumatic bowel injuries before?

6 A Yes.

7 Q Have you had to repair traumatic bowel injuries that have  
8 occurred due to a specific operation or operative procedure?

9 A Yes. When you say repair, I would say it's often removal, so  
10 if you're using those synonymously, then yes, but yes, I have treated  
11 person and resected the bowel, the traumatic injury to the bowel  
12 multiple times.

13 Q Yeah. And again, that's a great point. In terms of your repair  
14 or the resection involves a resection of the damaged area of the bowel,  
15 and that's sent to pathology, correct?

16 A Yes, sir.

17 Q Okay. Now, you have your operative report in front of you?

18 A I did.

19 Q Okay. And you had previously testified that approximately  
20 five to six centimeters of the bowel was removed, correct?

21 A Yes.

22 Q And then the reanastomosis was of those two clean portions  
23 of the bowel that you reconnected, correct?

24 A Yes.

25 Q Okay. The -- when you talked about the -- well, let me ask

1 you this question. If the area inside her belly, abdomen, had been  
2 grossly contaminated, would you have been able to successfully perform  
3 a reanastomosis?

4 A If it hadn't been contaminated?

5 Q If it -- if it had been grossly contaminated?

6 A If it had been contaminated would I still have been able to  
7 put her back together?

8 Q Correct.

9 A Yes.

10 Q Okay. The --

11 A Well, because I did, I did do that.

12 Q Right. And that's what I was getting at. In terms of the area  
13 that you removed, and you identified, I think in your operative note,  
14 about one foot proximal to the terminal ileum, there was a clear  
15 enterotomy; what is an enterotomy? Can you explain?

16 A An opening, an opening in the small intestine.

17 Q Okay. It was identified it was about three centimeters in size  
18 with evidence of succus spill. Now when you're looking at that, that's  
19 from your operative note, correct?

20 A Yes.

21 Q All right. When you are looking at that three centimeter,  
22 which you estimate, are you just eyeballing that? You're not measuring  
23 it?

24 A Right.

25 Q Okay. Have you seen the pathology report that came from --

1 A Yes.

2 Q -- this surgery?

3 A Yes.

4 Q Okay. At the time you dictated your operative note, it's  
5 correct, you did not have the pathology findings, true?

6 A Right.

7 Q Okay. And, in fact, those pathology findings, have you seen  
8 the -- those findings before today?

9 A Yes.

10 Q And --

11 A Yes.

12 Q -- in fact, it says the center of the specimen displays a 1.6 by  
13 1.2 centimeter transmural defect inked black. Is that the --

14 A Uh-huh.

15 Q -- the area of the perforation?

16 A Yes, there are multiple layers of the bowel, but it looks like  
17 there's a 1.6 centimeter full thickness defect.

18 Q Right. And so it's the 1.6 times the 1.2 centimeter transmural  
19 defect, right?

20 A Right.

21 Q Okay. And it's inked black, is that something that's done  
22 during your surgery where you note the area?

23 A I didn't do it no, that -- sometimes you do in some surgery,  
24 but I didn't do that.

25 Q Okay. And you would agree with me that there's no

1 evidence of any thermal injury or burn to the area of the bowel that was  
2 sent to pathology, correct?

3 A I didn't -- I didn't say that.

4 Q No, I mean you would --

5 A Sometimes you can --

6 Q You would agree that it's not referenced, there's no thermal  
7 injury to the bowel located in --

8 MS. ALBERTSON: Objection. Asked and answered.

9 MR. MCBRIDE: Can I -- what's the objection?

10 THE WITNESS: I think that's hard. I think that would --  
11 sometimes --

12 THE COURT: Hold on.

13 THE WITNESS: -- you can tell grossly, and sometimes that  
14 would be something maybe you'd be able to see pathologically, but I just  
15 saw the hole in the bowel.

16 BY MR. MCBRIDE:

17 Q Okay. So am I correct, that you did not observe any thermal  
18 injury to the bowel, the --

19 A I didn't. I don't think I read -- wrote that in my operative  
20 report. Huh-uh.

21 Q And that's what I'm just trying to point out. It's not  
22 referenced in the pathology either, correct?

23 MS. ALBERTSON: Objection. This is the third time he's  
24 asked the same question.

25 THE COURT: It's because she's not understanding.

1 Overruled.

2 MR. MCBRIDE: Thank you, Your Honor.

3 THE WITNESS: I don't see that it's there.

4 MR. MCBRIDE: Okay.

5 THE WITNESS: That it says cautery injury or anything.

6 MR. MCBRIDE: Right.

7 THE WITNESS: Thermal injury.

8 BY MR. MCBRIDE:

9 Q Right. And that would be something that you would expect  
10 to see if there was a cautery injury, correct? A ref --

11 A You know, I -- of all the perforations that we remove, I don't  
12 know if they comment on that usually.

13 Q Okay.

14 A They just say that there's a hole.

15 Q Okay.

16 A And they -- you know, whether it's cancer, inflammation, but  
17 I don't know. They don't usually comment on the mechanism from what  
18 I can remember from pathology reports.

19 Q And you don't have any idea how exactly that perforation  
20 may have occurred, correct? Whether it was from --

21 A Just --

22 Q -- a blunt-force injury, you can't tell?

23 A Or cutting or cautery? No, I don't, I don't know, because I  
24 don't know, I don't know how they do the -- I don't know the details of  
25 the -- of the procedure he did, or they do --

1 Q Right, of the hysteroscopy?

2 A Yes.

3 Q Correct?

4 A Of that procedure that the person had, I don't know the  
5 details of what happened.

6 Q Okay. And, in fact, you don't do hysteroscopies as part of  
7 your practice, correct?

8 A That's correct.

9 Q And so in terms of the questions that were asked about but  
10 for this hysteroscopy, would this injury have occurred, you are not  
11 commenting on whether or not that surgery, the hysteroscopy was  
12 indicated in the first place?

13 A I am not commenting on the indications for the -- for the  
14 gynecologic procedure, just my own.

15 Q All right. Now, Doctor, the bowel, can -- is it true that the  
16 bowel moves around in the abdomen, it doesn't stay put in one spot,  
17 true?

18 A If the patient has adhesions, it stays in one spot more. But  
19 usually on those who have not had prior infections, bleeding, major  
20 operations, usually it's sliding around pretty freely.

21 Q All right. And I think you already testified, you saw succus in  
22 the area of the perforation, but you did not see any observable feces,  
23 correct?

24 A I saw succus around the majority -- or a lot. It's in all four  
25 quadrants of the peritoneal cavity. And with -- I saw liquid

1 contamination. I didn't see stool balls.

2 Q All right. Now --

3 A The small intestine is going to put out liquid and a colon is  
4 usually -- is going to put out liquid or stool.

5 Q Right. And do you have any understanding if there was any  
6 wound complications that Ms. Taylor suffered following your surgery?

7 A I think I closed her mainly, but left a couple of areas open of  
8 packing, which I think had to be -- she needed some continued care for  
9 that. But no, I don't know long term.

10 Q You're not aware of her developing any postoperative wound  
11 complications or infection, correct?

12 A No.

13 Q And in fact, on your operative note, it's on page 42 of that --  
14 that exhibit that you have in front of you. You actually anticipated that  
15 she was going to have an ileus because of the nature of the surgery, you  
16 had prepared, correct?

17 A That's correct.

18 Q All right. Now do you recall seeing Ms. Taylor in follow-up in  
19 the hospital at least once?

20 A Again, I remember the story, but I don't remember seeing the  
21 specific patient.

22 Q I'll represent to you that I was able to locate one progress  
23 note from you on May 1, 2017, and it's at page 149 through 151 of those  
24 records.

25 A Okay.

1 Q Do you have that in front of you?

2 A Working on it. 149?

3 Q Through 151.

4 A I'm on -- wait, exhibit number or --

5 Q No, no, it's the St. Rose records, pages 149 --

6 A Okay, I'm on -- okay, I'm on 149 of 1119. I'm on that. I don't  
7 see my name there yet.

8 Q Oh, I think you're looking at page -- you're looking at -- you're  
9 not looking at the Bates stamp, but just below. Should be SRDH000149.

10 A Oh, that's what -- okay, I see my name on that one, yes.

11 Q Okay. And is this your note that you would have done, a  
12 progress note upon seeing Ms. Taylor in the hospital?

13 A Yes.

14 Q And under the subjective form section, you say, "Patient  
15 Complaint - patient feeling okay, passed a little gas yesterday. Ate a little  
16 clears and felt crampy." Clears meaning a clear liquid diet, correct?

17 A Yes.

18 Q Patient states it's getting better. And is that information that  
19 the patient is giving you? Is that what you write under the subjective  
20 portion?

21 A Yes.

22 Q Okay. And it -- under gastrointestinal, it says, "Soft  
23 abdomen, mildly distended? Hard to tell. Occasional bowel sounds."  
24 That would indicate to you that the bowels are starting to wake up, right?

25 A Usually.



1 Q Okay.

2 A Uh-huh.

3 Q "Wound is clean and the packing was replaced."

4 A Right.

5 Q Okay. Now I wanted to -- and then to the next page, which is  
6 page 150, under diagnosis, you say, "Patient doing well." It's at the  
7 bottom of the page. "After exploratory" or "ex-lap washout of abdomen  
8 and small bowel resection." "Afebrile" meaning no fever. Vital -- vss.  
9 What does that stand for?

10 A Stable -- vital signs stable.

11 Q Okay. "Looks well. Will just do sips of liquids until bowel  
12 functioning more significant." Did I read that correctly?

13 A Yep.

14 Q All right.

15 A Yes.

16 Q And then you said that you don't recall any specific  
17 conversations with Dr. Brill at any point in time regarding Ms. Taylor; is  
18 that correct?

19 A I don't remember.

20 Q But do you -- and you do recall Dr. Ivie, one of Dr. Brill's  
21 partners being in the surgery with you?

22 A I can't actually even say I remember Dr. Ivie being there. But  
23 my notes says she was.

24 Q All right. If she wasn't there, you wouldn't have put her in  
25 your operative note, right?

1 A That's correct.

2 Q All right.

3 A That's correct.

4 Q It's just that you don't have a recollection. This was four  
5 years ago. I understand. The -- with regard to your follow-up, did you  
6 see any other records of you seeing Ms. Taylor at St. Rose other than  
7 that May 1st note?

8 A No, but my partner saw her. I saw a note from Dr. Knoblock  
9 [phonetic]. I saw a note from Dr. Walton. And so my surgical partners  
10 would have rounded on her every day.

11 Q Right. So that happens on occasion where if you're involved  
12 in another surgery somewhere else, or off on a day, you'll have partners  
13 round on your patients in this hospital, correct?

14 A That's right.

15 Q All right. And then --

16 A Depending on the structure of your practice.

17 Q Right. And --

18 A Or spread out between different hospital.

19 Q Right. And so do you have recollection of seeing Ms. Taylor  
20 back in the office at a postoperative visit on May 26?

21 A I don't. Because I didn't get Southern Nevada Surgery  
22 records.

23 Q Okay. I'll represent that's been admitted into evidence as  
24 Exhibit 13. I know you don't have it in front of you, but your -- just for  
25 sake of reference, but that's Nevada Surgery Specialists; is that correct?

1           A     Southern Nevada Surgery Specialists, yes.

2           Q     Okay. And it's Exhibit 13. It's pages 10 through 13. Again I  
3 understand you don't have it in front of you, but I just wanted to, for the  
4 jury's reference. Do you recall anything about this visit, without seeing  
5 the record?

6           A     No.

7           Q     Do you recall if you ever saw her again for any reason related  
8 to this surgery you performed on her?

9           A     I don't -- I don't remember.

10          Q     And so therefore as you sit here, you're not aware of having  
11 to follow her for any complications or other issues for weeks or months  
12 after your surgery, correct?

13          A     As I sit here, no. But if my Southern -- but if I have clinic  
14 notes from Southern Nevada Surgery that says I did, then me or one of  
15 my partners did. But do I remember that I don't.

16          Q     Right. And I'll represent to you that's the only note of the  
17 visit -- of a visit that we have with Ms. Taylor at your surgical office. So if  
18 there's no other notes --

19          A     What does it say?

20          Q     I'm sorry, Doctor, if you could hold on one second. If there's  
21 no other notes by you or your other partners, is it fair to say that she did  
22 not return to your office for further care and treatment?

23          A     Yes.

24                 MR. MCBRIDE: And Doctor, that's all the questions I have for  
25 you. Thank you, very much.

1 THE COURT: Redirect?

2 REDIRECT EXAMINATION

3 BY MS. ALBERTSON:

4 Q I just have some -- a couple really quick ones. I want to just  
5 confirm, just to make sure it's clear. We didn't stop you from talking to  
6 Mr. McBride or his partner, Ms. Hall, correct?

7 MR. MCBRIDE: Objection, Your Honor. This is -- this is an  
8 improper question. It's irrelevant.

9 MS. ALBERTSON: He made it relevant --

10 MR. BREEDEN: Can we approach?

11 MS. ALBERTSON: -- when he asked

12 MR. MCBRIDE: Can we approach?

13 [Sidebar at 12:08 p.m., ending at 12:09 p.m., not transcribed]

14 BY MS. ALBERTSON:

15 Q Mr. McBride and -- nor Mr. McBride or Ms. Hall, counsel for  
16 Dr. Brill set your deposition in this matter, correct?

17 A Say that again, I couldn't hear you. I mean I couldn't  
18 understand what you said.

19 Q Sure. I wanted to confirm that Defense counsel didn't  
20 attempt to set your deposition in this matter to talk to you, correct?

21 A That's right.

22 Q Okay. And then I just want to talk about the pathology report  
23 that Mr. McBride just asked you some questions about. And I want to  
24 confirm, do the pathology reports usually comment on the mechanism  
25 of injury?

1           A     I -- when I think about it, I don't think they usually say the  
2 mechanism of injury. They comment on the gross appearance of it,  
3 followed by the microscopic appearance of it. And I don't think that they  
4 usually talk about the mechanism of injury.

5           Q     So for the pathology report not to indicate that there was a  
6 thermal injury or any kind of burn mark, would not be indicative of there  
7 not being one, just isn't something that would be normally documented  
8 in the pathology report, correct?

9           A     I think you'd have to ask a pathologist exactly, but I don't  
10 think I usually read that. I don't usually read the mechanism. I think I  
11 just usually see the gross and the microscopic evaluation. Not really so  
12 much commenting on the mechanism.

13          Q     Okay. The pathology doesn't really say one way or the other.  
14 In this case --

15          A     No, it usually --

16          Q     Sorry, go ahead.

17          A     But I think -- I think it just -- I'm sorry to interrupt you. I'm  
18 sorry. I'm just thinking. The pathology report just say there's a hole in  
19 the bowel. Like this is about the measurements of it. Now they're  
20 looking at something that's been placed in formalin or just a bucket, and  
21 it's not in a patient anymore, and it's not connected to anything. And so  
22 they just look at it, ex vivo, where it's been sitting somewhere. And they  
23 comment on what they see grossly, first of all. Just by eyeball. And  
24 then they look at what's on their slides.

25          Q     And in this case, did the pathology report say that this wasn't

1 a thermal injury?

2 A I didn't see that it say that it wasn't, but I don't think that -- I  
3 don't think they usually say.

4 Q So it really -- didn't say one way or the other, correct?

5 A Not that I see.

6 MS. ALBERTSON: Okay. Thank you, very much. Thank you,  
7 Doctor.

8 THE COURT: Recross?

9 MR. MCBRIDE: Thank you.

10 RECROSS-EXAMINATION

11 BY MR. MCBRIDE:

12 Q Dr. Hamilton, I'm back again. With regard to the surgical  
13 pathology report, you're not offering testimony regarding what a  
14 surgical pathologist typically puts into every examination or pathology  
15 report, true?

16 A True.

17 Q You're talking about your personal experience, correct?

18 A My experience of reading the pathology reports of the  
19 patients I operate on.

20 Q Right. And you understand that other physicians may have  
21 different experiences than you, correct?

22 A Yeah, I'm only commenting on my own experience.

23 Q Right. And with regards to whether or not there was a  
24 thermal injury versus a blunt force injury, you're not offering an opinion  
25 one way or another yourself, correct?

1           A     I'm not offering an opinion. All I said was just that I found a  
2 hole in the bowel.

3           Q     Right. Correct. Over a period of time --

4           MR. MCBRIDE: Well, strike it. That's all the questions I have.  
5 Thank you, very much.

6           THE COURT: Do we have any questions from our jurors? I  
7 don't see any questions. All right. Thank you, Dr. Hamilton. You may  
8 be excused.

9           Counsel approach, please.

10          [Sidebar at 12:13 p.m., ending at 12:14 p.m., not transcribed]

11          THE COURT: All right. Ladies and gentlemen we're going to  
12 take a quick ten minute break and then come back. And we have another  
13 witness. And we should -- we're not going to be long before lunch, so.

14          Before -- during your break I'll instruct you not to talk to each  
15 other or anyone else about any subject or issue connected to this trial.  
16 You're not to read, watch or listen to any recorded commentary on this  
17 trial by any media of information, including without limitation  
18 newspapers, television, internet, or radio.

19          You're not to conduct any research on your own, such as  
20 consulting dictionaries, using internet or reference material, test any  
21 theory of the case, recreate any aspect of the case, or in any other way  
22 investigate or learn about the case on your own. You're not to talk with  
23 others, Google issues, or conduct any other type of book or computer  
24 research with regard to any issue, party, witness or attorney involved in  
25 this case.

1                   And finally, you're not to form or express any opinion on any  
2 subject related to this trial until it's finally submitted to you. I'll see you  
3 in ten minutes.

4                   THE MARSHAL: All rise for the jury.

5   [Jury out at 12:15 p.m.]

6                   THE MARSHAL: The jury is clear of the courtroom, Your  
7 Honor.

8   [Outside the presence of the jury]

9                   THE COURT: All right. Thank you. We're outside the  
10 presence of the jury and just to put on the record a couple of the  
11 objections that we talked about at the bench that weren't recorded.

12                   During Dr. Hamilton's direct testimony there was an  
13 indication by Plaintiff that basically made a commentary on whether or  
14 not -- I don't know, I guess it was a question of whether or not I was  
15 going to treat her as an expert. So there was an objection by the  
16 Defense. Go ahead and state your objection, Defense.

17                   MS. HALL: Our objection was just that the question called  
18 for the Court to qualify her as an expert. She's here as a non-retained  
19 treating physician not as an expert. She's never authored a written  
20 report in this case.

21                   THE COURT: And the response by Plaintiff.

22                   MR. BREEDEN: Yeah, Your Honor, we discussed this witness  
23 was just disclosed as a non-retained expert. So she is an expert, she's  
24 just a non-retained expert. I think you allowed her to testify within those  
25 parameters.



1 THE COURT: Yeah. She was limited to anything related to  
2 treatment, diagnosis, anything in her medical records. So it was  
3 sustained.

4 And secondly, there was an objection with regard to the  
5 known complication of hysteroscopies.

6 MR. MCBRIDE: Correct.

7 THE COURT: And do you want any more on that?

8 MR. MCBRIDE: Yeah, and again she's not a gynecologist.  
9 She's already testified. It lacks foundation. She -- and it was outside the  
10 scope of her expert testimony. And she acknowledged that.

11 THE COURT: Anything on behalf of Plaintiff?

12 MR. BREEDEN: Yes, she could certainly testify as to her own  
13 experience. Whether she's seen that complication or that's typical from  
14 the type of procedure that Ms. Taylor had. And we thought those  
15 questions were appropriate.

16 THE COURT: Okay. And I sustained the objection. And I  
17 believe she did say well, I'm not a gynecologist, or something to that  
18 effect.

19 MR. BREEDEN: Correct.

20 THE COURT: So she ended up answering it anyway. As to  
21 the final objection that we discussed up here. There was an objection  
22 with regard to Plaintiff was asking the question as to whether -- or began  
23 to ask the question as to whether or not they prevented the doctor from  
24 speaking with the Defense. You guys approached. And go ahead with  
25 your objection, please.

1 MS. HALL: The objection was based on *Leavitt v. Saenz*,  
2 which is a Nevada Supreme Court case. It specifically clarifies that  
3 Defense counsel is not permitted to talk to a treating physician for any  
4 purpose outside of a deposition. So that was the objection. Because the  
5 question asked was whether Plaintiff's counsel had ever precluded her  
6 from talking with Defense counsel. And I think based on the  
7 conversation at the bench, the question was rephrased to an appropriate  
8 question, which was they never took your deposition.

9 THE COURT: Anything else on behalf of Plaintiff?

10 MR. BREEDEN: Nothing further from Plaintiff.

11 THE COURT: All right. And I think that's it, right?

12 MR. BREEDEN: I think so.

13 MR. MCBRIDE: Correct.

14 THE COURT: All right. See you back in ten minutes.

15 [Recess taken from 12:18 p.m. to 12:30 p.m.]

16 [Outside the presence of the jury]

17 THE COURT: All right. We are back on the record in  
18 A-18-773472-C, Taylor v. Brill. Counsel for both sides are present. We're  
19 outside the presence of the jury. Any issues before we call the next  
20 witness?

21 MR. MCBRIDE: No. Plaintiff is ready to proceed, Your Honor.

22 THE COURT: Okay. Ray, go ahead and bring them in. Are  
23 they ready?

24 THE MARSHAL: Yes, ma'am. All rise for the jury.

25 [Jury in at 12:31 p.m.]

1 THE MARSHAL: The jury is all present, Your Honor.

2 THE COURT: Thank you. You may be seated. And Plaintiff,  
3 go ahead and call your next witness.

4 MR. BREEDEN: Okay. The Plaintiff calls their next witness,  
5 Elizabeth Laca.

6 THE MARSHAL: Please step up and watch your step. Please  
7 face the clerk to your left and please raise your right hand.

8 ELIZABETH LACA, PLAINTIFF'S WITNESS, SWORN

9 THE CLERK: Thank you. Please be seated.

10 THE COURT: Please state and spell your name for the  
11 record.

12 THE WITNESS: Elizabeth Laca, E-L-I-Z-A-B-E-T-H, Laca,  
13 L-A-C-A.

14 DIRECT EXAMINATION

15 BY MR. BREEDEN:

16 Q Okay. And Ms. Laca, I'll just ask you to kind of keep your  
17 voice up because we're all testifying through masks and everything, and  
18 I want to make sure all the jurors can hear you.

19 A Okay.

20 Q Okay? So how do you know the Plaintiff in this case,  
21 Kimberly Taylor?

22 A A coworker.

23 Q How long have you worked with her?

24 A Probably since 2003, 2004.

25 Q Okay. And do you know her well? Is she somebody you

1 socialize with a lot outside of work or do you know her mostly as a work  
2 acquaintance?

3 A Mostly as work. I have socialized with her, but mostly work.

4 Q Okay. And what do you do for a living?

5 A I work at Firm Revenue Cycle Management and I'm a  
6 eligibility worker.

7 Q Okay. And so what's your position vis-à-vis Kim at that  
8 company?

9 A I'm her eligibility manager.

10 Q Okay. And how long have you lived in Las Vegas?

11 A 1995.

12 Q And how frequently at work -- and we'll go back to the  
13 timeframe of 2017, 2016. How frequently would you interact with Kim at  
14 work?

15 A Well, we'd have to interact on a daily basis.

16 Q Okay. So she's someone you saw frequently at that time?

17 A Yes.

18 Q Okay. I'd like to take you to the time of the procedure  
19 involved in this trial, which is April of 2017. Do you recall learning that  
20 Kim was going to have some sort of medical procedure before it was  
21 done?

22 A Yes.

23 Q And how did you learn that?

24 A It was supposed to be just a in and out procedure.

25 Q What was your understanding of what was supposed to be

1 done?

2 A That, I don't remember, but I know it was just in and out.

3 Q Okay.

4 A Something that -- female problems.

5 Q And so did there come a time after the procedure where Kim  
6 contacted you?

7 A Yes.

8 Q Okay. So explain to me when she contacted you and why.

9 A She contacted me around 3:00 in the morning. I think it was  
10 the day of her procedure. I answered the phone. She was at the  
11 emergency room and asked me to pick her up.

12 Q Okay. So why would Kim call you at 3:00 in the morning?

13 A I have no idea.

14 Q Have you ever offered before that if Kim needs something,  
15 that you'd help her?

16 A Which, yeah, she knows that. Yes.

17 Q Okay. Had you ever picked her up or dropped her off at a  
18 hospital before?

19 A No.

20 Q Okay. Had you been at her house that day?

21 A No.

22 Q And so you received this call at 3:00 in the morning. As best  
23 as you can recall, what was said to you?

24 A She just asked me if I could pick her up. I said, where are  
25 you? She said, I'm at the hospital, St. Rose. And I just said, okay, I'll be

1 right there. And then I went and picked her up.

2 Q Had you been asleep?

3 A Yes, I was asleep.

4 Q Okay. How long did it take you to get to the hospital after  
5 she called you?

6 A I don't live very far from there. Maybe five minutes.

7 Q Did you -- do you have any idea of why she contacted you as  
8 opposed to other relatives or friends?

9 A No. Maybe -- I don't know. She probably tried calling other  
10 people, but I have no idea.

11 Q And what do you recall about when you arrived at the  
12 hospital? What did you do and where did you go to meet Kim?

13 A I went into the -- through the emergency room. I walked in,  
14 waited for her. We came out. I -- she had to wait so I could go get my  
15 car and bring it around so she could get into the car.

16 Q And so when you went to pick her up at the emergency  
17 room, what time of day was that?

18 A It was at 3:00 a.m.

19 Q Okay, well --

20 A Maybe 3:05.

21 Q So do you remember, is there a difference between when  
22 you were called and when you actually made it there? Do you  
23 remember when you actually arrived at the hospital?

24 A No. As soon as she called me at 3:00 a.m., she said she  
25 needed a ride, I got up, dressed, got in my car, went directly to the

1 hospital.

2 Q Okay.

3 A Went in, parked it. You know, parked my car in the  
4 emergency section and went in through there. And then picked her up.

5 Q And when you saw Kim at the emergency room, did she look  
6 well to you?

7 A No.

8 Q How did she appear?

9 A Like she was in pain. She -- her color wasn't good. She was  
10 bent over, you know, holding her tummy. And I said, are you okay? And  
11 she says, oh, I'm just in a lot of pain. And I said, you don't look good.  
12 And then I said, why -- you shouldn't -- you know, why are they  
13 discharging you? She said, everything is fine. They said everything is  
14 fine. And I said okay. They handed us some paperwork. Her -- I believe  
15 it was prescriptions. And then, came to the door. I had to go get my car,  
16 bring it around, picked her up. But she wasn't -- she wasn't good at all.  
17 She looked gray, no color in her face. Her lips were white.

18 Q How was she getting from place to place? Was she walking?  
19 Was she in a wheelchair?

20 A You know, I really don't remember. But if it -- we were  
21 getting discharged from the hospital, I'm assuming that they wheeled  
22 her out and while I went and got my car.

23 Q Okay. And so when you got your car and Kim got inside,  
24 where did you go next?

25 A To -- I took her home.

1 Q Okay. To your home or her home?

2 A Her home.

3 Q Okay. Did you help her get inside?

4 A Yes.

5 Q And what did you do for her once you got inside her house?

6 A She couldn't -- she couldn't walk upstairs to her bedroom.

7 So I said, you know, let's, you know, go into the -- we went into her  
8 family room. There's a couch. She had some, like, throw covers. I said,  
9 lay -- you know, lay down. She lay down. I covered her. She was weak,  
10 nauseated. So once I got her settled on the couch, I went and got a  
11 bucket, put it, you know, on the -- on the floor just in case she got sick,  
12 and stayed there.

13 Q Did you see her trying to vomit or dry heave?

14 A Uh-huh. Yes.

15 Q How many times?

16 A I don't know. Through the whole time I was there, you know,  
17 she was bent over. Yeah, it was bad.

18 Q Did you stay at her house the rest of the morning?

19 A I did because she needed prescriptions and the pharmacy  
20 was not open.

21 Q Did you think she was also feeling so ill that it was a good  
22 idea that somebody stayed there with her?

23 A Yes. Absolutely.

24 Q Okay. And do you remember what kind of prescription she  
25 had, what they were for?



1           A     One was pain meds and the other, I believe, was nausea. For  
2 nausea.

3           Q     Okay. Why didn't you fill those immediately when you  
4 picked Kim up?

5           A     The pharmacy was closed, so I stayed there until the  
6 pharmacy opened. As soon as it opened, I went, picked up her meds,  
7 and then brought them to her, gave them to her. And then I just stayed  
8 there for a little bit.

9           Q     Now, overnight, between the time of, you know, when you  
10 got to her house and when you went to the pharmacy, did you observe  
11 Kim sleeping?

12          A     She really didn't sleep. She really didn't sleep. She would  
13 try to doze off, and then she'd wake up, you know, like [groans], doing  
14 this, [groans], in pain. And I said I don't know -- I don't -- I don't  
15 understand. Why did they discharge you? You're not -- you're not well.  
16 You know, you're not well. I don't know. They said I was fine. You  
17 know, maybe the medicine will help. Okay.

18          Q     Did you observe her try to go to the bathroom?

19          A     No. She couldn't. She couldn't get up.

20          Q     Did you observe her eat anything?

21          A     No. She could not eat.

22          Q     And so when you went to the pharmacy, did Kim go with  
23 you?

24          A     No.

25          Q     Okay. Did you leave her at her house, then?

1           A     Yes.

2           Q     Okay. And so what time do you think you returned to her  
3 house from the pharmacy?

4           A     It was just -- the pharmacy was not too far away. Maybe 15  
5 minutes, 10, 15 minutes, because I just went, picked it up, and came right  
6 back.

7           Q     Okay. Do you remember maybe approximately what time  
8 that was once you got back from the pharmacy?

9           A     Oh, I don't remember.

10          Q     And so what did you do after you gave her the prescription  
11 medication?

12          A     I stayed there a few minutes to see, you know, how she  
13 would react, if she needed anything.

14          Q     And then, did you leave the house?

15          A     I did.

16          Q     Do you remember about what time that was?

17          A     No. Maybe around 10:00, 11:00, maybe.

18          Q     In the morning?

19          A     Uh-huh.

20          Q     Is that a yes? Just for the record.

21          A     Yes. Yes.

22          Q     Okay. If you say uh-huh or huh-uh, we have to ask if that's  
23 yes or no is why I say that.

24          A     Yeah, okay. Yes, it was a longer time.

25          Q     Okay. And so where did you go after you left Kim, then?

1           A     I went home.

2           Q     Okay. And did you see Kim later that day?

3           A     Yes.

4           Q     Okay. So when did you next see her?

5           A     I saw her probably early evening. She called me. She wasn't  
6 -- still wasn't feeling well. She said -- I said, what's wrong? She goes, I  
7 don't know. Something is wrong. I don't feel good. I'm still in pain. I  
8 think I need to go back to the ER. I said, I'll be right there.

9           Q     Okay. So how long did it take you to get from wherever you  
10 were then back to Kim's house?

11          A     I don't live very far. Maybe five minutes, seven minutes, you  
12 know.

13          Q     And when you arrived back at her house now, this second  
14 time -- or I guess the third time because you went to the pharmacy and  
15 back as well. When you arrived at that time, can you describe the scene  
16 for me? Who was there, what was Kim doing?

17          A     When I arrived, I saw the paramedics there, and they were  
18 getting off of their -- the fire engines were there, the paramedics were  
19 there. They were getting off and walking in. So I got off my car and  
20 walked in. When I walked in through the door, they were standing in the  
21 family room where she was laying, where I had left her on the couch.  
22 She was laying there. I believe her mother was there and her stepfather  
23 was there. And there was, like, probably four or five ambulance people  
24 there. They were asking her questions which she couldn't answer  
25 because she was in so much pain. She couldn't answer. They were

1 asking her what medicine was taken, what happened.

2 But nobody -- like, her mom didn't know, the stepdad didn't know.  
3 So I told them, you know, I know what's going on. Here's some  
4 paperwork from the hospital. I had to take her to the -- and then I  
5 explained to them what happened. They asked me what medication she  
6 was on. I told them the names. You know, I gave them the discharge  
7 papers and said, that's what she was taking. At that time she was still,  
8 you know, on the couch bent over and moaning, you know, because she  
9 was in pain.

10 Q Was she able to walk?

11 A No.

12 Q How did they get her from where she was in the family room  
13 to the ambulance?

14 A They had to put her on a gurney. So the ambulance people  
15 had to help her get up, help her lay on the gurney, and then they took  
16 her in, into the gurney.

17 Q Okay. And at that time, did she look to you like she was in  
18 extreme pain?

19 A Absolutely.

20 Q Did she still look discolored?

21 A Yes.

22 Q Was she sweating at all?

23 A I don't remember.

24 Q She was having difficulty walking and moving?

25 A Walking, talking. She couldn't -- when they would ask her

1 something, she couldn't talk. She was just in a lot of pain. She was  
2 still -- there was no color to her, and that's why I kept saying something  
3 is wrong. You shouldn't look like that. They shouldn't have discharged  
4 you.

5 Q And so did you go with Kim to the hospital?

6 A I did. I followed the ambulance, just to make sure.

7 Q And what do you recall? Just tell us very generally about  
8 what you observed at the hospital and when you left?

9 A When I left the hospital?

10 Q Yes.

11 A Well, we got to the --

12 Q How long were you there and what did you see?

13 A When we got to the hospital, her mother and her step-father  
14 were there. We were waiting in the lobby. I don't -- I don't remember  
15 exactly, you know, what happened or what transpired there, but I do  
16 remember being in the -- you know, in the back where they had her lying  
17 down, and that was -- I don't know -- I don't know how long that was.  
18 For a few minutes, I guess, and then they kept her. So once they -- once I  
19 knew, they said, okay, we're keeping her. She's not going to leave or get  
20 discharged, then I left.

21 Q Okay. And I just want to ask you, the Defendant in the case is  
22 Dr. Keith Brill. He's seated here.

23 A Uh-huh.

24 Q Have you ever spoken to Dr. Brill?

25 A No.

1 Q Have you ever met him prior to today?

2 A No.

3 Q Okay.

4 MR. BREEDEN: Thank you very much. Those are all my  
5 questions.

6 THE COURT: Thank you. Cross-examination.

7 MS. HALL: Thank you, Your Honor.

8 CROSS-EXAMINATION

9 BY MS. HALL:

10 Q Good afternoon, Ms. Laca.

11 A Good afternoon.

12 Q My name is Heather Hall, and myself and my partner, Mr.  
13 McBride, we represent the Defendants in this case, and I only have just a  
14 few questions for you.

15 A Okay.

16 Q Just a moment ago, you said that when you arrived to Kim  
17 Taylor's house the second time, that the EMTs were already there on the  
18 scene, correct?

19 A Yes.

20 Q And you thought that that was early evening on --

21 A I don't remember exactly what time it was. I don't know if it  
22 was early evening, or just, you know, a few hours after I had left.

23 Q Sure. And that's because this all occurred over four years  
24 ago, right?

25 A Right. Yes.

1 Q Let me see if I can show you some records from the EMTs.

2 A Uh-huh.

3 Q The records that we received from the Henderson Fire  
4 Department, the City of Henderson, they reflect that the EMTs arrived  
5 that morning at 11:58 a.m., so let me show you that and then I'll ask you  
6 a couple of questions.

7 MS. HALL: And for the record, Your Honor, this is Joint  
8 Exhibit 15, which has been previously admitted.

9 BY MS. HALL:

10 Q And I'd like to show you, it's COHA-10 of that exhibit. Let me  
11 see if I can focus this for us.

12 THE MARSHAL: If you waive your hand over it, it will clear  
13 up.

14 MS. HALL: No one wants to look at my ugly hands, but --  
15 yeah, that's much better. Thank you so much.

16 BY MS. HALL:

17 Q So I just want to show it again, this is Exhibit 15, and it's  
18 COHA-10 of that exhibit. And if you can see on the screen there, Ms.  
19 Laca, in the right-hand corner here --

20 A Uh-huh.

21 Q -- it says the call -- the time that the call was received by the  
22 City of Henderson, and it shows 11:47. Now, you weren't present at the  
23 time that Ms. Taylor made the call the second time to 911, correct?

24 A No.

25 Q You were not present?

1           A     No.

2           Q     And if you see here where it says, "on scene at 11:58:07," do  
3 you have any reason to disagree that it was 11:58 in the morning on  
4 April 27th when the EMTs came to Ms. Taylor's house for the second  
5 time?

6           A     You know, no, I don't remember that.

7           Q     And do you know how long the EMTs had been on the scene  
8 at the point that you arrived at her home that morning?

9           A     I don't. They -- to me, it looked like they had just gotten there  
10 because they -- when I walked in, they were asking the questions.

11          Q     And as far as how long they had been there, you don't have  
12 any personal knowledge of how long they had been there prior to your  
13 arrival, true?

14          A     No, or what time they got there, no.

15          Q     And is it also fair to say that you were not -- you don't have  
16 any personal knowledge of any conversations the EMTs may have had  
17 with Ms. Taylor prior to you arriving?

18               MR. BREEDEN: I object. It's asked and answered.

19               THE COURT: I don't think she asked that. I'm going to  
20 overrule it. Go ahead.

21               MS. HALL: Thank you, Your Honor.

22 BY MS. HALL:

23          Q     Do you need me to restate that?

24          A     I'm sorry?

25          Q     Do you need me to restate my question?



1           A     Yes.

2           Q     I know it gets a little confusing --

3           A     Yes.

4           Q     -- when there are objections. Is it fair to say that you do not  
5 have any personal knowledge of any conversations the EMTs may have  
6 had with Ms. Taylor that morning prior to your arriving?

7           A     No, I don't. I think because we got there around the same  
8 time, because they were asking the questions, but nobody knew.

9           Q     Okay. All right. Thank you.

10           MS. HALL: That's all I have, Your Honor.

11           THE COURT: Any redirect?

12           MR. BREEDEN: Nothing further from this witness, Your  
13 Honor.

14           THE COURT: All right. Thank you. Do we have any  
15 questions from our jurors? I don't see any questions.

16           All right. Thank you, Ms. Laca. You may be excused.

17           THE WITNESS: Thank you.

18           THE COURT: Counsel, approach.

19           [Sidebar at 12:51 p.m., ending at 12:53 p.m., not transcribed]

20           THE COURT: All right. Ladies and gentlemen, we're going to  
21 take our afternoon recess for lunch, and we'll be back here at 2:00.

22           And during the break, you're instructed not to talk to each  
23 other or anyone else about any subject or issue connected to this trial.  
24 You're not to read, watch, or listen to any report or commentary on the  
25 trial by any person connected to the case by any medium of information,

including, without limitation, newspapers, television, internet or radio.

You're not to conduct any research on your own related to this case, or consulting dictionaries, using the internet, or using reference materials, test any theory of the case, recreate any aspect of the case, or in any other way, investigate or learn about the case on your own.

You're not to talk with others, text others, Tweet others, Google issues or conduct any other kind of book or reference materials, research or computer research with regard to any issue, party, witness, or attorney involved in this case. You're not to form or express any opinion on any subject connected with this trial until the matter is finally submitted to you. I will see you at 2:00 after lunch.

THE MARSHAL: All rise for the jury.

[Jury out at 12:54 p.m.]

THE MARSHAL: The jury has cleared the courtroom, Your Honor.

[Outside the presence of the jury]

THE COURT: Thank you. We're outside the presence of the jury. No issues before lunch?

MR. BREEDEN: No, Your Honor.

MR. MCBRIDE: Correct.

THE COURT: Okay. I will see you guys at 2:00 then.

[Recess taken from 12:55 p.m. to 1:59 p.m.]

[Outside the presence of the jury]

THE COURT: All right. We are back on the record in

1 A18773472-C, Taylor v. Brill. And counsel for both sides are present. We  
2 are outside the presence of the jury. Any issues before we call the jury  
3 in?

4 MR. BREEDEN: Nothing from Plaintiff, Your Honor. I just  
5 want to note we're going to call very quickly a witness, Laurie Herda,  
6 who is a representative from the City of Henderson. I anticipate her  
7 testimony will be five or ten minutes. And then we're going to call  
8 Mr. -- Nurse Hutchins after that. Mr. Hutchins' testimony is anticipated to  
9 be longer.

10 THE COURT: Okay. Thank you so much. On behalf of the  
11 Defendant?

12 MR. MCBRIDE: Nothing, Your Honor.

13 [Pause]

14 THE MARSHAL: I've got them all back, Judge. They're lining  
15 up right now.

16 THE COURT: Okay. We're ready.

17 [Pause]

18 THE MARSHAL: All rise for the jury.

19 [Jury in at 2:03 p.m.]

20 THE MARSHAL: The jury's all present, Your Honor.

21 THE COURT: Thank you. You may be seated.

22 And Plaintiff, you can proceed with your next witness. You  
23 can proceed with your next witness.

24 MR. BREEDEN: Your Honor, Plaintiff calls Laurie Herda.

25 THE MARSHAL: Please step up. Please face the clerk to your

1 left. And please raise your right hand.

2 LAURIE HERDA, PLAINTIFF'S WITNESS, SWORN

3 THE CLERK: Please be seated. Please state and spell your  
4 name for the record.

5 THE WITNESS: Laurie Herda, L-A-U-R-I-E H-E-R-D-A.

6 DIRECT EXAMINATION

7 BY MR. BREEDEN:

8 Q Good afternoon, Ms. Herda. Where are you employed?

9 A The City of Henderson.

10 Q And what's your position or job title there?

11 A Senior accountant.

12 Q Okay. And as part of your job duties, do you deal with billing  
13 records for Henderson Fire and Rescue?

14 A Yes, I do.

15 Q Okay. And so describe just to the jury very generally, what is  
16 Henderson Fire and Rescue?

17 A Basically, they provide emergency services throughout the  
18 City of Henderson, paramedic, ambulance transports, and then of course,  
19 firefighters.

20 Q And what aspects of billing do you handle?

21 A Ambulance transports.

22 Q Okay. Prior to coming to court this afternoon, did you  
23 familiarize yourself with the account of my client, Kimberly Taylor?

24 A Yes, I did.

25 Q Okay. There's some big books in white there behind you

1 where there are some exhibits. And I'd like to ask you to find the book  
2 that has Exhibit 59 in it for me. It should be number 4.

3 A Okay. I have it.

4 Q Okay. Can you tell me what Exhibit 59 is?

5 A It looks like a -- I see a custodian of records certification for  
6 two ambulance invoices.

7 Q And are those from the City of Henderson?

8 A Yes, they are.

9 Q Okay. And are these billing records that are kept in the  
10 ordinary course of the City's business?

11 A Yes, they are.

12 Q And to your knowledge, are those bills created at or near the  
13 time of treatment?

14 A Yes.

15 MR. BREEDEN: And Your Honor, I would move to admit  
16 Exhibit 59 into evidence.

17 THE COURT: Any objection?

18 MS. HALL: Yes, Your Honor. I would object as it lacks  
19 foundation.

20 THE COURT: Counsel, approach.

21 [Sidebar at 2:07 p.m., ending at 2:09 p.m., not transcribed]

22 BY MR. BREEDEN:

23 Q Ms. Herda, what time period does the billing that we're  
24 discussing cover?

25 A It looks to me 2017.

1 Q And are there -- how many different trips are in the billing  
2 records?

3 A Two.

4 Q Okay. And what is the date for the first trip?

5 A April 26th of 2017.

6 Q What was the date for the second trip?

7 A April 27th of 2017.

8 Q Okay. What is the amount of the billing for the first trip?

9 A The total, \$1,196.41.

10 Q What is the amount of the billing for the second trip?

11 A \$1,134.15.

12 Q Okay. How does the City come to the rates or the amount of  
13 charges that are charged for these trips?

14 A The rates are established by ordinance for the City of  
15 Henderson. And they are consistent throughout the county.

16 Q Okay. So was Kimberly Taylor charged anything more or  
17 anything less than what another person getting ambulance services on  
18 those dates would be charged?

19 A No.

20 Q And does the City of Henderson consider that billing to be  
21 usual, customary, and reasonable?

22 A Yes.

23 MR. BREEDEN: Now, Your Honor, at this time I would move  
24 to admit Exhibit 59.

25 MS. HALL: And same objection I placed earlier, Your Honor.

1 THE COURT: All right. Counsel, can you approach again?

2 Sorry. Bring the exhibit.

3 [Sidebar at 2:11 p.m., ending at 2:12 p.m., not transcribed]

4 THE COURT: So that Exhibit 59 will be admitted.

5 [Plaintiff's Exhibit 59 admitted into evidence]

6 MR. BREEDEN: I have no further questions. Thank you, Ms.

7 Herda.

8 THE WITNESS: Thank you.

9 THE COURT: Cross-examination?

10 MS. HALL: Thank you, Your Honor.

11 CROSS-EXAMINATION

12 BY MS. HALL:

13 Q Good afternoon, Ms. Herda.

14 A Good afternoon.

15 Q My name's Heather Hall. I don't have very many questions

16 for you. But in your role as a senior accountant with the City of

17 Henderson, have you had any medical training?

18 A No, I have not.

19 Q You're not a physician?

20 A No.

21 Q You're not a nurse?

22 A No.

23 Q And in terms of whether the care -- medical care that the

24 ENTs administered to the patient which corresponds with these bills that

25 we discussed --

1           A     Uh-huh.

2           Q     -- you would not be able to comment on whether those were  
3 medically necessary or related to some other care, true?

4           A     True.

5                 MS. HALL: Okay. All right. Thank you. That's all I have,  
6 Your Honor.

7                 THE COURT: Any redirect?

8                 MR. BREEDEN: Nothing further from this witness.

9                 THE COURT: Thank you. Do we have any questions from  
10 our jurors? All right. No questions. You may be excused. Thank you,  
11 Ms. Herda.

12                THE WITNESS: Thank you.

13                MR. BREEDEN: Your Honor, Plaintiff's next witness is Bruce  
14 Hutchins.

15                THE COURT: Thank you.

16                THE MARSHAL: Please step up. Please face the clerk to your  
17 left. And please raise your right hand.

18                         BRUCE HUTCHINS, PLAINTIFF'S WITNESS, SWORN

19                THE CLERK: Please be seated. Please state and spell your  
20 name for the record.

21                THE WITNESS: Bruce Hutchins, B-R-U-C-E H-U-T-C-H-I-N-S.

22                                 DIRECT EXAMINATION

23 BY MR. BREEDEN:

24           Q     Mr. Hutchins, good afternoon.

25           A     Good afternoon.



1 Q So during court, I know you're wearing a mask. And we have  
2 to make sure everything is clear for the record. So please just be sure to  
3 speak up so all the jurors can hear you today.

4 A Okay.

5 Q Can you do that for me?

6 A Sure.

7 Q All right. What do you do for a living, sir?

8 A I'm a nurse.

9 Q And explain to me the type of education you had to be a  
10 nurse.

11 A I went to College of Southern Nevada and took their  
12 associate's course for nursing.

13 Q What year did you graduate?

14 A In 2012.

15 Q Okay. So at the time of the events in this case, you had been  
16 a nurse for approximately five years?

17 A What year was the case?

18 Q 2017.

19 A Yes.

20 Q Okay.

21 A Well, no. I started nursing in 2013.

22 Q Okay.

23 A So it was about four years.

24 Q I assume you're a high school graduate?

25 A Yes.

1 Q And then after high school, that's when you went to College  
2 of Nursing?

3 A No.

4 Q Okay.

5 A Actually, before --

6 Q Explain that for me then.

7 A I went to a college in Arizona State. I went to a school for  
8 interior design, first.

9 Q Okay. And at what point in your life then did you go to  
10 nursing school?

11 A When the economy tanked in 2009, I was out of work for -- I  
12 was working for architects. And so I got a teaching license. I tried a  
13 drafting service. And then I began School of Nursing I think in 2010.

14 Q How many years was that program?

15 A Two.

16 Q And when you graduate from that program, what sort of  
17 degree do you have? In other words, CNA, RN.

18 A Associate's in RN.

19 Q Okay. So -- and RN stands for?

20 A Registered nurse.

21 Q All right. Did you have any special concentration? I know  
22 nursing is a broad field.

23 A My specialty at the time was PACU, post-anesthesia care  
24 unit.

25 Q Okay. So explain to the jury, you know, PACU stands for

1 post-anesthesia care unit. What does that mean in laymen's terms?

2 A That's means I recover patients after surgery.

3 Q Where do you work currently?

4 A I have two positions. One is at Southern Hills Hospital, and  
5 the other one is at Sunrise Hospital.

6 Q And do you work in the PACU at both of those hospitals?

7 A I've worked in the PACU in both, and I also worked in the OR  
8 at Southern Hills.

9 Q Did you ever work for Henderson Hospital?

10 A I did.

11 Q What period of time did you work for Henderson Hospital?

12 A It was, I think, 10 or 11 months. I believe I started, was it like  
13 December of 2016, or ended then. I forget what.

14 Q Until sometime --

15 A I forget the exact year.

16 Q -- in the summer of 2017?

17 A It was either -- I don't remember if I started in 2016 or if I  
18 ended in 2016, because I worked there and other hospitals here in town,  
19 and two travel assignments.

20 Q Okay. Explain to the jury, you know, basically what you're  
21 doing in your day-to-day duties as a PACU nurse.

22 A You are receiving patients from the OR. You are -- you want  
23 what I do?

24 Q Yes.

25 A Okay. You receive the patient. You hook them up to

1 monitors to watch them. You get reports from the OR nurse and from  
2 Anesthesia to -- as to what was done, the dressing, any complications  
3 that they had, medications that were received. And generally, you get a  
4 brief history of any other conditions the patient might have, any other  
5 health issues.

6 Q Now, in this case, Ms. Taylor had a procedure at Henderson  
7 Hospital on April 26th of 2017. Were you working at Henderson Hospital  
8 at that time?

9 A Yes, I was.

10 Q Do you recall being the PACU nurse for Ms. Taylor?

11 A I don't recall that, no.

12 Q Okay. You don't recall that specifically.

13 A No.

14 Q I'd like you to look behind you there, and there are some big  
15 white books there. And in Volume 1, I'd like you to open it up to Exhibit  
16 5.

17 MS. JOHNSON: Volume 3.

18 MR. BREEDEN: Oh, I'm sorry. It sounds like it's in Volume 3.  
19 I apologize.

20 THE WITNESS: Exhibit 5?

21 MR. BREEDEN: Exhibit 5.

22 THE WITNESS: Okay. I've got it. The 5 tab? Okay.

23 BY MR. BREEDEN:

24 Q Can you briefly look through that exhibit and tell me what  
25 that is?

1           A     Briefly look through it? Okay. I'm -- do you have better  
2 lighting in here?

3           THE COURT: I wish.

4           THE WITNESS: Because this writing is small, and my  
5 eyesight isn't that good.

6           MR. BREEDEN: I don't know if this would help.

7           THE WITNESS: Thank you. Okay. It's just Kimberly Taylor,  
8 hospital. Oh, that's her face sheet. This is the one. So what is it you  
9 want me to look for in here?

10 BY MR. BREEDEN:

11          Q     Yeah, so just generally speaking, what are these records?  
12 What do they appear to be?

13          A     They'd be the medical records.

14          Q     Yeah. Of Kimberly Taylor?

15          A     Yes.

16          Q     From what facility?

17          A     Henderson Hospital.

18          Q     Okay. I'd like you to go to -- if you look in the bottom right,  
19 there's what we call Bates numbers. I'd like you to go Bates number  
20 HH38, 39, and 40. I'd like you to review those records and tell me if that  
21 refreshes your memory as to whether or not you were the PACU nurse  
22 for Ms. Taylor?

23                               [Witness reviews document]

24          A     I'm not seeing my name on any of these three pages.

25          Q     Maybe if I could direct your attention to 123 and 169?

1           A     Yep, I see my name in here.

2           Q     Okay. So I take it from your responses so far -- and you can  
3 have a seat, sir, here temporarily. And we're going to put some records  
4 up in a minute, and they'll be on this screen and that little monitor --

5           A     Okay.

6           Q     -- in front of you. But I take it from your responses so far,  
7 you do not have an independent recollection of Ms. Taylor?

8           A     No, just from reviewing.

9           Q     Okay. And part of your job as a PACU nurse, is it to make  
10 medical records of your visit and treatment with patients?

11          A     It's to document.

12          Q     Okay. I'd like to call your attention back to HH38. What kind  
13 of procedure did Ms. Taylor have that day? Is your monitor on there, sir?

14          A     No.

15               MR. BREEDEN: Maybe we can turn that on for him.

16               THE WITNESS: It says on here, she had a hysteroscopy with  
17 dilatation and curettage.

18 BY MR. BREEDEN:

19          Q     Okay. And would you frequent -- frequently see  
20 hysteroscopy patients --

21          A     Yes.

22          Q     -- when you worked in the PACU?

23          A     Yes.

24          Q     Okay. Who was Ms. Taylor's surgeon that day?

25          A     Dr. Keith Brill.

1 Q Okay. And when is it indicated that the surgery began? Look  
2 on your monitor.

3 A Procedure start is 8:43.

4 Q And when did the procedure end?

5 A At 9:10.

6 Q And those are a.m.?

7 A Correct.

8 Q Okay. So she was in surgery for approximately how many  
9 minutes?

10 A 27.

11 Q Do you have any recollection of Dr. Brill, the surgeon for  
12 Kimberly's case, telling you that she suffered a uterine perforation during  
13 her procedure?

14 A I do not recall.

15 Q Do you have any recollection of him telling you she  
16 sustained an intestinal perforation during the procedure?

17 A I do not recall.

18 Q Were you ever told that anything unusual happened during  
19 the procedure?

20 A I do not recall.

21 Q If you could look again on HH38, you indicated that part of  
22 your job as a PACU nurse is to document; is that correct?

23 A Correct.

24 Q What is documented on this page as to complications during  
25 the procedure? Direct your attention to sort of the lower right.

1 A The lower right.

2 Q It should be on your monitor, as well.

3 A It's kind of blocked by something. I'm sorry, there's  
4 something blocking most of this. Oh, there we go. On the bottom right?  
5 "Complications: None per surgeon."

6 Q Okay. And what does that mean to you?

7 A That there were no complications.

8 Q Would you be the person that made that entry?

9 A No.

10 Q Who would have made that entry?

11 A The surgeon.

12 Q So in this case, that would be Dr. Brill?

13 A Yes.

14 Q Would you have reviewed this in the PACU before you  
15 treated Ms. Taylor?

16 A No.

17 Q So what is the purpose then, of listing complications like  
18 that, if you as the PACU nurse are not going to review it?

19 A The notes are not always available when a patient comes  
20 out. I may not see the surgeon until -- I may not see the surgeon at all. I  
21 see the OR nurse, and I see the anesthesiologist, and I see the patient.

22 Q Would --

23 A Sometimes, they have somebody else bring the patient out.  
24 But I don't see the surgeon, generally, when the patient comes out.

25 Q Would you have had access to this note at the time you were



1 treating Ms. Taylor?

2 A Not necessarily.

3 Q Okay. Do you remember one way or another in this  
4 particular case?

5 A I don't.

6 Q Okay. What is -- in your experience, what is a typical time  
7 frame for a patient to be in the PACU who is recovering from  
8 hysteroscopy?

9 A Everybody's different. It varies. It's going to be a minimum  
10 of an hour, but there's no maximum. There's no maximum.

11 Q Okay. So what amount of time do you consider to be  
12 unusual for someone recovering from a hysteroscopy to be in the PACU?

13 A Unusual in what regard?

14 Q More than how many minutes or hours?

15 A That's hard to say because a patient may be in there extra  
16 time because they are unable to void. They may be there waiting for a  
17 ride to take them home. There could be any numbers of complications  
18 that keep them from going home. It could be nothing medical at all.

19 Q Do you recall how long Ms. Taylor was in the PACU?

20 A From review of the records, I think she came out at, like, 9 --  
21 was it 9:24? Is that when she came out? When was it?

22 Q I'm asking you how long she was in the PACU after the  
23 procedure.

24 A From review of the records, I believe it was around seven  
25 hours.

1 Q Okay. Do you think that is an unusually long time to be in  
2 the PACU following a hysteroscopy?

3 A Once again, not necessarily.

4 Q Well, let me have you -- let me ask you this. During her stay  
5 in the PACU, did you monitor Kimberly's pain and other symptoms that  
6 she was having?

7 A Yes.

8 Q I'd like you to turn to HH168 through 174. Can you tell me  
9 what that section of the records is?

10 A A pain assessment.

11 Q Okay. And is this completed by you?

12 A Yes.

13 Q And is this completed at or near the time of the events that  
14 are recorded?

15 A I believe so.

16 Q Okay. I'd like to go through these records chronologically  
17 with you. So I'd like you to turn --

18 A Okay.

19 Q -- they are in reverse chronological order. So I need you to  
20 turn to the end of the last, which is 174.

21 A Okay.

22 Q What's the first entry you made?

23 A 9 -- 9:24.

24 Q Okay.

25 A Wait, 9 -- yeah, 9:24.

1 Q Do you believe that's the first time you made a pain  
2 assessment of Ms. Taylor following her procedure?

3 A Yes.

4 Q What did you record at that time?

5 A Pain scale of 10.

6 Q Okay. And what other symptoms?

7 A Abdominal lower and bilateral aching, crampy, sharp, status  
8 post-surgery, acute. So it was acute pain. It was a gradual onset.  
9 Nausea. That's it.

10 Q And now, are these symptoms that the patient is reporting to  
11 you or that you are observing yourself or a combination of those?

12 A Both.

13 Q Okay. So you don't simply take the patient's word for it, you  
14 use some of your judgment as well, in terms of what you have  
15 observed --

16 A Correct.

17 Q -- to complete this? Okay. And we spoke about timing early  
18 -- earlier, 9:24 in the morning, that's just a few minutes after she was  
19 released from the PACU, correct?

20 A From the OR.

21 Q Oh, from the OR. I'm sorry, I misspoke.

22 A Yes.

23 Q Now, I want you to turn very quickly. We're going to turn  
24 back to that page, but just very quickly, turn to page HH43. And can you  
25 tell me what this is?

1 A It says, "operative record".

2 Q Okay. And do you have to review operative records  
3 occasionally as part of your job?

4 A I do. I don't necessarily have to, but I do.

5 Q Do you recall reviewing this particular operative record for  
6 Ms. Taylor?

7 A No.

8 Q Okay. What time was that operative record created?

9 A 10:08, I believe.

10 Q And who prepared it?

11 A Dr. Brill.

12 Q Okay. So now, let's turn back to HH174. You indicated that  
13 your first assessment of Ms. Taylor was at 9:24 a.m., correct?

14 A Correct.

15 Q So it's fair to say that when you first assessed Ms. Taylor,  
16 you did not have access to an operative report that was created at 10:08?

17 A Correct.

18 Q All right. Now, I am going to go through each of your entries  
19 here. And maybe you could just quickly generally tell me about each of  
20 them?

21 A Okay.

22 Q Your next entry appears to be at 9:25 a.m. Can you tell me  
23 what you found?

24 A Pain is bilateral. Not an acceptable level of pain, which was  
25 still 10 out of 10. This an acute pain and gradual.

1 Q And how about your next entry, what time was that and what  
2 was --  
3 A 9:30.  
4 Q -- reported?  
5 A Essentially, the same thing. Aching, cramping, sharp, acute.  
6 Q At 9:30?  
7 A Uh-huh.  
8 Q So just five minutes later?  
9 A Yes.  
10 Q And if you could now turn to 173. What did you record at  
11 9:35 in the morning?  
12 A Abdominal lower bilateral aching, crampy, sharp. Still 10.  
13 Q And what did you record at 9:40 in the morning?  
14 A Still a 10.  
15 Q What did you record at 9:41?  
16 A Still a 10.  
17 Q Along with aching, cramping, sharp pain?  
18 A Correct.  
19 Q Okay. And what did you record at 9:45 in the morning?  
20 A The same. Aching, cramping, sharp, and 10.  
21 Q Okay. And when was your next assessment?  
22 A At 9:50.  
23 Q What did you record at that time?  
24 A A 10, using faces.  
25 Q Okay. And faces is just a pain scale, and it shows --

1           A     Based on their expression.

2           Q     Yeah, based on an expression. And it shows people with a  
3 happy face and then people with a sad and painful face, and then you  
4 ask people where they are; is that right?

5           A     Yeah.

6           Q     Okay. And 10 out of 10, the worst possible pain, was being  
7 represented?

8           A     Uh-huh.

9           Q     And now, what did you record at 10 a.m.?

10          A     Still a 10.

11          Q     And your next assessment was at 10:06. What did you  
12 record at that time?

13          A     10.

14          Q     Is that continued aching, cramping, sharp, 10 out of 10 pain,  
15 which is acute, with nausea?

16          A     Yes.

17          Q     Did you record the same thing at 10:13?

18          A     I did.

19          Q     Now, at 10:15, you recorded a 7 out of 10 pain, do you see  
20 that?

21          A     Yes.

22          Q     Okay. And then at 10:25, you recorded again, 10 out of 10,  
23 worst possible pain, acute. Do you see that?

24          A     Yes.

25          Q     Now, I want you to remember that time.

1 A 10:25.

2 Q 10:25 a.m.

3 A Okay.

4 Q Okay. We're going to talk about that time a little later. But  
5 looking at the rest of your pain assessment, do you see anymore 10 out  
6 of 10 pain after 10:25 a.m.?

7 A No.

8 Q Okay. So let's talk, you know, very quickly about the type of  
9 symptoms that you did record. And by the way, in these records, did  
10 you ever get to a point where Ms. Taylor was pain-free or 0 out of 0 to 10  
11 was recorded -- 0 out of 10 pain was recorded?

12 A I don't believe so, no.

13 Q Okay. So if we continue past 10:25, we have a 10:30 entry of  
14 5 out of 10 pain?

15 A Yes.

16 Q Continued aching, cramping, sharp pain, exacerbated by  
17 movement; do you agree with that?

18 A Yes.

19 Q We have similar findings of four at 10:45 a.m., correct?

20 A Correct.

21 Q At 11 a.m., we still have aching, cramping, sharp pain  
22 symptoms, correct?

23 A Yes.

24 Q 11:15 it's recorded as seven out of ten?

25 A Yes.

1 Q At 11:30, which is on the previous page, HH170, at 11:34, we  
2 have four-out-of-ten with aching, cramping, sharp pain, nausea. Do you  
3 see that?

4 A Yes.

5 Q And then as we go forward at noon, we have a 4 out of 10  
6 pain reported; do you see that?

7 A Uh-huh. Yes.

8 Q And at 12:15, you recorded 4 out of 10 pain with aching,  
9 cramping, sharp pain increased with movement?

10 A Yes.

11 Q And we're going to continue. There's just a couple more  
12 pages here. 12:19, you recorded 4 out of 10 pain with aching, cramping,  
13 sharp pain?

14 A Yes.

15 Q 12:30, 4 out of 10?

16 A Yes.

17 Q 12:45, 4 out of 10?

18 A Yes.

19 Q 1300 which is 1 p.m., 4 out of 10 pain?

20 A Yes.

21 Q And she's still reporting aching, cramping, sharp abdominal  
22 pain --

23 A Uh-huh.

24 Q -- which is acute with symptoms of nausea at that time?

25 A Yes.



1 Q And that's at least four hours in the PACU roughly?

2 A Yes.

3 Q And then we see at 3:13, she's reporting 2 out of 2 pain?

4 A Yes.

5 Q 1400 hours, which is --

6 A Two o'clock --

7 Q -- 2 p.m., 2 out of 10 pain; do you see that?

8 A Yes.

9 Q And it continues onto the previous page, 168. At 1430, which  
10 is 2:30 p.m., she's still complaining of aching, cramping, sharp pain. Do  
11 you see that?

12 A Yes.

13 Q And then at 1600 which is 4 p.m., she's still complaining of  
14 aching, cramping, sharp pain status post-surgery 2 out of 10 with  
15 nausea. Do you see that?

16 A Yes.

17 Q And then the same entries or similar entries at 4:30 p.m.?

18 A Yes.

19 Q Okay. And is that your last entry for Ms. Taylor?

20 A On pain assessment, yes.

21 Q For pain assessment I meant, yes. And so is it your  
22 understanding that she was discharged shortly after 4:30 p.m.?

23 A I believe so.

24 Q Was there any other PACU nurse that was in charge of her  
25 care during her time there?

1 A I don't believe so.

2 Q Okay. What treatment did you administer to Ms. Taylor?

3 A Narcotics.

4 Q Okay. So pain medication, right?

5 A And anti-nausea.

6 Q Okay. So I'd like you to turn to page 123 through 128 of that

7 same exhibit.

8 A Where do you want me to start, on 128 or 123?

9 Q Yeah, we're going to go through them in reverse

10 chronological order.

11 A Okay.

12 Q So -- and actually, if you look at 127, the question I'm going

13 to ask you is what is the first medication that's documented that you

14 administered to Ms. Taylor?

15 A Dilaudid.

16 Q And what does the medication Dilaudid do?

17 A It's narcotic for pain.

18 Q What time did you administer that?

19 A 9:26.

20 Q Is that an over-the-counter medication?

21 A No.

22 Q It would be prescription only or --

23 A Yes --

24 Q -- for use in the hospital?

25 A Yes.

1 Q And --

2 A There is prescription for home as well.

3 Q Did you administer the drug ephedrine at 9:30?

4 A Yes.

5 Q And what is that drug for?

6 A Blood pressure.

7 Q Did you administer the drug acetaminophen at 9:36?

8 A Yes.

9 Q Directing your attention now to HH126, did you administer

10 ephedrine again at 9:40 a.m.?

11 A Yes.

12 Q Why would you give two doses of ephedrine within ten

13 minutes of each other?

14 A Because the narcotics would have an effect on her blood

15 pressure.

16 Q Raising it or lowering it?

17 A Narcotics would lower your blood pressure.

18 Q Okay. So you were giving her that drug to help raise her

19 blood pressure after the narcotics; is that correct?

20 A Yes.

21 Q At 9:41, did you administer the drug Dilaudid again?

22 A Yes.

23 Q At 9:45, did you administer ephedrine again?

24 A Yes. No. I did not -- the correction. 9:41 it was not --

25 Dilaudid was not given again. It was verified. And at 9:45 ephedrine

1 was verified not given.

2 Q It was verified that you had already given it?

3 A Yes. It's showing verified -- not given.

4 Q Okay. So the next drug you administered then was at 9:45  
5 a.m.?

6 A Yes. You're asking what the drug was or did I give the drug?

7 Q I'm asking what the drug was and whether you administered  
8 it at that time?

9 A I administered fentanyl.

10 Q And what was the next drug that you administered and  
11 when?

12 A 9:58, Dilaudid.

13 Q And what was the next drug you administered?

14 A 10:10 fentanyl.

15 Q And is that the second dose of fentanyl that you had given  
16 Ms. Taylor?

17 A Yes, I believe so.

18 Q And so after the second time you administered fentanyl to  
19 Ms. Taylor --

20 A Uh-huh.

21 Q -- that same morning within an hour or so, is that when her  
22 pain symptoms appeared to go or decrease from ten out of ten?

23 A I'd have to review the -- that's at 10:10?

24 Q Yes.

25 A What page was the documentation on? What page was the

1 other documentation on?

2 Q We reviewed documentation earlier -- I can direct you  
3 towards it.

4 A Okay.

5 Q HH172.

6 A 172. At -- it was pain 10 out of 10 at 10:13.

7 Q And then afterward, it begins decreasing?

8 A Yeah, at 10:15 it was seven.

9 Q Shortly after you administered the second dose of fentanyl?

10 A Yes.

11 Q And do you think that is related?

12 A Yes.

13 Q So do you think the fentanyl at this point, is masking  
14 symptoms?

15 MR. MCBRIDE: Objection. That goes beyond this witness's  
16 area of expertise.

17 THE COURT: If he knows the answer, I'll allow -- if he has  
18 personal knowledge.

19 BY MR. BREEDEN:

20 Q Do you think the fentanyl is fixing something in Ms. Taylor or  
21 do you think it's simply decreasing her pain symptoms?

22 A I think it's decreasing her pain symptoms.

23 Q Now, I want to continue and we're back on HH124. What is  
24 the next drug that you administered to Ms. Taylor?

25 A After fentanyl?

1 Q After fentanyl.

2 A Percocet at 11:19.

3 Q You also did administer fentanyl again for a third time at  
4 11:19 in the morning; didn't you?

5 A Yes.

6 Q And looking at HH123, what's the next drug you  
7 administered?

8 A Reglan at 11:59.

9 Q What does Reglan treat?

10 A Nausea.

11 Q And what's the next drug you administered?

12 A Phenergan at 1332.

13 Q And what does that drug treat?

14 A Nausea.

15 Q What's the next drug following that you administered?

16 A Morphine at 1343.

17 Q And are there any further records of medication  
18 administration for Ms. Taylor from you?

19 A I don't believe that one is for me, so I think that is the last of  
20 the medications that I gave her.

21 Q Okay. And we reviewed the last pain assessment that you  
22 did of Ms. Taylor earlier, and it indicated shortly before she was  
23 discharged she reported, or you recorded that she had 2 out of 10 pain.  
24 Do you recall that?

25 A Yes.

1 Q Is it fair to say that by that time she had been given multiple  
2 doses of pain medication such as Dilaudid, fentanyl and Percocet?

3 A Yes.

4 Q Did you have any further -- well, I'm sorry. Let me ask you  
5 this. At any time you were in the PACU, do you see in the records or  
6 your own personal recollection that you were advised there had been  
7 any complication with her procedure?

8 A I do not recall any complications.

9 Q And do you recall, or have you seen in any of the records  
10 that we went over that you were advised she sustained any sort of  
11 perforation during her procedure?

12 A I do not recall.

13 Q After Ms. Taylor was discharged from the hospital, did you  
14 have any further contact with her?

15 A After discharge, no.

16 Q Okay. You never spoke to her or met her afterward?

17 A No.

18 Q Okay. Thank you. Those are all the questions I have.

19 A Okay.

20 THE COURT: Cross-examination?

21 CROSS-EXAMINATION

22 BY MR. MCBRIDE:

23 Q Good afternoon, Mr. Hutchins.

24 A Hello.

25 Q How are you?

1           A     Fine.

2           Q     You're used to wearing a mask. Some of us aren't quite used  
3 to wearing it so you're doing great. I have a couple of questions for you.  
4 I want to ask you -- first off, you don't have any independent recollection  
5 of Ms. Taylor as you sit here today?

6           A     No.

7           Q     Okay. You've seen countless numbers of patients who have  
8 come through a PACU over the past four years, correct?

9           A     About a thousand a year.

10          Q     Al right. And prior to working at Henderson Hospital, in 2017  
11 I think you indicated you worked in other PACUs here in Las Vegas  
12 before Henderson Hospital?

13          A     Yes.

14          Q     All right. So as a PACU nurse, did you -- would you say that  
15 you gained sufficient training and experience in both school and on the  
16 job in how to identify signs of a complication post-surgery?

17          A     Yes.

18          Q     All right. And have you over the years up until 2017 -- before  
19 2017, had you ever encountered patients who had suffered a  
20 complication following surgery?

21          A     I don't recall. Before then? I have no recall.

22          Q     And at the time you were working in the PACU, you were the  
23 only nurse in the PACU at that day?

24          A     No.

25          Q     Okay. There's -- Ms. Taylor was just your patient?



1 A Correct.

2 Q One of the things I wanted to show you was what counsel  
3 had referred to -- and it's Exhibits 34 through 38. And I'll who it actually,  
4 on the screen. Now, the PACU documentation that you have -- and it  
5 indicates your name on it -- that is specifically referred to as the PACU --  
6 or PACU nursing documents, right?

7 A These are not the same form that I document in, so these are  
8 -- if they have my name on them, that's my documentation. But is this  
9 referred to that? I don't know.

10 Q Right. And for the -- actually, that's a great point because  
11 you're entering all the information on electronic medical records, right?

12 A Yes.

13 Q And so the records you see in front of you here today that  
14 have been printed out, they don't look anything like what you're used to  
15 inputting information in, right?

16 A Not at all.

17 Q Is that correct?

18 A Correct.

19 Q All right. One thing, if you could wait until my question is  
20 completely out and then you can answer the question.

21 A Okay.

22 Q But I wanted to show you this. This is page 34, and I'm going  
23 to zoom out a little bit to give you a frame of reference. So this page 34  
24 at the top here. See, it says HHNOR nursing document, right?

25 A Uh-huh.

1 Q Is that a yes?

2 A Yes.

3 Q And it says the person who is performing it is Kari Whaley  
4 [phonetic]; do you remember who Kari Whaley was?

5 A No.

6 Q All right. How about Gary Wernlund? Do you know Gary?

7 A The name sounds familiar, but I don't -- I can't picture him.

8 Q Okay. And I'll represent to you that the -- this operative  
9 record -- this is the nursing summary and nursing documentation of the  
10 actual individuals involved with the operation. That wasn't you, correct?

11 A I don't see my name on here.

12 Q Okay. And in fact, this talks about when the patient was set  
13 up in the room and what time anesthesia started and what time the  
14 patient exited the room, correct?

15 A Correct.

16 Q And you wouldn't have knowledge of that because you  
17 weren't in the operating room at the time?

18 A Correct.

19 Q All right. So the next page -- actually, let me go to page 38  
20 that Plaintiff's counsel showed you just a second ago. And you had  
21 indicated -- and this is again, part of the operative record from the  
22 procedure that was done, and it says HHN surgical procedures, general  
23 case data. Based on your background, training, and experience, this  
24 would have been information that was prepared by the nurses in the  
25 operating room who attended to this patient, correct?

1           A     Let me see who did do this.

2           Q     I'll represent to you Gary Wernlund was --

3                   MR. BREEDEN: Well, I'll object, Your Honor.

4 BY MR. MCBRIDE:

5           Q     -- identified --

6                   MR. BREEDEN: That lacks foundation.

7 BY MR. MCBRIDE:

8           Q     -- it's right up there at the top.

9                   THE COURT: This is -- counsel, approach.

10                   [Sidebar begins at 2:51 p.m. and concludes at 2:52 p.m.]

11 BY MR. MCBRIDE:

12           Q     All right. And just for clarification, I'll show you page 42 so it  
13 may make it clearer for you. So 42 is the operative record and you see  
14 up here where it says signed by Gary Wernlund and Kari Wheely --  
15 Whaley?

16           A     Yes, I see it.

17           Q     Okay. Does that help refresh your recollection as to who  
18 would have prepared that document, those records that you saw earlier?

19           A     Those two, obviously.

20           Q     Okay. So when you originally had indicated that this section  
21 where it says complications none per surgeon, in fact, that's not  
22 prepared by Dr. Brill; you would agree with that?

23           A     Can I see the entire document?

24           Q     Sure.

25           A     Is it there?

1 Q It's page 38, if you want to look at that.

2 MR. BREEDEN: I'll object again, for the record, Your Honor.

3 THE COURT: Thank you. Overruled.

4 THE WITNESS: Because I see up here on -- it says, "Prepped  
5 by Wernlund, Gary [phonetic]."

6 BY MR. MCBRIDE:

7 Q Correct.

8 A And then care --

9 Q And we just showed you the signatures. You signed this  
10 document?

11 A Electronic signature.

12 Q Right, but --

13 A Yes.

14 Q The ones I just showed you?

15 A Yeah. It says none per surgeon, but it's just listing who the  
16 surgeon is down here.

17 Q Right. But it lists the primary surgeon, but it doesn't say  
18 that --

19 A That he prepared it.

20 Q -- it is prepared -- wait. Hold on one second.

21 A Sorry.

22 Q It doesn't -- you would agree with me that that referenced the  
23 complications, number surgeon is not documented by Dr. Brill?

24 A Correct.

25 Q All right. That would have been either Gary Wernlund or Kari

1 Whaley, the ones who prepared this note, correct?

2 A Correct.

3 Q All right. Now, you said it's part of your regular practice --  
4 you don't have to, but part of your regular practice to review the  
5 operative notes; is that correct?

6 A If they're available.

7 Q If they're available. And this one, you indicated that we had  
8 it -- that it was available by 10:08 a.m., right?

9 A Correct.

10 Q So at least within a half hour, 40 minutes of the patient  
11 coming to the PACU, the operative note would have been available in the  
12 electronic medical records, right?

13 A Correct.

14 Q And I want to show you this document. This is page 41. And  
15 it shows the report, departure from the OR, a report given by Dr. Yeh.  
16 Do you know, is that report given to you?

17 A Yes.

18 Q All right. And do you remember -- as you said here, do you  
19 remember anything about that report that was given by Dr. Yeh?

20 A No.

21 Q Okay. And then also a report given to Bruce Hutchins, that's  
22 you, transported by Gary Wernlund and Dr. Yeh, right?

23 A Yes.

24 Q Okay. And you indicated, I think earlier, is -- it's not unusual  
25 to not see a surgeon in the PACU immediately post-surgery, right?

1 A Correct.

2 Q Okay. And you don't recall, as you sit here, a -- if Dr. Brill  
3 came in at any point during -- in the PACU to see Ms. Taylor?

4 A I don't recall.

5 Q This is the operative note, at least the first page. This is page  
6 42. And this is the one that you see that it was at 10:08. Do you see  
7 that?

8 A Yes.

9 Q All right. And then if you look at the bottom of this, it  
10 indicates, complications, perforations of uterus.

11 A I see that.

12 Q Okay. So that -- that would have been available to you at  
13 least by, let's say 10:15, 10:30 in the morning, correct?

14 A Correct.

15 Q Now, I wanted to show you -- now, is there a difference --  
16 there's a -- is there a PACU-I and a PACU-II?

17 A Phase I and Phase II.

18 Q Phase I and Phase II. And what's the purpose of Phase I?

19 A More critical care.

20 Q And how long, typically, do patients stay in Phase I?

21 A It varies. There's no typical. Every patient is different.

22 Q Okay.

23 A But I don't --

24 Q Do they have to meet a certain criteria in order to get moved  
25 to PACU-II, or --

1 A Yes.

2 Q All right. What's the criteria you're familiar with?

3 A Maintain their airway. You want to know that they're alert  
4 and oriented. You want to know that they can -- well, if they're required  
5 to drink, their motor functions are good. So limitation and possibly  
6 mobile, if they're mobile, but maybe not.

7 Q And then they can graduate to the PACU-II?

8 A Correct.

9 Q All right. So these are all things that you're paying attention  
10 to very closely when you're monitoring your patients in the PACU, right?

11 A Yes.

12 Q And in order to make sure that they're going to be available  
13 for discharge, as long as they're continuing to improve, right?

14 A Correct.

15 Q So I wanted to show you, this is page 44. And I'll zoom in  
16 again. Not being too far away. Can you see that?

17 A It's a little fuzzy, but yeah, I can see it.

18 Q Okay. And this would indicate PACU-I care plan, right?

19 A Pre-care [indiscernible].

20 Q And do you see it up there?

21 A I see it says PACU care.

22 Q All right. So this is a document that you would have  
23 prepared, right? I'll show you down here your signature, it says finalized  
24 by Bruce Hutchins. Do you see your signature down there?

25 A I see a signature, yes.

1 Q Okay. All right.

2 A Okay.

3 Q So this would indicate that you -- noting that the patient's  
4 gastrointestinal status has maintained at, or improved from baseline  
5 levels and you noted, yes?

6 A Correct.

7 Q Patient demonstrates and/or reports adequate pain control.  
8 You wrote in, yes?

9 A Correct.

10 Q Also, patient's cardiovascular status is maintained at or  
11 improved from baseline levels, and you reported, yes, right?

12 A Yes.

13 Q So those are all signs that, in your opinion, that Ms. Taylor  
14 was getting better over the period of time she was in PACU-I?

15 A Yes.

16 Q Now, PACU-II documentation, or summary, that indicates  
17 that she was in PACU-II by about 1:00 in the afternoon; is that right?

18 A Yes.

19 Q Is that room -- is it -- is it right next door to PACU-I?

20 A It's in the same bed.

21 Q Okay. Oh, it's in the same bed?

22 A Yes, it's Phase I and Phase II is just the status of the patient.

23 Q Got you. So it's not like they move them to a different room?

24 A Some hospitals do.

25 Q Okay. But not Henderson Hospital?



1           A     No.

2           Q     Okay. And at this point, you noted under the PACU-II care  
3 plan, that the patient demonstrates and/or reports adequate pain control,  
4 right?

5           A     Yes.

6           Q     And the same thing goes for -- this is page 46, and it just  
7 continues on. Patient's gastrointestinal status is maintained or  
8 improved. Yes. And cardiovascular status is maintained or improved,  
9 correct?

10          A     Yes.

11          Q     And signed by you at 1711?

12          A     Yes.

13          Q     Okay. Now, counsel went through some of the -- your pain  
14 record -- your pain level chart that you noted on Ms. Taylor, but I don't  
15 want to spend a lot of time with that. We went through that, the fact that  
16 she had a pain level initially at a ten, as she reported, and then by the  
17 time she was ultimately discharged in the afternoon, it was at a pain  
18 level of two?

19          A     Correct.

20          Q     Okay. In your experience, in years of working in the PACU,  
21 are the levels of pain that Ms. Taylor experienced immediately post-  
22 surgery that she reported, ten out of a ten, and seven out of ten, is that  
23 unusual, or outside the areas of what you would expect for a patient  
24 recovering from a surgery?

25          A     Not at all.

1 Q Okay. And in fact, the pain medications that were -- that  
2 Plaintiff's counsel went through that you administered, are those part of  
3 standard orders that are given by the physician and the anesthesiologist?

4 A Yes.

5 Q Okay. Do you recall at any point in time, based on your  
6 review of the medication administration record or the orders, it seemed  
7 any point in time where you had to call, either Dr. Yeh or Dr. Brill to  
8 notify them of the patient's pain levels?

9 A From my review, I think I did have to contact them for, not  
10 pain -- I don't know if it was pain levels, but I know -- I think it was for  
11 anesthesia -- or for nausea.

12 Q And that was Dr. Yeh. That Dr. Yeh, and I'll show you that in  
13 a second. Does that refresh your recollection?

14 A Yeah.

15 Q For the Reglan?

16 A Yes.

17 Q All right.

18 A Well, I know -- I don't know if it's for Reglan, but I know I  
19 called him.

20 Q And do you recall if you -- if you mentioned to him, any  
21 issues relating to the patient's pain levels?

22 A I don't recall.

23 Q Okay. All right. So what I wanted to show you real quick --  
24 let's see if I can find it. So as part of your review of every patient in the  
25 PACU, are you taking their vital signs?

1 A Yes.

2 Q How often do you take the vital signs?

3 A Initially, it's -- depending on the hospital, and if it's a child,  
4 it's every five minutes, but initially, every 15 minutes, and then it goes to  
5 half hour, then to hour. Sometimes I just do it more often.

6 Q And why would you do it more often?

7 A Because I don't change the setting on the monitor to reflect  
8 half an hour or an hour. It's not required to do it more often.

9 Q You just try to be as -- I guess as efficient as you can when  
10 you're evaluating your patients?

11 A That, and if you give pain medications, then you need to  
12 reevaluate more -- the more -- like 15 minutes later, so --

13 Q Okay. So I wanted to show you 183. This is the assessments  
14 and treatments section where, again, all this information is entered in the  
15 electronic medical records as you're evaluating the patient after you're  
16 performing vital signs and so on, correct?

17 A Okay.

18 Q Is that correct?

19 A Yes.

20 Q All right. So one of the text results here, is that at 10:30, you  
21 do various assessments, including the HEENT defined assessment.

22 What's that, for the jury's sake, an HEENT assessment?

23 A Ear, nose throat, all the full assessment.

24 Q Okay. Do you do a cardiovascular assessment, correct?

25 A Yes.

1 Q A respiratory define assessment?

2 A Yes.

3 Q And gastrointestinal define assessment, correct?

4 A Correct.

5 Q In addition to these others?

6 A Yes.

7 Q And including a neurological assessment, right?

8 A Yes.

9 Q So at 10:30, do you -- when you're doing these assessments,  
10 and in particular, gastrointestinal define assessment, are you using a  
11 stethoscope --

12 A Yes.

13 Q -- to check the bowel sounds?

14 A Yes.

15 Q Why are you doing that?

16 A To see if there's motility.

17 Q Motility. Can you explain that to the jury?

18 A If there's -- if the patient's got gas or bowels moving in there,  
19 you'll hear it. Narcotics will stop or slow that down.

20 Q Okay. But at this point, bowel sounds were normal in all  
21 quadrants, rights?

22 A Yes.

23 Q Nontender abdomen, soft and nondistended abdomen,  
24 correct?

25 A Yes.

1 Q What's the significance of that to you, monitoring a patient in  
2 the -- in the PACU post-surgery?

3 A That's there's no complications.

4 Q Okay. Now, next you do another one -- it's not highlighted  
5 here, but it's at 11:30, kind of a similar assessment, gastrointestinal  
6 define assessment; bowel sounds were normal. Same soft,  
7 nondistended abdomen, correct?

8 A Correct.

9 Q You do another one at 12:30. Bowel sounds normal, all  
10 quadrants. No diarrhea, no nausea, vomiting, nontender abdomen.

11 A Correct.

12 Q Soft, nondistended abdomen, right?

13 A Yes.

14 Q Is Ms. Taylor continuing to improve in your opinion?

15 A Yes.

16 Q And this is at 1330, or 1:30, same thing, bowel sounds  
17 normal, all quadrants, nontender abdomen?

18 A Yes.

19 Q She did report some nausea at this point, and that's when  
20 you called Dr. Yeh for the nausea medication?

21 A I don't know that.

22 Q Okay.

23 A I don't have that in front of me.

24 Q I'll --

25 A I don't recall, so I'd have to look at the record.

1 Q Okay. At some point, you do make -- have a recollection of  
2 calling a doctor for nausea medication?

3 A I don't have a recollection of it. I have -- I read something in  
4 the chart --

5 Q Okay.

6 A -- when in review.

7 Q Okay. Sounds good. We'll get to that. And then again at  
8 1430, bowel sounds normal. Similar exam, right?

9 A Yes.

10 Q I'm almost done with this document, but I just want to make  
11 sure that we've covered them all. And again, at 1430, the assessment  
12 that you did -- well, we already covered that one. So at 1630, bowel  
13 sounds normal, all quadrants. No nausea, vomiting, nontender, soft,  
14 nondistended abdomen, correct?

15 A Yes.

16 Q So based on -- and I want you to assume that the report of  
17 the operative report that you just saw was in the EMRs at 10:08, or by  
18 10:15 that morning, and it's your custom and practice to review those  
19 operative reports; you would have been aware of that post-operative  
20 perforation of the uterus listed on the operative report?

21 A Not necessarily aware. There's no alert, telling me the report  
22 is done.

23 Q Okay.

24 A They have up to, I believe 24 hours or the time of 24 hours to  
25 complete the report.

1 Q But if it was there -- if it was there in the chart, you would  
2 have had the ability to review it, correct?

3 A I would have had the ability.

4 Q Okay. All right. So the medication report, real quick, those  
5 standing orders that we just went through, and I'll just try to make it  
6 brief, but those orders that we talked about, that counsel went through at  
7 9:30, 9:36 and so on, the order was by Dr. Yeh, correct?

8 A Yes.

9 Q All right. And without going through the rest of them, I'll  
10 show you there was one -- there's three orders, Dr. Brill at the top at  
11 11:19 for Percocet, correct?

12 A Yes.

13 Q See at the very top? Here?

14 A I see that, yes.

15 Q Yeah. And so that's Dr. Brill's order, right?

16 A Yes.

17 Q And that's his standing order?

18 A He placed the order, yes.

19 Q Okay. In other words, it wasn't an order you specifically  
20 called him and needed him to place it at 11:19, correct?

21 A I don't recall.

22 Q Okay. I'll show you two other orders, 13:43 and 12:19, both  
23 by Dr. Bill. One was Percocet, and one was Morphine, right?

24 A Can you slide it down a little bit?

25 Q Sure.

1 A Yeah, 13:43, Morphine.

2 Q And then the Percocet as well from Dr. Brill?

3 A That's showing verified at that time.

4 Q Oh, for Dr. Brill, it's for the Percocet? Got you. Right here,  
5 you mean?

6 A Yes.

7 Q So the antinausea medication, Dr. Yeh that you called him  
8 for, was that the Phenergan or the Reglan?

9 A I don't recall.

10 Q Are both of them antinausea medications?

11 A Yes.

12 Q Okay. Have you ever had patients that you have seen in the  
13 OR who had suffered a post-operative complication of a bowel  
14 perforation?

15 A I don't recall.

16 Q Okay. But at any rate, you're trained in how to recognize the  
17 signs and symptoms of a bowel perforation, correct?

18 A Yes.

19 Q Okay. And at any point in time during your seven and a half  
20 hours of being with Ms. Taylor, did any of her signs and symptoms  
21 indicate to you that she had a bowel perforation?

22 A No.

23 Q Was there any reason, based on her signs and symptoms  
24 and her clinical status that we just went through, that you felt a need to  
25 call Dr. Brill for any concerns that she might have a bowel perforation?



1           A     On a review of the records, no.

2           Q     Okay. And I'll represent to you that the records of standing  
3 orders that Dr. Brill had issued were all at 10:09 a.m. Were you aware of  
4 that?

5           A     No.

6           Q     But that would be consistent with being a post-operative  
7 issued by a surgeon that you would typically follow a patient of theirs,  
8 right?

9           A     Yes.

10          Q     In your years of working in the PACU, have you ever had a  
11 patient report a zero out of zero pain?

12          A     Yes.

13          Q     Okay. How often does that happen?

14          A     Not very often.

15          Q     And so was there anything out of the ordinary with regard to  
16 Ms. Taylor's reports of pain?

17          A     No.

18          Q     Court's indulgence. Oh, when Ms. Taylor was discharged,  
19 did you also discharge her from the PACU and also from Henderson  
20 Hospital?

21          A     I believe I -- I don't know if -- I don't remember if I wheeled  
22 her out to the car or if somebody else did.

23          Q     Okay. That -- there was something --

24          A     When she was discharged from -- when she's discharged  
25 from the PACU, she's discharged from the hospital, she's taken to the

1 car. Or to the entry where the car meets her.

2 Q Would you have also -- are you familiar with the discharge  
3 instructions that are given to patients following their discharge from the  
4 PACU?

5 A Yes.

6 Q Okay. Have you gone over those discharge instructions with  
7 patients in the past?

8 A Yes.

9 Q And I wanted to show you, this is 15 -- excuse me, 13  
10 through 18.

11 MR. BREEDEN: Your Honor, I object. Renewed objection.

12 THE COURT: Thank you. Overruled.

13 BY MR. MCBRIDE:

14 Q 13 through 18, I just want to show these to you.

15 A Where do you want me to start?

16 Q 13 through 18, I can show it to you as well.

17 A Okay.

18 Q But if you want to look at them, it might be -- if it's easier for  
19 you up here. These are patient education notes. Are these, based on  
20 your background and training and experience, are these the discharge  
21 instructions or at least part of -- the first part, that are given to patients  
22 post-discharge from the PACU?

23 A Let me read it real quick.

24 Q I'm sorry?

25 A Let me read it.

1 Q Sure.

2 [Witness reviews document]

3 A This first section, yes.

4 Q Okay. And it says, "Return to the hospital for a fever greater  
5 than 101, pain unrelieved by medications, persistent" -- well, "persistent  
6 nausea or vomiting, and inability to void eight hours after discharge, or  
7 bleeding greater than one pad per hour or abnormal drainage."

8 A Yes.

9 Q Okay. There's also page -- there's the second page on 14,  
10 "When to call your doctor. Bleeding, severe abdominal pain, severe  
11 cramp -- cramps, fever above 101." At any point in time, in your review  
12 of the records from the PACU, did you see if Ms. Taylor's temperature  
13 ever exceeded 101?

14 A I don't recall.

15 Q Okay. Or chills or discharge? Correct? And then it talks  
16 about hysteroscopy.

17 A Chills? Hold on. Can you stop for a minute?

18 Q Sure.

19 A So chills. I don't know if I medicated her for shivering. I  
20 don't remember that. I would have to look at the medications. But not a  
21 discharge, though.

22 Q Okay. And that's a good point, because I think there's some  
23 patients who immediately come out of surgery, because of various  
24 reasons, the temperature in the room is typically a little bit colder in the  
25 operating room. Is it uncommon to find patients who will be in the

1 post-op recovery room and experience chills?

2 A Shivering is not uncommon. We medicate for it. But it is  
3 also a by-product of anesthesia.

4 Q Right. Okay. And at this point, you don't recall -- certainly at  
5 the time she was discharged, she wasn't experiencing any chills?

6 A No.

7 Q Okay. And then the patient education notes go on to another  
8 page. It talks about -- this is page 15. "Risks and possible complications  
9 of a hysteroscopy, including infection, bleeding, perforation, tearing of  
10 the uterine wall, damage to internal organs, scarring of the uterus,  
11 problems with anesthesia." Again, is this a typical patient education  
12 notice given to a patient following a hysteroscopy?

13 A Yes.

14 Q And again -- once again in highlighted section -- this is page  
15 16 -- "Call your healthcare provider if you have heavy bleeding, a fever  
16 over 100.4, increasing abdominal pain or tenderness, foul-smelling  
17 discharge." Again, that's part of the same education notes you've seen  
18 in these discharge instructions?

19 A Yes.

20 Q Next one is simply just talking about going home, things to  
21 avoid, don't use heavy equipment, avoid alcohol, coping with pain, tips  
22 for taking pain medication, correct?

23 A Yes.

24 Q Next page, 18, talks about taking medications on a schedule,  
25 how you should not mix alcohol and pain medications. It talks about

1 managing nausea, correct, all consistent with the instructions you give  
2 the patient post-discharge?

3 A Yes.

4 Q And again, in blue, "Call your surgeon if you still have a pain  
5 an hour after taking medication. It may not be strong enough. Or if you  
6 feel too sleepy, dizzy, or groggy." And then this is the final page, page  
7 19. "If you have side effects like nausea, vomiting, or skin changes."

8 And again, at the time you discharged Ms. Taylor from the PACU,  
9 was -- do you recall if there was ever any request by Ms. Taylor that she  
10 needed to be seen by a doctor for her complaints of pain?

11 A No. I don't recall.

12 Q Well, if she would have, would you have documented that?

13 A Yes.

14 MR. MCBRIDE: Okay. Court's indulgence. Thank you, Mr.  
15 Hutchins. That's all I have. Thank you.

16 THE WITNESS: Thank you.

17 THE COURT: Redirect?

18 MR. BREEDEN: A short redirect, Your Honor.

19 REDIRECT EXAMINATION

20 BY MR. BREEDEN:

21 Q Okay, Mr. Hutchins. Thank you so much. I know you've  
22 been up here for a little while. We'll try to get you out of here pretty  
23 quickly. I want to put back up a record that we've been discussing.

24 MR. BREEDEN: Do I need to hit switch, Kristy? I'm going to  
25 use this thing instead. Can you turn that on? I'm sorry, I had withdrawn

1 this.

2 THE COURT: Okay.

3 BY MR. BREEDEN:

4 Q There it goes. All right. So we've been talking quite a bit  
5 about this record where it says no complications. I'm sorry, it says,  
6 "Complications: none per surgeon." Somebody at the hospital wrote  
7 this, right?

8 A Correct.

9 Q Now, Gary Wernlund, if he wrote it, do you know what his  
10 involvement would be?

11 A He's probably the OR circulator.

12 Q So he would be somebody actually in the operating room --

13 A Yes.

14 Q -- with Ms. Taylor when this procedure is going forward?

15 A Yes.

16 Q Okay. Now, we talked a little bit about orders to administer  
17 pain medications. And those were referred to as standing orders. Do  
18 you recall that?

19 A Yes.

20 Q And so there's standing orders from Dr. Yeh, the  
21 anesthesiologist, and Dr. Brill. Do you recall that?

22 A Standing orders would be -- well, they place orders prior to  
23 the procedure, or they place them after the procedure. And those orders  
24 are just standing orders because I can use them at any time --

25 Q Yeah. You have discretion.

1 A Yes.

2 Q All right. So you didn't have to administer any pain  
3 medication if there were no complaints of pain, right?

4 A Correct.

5 Q Okay. But in your discretion, you administered very strong  
6 pain medications multiple times over a course of several hours, didn't  
7 you?

8 A To my experience, normal medications.

9 Q Normal medications? Multiple doses --

10 A Yes.

11 Q -- of fentanyl and Dilaudid?

12 A Yes.

13 Q Now, you indicated that during her stay in the PACU, Ms.  
14 Taylor continued to improve in terms of her pain symptoms.

15 A Yes.

16 Q But we already covered that she appears to have continued  
17 to improve in her pain complaints because you were giving her a lot of  
18 pain medications, right?

19 A To a point.

20 Q To a point. Okay. You were asked what the symptoms of a  
21 bowel perforation are. What are those to you?

22 A Bowel perforation could be lower back pain, if it -- if you have  
23 bleeding internally, your -- in your body cavity, your body doesn't like  
24 that; it hurts. So the pain would increase if there was -- in continued  
25 bleeding.

1 Q Would you agree with me that extreme pain, nausea, and  
2 difficulty moving are all symptoms of a bowel perforation?

3 A They're also symptoms of surgery.

4 Q Are they also symptoms of a bowel perforation?

5 A They can be both, yes.

6 Q Okay. Now, you indicated that you didn't think Ms. Taylor  
7 was having any pain that was out of the ordinary. She was complaining  
8 of 10 out of 10, excruciating pain, wasn't she?

9 A Pain is subjective, and you accept the pain the patient states  
10 at that level.

11 Q So did you doubt Ms. Taylor was actually in excruciating  
12 pain?

13 A No.

14 Q What would she have done to cause you to question what  
15 she was reporting to you?

16 A Well --

17 MR. MCBRIDE: Objection. He just said he wasn't  
18 questioning it. So --

19 THE COURT: Sustained. He said he wasn't --

20 MR. MCBRIDE: Thank you.

21 THE COURT: -- he didn't question it.

22 MR. MCBRIDE: It's argumentative. Sorry, Your Honor.

23 BY MR. BREEDEN:

24 Q Do you give everyone in the PACU fentanyl?

25 A If it's ordered, and it's necessary.



1 Q Okay. So the answer is do you give it to everyone?

2 A Not everyone, no.

3 Q Do you give everyone in the PACU Dilaudid?

4 A No.

5 Q Do you give everyone multiple doses of Percocet?

6 A Did I give multiple doses?

7 Q Do you give everyone in the PACU --

8 A No.

9 Q -- multiple doses of Percocet?

10 A No.

11 Q Okay. But you did all those things for Ms. Taylor, didn't you?

12 A I don't believe I gave her multiple doses of Percocet.

13 Q You gave her multiple doses of Dilaudid and fentanyl?

14 A Yes.

15 Q Is it your decision or do you have discretion regarding when  
16 the patient is discharged from the PACU?

17 A She has to meet PACU criteria. And sometimes the doctor  
18 will have specific requirements.

19 Q Okay. So let's talk about Ms. Taylor in particular. Are you  
20 the one that made the decision that said, hey, she's met the  
21 requirements and she can go home, or was it somebody else?

22 A It was my decision based on the requirements.

23 Q Okay. And at the time you made that decision, in the entire  
24 time you're treating her in the PACU, did you have any knowledge that  
25 she had either a perforation to the uterus or the small intestine?

1           A     No.

2           MR. BREEDEN: Those are all the questions I have, thank you.

3           MR. MCBRIDE: No questions, Your Honor.

4           THE COURT: Thank you. Thank you. Any questions from  
5 our jurors?

6           All right. Thank you, Mr. Hutchins. You may be excused.

7           THE WITNESS: Thank you.

8           THE COURT: Counsel, approach.

9           [Sidebar at 3:26 PM, ending at 3:27 PM, not transcribed]

10          THE COURT: All right. Ladies and gentlemen, we're going to  
11 take an afternoon break. It's been about 90 minutes. And we're going  
12 to -- I'm going to give you a 30-minute break because we have a few  
13 legal issues we need to discuss. So we're going to try not to take up too  
14 much of your time.

15                 During the break, you're instructed not to talk with each other  
16 or anyone else about any subject or issue connected to this trial. You're  
17 not to rewatch or listen to any report or commentary on the trial by any  
18 person connected with this case by any medium of information,  
19 including without limitation newspapers, television, internet, or radio.

20                 You're not to conduct any research on your own related to  
21 this case, such as consulting a dictionary, using the internet, or other  
22 reference materials. You are not to test any theory of the case, recreate  
23 any aspects of the case or in any other way investigate the case on your  
24 own. You're not to talk to others, text others, tweet others, Google  
25 issues, or conduct any other kind of book or computer research with

1 regard to any issue, party, witness, or attorney involved in this case.  
2 And finally, you're not to form or express any opinion on any subject  
3 connected to this trial until the case is finally submitted to you.

4 I'll see you in 30 minutes at four o'clock.

5 [Jury out at 3:28 p.m.]

6 THE MARSHAL: The jury is clear of the courtroom, Your  
7 Honor.

8 [Outside the presence of the jury]

9 THE COURT: Thank you. We're outside the presence -- thank  
10 you -- of the jury. And do you want to take a break first and come back?

11 MR. MCBRIDE: Sure.

12 THE COURT: So you want 5, 10 minutes?

13 MR. MCBRIDE: Sure.

14 THE COURT: All right.

15 [Recess from 3:29 p.m. to 3:35 p.m.]

16 [Outside the presence of the jury]

17 THE COURT: All right. Let's go back on the record in case  
18 number A18773472-C. Feel free to keep snacking and drinking. I know  
19 everybody needs something. We are on the record in Taylor v. Brill.  
20 Counsel for both sides are present. We're outside the presence of the  
21 jury. And let's put the objections on the record and then discuss the  
22 other issues.

23 During the testimony of Elizabeth Laca, correct, is that -- did I  
24 say that right, anybody?

25 MR. BREEDEN: She was this morning?

1 THE COURT: Did you hear he put that on the record?

2 MS. HALL: He sure did.

3 THE COURT: Okay. Sorry. I was just making sure.

4 MR. BREEDEN: I don't think there was much regardless, for  
5 her.

6 THE COURT: Okay. And are you sure? I know we discussed  
7 it at the bench. I don't recall talking about it outside, but if you guys say  
8 we did.

9 MR. MCBRIDE: I think we did before the break.

10 THE COURT: Okay.

11 MS. HALL: I think so.

12 THE COURT: All right.

13 MS. HALL: It feels like yesterday.

14 MR. BREEDEN: I don't recall any highly relevant objections  
15 during that testimony either way.

16 THE COURT: Well, it was the invoice argument.

17 MS. HALL: Oh, I'm sorry. I think that was Ms. Herda, the --

18 MR. BREEDEN: Yes.

19 MS. HALL: -- City of Henderson. Her name was Laurie  
20 Herda.

21 THE COURT: Oh, that's -- okay. Did we put that on the  
22 record?

23 MS. HALL: No.

24 THE COURT: That's the one I was -- okay. So that's the one  
25 that I'm referencing. Sorry. My apologies. So as to Ms. Herda, during

1 Plaintiff's questioning he was talking about two ambulance invoices  
2 with -- related to services that Henderson provided. There was a  
3 foundation objection. Anything further on behalf of Defendant?

4 MS. HALL: Very briefly, Your Honor. The *Banks v. Sunrise*  
5 case, 120 Nev. 822, it's a 2004 Nevada Supreme Court case, and it says  
6 that expert medical testimony is needed to establish that medical  
7 treatment and the costs associated are related to the Defendant's  
8 negligence. So it's my position that that is needed in order to have any  
9 of the medical billing for past medical treatment entered in this case. So  
10 that was the basis of my objection.

11 THE COURT: Okay. Any response by Plaintiff?

12 MR. BREEDEN: I have a very long response.

13 THE COURT: Go ahead.

14 MR. BREEDEN: Okay. So I need to frame this very clearly for  
15 the record. And this concerns both the objections made during Ms.  
16 Herda's testimony and some objections that I foresee from the next few  
17 witnesses, Your Honor.

18 THE COURT: Uh-huh.

19 MR. BREEDEN: Plaintiff will be attempting to introduce  
20 evidence of approximately \$200,000 in related medical expenses. The  
21 Defense has not disclosed anybody who will testify that those are not  
22 usual, customary, and reasonable expenses. They have not disclosed  
23 anybody who will say that that care was not reasonable and necessary  
24 for Ms. Taylor. I have deposed both Dr. Brill and the Defense retained  
25 expert, Dr. McCarus. And neither one of them will be stating that they

1 find anything unreasonable about the medical expenses or any of the  
2 subsequent care not to be related.

3           However, the Defense is not stipulating. They want us to put  
4 on some evidence of usual, customary, and reasonable medical  
5 expenses and the relatedness of the care. Now, it sounds like we're  
6 going to get a parade of highly technical objections from the Defense as  
7 we try to put on this evidence. And I want to make procedural and  
8 substantive arguments to the Court. Okay.

9           Procedurally, Your Honor, if the Defense wanted to make  
10 these arguments. I think a good idea for them would've been to submit  
11 a motion in limine or a motion for summary judgment so these can be  
12 completely reviewed and thoroughly reviewed by the Court in advance.  
13 We are now at trial.

14           So I think the best care scenario here is you have to allow the  
15 evidence to proceed. If they feel they have a directed verdict -- or a  
16 directed verdict motion after presentation of Plaintiff's case, then I guess  
17 they can bring that. But there were quite a few problems with the  
18 Defense position. And again, I begin with a couple of procedural  
19 problems.

20           The Defense will have to concede that we have disclosed and  
21 a retained expert who will talk about the reasonableness and necessity  
22 of care, and the relation of that subsequent care to the perforation  
23 injuries, as well as the usual, customary, and reasonable nature of the  
24 expenses.

25           Now, if they are challenging the sufficiency of those

1 disclosures -- okay, in other words, they're saying, hey, they didn't do  
2 this, and technically, they should've done this, you know, they disclosed  
3 it in this way, but it should've been disclosed in another way, that is a  
4 discovery issue. That must be raised first before the Discovery  
5 Commissioner. And that allows a 2.34 conference, as well as typically,  
6 an opportunity to cure any technical defect by the allegedly offending  
7 party under Rule 37 before some sort of sanction, which would include  
8 excluded \$200,000 in medical bills, is administered. And that comes  
9 directly from the Valley Health System v. 8th District Court case, 127  
10 Nev. 167.

11 Over the last week is the first time I'm hearing any of these  
12 objections. And I'll tell you why I think that is in a minute. But it has  
13 been waived. It has also been waived under Rule 16.1A because I  
14 disclosed all of this information in our pre-trial disclosures when we  
15 explained what witnesses are going to appear and what they're going to  
16 testify to. And I cannot recall any written objections served within 14  
17 days of that. Okay. The Defense has known this is coming.

18 Now, more substantively, the Defense is trying to pretend  
19 that we have no expert who will indicate that the care that Ms. Taylor  
20 received after the perforation is reasonable, necessary, or related to the  
21 perforations. And this is all true. First of all, again, both Dr. Brill and Dr.  
22 McCarus have not opposed this. They were asked at deposition. They  
23 have sworn deposition testimony. And I can certainly use what any  
24 other witness in this case has said. Ms. Hall reminding me of that on the  
25 perusing motion that was filed, when she wants to use my expert for a

1 point that she wants.

2 But in addition to that, if we look at Dr. Berke's report -- and I  
3 can provide you with a copy of all this. He plainly says, "In terms of  
4 medical causation, the failure of the original procedure, Ms. Taylor's  
5 subsequent pain and discomfort, her two emergency room visits, her  
6 hospitalization with resection surgery and related care, as well as her  
7 course of antibiotics post-op, are all related to the perforations caused by  
8 Dr. Brill. Now, I read further. "A summary of my opinions." It says,  
9 "David Brill beneath the standard of care during the procedures  
10 performed, resulting in perforation of the uterus and small bowel,  
11 causing the patient extreme pain and discomfort, and resulting in nine  
12 days of hospitalization, bowel resection, and other post-operative  
13 medical care that should not have been necessary."

14 Now, if the Defense was confused by that, we also made  
15 written disclosures, a disclosure statement, where we plainly state,  
16 reasonableness and necessity of care. The expert witness, which is Dr.  
17 Berke, who is expected to testify tomorrow, is expected to testify that the  
18 treatment of various providers stated in his report was reasonable and  
19 necessary following the perforation. It seems difficult to imagine that the  
20 Defense could not have comprehended that we are going to call Dr.  
21 Berke on this issue. And yet they want to make the argument, which has  
22 been waived under two different rules, that it has to be presented to the  
23 Discovery Commissioner first, and that they haven't objected in our pre-  
24 trial witness disclosures.

25 Now, let me go to the billing issue of usual, customary, and



1 reasonable charges because that's different form the medical causation  
2 and relatedness issues. Okay. The applicable law here is the *Curtis* case.  
3 This is really the only case that I'm aware of in Nevada -- of the Nevada  
4 Supreme Court that has commented on the level of evidence that you  
5 need to present to establish usual, customary, and reasonable charges.  
6 Okay.

7           In the *Curtis* case, they called a doctor, okay, who performed  
8 the treatment. And the doctor says, I don't know what's usual and  
9 customary, but I think my bill is reasonable. And the Defendant  
10 apparently challenged that, took it up on appeal. And the Nevada  
11 Supreme Court says you only need a minimal amount of evidence. I  
12 think it says substantial evidence. In order to support the  
13 reasonableness of charges, that's good enough.

14           Now, this issue, Judge, is not one that a -- for example, a  
15 retained expert witness needs to testify regarding. And in fact, my  
16 experience with treating providers is if you call them in, often the doctor  
17 will say, you know, I don't really deal with the billing myself, I leave that  
18 to my billing folks. Okay.

19           So in this particular case, we didn't have our retained expert  
20 comment on the usual, customary, and reasonable nature of charges.  
21 Instead, we have relied on the admissions from the Defendant and the  
22 Defense expert, that they are not challenging that. And we disclosed  
23 several witnesses, three of which are out in the hall right now waiting to  
24 testify. That those folks are going to come into court and say, this is our  
25 bill, this is what we charge, we charge from -- you know, we set the fee

1 schedule, we think our own bill is reasonable. Okay. This is very  
2 common, in my experience. This is not earth shattering or something  
3 new that I am trying.

4           However, it appears that about a week ago -- and by the way,  
5 so in advance of this trial, months ago, we reached out to these  
6 providers, and we said hey, we need a billing representative to testify to  
7 these issues, you know, tell us who that is, we'll disclose that apron.  
8 And we're going to call them as a witness in trial. Okay. So I had actual  
9 names for everybody. I was going to call for that, okay? One of the  
10 providers, which is the most reluctant, they did not originally object to  
11 any of the subpoenas, nor did Defense counsel. Okay. About a week  
12 ago, I get contact from somebody at St. Rose. And they're doing what I  
13 would describe as a shell game or a cat and mouse game. And they tell  
14 me, we don't want to bring anybody to testify that our own bills are  
15 reasonable. And I tell them look, I've given you a valid subpoena, you  
16 need to send somebody. Okay.

17           Now, three of the subpoenas that I did for today name actual  
18 people. The St. Rose one doesn't. And the only reason why is we  
19 originally -- it was either my staff or me that personally spoke to these  
20 folks. We had a name. We subpoenaed that person. They contacted us  
21 back and they said, no, no, no, want you to redo the subpoena, do it as  
22 building custodian of records and/or person most knowledgeable. So  
23 that's the way they did it.

24           So I find it frustrating that when I reserved that subpoena, St.  
25 Rose waiting two weeks until we're mid-trial, and then they file some

1 sort of attempted motion to quash the subpoena yesterday. Now, I  
2 would describe as what some of these providers are doing as trying to  
3 sabotage Ms. Taylor's case. Hear no evil, speak no evil, see no evil. If  
4 we don't show up and testify to the reasonableness of our own bills,  
5 maybe Ms. Taylor won't be able to prove the usual, reasonable, and  
6 customary nature of those bills. Now, I've served subpoenas. People  
7 have to appear. If they don't appear today to testify to that, I'll issue new  
8 subpoenas, or I'll ask you for a bench warrant for a representative from  
9 these companies.

10 I have never experienced in my entire career people that  
11 don't want to come to court and testify to the reasonableness of their  
12 own bills. Clearly, someone is qualified to do that over there. And I  
13 highly doubt they're going to come into court and say, yeah, we charge  
14 people like crazy, it's way overbilling, our billing is unreasonable. And  
15 even if they did that, Judge, I would have the right to say, well, what do  
16 you think a reasonable charge for this bill will be if it's not the face value  
17 of the bill. So this is that situation where we are.

18 THE COURT: Let me pause you for one second. What is the  
19 citation for the *Curtis* case?

20 MR BREEDEN: I'm sorry, Your Honor. I'd have to look it up  
21 on my phone. I think it's *Curtis v. Franceschi*.

22 THE COURT: Okay. I found it now. Go ahead.

23 MR. BREEDEN: Yeah. And you'll see, it's towards the end of  
24 that opinion. And it's one paragraph, I believe.

25 THE COURT: Okay. You can keep going.

1 MR. BREEDEN: And the truth is, Your Honor, I think even if I  
2 called these witnesses -- and this may be how this hashes out here in a  
3 few minutes. I may call on them and I may say, hey, is this your bill?  
4 Yup, that's a copy of our bill. You know, do you bill from a set schedule  
5 of fees or charges? Yes, that's what we do. Did you charge my client  
6 any more or any less than any other person who might have come into  
7 the hospital and received the same services on the same day? No, we  
8 didn't do that. Do you have any reason to think your bill is for some  
9 reason unreasonable in the amount? They'll probably say no. Do you  
10 have any reason to think your bill fits for some reason unreasonable in  
11 the amount? They'll probably say no. Do you have any reason to think  
12 it's not usual and customary for our community? I expect they'll say no.

13 If they say, I refuse to testify on that issue, then I think you're  
14 going to have to direct them to testify, or I'm going to ask them who at  
15 your company can testify to that issue? I'll issue a new trial subpoena,  
16 and we'll have the people here Monday. But I'm very frustrated with the  
17 fact that -- it appears some of the providers -- and I don't know if it's out  
18 of spite or because some of the Defense counsel don't like me. I don't  
19 know. But they're saying, we don't want to send a witness to help your  
20 client and testify truthfully. And that's why we subpoenaed these, folks,  
21 so that they can't make those arguments. And that's what I would say  
22 for the record.

23 THE COURT: All right. Hold on, Ms. Hall. Give me one  
24 second. All right. Go ahead, Ms. Hall.

25 MS. HALL: I don't really know where to start. So I will try

1 not to jump around too much. But I do want to start I guess with the  
2 idea that we have somehow waived our right to make contemporaneous  
3 objections when Plaintiff's counsel tries to admit medical bills. I don't  
4 believe that the defense is required to in advance tell Plaintiff's counsel. If  
5 you try to admit this and you don't have the proper foundation, we will  
6 object. Not doing that doesn't waive our right to make  
7 contemporaneous objections as evidence is attempted to be admitting it  
8 at all.

9           Mr. Breeden just acknowledged to this Court that he did not  
10 have his expert, Dr. Beker, offer an opinion that the billing -- the medical  
11 bills are reasonable, necessary, and customary. I would tell this Court  
12 that in 15 years, I've had this come up, and I have had this come up with  
13 some very experienced plaintiff's counsel who do medical malpractice  
14 where they have failed to do that. Where they have failed to have their  
15 retained expert comment on the medical bills. And in every single one  
16 of those instances, those medical bills have not been admitted. If Mr.  
17 Breeden -- let me finish.

18           If Mr. Breeden has his expert come in here and offer a brand  
19 new opinion, which he has just acknowledge has never been offered,  
20 that the medical bills are reasonable, necessary, and customary, I  
21 absolutely have a right to object to that. But Mr. Breeden hasn't yet  
22 presented this for Dr. Berke. We are dealing with laypeople.

23           And with respect to counsel for St. Rose. Last Thursday, she  
24 sent an email to both Mr. Breeden and myself that said, "In  
25 communicating with Mr. Breeden, he indicated that the subpoena was

1 necessary because he wanted the witness from St. Rose to testify to  
2 reasonable, necessary, and customary regarding the Dignity Health  
3 billing".

4           And the attorney said that this is not appropriate testimony  
5 from a billing custodian. They are not medical people. That is not their  
6 job function. All they are is the custodian of the record, and they can say  
7 that the subject billing includes these dates of service, the total charges,  
8 and they're kept in the regular course. What they can't do without the  
9 necessary medical expertise, which is why most people have their  
10 retained expert do this, is say that the medical bills are related to the  
11 allege negligence. And so that is the basis for our objection.

12           I certainly -- I don't know. I have not spoken to any of these  
13 custodians of record, and I don't know what Mr. Breeden is going to  
14 attempt to do with these witnesses. But to the extent that all of  
15 them -- as in my experience, most billing custodians have zero medical  
16 training. They're not usually a nurse, they're not usually anything at all  
17 in terms of medical treatment. They are not qualified. And should they  
18 be attempting to elicit testimony from a lay witness for which they are  
19 not qualified, I absolutely have a right to object to that on behalf of the  
20 Defendants.

21           And with respect to my expert, Dr. McCarus, as well as Dr.  
22 Brill. Neither of those people in this matter have reviewed the medical  
23 billing for Ms. Taylor's care and treatment. So the suggestion that they  
24 haven't offered an opinion that the medical billing isn't reasonable or  
25 isn't necessary or isn't customary or isn't related to the alleged

1 negligence, that is not my burden. And in order for Plaintiff to get the  
2 medical bills admitted, again, that is the burden of the Plaintiff to present  
3 that evidence to this Court.

4 THE COURT: All right. Anything in response, Mr. Breeden?

5 MR. BREEDEN: A physician does not have to appear in order  
6 to testify that bills are usual, customary, and reasonable in amount.  
7 Okay, talking about the amount of the charges. And if you ask most  
8 physicians, they tell you, I don't do anything with billing. That's my  
9 billing department. You know, I've got a whole third-party company that  
10 I hired to do the billing. So I don't know anything about the bills. I don't  
11 know how much was even billed, let alone what is usually, reasonable,  
12 and customary in amount.

13 Okay. This is why it's routine to call these billing  
14 representatives to testify about that. I did not-- when I spoke to these  
15 folks and said, who from your organization is going to show up? I didn't  
16 say, hey, I just need somebody to say, yup, these are the bills, and walk  
17 off the stand. Okay. It is not only disclosed, but I've spoke to the folks  
18 and said, I need testimony regarding the usual, customary, and  
19 reasonable nature of expenses.

20 If you rule that a doctor has to come into court and testify on  
21 that, that would be the first ruling of that kind I have ever heard of. And I  
22 know that Ms. Hall has cited, you know, in her 15 years, these phantom  
23 cases, I have to tell you my experience is quite different, Your Honor.  
24 And I think this is very typical in a civil case. And again, the reason why  
25 you don't call the doctors -- we didn't ask Dr. Hamilton, okay?

1 Dr. Hamilton, I think her billing was four grand or something  
2 to that effect. And I know if I ask her that, she's just going to say, I don't  
3 know what was billed. You know, I leave that to the hospital, or I leave  
4 that to the -- you know, the clinic I used to work for. So we didn't even  
5 try to elicit that from her in this case.

6 And if you look at the *Curtis* case and cases from other  
7 jurisdictions, it is the faintest of evidence that is required to support this.  
8 I would even argue, Your Honor, that a bill that is unrebutted by the  
9 defense is, by itself, prima facie evidence of a usual, customary, and  
10 reasonable amount.

11 However, I'm going to ask the witnesses again, do you have  
12 any reason to think this is an unreasonable bill? Do you have any reason  
13 to think it's not usual and customary in our jurisdiction? And if they say  
14 no and no, then I guess that's some evidence, combined with the fact  
15 that the defense witnesses aren't going to oppose that, that the bill is  
16 usual, customary, and reasonable.

17 THE COURT: Okay. So couple of things since we're clearly  
18 all over the place. I do want to also note for the record, even though it's  
19 in the record, that on October 8th, counsel for St. Rose -- Dignity Health  
20 doing business as St. Rose Dominican Siena did file an objection to your  
21 subpoena indicating, I guess, outlines the same email that Ms. Hall just  
22 read into the record. To which I did not see your response. I am also  
23 reading the *Curtis v. Franceschi* case. And while it does say the award  
24 for medical services we believe is supported by substantial evidence, the  
25 next sentence says the attending physician testified as to what he



1 charged, and he believed that the charges were reasonable and that he  
2 had no usual and customary fee, and we think such testimony is  
3 sufficient.

4 And other cases that I've read also have typically physicians  
5 or some other expert. Now, I'm not saying it has to be a physician, but I  
6 don't see how just an average billing person can establish what's  
7 reasonable in the community rule -- reasonable, customary in the  
8 community. I mean, maybe if it was a top administrator who helped set  
9 the rates, that would be different.

10 Also, I do think it's the Plaintiff's burden to establish what's  
11 customary, usual, and reasonable in the community. I don't think that  
12 burden is on the Defendant.

13 MR. BREEDEN: That's who I've tried to subpoena, Judge, is  
14 somebody who can come in and talk about the usual, customary, and  
15 reasonable nature of these expenses. If the hospital doesn't want to  
16 provide it, that's not my issue. The hospital needs to comply with the  
17 subpoena.

18 THE COURT: Okay. So this is what we're going to do. The  
19 three witnesses are out there. I'm going to give you -- both sides a  
20 chance to voir dire them outside the presence of the jury just to make a  
21 complete record. And then I'll make a decision after that. So I'm going  
22 to bring the jury back in, go ahead and release them for the night. We'll  
23 come back tomorrow after we voir dire these three witnesses to see if  
24 you can lay a foundation for it. And if they have any basis of knowledge,  
25 obviously, I'm going to allow you to question them outside the presence

1 so we can see what they say they know or what they don' t know.

2 And tomorrow, we're set to start at 8:30. Any reason why we  
3 can't start at that time?

4 MR. BREEDEN: No, Your Honor. But I do have a doctor  
5 testifying tomorrow first, and it's kind of a firm time commitment for  
6 him.

7 THE COURT: At 8:30?

8 MR. BREEDEN: At 8:30.

9 THE COURT: Okay. Then we'll start 8:30.

10 So I'm going to bring the jury back in, release them until 8:30  
11 tomorrow, and then we'll proceed one by one with the witnesses. Wait,  
12 we have one more -- well, we'll do that at the end.

13 Go ahead. Bring the jury back in.

14 MR. MCBRIDE: Your Honor, what are you going to do then  
15 so -- if you find that these witnesses have admissible information, you're  
16 going to have them come back another day? Okay.

17 THE COURT: Yeah. But we've got to establish that first. You  
18 guys aren't having any issues tomorrow outside the presence, right?

19 THE MARSHAL: All rise for the jury.

20 THE COURT: Okay.

21 MR. MCBRIDE: Your guess is as good as mine.

22 [Jury in at 4:04 p.m.]

23 THE MARSHAL: The jury is all present, Your Honor.

24 THE COURT: Thank you. You may be seated.

25 So our issues are taking a little bit longer than expected. And

1 rather than have you wait, I'm going to go ahead and release you for the  
2 evening. And tomorrow, we'll be back at 8:30, and we're going to go  
3 until 3:00 tomorrow. So I'm going to give you the admonishment again  
4 just because I have to. But, again, my apologies. We have to deal with  
5 some issues, and I just don't want you waiting around. So I'm going to  
6 go ahead and release you.

7           You are instructed not to talk with each other or with anyone  
8 else about any subject or issue connected with this trial. You are not to  
9 read, watch, or listen to any report or commentary on the trial by any  
10 person connected with this case by any medium of information including  
11 without limitation newspapers, internet or radio, television.

12           You are not to conduct any research on your own related to  
13 this case, such as consulting dictionaries, using the internet or other  
14 reference materials, test any theory of the case, recreate any aspect of  
15 the case or in any other way learn about the case or investigate on your  
16 own. You are not to talk with others, text others, tweet others, google  
17 issues or conduct any kind of book or computer research with regard to  
18 any issue, party or witness involved in this case. And finally, you are not  
19 to form or express any opinion on any subject related to this trial until  
20 the case is submitted to you.

21           I'll see you at 8:30. Thank you so much.

22           THE MARSHAL: All rise for the jury. Go ahead and leave  
23 your notepads closed on your chair. Come on this way, please.

24           Jury is clear of the courtroom, Your Honor.

25                           [Jury out at 4:06 p.m.]

1 [Outside the presence of the jury]

2 THE COURT: Thank you. We're outside the presence of the  
3 jury. And so who are we going to start with? Does it matter to you?

4 MR. BREEDEN: It'll be Christy Sandoval.

5 THE COURT: All right.

6 THE MARSHAL: Please step up. Please face the clerk to your  
7 left, and please raise your right hand.

8 CHRISTY SANDOVAL , PLAINTIFF'S WITNESS, SWORN

9 THE CLERK: Please be seated. Please state and spell your  
10 name for the record.

11 THE WITNESS: Christy Sandoval. C-H-R-I-S-T-Y,  
12 S-A-N-D-O-V-A-L.

13 VOIR DIRE

14 BY MR. BREEDEN:

15 Q Good afternoon, Ms. Sandoval.

16 A Hi.

17 Q Where are you employed?

18 A At -- with Brian Jonathan Lipman's office.

19 Q Okay.

20 A Infectious disease.

21 Q How long have you been employed at that office?

22 A At the office -- I've been back and forth. I was -- I've been  
23 employed with them about three years, but in the office the last six  
24 months. I used to work in and out of the office.

25 Q And what kind of physician is Dr. Lipman?

1           A     Infectious disease.

2           Q     And is he a solo practitioner or does he have a company  
3 where he practices with other doctors?

4           A     He is the president of his practice, and he does have some  
5 nurse practitioners that work under him.

6           Q     And what's your job position or title there?

7           A     A little bit of everything. Personal assistant to his wife,  
8 administrator. I do the credentialing for the insurances. And I do some  
9 of the hospital billing, processing that to send that with the proper  
10 diagnosis codes to the billing department. And then I also do medical  
11 records requests for the office as well. Schedule.

12          Q     Okay. So explain to me your involvement in the billing  
13 process.

14          A     In the billing process. Basically, if an attorney asks for  
15 medical records in billing, I contact my billing department. They send  
16 me over the billing ledger, and then I submit that to the attorney's office.

17          Q     Okay. Now, before you came to court here today, did you  
18 familiarize yourself with the billing account of my client, Kimberly  
19 Taylor?

20          A     The only knowledge that I have on that because her chart is  
21 in archives is the billing ledger that I submitted to your office.

22          Q     Okay. And what's the amount of that billing?

23          A     I think it was around 20,000, I believe.

24               MR. BREEDEN: Your Honor, I'd like to show the witness  
25 something to refresh her recollection.

1 THE COURT: Okay.

2 [Witness reviews document]

3 BY MR. BREEDEN:

4 Q Handing you the document, does that refresh your  
5 recollection about the exact total of the billing for Ms. Taylor?

6 A Hold on. Yes. That's what I did submit to you.

7 Q And so what is the exact total to the penny?

8 A The exact total on the ledger is 20,800 -- and I think that's -- is  
9 that a 6.45?

10 Q Can you just state it again so it's clear for the record?

11 A \$20,805 -- I can't -- because of the copy, I can't tell if it's 5 or  
12 6 -- and 45 cents.

13 Q Okay. So within a dollar, you've told us what the amount of  
14 the bill is?

15 A Correct. Yes.

16 Q All right. How is the amount of Dr. Lipman's charges or  
17 billing determined?

18 A I mean, I'm not a billing manager, so I would say going by  
19 the insurance companies. As protocol for any medical office, you submit  
20 the billing to the insurance company, if it's through the insurance. If it's  
21 through a lien, then you submit it through the lien. If it's through a  
22 workman's comp, you submit it through the workman's comp.

23 Q Who is the billing manager of the office?

24 A Julie Urda. She owes the -- she's a CERD. She is the one who  
25 processes the billing for Dr. Lipman's office for hospital billing and in-

1 office billing.

2 Q Can you spell her last name?

3 A J-U-R-D-A. Pro -- I believe it's ProActive Medical Solutions  
4 Office.

5 Q Do you know where the office location is?

6 A The office location here is in Las Vegas. It's, I believe, on  
7 Durango. And she works from her home office in San Diego.

8 Q Do you know is the billing determined from a standard  
9 schedule of charges?

10 A Whatever is protocol for the insurance companies. So a  
11 standard protocol, I would say yeah.

12 Q Okay. So as far as you know, there is a standard schedule of  
13 fees -- charges?

14 A Whatever the -- when it comes to insurances, you  
15 basically -- it depends on if the patient has met their out-of-pocket  
16 deductible, their -- you have the deductible, you have their out of pocket,  
17 if there's a coinsurance. There's a lot of things that go into play for how  
18 the -- how the billing is processed.

19 Q Okay. And I don't mean in terms of deductibles and so forth.  
20 I mean the total amount of the charges. The 20,000 or so there; is that  
21 determined from a set schedule that Dr. Lipman or one of his billing  
22 people has?

23 A Well, you have billing codes that they would -- they would  
24 follow.

25 Q Okay.

1           A     So whatever the billing code and the allowable amount to  
2 bill, then that's what the billing code is. It would go by the billing codes.

3           Q     Okay. So the office uses standard codes, and based on those  
4 codes, it generates the amount of the bill?

5           A     Correct.

6           Q     Okay. How many bills from Dr. Lipman's office have you  
7 seen in your time there?

8           A     We don't directly see the bills. The patients get a ledger from  
9 the -- mailed to them, so they usually call in to make a payment on those.  
10 If they -- when they call in and they ask me what their total balance is, I  
11 have to contact our billing department to get that total balance because I  
12 do not have access to the billing portion.

13          Q     Do you have any reason to believe that Ms. Taylor was billed  
14 anything more or anything less than any other patient seen in Dr.  
15 Lipman's office for the same services would be charged?

16          A     I have never came in contact with her. I don't -- I have never  
17 seen her medical records file completely. So I really can't comment on  
18 that as far as if she was -- how can I -- how can say it? If she was singled  
19 out, if that's what you are stating. I have no knowledge of that  
20 whatsoever.

21          Q     Okay. So you have no reason to believe that occurred?

22          A     Not -- no, not to my knowledge.

23          Q     Do you have any reason to believe that the amount of the  
24 bill, the 20-some-thousand, that that is unreasonable for some reason?

25          A     Because I have no seen her exact medical records chart or



1 know exactly what -- I don't know if she went into infusion. I don't know  
2 what exactly she did. I believe these bills come from 2017. And at  
3 that -- at that moment, I was not in the office to have personally engaged  
4 with her or know exactly what procedure she had done or checked her  
5 out to see what billing code that we billed for.

6 Q My question is do you have any reason to believe the  
7 amount of that billing is unreasonable for some reason?

8 A I don't know exactly the procedure she had, so I wouldn't be  
9 able to say. If she was -- if I knew she did exactly -- just all the confusion  
10 and that her insurance didn't cover a certain portion, then I would. I --  
11 unfortunately, I can't give you a direct answer on that.

12 Q Okay. So excluding any issue of insurance rates or  
13 reimbursements, do you have any reason to think the amount of the bill  
14 is unreasonable?

15 A I don't know her medical record history. I've never seen  
16 those medical records, so I can't say that --

17 Q So you have no reason to believe the bill is unreasonable?

18 A With her medical -- without seeing her medical records or  
19 processing that, I can't say it's not an unreasonable amount.

20 Q Do you have any reason to believe that the bills, for some  
21 reason, are not usual and customary?

22 A Not usual and customary. Again, without knowing exactly  
23 what she was exactly seen for and seeing her and processing her billing  
24 myself, I can't say that they overcharged her or undercharged her.

25 Q Okay. If you are not the person associated with Dr. Lipman's

1 office that has the most knowledge about billing and how bills are  
2 calculated and what amounts are reasonable, usual, and customary, who  
3 would that be?

4 A To give you your exact answer for this would be Julie Urda.

5 MR. BREEDEN: Okay. Thank you. I have no further  
6 questions.

7 THE COURT: Thank you. On behalf of Defense?

8 MS. HALL: Thank you, Your Honor.

9 VOIR DIRE

10 BY MS. HALL:

11 Q Good evening, Ms. Sandoval.

12 A Hi.

13 Q My name is Heather Hall. I will try to be brief. I just have  
14 some -- a few questions for you. A minute ago, Counsel asked you if you  
15 could say whether -- if you had any reason to believe the billing provided  
16 to the patient was unreasonable. Is it true that you also -- you can't  
17 comment one way or another?

18 A Correct.

19 Q You can't say that it's unreasonable and you can't say that  
20 it's reasonable.

21 A Correct.

22 Q And that's because you personally -- do you have any  
23 involvement in setting the rates of charges to a patient at Dr. Lipman's  
24 office?

25 A Absolutely not.

1           Q     Have you ever worked for any other infectious disease  
2 doctors here in Las Vegas?

3           A     No.

4           Q     Have you ever compared Dr. Lipman's billing on any patient,  
5 including Ms. Taylor, to charges from some other infectious disease  
6 doctor here in Las Vegas?

7           A     No.

8           Q     And in terms of the rate that is charged, are you able to say  
9 one way or another whether the rate Dr. Lipman's office charges is  
10 customary compared to other infectious disease doctors here in Las  
11 Vegas?

12          A     No, because I've never worked for another infectious disease  
13 office.

14          Q     And with respect to Ms. Taylor's medical records, you said  
15 you've never reviewed those?

16          A     No.

17               MS. HALL: Thank you.

18               THE COURT: Any redirect?

19               MR. BREEDEN: Nothing further, Your Honor.

20               THE COURT: All right. Thank you. Could you wait outside in  
21 the hallway, please? Thank you so much. And who are you going to call  
22 next?

23               MR. BREEDEN: I call Tina Burch.

24               THE MARSHAL: Please step up. Please face the Court to  
25 your left and please raise your right hand.

1 TINA BURCH, PLAINTIFF'S WITNESS, SWORN

2 THE CLERK: Please be seated. Please state and spell your  
3 name for the record.

4 THE WITNESS: Tina Burch, T-I-N-A, B-U-R-C-H.

5 VOIR DIRE

6 BY MR. BREEDEN:

7 Q Good afternoon, Ms. Burch.

8 A Hi.

9 Q What do you do for a living?

10 A I am the customer service billing manager for UHS of  
11 Delaware.

12 Q Okay. Explain how UHS of Delaware is associated with  
13 Henderson Hospital.

14 A We contract with the facility. Henderson Hospital is one of  
15 our facilities.

16 Q And specifically, do you contract with them to handle  
17 medical billing?

18 A We do. I'm just the billing manager for the Henderson  
19 Hospital. So that's my part in UHS. And then, I'm not sure.

20 Q How long have you been the billing manager for Henderson  
21 Hospital?

22 A For six months.

23 Q Prior to that, what was your experience in medical billing?

24 A I've been doing it for about three years.

25 Q And has that entire time been in Las Vegas?

1 A For the three years, yes.

2 Q Okay. What other hospitals or companies have you worked  
3 for in the -- as a billing manager or a billing representative?

4 A Billing representative, just for UHS of Delaware.

5 Q Well, what other hospitals associated with UHS of Delaware,  
6 then?

7 A We have 12 facilities.

8 Q Do you have experience working with each of those 12  
9 facilities?

10 A Correct.

11 Q And doing the billing for each?

12 A Correct.

13 Q And are those 12 facilities all in Clark County, Nevada?

14 A No.

15 Q How many of them are in Clark County?

16 A Seven. Well, we have one in northern Nevada. I don't know  
17 if that's considered Clark County.

18 Q Well, if it's in northern Nevada, it's probably Washoe County.

19 A Yeah. I --

20 Q You mean the Reno area?

21 A Yeah. I'm not familiar with the area. I've only been here for  
22 a short time.

23 Q Okay. So you have at least six hospitals in Clark County.  
24 What hospitals are those?

25 A We have Henderson, Spring Valley, Valley, Centennial,

1 Summerlin. I feel like I'm missing one. Desert Springs.

2 Q Prior to coming to court today, did you familiarize yourself  
3 with the billing account of my client, Kimberly Taylor?

4 A I have seen the bill.

5 Q Okay. Now, how is it that the amount of the charges for the  
6 hospital bills from Henderson Hospital are determined?

7 A It's not determined by myself. So I have no knowledge of  
8 that.

9 Q Okay.

10 A What those charges are determined by.

11 Q Does it come from a standard schedule of charges?

12 A I have no knowledge of that.

13 Q Does it come from coders that work in the billing  
14 department?

15 A I am not sure who comes up with the charges.

16 Q Okay. Who would know that at UHS or Henderson Hospital?

17 A You would have to check with the facility. We just  
18 get -- when we get the bill, the charges are already there. I don't come  
19 up with the charges or the amounts.

20 Q What department or what entity determines the amount of  
21 the charges?

22 A It would go back to that facility. I don't know the department  
23 who comes up with the charges. I would definitely try to check with that  
24 facility. We just do the bills. So when we get the bill, the charges are  
25 already there.

1 Q Who is the manager of the central business office at  
2 Henderson Hospital?

3 A We -- there's multiple managers for different departments.  
4 I'm not sure what you --

5 Q Can you provide me with their names and the department?

6 A I only know the CEO for Henderson, who is Sam Kaufman.

7 Q There are some white trial exhibits behind you. I'd like you  
8 to turn to Exhibit 57. Can you find that in there?

9 MR. BREEDEN: Which volume is that, Kristy?

10 MS. JOHNSON: Five. Four.

11 BY MR. BREEDEN:

12 Q It's in volume 4, the last book on the right if they're in order.

13 A And I'm sorry, you said 57?

14 Q 57, please. Okay. What is that exhibit?

15 A This is our itemized bill.

16 Q Okay. For which patient?

17 A It's showing here Kimberly Taylor.

18 Q What dates does the bill cover?

19 A I don't -- I'm only showing here the admission date from 4/26  
20 of 2017.

21 MR. BREEDEN: Okay. And you know, Your Honor, since  
22 we're just doing voir dire, do you mind if I put that up?

23 THE COURT: Go ahead.

24 MR. BREEDEN: Okay. Just put it up, Kristy. Give me the  
25 next page, please.

1 BY MR. BREEDEN:

2 Q And what was the total amount of the bill for the service date  
3 of 4/26?

4 MR. BREEDEN: Leave it at 2, please, Kristy.

5 THE WITNESS: The total amount here is \$40,465.

6 MR. BREEDEN: Kristy, 2, please?

7 MS. JOHNSON: Okay.

8 MR. BREEDEN: Okay, go to 3. I need the paper copy, please.  
9 Will you pull it? Just a moment, Your Honor.

10 BY MR. BREEDEN:

11 Q And what was the total amount of the bill again? I'll refer  
12 you to HH Bills 3.

13 A Yeah. So the total charge here is \$40,465.

14 Q Okay. And there's a breakdown of the expenses as well.  
15 How much of that bill is for pharmacy services?

16 A According to the bill here, I'm showing the 2,201 as well as  
17 the \$28.

18 Q And how much is for medical/surgical supply?

19 A \$41.

20 Q How much is for laboratory services?

21 A \$1,043.

22 Q How much is for anesthesia services?

23 A \$7,171.

24 Q How much is for operating room charges?

25 A \$16,020.



1 Q And how much is for recovery room charges?

2 A 13,961.

3 Q Recovery room, would that refer to the PACU?

4 A I'm sorry, I have no knowledge of that. I'm not a clinician.

5 Q Okay. Do you have any reason to believe that Ms. Taylor

6 was charged anything more or anything less than any other patient

7 presenting to the hospital receiving the same services?

8 A Yeah, I'm not sure.

9 Q Do you have any reason to believe that the amount of these  
10 charges is unreasonable for some reason?

11 A Not to my knowledge.

12 Q Do you have any reason to believe that the amount of those  
13 charges is for some reason not usual and customary?

14 A Not to my knowledge.

15 Q Okay. Who at Henderson Hospital would have more  
16 knowledge about this bill and how it's created than you?

17 A I would -- you would have to check with someone at the  
18 facility who actually comes up with the pricing. It wouldn't be me.

19 Q Who would that be or what department would they work in?

20 A Yeah, I'm not sure. I don't work at the facility. Again, we  
21 only -- once the bill drops, we -- the pricing is already there. I don't  
22 control the pricing.

23 Q Do you know what department it would be?

24 A I do not.

25 Q Okay. Are you aware that we sought the custodian of billing

1 records and person most knowledgeable as to billing today?

2 A I am not aware.

3 Q Okay. Would you agree with me that you're probably not the  
4 person with the most knowledge regarding this bill?

5 A I would agree.

6 MR. BREEDEN: I have no further questions, Your Honor.

7 THE COURT: Thank you. Cross?

8 VOIR DIRE

9 BY MS. HALL:

10 Q Hello.

11 A Hi.

12 Q You said you're the billing manager at Henderson Hospital?

13 A Yes, correct. I work for UHS.

14 Q And I'm sorry, that's right. You said UHS of Delaware.

15 A Correct.

16 Q And as billing manager, you said you are not a clinician.

17 What did you mean by that?

18 A I don't know the medical specifics about the description on  
19 the bill.

20 Q And does that mean, Ms. Burch, that you are not -- based on  
21 your background and the expertise that you have in billing, you are not  
22 qualified to talk about whether the medical treatment and the costs  
23 associated with that are reasonable or unreasonable?

24 A Correct.

25 MS. HALL: All right. Thank you.

1 THE WITNESS: Thank you.

2 MR. BREEDEN: Nothing further from this particular witness.

3 THE COURT: Thank you. Can I just have you wait outside,  
4 please, for a couple minutes?

5 THE WITNESS: Sure.

6 THE COURT: Thank you so much, Ms. Burch.

7 THE WITNESS: You're welcome.

8 THE COURT: And lastly, we're calling? Mr. Breedon, who's  
9 the last one?

10 MR. BREEDEN: It's a representative from St. Rose Hospital.

11 THE COURT: Thank you.

12 THE MARSHAL: Please step up. Please face the Court to  
13 your left and please raise your right hand.

14 BRIAN KLEVEN, PLAINTIFF'S WITNESS, SWORN

15 THE WITNESS: I do.

16 THE CLERK: Please be seated.

17 THE WITNESS: Thank you.

18 THE CLERK: Please state and spell your name for the record.

19 THE WITNESS: Certainly. My name is Brian, B-R-I-A-N, last  
20 name Kleven, K-L-E-V-E-N.

21 VOIR DIRE

22 BY MR. BREEDEN:

23 Q Mr. Kleven, where are you employed?

24 A I'm employed at Dignity Health St. Rose.

25 Q Okay. And is that specifically the St. Rose Siena campus or

1 does that encompass other hospital campuses?

2 A I am the Nevada market chief financial officer, so I am the  
3 CFO for all of our entities in Nevada.

4 Q Okay. And so how many different entities in Nevada does  
5 the company have?

6 A There are three distinct hospitals. So that would be the  
7 Siena campus, San Martin campus, Rose de Lima campus. I'm also the  
8 CFO locally for the medical group. And then, there are four joint  
9 ventures that report up into me, but they are managed by separate  
10 entities.

11 Q Okay. And as CFO, how many employees work underneath  
12 you?

13 A Directly or across the whole hospital?

14 Q Well, we'll say directly.

15 A I do not know specifically. I'm going to guess around a  
16 hundred to 200 people.

17 Q Okay. And how long have you had the position of CFO?

18 A I've been a CFO for about 13 years in various capacities. I've  
19 been the Nevada market CFO for Dignity Health for about two years.

20 Q Okay. And so give us an idea of your background and  
21 history in the medical billing industry.

22 A So I mean, I've been a CFO since I was about 29. I was at a  
23 hospital in San Diego prior to moving to Las Vegas about six years ago.  
24 So I oversee all the financial operations of our entities and the previous  
25 ones that I've been a CFO for.

1 Q Okay. And as CFO, what are your duties and responsibilities  
2 when it comes to patient billing?

3 A I ensure that we follow laws and regulations, particularly for  
4 CMS, the Center for Medicare and Medicaid Services. But also our  
5 contracts with third-party payers, other health insurance companies. I  
6 also manage reporting earnings and other financial reports for Dignity  
7 Health -- for my entities and reporting up into the structure. I manage  
8 the finance departments at the hospital, oversee revenue cycle, treasury  
9 operations, and other financial aspects of the company.

10 Q Okay. And what department or departments are involved  
11 with patient billing, and if separate, the determination of the amount of  
12 charges that patients receive?

13 A There's no differentiation when we charge a patient. We  
14 charge all patients the same for the services that are performed across  
15 our hospitals. There is a charge master that for each service or  
16 procedure or item, it's a set, level charge that is uniformly applied to all  
17 patients.

18 Q And would that be the same for in April of 2017?

19 A It may, yes. It changes over time. Sometimes we do  
20 increases or decreases year to year. But at that point in time, same  
21 thing.

22 Q Okay. And so how does coding for billing charges and  
23 actually getting a bill out to a patient work? How does that happen?

24 A Complex question. Coding is a separate thing. So for the  
25 gross charge that is seen on a statement, that is entered by the staff in

1 the various departments that perform those services. So if you're in an  
2 operating room, the operating room is entering those charges. If you're  
3 in a cath lab, the cath lab would enter those charges. If you're in the  
4 emergency room, the emergency room would enter those charges. And  
5 then it goes into a scrubber to then be billed according to law. So there  
6 are some things that would be scrubbed out, say, like a band aid or  
7 something. But everyone is charged the same, equally, based on what is  
8 legally required.

9 Q And so the general flow is people who are administering  
10 treatment enter certain codes, and that generates a bill from a set or  
11 standard schedule of charges; is that how it works?

12 A No, they enter the set line. So if you're in an operating room  
13 and you're charged for a certain device, and then maybe operating room  
14 time, someone in that department will key the gross charge of that  
15 accordingly to our charge master, and then it eventually makes it way to  
16 a bill to be billed out accordingly to the payers. The insurance  
17 companies is the payers I'm referring to.

18 Q Okay. And not everyone has insurance, right?

19 A That's correct.

20 Q Okay. Is the gross charge to all patients the same, regardless  
21 of whether they do or do not have insurance?

22 A Yes.

23 Q So the insurance charge is a separately negotiated  
24 reimbursement rate with whatever the particular third-party payer is?

25 A Not an insurance charge. The rate we are paid would be

1 according to a contract.

2 Q Okay.

3 A The charge is the same.

4 Q Yes. I'm sorry, I used bad terminology.

5 A Yeah.

6 Q So in your industry, the charge means the gross charge to  
7 the patient, correct?

8 A Correct. Correct.

9 Q And then the reimbursement rate is sometimes different,  
10 depending upon whether, for example, it's private insurance or Medicare  
11 or Medicaid that might be paid?

12 A Yes.

13 Q Okay. I'd like you to -- oh, I'm sorry, did you familiarize  
14 yourself with the billing account of my client, Kimberly Taylor, from the  
15 2017 timeframe prior to today?

16 A No, not particularly. I was called as the custodian of records,  
17 so I signed an affidavit that these are the charges for those accounts, but  
18 I didn't familiarize myself with it.

19 Q But you would agree with me that you have a lot of  
20 knowledge about how bills and the amounts of charges from St. Rose  
21 Hospital are generated?

22 A Yes.

23 Q In fact, you're the CFO. Would you be the top guy on that  
24 issue at the hospital?

25 A Yes. Here in Nevada, yes.

1 Q I'd like you to turn to Exhibit 53. And I think there's some  
2 binders there behind you. It's probably in volume 4.

3 THE COURT: He's going to put you to work for a little while.

4 MR. BREEDEN: Which one?

5 BY MR. BREEDEN:

6 Q Volume 4, Exhibit 53.

7 A Yeah. Okay.

8 Q I'd like to direct your attention -- so there are bates -- well,  
9 first of all, let's just talk about this exhibit, generally. What does this  
10 exhibit appear to be?

11 A It looks like a UB, a universal bill, a UB-04.

12 Q Okay. Go ahead and leaf through all of the pages and tell  
13 me, you know, are some bills -- would you describe them as different, or  
14 you describe the whole thing as a UB?

15 A Some are -- some appear itemized, so it's not all UB. Some  
16 also -- these look -- this one looks like, maybe a denial of some sort from  
17 an insurance company. So it's not all -- here's a letter from Aetna, so  
18 yeah, that's not the UB, but most of the form -- the other forms are  
19 universal bills, UB-04s.

20 Q Okay. And I'd like to direct your attention, and if you look at  
21 the bottom right, you'll see what's called Bate's numbers. There's one  
22 that's called SRDHB-2. Can you find that page?

23 A I found it.

24 Q What is that?

25 A That's an itemized bill.



1 Q And for what date of service or admission?

2 A Oh, I'll find it. 4/26/2017.

3 Q Okay. And does this appear to be for emergency room  
4 charges?

5 A Let me take a quick look. It looks like an emergency level is  
6 charged, yes. I see an AR level charge and then various other lab  
7 charges and CT imaging studies.

8 Q Is this itemized bill part of the hospital's ordinary business  
9 records kept by your company?

10 A Yes, it appears so, yes.

11 Q And --

12 A And I signed the affidavit of that, so yes.

13 Q And are these bills created at or near the time of treatment?

14 A Yes.

15 Q What is the total amount of billing for this date of service?

16 A For this one, the total is at the -- it's kind of at the bottom.  
17 You can kind of see it there. I want to make sure I'm reading it right.  
18 17552.

19 Q Okay. And there's a breakdown as well, so I'm going to ask  
20 you, what portion of that bill is for cardio diagnostic services?

21 A I can't -- you would need a medical expert to answer that.

22 Q I'm sorry, if you look just above the grand total, you'll see  
23 summary of current charges.

24 A Yeah, it summarized them. So you asked cardio diagnostics?

25 Q Yes.

1 A 986.

2 Q And what portion of the bill is for CT scan?

3 A 9,599.

4 Q What portion of the bill is for clinic and laboratory services?

5 A 2,606.

6 Q What portion is for pharmacy services?

7 A 581.

8 Q And what portion is for the emergency department?

9 A 3,780.

10 Q Okay. Do you have any reason to believe that the charges on

11 this bill for Ms. Taylor are any more or any less than what any other

12 patient receiving those services on that day would have been charged?

13 A No.

14 Q Does the hospital consider these rates to be reasonable?

15 A Reasonable to what?

16 Q Reason --

17 A These -- these are the charges that we charge at that time.

18 Q Okay. And are those, in your opinion, reasonable charges?

19 A Relative to, like, market?

20 Q Yes.

21 A I can only speak for St. Rose and Dignity Health. I really can't

22 establish that.

23 Q So speaking for St. Rose and Dignity Health, does the

24 hospital consider its own charges here to be reasonable?

25 A These charges are exactly what we would charge anybody

1 else with a similar -- similar stay or similar line item charges, we charge  
2 equally across the board.

3 Q And do you also consider them to be usual and customary  
4 for Clark County, Nevada?

5 A I don't know if I -- as the custodian of records, I would only  
6 be saying that these are the charges that we charge patients at this point  
7 in time, equally. And at St. Rose, we would charge the same.

8 Q Okay. But you're the CFO for the entire hospital for billing  
9 and financial issues, correct?

10 A Yes.

11 Q Okay. So you do have some knowledge about how the  
12 hospital bills and what amounts, and what -- how the charges are  
13 determined, correct?

14 A Yes.

15 Q Okay. And I'll ask the same questions, but a little differently.  
16 Do you have any reason to believe that this particular bill, for some  
17 reason contains charges that are unreasonable in amount, or  
18 inconsistent with what you charge from your schedule?

19 A No.

20 Q Do you have any reason to believe that for some reason  
21 these charges are not usual and customary in the amount?

22 A Not for St. Rose Dignity Health, no.

23 Q Okay. I'd like you to turn a few pages for me. Please turn to  
24 SRDHB-22.

25 A Okay.

1 Q Can you explain to me what that page is?

2 A It looks like a bill generated for date of service 4/27 of 2017,  
3 but through a discharge of May 6, 2017.

4 Q Okay. And do these appear to be for hospital services? A  
5 stay in the hospital?

6 A Yes, it looks like a bill.

7 Q What's the total amount of that bill?

8 A One -- sorry, I want to make sure I'm on the bottom.  
9 127,442.12.

10 Q Okay. And I'm not going to go through them individually,  
11 but does this bill also itemize what parts of that bill are associated with  
12 certain services? For example, private room, surgery services,  
13 ultrasound, et cetera?

14 A Yes, I see them listed, so like, yeah, surgery, yes.

15 Q Okay. And do you also consider the amount of the charges  
16 on this bill to be reasonable?

17 A For Dignity Health St. Rose, these are the charge rates at that  
18 point in time.

19 Q And do you also consider the charges on this to be usual and  
20 customary?

21 A For Dignity Health, yes. I can't answer relative to market.

22 Q Okay. Well, so if the judge, for example, required me to  
23 bring somebody in from Henderson Hospital to talk about the entire  
24 market and how charges from maybe one hospital to another in the  
25 entire market differ, who would that be at your hospital?

1           A     I don't know if I can answer that. I don't know.

2           Q     You don't know. You don't think there would be anybody at  
3 the hospital who could testify?

4           A     There certainly could be. I mean, I'm called here as a  
5 custodian of record, but -- and I -- you know, the affidavit says everything  
6 that I would say here. I would say these are charges that were  
7 appropriate at the time for Dignity Health St. Rose, and we charge  
8 everybody the same, as you asked me.

9           Q     Okay. And again, Dignity St. Rose has multiple hospitals in  
10 Clark County?

11          A     Correct. We charge the same between our hospitals. I don't  
12 have a problem saying that.

13          Q     And just -- I just want to ask similar questions in a slightly  
14 different wording. These charges here, the 127,442.12, on 22, do you  
15 have any reason to believe that those charges, the amount is  
16 unreasonable?

17          A     No, not from my perspective.

18          Q     Do you have any reason to believe those charges are for  
19 summaries and not usual and customary?

20          A     No, these are the rates we charge at that point in time.

21          Q     Okay. Thank you.

22                MR. BREEDEN: I have no further questions.

23                THE COURT: Cross.

24                               VOIR DIRE

25 BY MS. HALL:

1 Q Good afternoon.

2 A Good afternoon.

3 Q Mr. Kleven, my name's Heather Hall. I just have a few  
4 questions for you. You said that you can only speak to St. Rose and the  
5 charges from St. Rose, is that because you are not familiar with the  
6 charges other hospital systems here in Las Vegas charge?

7 A That's correct, I do not know specific line item charges of  
8 other hospitals.

9 Q And do you, sir -- I know you mentioned that you're a CFO,  
10 but do you have any medical training? Like are you a nurse or a doctor?  
11 Do you have any training like that?

12 A No.

13 Q And is it true, Mr. Kleven, that you are not able to say, with  
14 regard to the specific care that Ms. Taylor received at St. Rose, you can  
15 identify the billing record, correct?

16 A Yes.

17 Q You can tell us what charges were charged to Ms. Taylor,  
18 correct?

19 A Yes.

20 Q That you cannot tell us whether the medical treatment and  
21 costs associated with that are reasonable or unreasonable?

22 MR. BREEDEN: Object as asked and answered.

23 THE COURT: It's cross. Go ahead.

24 BY MS. HALL:

25 Q Do you need me to repeat that for you?

1           A     I'm sorry, I couldn't hear what she --

2           THE COURT: You can answer the question.

3           THE WITNESS: Oh, yes, I cannot speak to the medical side of  
4 it at all.

5 BY MS. HALL:

6           Q     In fact --

7           A     I have no training.

8           Q     And in fact, Mr. Kleven, can you even tell us why Ms. Taylor  
9 was ever hospitalized at St. Rose Hospital?

10          A     No, you would need a medical expert for that.

11          MS. HALL: All right. Thank you very much.

12          THE COURT: Thank you.

13          MR. BREEDEN: Nothing further from this witness, Your  
14 Honor.

15          THE COURT: All right. I'm going to ask that you wait outside  
16 for a few minutes. Sorry, I know it's almost 5:00.

17          THE WITNESS: No problem.

18          THE COURT: Thank you. I appreciate it.

19          THE WITNESS: Thank you.

20          MR. BREEDEN: Thank you, sir.

21          THE COURT: All right. On behalf of the Plaintiff.

22          MR. BREEDEN: Yes, Your Honor. I think the standard that  
23 the Defense is proposing here is that we have to call a witness who has  
24 conducted a gigantic survey of all providers in Clark County, Nevada,  
25 carefully compared them per itemized charge or CPT code, and can

1 testify that they've performed this extensive research and come in here  
2 and testify to that. That is not the legal standard. That is incredibly far  
3 from the lax standard that the Curtis case set forth.

4 Now, I will say to you that the testimony of Mr. Kleven -- I  
5 mean, they literally brought in the CFO of the hospital, who has worked  
6 in billing. He testified these are standard charges that would be from  
7 any patient that went to these hospitals and receive the same treatment.  
8 He works, not just for one hospital, he works for multiple hospitals. He  
9 actually works for Dignity Health, which was an extremely large  
10 company.

11 If there's a suggestion from the Defense that I have to call  
12 somebody who's gone out and surveyed all the doctors and all the  
13 hospitals in the county, first of all, Judge, that information is not  
14 relative -- readily available to everyone. Some folks keep their billing  
15 amounts private, and that's confidential. That's proprietary, so that can't  
16 be done.

17 At least as to St. Rose, I don't know what more you would  
18 want me to do as Plaintiff's counsel then to literally call the CFO of a  
19 multi hospital group to testify about the usual customary and reasonable  
20 nature of the charges.

21 Now, the other two, I've subpoenaed somebody to come into  
22 court and testify about the billing. The people I was told could testify to  
23 that came in and they did not have a great deal of information. They had  
24 some information, and I think that's enough to put in front of the jury  
25 and have the jury decide. They were cross-examined. The jury can



1 determine if for some reason, combined with the fact that the Defense  
2 offers no rebuttal evidence, and that the Defense -- the Defendant and  
3 the Defense's retained expert aren't even going to dispute the usual  
4 customary and reasonable nature of the charges, that that is enough for  
5 the jury to decide. Okay. Especially since we have a bill, which on its  
6 face, is the same bill that anyone else would receive for the similar  
7 charges.

8 I will say that if you don't find that for Dr. Lipman and  
9 Henderson Hospital, I should have the right to issue a trial subpoena for  
10 the folks that those witnesses said would have more knowledge than  
11 them on this particular subject.

12 Okay. We've reached out to these witnesses and these  
13 medical offices. We asked them who should be called. We subpoenaed  
14 those folks. If you have a problem with the information that they have,  
15 you know, I interviewed them, and it seemed -- and particularly with Tina  
16 Burch, I mean, I spoke to Tina Burch personally on the phone and was  
17 told that she could testify that these bills were reasonable an amount.

18 And I don't know what's going on here. I don't accuse Mr.  
19 McBride or Ms. Hall of anything funny here, but I don't understand why  
20 these providers don't want to provide a witness to testify that their own  
21 bills are reasonable. I think that's very simple testimony, and I can issue  
22 a trial subpoena tomorrow for the CEO, Sam Kaufman, of the hospital.  
23 And there was another witness that I would subpoena, Julie Urda, and  
24 we can have them testifying on Monday, I guess. But I subpoenaed  
25 witnesses to testify as to certain knowledge. And what I was sent was

1 witnesses that didn't have that knowledge. And that's the argument that  
2 I would make.

3 THE COURT: On behalf of Defendant.

4 MS. HALL: Very briefly, Your Honor. The voir dire that we  
5 did of these three witnesses just further established that none of them  
6 are qualified to talk about whether the medical treatment and costs  
7 associated were reasonable, necessary, and customary. And under the  
8 Banks' [phonetic] decision, and my understanding of evidence and  
9 admissibility, I don't believe that I am required to bring in a witness to  
10 disprove admissibility of a medical bill. I think the foundation has to be  
11 laid first by the Plaintiff to have it admitted. And I don't think that any of  
12 these three witnesses have the necessary expertise to offer an opinion  
13 on reasonable, necessary, and customary for the bills. And without that,  
14 I don't think that the bills should be admitted.

15 THE COURT: Ms. Hall, what about, specifically to Mr. Kleven,  
16 I mean, arguably, he had more information. And granted, it's not on the  
17 medical basis and it's only for St. Rose, but anything in particular to him?

18 MS. HALL: Sure. He said I can only speak to St. Rose. I'm  
19 not familiar with the market. I'm not familiar with Las Vegas at large. All  
20 I can tell you is this is what we would charge at St. Rose. So again,  
21 although he has somewhat more -- you know, a little more information  
22 than Ms. Sandoval or Ms. Burch, he still doesn't have the necessary  
23 expertise to offer that foundational opinion to get those bills in. He even  
24 said, you know, he didn't know why she was hospitalized at St. Rose.

25 THE COURT: Anything in response, Mr. Breeden?

1 MR. BREEDEN: He's the CFO of three different hospitals in  
2 town, Your Honor. And I mean, where does this stop? I mean, who  
3 could possibly have more information than him about the  
4 reasonableness of that bill?

5 And what the Defense only wants here is an impossible  
6 standard. And I could tell you, I've never seen anything like this even  
7 argued in my 18, 19 years as an attorney in this jurisdiction. Very typical;  
8 you call a billing person. They say this is the amount of our bill. We  
9 think it's reasonable; that's enough to go to the jury. That was certainly  
10 enough to go to the jury in the *Curtis* case.

11 In the *Curtis* case --

12 THE COURT: But it was the doctor there though? That's the  
13 difference. I just read you from the opinion that you cited that it was a  
14 doctor.

15 MR. BREEDEN: Yes. A person with knowledge.

16 THE COURT: It's kind of going against your argument  
17 though. It wasn't a billing person; it was the doctor who was able to say  
18 that bill was reasonable and customary based on the medical treatment  
19 that he provided?

20 MR. BREEDEN: My position is that any person who has  
21 sufficient knowledge, it can be a billing representative, it can be a third-  
22 party -- you know, there's third party billing companies for these doctors  
23 and legal entities, hospitals. It could be the physician themselves. Any  
24 of those types of witnesses can come in and look at the bill and say yes, I  
25 think that's reasonable. And that was the exact type of testimony in

1 *Curtis.*

2 In *Curtis*, if you read the little blurb, they actually -- the  
3 doctor in that case didn't even say it's usual and customary. He testified,  
4 I don't really know what's usual and customary, but I think my bill's  
5 reasonable. And the Nevada Supreme Court said yeah, that's enough.  
6 You know, we don't need this multi-city survey of what every different  
7 doctor in every different hospital is charging. That would be virtually  
8 impossible to get anyway. Because again, some of those charges and  
9 schedules, that's proprietary information. So that's my position.

10 THE COURT: Right. But I think that even in -- and even in  
11 that case, he's saying that it's reasonable based on the treatment that he  
12 provided. So he has that personal knowledge. I'm not disagreeing that  
13 maybe potentially a billing person could, but I -- they all said here today,  
14 each of them, and obviously Mr. Kleven had a little more information,  
15 but to say that it was customary, usual and reasonable -- even to just  
16 reasonable as to the medical community, nobody said that today. I  
17 mean do you disagree.

18 MR. BREEDEN: I strongly disagree.

19 THE COURT: Who said that?

20 MR. BREEDEN: We just had a CFO that -- he's a CFO of three  
21 different major hospitals here in the county.

22 THE COURT: Who said he could not say it was customary in  
23 the community, only for St. Rose?

24 MR. BREEDEN: He's --

25 THE COURT: There's a difference.

1 MR. BREEDEN: He explained to you -- so now I have to ask  
2 him to go work for some other hospitals and come back and ask to  
3 compare with those charges?

4 THE COURT: That's not what I said.

5 MR. BREEDEN: What's the standard here, Judge? What  
6 would satisfy you?

7 THE COURT: Well, I just pointed you to the case that you  
8 sent me to read, and it seems like your physician should have testified to  
9 this.

10 MR. BREEDEN: So you think only a physician can testify to  
11 billing issue?

12 THE COURT: No, you just heard what I said. I said I don't  
13 disagree that a billing person could. I said that these people did not  
14 establish that they could.

15 MR. BREEDEN: So a billing person can only testify to this if  
16 they come in here, and they say I've done an extensive survey of all  
17 medical providers and hospitals in our community, and I have carefully  
18 compared the bills. And I can tell you that these bills are usual,  
19 customary and reasonable. Is that the standard you're setting forth?

20 THE COURT: No, you making an exaggeration doesn't  
21 change what I'm saying. They have to have a basis of personal  
22 knowledge. A basis to establish that it's reasonable based on the  
23 medical treatment that was given. And that is not what I heard today.

24 I didn't say they had to survey everything, because I'm sure  
25 some of these doctors didn't. But they also do know what they charge

1 for procedures.

2 MR. BREEDEN: I have nothing more to say on this issue. I  
3 think the law is extremely clear. I can't -- especially as to St. Rose  
4 Hospital, I can't imagine what more I could get a witness to testify to.  
5 And it just seems like you don't want anyone other than a physician to  
6 testify on this issue, and that is incorrect under the law.

7 THE COURT: I repeatedly said that's not the case.

8 Okay. As to -- I'm not going to allow the testimony of the  
9 first two. And I believe that is Christy Sandoval and Tina Burch, but I  
10 need to -- I want to look at a couple more cases as to Brian Kleven. And  
11 if I do allow Kleven, it will be limited to St. Rose and Dignity Health. So  
12 I'm going to call them all back in. I'm going to release those two. And  
13 then as to Brian Kleven -- Mr. Breeden, you said you had someone  
14 already coming at 8:30, right?

15 MR. BREEDEN: Correct.

16 THE COURT: So if I tell him we'll make a decision, and then  
17 did you have someone right after him as well? After the 8:30?

18 MR. BREEDEN: Yes.

19 THE COURT: Would you prefer that I tell Mr. Kleven I'm  
20 going to allow it after lunch, or does it matter? I don't want to mess up  
21 your case but if I allow it, obviously, I'm trying to --

22 MR. BREEDEN: Mr. Kleven's testimony will be what, 15  
23 minutes? I don't think it will be long. We can probably squeeze him in  
24 right after the first witness who is a doctor.

25 THE COURT: Okay. And your first witness, how long do you

1 anticipate?

2 MR. MCBRIDE: That's Dr. Yeh, the anesthesiologist. I can't  
3 say for certain, Your Honor, but I don't think it will be a long witness. I  
4 would be surprised if he testified -- I mean, I can't control what cross is,  
5 but maybe 45 minutes.

6 THE COURT: So I would probably say 10:00 to be safe?

7 MR. BREEDEN: Well, I don't want a CFO of a hospital waiting  
8 around for two days, but I would like to have people back to back. And  
9 maybe if we could have him here around 9:15.

10 THE COURT: All right. Ray?

11 THE MARSHAL: Yes, ma'am.

12 THE COURT: Can you bring in Mr. Kleven, please?

13 THE MARSHAL: Yes, ma'am. Judge, I'm sorry, can you  
14 repeat the name?

15 THE COURT: Brian Kleven.

16 THE MARSHAL: I'm sorry.

17 THE COURT: Mr. Kleven so sorry to keep you, and to ask  
18 you -- you can just stand right there. I'm going to make a decision as to  
19 whether or not you're going to testify tomorrow. Can you leave your  
20 contact information with my marshal, and he will let you know. But as of  
21 now, I'm going to ask that you come back at 9:15 and testify. They  
22 indicated it's only going to be about 15 to 20 minutes. And if for some  
23 reason I make a decision that you won't, we will contact you and let you  
24 know that you don't need to appear.

25 MR. KLEVEN: Okay. What if I'm not available tomorrow at

1 9:15? I don't know. I'm going to check. How does that work?

2 THE COURT: I need you to be available.

3 MR. KLEVEN: You're like I'm the Judge. Okay. I can make it  
4 work.

5 THE COURT: All right. So I'll see tomorrow. And again if for  
6 any reason that changes, my marshal will contact you.

7 MR. KLEVEN: Thank you, Your Honor.

8 THE COURT: Thank you, so much, I appreciate it.

9 MR. KLEVEN: Thank you.

10 THE COURT: And then Ray the other two. Well, one at a  
11 time. Whoever is out there.

12 Hello. Thank you for your testimony, we appreciate it. And I  
13 just want to tell you, you're not going to be needed anymore, so we  
14 appreciate you coming.

15 MS. BURCH: Okay. thank you.

16 THE COURT: Thank you. Have a great day.

17 MS. BURCH: You, too.

18 THE COURT: Bye. And the other one you said went to go?

19 THE MARSHAL: She went to go pay for her parking. She'll  
20 be right back.

21 THE COURT: She's going to be mad. Sorry. And while  
22 they're out. Let's go ahead and put the other objections on the record. I  
23 think there were -- there's at least one more One second. We went over  
24 the invoices. Okay. During the cross-examination of Nurse Hutchins,  
25 Plaintiff objected to Defense counsel going into the name -- signed name



1 on the document. And anything further on your objection?

2 MR. BREEDEN: No. My -- I just thought that question  
3 needed to be rephrased.

4 THE COURT: Okay. And on behalf of Defense?

5 MR. MCBRIDE: Nothing, Your Honor.

6 THE COURT: All right. And I sustained the objection for two  
7 reasons. One that it's a stipulated joint exhibit that's already been  
8 admitted, so it's going back. And secondly, Plaintiff did also question --  
9 appeared to say -- well, the answer he said that the doctor indicated that  
10 he wrote the note. And then it was obviously brought out on cross that it  
11 was signed by a different person, other than the doctor. I think two  
12 people, two nurses.

13 MR. BREEDEN: And you said you sustained that, but you  
14 overruled that.

15 THE COURT: I'm sorry, overruled. And then I think that --  
16 that was it, right? That's my notes. Anyone have anything else?

17 MR. BREEDEN: Yes, Your Honor, just a couple of issues.  
18 Number one, I would be willing -- because I don't want a hospital CFO to  
19 have to come here twice. I would stipulate that if you rule that  
20 testimony is admissible and proper that perhaps we could play that to  
21 the jury. I think there's a CD recording of it. And I would agree to that to  
22 spare the witness from having to come in for another day.

23 And also, Your Honor, just for the record, if you are ruling  
24 that our billing representatives from Henderson Hospital and Dr. Brian  
25 Lipman, that you are excluding that evidence, I would seek the right to

1 issue a trial subpoena for Monday, to Sam Kaufman, the CEO of  
2 Henderson Hospital and Julie Urda, who is a billing representative from  
3 Dr. Brian Lipman's office. And so I would need to know if you would  
4 allow that, or if you would disallow that?

5 THE COURT: On behalf of Defense.

6 MS. HALL: With respect to Mr. Kleven, I want the  
7 opportunity to cross-examine him, should he be permitted to testify as to  
8 that issue, Your Honor.

9 THE COURT: Okay.

10 MS. HALL: For the other issue I don't really have a position.  
11 I mean I don't -- I think it would be, I guess a late trial subpoena. But the  
12 other side issue is that I suspect that they will be similar in their  
13 knowledge and ability to offer an opinion on that issue.

14 THE COURT: All right. I'll go ahead and allow it since the  
15 Defense isn't taking a position. But, you know, hopefully we don't run  
16 into the same issue because these witnesses told you that's who they  
17 needed, so I mean I guess we'll see. Anything else?

18 MR. BREEDEN: Nothing further from Plaintiff.

19 MS. HALL: Nothing from us, Judge.

20 THE COURT: Defendant? All right. So we'll be back at 8:30.  
21 There's not going to be any issues we need to talk about, or we don't  
22 know yet at this time?

23 MR. BREEDEN: I think we could go into Dr. Yeh's testimony  
24 pretty quickly.

25 THE COURT: Okay.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

MR. BREEDEN: He will be here live.

THE COURT: All right. I will see you all tomorrow.

MR. MCBRIDE: All right, thank you, Judge.

MS. HALL: Thank you.

THE COURT: If you could just tell her that she's going to be released. We don't need any testimony and thank you.


THE MARSHAL: Sounds good, Your Honor.

THE COURT: All right. Thanks.

THE MARSHAL: Thank you.

[Proceedings adjourned at 5:07 p.m.]

ATTEST: I do hereby certify that I have truly and correctly transcribed the audio-visual recording of the proceeding in the above entitled case to the best of my ability.



Maukele Transcribers, LLC  
Jessica B. Cahill, Transcriber, CER/CET-708