

**IN THE SUPREME COURT OF THE
STATE OF NEVADA**

KIMBERLY TAYLOR,

Appellant,

v.

KEITH BRILL, M.D. and WOMEN'S
HEALTH ASSOCIATES OF
SOUTHERN NEVADA-MARTIN,
PLLC,

Respondents

Electronically Filed
Mar 10 2022 11:55 a.m.
Elizabeth A. Brown
Clerk of Supreme Court

SUPREME COURT CASE NO. 83847

Dist. Court Case No. A-18-773472-C

APPELLANT'S APPENDIX

VOLUME XII

ADAM J. BREEDEN, ESQ.
Nevada Bar No. 008768
BREEDEN & ASSOCIATES, PLLC
376 E. Warm Springs Road, Suite 120
Las Vegas, Nevada 89119
Phone (702) 819-7770
Fax (702) 819-7771
Adam@breedenandassociates.com
Attorney for Appellant Taylor

CHRONOLOGICAL LIST

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
<i>VOLUME I</i>			
Complaint	04/25/2018	I	APPX000001 – APPX000025
Answer to Complaint	09/26/2018	I	APPX000026 – APPX000043
Stipulation and Order to Dismiss Nurse Defendant Bruce Hutchins, RN without Prejudice	10/18/2018	I	APPX000044 – APPX000048
Notice of Entry of Order	10/24/2018	I	APPX000049 – APPX000056
Stipulation and Order to Dismiss Defendant Dignity Health D/B/A St. Rose Dominican Hospital – Siena Campus	03/09/2021	I	APPX000057 – APPX000061
Notice of Entry of Stipulation and Order to Dismiss Dignity Health D/B/A St. Rose Dominican Hospital Siena Campus	03/10/2021	I	APPX000062 – APPX000067
Stipulation and Order to Dismiss Defendant Valley Health System, LLC d/b/a Henderson Hospital with Prejudice and to Amend Caption	03/17/2021	I	APPX000068 – APPX000077
Notice of Entry of Stipulation and Order for Dismissal	03/19/2021	I	APPX000078 – APPX000090
Stipulation and Order for Defendant Christensen, M.D.’s Dismissal With Prejudice Only	04/21/2021	I	APPX000091 – APPX000097
Notice of Entry of Stipulation and Order for Defendant Christensen, M.D.’s Dismissal with Prejudice Only	04/22/2021	I	APPX000098 – APPX000106
Plaintiff’s Motion in Limine #1: Motion to Permit Certain Closing Argument Techniques of Plaintiff’s Counsel	08/18/2021	I	APPX000107 – APPX000115
Plaintiff’s Motion in Limine #2: Motion to Exclude Informed Consent Form and Terms or Argument Regarding “Risk” or “Known Complication”	08/18/2021	I	APPX000116 – APPX000189

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
<i>VOLUME II</i>			
Plaintiff's Motion in Limine #3: Motion to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	08/18/2021	II	APPX000190 – APPX000329
Plaintiff's Motion in Limine #4: Exclusion of Collateral Source Payments	08/18/2021	II	APPX000330 – APPX000349
Plaintiff's Proposed Jury Instructions	08/18/2021	II	APPX000350 – APPX000389
Plaintiff's Proposed Voir Dire	08/18/2021	II	APPX000390 – APPX000395
<i>VOLUME III</i>			
Defendant's Motion in Limine No. 3 to Exclude Defendants' Insurance Coverage	08/20/2021	III	APPX000396 – APPX000402
Defendant's Motion in Limine No. 1 to Include Others on the Verdict Form	08/20/2021	III	APPX000403 – APPX000467
Defendant's Motion in Limine No. 2 to Allow Defendants to Introduce Evidence of Collateral Sources Pursuant to NRS 42.021	08/20/2021	III	APPX000468 – APPX000613
Plaintiff's Opposition to Defendant's Motion in Limine #1: Inclusion of Others on Verdict Form	08/27/2021	III	APPX000614 – APPX000617
Plaintiff's Opposition to Defendant's Motion in Limine #2: Collateral Source Issues & NRS 42.021	08/27/2021	III	APPX000618 – APPX000621
<i>VOLUME IV</i>			
Plaintiff's Opposition to Defendant's Motion in Limine #3: Exclusion of Defendants' Insurance Coverage	08/27/2021	IV	APPX000622 – APPX000734
Defendants' Opposition to Plaintiff's Motion in Limine No. 1 to Permit Certain Closing Argument Techniques	09/01/2021	IV	APPX000735 – APPX000746
Defendants' Opposition to Plaintiff's Motion in Limine No. 2 to Exclude	09/01/2021	IV	APPX000747 – APPX000775

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Informed Consent Form and Terms or Argument Regarding Risk or Known Complication			
Defendants' Opposition to Plaintiff's Motion in Limine No. 3 to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	09/01/2021	IV	APPX000776 – APPX000803
Defendants' Opposition to Plaintiff's Motion in Limine No. 4 Exclusion of Collateral Source Payments	09/01/2021	IV	APPX000804 – APPX000827
Plaintiff's Reply in Support of Motion in Limine #1: Motion to Permit Certain Closing Argument Techniques of Plaintiff's Counsel	09/08/2021	IV	APPX000828 – APPX000833
Plaintiff's Reply in Support of Motion in Limine #2: Motion to Exclude Informed Consent Form and Terms or Argument Regarding "Risk" or "Known Complication"	09/08/2021	IV	APPX000834 – APPX000838
Plaintiff's Reply in Support of Motion in Limine #3: Motion to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	09/08/2021	IV	APPX000839 – APPX000843
Plaintiff's Reply in Support of Motion in Limine #4: Exclusion of Collateral Source Payments	09/08/2021	IV	APPX000844 – APPX000847
Defendants' Reply to Motion in Limine No. 1 Include Others on the Verdict Form	09/09/2021	IV	APPX000848 – APPX000853
Defendants' Reply to Motion in Limine No. 2 to Allow Defendants to Introduce Evidence of Collateral Sources Pursuant to NRS 42.021	09/09/2021	IV	APPX000854 – APPX000858
<i>VOLUME V</i>			
Defendants' Reply to Motion in Limine No. 3 to Exclude Defendants' Insurance Coverage	09/13/2021	V	APPX000859 – APPX00956

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Transcript of Hearing - All Pending Motions in Limine	09/27/2021	V	APPX000957 – APPX000997
Transcript of Proceedings – Calendar Call	09/28/2021	V	APPX000998 – APPX001008
Motion to Reconsider or Clarify Order Regarding Plaintiff’s Motion in Limine No. 2 to Exclude Informed Consent Form and Terms or Argument Regarding “Risk” or “Known Complication” on Order Shortening Time	10/04/2021	V	APPX001009 – APPX001061
Order on Defense Motions in Limine	10/06/2021	V	APPX001062 – APPX001068
Notice of Entry of Order on Defense Motions in Limine	10/06/2021	V	APPX001069 – APPX001078
Plaintiff Kimberly Taylor’s Opposition to Defendants’ Motion to Reconsider or Clarify Order Regarding Plaintiff’s Motion in Limine to Exclude Informed Consent Form and Terms or Argument Regarding “Risk” or “Known Complication”	10/06/2021	V	APPX001079 – APPX001087
Plaintiff Kimberly Taylor’s Trial Brief	10/06/2021	V	APPX001088 – APPX001097
Order Regarding Plaintiff’s Motions in Limine	10/07/2021	V	APPX001098 – APPX001102
<i>VOLUME VI</i>			
Recorder’s Transcript of Jury Trial – Day 1	10/07/2021	VI	APPX001103 – APPX001314
<i>VOLUME VII</i>			
Recorder’s Transcript of Jury Trial – Day 2	10/08/2021	VII	APPX001315 – APPX001526
<i>VOLUME VIII</i>			
Plaintiff’s First Amended Proposed Jury Instructions	10/09/2021	VIII	APPX001527 – APPX001573
Notice of Entry of Order Regarding Plaintiff’s Motions in Limine	10/11/2021	VIII	APPX001574 – APPX001581

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Recorder's Transcript of Jury Trial – Day 3	10/12/2021	VIII	APPX001582 – APPX001707
<i>VOLUME IX</i>			
Recorder's Transcript of Jury Trial – Day 4	10/13/2021	IX	APPX001708 – APPX001905
<i>VOLUME X</i>			
Recorder's Transcript of Jury Trial – Day 5	10/14/2021	X	APPX001906 – APPX002091
<i>VOLUME XI</i>			
Recorder's Transcript of Jury Trial – Day 6	10/15/2021	XI	APPX002092 – APPX002292
<i>VOLUME XII</i>			
Recorder's Transcript of Jury Trial – Day 7	10/18/2021	XII	APPX002293 – APPX002491
<i>VOLUME XIII</i>			
Recorder's Transcript of Jury Trial – Day 8	10/19/2021	XIII	APPX002492 – APPX002602
Instructions to the Jury	10/19/2021	XIII	APPX002603 – APPX002641
Special Verdict Form	10/19/2021	XIII	APPX002678 – APPX002680
Plaintiff's Proposed Jury Instructions – Not Given at Trial	10/21/2021	XIII	APPX002642 – APPX002651
Plaintiff's Proposed Special Verdict Form Not Given at Trial	10/21/2021	XIII	APPX002652 – APPX002654
Transcript of Proceedings – Status Check	10/26/2021	XIII	APPX002655 – APPX002659
Judgment on Jury Verdict	11/19/2021	XIII	APPX002660 – APPX002665
Notice of Entry of Judgment on Jury Verdict	11/19/2021	XIII	APPX002666 – APPX002673
Notice of Appeal	11/22/2021	XIII	APPX002674 – APPX002675
Actual Symphon Resectoscope Photo 1		XIII	APPX002676
Actual Symphon Resectoscope Photo 2		XIII	APPX002677

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Educational Materials		XIII	APPX002681 – APPX002685
HH15		XIII	APPX002686
Pathology HH156-157		XIII	APPX002687— APPX002688
Pathology SRDH1-2		XIII	APPX002689 – APPX002690
Response to Jury Question No. 1		XIII	APPX002691

ALPHABETICAL LIST

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Actual Symphion Resectoscope Photo 1		XIII	APPX002676
Actual Symphion Resectoscope Photo 1		XIII	APPX002677
Answer to Complaint	09/26/2018	I	APPX000026 – APPX000043
Complaint	04/25/2018	I	APPX000001 – APPX000025
Defendant's Motion in Limine No. 2 to Allow Defendants to Introduce Evidence of Collateral Sources Pursuant to NRS 42.021	08/20/2021	III	APPX000468 – APPX000613
Defendant's Motion in Limine No. 3 to Exclude Defendants' Insurance Coverage	08/20/2021	III	APPX000396 – APPX000402
Defendant's Motion in Limine No. 1 to Include Others on the Verdict Form	08/20/2021	III	APPX000403 – APPX000467
Defendants' Opposition to Plaintiff's Motion in Limine No. 3 to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	09/01/2021	IV	APPX000776 – APPX000803
Defendants' Opposition to Plaintiff's Motion in Limine No. 2 to Exclude Informed Consent Form and Terms or Argument Regarding Risk or Known Complication	09/01/2021	IV	APPX000747 – APPX000775
Defendants' Opposition to Plaintiff's Motion in Limine No. 4 Exclusion of Collateral Source Payments	09/01/2021	IV	APPX000804 – APPX000827
Defendants' Opposition to Plaintiff's Motion in Limine No. 1 to Permit Certain Closing Argument Techniques	09/01/2021	IV	APPX000735 – APPX000746
Defendants' Reply to Motion in Limine No. 2 to Allow Defendants to Introduce Evidence of Collateral Sources Pursuant to NRS 42.021	09/09/2021	IV	APPX000854 – APPX000858

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Defendants' Reply to Motion in Limine No. 3 to Exclude Defendants' Insurance Coverage	09/13/2021	V	APPX000859 – APPX00956
Defendants' Reply to Motion in Limine No. 1 Include Others on the Verdict Form	09/09/2021	IV	APPX000848 – APPX000853
Educational Materials		XIII	APPX002681 – APPX002685
HH15		XIII	APPX002686
Instructions to the Jury	10/19/2021	XIII	APPX002603 – APPX002641
Judgment on Jury Verdict	11/19/2021	XIII	APPX002660 – APPX002665
Motion to Reconsider or Clarify Order Regarding Plaintiff's Motion in Limine No. 2 to Exclude Informed Consent Form and Terms or Argument Regarding "Risk" or "Known Complication" on Order Shortening Time	10/04/2021	V	APPX001009 – APPX001061
Notice of Appeal	11/22/2021	XIII	APPX002674 – APPX002675
Notice of Entry of Judgment on Jury Verdict	11/19/2021	XIII	APPX002666 – APPX002673
Notice of Entry of Order	10/24/2018	I	APPX000049 – APPX000056
Notice of Entry of Order on Defense Motions in Limine	10/06/2021	V	APPX001069 – APPX001078
Notice of Entry of Order Regarding Plaintiff's Motions in Limine	10/11/2021	VIII	APPX001574 – APPX001581
Notice of Entry of Stipulation and Order for Defendant Christensen, M.D.'s Dismissal with Prejudice Only	04/22/2021	I	APPX000098 – APPX000106
Notice of Entry of Stipulation and Order for Dismissal	03/19/2021	I	APPX000078 – APPX000090
Notice of Entry of Stipulation and Order to Dismiss Dignity Health D/B/A St.	03/10/2021	I	APPX000062 – APPX000067

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Rose Dominican Hospital Siena Campus			
Order on Defense Motions in Limine	10/06/2021	V	APPX001062 – APPX001068
Order Regarding Plaintiff's Motions in Limine	10/07/2021	V	APPX001098 – APPX001102
Pathology HH156-157		XIII	APPX002687 – APPX002688
Pathology SRDH1-2		XIII	APPX002689 – APPX002690
Plaintiff's First Amended Proposed Jury Instructions	10/09/2021	VIII	APPX001527 – APPX001573
Plaintiff Kimberly Taylor's Opposition to Defendants' Motion to Reconsider or Clarify Order Regarding Plaintiff's Motion in Limine to Exclude Informed Consent Form and Terms or Argument Regarding "Risk" or "Known Complication"	10/06/2021	V	APPX001079 – APPX001087
Plaintiff Kimberly Taylor's Trial Brief	10/06/2021	V	APPX001088 – APPX001097
Plaintiff's Motion in Limine #3: Motion to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	08/18/2021	II	APPX000190 – APPX000329
Plaintiff's Motion in Limine #2: Motion to Exclude Informed Consent Form and Terms or Argument Regarding "Risk" or "Known Complication"	08/18/2021	I	APPX000116 – APPX000189
Plaintiff's Motion in Limine #4: Exclusion of Collateral Source Payments	08/18/2021	II	APPX000330 – APPX000349
Plaintiff's Motion in Limine #1: Motion to Permit Certain Closing Argument Techniques of Plaintiff's Counsel	08/18/2021	I	APPX000107 – APPX000115
Plaintiff's Opposition to Defendant's Motion in Limine #2: Collateral Source Issues & NRS 42.021	08/27/2021	III	APPX000618 – APPX000621

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Plaintiff's Opposition to Defendant's Motion in Limine #3: Exclusion of Defendants' Insurance Coverage	08/27/2021	IV	APPX000622 – APPX000734
Plaintiff's Opposition to Defendant's Motion in Limine #1: Inclusion of Others on Verdict Form	08/27/2021	III	APPX000614 – APPX000617
Plaintiff's Proposed Jury Instructions	08/18/2021	II	APPX000350 – APPX000389
Plaintiff's Proposed Jury Instructions – Not Given at Trial	10/21/2021	XIII	APPX002642 – APPX002651
Plaintiff's Proposed Special Verdict Form Not Given at Trial	10/21/2021	XIII	APPX002652 – APPX002654
Plaintiff's Proposed Voir Dire	08/18/2021	II	APPX000390 – APPX000395
Plaintiff's Reply in Support of Motion in Limine #3: Motion to Exclude Evidence of Asserted Liability of Other Health Care Providers Under Piroozi	09/08/2021	IV	APPX000839 – APPX000843
Plaintiff's Reply in Support of Motion in Limine #2: Motion to Exclude Informed Consent Form and Terms or Argument Regarding "Risk" or "Known Complication"	09/08/2021	IV	APPX000834 – APPX000838
Plaintiff's Reply in Support of Motion in Limine #4: Exclusion of Collateral Source Payments	09/08/2021	IV	APPX000844 – APPX000847
Plaintiff's Reply in Support of Motion in Limine #1: Motion to Permit Certain Closing Argument Techniques of Plaintiff's Counsel	09/08/2021	IV	APPX000828 – APPX000833
Recorder's Transcript of Jury Trial – Day 1	10/07/2021	VI	APPX001103 – APPX001314
Recorder's Transcript of Jury Trial – Day 2	10/08/2021	VII	APPX001315 – APPX001526
Recorder's Transcript of Jury Trial – Day 3	10/12/2021	VIII	APPX001582 – APPX001707
Recorder's Transcript of Jury Trial – Day 4	10/13/2021	IX	APPX001708 – APPX001905

DESCRIPTION OF DOCUMENT	DATE	VOL.	PAGE(S)
Recorder's Transcript of Jury Trial – Day 5	10/14/2021	X	APPX001906 – APPX002091
Recorder's Transcript of Jury Trial – Day 6	10/15/2021	XI	APPX002092 – APPX002292
Recorder's Transcript of Jury Trial – Day 7	10/18/2021	XII	APPX002293 – APPX002491
Recorder's Transcript of Jury Trial – Day 8	10/19/2021	XIII	APPX002492 – APPX002602
Response to Jury Question No. 1		XIII	APPX002691
Special Verdict Form	10/19/2021	XIII	APPX002678 – APPX002680
Stipulation and Order for Defendant Christensen, M.D.'s Dismissal With Prejudice Only	04/21/2021	I	APPX000091 – APPX000097
Stipulation and Order to Dismiss Defendant Dignity Health D/B/A St. Rose Dominican Hospital – Siena Campus	03/09/2021	I	APPX000057 – APPX000061
Stipulation and Order to Dismiss Defendant Valley Health System, LLC d/b/a Henderson Hospital with Prejudice and to Amend Caption	03/17/2021	I	APPX000068 – APPX000077
Stipulation and Order to Dismiss Nurse Defendant Bruce Hutchins, RN without Prejudice	10/18/2018	I	APPX000044 – APPX000048
Transcript of Hearing - All Pending Motions in Limine	09/27/2021	V	APPX000957 – APPX000997
Transcript of Proceedings – Calendar Call	09/28/2021	V	APPX000998 – APPX001008
Transcript of Proceedings – Status Check	10/26/2021	XIII	APPX002655 – APPX002659


CERTIFICATE OF SERVICE

Pursuant to Nev. R. App. 25, I hereby certify that on the 10th day of March, 2022, a copy of the foregoing **APPELLANT'S APPENDIX, VOLUME XII** via the method indicated below:

X	Pursuant to NRAP 25(c), by electronically serving all counsel and e-mails registered to this matter on the Supreme Court Electronic Filing System.
	Pursuant to NRCP 5, by placing a copy in the US mail, postage pre-paid to the following counsel of record or parties in proper person:
	Via receipt of copy (proof of service to follow)

An Attorney or Employee of the firm:

/s/ Sarah Daniels
BREEDEN & ASSOCIATES PLLC



1 RTRAN

2
3
4
5 DISTRICT COURT
6 CLARK COUNTY, NEVADA

7
8 KIMBERLY D. TAYLOR,
9 Plaintiff,

) CASE#: A-18-773472-C
)
) DEPT. III
)

10 vs.

11 KEITH BRILL, M.D., ET AL.,
12 Defendants.

13 BEFORE THE HONORABLE MONICA TRUJILLO
14 DISTRICT COURT JUDGE
MONDAY, OCTOBER 18, 2021

15 **RECORDER'S TRANSCRIPT OF JURY TRIAL - DAY 7**

16
17 APPEARANCES:

18 For the Plaintiff:

ADAM J. BREEDEN, ESQ.

19 For the Defendants:

ROBERT C. MCBRIDE, ESQ.
HEATHER S. HALL, ESQ.

20
21
22
23
24
25 RECORDED BY: DELORIS SCOTT, COURT RECORDER

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

Testimony12

WITNESSES FOR THE PLAINTIFF

KEITH BRILL

Direct Examination by Mr. Breeden 12

Cross-Examination by Ms. Hall 44

Redirect Examination by Mr. Breeden 145

Recross Examination by Ms. Hall 152

Plaintiff Rest154

Defendants Rest155

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX OF EXHIBITS

<u>FOR THE PLAINTIFF</u>	<u>MARKED</u>	<u>RECEIVED</u>
--------------------------	---------------	-----------------

None

<u>FOR THE DEFENDANT</u>	<u>MARKED</u>	<u>RECEIVED</u>
--------------------------	---------------	-----------------

None

1 Las Vegas, Nevada, Monday, October 18, 2021

2
3 [Case called at 10:46 a.m.]

4 [Outside the presence of the jury]

5 THE COURT: We are on the record in case number A-18-
6 773472-C, Taylor v. Brill. Counsel for both sides are present. We're
7 outside the presence of the jury. Any issues before we bring the jurors
8 in?

9 MS. HALL: There's a couple for the Defense.

10 MR. BREEDEN: I don't think anything from Plaintiff.

11 THE COURT: All right. Go ahead.

12 MS. HALL: Very quickly. The deposition of Dr. Brill was
13 videotaped. I don't know if Mr. Breeden intends to use any of that
14 videotaped deposition. But to the extent that he does and any of it was
15 subject to an objection made by me at the deposition, I wanted to ask
16 Your Honor, in my past experience, we've gotten a ruling on the
17 objection before the testimony is shown if there was an objection made
18 at the deposition. So I don't know how Your Honor wants to handle it,
19 but that would be my preference that if there is in fact going to be a clip
20 shown that was subject to an objection, that I be given a ruling on the
21 objection.

22 THE COURT: Okay. So procedurally, since we're here now,
23 and he's testifying next, how are we going to do that?

24 MR. BREEDEN: Your Honor, if that occurs, and I want to play
25 a deposition clip, I will approach, we'll do the whole rigmarole where

1 you unseal the deposition transcript. And I'll show you the portion that I
2 would like to play. And then you can rule at that time. I think that's how
3 we should handle that.

4 THE COURT: Okay. Do you think that --

5 MS. HALL: And that makes sense to me. And I did notify
6 counsel this weekend that I would be making that request.

7 THE COURT: Okay.

8 MS. HALL: And the last issue, Your Honor -- and I don't
9 know when -- you know, if we'll get to my direct exam of Dr. Brill before
10 lunch. But assuming we do, I wanted to bring this up to the Court before
11 that examination. I understand Your Honor's ruling that I am not
12 permitted to discuss the signature of the Plaintiff on any consent forms.
13 The documents that were stricken from Dr. Brill's office record is --
14 they're educational materials. So I wanted to ask Your Honor if in my
15 questioning of Dr. Brill, if I ask him after his discussion with the patient,
16 "were written educational materials provided", is that a violation of the
17 Court's order? I don't believe that it is.

18 I have no intention of trying to show him those forms or
19 calling them consent forms. And in fact, Dr. Brill doesn't call those
20 consent forms either. He calls those educational materials. They're not
21 the actual consent form. The consent form is what is signed at the
22 hospital at Henderson Hospital. I have no intention of going over the fact
23 that the patient signed those documents. That those, you know, are -- I'll
24 never refer to them as a consent form. But I do think I should be
25 permitted to ask him whether written educational materials were also

1 provided.

2 THE COURT: And what is included in the educational
3 materials?

4 MS. HALL: Education about the specific procedures to be
5 performed.

6 THE COURT: And this would have been pre?

7 MS. HALL: Exactly. It was given to the patient at the April
8 21st visit, about five, six days before the surgery.

9 THE COURT: Mr. Breeden?

10 MR. BREEDEN: It's no different from the consent form, Your
11 Honor. It's the same thing. They're just trying to circumvent your prior
12 ruling.

13 THE COURT: Well, I mean --

14 MR. BREEDEN: What other purpose would they be wanting
15 to use those forms for?

16 THE COURT: Isn't it consistent with the discharge
17 instructions, which I've already allowed?

18 MR. BREEDEN: Well --

19 THE COURT: If we're not going into the content. We're just
20 saying it's -- something was provided, which --

21 MR. BREEDEN: And I objected at the time you allowed those
22 discharge instructions in. Certainly, something given to my client after
23 the procedure can't be relevant to the standard of care or what her
24 knowledge was of risks or complications prior to the procedure. So I
25 objected at that time. And I am consistent. I continue to object.

1 Educational materials is just another way of framing informed consent
2 forms. It's just another way of describing it.

3 THE COURT: Okay. Ms. Hall?

4 MS. HALL: I don't believe that the word "educational
5 materials" has the same connotation as informed consent or consent
6 forms. I would never refer to it as that. I envision it coming up in the
7 context of the discussion that was had with the patient at her two April
8 visits. And then in addition to the discussion, were any written
9 explanation or educational materials about the procedure provided.

10 THE COURT: Okay.

11 MS. HALL: And that is the extent of it.

12 MR. BREEDEN: And Your Honor, if I can. I don't want to
13 debate this point for the next hour. But just so you know, during his
14 deposition testimony, I asked Dr. Brill, you know, what did you discuss
15 with this patient before the procedure? And he says, "I have no
16 independent recollection of what I discussed with her." Okay. So that's
17 sort of what the problem is on the Defense side is they want to come in
18 and they want to say, oh yeah, we told her all about infection and risk of
19 perforation and risk of injury to adjacent organs, et cetera, et cetera. And
20 Dr. Brill's already testified he has no independent recollection of that.

21 THE COURT: I mean, but don't the medical records support
22 that it was given and/or there were discussions had?

23 MR. BREEDEN: And I've objected to that.

24 THE COURT: Okay. I'm going to allow it.

25 MS. HALL: And -- thank you, Your Honor. I'll shut up now.

1 THE COURT: Anything else?

2 MR. BREEDEN: Yeah. I'm sorry, Your Honor. Very quickly.
3 You know, there's been a lot of discussion about the medical billing.
4 And you have excluded some of that. And I just wanted to note if it
5 wasn't already apparent for the record, that we would make an offer of
6 proof of Exhibit 53, which is the St. Rose Dominican Hospital billing
7 records, and an offer of proof for Exhibit 57, which are the Henderson
8 Hospital billing records. We would move to admit those. And I assume
9 you will deny that consistent with your previous rulings.

10 Additionally, Your Honor, I would move to admit into
11 evidence one of the exemplar Symphion Resectoscopes. They've been
12 discussed by multiple witnesses that this is the type of resectoscope
13 used on the Plaintiff. I'd like to have it actually marked as an exhibit so
14 the jury can have it back in the deliberation room. You know, they can
15 touch it, and they can feel it, and they can see what it's like. It is kind of a
16 small instrument. So it may help them to be able to see it up close.
17 Because of COVID reasons, we didn't pass it around to the jury.
18 Normally, we would do that.

19 And my understanding is that typically, just for housekeeping
20 purposes, the clerk hates when we give physical evidence, as if it's to be
21 stored as an exhibit. So what we did is we had Exhibit 44 and 45 as
22 photographs. And I would request that those be admitted, along with
23 the actual exemplar resectoscope so the jury can see it.

24 THE COURT: And 44 and 45 are just photos of it?

25 MR. BREEDEN: Correct.

1 THE COURT: Ms. Hall?

2 MR. BREEDEN: It's my understanding that's how the Court
3 usually wants the physical evidence treated. They just want a
4 photograph so that it doesn't have to go to some evidence storage room,
5 and they have to store this darn thing for the next seven years.

6 THE COURT: Ms. Hall?

7 MS. HALL: No objection to those demonstratives of the
8 photos, 44 and 45. I just don't want to admit the actual demonstrative,
9 meaning the Symphon --

10 THE COURT: Right.

11 MS. HALL: -- or the hysteroscope, unless somebody wants
12 to bail me out of jail when Henderson Hospital comes after me for the
13 cost of that hysteroscope.

14 THE COURT: All right. So then since there's no objection,
15 we'll allow the photos 44 and 45. And then Mr. Breedon, other than
16 having them marked as what you would have wanted to admit 53 and
17 57, any additional discussion or offer on those two, or are they going to
18 speak for themselves?

19 [Plaintiff's Exhibit 44, 45 admitted into evidence]

20 MR. BREEDEN: Well, I think they speak for themselves. The
21 face value of the bill is evidence of the usual, customary, and reasonable
22 amount of the bill.

23 THE COURT: Okay. Anything else?

24 MR. BREEDEN: Your Honor, what's your ruling on the
25 exemplar resectoscope actually going back to the jury?

1 THE COURT: That?

2 MR. BREEDEN: Yes.

3 THE COURT: No. That's not going to go back. Just the
4 photos.

5 MR. BREEDEN: Okay. May --

6 THE COURT: And everybody --

7 MR. BREEDEN: -- may I ask for why? Why is that the Court's
8 ruling?

9 THE COURT: I just don't -- I don't think we should have
10 anything going back there to the jury like that right now, especially with
11 the whole COVID issue.

12 MR. BREEDEN: Is it a COVID concern?

13 THE COURT: Yeah. I just don't think anything besides --
14 actually, I don't even know if we've sent paperwork back there. We
15 have?

16 THE CLERK: Yeah. The exhibit folder.

17 THE COURT: No, I know. But the -- like in the -- we haven't
18 sent any, like -- hasn't it mostly been on the laptop?

19 THE CLERK: Yes. But we --

20 THE COURT: But we sent a couple other papers?

21 THE CLERK: -- but we do, like, if they admit a gun or
22 something without the bullets as an exhibit.

23 THE COURT: Well, yeah. You know, I'll -- let me at least ask
24 someone and see if it's going to be okay. But right now, I'm going to
25 reserve ruling on that. We'll make a decision before we send the

1 evidence back.

2 MR. BREEDEN: Okay. And I just want to make sure. Your
3 concern is not that a proper foundation hasn't been laid, et cetera? Your
4 concern is simply because of COVID?

5 THE COURT: Yeah. And them passing it around and things
6 like that. And I don't -- so let me just confirm. And then I'll reserve
7 ruling on that.

8 MR. BREEDEN: Thank you, Your Honor. Nothing further.

9 THE COURT: And Ray, everyone's here?

10 THE MARSHAL: Yes, Your Honor.

11 THE COURT: All right. You can go ahead and bring them in.

12 THE MARSHAL: All rise for the jury.

13 [Jury in at 10:57 a.m.]

14 THE MARSHAL: The jury is all present, Your Honor.

15 THE COURT: Thank you. You may be seated. Good
16 morning and welcome back. I hope everyone had a great weekend. And
17 we are going to proceed with Plaintiff's case.

18 Mr. Breedon, go ahead with your next witness.

19 MR. BREEDEN: Plaintiff's next witness is the Defendant, Dr.
20 Keith Brill.

21 THE MARSHAL: Please step up. Watch your step. Please
22 face the clerk to your left. Please raise your right hand.

23 KEITH BRILL, M.D., PLAINTIFF, SWORN

24 THE CLERK: Thank you. Please be seated. Please state and
25 spell your name for the record.

1 THE WITNESS: Keith, K-E-I-T-H, Brill, B-R-I-L-L.

2 DIRECT EXAMINATION

3 BY MR. BREEDEN:

4 Q Okay. Doctor, we're here to discuss a hysteroscopy and
5 fibroid tumor resection, a surgery that you performed on Kimberly
6 Taylor on April 26th of 2017. You understand that's why you're here,
7 correct?

8 A I do, sir. Yes.

9 Q And you've sat through this whole trial so far, and you've
10 heard some of the different witnesses and some of the different doctor
11 experts that have testified, correct?

12 A I have.

13 Q So you're familiar with their testimony?

14 A I am.

15 Q Okay. You admit you perforated Kimberly's uterus during
16 that procedure, correct?

17 A Yes.

18 Q You admit the size of the perforation was about a centimeter,
19 correct?

20 A Based on my estimation at the time, yes.

21 Q And that's even though the tip of the resectoscope is about
22 3.6 millimeters; isn't that correct?

23 A I disagree with that.

24 Q You disagree that this is 3.6 millimeters in diameter?

25 A I do because you're referring to that as the resectoscope.

1 And that's not the resectoscope. So your question is that that device is
2 3.6 millimeters. The resectoscope is not.

3 Q The device that I have in my hand?

4 A Correct.

5 Q And this is the tip that would have been extending out during
6 the procedure. The tip of this instrument is 3.6 millimeters; is that
7 accurate?

8 A Yes. The resection device for the Symphon has a width of
9 3.6 millimeters.

10 Q Okay. And so the perforation that you caused in the uterus
11 was roughly three times the size of the tip of that instrument, correct?

12 A Yes.

13 Q You admit you perforated Kimberly's small intestine during
14 that procedure, correct?

15 A Yes, I do.

16 Q And your own expert, Dr. McCarus, has stated the intestinal
17 perforation occurred at the same time as the uterine perforation, correct?

18 A That's what I heard him say. Yes.

19 Q You admit you caused those perforations with this
20 instrument that I called the resectoscope, correct?

21 A I admit that it occurred with the combination of the
22 resectoscope, which is the camera, with the reception device inside the
23 operative channel of the resectoscope at the same time.

24 Q So you are calling this the resection device as opposed to the
25 resection -- the resectoscope. Is that the difference in how we're naming

1 this instrument?

2 A Yes. So the hysteroscope that allows for a resection device
3 in its totality is called the resection device -- or I'm sorry, the -- it's so
4 confusing. I'm sorry. It's called the resectoscope device. That device
5 individually separate what you're holding is the resection device by
6 Symphion.

7 Q Okay. I'll try to refer to it as the resection device then. But
8 you heard all the testimony earlier in this case. Nobody else really made
9 any naming difference between this being the resecting device or the
10 resectoscope, did they?

11 MS. HALL: Objection. Misstates the evidence.

12 THE COURT: Sustained.

13 MS. HALL: Thank you.

14 THE WITNESS: believe hearing Dr. McCarus on Friday --

15 THE COURT: Dr. Brill --

16 MS. HALL: You have to wait until he asks you a question.

17 BY MR. BREEDEN:

18 Q Now, you admit you failed to find the small bowel
19 perforation during the procedure, correct?

20 A That's correct.

21 Q Now, let's talk about what happened during the actual
22 procedure. You admit that upon entering the uterus, you could not find
23 the fibroid tumor that you were there to resect, correct?

24 A That is correct.

25 Q And your solution was to cut at white tissue in an attempt to

1 find it?

2 A I believe according to my operative report, I cut a tissue that I
3 believed to be the uterine septum.

4 Q Okay. And you believed it to be the uterine septum. Do you
5 believe it to be anything different at this time?

6 A No. I believe it appeared to be the uterine septum at the
7 time. And I still believe that, yes.

8 Q You admit you did not see the perforations as they
9 happened, correct?

10 A I do not agree with that.

11 Q Why do you not agree with that?

12 A Well, I agreed -- I'll agree to that I saw the uterine perforation
13 as it occurred with the camera in real time.

14 Q Why did you not take a picture of that?

15 A There's many reasons. To be able to take a picture of a
16 uterine perforation as it occurs spontaneously in real time would need
17 me to be able to predict the future, which I cannot do. You'd have to
18 literally almost like bursting your phone, have to push pictures
19 continuously during a surgery in anticipation of an event you don't
20 expect to happen. So if that's the question, how can I take a picture at
21 the moment of a perforation, I would say that just not -- cannot happen
22 during surgery.

23 Q Does your operative report indicate that you actually saw
24 yourself cause the perforation during the procedure?

25 A I'd ask to see my operative report to see the actual wording.

1 But I do believe I said that I saw what appeared to be a uterine
2 perforation.

3 Q And you saw that sometime after it had actually occurred?

4 A Right.

5 Q Or you saw it in real time as you were doing the operation?

6 A I saw it in real time as I was doing the procedure. Yes.

7 Q You admit that in your report you notice the perforation
8 shortly after using the yellow pedal on the resecting device, correct?

9 A Again, I don't have my operative report in front of me. But
10 having seen it several times on the screen, and having reviewed it, I
11 believe I said as I advanced the camera, during that process of advancing
12 the camera, I saw the uterine perforation.

13 Q And that was shortly after finishing or shortly after you
14 indicated you had used the yellow device for the system, correct?

15 A Correct. It was after that. Yes.

16 Q And the yellow device is what activates the thermal cutting
17 part of this instrument, correct?

18 A Yes. That's correct. That's right.

19 Q And you admit that this perforation that you observed was in
20 the anterior area of the uterus?

21 A Yes. It was on the interior wall of the uterus near the
22 septum.

23 Q And that's the same area that you had been using the yellow
24 pedal in the uterus, correct?

25 A Well, I was using the resection device against the area of the

1 septum. The pedal is on the floor. I use my foot to push the pedal to
2 activate the device right at the bottom of the septum.

3 Q But the area of the uterus where you perforated, that was the
4 anterior area of the uterus, and that's the area of where you had been
5 using the resecting device, correct?

6 A Yes.

7 Q You admit that if you're going to use the resecting tool, you
8 need to be sure of what you're cutting before you activate it; is that
9 correct?

10 A That is correct.

11 Q And you agree that you need to have good visualization
12 before activating the resecting tool, correct?

13 A That's correct.

14 Q Standard of care requires that?

15 A Yes.

16 Q You admit that the area where the -- I'm sorry, strike that.
17 You admit you do not recall personally telling Kim in the PACU that you
18 perforated her uterus, correct?

19 A I do not agree with that.

20 Q Okay. So did you or did you not tell Kim in the PACU that
21 you had perforated her uterus?

22 A So from what I've seen, the conversation did occur. But I
23 don't recall what was said specifically during that conversation.

24 Q Okay. So your testimony is you recall there is a
25 conversation, but you cannot specifically recall telling her a perforation

1 occurred; is that your testimony?

2 A Correct. Sitting here four years plus today, I can't tell you the
3 exact nature of that conversation. Yes.

4 Q So you are not saying one way or another whether you told
5 Kim in the PACU that her uterus was perforated? You have no
6 recollection? That's what your testimony is?

7 A I would say it's certainly possible. It would have been my
8 custom and practice. I likely would have said it. But I can't tell you
9 specifically what I said today.

10 Q All right. Well, at the time of your deposition, you said you
11 had no recollection of that, right?

12 A I said yes, at that time, having not reviewed any of the
13 previous depositions, that I had no recollection of that conversation
14 occurring. Yes.

15 Q Okay. You admit you didn't personally tell the PACU nurse
16 who testified earlier, Nurse Hutchins, about the perforations, correct?

17 A When you say personally, as a direct conversation, I agree.
18 Through my operative report, which was available immediately after the
19 surgery, that's how the communication occurs.

20 Q Okay. Well, you don't deliver that operative report to Mr.
21 Hutchins, do you?

22 A No. The electronic record is completely computerized and
23 every PACU nurse has a station right next to them the entire time that
24 they're there with the entire record there.

25 Q So what your testimony is, you didn't bother to advise Nurse

1 Hutchins, but Nurse Hutchins had access to your report; that's what
2 you're saying?

3 A Yes, and that is the custom of surgery for operative reports
4 to be in the chart at the time of -- for the PACU nurse to see them.

5 Q But you don't think it's important for the PACU nurse to know
6 that there's been a perforation?

7 A I do. And the PACU nurse did know from my operative
8 report.

9 Q And so do you believe that the standard of care would
10 require you to advise people in the PACU that this complication has
11 occurred?

12 A I would agree that would -- should occur and it did occur
13 based on my operative report being in the record at the time.

14 Q Now, we reviewed some records earlier, and one of the
15 PACU notes under complications, someone wrote, "None per surgeon."
16 Do you recall seeing that?

17 A I do recall seeing that from the -- I never saw it at the time of
18 the actual admission in the hospital, but I've seen it subsequently.

19 Q Okay. Do you recall speaking to anyone at the PACU or
20 anyone in the OR and telling them that there were no complications
21 during the procedure?

22 A No. That conversation never occurred.

23 Q Okay. So what you're saying is that record is incorrect?

24 A I'm not saying that. I don't know how or why that particular
25 operating room nurse filled out that form. It likely was a drop-down

1 menu. But there was no specific question by that nurse, whose name is
2 Gary, Dr. Brill, did you perform a complication at work, and then the
3 surgeon said no. That never occurred.

4 Q Well, you don't recall that conversation, but somehow, that's
5 what wound up in the medical records, correct?

6 A That is correct.

7 Q And you admit that you left Kim in the PACU to tend to other
8 patients, correct?

9 A After I left Kim, I had one other surgery at Henderson
10 Hospital, yes.

11 Q And so how much longer were you at Henderson Hospital
12 that day?

13 A Sitting here today, I don't recall. I'd say roughly an hour to
14 two hours.

15 Q Okay. So that would have meant that you left the hospital by
16 approximately what time?

17 A I would say somewhere between 12:00 and 12:30 p.m., that
18 day.

19 Q Okay. So Kim's procedure was done at 9:00, correct?
20 Approximately?

21 A I'd have to see the actual time, but somewhere in that -- in
22 that -- it was 9-something based on the records I've seen here, yes.

23 Q Okay. And you just testified that your other procedure would
24 end in an hour to an hour and a half. So that would put it at about 10:30
25 at the latest you left the hospital, correct?

1 A No, that would not be correct. I know I signed my operative
2 report at 10:08, and that means they haven't even started the next case.
3 So the next case likely would -- you know, it takes time to turn over the
4 room. So you're asking me estimations of time. I don't have a specific
5 log of the timing. I'm trying to do my best to answer your question.

6 Q Were you there at 4:30 p.m., when Kim was discharged?

7 A No, I was not present then.

8 Q Did you have any part in the decision to discharge her?

9 A Not particularly at the time. There is a discharge order in the
10 standing order based on PACU criteria that is based -- is what the PACU
11 nurse decides when the patient should go home. But not the specific
12 time of when the -- when the PACU patient goes home.

13 Q But that standing order, that was created before the
14 procedure, correct?

15 A No. It was -- it was entered and signed, I believe, right when
16 I did my operative report. I think it was at 10:09. When I do it -- so when
17 I say standing order, I mean it was an order that was placed as my
18 post-operative order after the surgery.

19 Q Okay. But you were not actually in the PACU directing Nurse
20 Hutchins to give pain medication, correct?

21 A That is correct.

22 Q All right. You admit you ordered no CT to determine if there
23 was air or free fluid in Kim's abdomen, correct?

24 A That's correct.

25 Q And you admit you did not try to contact Kim later that day to

1 see how she was doing, correct?

2 A Are you referring to after the conversation that happened in
3 the PACU?

4 Q After the conversation that happened in the PACU, you never
5 spoke to Kim later that day or attempted to contact her, correct?

6 A That is correct.

7 Q You admit you selected the Symphon resectoscope or the
8 resecting device for this procedure primarily because of its safety,
9 correct?

10 A For multiple safety features of the system, yes.

11 Q Yes. And this has multiple safety features designed to avoid
12 the very types of perforations that occurred in this case, correct?

13 A I think it is multiple safety features for multiple purposes, not
14 just to try to reduce the risk of perforation.

15 Q But one of those safety purposes is to reduce or eliminate
16 occurrences of perforations exactly like the type that happened to Ms.
17 Taylor, correct?

18 A I would agree that it's meant to minimize the risk. I don't
19 think you could ever eliminate a risk from a surgery.

20 Q Well, I understand because sometimes, you can burn or just
21 push right through the uterus, right?

22 A Someone could. I don't know who you're referring to. I
23 mean, anything is possible, yes.

24 Q You admit that you have a duty to avoid excessive cutting or
25 force within the uterus while you're using this tool, correct?

1 A Can you restate the question? Sorry.

2 Q Yeah. You admit that you have a duty, or the standard of
3 care requires you to avoid excessive cutting or force when using this
4 instrument within the uterus, correct?

5 A Well, I would -- I would question what excessive force means
6 because I don't -- I'm not sure that I know what that means. But it's my
7 job to perform the surgery properly and appropriately and within the
8 standard of care, yes.

9 Q Okay. And you admit that safety is the most important part
10 of any procedure you perform, correct?

11 A I do agree, yes.

12 Q And you admit that you have to use your skill, training, and
13 experience to avoid perforations during hysteroscopy to the extent that
14 you are able to, correct?

15 A I do agree, yes.

16 Q And you admit you failed to take any pictures of the
17 perforation or your alleged inspection of adjacent structures, for
18 example, the bowel, during the procedure, correct?

19 A I agree with that. I wouldn't say it's a failure. I would -- but I
20 would agree the pictures were not taken.

21 Q Okay. I'd like you to look at Exhibit 5, HH48.

22 MR. BREEDEN: Can you put that up, Kristy? It will be up on
23 the monitors if you just want to look at that. Can you blow that up a little
24 bit, Kristy?

25 BY MR. BREEDEN:

1 Q These are the pictures you took during the hysteroscopy,
2 correct?

3 A These are from the diagnostic hysteroscopy before the use of
4 the -- of the Symphion, yes.

5 Q Okay. And you would admit none of these photographs
6 show the perforation, correct?

7 A That is correct.

8 Q And none of these photographs show any sort of inspection
9 of a small bowel or adjacent tissues, correct?

10 A Correct.

11 Q You just simply never took those photographs, correct?

12 A Those photos were not taken after the perforation, no.

13 Q Right. So you admit you performed your entire inspection
14 for damage to the bowel or adjacent structures with the camera still
15 inside the uterus, correct?

16 A With the resectoscope, initially, at the time of the perforation.
17 And then with a second attempt to look for any possible complication at
18 the perforation site with the diagnostic hysteroscope --

19 Q Okay.

20 A -- inside the uterus.

21 Q And all of that was done inside the uterus, trying to look
22 through the perforation to the other side, correct?

23 A It was looking at the perforation to see what evidence there
24 may be of any other possible injury, yes.

25 Q And you agree that the standard of care requires you to

1 check for injury to adjacent organs, doesn't it?

2 A The standard of care, with a blunt injury, is to look for signs
3 of possible damage to any organ near an injury, yes.

4 Q How could you be sure that this was blunt injury at that time?
5 How could you be sure it wasn't thermal injury?

6 A So being the surgeon at the time, knowing that I advanced
7 the camera with my foot off that yellow pedal we had referred to,
8 knowing there was no cutting occurring at the time, there was no reason
9 for bipolar energy to be used at the time of the perforation, which luckily,
10 I saw in real time.

11 Q Would you agree that the standard of care, if you suspected
12 thermal injury, would be to do a separate laparoscopic examination on
13 the outside of the uterus?

14 A Yes, I would.

15 Q Now, you knew Kim had a retroverted uterus prior to the
16 procedure, correct?

17 A Yes, I did.

18 Q You knew Kim had a bicornuate uterus prior to the
19 procedure, correct?

20 A Yes. By the ultrasound results, yes, I did.

21 Q Those conditions were not a surprise to you during the
22 procedure, correct?

23 A Correct.

24 Q They were not unexpected by you, correct?

25 A Correct.

1 Q And you felt that despite that knowledge of Kim's anatomy
2 that you could still safely perform hysteroscopy, correct?

3 A Yes.

4 Q Are you trying to blame what happened to Kim, those
5 perforations, on her own anatomy?

6 A No. I'd never blame anything on Kim's anatomy, but those
7 become increased risk factors for a perforation at the time of a surgery
8 like this.

9 Q You agree that in at least some instances, uterine perforation
10 during the procedure is a result of conduct below the standard of care by
11 the surgeon, correct?

12 A Yes, that can occur.

13 Q And you agree that in at least some instances, bowel
14 perforation during the procedure can be caused by something the doctor
15 has done that is below the standard of care, correct?

16 A Yes.

17 Q Okay. And in fact, you've performed many hysteroscopies in
18 your career and Ms. Taylor's case is the only one where a bowel
19 perforation has occurred; is that correct?

20 A That is true.

21 Q Would you describe Kim's surgery as a success?

22 A Like I mentioned in my deposition, I don't think we define
23 surgeries as successes or wins or losses, but the surgery could not be
24 completed, so the desired result that was supposed to happen could not
25 occur, so that could be not -- I would say that's not a successful surgery

1 because we could not continue the surgery.

2 Q Do you think you made errors during that procedure?

3 A I did not make errors during the surgery.

4 Q So if you had to do it over again, you would do everything
5 exactly the same?

6 A Absolutely.

7 Q Did you ever tell Kim you were sorry about what happened?

8 A I don't recall specifically saying I was sorry for the surgery.
9 But I believe I was apologetic that this happened.

10 Q What is an iatrogenic injury? What does the term
11 "iatrogenic" mean?

12 A Iatrogenic would mean something that's caused by medical
13 care or by -- whether it's a medication error, you know, something
14 medically, or whether it's an error performed during surgery.

15 Q Okay. And the uterus and bowel injury in this case, those
16 were iatrogenic injuries, weren't they?

17 A They were injuries that occurred during the surgery, yes. So
18 they were caused by the surgery, yes.

19 Q We've heard some testimony in this case about what's been
20 called risks of hysteroscopy. Do you have any independent recollection
21 of what you told Kim about the risks of this procedure before she
22 underwent it?

23 A So -- remember -- recalling the specific conversation that
24 happened four and a half years ago? If that's what you mean by specific
25 recollection, I cannot say verbatim.

1 Q Okay. Thank you, Doctor. Do you think it's easy for a
2 surgeon to perforate the small bowel during hysteroscopy?

3 A I do not think I would use the term easy. I think it's
4 unfortunate and it can happen, but it's not easy for that to occur. Again, I
5 don't know how you define the word easy in a surgery.

6 Q In fact, out of the three OBGYNs, including you, that have
7 testified in this case -- so that includes Ms. Taylor's expert, which is Dr.
8 Berke, and your own expert, Dr. McCarus, this is the only instance of
9 bowel perforation that any of those OBGYNs have ever seen, correct?

10 A From what I've heard, yes, me included. Yeah.

11 Q In opening statements, your attorney described Kim as
12 "doing pretty well" after she was discharged home from Henderson
13 Hospital. You've heard the testimony in this case. Do you think Kim was
14 "doing pretty well" at that time?

15 MS. HALL: Objection, Your Honor.

16 THE COURT: Counsel, approach.

17 [Sidebar at 11:18 a.m., ending at 11:19 a.m., not transcribed]

18 BY MR. BREEDEN:

19 Q Doctor, the question -- I'll restate it. Do you believe that Kim
20 was doing pretty well after she was released from Henderson Hospital?

21 A I can only tell you based on what I've read in reports and
22 what I've heard Ms. Taylor say, that she was in pain. But according to
23 the nurse, Hutchins, she met criteria for discharge based on his
24 judgment.

25 Q But in fact, Kim has testified that she was in extreme pain,

1 that she was nauseous, that she was profusely sweating, that she was
2 complaining out of 10 out of 10 pain, so much so that they had to
3 administer multiple doses of a very powerful pain medication. You recall
4 all that testimony, correct?

5 A I think you're referring to what occurred during her recovery
6 room stay, not after she left the hospital; is that correct?

7 Q Correct.

8 A Correct. Yes, I do recall that. Yes.

9 Q Okay. Do you have any opinion that Kim caused or
10 contributed to her own injury?

11 A I do not think she caused her injury, no.

12 Q You would agree with me that the bowel perforation that Ms.
13 Taylor sustained is a serious injury, correct?

14 A It's a serious, unfortunate complication of the surgery. Yes.

15 Q It needs to be urgently addressed, correct?

16 A Once it's diagnosed, yes, it should be addressed urgently if
17 not immediately.

18 Q If unaddressed, that injury can be fatal, correct?

19 A If a bowel injury is not diagnosed properly, it can be fatal if
20 untreated, yes.

21 Q Okay. With a bowel perforation of that kind, you would
22 agree with me that the type of material that comes out into the abdomen
23 is bodily fluids, digestive juices, and the stool or feces at some point in
24 the digestive system, correct?

25 A Yes. I mean, I believe the stool and feces specifically come

1 from the large intestine, which is farther down. But it's still a liquid state
2 in the small intestine because the digestion is still occurring. But that
3 fluid is digestive fluid, which will eventually become stool once it enters
4 the large intestine, yes.

5 Q Okay. And in this particular case, Kim got a serious infection
6 as a result of that material that was flowing out into her abdomen,
7 correct?

8 A Correct. She was diagnosed with peritonitis. Yes.

9 Q Do you have any opinion that Kim was malingering or
10 exaggerating her symptoms afterward?

11 A I would never expect a patient to malingering or exaggerate
12 their symptoms, no.

13 Q Do you have any opinion that Kim's treatment after being
14 released from the PACU -- in other words, her emergency room visits,
15 her ambulance calls, the bowel resection surgery, her stay -- her
16 nine-day stay in St. Rose Hospital, the home care she received with the
17 administration of antibiotics at home -- do you have any opinion that for
18 some reason, that care was not reasonable and necessary?

19 MS. HALL: Objection. Lacks foundation.

20 THE COURT: Counsel approach.

21 [Sidebar at 11:22 a.m., ending at 11:25 a.m., not transcribed].

22 BY MR. BREEDEN:

23 Q Doctor, I'm going to break my question down a little bit so
24 it's not so compound and rambling. And I'm sorry. That's what
25 attorneys do is they ramble, right? So --

1 A That's your words, not mine.

2 Q Let's back this up a little bit, all right? So you sat through
3 this trial, and you heard testimony that Kim had to be treated for extreme
4 pain and other symptoms in the PACU at Henderson Hospital, correct?

5 A Yes. She was treated for her pain. The pain medication at
6 the PACU, yes.

7 Q Okay. Is there any reason you think that treatment was not
8 reasonable and necessary or related to the perforation she sustained?

9 A Those are terms you -- I know you've used throughout the
10 week. Those are -- I mean, I think she received appropriate medical care
11 based on what I've seen from the records, yes.

12 Q Okay. I'm going to ask you the same question, then, about
13 her first ambulance trip to the emergency room. Is there any reason why
14 you think that first ambulance call and the trip to the emergency room
15 was for some reason not reasonable, necessary, and related to the
16 perforation she sustained?

17 A I have no reason to believe that.

18 Q Okay. Next question is about her first visit to the emergency
19 room at St. Rose Hospital. Do you have any reason to believe that for
20 some reason, that first emergency room visit was not reasonable,
21 necessary, and related to the perforation she sustained?

22 A I believe it was reasonable and necessary. I wish I would
23 have been contacted when she was there, but I was not.

24 Q Okay. Well, Ms. Taylor tried to contact you later the day of
25 the procedure and couldn't get through to you. Do you recall that

1 testimony?

2 A What I recall is that she tried to call my answering service
3 and while she was waiting, she hung up.

4 Q Okay. And even though you knew that you had caused a
5 perforation, neither you yourself nor anyone from your office called Kim
6 later that evening to see how she was doing; is that correct?

7 A That's correct, and I think you've asked me that. So I got the
8 same answer, yes.

9 Q So back on the medical care. So now we're at the second
10 time the ambulance is called and transports Kim to the hospital. Do you
11 have any reason to think that that trip was not reasonable, necessary,
12 and related to the injuries that Kim sustained?

13 A I have no reason to think that.

14 Q And her nine-day stay in St. Rose Hospital and her bowel
15 repair or bowel resection surgery, do you have any reason to believe
16 that that treatment is not reasonable, necessary, and related to the
17 perforation she sustained?

18 A I have no reason to. I think it was appropriate, yes.

19 Q Okay. And you heard Dr. Hamilton testify earlier in this case
20 and you have no reason to doubt that her care is reasonable, necessary,
21 and related to the perforations, correct?

22 A That's correct.

23 Q Okay. And now, we'll talk about the -- Ms. Taylor testified
24 that afterward, she was released, and she had to have a PICC line in her
25 arm and she had to self-administer herself antibiotics for -- I think it was

1 either four or five weeks. Do you have any reason to believe that that
2 care is not reasonable, necessary, or caused by the perforations that
3 occurred in this case?

4 A No. I would -- I would defer that decision to the infectious
5 disease specialist, that if in their judgment they feel -- they felt she
6 needed four weeks of home antibiotics, I think that's appropriate.

7 Q Okay. Do you blame Nurse Hutchins or Henderson Hospital
8 for any injury or damage that Ms. Taylor sustained?

9 A You said injury or damage?

10 Q Yes.

11 A So -- no. The -- Nurse Hutchins did not cause the perforation
12 at the time of the surgery, no.

13 Q Okay. And do you blame Ms. -- Doctor Christensen or St.
14 Rose Hospital for any injury or damage to Kim?

15 A Specifically, damage, no. Concern that there was a possible
16 delay because she was sent home, yes.

17 Q Okay. Do you think that caused, for some reason, additional
18 medical treatment to be necessary? Or do you think even if there hadn't
19 been a delay, she more likely than not would have still needed that
20 bowel repair surgery?

21 A I do agree with that. It was -- it was inevitable that the bowel
22 perforation presented itself with symptoms to require more diagnosis
23 and then surgery, ultimately.

24 Q Okay. So to be clear, once that bowel perforation is
25 sustained, she's going to need that repair surgery, correct?

1 A Correct.

2 Q So as far as you are concerned, you do not think the delay by
3 Nurse Hutchins or Dr. Christensen, or any other medical care provider
4 caused the need for that surgery with the delay. Is that your testimony?

5 A I think that the surgery needs to be performed once a
6 diagnosis is established. So you know, a delay did occur because of
7 what happened with the first hospital -- ER visit. But the surgery was
8 going to happen once it was discovered there was a bowel perforation.
9 Or the -- sorry, the symptoms suggesting a bowel perforation. I'm sorry.

10 Q Now, I'd like you to look at Exhibit 1, SRDH 208.

11 MR. BREEDEN: Can you put that up on the monitor, Kristy?
12 And can you maybe blow up the narrative part at the bottom for us?

13 BY MR. BREEDEN:

14 Q This is a progress note that you offered at St. Rose Hospital,
15 correct?

16 A Yes, that's correct.

17 Q And this was authored two days after the bowel perforation
18 occurred, correct?

19 A Correct. It was the morning after the surgery for the bowel
20 injury occurred -- when the bowel repair occurred.

21 Q So at the time this note was dictated, you now have
22 knowledge that there was a uterine perforation and a bowel perforation
23 during the original hysteroscopy, correct?

24 A Correct, except I didn't dictate this. I typed this directly into
25 the computer. But everything you said is true, yes.

1 Q I'm sorry. Then I won't use the term dictated. You
2 personally typed all of this out.

3 A Correct.

4 Q All right. And again, this was entered not at Henderson
5 Hospital just after the hysteroscopy, but two days later at St. Rose
6 Hospital, correct?

7 A Correct.

8 Q Okay. Now, you write in this --

9 MR. BREEDEN: And Kristy, if you could highlight this for us.
10 BY MR. BREEDEN:

11 Q "At the time of the perforation, I did not suspect that the
12 myomectomy device was actively cutting." First of all, what's the
13 myomectomy device?

14 A So that refers to the Symphion device, the resection device.

15 Q This device right here in my hand that we've been
16 discussing, right?

17 A That's correct.

18 Q Okay. So aren't you writing this to indicate that even though
19 you didn't think it at the time, you now suspect this device actually was
20 cutting with thermal energy during the injury?

21 A Are you asking me did I type exactly the opposite of what I
22 thought -- should have typed? Is that what you're asking me?

23 Q I'm asking you if you wrote, "I did not suspect that the
24 myomectomy device was actively cutting." Why did you preface that
25 with the line, "at the time of the perforation"?

1 A So I am trying to explain to Ms. Taylor, my patient, what I
2 believe occurred and I'm saying that I did not believe the myomectomy
3 was cutting when the perforation occurred.

4 Q But if I made a mistake and I came up to somebody and I said
5 to them later, you know, at the time, I didn't think I had made a mistake,
6 that implies that in the present, I do think I made a mistake. Wouldn't
7 you agree with that?

8 A I disagree with that.

9 Q Isn't that what you're indicating in this record, that now, with
10 some retrospection, you believe that this device was actively cutting with
11 thermal injury during the perforations?

12 A If I believed I used the thermal device during the perforation,
13 I would have told my patient that. So I don't agree with what you just
14 said.

15 Q How did you cause the bowel injury, then?

16 A The bowel injury was not recognized at the time of the
17 surgery. I think we've established that. So I believe it occurred bluntly
18 with the advancing of the camera, which is part of the resectoscope
19 device. At the same time.

20 Q Okay. So just to be clear, then, explain to the jury again how
21 and when do you think the bowel perforation occurred during the
22 procedure?

23 A So I believe -- and again, I don't have the operative report
24 here, but I think we've seen them enough -- that as I was advancing the
25 camera -- and what that means -- I know we have it over there -- but I'm

1 holding the camera with one hand. I take the Symphon device back into
2 the operative channel of the camera as I advance it, trying to go to the
3 next part of the septum I was trying to cut. That when the perforation
4 occurred. There was no cutting and it's not indicated in my op report,
5 which I wish I had. I don't have it. But that's my understanding from
6 reviewing this, from what happened in real time.

7 Q Do you remember me asking you that question at your
8 deposition?

9 A I remember being asked similar questions. I don't remember
10 the exact questions, but yes.

11 Q When I asked you "how did this bowel perforation occur"
12 during your deposition, do you recall what you told me?

13 A I'd have to see my deposition, but I believe that I did not see
14 bowel perforation at the time of the uterine perforation.

15 MR. BREEDEN: Okay. Your Honor, I would like to -- if we
16 could approach?

17 THE COURT: Uh-huh.

18 [Sidebar at 11:35 AM, ending at 11:38 a.m., not transcribed]

19 MR. BREEDEN: If I can approach, Your Honor?

20 THE COURT: Yes.

21 BY MR. BREEDEN:

22 Q Dr. Brill, I am handing you a copy of your deposition. Earlier
23 in this case, you gave deposition testimony about what your recollection
24 and opinions in this matter were, didn't you?

25 A I did, yes.

1 Q And you understood that you were under oath under penalty
2 of perjury at that time?

3 A Yes.

4 Q And so that deposition represents your sworn testimony that
5 you gave earlier in this action?

6 A Yes.

7 Q And if you look at the first page, what is the date that
8 deposition testimony was taken?

9 A Taken on Friday, April 16th, 2021.

10 Q Okay. I'd like you to turn to page 34.

11 A Okay.

12 Q I'd like you to look at lines 7 through 9 and read for the jury
13 to me what your answer was when I asked you during that deposition
14 how and when the bowel injury was caused.

15 A So you're saying read only from line 7?

16 Q Line 7 to 9, please. Your response.

17 A "So like I said earlier, I could not tell you sitting here today
18 when exactly the bowel injury occurred after the surgery."

19 Q And the testimony you just gave earlier was that now, you
20 have same opinion of Dr. McCarus that this was caused at the time of the
21 uterine perforation and with the blunt tip, correct?

22 A Knowing what we know now, I know that a bowel perforation
23 occurred. What I was trying to answer at the time -- my understanding
24 of your questions was did I see a bowel injury at the time of the surgery
25 and my answer then and today was no.

1 Q You're changing your testimony here today to match Mr.
2 McCarus' testimony, aren't you?

3 A I disagree.

4 Q You told me, and you just read from it at the time of your
5 deposition, you said you can't tell when exactly the bowel injury
6 occurred after the surgery, right?

7 A So this is part of several questions, like I said, I believe,
8 saying, did you see a bowel injury and multiple times, I said I did not see
9 a bowel injury, so not seeing it, I can't tell you when it exactly happens,
10 but it does seem clear that it happened with the perforation of the uterus.

11 Q Okay. So you didn't see it happen with the blunt tip, either,
12 did you?

13 A I didn't see a bowel perforation at the time, no.

14 Q Now, I also asked you how you thought it was possible that
15 you missed the bowel perforation during your inspection. Do you recall
16 me asking you those questions?

17 A I'd have to see them specifically, but I mean, we had a lot of
18 questions about this line of what happened.

19 Q Do you recall telling me that one reason you thought you
20 may have missed the bowel perforation was because it was potentially a
21 delayed thermal injury?

22 A I said that, because yes, that could be a -- that could be a
23 cause of bowel injury. There already was previous use of the cutting
24 device that use of thermal energy near the wall of the uterus and
25 knowing that a septum area is a thinned area, there could be thermal

1 energy that cause delayed injury to the bowel. That's a known thing that
2 can happen, so that's my answer to that, yes.

3 Q So let's review this for the jury, so that the jury is very clear
4 on this point. You're saying here today that you think you caused that
5 injury with the blunt tip of the resecting device, correct?

6 A Correct. Well, what I said is the uterine perforation occurred
7 with the blunt tip, yes.

8 Q Okay. And if it had been caused with the blunt tip, that's a
9 type of perforation or injury that would have been immediately apparent,
10 correct?

11 A I disagree with that.

12 Q Well, it would have been immediately caused -- the -- in other
13 words, it's not a delayed reaction, right? You run the tip of that
14 instrument through the small bowel. All of a sudden, there's a
15 perforation in the small bowel, right?

16 A That's one theory. Also, the device could crush the organ.
17 The small bowel is very thin. I know we see it on these cartoons here,
18 these pictures, but it's very thin walled. If you do an open surgery, you
19 can literally see contents of a bowel through its wall. So my device
20 could have crushed against the wall and then cause an injury. It doesn't
21 mean necessarily what you've been saying, that it went right through the
22 wall. It's possible, but I didn't see it, so I can't tell. So when you ask me
23 what could have happened, those are theories, because I didn't visually
24 see the bowel perforation.

25 Q Well, that's not what Dr. McCarus, your own expert testified

1 to earlier in this case, is it? He testified that it was immediately caused
2 with the blunt tip of the instrument, didn't he?

3 A I believe he said that. I don't know if he went through the
4 bowel or if you asked him specifically about a crush injury or other --
5 these are theories, because no -- it was not able to be seen at the time.

6 Q That's right. And one reason why it might not be able to be
7 seen is because it's a delayed thermal injury, correct?

8 A That's a possible cause of a bowel injury, yes, in general.

9 Q So if it's a delayed thermal injury, that would mean that you
10 were activating the thermal cutting device on this instrument when it
11 touched the bowel, wouldn't it?

12 A I disagree.

13 Q Hypothetically speaking, if it's a delayed thermal injury, the
14 only thermal instrument you were using is this instrument right here,
15 right? The resecting device?

16 A That is right.

17 Q Okay. So there's no other thermal instrument that was inside
18 Ms. Taylor prior to that perforation, right?

19 A True.

20 Q Okay. Now, I want the jury to understand very clearly what
21 you mean by delayed thermal injury, all right? If I had a hot curling iron
22 here and I touched it to my hand, my hand might not immediately look
23 injured, but over an amount of time, it would get red and swollen and
24 bubble and it might even break the skin. That's what a delayed thermal
25 injury is, correct?

1 A Correct. It's an effect from heat that's nearby that can
2 delay -- in a delayed fashion cause a damage to an organ instead of
3 immediately. That's what I said could be the case here, because I didn't
4 see it happen at the time.

5 Q Okay. So at the time of your deposition, you were saying
6 one possible reason I didn't see this bowel perforation at the time is
7 because it was a delayed thermal injury. That was what your testimony
8 was just a few months ago, correct?

9 A Correct. Based on what you had asked me at that time, yes.

10 Q Doctor, I'm going to ask you a hypothetical, okay?
11 Hypothetically, if, in fact, you cut through and caused a perforation of the
12 uterus and the small bowel with the thermal cutting tip of the resecting
13 device, would you agree that that is below the standard of care?

14 A I would not agree with that.

15 Q So you think the standard of care would allow you to burn
16 with thermal injury right through Ms. Taylor's uterus and small bowel?
17 You think that would be within the standard of care?

18 A I think that an injury can happen, even if the surgery is done
19 correctly and even through in this situation, the cutting device was not
20 used, if a cutting device is used in a hypothetical situation against a very
21 thinned out area of the uterus and the bowel, which is behind the uterus
22 and not visible at the time gets perforated, that can happen. That's why
23 we counsel patients on risks and complications that can occur.

24 MR. BREEDEN: I'm going to object, Your Honor. Move to
25 strike.

1 THE COURT: Overruled.

2 MS. HALL: He's answering the question.

3 THE COURT: Overruled. Go ahead, Dr. Brill.

4 MS. HALL: Thank you, Your Honor.

5 THE WITNESS: So that's why we counsel patients on risks.

6 Now, can it be done negligently? That can be done. But you asked does
7 it mean it's negligence. My answer to this day is no. It doesn't mean it's
8 negligent just because it happened. And this is, again, hypothetical.

9 BY MR. BREEDEN:

10 Q So hypothetically then, out of the universe of times where
11 there's a perforation through the uterus and into the small bowel caused
12 by the thermal cutting instrument, do you think most of those are due to
13 the physician being below the standard of care?

14 A I have no way of answering that. I mean, I have never seen
15 another case, but I know that complications can occur with any device
16 that we use inside a patient's body. We take this very, you know,
17 seriously, what we do as surgeons. And a thermal injury can occur.
18 That doesn't mean it was done negligently.

19 Q But you agree with me that the standard of care requires the
20 physician to avoid causing perforations with the thermal cutting device
21 to the extent possible? Do you agree with that?

22 A Yes, 100 percent.

23 Q Okay. Thank you. Those are all my questions.

24 THE COURT: Cross-examination.

25 MS. HALL: Thank you, Your Honor. Dr. Brill, I'll let you take

1 a drink of water.

2 MR. BREEDEN: I object, Your Honor.

3 CROSS-EXAMINATION

4 BY MS. HALL:

5 Q Dr. Brill, was this a delayed thermal injury?

6 A No.

7 Q And in fact, you were here when Plaintiff's expert, Dr. Berke,
8 testified. Is it your understanding that Dr. Berke's opinion is that the
9 perforation to the uterus and bowel occurred with activation of the
10 cutting device?

11 A You're asking if Dr. Burke feels that way?

12 Q Right. When you were here and you heard Dr. Burke testify,
13 did you hear Dr. Burke testify that the -- it's his opinion that the cutting
14 device is what caused the uterine and bowel perforation?

15 A I did hear that, yes.

16 Q And you've never heard -- have you ever heard Dr. Burke
17 offer an opinion in this trial that this was a delayed thermal injury?

18 A I do not recall that, no.

19 Q When you were deposed in this case, had you reviewed the
20 surgical pathology from the St. Rose Hospital surgery that Dr. Hamilton
21 performed?

22 A I have, yes.

23 Q And -- but at the time of your deposition, had you reviewed
24 that?

25 A That I don't know, no.

1 Q You have reviewed it now, though.

2 A Yes. Yes.

3 Q The deposition testimony that you were shown by Mr.

4 Breeden, do you still have your deposition in front of you?

5 A Yes, ma'am.

6 Q I'd like to refer you to page 36 of your deposition. Now, your
7 deposition lasted how many hours, would you say, that your deposition
8 lasted?

9 A I think with the breaks, a little over three hours, I want to say.

10 Q And you recall -- do you recall at your deposition being asked
11 multiple times whether you saw a bowel perforation occur during your
12 surgery?

13 A I do recall that, yes.

14 Q I want to refer you to page 30 -- it's page 36, line 5 of your
15 deposition. And Mr. Breeden asked you, "So you have no opinion one
16 way or another as to when the bowel was perforated or how it
17 happened. Is that your testimony?" And I'd like you, Dr. Brill, to read
18 your answer, which starts on line 9 and go down to 17.

19 A Okay.

20 Q When Mr. Breeden asked you that question whether you had
21 an opinion one way or another as to when the bowel was perforated or
22 how it happened, is that your testimony, what was your answer?

23 A I had said, "Based on the surgery, recalling the surgery from
24 my operative report, I did not see a bowel injury occur at the time of the
25 surgery. If I had thought there was going to be or was a -- possibly a

1 bowel injury, I would have proceeded to the next step, which would
2 likely be a laparoscopy or some other surgery on consultation to see if
3 there would be a bowel injury."

4 Q Now, Dr. Brill, if at the time of --

5 MR. BREEDEN: I'm sorry. Your Honor, can I have him read
6 the next two lines as well?

7 MS. HALL: Sure.

8 MR. BREEDEN: 18 and 19?

9 BY MS. HALL:

10 Q Go ahead and read --

11 MS. HALL: You -- actually, Your Honor, I think that Mr.
12 Breedon, if he wants to show the rest of the deposition, he certainly can
13 do that.

14 THE COURT: I'll allow it on redirect.

15 BY MS. HALL:

16 Q And Dr. Brill, did you see a bowel perforation occur that you
17 were able to visualize during Ms. Taylor's surgery?

18 A I did not.

19 Q Had you seen that, what would you have done?

20 A If I was concerned or saw evidence of a bowel injury, the
21 next step would be to immediately ask for the laparoscopy tray to be
22 brought to the operating room and to likely start the laparoscopy, but
23 then ask if there are any general surgeons available for an interoperative
24 consultation, but I wouldn't want to delay that. I'd want to start the
25 surgery, because I'm trained to perform laparoscopy.

1 Q And did you have any reason to suspect that her bowel had
2 been injured in any manner during her surgery?

3 A I had no reason to believe that, no.

4 Q And we'll get to your operative report, but in terms of what
5 you had reviewed at the time that you were deposed, had you reviewed
6 the deposition of Ms. Taylor taken in this case?

7 A I had not.

8 Q Had you reviewed the entirety of the St. Rose Hospital chart?

9 A I'd seen parts of it. I don't know if I saw the entire chart, but I
10 definitely saw parts of it.

11 Q And with respect to the Henderson Hospital Chart, had you
12 seen that entirety?

13 A I had access, I know, to the medical records. I don't know if I
14 had some of the specific nursing notes about the pain levels from the
15 PACU, but the care that I did, the orders that -- if that's what you're
16 referring to, that's what I did see.

17 Q You had reviewed -- had you reviewed the notes that you
18 authored?

19 A Yes, I did.

20 Q Had you reviewed the notes of other providers authored by
21 people not yourself?

22 A And which hospitalization are you referring?

23 Q At Henderson Hospital.

24 A So which -- I don't know which providers you'd be referring
25 to?

1 Q Let me ask you this. In terms of the nursing notes at
2 Henderson Hospital, did you review the nursing notes prior to giving a
3 deposition in this case?

4 A I did not.

5 Q Have you now reviewed those?

6 A I have seen when I was present here in the courtroom, yes.

7 Q Now, a moment ago, you explained, but I want to give you
8 an opportunity to explain a little further. Do you believe that the uterine
9 and bowel perforation occurred at the same time during your surgery?

10 A From what I can see, yes. That was the immediate cause of
11 the bowel injury was the uterine perforation, yes.

12 Q And when you say from what you can see, explain what you
13 mean.

14 A Meaning that it wasn't evident at the time of the surgery, but
15 having, you know, the benefit of hindsight, knowing what unfortunately
16 happened here, there's no other explanation as to why a bowel would be
17 injured after this kind of a surgery, if there was -- you know, having a
18 uterine perforation at the time.

19 [Counsel confer]

20 BY MS. HALL:

21 Q While Mr. McBride is loading this, I want to ask you. You
22 were asked about these photographs. This is Exhibit 5, page 48 of that
23 exhibit. Now, you were asked about these photos. And in a moment,
24 we'll go over the language that you documented in your operative report
25 at the time of your surgery or shortly after. But these six photos that are

1 depicted on this screen, when were these photographs taken?

2 A So these are all taken with the diagnostic hysteroscope prior
3 to my decision to convert to the resection -- resectoscope with the
4 Symphion.

5 Q So in your practice when you are performing a procedure like
6 this, do you take before and after photographs?

7 A I do, especially with the idea that we are going to be
8 performing a fibroid resection and then the endometrial ablation, which I
9 know we've spoken about. I think it's usually pretty effective to show a
10 patient, if you are able to complete a surgery, the before and the after,
11 because the pictures look very different. That's typically why I perform
12 the diagnostic hysteroscope before, take pictures. I think should the
13 surgery be completed with an endometrial ablation, I like to show the
14 patient when she comes back to the office, hey, this is what happened
15 with the surgery. This is the change, and this is why hopefully you'll get
16 the benefit of the surgery to reduce your menstrual flow.

17 Q Are all of these photographs before photographs?

18 A Correct. These are all the diagnostic hysteroscopic pictures
19 before -- when you say before, before the use of the resectoscope, yes.

20 Q And why -- are there any after photographs in your records?

21 A There are not.

22 Q Can you explain to the jury why that is?

23 A I don't think they would have been helpful to my clinical care
24 of Ms. Taylor. The -- there was no way to take an instantaneous picture
25 of a perforation, like I mentioned earlier, in real time. And from that

1 point forward, knowing there's a hole in the patient's uterus and that
2 fluid, like we mentioned earlier, with a pump, is being pushed into the
3 uterus to get the operative field, you only have a limited amount of time
4 to see. So my job was to diagnose and to care for my patient, make sure
5 that I didn't see injury. My thoughts at the time are not taking pictures.
6 They're on the safety of the patient and trying to complete the surgery
7 with the fluid inside, so -- quickly, so we can get the fluid out.

8 Q Well, you mentioned that it's your custom and practice that
9 your after photographs will be taken when?

10 A Typically at the conclusion of the surgery to show the before
11 and the after. So if I would have seen a fibroid, I'd like to take a before
12 and after of that. If I would have seen -- if I would have performed the
13 ablation, like I was mentioning, the color change, usually the entire
14 uterine lining becomes gray-whitish from that burning effect of the
15 ablation, which, you know, didn't occur here, because we had to stop the
16 surgery.

17 Q And it didn't occur, but you mean -- were you ever able to
18 remove Ms. Taylor's fibroid?

19 A I was not.

20 Q Were you ever able to perform an endometrial ablation for
21 the patient?

22 A I was not.

23 Q And why is that, Dr. Brill?

24 A Because of the recognition of perforation. It would be
25 contradicted to perform any kind of further resection of tissue. It would

1 be contraindicated, meaning it's not appropriate to perform any heated
2 fluid in the uterus knowing there's a hole. If I would have done that, that
3 would be negligence and I did not do that.

4 Q Well, let me ask you this, Dr. Brill. You've told the jury that
5 you believe the uterine and bowel perforation occurred during your
6 surgery; is that correct?

7 A Correct.

8 Q How then -- do you believe that you met the standard of care
9 in performing Ms. Taylor's surgery?

10 A Absolutely I did.

11 Q How is it that she had a uterine and bowel perforation and
12 yet you still believe that you met the standard of care?

13 A Because I performed the surgery carefully and properly. I, in
14 my op report, show the care that used to try to follow the pathway of her
15 uterus. And despite all that, doing everything with the -- with my
16 training, a perforation occurred at her uterus.

17 Q Dr. Brill, you were present when your expert, Dr. McCarus
18 testified?

19 A I was.

20 Q And Dr. McCarus -- did you hear Dr. McCarus testify that a
21 known risk and complication means that it can happen even when the
22 surgeon does everything right?

23 A I did hear that, yes.

24 Q Do you agree with that?

25 A I do agree.

1 Q What does the term, known risk and complication mean to
2 you?

3 MR. BREEDEN: Object, Your Honor.

4 THE COURT: Overruled.

5 MS. HALL: Thank you, Your Honor.

6 BY MS. HALL:

7 Q Do you need me to repeat it, Dr. Brill?

8 A Yes, please. Overruled and sustained confuses me, so go
9 ahead.

10 Q That's okay. And actually, you talk pretty fast, and it's
11 difficult sometimes for our reporter to get that down, so just try to, if you
12 can, just slow down a little bit.

13 A Yes, ma'am.

14 Q Now, the term, known risk and complication, what does that
15 mean to you as a physician?

16 A That means that every surgery where we enter a patient's
17 body from head to toe, there are known risks and complications when
18 you're operating on an organ that has organs nearby, and it would not
19 be proper for a doctor or a surgeon to not counsel a patient about these
20 risks before the patient makes the decision to proceed.

21 Q If a patient experiences a known risk and complication, does
22 that lead to the conclusion you violated the standard of care?

23 MR. BREEDEN: Just a continuing objection on that question,
24 legal --

25 THE COURT: Counsel approach.

1 [Sidebar at 11:58 a.m., ending at 11:59 a.m., not transcribed]

2 MS. HALL: Thank you, Your Honor.

3 BY MS. HALL:

4 Q If a patient experiences a known risk and complication, does
5 that mean you deviated from the standard of care?

6 A It does not.

7 Q Can you explain that to the jury?

8 A So that means that, you know, if a surgery occurs, you want
9 the best outcome you can possibly have. But you're entering a person's
10 body and you're trained to perform the surgery properly. And even
11 doing the surgery properly, taking every precaution, a complication can
12 occur, and they do occur. They've happened in other surgeries. I've
13 taken care of patients throughout my career. There are complications
14 that occur. They've happened in my practice with other patients, other
15 doctors that I've taken care of. These things happen. There's a low risk,
16 but they're known risks.

17 Q Was this the first occasion where you had a patient
18 experience a bowel perforation from hysteroscopy?

19 A Yes, it was.

20 Q What about since? Since Ms. Taylor's case, have you
21 encountered any bowel perforations during hysteroscopy?

22 A I have not.

23 Q And the -- is bowel perforation less common than uterine
24 perforation from hysteroscopy?

25 A Yes, it is.

1 Q Is bowel perforation also a known risk and complication of
2 the procedure?

3 A Yes and injury to organs nearby. In this case the uterus has
4 the bladder nearby and the intestines. The small intestine and large
5 intestine, the colon. So these are all organs that are in the area of the
6 surgery and that's how I counsel my patients.

7 Q Have you ever had a bowel injury occur during hysteroscopy
8 from activation of the resection device?

9 A No, I do not.

10 Q Did you do that here?

11 A I did not.

12 Q And why -- how do you know that, Dr. Brill?

13 A I know that based on my contemporaneous operative report
14 that what happened at the time of the surgery that I dictated -- after I had
15 performed the initial cutting of the septum, I then advanced the camera.
16 Meaning the resection -- resectoscope and noticed the perforation during
17 the advancing of the camera. Not during activation of the device.

18 Q Now Dr. Brill, you keep referring to advancing of the camera.
19 And since I am not a physician, I'd like you to explain to the jury. If I
20 could have you --

21 MS. HALL: Is it okay, Your Honor, if I have him come down
22 from the stand just to use this?

23 THE COURT: Yes.

24 BY MS. HALL:

25 Q So I have here the hysteroscopy as well as the resection

1 device. So first and foremost, I want you to explain to the jury how the
2 resection device goes into the operative channel of the hysteroscope.

3 A Okay. So just to show you it's held this way, but this is what
4 we --I'm sorry.

5 Q Excuse me. Let me get the microphone a little closer to you.

6 A Should I get closer.

7 Q And because of your low voice, I would just suggest that you
8 stand close to this so that the reporter can pick you up.

9 A So as we heard earlier, this is the resectoscope, which is they
10 hysteroscope used for resection. This is the operative channel here. So
11 this is meant for the Symphion. There are -- you know, that's why it has
12 the word Symphion. If there are other kind of resectoscopes. This
13 would go inside here. And what's happening when we say camera -- this
14 is the lens.

15 Now, you know, when laparoscopy and hysteroscopies were first
16 started, we didn't have video technology. So literally, you'd have to put
17 your eye here. I can remember performing laparoscopies where you
18 were looking like this. When we say camera, there is a video camera
19 that fits around this lens here and then will project that image onto your
20 screen.

21 Q And let me interrupt. Is a hysteroscopy, in your experience,
22 is that videotaped so that it can be reviewed later after the fact?

23 A No. It do not routinely videotape surgeries unless it's either
24 for educational purposes of teaching. And to do that -- plus it's not
25 routinely done. We have to get patient permission for that. We don't

1 routinely do that during any of our surgeries.

2 Q And in terms of the camera, can you explain -- you
3 mentioned that that's on the lens of the hysteroscope. Is the lens ever
4 inside of the patient's body?

5 A It is not. This is all on the outside of the client.

6 Q And does that mean also -- with respect to the camera itself,
7 is the camera ever inside of the patient's body?

8 A It is not. It is in my hand. So pretend there was a round
9 camera device. I'd be holding it with my left hand here because I'm a
10 righty. So I'd be a -- you know, when I use the actual Symphion device, I
11 would be advancing it with here but I'm holding the camera here. And
12 what I mean by advancing a camera is if a septum is here, I'm advancing
13 my camera to that septum.

14 And once we get to that area, I think we've seen pictures that
15 there's a little divot on the side here, so the cutting -- what I like about
16 this device, it's not on the edge. It's on the side. So to do that, I have to
17 advance my camera to the septum. Once I see it, then you advance the
18 device to that area. Once I'm comfortable up against the tissue, then you
19 hit the yellow paddle wing cut.

20 So advancing the camera, which is -- what I mean by that is I'm
21 taking my hand and pushing on the camera, which means the entire
22 resectoscope device is being advanced.

23 Q And entire resectoscope device, what do you mean when
24 you use that phrase?

25 A So that's the entirety of what I'm holding. So that's the

1 resectoscope and the resection device together.

2 Q Now -- and again in a moment, I'm going to show your
3 operative report, but when you, as the surgeon, when you advance the
4 camera, can you explain to the jury what, if anything, you're doing with
5 the Symphon portion of the resectoscope?

6 A Yeah. So I think we mentioned some of the pictures. There's
7 two lenses here, so the camera here is magnifying what happens here.
8 So, you know, there's optics and physics. That's way beyond my scope.
9 But there's a light here and there's a lens here. So when you advance
10 this, you don't see on the screen the resection device until you push it
11 forward so it's visible. So --

12 Q And let me stop you right there. When you said you don't
13 see on the screen the resection device until you push it forward, are you
14 referring to pushing it forward from the hysteroscope?

15 A Correct. So I am advancing the camera. To get the proper
16 view, I would pull this back just to the edge here then advance the
17 camera. See the next area of tissue that I want to cut, then
18 advance -- keep my hands still here then advance here. When I mention
19 in the op report, I know we haven't reviewed it in much detail yet today,
20 surprised, I know we will, is that --

21 Q I'm getting there.

22 A -- during the advancing of the camera, before any further
23 resection can occur is when I documented the perforation, and that's
24 why there was no further use of the thermal cutting instruments.

25 Q When you do hysteroscopy, Dr. Brill, do you ever activate the

1 resection device as you're advancing the camera?

2 A No. I would not do that in this case. You know, I remember
3 we saw a video in the opening day of the removal of a polyp, I think, or a
4 fibroid, which is very different than this kind of a surgery. In that surgery
5 you're using this device and you could be moving it left and right to kind
6 of go in a circular fashion because you're removing a legion. But to
7 physically advance a camera, I would not do that, you know, to the next
8 area of a septa, which we saw is a different kind of anatomy and a round
9 legion that we seen in the video area, I would not advance that with the
10 resection device in my way to do that. I want to be able to see before I
11 advance this to be able to get to the cutting area.

12 Q Thank you, Dr. Brill. I'll take that, and you can go head and
13 get back on the stand.

14 Now there's been some suggestion that -- well, let me ask you, Dr.
15 Brill. Did you intend -- did you try to deceive Ms. Taylor about the fact
16 that a uterine perforation had occurred during your surgery?

17 A No. I would never do that.

18 Q Have you ever done that in your years as a practicing
19 surgeon here in Las Vegas?

20 A I would never deceive the patient nor would I or should I.

21 Q The conversation that Mr. Breeden asked you about, was
22 there ever a conversation between you and Gary Wernlund, the
23 operative nurse for Ms. Taylor that day?

24 A Yeah. I mean, we interact throughout the surgery. He's the
25 circulating nurse. So there are conversations that occur. Especially

1 when we switch from the diagnostic to the resectoscope, he's the one
2 who has to start plugging in the fluid intake and the fluid output in all the
3 machines, so those conversations do occur. I'm the one asking for that
4 to occur.

5 Q The operating nurse, the operative room nurse, would he
6 have been present during your operation?

7 A Yes.

8 Q And when a perforation is noted during surgery, what do you
9 do in terms of advising the staff members present in the operating
10 room?

11 A So immediately at the time of perforation, it's announced
12 there's a perforation and the surgery is stopped. And then my job then
13 is to quickly act for the safety of the patient to try to visualize it and then
14 decide what the next steps will be.

15 Q Are you telling the jury that Gary was attempting to deceive
16 Ms. Taylor?

17 A I don't think Gary was trying to.

18 Q You mentioned a dropdown menu when you were asked
19 about Gary's note. What is -- can you explain to the jury what a
20 dropdown menu is in the electronic medical record?

21 A So there are many forms that are in the chart that are filled
22 out with data collection purposes and that particular note, which I don't
23 fill out, that has nothing to do with me, my understanding is that that is
24 the first line. It says non, per surgeon. But there was no -- Gary doesn't
25 say, Dr. Brill, I'm filling out this form now. Can you answer this

1 question? Was there a complication? And then I would say no, there
2 was not. And he would say none per surgeon. That never occurred. I
3 didn't know of the -- of that entry until it was presented to me for the first
4 time at my deposition.

5 Q And in terms of electronic medical record, have you heard
6 the term auto populated?

7 A I have heard of that, yes.

8 Q What does that mean to you?

9 A So my understanding is that auto populated means that
10 notes will often have a standard of faults, which would likely be no to
11 most questions or, you know, did the surgery end? It would say yes. I
12 mean, things like that. That's my understanding of auto population
13 default on the notes.

14 Q And the operative report that you dictated for Ms. Taylor's
15 surgery, when did you dictate that operative report?

16 A So what was mentioned earlier is my practice is to open the
17 notes and to dictate the preoperative diagnosis and the plan procedure
18 beforehand. And that's just for efficiency because --

19 Q Let me get your operative report, Dr. Brill. It's Exhibit 5 and
20 I'd like to start with page 42 please. I have the first page of your
21 operative report on the screen. And when you mentioned that there was
22 a portion of your operative report you dictate before the procedure
23 begins, can you tell us what portion of this operative report you would
24 dictate before beginning the surgery?

25 A Yes. So the only -- it would be the first two lines, which are

1 the indication for surgery, meaning why are we here? What are we
2 doing or planning to do? And then the preoperative diagnosis.
3 Otherwise, everything else is done subsequent to the surgery.

4 Q In terms of the indication for surgery, what was the planned
5 surgery that you intended to perform that day?

6 A So what is documented here and also in my chart was she
7 was counseled and agreed to dilation and curettage with hysteroscopy
8 with fibroid removal and hydrothermal endometrial ablation.

9 Q And is there any mention in your indication for surgery of a
10 uterine septum for Ms. Taylor?

11 A No. There's no mention of a uterine septum preoperatively.

12 Q What about in terms of the remainder of this page, the
13 post-operative diagnosis, the operation section? Are those two sections
14 completed before or after the surgery?

15 A Everything from that point forward is all done by me after the
16 surgery.

17 Q In your operation section, you -- what is that meant to
18 document?

19 A So that is the procedure that was actually performed as
20 opposed to what was planned ahead of time.

21 Q And did you perform dilation and curettage with
22 hysteroscopy for Ms. Taylor?

23 A I did.

24 Q Did you also perform a partial resection of a uterine septum
25 for Ms. Taylor?

1 A I did.

2 Q And what did you use in order to partially resection the
3 uterine septum?

4 A So I utilized the Symphion resectoscope. The one that I was
5 holding. Well not that specific one, but that device.

6 Q And I want to take you down to the bottom of this note. Let's
7 start with your findings. Did you document in your findings the uterine
8 perforation that you noted?

9 A I did.

10 Q And can you explain to the jury what the purpose of your
11 finding section is in your operative report?

12 A So the purpose is to pretty much tell the narrative of the
13 surgery. So this is a summation of what occurred and what the findings
14 were. So I said there was a perforation of the uterus noted anteriorly just
15 after the beginning of the resection.

16 Q When you document that the perforation was noted
17 anteriorly just after beginning the resection, is that meant to convey that
18 you were activating the yellow pedal when the uterine perforation
19 occurred?

20 A No, it's not. Because I didn't say during the resection. I said
21 after the resection.

22 Q And what about complications? Is there a section in your
23 operative report where you document the complication that you noted
24 during surgery?

25 A There is and it says perforation of uterus.

1 Q And in a little while we'll go over the more detailed narrative
2 portion of your operative report. But before we do that, Dr. Brill, I'd like
3 to talk to you a little bit about your background and give the jury some
4 information about your education and training as a physician. Start with
5 -- can you tell the jury where were you born and raised?

6 A So I was born in Brooklyn, New York. I was raised on Long
7 Island until I left for college.

8 Q Are you married?

9 A I am married.

10 Q And your wife, is she here today?

11 A She's here. She's in the back with a blue mask, yes.

12 Q Do you have any children?

13 A I have three children, yes.

14 Q And --

15 A And I have a grandchild too.

16 Q Don't look old enough to have a grandchild.

17 A No.

18 Q You're three children, what are there -- briefly, what are their
19 names and ages?

20 A So I have a stepdaughter, her name is Courtney [phonetic].
21 She's 24. I have a son, Toby [phonetic]. He's 18. And I have a son
22 Sydney [phonetic], and he's 16.

23 Q And where did you go to college?

24 A So I went to college and medical school at the University of
25 Miami in Miami, Florida.

1 Q Can you tell us a little bit about that program, and I'll ask you
2 a few questions, but I also want to know how long did it take you to get
3 your undergraduate degree, and then how long did it take you to get
4 your medical degree at that institution?

5 A So I applied out of high school for what's called the
6 combined bachelor's medical degree program. It's called the Honor's
7 Program in Medicine. So I was accepted to medical school right at -- in
8 high school.

9 Q I'm sorry to interrupt, but did you -- when did you make the
10 decision to go to medical school?

11 A In high school while I was taking biology class is when I
12 knew that's what I wanted to do.

13 Q And so, the six-year program that you were accepted
14 into, at what point during your education did you apply for that
15 program?

16 A So it was during my senior year when you would normally
17 apply for colleges, they have a program that -- that takes a -- you know, a
18 small amount of people who are guaranteed acceptance into the medical
19 school as long as you complete your bachelor's degree in two years,
20 which is what I did. So I know your -- you asked -- so I was an
21 undergraduate for two years at the University of Miami and then
22 completed the full medical school, which, you know, they don't shortcut
23 medical school. Medical school is four years.

24 Q And your undergraduate degree, you were -- were you able
25 to complete that in two years?

1 A Yes, technically. I -- the way it works is they use your first
2 year of medical school classes to count towards your bachelor's degree.
3 But I was technically attending medical school. But they don't award you
4 the bachelor's of science until after your first year of medical school. So
5 I received that in 1992.

6 Q And what was your bachelor's of science in?

7 A It was in biology.

8 Q And then did you go on to medical school at the same
9 institution?

10 A Correct. The University of Miami School of Medicine, yes.

11 Q What year did you obtain your medical degree?

12 A I received it in 1995.

13 Q Without, you know, going into a lot of detail, for those of us
14 who don't know a lot about medical school, can you briefly describe
15 what sort of education you receive in medical school?

16 A You receive a lot of information in a -- in a short amount of
17 time. Your first two years of medical school are what -- are what are
18 called the basic science years. So you are basically in classrooms for the
19 most of your time learning -- usually in your first year of medical school,
20 it's all about normal subjects. So normal -- you start with gross
21 anatomy, which we've all heard about from TV shows. You learn about
22 physiology, biochemistry, microbiology, medications, like
23 pharmaceuticals. Slowly -- and they started doing this while I was a med
24 student -- they start introducing clinical skills. So you start to interview
25 your first patients and start to use your stethoscope and all the general

1 things you picture a doctor using with their light pen.

2 The second year of medical school is usually based on diseases
3 and trying to learn it. You know, what's -- you know, you just learn
4 medicine to learn about the normal; you have to learn about the
5 abnormal next. So most of second year of medical school is learning
6 about, you know, organ by organ, system by system learning about the
7 different fields. And at least in a basic science way, you learn about
8 pathology, about, you know, what they look at under a microscope.

9 And ultimately it's progressing up to your third and fourth year as
10 a medical school, which we call the clinical years, which you are, you
11 know, the medical student on the wards doing rounds and you
12 sometimes go to doctors' offices. But you're doing all different fields
13 and learning, you know, a lot of different fields in medicine.

14 Q Now, once you got your medical degree in 1995, what's the
15 next thing you did in terms of your education so?

16 A So when you are finishing medical school, you have many
17 choices. And you can decide to become a general medical doctor and,
18 you know, maybe do one year at internship and then practice -- and then
19 put a shingle up and practice. Or you can specialize. So I chose to
20 specialize in a obstetrics and gynecology. I applied for -- you know, you
21 apply for residency programs, and then there's a match process that
22 matches you up to a program based on how you rank the programs and
23 how they rank, and an envelope will tell you your fate in March of your
24 senior year of medical school.

25 Q So did you go on -- did you go on to do a residency?

1 A I did.

2 Q In what specialty?

3 A In OB/GYN, which is obstetrics and gynecology.

4 Q Where did you do that residency?

5 A So that was at Thomas Jefferson University Hospital, which
6 is in Philadelphia, Pennsylvania.

7 Q And how long is the residency that you did?

8 A It was four years including the first year, which is the
9 internship year.

10 Q So of the four-year program, you mentioned that the first
11 year is the residency. In terms of the last year of -- excuse me -- the
12 internship. In terms of the last year of your residency, explain to the jury
13 if you held any positions during your last year of your residency.

14 A I did. So when you're a senior resident, which is the fourth-
15 year resident, I was elected to be what's called the chief resident. So
16 that means you're pretty much -- now, your -- once -- when you're a
17 fourth-year resident, you're one step away from now practicing on your
18 own without another doctor behind your back looking over your
19 shoulder.

20 So the chief resident is the one who pretty much is in charge of the
21 entire program. I didn't know that until after I got it. But you're in
22 charge of doing all the schedules, you know, you're in charge of making
23 sure that the correct mentorship occurs between the junior residents. So
24 besides all the typical training you have to do as a fourth-year resident,
25 you know, because you're -- they have to make sure you know how to do

1 your surgeries and that you can be trusted when you -- when you walk
2 out the door, I was also -- had administrative functions as the chief
3 resident as well.

4 Q And when in your medical training did you first perform a
5 hysteroscopy?

6 A Personally you're talking about opposed to like watching one
7 in medical school or --

8 Q Sure. Let's start with watching. When in your medical
9 training is the first time you observed a hysteroscopy being performed?

10 A So the first time would be during my third and fourth year at
11 medical school, I performed obstetrics and gynecology clerkships. So
12 the first times would be then. But I wouldn't be performing the surgery.
13 I mean you're lucky if you get to hold the instruments. My first time
14 actually performing would be probably during my first month of my
15 internship. There are senior residents next to you, but like I mentioned,
16 they're there to help guide you and get me to their level. That's what
17 happens in a residency program.

18 Q During your four-year residency, did you perform
19 hysteroscopies?

20 A All the time, yes.

21 Q During your four year residency, were you trained on
22 identifying injury to the uterus during hysteroscopy?

23 A I was, yes.

24 Q And during your four-year residency, were you trained on
25 identifying injury to other organs during hysteroscopy?

1 A Yes, I was.

2 Q Does that include the bowel?

3 A It does.

4 Q And can you explain in terms of what you as the surgeon are
5 looking for in a surgery? What sort of signs or symptoms -- what sort of
6 signs would you expect to see if an injury to the bowel had occurred
7 during hysteroscopy?

8 A So if a bowel injury occurs during any surgery that you're
9 able to recognize, you would -- there's -- you know, the bowel looks very
10 different than the inside of a uterus. Typically if you see bowel, there's
11 adipose tissue. There's like yellow tissue connected to the large
12 intestine. The small bowel has loops with blood vessels that look very
13 different. So if you see evidence of the -- of the organ of the bowel
14 nearby, you would see that. You -- if you see an actual perforation, you
15 should see fluid or bowel contents come out. Again, it depends on what
16 part of the bowel we're talking about. But that's what you would
17 typically see.

18 Q What about the small bowel, what sort of signs would you
19 expect to see if there had been injury to the small bowel during surgery
20 that you're able to identify?

21 A So if you're able to identify a true perforation, you should see
22 fluid, which is a distinctive color. It's usually a yellow-greenish color.
23 The green comes from the bile that gets secreted from the liver into the
24 upper part of the small intestine, which is called the duodenum, and then
25 that combines with food. So the fluid looks very different. It sometimes

1 gets toward the -- towards the colon, and it's going to start turning more
2 greenish-brown. But most of the small intestine I would say there's a
3 yellowish-greenish fluid that you would expect to see. You might
4 actually see food too, if you see this also.

5 Q Those signs that you've described, Dr. Brill, is that something
6 that you learned in your medical training?

7 A Yes.

8 Q And tell the jury what it is that you did in terms of your
9 medical education. What is the next thing that did after you completed
10 your residency? And first -- I'm sorry. Let me back up. What year did
11 you complete your residency?

12 A I completed my residency in 1999.

13 Q After you completed that residency, what's the next thing
14 you did in terms of medical education?

15 A In terms of my medical education or -- wait. I don't
16 understand the question.

17 Q Let me -- let me rephrase it. After you completed your
18 residency, what's the next thing you did in terms of your practice?

19 A So I had a U.S. Air Force scholarship for medical school,
20 which I applied to once I knew I'd gotten into medical school. So I was in
21 the reserves during medical school. Meaning that during the summers,
22 you had to go either to trade -- we'd go to officer training. And during
23 the summers in the third and fourth year, you went to Air Force military
24 hospitals to do some clerkships. And part of that scholarship is you now
25 owe time as an officer in the military to serve as a physician. So I was

1 assigned to Nellis Air Force Base here and served at Mike O'Callaghan
2 Federal Hospital.

3 Q And what years were you serving as an officer at Nellis Air
4 Force Base?

5 A I was here in 1999 to 2003 as --

6 THE COURT: And, Counsel, before you proceed -- sorry to
7 interrupt, Dr. Brill. We are approaching 90 minutes, so I'm going to go
8 ahead and take a bathroom break for the jury.

9 MS. HALL: Absolutely.

10 THE COURT: And during this break, you're admonished to
11 not talk with each other or anyone else about any subject or any issue
12 connected with the trial. You're not to read, watch, or listen to any
13 report or commentary on the trial by any person connected with this
14 case by any medium of information, including, without limitation,
15 newspapers, television, the Internet, or radio.

16 You're not to conduct any research on your own related to
17 the case such as consulting dictionaries, using the Internet, or other
18 reference materials, test any theory of the case, recreate any aspect of
19 the case, or any in any other way learn or investigate the case on your
20 own. You're not to talk with others, text others, Tweet others, Google
21 issues, or conduct any other type of book or computer research with
22 regard to any issue, party, or attorney involved in this case. And, finally,
23 you're not to form or express any opinion on any subject connected with
24 this trial until it's finally submitted to you.

25 We're going to take a 15-minute bathroom break.

1 THE MARSHAL: All rise for the jury.

2 [Jury out at 12:25 p.m.]

3 THE MARSHAL: The jury's clear of the courtroom,
4 Your Honor.

5 [Outside the presence of the jury]

6 THE COURT: Thank you. We're outside the presence of the
7 jury. And, Dr. Brill, you're instructed not to speak with anyone about
8 your testimony. You're still under oath.

9 And you want to put the objections on the record before we
10 take our break?

11 MS. HALL: Sure. So the first objection I have is --

12 THE COURT: So the first objection I have is -- you can step
13 down, Dr. Brill. Go ahead and have a seat out there.

14 MS. HALL: You can stretch your legs.

15 THE COURT: Let's see. There was an objection by Defense
16 during direct examination about commentary that was made by counsel
17 during opening statement. The objection was that the comments are not
18 evidence. I sustained it, and we -- and counsel rephrased it. Anything
19 further on that objection?

20 MR. BREEDEN: Nothing further from Plaintiffs.

21 MS. HALL: Nothing further from Defense.

22 THE COURT: Okay. And then there was a question asked
23 about a lot of things, including medical bills, but I guess the reference
24 was whether or not everything was reasonable, and treatment was
25 reasonable, and billing was reasonable. Is that an accurate

1 characterization?

2 MR. BREEDEN: We rephrased and broke it down --

3 THE COURT: Right.

4 MR. BREEDEN: -- on the reasonableness and causation of
5 the treatment.

6 THE COURT: Correct.

7 MR. BREEDEN: As to the billing issues, you did not allow me
8 to ask those questions before the jury. I indicated that I would make an
9 offer of proof. And if it's acceptable to you, what I would do is just file --
10 it's a couple of pages from his deposition about his testimony on that
11 issue. But to summarize it, I think at that fair summary of his testimony
12 would be that he would say he didn't specifically review all the billing,
13 but he has no reason to believe that it's not usual, customary, and
14 reasonable.

15 THE COURT: Okay. And I'm fine with you submitting those
16 as an offer of proof as exhibits. And then response by Defense?

17 MS. HALL: Just that, you know, as we discussed at the
18 bench, he's never reviewed the medical billing. I think that exceeds his
19 scope of treatment to try and elicit that expert opinion without any
20 foundation that he's ever reviewed medical billing.

21 THE COURT: Okay. And as the billing, I did sustain it. And
22 then as to -- I think I also mentioned I believed it was burden-shifting.
23 And I think it's the Plaintiffs' obligation to establish that any billing was
24 reasonable and -- with regard to medical treatment. And I think by
25 asking a Defendant does he object to it; you're shifting the burden to the

1 Defense to establish that it wasn't reasonable.

2 And then, finally, we have the continuing objections to the
3 risks and complications which Mr. Breeden had a continuing objection to
4 and politely asked if he could just have that continuing objection instead
5 of interrupting, which the Court allowed. So for the record, it's a
6 continuing objection as to risks and complications. Anything further?

7 MR. BREEDEN: I think -- no, nothing further at this time.

8 THE COURT: Okay. I'll see you in ten minutes.

9 And just as to -- we have -- this is going to be finish, you're
10 going to rest?

11 MR. BREEDEN: Yes.

12 THE COURT: And then --

13 MR. BREEDEN: Are you going to re-call him, Heather --

14 THE COURT: That's what my --

15 MR. BREEDEN: -- or are you going to --

16 THE COURT: That's what --

17 MS. HALL: No. I'm doing my direct exam now.

18 THE COURT: Okay.

19 MR. BREEDEN: That's what I thought we were seeing.

20 MS. HALL: I guess I could have explained that. But I am
21 doing my direct exam now.

22 THE COURT: So then we anticipate maybe like another 30,
23 40 minutes before lunch or -- I guess the question is, because we're
24 going to have to do jury instructions, argument. I did receive it. I
25 haven't had a chance to review it. I was going to look over it during

1 lunch. So I'm wondering are we -- Defense is going to rest after this?

2 MS. HALL: Yes.

3 THE COURT: And then we can just let them go and come
4 back tomorrow. I mean we'll stay to do instructions.

5 MR. BREEDEN: That's how I foresaw things proceeding
6 today.

7 THE COURT: Okay. So then instead of taking a lunch, I'll just
8 release them for the day whenever we're done.

9 MR. MCBRIDE: So what time for lunch were you thinking?
10 About another 30 minutes when they get back and then --

11 THE COURT: Yeah.

12 MR. MCBRIDE: -- take a break?

13 THE COURT: As soon as we finish this and then you guys
14 will rest, and then we'll go to lunch, but I'll let them go for the day and
15 come back tomorrow.

16 MR. BREEDEN: Just assuming --

17 MR. MCBRIDE: Well --

18 MR. BREEDEN: -- Ms. Hall doesn't think she's got another
19 two hours of questions. I mean, if she does --

20 MR. MCBRIDE: Well --

21 MR. BREEDEN: -- then we ought to break for lunch and --

22 MS. HALL: I don't think I have two hours --

23 THE COURT: Well, yeah

24 MS. HALL: -- but I do think I probably have another 45
25 minutes or an hour.

1 THE COURT: I'm fine with that. I just -- that's why I wanted
2 them to take a break. But, either way, I wasn't going to take a lunch until
3 like 1:30 anyways, so.

4 MS. HALL: Challenge accepted.

5 MR. BREEDEN: To be honest -- to be honest with you, my
6 preference would be to take a lunch break at this time and then come
7 back in the afternoon, so nobody feels rushed or hungry or anything.
8 But it's up to you.

9 THE COURT: Well --

10 MR. MCBRIDE: I think -- I agree.

11 THE COURT: -- I think they would prefer to go home than
12 take a lunch and come back for 30 to 40 minutes and then go home.

13 MR. BREEDEN: All right. Let's --

14 THE COURT: That's the --

15 MR. BREEDEN: Let's do it.

16 THE COURT: That's all. I -- and I'm not rushing. I mean we
17 got here -- they got here at 10:30. I don't think they should be hungry
18 until about 2 maybe.

19 MS. HALL: Yeah.

20 MR. BREEDEN: Judge, I'm known for three-hour lunches.
21 I'm ready for it.

22 THE COURT: All right.

23 MS. HALL: I'll speed it up.

24 THE COURT: So ten minutes for us, and I'll see you back in a
25 few.

1 MR. BREEDEN: Thank you.

2 MS. HALL: Thank you.

3 [Recess taken from 12:30 p.m. to 12:40 p.m.]

4 [Outside the presence of the jury]

5 THE CLERK: Back on the record, Your Honor.

6 THE COURT: Thank you.

7 All right. We're back on the record in A-18-773472-C, Taylor

8 v. Brill. Counsel for both sides are present. We are outside the presence

9 of the jury, and we are going to proceed with Dr. Brill's testimony. Do

10 you want to get back on the stand?

11 [Pause]

12 THE COURT: All right. Bring them in. Thank you.

13 THE MARSHAL: All rise for the jury.

14 [Jury in at 12:43 p.m.]

15 THE MARSHAL: The jury is all present, Your Honor.

16 THE COURT: Thank you. You may be seated.

17 And ladies and gentlemen, we're going to proceed with the

18 testimony of Dr. Brill. And just to give you a heads up, we will be

19 releasing you early today because we have legal issues to discuss. So

20 don't start stressing out about -- we might go a little passed when I

21 normally would let you go to lunch, but I'm going to let you just go

22 home for the afternoon and come back tomorrow at 10:30. So I just

23 wanted to give you a heads up. Go ahead, Ms. Hall.

24 MS. HALL: Thank you, Your Honor.

25 BY MS. HALL:

1 Q Now, before we took a break, we were discussing the year
2 you left the air force. And what year was that, Dr. Brill?

3 A 2003.

4 Q And once you left, were you -- your discharge; was that
5 honorable?

6 A Yes, honorable discharge, yes.

7 Q And once you left the air force, did you then enter private
8 practice?

9 A I did. Here in Las Vegas, yes.

10 Q Are you board certified?

11 A I am board certified, yes.

12 Q In what specialty?

13 A In obstetrics and gynecology.

14 Q And when did you obtain that board certification?

15 A The first time I took the exam and passed it was -- when I
16 was first eligible -- was 2001.

17 Q Did you pass that exam on the first attempt?

18 A Yes.

19 Q And did that include oral and written portions?

20 A Correct. The written is done right after you finish your
21 residency. And the oral you take a couple of years after you collect your
22 cases.

23 Q And after obtaining that board certification, have you
24 recertified?

25 A Yes, every year since 2001.

1 Q And --

2 A And currently I'm board certified.

3 Q Briefly, in terms of maintaining that board certification; what
4 does that require you to do?

5 A So every year you're required to read articles that are picked
6 by the board that they feel are relevant to, like, things that will possibly
7 change how we practice medicine. And then they changed the rules
8 after this, but six years after I initially became board certified, I did have
9 to take another written examination. And, of course, the year after I
10 finished that they decided they were going to drop that and just allow us
11 to do more articles. It used to be just one set of articles, now it's three
12 sets of articles throughout the year so they can keep updating them.
13 And you have to answer them to -- and get a certain grade to be able to
14 stay board certified.

15 Q Has there ever been a period of time where your board
16 certification has lapsed for any reason?

17 A No, it has not.

18 Q Are you a member of the American College of Obstetricians
19 and Gynecologists?

20 A I am, yes.

21 Q And what is that called when you're a member of that
22 organization?

23 A Once you're board certified, you're a fellow. If you choose to
24 apply, you're a fellow of the American College of Obstetrics and
25 Gynecologists.

1 Q And that organization, is it referred to often as ACOG?

2 A It is, yes.

3 Q Does ACOG have both a national and local level?

4 A It does. We have sections, including, like, Nevada section.

5 And then we have districts, which includes -- like, for us, we're in District
6 8, which is most of the southwest except California. And then there's an
7 ACOG national office in Washington that deals with government and
8 congress.

9 Q I just want to remind you Dr. Brill to try and keep your voice
10 up as best you can and --

11 A I'm trying.

12 Q -- and talk as slowly as you can. With respect to ACOG, is --
13 have you held any national leadership positions?

14 A I have. I was on the national committee for government
15 relations for two years and then I served on the national committee for
16 patient safety and quality of care for four years, I believe.

17 Q What does that committee do?

18 A So that committee, the Patient Safety and Quality Care,
19 would meet several times a year and review documents and try to
20 establish baselines for how you establish quality in our field in terms of,
21 you know, what's -- what should the C-section rate be and how should
22 we improve that? You know? What is -- how should surgeries be done
23 properly? It looks just generally at patient safety, but it's looking at a --
24 the entire specialty, not like a case-by-case basis.

25 Q And the four years that you were on the committee, what

1 four years were those?

2 A I don't remember. I don't -- I want to say the early to mid-
3 2000s, but I'd have to look at my resume. I don't remember exactly.

4 Q And what about the local chapter of ACOG? Have you held
5 any leadership positions in your career on the local chapter of ACOG?

6 A I did.

7 Q And can you tell the jury briefly what you'd done locally with
8 the Nevada section of ACOG?

9 A Well, I could even go before that. When I was in the military,
10 the military has what's called the Armed Forces District, which does
11 everything that ACOG does except it doesn't -- you're not allowed to
12 lobby congress, for example, for women's health issues, but I served as
13 the Air Force section chair when I was in the Air Force. And then the Air
14 Force's section is part of the district, which is called the Armed Forces
15 District. So it was Army, Navy, Air Force, Marines. And I was the
16 chairman of that entire district for the Armed Forces District.

17 When I then was separate -- when I separated from the
18 military, I then didn't want to -- you know, I was actively involved at that
19 level, so for the Nevada section -- that's what we're -- they're called
20 sections. I became an officer. I first was the secretary treasurer, and
21 then I moved up to the vice chair and then chairman of the Nevada
22 section of ACOG.

23 Q And how many years were you chair of the Nevada section?

24 A It's a three-year term. So it's three years as chair, also three
25 years as vice chair.

1 Q During those three years, what sort of duties did you have as
2 chair of the American College of Obstetricians and Gynecologists?

3 A So we would have meetings throughout the year for
4 OBGYNs. They usually bring in speakers and discuss either updates that
5 ACOG recommend we discuss in terms of -- you know, new procedures,
6 new committee opinions. You know? ACOG comes out with documents
7 about -- you know, about medicine. So we'd have speakers about that.
8 We also would work on a local level for women's health issues, as we
9 have to go to the legislature to discuss, you know, things to help protect
10 our patients and help improve patient care.

11 Q In terms of lobbying to Nevada's government, have you had
12 occasion to do that?

13 A I have, yes.

14 Q And can you tell the jury what the Nevada maternal mortality
15 review is?

16 A So as we're trying to improve obstetrical care in the country
17 -- you may have heard -- I mean, in the news -- and -- you know -- we've
18 had worsening maternal mortality. Meaning mothers who just gave
19 birth or in childbirth who died. And most states in our country have had
20 what are called maternal mortality review committees.

21 Nevada was a notable exception. And so it took several years for
22 us to work on this because things don't move very quickly with -- without
23 our government here and with trying to get bills passed, but not this
24 most recent legislative session, but the last one, we finally were able to
25 get a maternal mortality review committee, and that was pretty much

1 what I was champion -- championing for -- as far as from the ACOG
2 standpoint, but also from Nevada State Medical Association, which
3 helped us with that effort.

4 Q And what is the purpose of that committee?

5 A So it -- the purpose is if -- you know -- if God forbid or
6 unfortunately there's, like, a maternal death, it will review the entirety of
7 care to try to look at the system to see -- you know -- what could have
8 caused this? Is there something that could be done to improve it or was
9 it something that was out of our control, but it wants to try to find a
10 cause. And -- you know -- interestingly, we're finding it had a lot to do
11 with mental health and issues that are not necessarily related to
12 obstetrical care. That's kind of what we found so far.

13 I know that wasn't your question, but in terms of what we do for a
14 living, it's trying to see -- you know -- can we find a way in a way that's
15 not punishing to a doctor or to a nurse or to a hospital what could have
16 been done better or was there -- was there a miss that -- something that
17 was missed. So it tries to review that so that improved care can happen.

18 Q Have you held any leadership positions in local hospitals
19 here in Las Vegas?

20 A I have.

21 Q And starting with -- first that you held in your practice; tell us
22 a little bit about that.

23 A So with my practice, I was -- initially became the chairman of
24 OBGYN at Spring Valley Hospital in the southwest. And I was chairman
25 there for ten years.

1 And as part of being a chairman, it's not just an administrative role.
2 You're actually reviewing charts and proving credentials for physicians,
3 looking at charts that are red-flagged to see if -- you know, for -- if
4 something is a -- is a complication or something needs to be reviewed.
5 So it's basically peer review.

6 So my first leadership, at least in the civilian world -- I should say
7 that when I was at the Air Force base for my last two years, I was the
8 chairman of OBGYN. They call it the Chairman of Women's Health at
9 Nellis for my last two years. And also while I was at Nellis I was the
10 chair of what they call the Patient Ethics Committee. So there's, like, a
11 hospital ethics committee and I was the chairman of that during my final
12 year at Nellis.

13 Q Since you've been in civilian life, since leaving the military,
14 have you had any involvement in leadership roles in Henderson
15 Hospital?

16 A Yes. So after I finished my term -- or my ten years as Spring
17 Valley's OBGYN chair, I believe it was in the next year or two that
18 Henderson Hospital first opened. So I was elected to be the initial chief
19 of staff, which is the chief of the entire medical staff, not just the chief of
20 the OB/GYN Department. And I've held that position currently until now,
21 including now.

22 Q The Clark County Medical Society; have you held any
23 positions with that organization?

24 A Yes, so the Clark County Medical Society is a physician and
25 physician assistant-based organization that tries to advocate for patients

1 -- for healthcare in Nevada. I had multiple positions with them,
2 including --

3 Q What's the most recent position?

4 A The most recent was the chairman. I was the president of
5 Clark County Medical Society several years ago.

6 Q And the Nevada State Medical Association; what is that and
7 have you held any leadership positions with that organization?

8 A So Nevada State Medical Association is the physician
9 organization -- like, it also has physician assistants and medical students
10 for the entire state, and it's made up of county organizations. So Clark
11 County is part of that. Washoe County is part of it. Carson, Douglas is
12 part of that. So most recently, I was the president of the Nevada State
13 Medical Association. I just finished that term in -- I want to say it was
14 August or September. I just finished.

15 Q Of this year?

16 A Of this year.

17 Q The resection device that was used for Ms. Taylor's surgery;
18 what is the name of that resection device?

19 A It's the Symphion resection device.

20 Q What type of energy is used by the Symphion?

21 A So I know we've heard some of -- about it today -- this last
22 week. It's a bipolar energy that uses radio frequency ablation to take the
23 energy from one pole to the other, so the energy stays within that small
24 divot in the instrument we were shown. It's different than the
25 monopolar, or unipolar, I think, is how it was referred to last week.

1 Q And I know you use the term monopolar. Is monopolar the
2 same as unipolar?

3 A It is, yes.

4 Q Have you ever published any articles on bipolar devices?

5 A I have.

6 Q And can you tell us a little bit about any articles that you've
7 published on that subject?

8 A Yeah, so just as I was finishing my residency, I was involved
9 with a research publication that was -- had to deal with bipolar energy
10 for the use of a LEEP. procedure. LEEP procedures are loop -- I don't
11 want to get too medical, but -- Loop Electrosurgical Excision Procedure.
12 So it's a procedure that's performed on a woman's cervix when there's
13 abnormal cells. And traditionally, it's done with a monopolar energy.
14 The idea -- the paper was to see if a bipolar energy could be done safely.

15 Q And the research that you did for that article; did that require
16 you to look at surgical pathology for both monopolar and bipolar
17 devices?

18 A It did.

19 Q And what did you find?

20 A So the purpose of the study was to see if this would be a
21 safer mechanism than monopolar. Also, when you perform any kind of a
22 surgery with energy -- electro surgery -- and you cauterize -- you cut with
23 an -- this loop that has electricity. As it cuts, it's going to cause what's
24 called thermal artifacts. So -- on purpose. When you perform, for
25 example, a LEEP procedure, which is something gynecologists do all the

1 time, you get a specimen that has around the edges -- burned edges --
2 you know -- sort of, in layman's terms -- what we call thermal artifact.
3 The whole purpose of the study was, one, to prove it was safe and make
4 sure that there weren't any higher injuries or, if anything, less injuries to
5 a patient than a monopolar current.

6 And it was also to see if by using bipolar -- because it actually used
7 two different loops. I know it's technical, but a loop -- a LEEP procedure
8 uses one -- with monopolar uses one loop to go across. The bipolar
9 used two. So the energy to go from one to the other. So by having
10 these two there, would it -- would it cause thermal artifact enough to still
11 allow us to be able to interpret the specimen. So that was the idea. So
12 by having -- using a safer current, which is bipolar, because, like, I think it
13 was mentioned by Dr. McCarus, it doesn't have to enter and exit the
14 body somewhere else. It all stays within those loops.

15 Can it do that and still allow for the proper excision and the proper
16 pathological diagnosis under a microscope despite using two different
17 loops, which can cause thermal energy artifact?

18 Q Does the fact that the Symphion uses bipolar energy and
19 bipolar is safer; does that mean that a uterine perforation can't occur?

20 A No, it doesn't mean that.

21 Q Does that mean that a bowel perforation cannot occur?

22 A It does not mean that either, no.

23 Q And were you -- did you hear Dr. McCarus' testimony that if
24 you had caused the perforation by the bipolar device, that there'd be
25 evidence of thermal energy?

1 A Evidence where? I'm sorry.

2 Q Did you hear Dr. McCarus' testimony about the pathology for
3 Ms. Taylor's surgery and if there had been injury from the resection
4 device itself, there would be evidence of thermal injury on the
5 pathology?

6 A I did hear that for -- on the bowel. Yes, I did hear that.

7 Q Do you agree with that?

8 A I do agree with that.

9 Q And why?

10 A Because the way bipolar energy cuts, as I think we discussed
11 earlier -- it's not a -- it's not a scalpel, it's not a sharp edge. It's literally
12 energy that's causing the suctioning of the tissue into the -- into that little
13 divot and causing cutting.

14 So if -- the actual bipolar energy with the mechanism of injury
15 here; if that's what caused cutting, I would expect that cutting to then
16 have evidence of it on the bowel specimen. And that was not present in
17 the bowel specimen that I reviewed. At least on the report I reviewed by
18 [indiscernible].

19 Q And your -- meaning -- do you mean the surgical pathology
20 report from St. Rose hospital?

21 A Correct.

22 Q And the Symphon; is that the only resection device that you
23 use in your practice?

24 A It is not.

25 Q What other resection devices do you use?

1 A So the two that I also use, one is called MyoSure. And the
2 other one I believe is called TruClear.

3 Q And why did you choose Symphion for Ms. Taylor?

4 A So as I said, there's multiple reasons why I chose Symphion.
5 One, I -- it was mentioned that the reasons why it was made, I think, was
6 to improve safety. So in this particular procedure where we had the
7 intention of removing a fibroid -- and she had a pretty sizeable fibroid. I
8 believe it was 3.8 or 3.9 centimeters. Fibroids have a blood supply to
9 them. That's what -- how they survive. And I mean, there's a blood
10 supply there that shouldn't be there. It would normally be going to the
11 regular uterine wall, but if you have this ball of muscle, which is a
12 fibroid, I think I was -- I was explaining to you guys, there's blood supply
13 to make that stay alive.

14 So when I'm trying to resect a fibroid, I have to be prepared for
15 bleeding at any time. So this device allows me to see if there's bleeding
16 and it uses this -- it uses -- the energy that's used has the ability to
17 coagulate, which the MyoSure does not. The MyoSure and TruClear just
18 use sharp edges. So that's one reason because I -- as we talked about
19 earlier, I need to be able to see. If all of a sudden your uterine cavity is
20 filling up with blood, you've got to try to stop that bleeding or you've got
21 to stop your surgery for that reason too.

22 One of the other reasons is we -- you know -- we talk about the size
23 of the -- of the resectoscope -- the Symphion -- and it has what's called --
24 a pretty advanced fluid management system. And what that means is I --
25 it was explained that you have to be able to create pressure on the fluid

1 to be able to see where you're operating. Like I mentioned earlier, in the
2 real world the uterine walls are up against each other. I think Dr. Berke
3 said this too; you have to expand that.

4 The MyoSure doesn't do this. The TruClear doesn't do this. In the
5 actual system of the Symphion itself, it has an inflow and an outflow that
6 will monitor the pressure of the fluid. It's all connected with the
7 Symphion device.

8 Q And let me interrupt just a moment.

9 A Sorry.

10 Q The video that was played in opening statement, it showed a
11 tube -- showed two tubes going into the hysteroscope in addition to the
12 resection device. Those two tubes, what are those for?

13 A So one is the inflow of fluid that goes inside, and that fluid
14 then goes through -- I believe it showed at the end of the camera, there's
15 two holes there. One is where the fluid will come out of. The other one
16 is the outflow. So the outflow is taking the fluid that was inside the
17 uterus and it's continuously circulating it and it's monitoring the
18 pressure. And why that's important is if you're cutting a fibroid, you can
19 open up a blood vessel. And if a blood vessel is open and you're putting
20 pressure of fluid, high volume fluid, it can very quickly go into that blood
21 vessel and cause what's called fluid overload. And that can be very
22 dangerous.

23 That can cause people to have pulmonary edema, where they have
24 fluid in their lungs. They can have a lot of fluid all of a sudden in their
25 body. So the Symphion is designed in the system itself to have this

1 mechanism that if it sees fluid is overloading, meaning all this fluid is
2 going in, but none of it's coming out, it sees a fluid imbalance and it will
3 immediately notify us and stop the fluid.

4 Q Were there any issues with fluid overload during Ms.
5 Taylor's surgery?

6 A There were not.

7 Q And were there any issues with the pressure monitoring
8 system of the Symphion?

9 A There were not.

10 Q The Symphion device, does it or any of its components
11 record real time data of when it's activated using the yellow pedal?

12 A I had to check this, but the answer is no.

13 Q And the resection -- the resection device -- so the Symphion
14 itself -- and I won't have you get down off the stand, but the resection
15 portion of the Symphion. Is that this little window we see here where
16 there's a space?

17 A Correct. On the side of it, where the divot is, yes.

18 Q When tissue is resected using the Symphion, where does the
19 tissue go?

20 A So it gets suctioned in and then the cutting then occurs by
21 the thermal bipolar energy. It goes inside the operative port and then
22 connected to the back of the Symphion, I think there's -- are -- you know,
23 we don't have the full operating room setup, but there is another tube
24 that then goes into a collection device, so it gets -- tissue gets siphoned
25 into a container and then the fluid goes into the actual unit itself and

1 then the fluid is trying to -- if it recirculates the same fluids, it tries to get
2 rid of blood. And -- but the tissue itself that's solid goes into that
3 siphoning cannister, which ultimately gets sent to pathology.

4 Q And did that occur here?

5 A That did occur here, yes.

6 Q If you had resected the bowel using the resection device, the
7 Symphion, what would you be looking for in terms of the pathology for
8 that specimen?

9 A Let me just make sure I understand. So if I resected the
10 bowel?

11 Q Yes. If --

12 A Okay.

13 Q -- you had injured the bowel using the Symphion, would that
14 tissue have been sucked into the Symphion device and deposited into
15 the canister?

16 A Yes. I mean, if the device was actively cutting, meaning
17 using that thermal energy, I would expect that energy to pull the bowel
18 wall. And it could be quick and instantaneous, but I would expect it to
19 pull it into that area and it would get siphoned into the container that has
20 whatever specimen was removed by the cutting instrument.

21 Q Now, in your experience, if there is bowel present in a tissue
22 specimen, what sort of language would you be looking for in the
23 pathology report?

24 A So, pathology reports should pretty much say what they see
25 on a gross level as well as a microscopic level and then after they make

1 their comments, there's a specific diagnosis. So very often, I submit --
2 for example, we do a hysterectomy with removal of fallopian tubes. It'll
3 say uterus -- this is the diagnosis, cervix. This is the diagnosis. If I -- if
4 there was bowel, I would expect it to say endometrium or you know,
5 removal, this diagnosis, bowel wall present or bowel -- bowel mucosa.
6 Whatever term they would use as the pathologist present, and this is
7 what we see. And that was not present in our pathology reports.

8 Q Did you see anything at all to indicate to you there was
9 bowel in that specimen?

10 A I did not.

11 Q Before you did the surgery for Ms. Taylor, did you speak with
12 her about the surgery at your office?

13 A I did on multiple occasions, yes.

14 Q I'd like to show you -- this is Exhibit 3 and I'm going to show
15 you page 23.

16 MS. HALL: Actually, 26, Mr. McBride.

17 BY MS. HALL:

18 Q Now, your office chart from -- your office chart -- Ms. Taylor
19 came to your office for a visit on February the 14th, 2017. What's your
20 understanding of the purpose of that visit?

21 A My understanding is this was initially set up as an annual
22 well woman's examination.

23 Q Okay. And at this annual visit, if we look down at your
24 assessment portion, what was discussed at that annual visit with the
25 patient?

1 A So you know, other than the annual exam, we very often ask
2 is there anything -- you're not just here to have a -- your pelvic and
3 breast exam and PAP smear and go. Is anything else going on? And Ms.
4 Taylor was on a birth control pill, which is called Seasonique, and my
5 notes say she was getting very emotional Seasonique, crying when she
6 normally wouldn't and not just during the last week. And what I'm
7 referring to is the last week of the birth control pills, where you typically
8 has a lower dose that then causes a period. She stopped it in April. She
9 says she has a bicornuate uterus. She is interested in endometrial
10 ablation. Counseling done. Check US, which is ultrasound and then
11 EMBX, which is shorthand for endometrial biopsy first.

12 Q Dr. Brill, did you know at this visit that Ms. Taylor had a
13 uterine fibroid?

14 A I did not know that, no.

15 Q Is that something that you learned after this visit?

16 A Correct. That was the purpose of the ultrasound was to look
17 for physical causes of heavy bleeding. And that's where we -- the
18 ultrasound that was done showed a fibroid, yes.

19 Q I'd like to show you -- so you mentioned that you wanted to
20 get an endometrial biopsy. Why did you want to get an endometrial
21 biopsy?

22 A So we had discussed the idea of performing an endometrial
23 ablation, which is a procedure I think we've heard about, to try to reduce
24 menstrual flow without going to the -- like the most definitive step, which
25 is a much more involved surgery, which would be a hysterectomy. The

1 standard of care to perform an endometrial ablation is to have a sample
2 of the endometrial lining to make sure the cells are benign and not
3 precancerous, or God forbid, cancerous. It would be -- you would never
4 proceed to an endometrial ablation if you had precancerous or
5 cancerous cells, because you're then burning cells that would -- that
6 should be removed.

7 So you always want to go through in a stepwise fashion for
8 evaluation. So even though we discussed it, I didn't -- at that point, I did
9 not say that Ms. Taylor was a candidate. We had to go through the steps
10 to see if she would be a candidate for an endometrial ablation.

11 Q And let me ask you, Dr. Brill. Do you remember the
12 conversation that you had with Ms. Taylor on February the 14th, 2017?

13 A The specific conversation I cannot recall today, no.

14 Q Do you have a custom and practice of what you would
15 discuss with a patient who is interested in endometrial ablation?

16 A I do.

17 Q What would that be?

18 MR. BREEDEN: I would object.

19 THE COURT: Counsel approach.

20 [Sidebar at 1:07 p.m., ending at 1:08 p.m., not transcribed]

21 BY MS. HALL:

22 Q What is -- Dr. Brill, what is your custom and practice of what
23 you would discuss with a patient who's considering endometrial
24 ablation?

25 A So it would start with very similar to what I already had

1 mentioned that you don't just have one. There are steps we need to take
2 to see if you're a candidate for one. And then even if someone is a
3 candidate, we need to talk about all the options that are available in
4 terms of not just endometrial ablation, but, you know, why are we
5 choosing a medical -- a surgical option as opposed to a medical option.
6 And I always want a patient to know, if you're going to choose a surgical
7 option, you should know about the choice of hysterectomy, because an
8 endometrial ablation is not 100 percent perfect in reducing menstrual
9 flow.

10 It has a high success rate, but it's not guaranteed. And if a patient
11 would voice to me, I want you to guarantee to me, doctor, I'll never have
12 a period ever again -- and there are women who will say that to me -- the
13 only way to say it counsel then properly received then a hysterectomy is
14 the only option for that.

15 Q Now let's go to -- you mentioned that there are steps that
16 you take before endometrial ablation. Is one of those steps an
17 endometrial biopsy?

18 A It is, yes.

19 Q I'd like to show you -- it's Exhibit 3, page 22. Now, Dr. Brill, is
20 this the note for the endometrial biopsy performed for Ms. Taylor?

21 A Yes. From my office, yes.

22 Q When was this procedure performed?

23 A March 6th of 2017.

24 Q Who performed it?

25 A It was done by me.

1 Q And can you briefly explain why is endometrial biopsy, why
2 is that a step you want to take before you proceed with an endometrial
3 ablation?

4 A Similar to what I said earlier. You don't want to perform a
5 procedure where you're actually burning tissue that's going to stay alive
6 in a patient's body and not be removed, if there's a chance of a cancer or
7 a precancerous change. And as women get older, pretty much it's a
8 standard of care in the OB/GYN world, if a woman is over the age of 35
9 and has abnormal bleeding that we can't otherwise explain, meaning she
10 doesn't -- didn't like miss a birth control pill, if we have no explanation,
11 we want to usually proceed with an endometrial biopsy. It's typically
12 done as a screening test for uterine cancer.

13 You've all probably heard of PAP smears, which is routinely done
14 for screening for cervical cancer. There is no routine screening for
15 endometrial cancer, be it would involve an invasive procedure, which is
16 an endometrial biopsy. And I say that, even though we know that in
17 terms of the incidence of cancers in women, the most common female
18 gynecological cancer is uterine cancer. It's not cervical cancer, even
19 though women always go in there once a year for their PAP smears.

20 So it's -- if a woman has a possible symptom of endometrial
21 cancer, which if you look at the symptoms includes abnormal bleeding,
22 we need to make sure we're not missing that, so it's one of the initial
23 steps I would evaluate, not just because a woman wants an endometrial
24 ablation. I gotta make sure there's nothing abnormal going on before we
25 can get to that point.

1 Q And in your -- in the plan portion of this note, you have some
2 instructions, and you document that the patient will be contacted with
3 the biopsy report and further treatment recommendations. Did you
4 make further treatment recommendations to the patient based on this
5 endometrial biopsy?

6 A I did, once we had her postop -- her post-procedure visits.
7 She ended up having two procedures, which I think was heard earlier.
8 So at the same visit, we discussed the two results of her procedures and
9 then discussed the next steps, based on those.

10 Q Okay. I want to show you -- I believe it's 8 -- page 8 of Exhibit
11 3. I'm going to so you -- this is --

12 MS. HALL: 18 please.

13 MR. MCBRIDE: 18?

14 MS. HALL: Yeah.

15 BY MS. HALL:

16 Q I'm going to show you -- it's Exhibit 3, page 18 of your chart.
17 And first, Dr. Brill, what is this note for? What is the procedure that was
18 performed that day?

19 A So this is March 9th, 2017. It's a separate procedure known
20 as a colposcopy.

21 Q What is a colposcopy?

22 A So colposcopy is a procedure where we use a scope or a
23 camera to look at a woman's cervix, because of having some kind of
24 abnormal PAP smear results. And the idea is by looking at the cervix, we
25 can try to see where the abnormal cells that showed up on the PAP

1 smear are and if we see those, then we take a biopsy of those.

2 Q Do -- when you are working a patient up for a potential
3 endometrial ablation, do you always recommend a colposcopy?

4 A I do not.

5 Q And why was it recommended for Ms. Taylor?

6 A So a colposcopy is looking for cervical changes in the cervix.
7 Even though it's the neck or the opening of the uterus, it's not
8 considered the same organ. So the part of an abnormal bleeding
9 workup includes doing the PAP smear and her PAP smear result ended
10 up being abnormal and that was the indication for the colposcopy. If her
11 PAP smear results would have been completely normal, we would not --
12 it's not standard of care to do a colposcopy to make sure someone's a
13 candidate for an endometrial ablation.

14 Q I want to show you the next office visit in your records after
15 this procedure and that is Exhibit 3, and we'll start with page 9 of that
16 exhibit. Now, after the colposcopy that you performed on March the 9th,
17 is it your understanding that the patient then had a pelvic ultrasound?

18 A I know it was done. I honestly -- I have to look at the dates. I
19 know it was done somewhere between my visits and these procedures
20 and everything was reviewed at this April visit, but I'd have to look at the
21 dates.

22 Q Okay. Let's take a look at the next visit that you had with Ms.
23 Taylor? And this is -- what's the date of this visit?

24 A This is April 4th of 2017.

25 Q And by this visit, had Ms. Taylor completed an ultrasound of

1 the pelvis and abdomen?

2 A She had, yes.

3 Q And did you go over the results of that ultrasound with the
4 patient?

5 A I did.

6 Q What were the results?

7 A So I wrote here the ultrasound US shows a bicornuate
8 uterus, the right side of the bicornuate uterus measuring 8.7 by 7.8 by
9 5.8 centimeters with ES, and that is shorthand for endometrial stripe or
10 endometrial thickness. That's the lining of her endometrium
11 measuring --

12 Q Meaning -- sorry to interrupt, but does that mean how thick
13 her endometrium was?

14 A Correct. On the right side, because it actually measured the
15 two different horns of her uterus, because there was a cavity going to the
16 right and a cavity going to the left. So this was actually a pretty detailed
17 ultrasound showing both of those there. So it was 9 millimeters. And
18 then in the right horn, which is that upper part of the uterus in the heart
19 shaped area, there was a 3.9 centimeter submucosal fibroid, which is a
20 specific type of fibroid.

21 Q Well, let's talk about that in just a moment. Is -- what types
22 of fibroids exist?

23 A So fibroids, I think what we've heard, are very common in
24 women. Some are symptomatic. Some are not. There are three kinds.
25 The one -- and they're all related to the anatomy of the uterus. So a

1 fibroid is a smooth muscle growth of the -- a tumor of the muscle of the
2 uterus. And we had heard -- you know, there's a thick muscle in most of
3 the wall of the uterus, because that's what usually contracts when a
4 woman's having -- in labor. So we don't know why it happens, but some
5 of these muscles can grow into balls of -- muscle cells can grow into
6 balls.

7 So there's three kinds. One is called -- it's technical. I apologize.
8 But one's called subserosal and that means it's on the outer surface of
9 the uterus, more protruding into someone's abdomen. So I can tell you,
10 we do C-sections on patients and very often, we palpate the uterus when
11 we're delivering a baby and we feel a fibroid, which is on the outside
12 surface of the uterus. The second one is that's called intramural and
13 that's the medical term for within the wall. So if you picture those -- the
14 uterus that we saw looks like a pear. Inside the muscle itself, that wall,
15 there's a ball of muscle, so it's within the wall of the uterus.

16 Q Now, the third type, what's that?

17 A And the third type is called submucosal, which is what we're
18 seeing here and what that indicates is that the fibroid is protruding into
19 the lining of the uterus, which is the endometrial mucosa. So the
20 ultrasound -- a radiologist will read this report and not only see a fibroid,
21 but they see it indenting into the uterine cavity as opposed to seeing that
22 normal T or triangle shape we've seen on pictures. There's a fibroid
23 inside the uterine cavity.

24 Q When you have a submucosal fibroid like Ms. Taylor's, why
25 not just proceed with endometrial ablation prior to removing -- I mean

1 without removing the fibroid?

2 A So a fibroid that's submucosal is likely one of the causes of
3 the bleeding, because it's protruding on that endometrial lining, so when
4 we try to counsel a patient about the success rates of an endometrial
5 ablation, meaning you know, what's the changes you're going to walk
6 out of this and get what you like? Meaning you're going to have less
7 menstrual flow and it won't bother you again, you know, hopefully and
8 at least for most of you, you're -- most of the time. All the data on that is
9 based on a normal shaped uterus. When these companies submit their
10 data to get FDA approval, it was all based on that initially.

11 Now there's then reports of it being done with a fibroid present, so
12 my goal is to try to -- in offering a surgery is to try to get my patient back
13 to as normal as possible. And there are techniques to remove the
14 submucosal portion of the fibroid that's protruding into her endometrial
15 lining. And the benefit of that is by removing that first, then you can
16 proceed with the remainder of the ablation, which means that heat will
17 get up against the endometrium without that fibroid there.

18 So the chance of needing a subsequent surgery is diminished,
19 because we can do this all at the same time and hopefully get the best
20 outcome in terms of reducing menstrual flow.

21 Q And when you say heat next to the endometrium, are you
22 referring to the hydrothermal ablation?

23 A Correct. That's the ablation that I chose because of her
24 anatomy, having this fibroid there. There are other ways to do it, which I
25 did not think would be appropriate for her.

1 Q Did the ultrasound that you went over with Ms. Taylor, did it
2 describe that she had a bicornuate uterus?

3 A It did.

4 Q Did it describe the presence of a uterine septum?

5 A It did not.

6 Q Do all bicornuate uteruses have a uterine septum?

7 A They do not.

8 Q I want to show you this diagram.

9 MS. HALL: May I approach, Your Honor? Sorry.

10 THE COURT: You may.

11 BY MS. HALL:

12 Q So Dr. Brill, if you could just step down these stairs, and I'll
13 have you explain a few things on these diagrams.

14 THE COURT: Make sure you're by the mic, Dr. Brill.

15 BY MS. HALL:

16 Q Actually, yes. Let's have you stand -- since you're a lot more
17 softspoken than I am. So first and foremost, Dr. Brill -- and if you need to
18 look at the diagrams and then step back to the microphone, that's
19 perfectly fine. But the diagram on the left, what does this show?

20 A So this shows that -- an example of a bicornuate uterus. And
21 what that implies, if you look at the -- when we talk about this heart
22 shape, it's that top part. So the serosa is this outer portion here. So
23 instead of it being -- I know this is not labeled a normal uterus, but this
24 top of part is actually normal. Instead of being rounded, you've heard
25 the pear shape. That's very common terminology by gynecologists. It

1 actually has an indentation.

2 Q So I just want to make sure --

3 A And that's where we talk about -- oh.

4 Q -- that everyone can see, so I'll hold this for you.

5 A The heart -- sorry. I know we're talking over each other.

6 Sorry. But that's the heart shape that's described on a bicornuate uterus.

7 Q So the heart shape, meaning up here on the outside of the
8 uterus?

9 A Correct.

10 Q Now, the diagram to the right, can you tell the jury what this
11 is showing?

12 A So this is showing -- it's labeled septate uterus. I mean, the
13 medical term is subseptate uterus. What a septum is, if you notice the
14 uterus still has its normal pear shape at the top, but there is an
15 indentation here and it's a white tissue, because it's different tissue here.
16 A full septate uterus, which this doesn't show, would have this septum
17 go all the way down here. And there's actually -- there's a whole
18 continuum of what's called congenital uterine anomalies or how women
19 can be born. There's one -- the most distinguished one is called a
20 didelphis uterus, where this goes all the way down to the point to where
21 there's two uteruses.

22 And I've had patients with this with two cervixes, two completely
23 separate uteruses, based -- and the septum goes all the way down to
24 here. Then there's a full septum, which can go all the way down to the
25 bottom of the uterus here. And this is the cervix. And then a subseptate

1 uterus is what we see here, where you see this indentation going down
2 and then in terms of like what are called the horns, you can have that on
3 a bicornuate uterus or a subseptate uterus, but you have this one cavity
4 here --

5 Q The one --

6 A -- one cavity here.

7 Q -- cavity here, what is that?

8 A So this -- I mean, so remember, we're looking at the back
9 wall of a patient's uterus. You know, as if we removed the front. So
10 we're looking here. This is the patient's left side, which is always
11 confusing. That's the patient's right side here. So this is the left horn.
12 That is the right horn.

13 Q Thank you, Dr. Brill. You can join -- you can get back up on
14 the stand. Now, in terms of the ultrasound that you had Available to you
15 at this April 4th visit, did it describe seeing a uterine septum in any way
16 or indicate any language that would suggest to you that there was the
17 presence of a septum?

18 A It did not.

19 Q I want to show you page 10 of Exhibit 3. And this is just a
20 continuation of that visit. Now, at the April 4th visit, did you go over the
21 results of the endometrial biopsy and colposcopy you had performed?

22 A I did.

23 Q And were those -- did those results show the presence of any
24 malignancy?

25 A No, they both showed benign cells.

1 Q Now, in the assessment portion of your note, you document
2 that she was counseled on options and would like to have hysteroscopic
3 fibroid resection as well as HTA ablation and D and C. Dr. Brill, do you
4 remember the conversation that you had with Ms. Taylor at this April
5 4th, 2017 visit?

6 A I have a vague memory, but the specific conversation word
7 for word, I cannot tell you today.

8 Q Do you have a custom and practice of what you would
9 discuss with a patient who's considering that procedure?

10 MR. BREEDEN: Object.

11 THE COURT: I'm sorry. Can you repeat the question?

12 MS. HALL: Sure. I just asked if he has a custom and practice
13 for what he would discuss with a patient considering that procedure.

14 THE COURT: Overruled.

15 THE WITNESS: I do.

16 BY MS. HALL:

17 Q And what is that, Dr. Brill?

18 A So I would break things up and you know, endometrial
19 ablation is -- has a discussion and the resection of a fibroid has a
20 discussion. Not every patient asks to have both, or you know, has an
21 indication for both. There's different reasons why we do these
22 procedures. But typically I would talk about the pros and the cons,
23 meaning what are we trying to gain from this and what are the cons if
24 we don't do the procedures.

25 And then I talk -- when it says here R-slash-B-slash-A, you know,

1 me, being a doctor, I use shorthand. And I'm typing usually things very
2 fast. That stands for risks, benefits and alternatives discussed as well as
3 the option of not having surgery. So it'd be my practice to review what
4 we're talking about, why would we do it, as opposed to not doing it,
5 what are the benefits we're trying to achieve, what are the complications
6 that could occur? Because we're choosing to perform surgery under my
7 hands. I don't want a patient to not know that there are risks to surgery.
8 There are.

9 Q As part of your custom and practice, what risks, and potential
10 complications would you discuss with a patient?

11 A So as part of my practice, it depends on the surgery and the
12 part of the body.

13 Q For this --

14 A For this --

15 Q -- for this surgery that you were discussing.

16 A So performing a hysteroscopy with these procedures, there's
17 risks of infection, because we're entering someone's body. There's risk
18 of bleeding, again, because we're inside somebody's body. And any
19 procedure where we're removing something or performing a curettage
20 can cause bleeding. And then I always discuss the risk of injuring organs
21 that are nearby and that would be tailored to what surgery we're doing.

22 So near the uterus, like I mentioned earlier -- I can't remember
23 who -- which one of you guys asked me, but there's -- you know, the
24 pelvis has the bladder in front of the uterus. It has the colon and the
25 rectum behind it, and it has the small intestine surrounding it. There's

1 also the urinary track which is the ureter. These are all organs that are
2 nearby. I very often will say the risk of injury to urinary tract and not go
3 through every specific, but a patient needs to know there's a risk of
4 injury organs that are nearby.

5 Q Do you believe that you discussed those pros and cons, risks,
6 benefits and alternatives with Ms. Taylor at this visit?

7 A I do.

8 Q And when you document that, you discuss the most recent
9 data on hypo-menoria [sic]. Is that how you say that?

10 A Hypomenorrhea.

11 Q Menorrhea. What is menorrhea?

12 A Menorrhea.

13 Q Hypo -- what is hypomenorrhea?

14 A So Hypomenorrhea means decreased blood flow. And what
15 it -- it's the medical term for blood flow that's not bothersome, meaning
16 a patient's not going to present to me and say, hey, this is bothersome to
17 me. What can I do? So the ultimate goal of the endometrial ablation is
18 to do a conservative treatment, meaning -- by surgery, meaning not a
19 hysterectomy and try to achieve hypomenorrhea. And the rates that are
20 known that are in all of our textbooks or you know, if you read articles on
21 this, are based on a normal triangle-shaped uterus, not one that has a
22 bicornuate shape or has a fibroid inside.

23 Q Do you believe that you discussed that with Ms. Taylor at this
24 visit?

25 A I did.

1 MS. HALL: Your Honor, may we approach?

2 THE COURT: Yes.

3 [Sidebar at 1:26 p.m., ending at 1:27 p.m., not transcribed]

4 BY MS. HALL:

5 Q Now, Dr. Brill at this visit, you document surgical complex
6 decision making visit. What does that mean?

7 A So that's -- you know, to me this is the most important visit,
8 because this is when a discussion occurs about you know, should we
9 have surgery and if so, what is -- what are the risks and benefits. So it's
10 a complex decision making visit. This is where the majority of the
11 discussion will occur about are we going to make this -- are you going
12 to -- as my patient, going to make this choice. And, you know, that's -- if
13 a patient's going to make that choice, she has to know what she's
14 signing up for.

15 Q Now, after this visit, your records indicate that you saw Ms.
16 Taylor back on April the 21st, so I'd like to show you that note, and we'll
17 start with page 5 of Exhibit 3. With -- in your practice, is the surgical
18 complex decision making visit, is that generally a more lengthy visit than
19 your preoperative visit?

20 A It generally is, yes.

21 Q Now, I've got on the screen for you, this is page 5 of Exhibit
22 3. Why did you see Ms. Taylor on April the 21st, 2017?

23 A So this is what we call a preoperative visit, which is a visit to
24 discuss the surgery that we are going to proceed with after the decision
25 making from the last visit. It's also to discuss how to prepare for the

1 surgery, you know, when to stop eating night before, what time to come
2 to the hospital, basic stuff like you can't drive yourself home from the
3 hospital. We also give paperwork to take to the hospital to register and
4 usually get some preoperative labs, if they're needed.

5 Q And I want to direct you down to page 7 of Exhibit 3 and this
6 is a continuation of your April 21st office note. And in your assessment,
7 you indicate that the patient had a fibroid and menorrhagia. What is
8 menorrhagia, Dr. Brill?

9 A Menorrhagia refers to -- rhagia means heavy, so it's heavy
10 menstrual flow, so it's heavy bleeding from menstrual flow, menstrual
11 tract.

12 Q By this visit, had Ms. Taylor scheduled her surgery?

13 A Yes. It was already scheduled.

14 Q And the plan portion of your note, what do you indicate in
15 terms of any discussion with the patient?

16 A So it says admit for surgery, because this is what becomes
17 the preoperative history and physical, so this is in the Henderson
18 Hospital record for me. But I discussed with the patient the indications
19 for the procedure included in the discussion where the options of
20 therapy, which means the surgery, the risks and complications as well as
21 the benefits. Ample time was given to answer all the questions. And
22 then I described the procedures that were planned.

23 Q Do you recall specifically your conversation with Ms. Taylor
24 at this April 21st visit?

25 A The same answer. Specifically, no. About what happened

1 during that visit, I can't say the specific words.

2 Q And earlier, when we went over the April 4th visit, you told
3 us about your custom and practice. Would you have -- as part of your
4 custom and practice, would you have discussed the risks and
5 complications that you first discussed at the April 4th visit?

6 A Yes. So you know, we have patient education literature that
7 is given to a patient, and we use very detailed program that describes
8 every procedure. So the dilation and curettage with the hysteroscopy is
9 one. The resection of the fibroid is another and the hydrothermal
10 ablation. So it says in -- pretty much in laymen's terms -- you know,
11 because I know I'm a doctor, so I speak like a doctor, but I try my best to
12 explain to a patient, so she understands what -- the surgery she already
13 has signed up for. So we review those forms. That can be a very quick
14 discussion, based on the patient. It can -- sometimes people can go with
15 it line by line. I've seen, you know, the whole myriad of what can
16 happen.

17 And then typically copies are given to the patient as well, so they
18 have those. And then ultimately, those orders that are signed by me and
19 are given to the patient in a packet, which she takes to the hospital
20 sometime between that visit and the actual surgery date to register at the
21 hospital, get labs done. They do like the preadmission, so everything's
22 ready. When she shows up that morning, she had her band ready for
23 her arm, all the data of her background and contact information, all of
24 that is in there.

25 Q Well, and that brings up a good point. In terms of in addition

1 to any discussion that you had with Ms. Taylor at this visit, was she also
2 provided written education materials about the procedures?

3 A She was. That's what I was mentioning earlier, yes.

4 Q That would have been written materials that the patient was
5 given to take home?

6 A Correct.

7 Q And then you mentioned that ample time was given to
8 answer all questions. Why is it important to you as a surgeon to give a
9 patient an opportunity to ask questions?

10 A Well, this is the patient's surgery. I perform surgery, you
11 know, every week. But a patient has to feel comfortable. And even if a
12 patient signed up a week ago and doesn't exactly understand what she
13 signed up for or doesn't understand all the risks and benefits, I mean, I
14 want to make sure our patient knows what she's doing, meaning signing
15 up for a surgery that's going to be performed by me. So I -- you know, I
16 want to make sure the patient has the chance to ask me those questions.

17 Q Now, after Ms. Taylor left your office that day, did you then
18 perform surgery for her on April the 26th?

19 A I did, yes.

20 Q Do you remember speaking to Ms. Taylor at Henderson
21 Hospital before your surgery?

22 A I do, yes.

23 Q And do you remember the conversation that you had with
24 her before the surgery?

25 A Same answer. I don't have the specific recollection of what

1 was said, but I have a general conversation with my patient before, yes.

2 Q The -- let's go to your operative report. And that's Exhibit 5
3 page 42. Now, you told us earlier, Dr. Brill, that the indication for
4 surgery and the preoperative diagnosis, those portions -- are those
5 completed before surgery?

6 A They are, yes.

7 Q And the operation portion of this note, do you indicate that
8 you removed a fibroid for the patient?

9 A I do not.

10 Q Do you indicate that you resected a uterine septum?

11 A I believe it was on the last page, but it says partial resection --

12 Q I'm sorry.

13 A -- of uterine septum.

14 [Counsel confer]

15 BY MS. HALL:

16 Q Sorry about that, Dr. Brill.

17 A It's okay. I believe it says partial resection of uterine septum.

18 Q And we'll go to that. In the operation portion, do you note
19 that you partially resected a uterine septum?

20 A Yes.

21 Q When is the first time that you learned Ms. Taylor had a
22 uterine septum?

23 A It was during the procedure intraoperatively when I placed
24 the diagnostic hysteroscopy inside.

25 Q And when you encounter a complication during surgery, do

1 you believe it's important to note that in your operative report?

2 A I do.

3 Q Did you do that here?

4 A I did.

5 Q I want to go to page 43 of Exhibit 5. Now, if you can, for
6 those who haven't seen an operative report before this trial, can you just
7 generally explain what is the purpose of documenting the technique
8 portion of the operative record?

9 A So from day one of our training, we're trained to dictate
10 basically everything that happens in a surgery, you know, not second by
11 second, but pretty much what happens from the beginning to getting the
12 patient onto the operating room table to the end, when you take her off
13 the table. So it's documenting the technique of the surgery that occurs.
14 Here it's called technique. I know when I -- other systems, if I dictate into
15 a phone, I say procedure in detail, but here, they have it prepopulated to
16 say technique and then you dictate your note there.

17 Q This operative record that we're looking at, did you dictate
18 this?

19 A I did.

20 Q And the record indicates that Ms. Taylor was placed on the
21 operating room table and given general anesthesia and LMA. What does
22 LMA mean?

23 A So LMA is a way to control a patient's airway, because she's
24 put under anesthesia, so she -- we have to breathe for -- well, the
25 anesthesiologist has to control her breathing. So instead of intubating a

1 patient, which means you put an intubation tube all the way into her
2 lungs, you put this mask. It's a laryngeal mask that goes inside her
3 upper -- or her throat to control her airway and try to prevent her from
4 throwing up or aspirating. But ultimately what it's doing is controlling
5 her airway, so the respiratory with the anesthesiologist can breathe for
6 her.

7 Q Well and who decides how to deliver anesthesia or control
8 the patient's airway?

9 A It's the anesthesiologist, typically based on what kind of
10 surgery is being done.

11 Q And this also indicates that the patient was prepped and
12 draped in the normal sterile fashion. What does that mean?

13 A So I mentioned the risk of infection, so you know, we don't
14 want an infection to occur, so this is why, you know, doctors wear sterile
15 gloves, and, you know, we scrub our hands, and we prep -- what
16 prepping machines and is place surgical antiseptic prepping on a patient.
17 So you know, depending on the situation, you might use iodine, or you
18 might use chlorhexidine, but it's an antiseptic solution that's surgical
19 grade that's placed on the patient before we touch her surgically.

20 And then after that's placed, then we drape her. So if you've ever
21 seen on TV an operating room, there's usually blue or green drapes.
22 Those are all part of the sterile field. So if my circulating nurse is
23 handing us -- or walking around the table, they know not to touch
24 anything that's draped, because it can -- so we can keep our sterile field
25 to try to reduce the chance of infection. And you also dictated that her

1 bladders was straight catheterized for a small amount of urine by the
2 operating room nurse. Why was that done?

3 A So to me that's very important, too, for a hysteroscopy
4 because, you know, even if a patient urinates on her -- or voids, you
5 know, on her own just prior to being walked back to the operating room,
6 the bladder is right in front of the uterus, so if a patient has a full bladder
7 when I perform a hysteroscopy knowing that there's a risk of injuring the
8 organs that are nearby, which are not just the bowel. It's the bladder. I
9 don't want there to be a full bladder. I want the bladder to be as empty
10 as possible, so there's less chance of a full bladder pushing onto the
11 uterus and then if there's a perforation with a larger bladder there,
12 there's a higher likelihood the perforation could go into her bladder,
13 which is a whole separate complication. So this is -- it sounds like a
14 small thing, but it's an important step.

15 Q And next you say that an examination under anesthesia was
16 done, which revealed a retroverted uterus approximately eight weeks
17 size. What do you mean by that?

18 A So when the patient's under anesthesia, she's not awake, so
19 it's normally done by gynecologists to perform an examination under
20 anesthesia, because this way I could carefully palpate the uterus without
21 the patient awake. When you perform an exam on a patient who is
22 awake, she's -- she might feel pain, if I really push hard. You know, a
23 pelvic exam, I don't want to get too graphic, but two of my fingers are in
24 the vagina, pushing on the cervix, so I can feel the cervix. My other hand
25 is on the abdomen trying to feel the uterus between.

1 So I -- besides what an ultrasound shows, I'm the one operating. I
2 need to know the direction of the uterus, the shape, so I can do as careful
3 of an exam as I've done. Even though I've done an examination on her --
4 on any patient in the office, when they're awake, you can do a more
5 involved exam without the concern of a patient, you know, being in pain,
6 because she's under anesthesia already. So before I do any entering of
7 the patient's body surgically, I want to do that examination under
8 anesthesia.

9 Q And then you say a timeout procedure was performed. What
10 is a timeout procedure?

11 A You know, a timeout is done on every surgery. This is a -- I
12 mentioned my patient safety committee background. This is one of the
13 things that came out of patient safety committees, because we've heard
14 of you know, wrong limb surgery or you know, wrong knee or someone
15 had the wrong kidney taken out or wrong organ taken out. So instead of
16 just doing a time out procedure on someone who has a unilateral, like if
17 someone was going to have a right-side ovary removed or a left -- as
18 opposed to a left, every surgery now has a time out as a patient safety
19 measure. So it wasn't just done here.

20 The idea, we are identifying that this is the right patient, that they
21 didn't mess up and, you know, the patient is now asleep. Do we have
22 the wrong patient here? Is -- what's -- we all -- we discuss the surgery
23 that's planned, and the anesthesiologist announces what anesthesia
24 they're using. They announce the fire risk, safety score. There's all
25 these things that are announced so we all feel comfortable we're doing

1 the right procedure on the right patient in the right place.

2 Q And can you just walk us through from your operative report,
3 after that time out procedure, what steps you then took?

4 A Yeah. So -- I'm sorry I'm looking this way, but I placed a
5 speculum into the vagina, and a speculum is what's usually used during
6 pap smears so I can visualize the cervix. And then I performed a
7 paracervical block. And what that is is placing numbing medicine
8 around the cervix, the idea being that even though the patient is under
9 anesthesia, she could feel pain after surgery, as we -- as we all know.

10 So the idea of doing a paracervical block before I do any entering
11 of her cervix, before I do any dilating, by putting a nerve block with local
12 anesthesia, which is what that next part is, the Marcaine. It puts a field
13 of local anesthesia around the nerves of the cervix so when she does
14 wake up, she's less likely to feel pain from the cervix, at least, from
15 dilating. So that's a standard procedure I perform before dilating a
16 cervix.

17 And it explains I used a total of 10 ccs -- or milliliters -- of a quarter
18 percent Marcaine, which is a long-acting anesthetic, with epinephrine.
19 Epinephrine is something that is -- that tries to constrict blood vessels, so
20 it tries to also just keep the anesthetic there and not -- and less chance of
21 spreading throughout her blood -- her body. And I used a spinal needle.
22 What that means, that's just a very small-sized needle. If you've had
23 your blood drawn, they usually use a certain size bore of a needle.
24 Spinal needles are about as small as they get. They're used for spinal
25 anesthesia and people who have, like, C-sections. But they're very small.

1 So just, I'm trying to focus that local anesthetic just around the cervix
2 where the nerves are.

3 Q After you were able to apply the local anesthetic, you
4 document that you attempted to place a uterine sound, but the internal
5 loss was stenotic at the cervix. And then you used Hank dilators. What
6 are Hank dilators?

7 A So Hank dilators, when we talk about dilation and curettage,
8 there are actually devices that are set. There's different kinds of dilators.
9 The reason why it shows Hank dilators, and again, this is -- I think this is
10 important, is traditional -- when a -- when a uterus is either mid-position
11 or anteverted, or when it faces up, we will very often use what are called
12 Hegar dilators. Again, a medical term. But they pretty much look like a
13 cigar. That's how we were always trained, Hegar, it looks like a cigar.
14 So they -- they're -- they have blunt edges, but they're basically straight
15 with a very small curvature to them. But they're basically straight like a
16 cigar.

17 Hank dilators are different. The ends of them curve up and curve
18 down. So knowing she had a retroverted uterus, which we had
19 discussed, that's pointing backward, the dilation is one of the portions of
20 the procedure which is done blindly. I think we heard Dr. Berke say that.
21 You don't put a camera in when you dilate. You're first trying to get
22 inside the uterus to begin with so you can go to the next step.

23 So on purpose, I dilate with a Hank dilator, aiming towards the
24 retroverted lesion because if I don't do that, I could perforate the cervix
25 and the anterior uterus right there and then. So I --

1 Q If you did that --

2 A Yes.

3 Q -- if you perforated the cervix during dilation, what would
4 you expect to see?

5 A I would feel that as you are placing this instrument, which is
6 a blunt tip, it normally will only go a certain amount through her cervix.
7 You can feel where the cervix ends and where the uterine cavity begins.
8 So as it goes inside, if it just keeps going and keeps going and keeps
9 going, you know there's no way that it's being stopped by the uterine
10 wall, it means it's perforated and gone through. So I'm feeling for that
11 because you have to. You can't visualize it.

12 Q And did that happen here?

13 A It did not occur here.

14 Q Would you have been able to distend the uterus if a
15 perforation had occurred at that point?

16 A I would not. Not with a hole in the cervix or uterus.

17 Q Were you able to distend the cervix and uterus?

18 A Yeah, with the distention medium we discussed, the fluid
19 that was used. Yes.

20 Q Now, after you were able to do that, you say that you placed
21 a diagnostic hysteroscope, being careful to follow the pathway of the
22 dilation. Why did you exercise care in following the pathway of dilation?

23 A So I mention that because the diagnostic hysteroscope is
24 rigid. It's, you know, similar -- when we saw the Symphion, which just is
25 not diagnostic, but it's a metal, straight tube. It doesn't have a curvature

1 on it like those Hank dilators do. So knowing that her anatomy goes
2 downward, you know, part -- I know you didn't ask me this, but there's a
3 reason why there's a single tenaculum on the anterior lip of the cervix.
4 So the cervix, and you picture this as, like, her -- it's like -- I'm looking at
5 her -- like this end of my fist here. This is her cervix. If -- the cervix can
6 move. So if I'm trying to put a dilator or a camera inside, the cervix can
7 keep moving. And you don't really want to have a moving target. I want
8 that uterus as still as possible to reduce any risks.

9 So after the nerve block, you put the tenaculum on. I'm holding
10 that on her cervix. And that's -- so this way, when the dilator goes in,
11 and then ultimately, your question, when the diagnostic hysteroscope
12 goes in, I can hold the uterus and cervix -- well, the cervix, in place, and
13 then aim my camera in that retroverted fashion. Because if I don't do
14 that, there's a very increased likelihood I'm going right through that
15 anterior wall of the uterus because her uterus was just -- shaped the way
16 it is. It's shaped downward as opposed to upward, which are the
17 majority of uteruses.

18 Q And once you were able to get the diagnostic hysteroscope
19 in place, what's -- what did you see and what did you do?

20 A So once it was in place, you know, while I'm doing it, fluid is
21 going. We -- I placed the fluid on very -- like, kind of slowly to help kind
22 of slowly open the cervix. And then once it's inside, past the opening of
23 the cervix, you're then in what's called the uterine cavity or the
24 endometrial cavity. And that's when I start to see if I get visualization.
25 Sometimes it's blurry the second you open -- you get inside. So you

1 wait for the auto-focus feature to happen.

2 And then, that diagnostic hysteroscope is also placing fluid inside
3 and fluid out, so you're making sure you can see properly. And you
4 literally are watching the uterus expand. And then you document -- I
5 document what I see, which is what happened -- is next in my op report.

6 Q And you document that you saw what appeared to be white
7 uterine septum and two small areas that appear to be the uterine horns.
8 Dr. Brill, does that language indicate to you that you did not know what
9 you were looking at inside of the uterus?

10 A Not at all.

11 Q And you say that, "There is no obvious fibroid seen at the
12 right side because there was white tissue here." The white tissue, is that
13 a reference to the septum?

14 A I say what appeared to be the septum because there was no
15 mention of a septum before, so I'm seeing white tissue in a triangle area,
16 very, you know, similar. It's not exactly like the cartoon that was here
17 before. But it appears to me to be that. No one can prove that to you
18 unless you biopsy it so you know for sure. If it would have been a more
19 simple bicornuate uterus, where you just saw an indentation, I would
20 have likely dictated what appears to be the bicornuate uterus. But I'm
21 saying that I see this white tissue, which is a much more broad triangle
22 than just a little indentation of a heart for a bicornuate uterus.

23 Q And then you go on to say that you felt that there could be
24 septum covering the area; pictures were taken. Are those the six
25 pictures that we saw earlier?

1 A They are, yes.

2 Q Were all six of those photos taken with the diagnostic
3 hysteroscope?

4 A They were, yes.

5 Q And I think you told us this earlier, but was it your intent that
6 those six photographs would be before photographs?

7 A Correct. Yes.

8 Q And then you say, "I made the decision to switch over to the
9 resectoscope and was set up. I had to dilate again to follow the proper
10 pathway." If the uterus had been perforated during your switch over to
11 the resectoscope, would there have been distension of the uterus?

12 A There likely would not have been. And the reason why I
13 mention this is because the hysteroscope -- I'm now switching to the
14 resectoscope; it's a larger width. We -- you know, the diagnostic scopes
15 are usually about four millimeters, that are smaller on purpose. We're
16 now switching to the 6.3-millimeter Symphion resectoscope. So I had to
17 again dilate to make sure that the camera would be able to -- this larger
18 camera would now be able to fit down that backwards-facing pathway.

19 Q Meaning the patient's right horn?

20 A No, I'm still -- I'm talking about going -- I dilated her cervix
21 again after the -- because the camera, once it's inside -- the diagnostic
22 scope -- it's keeping the cervix open. Then I remove it. Then I dilate it
23 again to make sure that a six-millimeter hysteroscope would properly fit
24 before I put the scope inside. So that's why I document that.

25 Q And did you have any difficulty doing that?

1 A I did not.

2 Q Once you had the resectoscope device in place, you
3 document that you, "were able to visualize what appeared to be the
4 septum. I used the yellow pedal and began to cut what appeared to be
5 the septum anteriorly." Is that an indication that you did not know what
6 tissue you were cutting when you activated the resection device?

7 A No. I would say it indicates that I saw the white tissue that
8 appears to be the septum and put my device up to it and that's when I
9 began the cutting.

10 Q So after you resected -- partially resected the septum, what
11 did you do next?

12 A So I used the yellow pedal and began to cut. Then, as I was
13 able to slowly advance the camera during this process, which means
14 advancing the camera, there did appear to be a uterine perforation.

15 Q Dr. Brill, did you cause the uterine perforation from activation
16 of the Symphion?

17 A I did not.

18 Q And explain to the jury how you know that.

19 A I know that because I would not -- I did not advance the
20 camera while actively pushing on a yellow pedal to go to the next area of
21 tissue. It's no -- we explained that triangle here. I'm -- I cut one area of
22 the tissue. Then, you have to go to the next area of the tissue. So to
23 advance the camera, I would take the resectoscope device, pull it back so
24 I can see where I'm advancing the camera. Otherwise, you see this big,
25 black-appearing or grey-appearing device in your way. And then, you

1 advance to that next area of the septum. It was during that process -- not
2 during any cutting. There was no cutting because I didn't have that
3 device pushed up against to the septum yet. That's when the perforation
4 was noted, in that instance.

5 Q And once you noted the uterine perforation, what did you do
6 next?

7 A So I stopped the resectoscope part of the procedure, which
8 means the entire idea of going to the next step or trying to identify a
9 fibroid and continuing to try to remove the septum, was stopped.

10 Q Did you inspect the area to look for any injury to organs
11 other than the uterus?

12 A Yeah. So you know, in real time, you're advancing the
13 camera, then all of a sudden, you see an opening, so you see what you
14 see. And you see the hole in the uterus. And if there is bowel right
15 there, you're going to see it. If there's not bowel right there, you're not
16 going to see it, at least in that -- in that instance. Once I did the
17 procedure, I looked the best I could. But remember that now, there's a
18 hole in the uterus with fluid. The system is going to shut down within
19 seconds. So you do the best look you can while you can before you
20 have to then, you know, make your -- a decision for what your next steps
21 will be.

22 Q And you documented there was no evidence of bowel or
23 other organs at the area of the uterine perforation. How were you able
24 to determine that?

25 A That was based on my direct visualization of that perforation

1 as it occurred. And then in the -- immediately after it occurred with the
2 resectoscope still in, before I go to next portion where I -- where I try to
3 look for a second time with the diagnostic hysteroscope.

4 Q So did you first visualize the area with the resectoscope?

5 A I did, yes.

6 Q And did you see any indication that there was injury to other
7 organs?

8 A No. There was no evidence of urine -- or bladder in the area.
9 There was no evidence of bowel contents, or fluid, or the yellow adipose
10 tissue you would expect. I saw none of that.

11 Q Once you switched to the -- back to the diagnostic
12 hysteroscope, what did you do?

13 A So the purpose of that was to get a look again because that
14 diagnostic hysteroscope does not have that safety feature of turning off
15 the fluid immediately based on the pressure difference. So you can put a
16 diagnostic hysteroscope in very carefully, put a small amount of fluid in,
17 and look. And that is the purpose of the -- it's a smaller camera. It's not
18 as large. But you can get a picture of that area as best you can to try to
19 reassure yourself that you still do not see any evidence of bowel injury.

20 Q Dr. Brill, once you noted the uterine perforation, did you
21 proceed with any further resection?

22 A I did not.

23 Q Did you proceed with removing Ms. Taylor's fibroid?

24 A I did not.

25 Q Did you proceed with performing an ablation for the patient?

1 A I did not, so.

2 Q And an ablation, we've heard some testimony in this case, is
3 that essentially heat energy used to burn the inside of the uterus?

4 A Correct. And the technique I was planning here was that
5 hydrothermal, which means hot water. And there are -- like I mentioned
6 earlier, there are multiple reasons -- multiple different options. This one,
7 I think, is the perfect option for this scenario because that fluid circulates
8 over about a ten-minute period into literally every nook and cranny of
9 the inside of the uterus. And it circulates it and heats it. It's almost at a
10 boiling point. It's at, like, I believe 90 degrees Celsius. And the idea is
11 it's going to try to burn every area if you know, if we would have gotten
12 to that.

13 There are other devices that try to put this mesh-looking device
14 that will try to mold to the walls of the uterus -- there's one called
15 NovaSure; there's some others that are out there -- that tries to transmit
16 heat that way. That would not have been appropriate in my opinion as a
17 surgeon because she had the bicornuate uterus and this would not have
18 gotten that heat right against the wall. The hydrothermal was the right
19 choice, in my opinion, because it can, like I said, get around every nook
20 and cranny and keep circulating.

21 Q Well, and in fact, did you ever -- you did not -- did you ever
22 get to the hydrothermal ablation portion?

23 A I could not, no.

24 Q And is that because of the perforation you noted?

25 A Correct. I would not want to put 90-degree Celsius water or

1 hotter into a uterus with a perforation. That would -- that would
2 definitely be below the standard of care.

3 MS. HALL: May I approach, Your Honor?

4 THE COURT: Yes.

5 BY MS HALL:

6 Q I'm giving you a curette. And I understand in your
7 document -- documentation, you said that you used a Number 2 curette.
8 What I handed you, what size is that curette?

9 A This is a Number 4, which means it's larger.

10 Q Okay. Can you describe for the jury the -- how you used the
11 curette for Ms. Taylor and why?

12 A So the old-fashioned D&C, dilation and curettage, this is the
13 curette. This is what's used for a curettage. So the idea of this curette is
14 it has a blunt area, and it looks like a spoon at the top. But I think like Dr.
15 McCarus showed you, it has only a sharp edge on the front side of it,
16 here. This part is not. It's completely blunt. It doesn't cut. It doesn't do
17 anything.

18 So the idea of doing the curette is you can tilt it -- you can
19 help -- this is a moldable device. You can -- you can do it this way. So
20 you would mold it in the way you want it to go. So when I perform this,
21 the idea is that you can angle it downward through the cervix and
22 palpate the back wall of her uterus. I knew where that perforation was.
23 It was here. This area here is completely blunt. There's no sharp edges
24 to it. There's no scalpel. There's nothing sharp. So the idea is if I'm
25 going to try to get some benefit of the surgery, which I was hopeful for

1 because I don't want my patient to have to leave the operating room and
2 not, you know, have some -- maybe some help in her menstrual flow.

3 The idea -- you know, before endometrial ablations occurred, D&C
4 was it. Like, you would have a D&C. If that didn't work, you would have
5 a hysterectomy. And that's why, 50 years ago, a lot more women were
6 having hysterectomies than they are today. So the idea was I still can
7 palpate the bottom wall of the uterus, get some sampling of tissue, more
8 so than we got in the office. But also, by removing that tissue, there is a
9 chance it might improve her menstrual flow.

10 Q The uterine perforation that you noted, where was that?

11 A It was on the anterior wall.

12 Q Meaning the upper wall?

13 A At the top, going close -- like, closer to her belly button as
14 opposed to the back.

15 Q The curette that you used, you said you used that on the
16 posterior wall?

17 A Correct.

18 Q The -- is that anywhere near where Ms. Taylor's uterine
19 perforation was?

20 A It was nowhere near, no.

21 Q Do you believe that the use of the curette caused her uterine
22 perforation?

23 A I do not.

24 Q Did you have any reason to suspect during your surgery that
25 Ms. Taylor had an injury to her bowel?

1 A I did not.

2 Q If you did suspect there might be an injury to the bowel, what
3 would you have done?

4 A If I suspected bowel injury or any organ injury, the next step
5 is to explore that further. And traditionally, we are trained to perform a
6 laparoscopy. And if that's not the proper surgery, to perform a
7 laparotomy, which would be like a C-section incision or an up-and-down
8 incision to get inside the abdomen.

9 Q Now Dr. Brill, I want to direct you down to the bottom of
10 where your signature is in this operative report. At the bottom of your
11 dictation, it says, "Electronically signed by Brill, Keith, M.D. on April 26,
12 2017, at 10:08 Pacific." What does that indicate?

13 A The way our dictation system works, it's -- it uses what's
14 called a Dragon dictation, which is different than when I first started my
15 training, you would get onto a phone and you dial a number and you go,
16 this is Dr. Brill dictating this surgery. And then, you get an operative
17 report number. And what we would do is we would then write up what's
18 called a brief operative report in the charts. And then, that dictation
19 would get transcribed by a reporter. And then it gets sent back to me to
20 sign and then it gets put into the charts.

21 So this system we use does not do that. This will -- I'm literally
22 dictating into a Dragon phone. It's like a recorder. And I'm dictating and
23 it records what I'm saying immediately. And if there is a typo -- if I
24 recognize it; sometimes I don't and if I speak quickly, I know -- it'll all
25 appear there. I will then review this, make sure that I'm comfortable with

1 what it says, and then sign it. And then from that moment forward, it is
2 in the charts for anyone to see.

3 Q So this operative report would have been available in the
4 electronic medical record at 10:08?

5 A Correct.

6 Q Same day of the surgery?

7 A Correct.

8 Q The uterine perforation that you noted, do you believe that
9 was because you fell below the standard of care?

10 A I do not.

11 Q And explain why.

12 A Because I did everything I could -- and given the anatomy of
13 this patient -- to dilate the proper way, to perform the surgery the proper
14 way. And even doing all of that, a perforation occurred.

15 Q Dr. Brill, did you know that the bowel had been injured
16 during your surgery?

17 A I did not know.

18 Q Do you know now that a bowel injury occurred?

19 A I do know, yes.

20 Q And do you believe -- the fact that Ms. Taylor had a bowel
21 injury, do you believe that you fell below the standard of care?

22 A I do not.

23 Q Why not?

24 A Because surgeries have risks, they have benefits. And doing
25 everything right, using all your medical experience, all your judgment,

1 doing the technique the proper way, you still could have an injury occur.
2 You're going to have a complication occur. It's a -- it can happen to any
3 surgeon. And do I want it to happen in any of my patients? Of course
4 not. I'm -- you know, it's really unfortunate. No one wanted any of this
5 to happen and go down this course we're going down here. But it can
6 happen and by any surgeon doing the surgery properly.

7 Q And I want to talk to you just a bit about after the surgery.
8 After you documented in your operative report the uterine perforation
9 that occurred -- the complication that occurred, do you have a custom
10 and practice of who, if anyone, you would advise of a complication
11 during surgery?

12 A So the entire operating room team would immediately be --
13 be aware of what happened because we are not proceeding with our
14 intended surgery. So everyone knows we are now stopping the
15 resectoscope and that a uterine perforation occurred.

16 Q When a complication such as a uterine perforation occurs, is
17 that called out to the operating room?

18 A Yes. I mean I can't specifically recall, "Look, there was a
19 complication." I mean I don't know if that was said, but we said, "There's
20 a uterine perforation," and the machine shuts down, we stop it, and we
21 go to the next step. But it's announced. Everyone is aware what's going
22 on.

23 Q And as part of your custom and practice, do you advise the
24 patient or any family members when a complication occurs?

25 A Yes. So after every surgery, I would talk to whatever family

1 member was available, whether by phone or in person.

2 Q Do you remember -- do you actually remember a
3 conversation with Ms. Taylor in recovery after the surgery?

4 A I know that she referred to it. I didn't have a specific
5 recollection until I'd heard about it. But I believe it occurred, but I don't
6 have a specific recollection of it occurring.

7 Q And you were present when Ms. -- did you hear Ms. Taylor's
8 testimony that you did speak with her in recovery?

9 A I did, yes.

10 Q After the surgery?

11 A Yes.

12 Q Do you have any reason to deny that -- or dispute that you
13 did speak with her in recovery after surgery?

14 A No, I have no reason to.

15 Q She testified -- or did you hear her testify that you told her it
16 was complicated, and you weren't able to do any of the surgery?

17 A I did hear her say that.

18 Q Did you ever tell Ms. Taylor that?

19 A I would never say that because it's an untrue statement.

20 Q And when you say it's an untrue statement, what are you
21 referring to?

22 A Meaning I did perform the surgery, but I had to stop the
23 surgery at a certain time. It wasn't like no surgery was done. And it's
24 not my medical terminology to ever use -- to say something was
25 complicated because I wouldn't want to use that term. I wouldn't even

1 know what it means. But I would use the term complication, because a
2 complication did occur. But nowhere would I say something was, quote,
3 unquote, "complicated." I have no doubt that she believes she heard
4 that. But I would also never say something untruthful such as, "I didn't
5 perform the surgery," because that would mean that the patient went to
6 the operating room and got taken right out. And that's not what
7 happens.

8 Q In your deposition that was taken in April of this year, did
9 you recall speaking to a family member of Ms. Taylor's?

10 A From reviewing everything, I had a vague recollection of
11 talking to Barbara, who was her mother.

12 Q Did you remember -- at your deposition, did you remember
13 the specifics of any discussion with Ms. Taylor's family members?

14 A I did not, no.

15 Q Did you ever attempt to mislead Ms. Taylor or her family as
16 to the uterine perforation that you encountered?

17 A I never did.

18 MS. HALL: Your Honor, I have -- just for scheduling
19 purposes, I have about ten more minutes. Would you like me to just
20 continue?

21 THE COURT: Yes.

22 MS. HALL: Thank you.

23 BY MS. HALL:

24 Q The uterine perforation that you noted, did you at some
25 point -- let's go actually to the St. Rose Hospital records. And this is

1 Exhibit 1. And I'm going to show you very quickly SRDH-208. Now,
2 when Ms. Taylor went to St. Rose the first time, when she was seen in
3 the emergency department by Dr. Christensen, were you ever contacted?

4 A I was not and nor was by answering service or physicians on
5 call, no.

6 Q And when Ms. Taylor went to the emergency room the
7 second time, I want to show you -- it's your understanding -- the second
8 time that Ms. Taylor went to St. Rose, is it your understanding that she
9 was admitted at that time?

10 A Yes. After the evaluation, including a consultation with the
11 on-call doctor in my practice at the time.

12 Q And I want to show you very quickly, Dr. Brill -- this is SRDH-
13 208, page -- of Exhibit 1. And I want to take you down to the subjective
14 portion of your progress note. The first question is what is the date that
15 -- of this progress note?

16 A I can't see it right now. There it is. April 28th, 2017 at 7:55
17 a.m.

18 Q And do you have an understanding of when Ms. Taylor had
19 her surgery with Dr. Hamilton?

20 A Yes. My understanding was it was the evening before.

21 Q And so did you, in fact, see Ms. Taylor on April the 28th, 2017
22 around 7:55 in the morning?

23 A Yes. This is the first opportunity I had based on me working
24 the previous night as an in-house laborist as a different hospital. So I
25 was notified of the surgery, but I couldn't physically leave my previous --

1 my -- where I was doing an in-hospital shift at a different hospital. So as
2 soon as I was able to get to that facility, I did.

3 Q Really quickly, what's a -- when you say you were doing an
4 in-house [sic] shift, what is that?

5 A So the -- all the labor floors that I deliver babies at -- because
6 that the OB part of my job -- have laborists. And there are times when
7 doctors in the community perform laborist shifts back then. It was more
8 frequently. Now these programs have been around a longer. We tend to
9 have more full-time laborists. But that means I was the -- it's kind of like
10 being the emergency doctor for the labor floor. So if someone come into
11 triage, they don't just have a nurse see her and then they call their doctor
12 at home, they have -- a doctor sees every patient. Where they're on
13 standby for deliveries, if a doctor's on the way, we help on C sections.

14 So I happened to have a shift that previous night that goes from
15 6:30 p.m. to 6:30 a.m. And you can't physically leave. You're
16 contracted. You know, it's not like you're on call for a hospital and if no
17 one's in labor, you leave. You have to stay there because you never
18 know what's walking through the door.

19 So I was not able to leave. I was, you know, contacted by my on-
20 call physicians during the day and nights, and was aware that this was
21 all occurring. But because it was at a different St. Rose Hospital, it was
22 at San Martin Campus, the sign-out happened between 6:30 and 7; once
23 that's done, then I had headed over to St. Rose - Siena.

24 Q And then we get to when you were able to come to the
25 patient's bedside?

1 A Correct.

2 Q Tell us what you documented when you saw her on April the
3 28th in terms of your subjective.

4 A So subjective, there's some general statements. "Incisional
5 pain, well controlled." And those are kind of like drop-down menus. But
6 then I type in, "Well, I reviewed with Kimberly the perforation that
7 occurred during the hysteroscopy I performed two days ago. At the time
8 of the perforation, I did not suspect that the myomectomy device was
9 actively cutting. I also" --

10 Q And let me stop you right there.

11 A Yes.

12 Q Mr. Breeden earlier asked you about this documentation.
13 Were you indicating that you now suspected the myomectomy device
14 was actively cutting at the time of the perforation?

15 A Not at all.

16 Q And then you say, "I also did not see any bowel adjacent to
17 the uterine perforation." Did you believe at the time of your surgery
18 there was no bowel adjacent to the uterine perforation?

19 A I do.

20 Q And was that based on your direct visualization of the
21 perforation?

22 A Yes.

23 Q Had your mind changed in any way by the time of this
24 progress note?

25 A It did not.

1 Q You say that, "Kimberly reviewed with me the nature of her
2 pain that night and the following day. I voiced to her that I was glad she
3 called 911 and came to the hospital." Is that a discussion that you had
4 with Ms. Taylor?

5 A It is.

6 Q And you say, "I was notified by Dr. Schoenhaus yesterday
7 afternoon about events after the surgery." Dr. Schoenhaus, is she one of
8 your partners at WHASN?

9 A She is. You know, the way -- I don't know if it's the
10 reference, but the way practice works is we -- at the time, we had six
11 physicians, now we have seven. But one doctor is always out of the
12 office and out of the operating room as our rounding on-call doctor
13 because babies can come at any time, people can come into in the
14 emergency room any time. I would say to you most practices don't do
15 that. We do. And we think it's the safest way to practice OB/GYN. So
16 this doctor is only focusing on what's happening at the hospital while the
17 rest of us are either in surgeries or in the office.

18 So at the time, Dr. Schoenhaus was our on-call doctor. So if an
19 emergency room is going to contact my practice, they call the on-call
20 doctor, who was Dr. Schoenhaus, who is my partner I work with every
21 day.

22 Q And I believe we've seen Dr. Schoenhaus' note. But did
23 Dr. Schoenhaus see Ms. Taylor on April the 27th?

24 A I believe she did, yes.

25 Q And if you had not had a laborist shift that required you to be

1 at St. Rose, San Martin, would you personally have gone in to Siena to
2 see Ms. Taylor on the 27th?

3 A Absolutely.

4 Q You also document that -- about your OB laborist shift and
5 that Dr. Ivie, who was the nighttime on call for the group, Dr. Ivie, is she
6 another physician with WHASN?

7 A She's one of my partners. Yes.

8 Q And she -- did she assist Dr. Hamilton with her surgery?

9 A She did.

10 Q After Dr. Ivie assisted Dr. Hamilton, did she notify you of the
11 small bowel perforation?

12 A She did.

13 Q I want to direct you to page 217. It's the same visit. And in
14 your progress note, Dr. Brill, do you document discussing the
15 hysteroscopy procedure and uterine perforation with Ms. Taylor?

16 A I did.

17 Q What do you document?

18 A So under the procedures part, even though it wasn't
19 technically this hospitalization -- that was just the part I typed this in -- I
20 wrote, "POD number one" -- which is postoperative day number one --
21 "after a laparoscopy converted" -- "converted to a laparotomy" -- which is
22 the open incision we heard about with the vertical scar -- "for a small
23 bowel resection and reanastomosis" -- which is the reattachment -- "due
24 to bowel injury, likely related to the previous hysteroscopic procedure
25 where a uterine perforation was noted. Patient is doing well at this time.

1 We discussed the surgery two days ago, and the surgery last night, and
2 the expected recovery course. I'll try to" -- "we'll have her try to sit up as
3 much as possible and ambulates and void" -- which means pee or try to
4 pee -- "while advanced diet is clear based on general surgery's
5 recommendation, I appreciate their input."

6 Q At the time that you saw her on the 28th, were you
7 discussing her care with general surgery?

8 A I don't have a -- recall this specifically. Because I was
9 rounding in the morning, I don't think the general surgeon was there at
10 the time. So we very often communicate by notes like this. But --

11 Q And did you document that you would discuss the diet with
12 general surgery?

13 A Well, I would say it would be -- it would be advance at that
14 time based on the general surgeries -- surgeon's recommendations on
15 day one.

16 Q Okay. I want to show you your progress note from April the
17 29th very quickly.

18 MS. HALL: And it's SRDH-184 of Exhibit 1.

19 BY MS. HALL:

20 Q First, Dr. Brill, if you write a progress note, if you dictate a
21 progress note or type in a progress note for a patient, does that mean
22 that you physically saw that patient?

23 A It does.

24 Q I want to show you very quickly -- this is a progress note
25 from April the 29th, 2017. Did you see Ms. Taylor on that date?

1 A I did.

2 Q And how was she doing, according to your documentation?

3 A So under subjective, which is me asking the patient
4 questions, "Patient states she's getting better. She's ambulating. She's
5 tolerating sips of water and ice. Only has nausea with pain medication.
6 No shortness of breath or leg pain. Has minimal vaginal bleeding. Able
7 to void without difficulty. Not passing flatus" -- or passing gas from
8 below -- "and patient's pain is better."

9 Q And I want to show you a progress note from April the 30th.
10 I'm sorry. Bear with me.

11 MS. HALL: It's SRDH166, Mr. McBride.

12 BY MS. HALL:

13 Q Did you see -- did you see Ms. Taylor on April 30th, 2017?

14 A Yes. This note is timed 5:47 a.m. on April 30th at least when
15 I -- when I open the notes.

16 Q I want to show you page 170 of this progress note. Now, you
17 document -- on page 170, you document that the patient was -- this was
18 postoperative day three when you saw her on April the 30th, 2017,
19 correct?

20 A Correct.

21 Q And how was she doing at that time, per your
22 documentation?

23 A So I say, "Post-update number three. She has bowel
24 sounds." Which means her bowels are starting to make noise and wake
25 up. She did not tolerate liquid diet yesterday, so we are waiting passage

1 of flatus before advancing back to clears. I encouraged her to be upright
2 in bed and/or chair when she is awake." And that's because I want her to
3 have less chance of her lungs collapsing. You want somebody to be
4 upright as much as they can after surgery. "She is ambulating and able
5 to void. Having potassium replaced and receiving parenteral" -- which
6 means I.V., not oral -- "analgesics," which is pain medication. "Patient
7 counseled regarding bowel function and need for bowels to function
8 after the reanastomosis before advancing diets. All questions
9 answered."

10 Q Okay. Thank you, Dr. Brill. I'll represent to you that the
11 St. Rose chart reflects that Ms. Taylor was seen following this April 30th
12 visit, that Ms. Taylor was seen by physicians named Dr. Herpolsheimer
13 and Dr. Garg. Are those physicians with Women's Health Associates of
14 Southern Nevada?

15 A Yes. They are partners in my practice, both of them.

16 Q On any date where you were unavailable to come to St. Rose
17 and see Ms. Taylor, is it your understanding that some physician from
18 your practice did see her?

19 A That's my understanding, yes.

20 Q I want to show you the next note in the chart for you. And
21 that's SRDH-100.

22 Now, did you see -- what's the next date that you saw
23 Ms. Taylor when she was hospitalized at St. Rose?

24 A So this is May 3rd, 2017.

25 MS. HALL: And I want to go to 103, please.

1 BY MS. HALL:

2 Q I want to show you page 103 of this exhibit. And when you
3 saw Ms. Taylor on May the 3rd, did you document that you had a
4 discussion with her and her parents?

5 A I did.

6 Q What did you -- what discussion did you document?

7 A So I wrote here, "I spoke to Kimberly and her parents at
8 length again about the hysteroscopy last week and the nature of her
9 uterus. The CT read the shape is bicornuates. And during the
10 hysteroscopy, there was a large septum noted, which made seeing the
11 right horn where the fibroid was located difficult. During the resection
12 procedure, there was a perforation, and I could not proceed with the
13 myomectomy and endometrial ablation. I did not see bowel appear in
14 wall at the time of the perforation.

15 Based on the findings in the OR the next day, there was an injury
16 to the bowel. That was repaired. I told Kimberly that if her vaginal
17 bleeding does not improve, I would recommend either medical
18 treatment or a hysterectomy. But she needs to heal and recover from
19 this bowel surgery first. She voiced understanding and she appears to
20 be in good spirits. I did let her know that Dr. Garg and my group's on-
21 call physicians all cover for each other for in-patients, and I will be out of
22 town for a meeting from Friday to Sunday of this week. Plan for now is
23 to continue ambulation. Awaits improving bowel function with goal of
24 eating regular diet. She has little appetite at this time."

25 Q Dr. Brill, did you discuss with Kimberly and her parents all of

1 the information that is documented in this note?

2 A I did.

3 Q Would you have documented you had a discussion if know
4 discussion occurred?

5 A I would never do that, no.

6 Q Were you ever trying to hide the fact that the bowel
7 perforation was a result of a complication from your surgery?

8 A I was never trying to hide that, no.

9 Q And the risk and complication that Ms. Taylor experienced,
10 was that a uterine perforation?

11 A During my surgery, all that I recognized at the time was a
12 uterine perforation. But ultimately it was diagnosed that she had a
13 bowel perforation as well.

14 Q And based on what you know now, do you believe that
15 bowel perforation was a complication from the surgery that you
16 performed?

17 A I do.

18 Q Do you believe that you met the standard of care?

19 A I do.

20 Q How is it that the patient had this complication and yet you
21 still believe that you met the standard of care?

22 A Because I -- like I said earlier, I performed the surgery to the
23 best of my ability using my training, doing everything that is supposed
24 to be done during a surgery to carefully try to avoid a risk of injury. But
25 you cannot avoid 100 percent complications or injury. No one would

1 ever do surgery if we were held to that kind of a standard.

2 Q Did you make a mistake when you did her surgery?

3 A No, I did not perform -- make a mistake.

4 MS. HALL: The Court's indulgence, Your Honor.

5 [Counsel confer]

6 BY MS. HALL:

7 Q Dr. Brill, have -- has your testimony here today and the
8 opinions that you've stated, have those all been to a reasonable degree
9 of medical probability?

10 A They have.

11 Q Thank you.

12 MS. HALL: Pass the witness, Your Honor.

13 THE COURT: Redirect.

14 MR. BREEDEN: We're just going to reconnect the technical
15 issue here. I'll just go ahead and start, Kristy --

16 MS. JOHNSON: Sure.

17 MR. BREEDEN: -- while you're -- while you're getting that up.

18 REDIRECT EXAMINATION

19 BY MR. BREEDEN:

20 Q Doctor, just a few points of clarification. And I know you've
21 been testifying for several hours. We've gone over a lot of subjects.
22 When you recognized that you made the perforation to the uterus, you
23 were required by the standard of care to inspect that to see if adjacent
24 organs are damaged, correct?

25 A You're required to investigate the perforation to the best of

1 your ability, yes.

2 Q Okay. And we know that you didn't actually see the damage
3 to the small bowel, but you're required to inspect for that damage under
4 the standard of care, correct?

5 A We know subsequently that there was an injury to the small
6 bowel, but it was not visually seen at the time of the surgery, if that's
7 what you're asking.

8 Q Yes. So when you inspected for damage to adjacent organs,
9 you did that from a camera inside the uterus, correct?

10 A Correct. And that's the standard of care. Yes.

11 Q Did you ever advance the camera through the perforation,
12 through the uterus?

13 A No. That's not something that we were ever trained to do or
14 would we ever -- would we ever do that. No.

15 Q Okay. Would that be below the standard of care?

16 A Yes.

17 Q Okay. You dictated your operative note, and it says it's
18 electronically signed at 10:08 a.m. Do you recall that?

19 A I do, yes.

20 Q Is that -- was that dictation or -- I'll call it electronic signing at
21 10:08. Was that before or after you had seen your next patient of the
22 day?

23 A It would be before.

24 Q Okay. Your visit with Ms. Taylor in the PACU, would that be
25 before or after 10:08, when you electronically signed that?

1 A It would be after.

2 Q Okay. How soon after you finished the report at 10:08 a.m.
3 did you then meet with Ms. Taylor in the PACU?

4 A I honestly have no recollection, so I don't -- I don't know. My
5 best -- I know it's speculation, so there might be objections. But it would
6 be a bit likely as I was coming out of the next surgery. The next
7 hysteroscopy would be coming to the -- to the area because you pass the
8 recovery room as you go to the dictation area. And if she was there and
9 awake, it's probably why I stopped there then.

10 Q Okay. When was your next -- was it a hysteroscopy you were
11 to perform afterward, another hysteroscopy?

12 A Correct. It was -- it was a diagnostic hysteroscopy, which
13 was following Ms. Taylor's case.

14 Q Okay. And so when was that procedure completed?

15 A I don't know. I know it was -- it was a relatively short
16 procedure, but I don't have the timing of that. I don't know.

17 Q Yeah. And so the problem is if you testify here today, for
18 example, that that procedure was complete at 11 a.m., we know you
19 couldn't have possibly spoken to Kimberly's parents because they didn't
20 arrive until after noon, right?

21 A That's not true.

22 Q You're not fixing the time because the time will ruin your
23 narrative that you had spoken to Kimberly's parents and advised them of
24 the uterine perforation, isn't that true, sir?

25 MS. HALL: Objection, Your Honor.

1 THE COURT: Counsel, approach.

2 [Sidebar at 2:21 p.m., ending at 2:22 p.m., not transcribed]

3 BY MR. BREEDEN:

4 Q Doctor, did you -- yourself, that is. You did not attempt any
5 repair of the uterus perforation, did you?

6 A I did not, no.

7 Q And you yourself did not attempt any repair of the bowel
8 perforation, correct?

9 A Correct. I did not see a bowel perforation.

10 Q Yeah, you didn't even -- you didn't know it existed.

11 A Couldn't repair something I didn't know existed. Yeah.

12 Q Okay. You indicate in your testimony that during the
13 procedure, you called out in the operating room that there had been a
14 perforation; do you recall that?

15 A I do.

16 Q Okay. Dr. Yeh, the anesthesiologist, was there, correct?

17 A Correct.

18 Q And there's nothing in his records that indicate any sort of
19 perforation, correct?

20 A And I believe he said there's no place for him to document
21 that. That's my place to do that.

22 Q He said he can also do an addendum if something like that
23 happens; do you recall that?

24 A Yes.

25 Q There was no such addendum, was there?

1 A No because usually, he documents anesthesia complications.
2 I document surgery complications.

3 Q He had no recollection -- personal recollection of any
4 problem with this procedure as well, correct?

5 A If that's what he said, I agree with that. Yes.

6 Q And we saw records that the operating nurse appears to
7 have created, Gary Wernlund. Do you recall that?

8 A Yes.

9 Q Do you know Gary personally?

10 A I know him from working with him, but not on a personal
11 basis.

12 Q Okay. He doesn't work for WASN, does it?

13 A No, he works for Henderson Hospital. He's still there. He still
14 works in the rooms I work in.

15 Q He's there during your actual operation, correct?

16 A Correct.

17 Q And he recorded in his records complications, none per
18 surgeon, correct?

19 A Correct.

20 Q And per surgeon means you, Dr. Brill; doesn't it?

21 A I understand, yes.

22 Q Do you recall testifying earlier that you were unaware that
23 Ms. Taylor had a septum prior to the procedure?

24 A Correct.

25 Q It was your testimony just a few minutes that that was

1 completely unknown to you prior to the procedure?

2 A The presence of that septum, until I saw it in the operating
3 room, was not -- was not known to me. Yes.

4 Q You would agree with me that the standard of care requires
5 you to familiarize yourself with the patient and her anatomy before you
6 operate, correct?

7 A I would say yes. But you can't physically document every
8 part of the anatomy until you do surgery. You need to use your eyes.
9 And you can rely on imaging studies and pelvic exams, but, you know. If
10 you've seen ultrasounds, they're black and white and gray and they take
11 pictures of the walls of the uterus. But until you put a camera inside, you
12 don't know what you're going to see until you see it.

13 Q I'd like to show you Exhibit 3 at Brill 130. It's part of your
14 records; isn't it, Doctor? Explain to the jury what this is.

15 A So this is a Desert radiologist MRI report from 2005 when the
16 patient was not a patient of mine. It says the physician was Kenneth
17 Jones, who was a physician but not in my practice.

18 Q These are part of your records, aren't they?

19 A I don't know where this comes from. This is Desert
20 Radiology.

21 Q Well, why don't you look in your books there behind you
22 then at Exhibit 3? Pull that out and tell me what Exhibit 3 is.

23 A Which book would it be?

24 Q Volume 2. And I'll sort of cut to the chase here. Exhibit 3 are
25 your records from Women's Health Associates of Southern Nevada that

1 you produced in this litigation. Just confirm that for us when you find
2 the exhibit.

3 A Is this tab 3 you're talking about?

4 Q Tab 3.

5 A Yes, I see hospital records and imaging studies, yes.
6 Including Women's Health Associates.

7 Q Okay. So those are your records, correct?

8 A Correct.

9 Q Do you recall Ms. Taylor providing you and WASN a copy of
10 her records from before she became a patient?

11 A I do recall one of my notes saying that the patient brought a
12 copy of an MRI from 2005.

13 Q Yeah. Does this appear to be that 2005 MRI?

14 A It does, yes.

15 Q Okay. So even though you just testified a few minutes ago
16 that you had no idea there was a septum. That that was something
17 unknown and was something a surprise to you. What does this MRI
18 indicate?

19 A So that says, "The uterus demonstrates a subseptate uterine
20 configuration. [Indiscernible] septation demonstrated, which contains
21 the myometrium. The bicornuate distance is approximately 4.2
22 centimeters, and the angle is approximately 15 degrees between the two
23 horns".

24 Q It clearly documents a septum, doesn't it?

25 A This does, yes.

1 Q Yes. Now, look at the next page with me.

2 MR. BREEDEN: In case the jury actually wants to actually see
3 the word "septum" in the record. Kristy, if you could blow that up under
4 "impression"?

5 BY MR. BREEDEN:

6 Q Tell the jury what that record shows.

7 A So this is the impression, I'm assuming from the same
8 report. "Subseptate uterine configuration is demonstrated with the
9 myometrium extending deep into the septum".

10 Q So in fact, your own records from Ms. Taylor refute your
11 testimony here within the last half hour that you had no idea there was a
12 septum.

13 A So I know I reviewed the ultrasound with her. I don't
14 document that I reviewed this MRI with her, and I don't document that I
15 discussed the septum with her. No.

16 Q You don't think it's important to familiarize yourself with the
17 patient's anatomy before you operate?

18 A I do think it is.

19 MR. BREEDEN: Thank you.

20 MS. HALL: Thank you, Your Honor.

21 RECROSS EXAMINATION

22 BY MS. HALL:

23 Q This 2005 MRI. Was Ms. Taylor a patient of yours in 2005?

24 A She was not, no.

25 Q The note where you document that she brought it in, do you

1 document that you reviewed the actual MRI films or the MRI report?

2 A To the best of my recollection, no.

3 Q If in the ultrasound that was performed close in time to your
4 surgery, that ultrasound had shown a septum, is it your expectation that
5 the provider interpreting the ultrasound would dictate that there was a
6 septum?

7 A I would expect that, and they typically would.

8 Q And was there anything in that ultrasound from March of
9 2017 to indicate the presence of a septum?

10 A There was not.

11 Q The uterus -- and the uterine perforation that you noted
12 during your surgery. Did you suture the uterine perforation?

13 A I did not.

14 Q Why not?

15 A That is not the standard of care typically when a blunt
16 perforation happens in the uterine muscle wall either the anterior wall
17 towards the fundus since it's nowhere near where the blood supply is.
18 And the uterus muscle typically will close around itself and contract, so it
19 is within the standard of care, unless there's an active bleeding lesion,
20 that you let this repair on its own.

21 Q When you say that the uterine will close around itself -- the
22 uterine wall will close around itself, is the uterus self-repairing?

23 A It is.

24 Q And by the point of Dr. Hamilton's surgery, did she note that
25 there was the presence of a clot at the uterine perforation?

1 A I believe she did, yes.

2 Q What does that indicate?

3 A That's mean -- that means that there's no active bleeding
4 there. That blood has already coagulated, which we call a blood clot, in
5 that area. Typically, when there's healing starting to occur, blood can
6 coalesce in that area. When the next step, healing, occurs as the tissue
7 tries to come together, very often we'll see a clot, which is the first step.
8 The platelets to try to get the uterine muscles to come together.

9 Q Does a clot indicate that the perforation is healing?

10 A To me, it means the start of the process and that there's no
11 active bleeding.

12 MS. HALL: Thank you, Dr. Brill.

13 THE COURT: Any questions from our jurors? All right, no
14 questions. Dr. Brill, thank you for your testimony. You may have a seat
15 back at table.

16 And ladies and gentlemen, as indicated, we're going to go
17 ahead and release you for the afternoon so we can discuss some legal
18 issues. We'll be coming back tomorrow at 10:30 here in this courtroom.
19 And we're going to proceed with jury instructions and closing
20 arguments.

21 On behalf of Defendant before I release them, Ms. Hall or Mr.
22 McBride, do you intend to call any -- well, I guess Mr. Breeden first.

23 MR. BREEDEN: Plaintiff would rest.

24 PLAINTIFF RESTS

25 THE COURT: All right. And on behalf of Defense?

1 MS. HALL: Your Honor, at this time, the Defense would rest.

2 DEFENDANT RESTS

3 THE COURT: Okay. So then we're going to proceed in the
4 morning with jury instructions and closing arguments at 10:30.

5 And during this recess, you are instructed not to talk with
6 each other or anyone else about any subject or issue connected with
7 trial. You are not to read, watch or listen to any report or commentary
8 on the trial connected -- by any person connected to this case by any
9 medium of information including without limitation newspapers,
10 television, internet or radio.

11 You are not to conduct any research on your own, test any
12 theory of the case, consult dictionaries, use internet or reference
13 materials, recreate any aspect in theory of the case or in any other way
14 investigate or learn about the case on your own. You're not to talk with
15 others, text others, Tweet others, Google issues or conduct any book or
16 computer research with regard to any issue, party or attorney involved in
17 this case. And finally, you are not to form or express any opinion on any
18 subject connected to this trial until the case is finally submitted to you.

19 I'll see you tomorrow at 10:30. Thank you.

20 THE MARSHAL: All rise for the jury.

21 [Jury out at 2:32 p.m.]

22 THE MARSHAL: Jury is clear of the courtroom, Your Honor.

23 [Outside the presence of the jury]

24 THE COURT: Thank you. We're outside the presence of the
25 jury. I'm going to go ahead and put the objections on the record.

1 There was -- the first objection, I believe, was during the
2 cross-examination of Dr. Brill. There was an objection as to a question
3 that called for custom and practice.

4 Mr. Breedon, anything further on that?

5 MR. BREEDON: Well, yes. I think essentially, it just calls for
6 speculation because the witness indicated he did not have a personal
7 recollection. And I think that the information that was solicited would be
8 more prejudicial than probative regardless.

9 THE COURT: And on behalf of Defense?

10 MS. HALL: Briefly. Custom and practice is permissible. A
11 doctor is permitted to testify as to their documentation of custom and
12 practice without having a specific recollection.

13 THE COURT: And I sustain the objection under NRS 48.059.
14 They're allowed to testify to custom or routine or practice, either a
15 person or organization. Yes?

16 MR. BREEDON: Judge, I'm sorry. I just wanted to add. Of
17 course, I think that line of questioning also pertained to the risks and
18 complications, and I've made many objections on previous occasions on
19 that basis as well.

20 THE COURT: Okay. But as to the habit and routine and
21 practice, I think it was an appropriate question. I also think it was
22 consistent with the medical records, which indicated his shorthand and
23 what his general practice is, and the discussions that he had associated
24 with risks and complications. Which, again, you have a standing
25 objection to.

1 There was another objection. I think --

2 MS. ALBERTSON: Your Honor, I'm sorry. Did you say -- you
3 said you sustained the objection, but I thought you --

4 THE COURT: I meant overrule.

5 MS. ALBERTSON: -- overruled.

6 THE COURT: My apologies.

7 MS. ALBERTSON: Thank you for clarifying it.

8 THE COURT: I'm getting hungry and tired. Sorry.

9 MS. ALBERTSON: You overruled that objection, right?

10 That's how I'm noting it?

11 THE COURT: Yes.

12 MS. ALBERTSON: Thank you.

13 THE COURT: And then I think there were two others with the
14 same objection, specifically with regard to the practice of the DNC and
15 ablation procedure. Same objection. Anything further, Mr. Breeden?

16 MR. BREEDEN: Same arguments.

17 THE COURT: On behalf of Defense?

18 MS. HALL: Same arguments, Your Honor.

19 THE COURT: Okay. That was also overruled.

20 And I think it was -- just to be clear. The medical records that
21 were shown on the screen, I think it was also supported by the medical
22 records, his shorthand, and what he typically would describe as
23 risks/benefits associated with a surgery.

24 Then there was an objection to really just approach with
25 regard to the informed consent. Ms. Hall was lodging her objection

1 about not being able -- allowed to get into informed consent. And Mr.
2 Breeden was continuing his objection with regard to the educational
3 materials. So basically, I said my ruling stands. No informed consent,
4 but the educational materials were allowed in. Anything further on
5 behalf of either side?

6 MR. BREEDEN: On behalf of Plaintiff, I think that's a fair
7 summary. I think Ms. Hall was essentially making an offer of proof at
8 that time. At that point in the testimony, she would have asked about
9 the informed consent form had she been allowed.

10 MS. HALL: Exactly, Your Honor. I just wanted to renew my
11 request to be permitted to show the Defendant the educational materials
12 and forms that were signed, and make sure that I had done that for the
13 record.

14 THE COURT: Okay. And then I said that ruling stands on
15 both of those.

16 And then finally, there was an objection to a question with
17 regard to -- I think the question was basically about Dr. Brill's narrative
18 and with regard to talking to Ms. Taylor and her parents. And the
19 objection on behalf of Defense was?

20 MS. HALL: That it was argumentative. Is this the one about
21 the timing of his next surgery and --

22 THE COURT: Yes.

23 MS. HALL: -- that it was argumentative. And also, I thought
24 that it suggested that we should -- the Defense should somehow be
25 punished for a lack of evidence, meaning not knowing the time of that

1 second surgery, which was a completely different patient. And so that
2 was the basis.

3 THE COURT: And then on behalf of Plaintiff?

4 MR. BREEDEN: I can't access that patient's records to
5 cross-examine. There are clear records in the Defendant's possession
6 that would show when that surgery ended. I thought it was very fair
7 cross-examination, but you sustained the objection.

8 THE COURT: And I was more concerned with the
9 argumentative nature of the question. I mean, obviously, you can elicit
10 facts and then argue, but you were basically arguing in your question
11 and telling the jury that he's going against his own narrative, which I
12 don't think is appropriate. So I did sustain the objection.

13 And then as far our break, I'm going to give -- we've been
14 going since 8:30, so I'm going to give myself just a little bit extra time.
15 I'm going to ask that you and staff come back at 3:45. And if you guys
16 look over all the exhibits so we're ready to go tomorrow? Make sure
17 everything that's going back to the jury is appropriate, agreed upon,
18 redacted. And then I'll come in at 4 and we'll do jury instructions,
19 arguments. And if there's any issues with the exhibits, we'll cover that.

20 MS. HALL: Perfect.

21 MR. MCBRIDE: Sounds good.

22 THE COURT: Anything else?

23 MR. BREEDEN: Nothing further from Plaintiff at this time.

24 THE COURT: All right, guys.

25 MS. HALL: Nothing.

1 MR. MCBRIDE: Nothing, Your Honor.

2 THE COURT: Thank you.

3 [Recess from 2:37 p.m. to 3:56 p.m.]

4 THE COURT RECORDER: Back on the record.

5 THE COURT: Thank you. All right. Back on the record in A-
6 18773472-C, Taylor v. Brill. Counsel on both sides are present. We're
7 outside the presence of the jury and we're going to go over instructions.
8 You guys already resolved all the exhibit issues, correct?

9 MR. BREEDEN: Correct.

10 MS. HALL: Yes.

11 THE COURT: All righty. So I know you just submitted an
12 additional agree-upon instruction, and after we go through everything
13 I'm going to ask that you kind of figure out where every -- what --- in
14 what order you want everything to go and then we'll put them all on the
15 record.

16 MR. BREEDEN: Uh-huh.

17 THE COURT: So have your agreed-upon, and let's go
18 through Plaintiff's proposed, and starting with the risk or complication of
19 procedure. I said I was going to give you an instruction, you guys
20 couldn't agree upon a language, on behalf of Defense?

21 MS. HALL: Sorry. One second, Your Honor.

22 MR. BREEDEN: And you don't mind if we remain seated; do
23 you, Your Honor?

24 THE COURT: Huh-uh.

25 MS. HALL: Sorry, Adam. Let me just get here a sec. Sorry.

1 And I'm sorry, what was your question, Your Honor?

2 THE COURT: I had already said I wasn't going to give an
3 instruction like this, you could -- and then I had said if you guys could
4 agree on the language; you couldn't agree on the language?

5 MS. HALL: No. I think the one that I would suggest would be
6 consistent with what is the instruction from *Busick v. Trainor*, which is --
7 which -- I guess, let me back up. I don't believe there's been any
8 evidence in this case of consent. There's been no discussion of consent,
9 the consent forms haven't been discussed, and when we just -- when we
10 pretrial, we're having the conversation about giving that instruction,
11 *Busick vs. Trainor*, there was consent, and that was discussed in the trial,
12 and in order to prevent any confusion to the jury, the trial judge in that
13 case gave the instruction that says simply because a patient consents
14 does not mean they consent to a negligently performed surgery.

15 So if any instruction is given on that issue, I think it should be
16 consistent with what was given in that case, but I don't believe there's
17 been any evidence in this case of consent or consent forms.

18 THE COURT: Right. And I think he tailored it for, specifically
19 for a risk of complication, but I understand what you're saying, but I had
20 already previously ruled that obviously since I was allowing evidence of
21 risk or complications that I would give them an instruction, so unless you
22 want to talk about the language a little bit, I'm inclined to give it.

23 MS. HALL: Sure. Let me get it. Okay. Sorry. Bear with me,
24 Your Honor. Here it is. So in terms of what Plaintiff's offered, if in the
25 first paragraph, if that first sentence read: the mere fact that a provider

1 of healthcare considers an injury to be a -- to a patient to be a risk or a
2 non-complication, I don't think it should say injury. I think it should say:
3 the mere fact that a provider of healthcare -- let me see. I think it should
4 say: the mere fact that a patient experiences a known risk or
5 complication of a procedure does not mean the Defendant did not
6 breach the standard of care.

7 THE COURT: Mr. Breedon?

8 MR. BREEDON: Judge, all I would have to say about this
9 instruction is that I think every paragraph is accurate, I tried to write it in
10 laymen's plain English, and I don't think any changing to the -- change to
11 the wording is warranted.

12 THE COURT: Okay. Anything else, Ms. Hall, with regard to
13 the other ones?

14 MS. HALL: Line 7 should, in my opinion, come out, where it
15 says: a patient cannot consent to negligence of a physician, and that's
16 just because as I said, there's been no evidence of consent.

17 MR. BREEDON: Your Honor, an alternate way of phrasing
18 that would be that assumption of risk is not a defense in this case, and of
19 course, this comes from the *Busick v. Trainor* matter. Again, though, I
20 think that this is phrased in sort of plain English for the jurors, and I think
21 it's an accurate statement of the law, and I would remark, by the way,
22 Your Honor, that I think this instruction is probably the most important
23 instruction that the parties were unable to agree on, and so I think that
24 this is very important information for the jury, both of these points of
25 law, that there's, you know, there's no consent or assumption of risk

1 defense in this case.

2 THE COURT: All right. So since there was no evidence of
3 consent I'm going to take that portion out, but how did you want me to
4 phrase it with regard to assumption of the risk?

5 MR. BREEDEN: Well, an alternate way of stating that would
6 be that there is no assumption of risk defense in this case.

7 MR. MCBRIDE: I think he said, stated assumption of the risk
8 is not a defense.

9 MR. BREEDEN: That's more artfully stated.

10 MR. MCBRIDE: It's what you said originally.

11 THE COURT: All right. So negligence of the physician,
12 continue with this.

13 MR. BREEDEN: Assumption of risk is not a defense to
14 negligence of the physician.

15 THE COURT: Okay.

16 MR. BREEDEN: I think that would be accurate.

17 THE COURT: All right. So with that change I'm going to give
18 the rest as is, and I'll make that change since we have the Word version.

19 MR. BREEDEN: Your Honor, we propose that that be given
20 after agreed instruction 24, on the standard of skill and care.

21 THE COURT: I'm going to go ahead and put it in right now.

22 MS. HALL: Well, we -- I thought we were going to figure out
23 the order at the end because --

24 THE COURT: Of the what?

25 MR. MCBRIDE: The order.

1 MS. HALL: Order of the instructions.

2 THE COURT: Yeah, we are. I -- but he -- I --

3 MR. BREEDEN: Well, you --

4 THE COURT: -- I thought you just -- he just said you all
5 agreed.

6 MR. BREEDEN: Oh, no, no, no, I didn't mean that.

7 THE COURT: Oh, okay, then we'll -- yeah. No. Wait 'till the
8 end then --

9 MR. BREEDEN: Going to save for the order at the end?

10 THE COURT: -- and then I'll see if you guys agree once I
11 decide on which ones I'm giving, and then you can place them in order,
12 and then I'll put them on the record. All right. As to the second one, the
13 negligence, additional liability, Mr. Breedon?

14 MR. BREEDEN: Your Honor, this is a pattern instruction, so
15 it's obviously a correct statement of the law. I think that -- yes, and the
16 issue here is perhaps a fine one, but this addresses any possible
17 argument or confusion by the jury that the Defendant's malpractice has
18 to be the sole cause of any injury or damage. It doesn't have to be the
19 sole cause, it can be a cause, and then under particular medical
20 malpractice law, we know that then if there is more than one cause or
21 actor who has caused that harm, then it gets proportioned, but Dr. Brill is
22 still a proportion responsible for that. He does not have to be the sole
23 cause of the injury, and that's why I felt this instruction was particularly
24 warranted for this case.

25 THE COURT: All right. And Ms. Hall or Mr. McBride?

1 MS. HALL: So this one was briefed in the pretrial motions,
2 and this goes back to the *Pirooz* issue, and the 9 and in 8 pattern
3 instruction, that only applies, Your Honor, as an example, in a
4 circumstance where if a doctor -- and let's use this case as the example.

5 If there was an allegation that Dr. Hamilton negligently
6 performed the bowel resection, that is a surgery that was necessitated by
7 the alleged negligence. That is when this type of an instruction would
8 come into play. What they're attempting to do with this instruction is not
9 that at all. They're trying to say that Dr. Brill is responsible for the
10 alleged negligence of the PACU nurse who was acting independently of
11 Dr. Brill, and whose care and treatment was not necessitated because of
12 any alleged negligence, but more importantly is the issue which is
13 discussed in the Lindquist case that this instruction is based on, that if
14 the provider is acting independently of the alleged negligent original
15 provider, they are not responsible. That is, you know, joint and several
16 liability has specifically been abrogated in medical malpractice cases.

17 Here, not only the admission, this first visit to the ER was to
18 an entirely different hospital, it was an emergency room physician who
19 saw the patient, acting independently of Dr. Brill, never contacted an
20 OBGYN, including Dr. Brill, and the same issue for St. Rose Hospital.
21 This isn't a situation where anyone is accusing the surgeon who had to
22 do the repair because of the alleged negligence of committing
23 malpractice. That is when this instruction would apply.

24 MR. BREEDEN: Your Honor, just very quickly, I think the
25 distinction here is Dr. Brill is still liable, he is simply severally liable, and,

1 you know, that's why I want this in here, so the jury doesn't think that he
2 has to be solely or a hundred percent responsible for some measure of
3 damages. The jury can proportion that, and that's, of course, comes
4 from *Piroozi*, but that's what this instruction, I believe, is trying to advise
5 the jury.

6 THE COURT: Okay. I do think it's covered by the *Piroozi*
7 issue which you have proposed two alternates to. I think adding this one
8 would be confusing. I also think proximate cause is sufficient and
9 covered by the other instructions which we'll get to shortly. As to this
10 one, I'm not going to give this one. Next one, the proximate cause, and
11 both of you guys submitted it, so I'm going to address them at the same
12 time. Mr. Breeden, on behalf of your proximate cause definition?

13 MR. BREEDEN: Well, and I think what happened here is there
14 was a competing version --

15 THE COURT: Uh-huh.

16 MR. BREEDEN: -- from the Defense. Do you mind if I pull
17 that up so I can --

18 THE COURT: It's page 3.

19 MR. BREEDEN: -- explain to you what the different is?

20 THE COURT: Page 3.

21 MS. HALL: I thought we agreed with this one. I mean, I don't
22 -- it's about legal --

23 THE COURT RECORDER: I'm sorry, I can't hear you.

24 MS. HALL: I'm so sorry. I was just trying to chat with the
25 attorney about something I thought we agreed to.

1 MR. BREEDEN: Yeah, I -- this one, we may have been able to
2 agree upon, Judge. This -- oh, no, no, no. Yeah. So if you look at -- the
3 two competing versions here are Defendant's proposed on page 11, and
4 I'm sorry, they don't -- they didn't number theirs, but the reason why I
5 wanted this, this is a pattern instruction here on proximate cause, and
6 the difference between Plaintiff's proposed and Defendant's proposed is
7 Plaintiff's proposed has this pattern language in it, quote, "It need not be
8 the only cause, nor the last or nearest cause. It is sufficient if it concurs
9 with some other cause acting at the same time, which in combination
10 with it, causes the injury," end quote.

11 And that's what we're talking about here when we talk about
12 hey, you know, some of these damages might be jointly caused by, for
13 example Dr. Christianson and Dr. Brill, and I think that's important to
14 know, and this sort of gets back to that last instruct, as well, that we're
15 trying to make the jury understand, you do not have to find the damages
16 were 100 percent caused by Dr. Brill. They could be jointly caused with
17 other tortfeasors, if that's what the jury finds.

18 THE COURT: Okay. Ms. Hall?

19 MS. HALL: So the portion that is the issue is that this is a -- is
20 this the negligence instruction?

21 THE COURT: Yes.

22 MR. BREEDEN: It is.

23 THE COURT: The proximate cause definition.

24 MS. HALL: And I'm sorry, what page is this of -- on this set?

25 THE COURT: Apparently, I have different numbers from you

1 all, so I'm not --

2 MS. HALL: Yeah, I'm sorry.

3 THE COURT: -- I'm not going to say anything more, but --

4 MR. BREEDEN: This is Plaintiff 's --

5 THE COURT: -- it's titled "negligence proximate cause
6 definition."

7 MS. HALL: Found it. I'm so sorry about that. So this is a
8 general negligence instruction that Plaintiff has offered. This is not a
9 general negligence case. My issue is that this instruction is instructing
10 the jury that Dr. Brill is jointly liable, and 41(a) abrogates joint and
11 several liability in med-mal cases, so it would not be appropriate to give
12 that portion of the general negligence instruction when this is not a
13 general negligence case.

14 THE COURT: Anything else, Mr. Breeden?

15 MR. BREEDEN: Nothing further on this point, but clearly, we
16 need to give a proximate cause instruction to the jury.

17 THE COURT: Yeah, and I --

18 MS. HALL: And I did offer 4.04.

19 THE COURT: Right, and I think -- well, I'm looking. It seems
20 like that's the most recent pattern jury instruction with regard to
21 proximate cause, so I'm inclined to give the Defendant's cause one. All
22 right. On the next one, reasonable value of medical expenses, Mr.
23 Breeden?

24 MR. BREEDEN: Again, the citations are given to the Curtis
25 and the Quarry cases. This is accurate. Obviously, this instruction would

1 have had more relevance to this case had the hospital bills been
2 admitted. However, we did, if you recall, there was quite a bit of
3 testimony on this issue with Dr. Yeh's invoice, and then the jury did get
4 to hear that my client has health insurance, and so they might speculate
5 regarding the ambulance bill, as well, which is going to be presented.
6 Again, I think everything on this instruction is accurate and it is pertinent
7 to Plaintiff's case, so I would ask for this instruction to be given.

8 THE COURT: Okay. And before your argument, I think that
9 I've already ruled that it's a medical malpractice exception under 42.021,
10 so I'm fine with the first paragraph if you want to have that, but I don't
11 think we should be discussing the insurance, I really don't since I already
12 ruled on that previously.

13 MS. HALL: And I would agree to the first sentence, the mere
14 -- the Plaintiff is entitled to recover the usual, customary, and reasonable
15 value of medical expenses that you find to be causally related to the
16 accident. I agree, that would be appropriate. It's the remainder, and I
17 think it's directly contradicted by 42.021, which Your Honor, has already
18 ruled is applicable here since this is a med mal case. It comments
19 contrary to what the law is in a medical malpractice case, the remainder
20 of the -- of the proposed instruction.

21 THE COURT: So Mr. Breeden, do you want the first
22 paragraph or no?

23 MR. BREEDEN: Well, I would definitely want anything I can
24 get from this instruction. You know, certainly, let -- you know, let me
25 address this, and you know, I respect Ms. Hall, but Ms. Hall's

1 interpretation of that statute is incorrect. That statute says that evidence
2 of payments is admissible. It does not tell the jury what to do with those,
3 that evidence, and the payments, and it certainly doesn't comment on it,
4 what is usual, customary, and reasonable, and if we look at the *Orth v*
5 *Capanna* case, that is a case where this information came in of the
6 insurance payments, and the jury still awarded the full amount, the
7 charged amount, not the insurance reimbursed amount, okay, and the
8 Nevada Supreme Court found no fault in that.

9 So I want to be clear, the state of the law is not that the
10 reimbursement amount limits what my client can recover, but certainly
11 by introducing evidence of that amount, that is what -- that is the
12 impression that the Defense wants to give to the jury, and that is
13 incorrect under that statute, respectfully.

14 THE COURT: Anything else, Ms. Hall?

15 MS. HALL: Just that the only bill that's at issue, that's been
16 admitted in the case is the Henderson --

17 THE COURT: Ambulance?

18 MS. HALL: -- yes, and I don't recall there being any
19 discussion of write-downs with respect to the Henderson -- City of
20 Henderson Ambulance.

21 THE COURT: Is that your recollection, Mr. Breeden?

22 MR. BREEDEN: There was testimony as to Dr. Yeh's bill, as
23 well, and the amounts and the reasonableness. I don't have to actually
24 introduce the bill, I mean, the testimony is sufficient.

25 THE COURT: Right. But I mean, I think she just means there

1 was not -- there was not cross with regard to the ambulance, as to the
2 bill.

3 MR. BREEDEN: As to the ambulance bill, I agree.

4 THE COURT: Okay. Let me -- I'm going to reread that statute
5 and just to -- and then I'll read, reread *Orth and Capanna*, and then I'll
6 decide that, and we're going to come a little bit early tomorrow, so if we
7 have to insert one I'll reserve ruling on that. All right. As to damages,
8 uncertainty as to amount?

9 MR. BREEDEN: Well, Your Honor, I think this is an accurate
10 statement of the law that, you know, the jury does not have to be 100
11 percent convinced of all damages, and the language here is the not be
12 proved with the mathematical exactitude, you know, there just has to be
13 an evidentiary basis, not -- I think this is an accurate instruction for an
14 injury case.

15 THE COURT: Ms. Hall?

16 MS. HALL: I just don't think this applies here, and there is a
17 pattern instruction, and I'm blanking on the number, but it says -- I
18 thought it was already in here, a measure of damages, but --

19 THE COURT: I think there is one about damages in here, I
20 just -- I can't --

21 MR. BREEDEN: Agreed instruction 25 is a measure of
22 damages.

23 MS. HALL: So I guess, first, I don't think this contracts
24 instruction applies here, and I think it's already covered to the -- in an
25 appropriate way in an agreed-upon instruction. There wasn't, for

1 instance, an economist or specialist to interpret a contract in this case.

2 THE COURT: If you're worried about the mathematical
3 exactitude, is that the sentence that you most -- that you're most
4 concerned with, Mr. Breeden?

5 MR. BREEDEN: I would say yes.

6 THE COURT: All right. So I'm inclined to -- the one that's
7 already agreed upon, 25, add that sentence to the bottom of that, so
8 under 30 of the amount of damages need not be proved. Okay. And
9 we'll make that change, with mathematical exactitude, and the
10 continuation of that sentence.

11 MR. BREEDEN: Thank you, Your Honor.

12 THE COURT: Okay. And then number proposed 6, opinions
13 regarding other awards in cases must be set aside, Mr. Breeden.

14 MR. BREEDEN: Yeah. Your Honor, this is a non-standard
15 instruction. And all that I would offer anecdotally is, of course, you
16 know, my biggest concern as counsel for Plaintiff is that we will have a
17 juror, and either they have some opinion that, you know, awards are
18 outrageously high in this jurisdiction, so they should keep things low, or
19 on the alternate side of it, maybe some juror thinks that they had an
20 experience and awards are too low and they need to award higher.

21 This simply reminds the jury, look, you're supposed to base
22 your award decision in this case based on the evidence you've heard in
23 this case, not your opinion as to whether or not there's problems in this
24 jurisdiction of awards being too high or too low. And I think it's a fair
25 instruction.

1 THE COURT: Ms. Hall?

2 MS. HALL: I think it's very confusing. And there's been no
3 evidence presented in this case of awards in other cases. So I don't think
4 it's applicable in any way as to the evidence that came in during this
5 trial.

6 MR. BREEDEN: You know, we had the McDonald's coffee
7 case come up in voir dire, right? And I -- every juror in the country
8 probably knows of that case, right? And that, that's why this is in here.
9 You know, anecdotally, when you talk to these jurors after cases, you
10 know, they'll tell you, oh, yeah, my cousin, you know, he went in for a
11 case and he didn't get anything. You know, so I was thinking of that.
12 And that's what this instruction is designed to address.

13 THE COURT: Okay. I think it's sufficiently covered by other
14 instructions, especially because we repeatedly harp on that you must
15 base your verdict solely on evidence in this case. So I don't think it's
16 necessary. It's covered by other instructions.

17 As to the insurance collateral sources, you're not to discuss
18 or even consider whether or not Defendant was carrying insurance. Mr.
19 Breedon?

20 MR. BREEDEN: Well, this is a pattern instruction. It's been
21 around for decades. My experience is that this instruction is given in
22 every personal injury action, including medical malpractice actions. And
23 we discussed this at length in a motion in limine several weeks ago. And
24 frankly, this issue was definitively decided by the Nevada Supreme Court
25 in *Orth v. Capanna* where this instruction was given in another medical

1 malpractice case just like I've requested. And then, plaintiff's counsel
2 just made a very quick comment that, you know, look, if jurors are back
3 there and they're worried about, you know, where the money is coming
4 from, just please remind that juror that, you know, you're not supposed
5 to consider insurance or the source of the money in coming to your
6 ruling.

7 In this particular case, if you remember when we were doing
8 jury selection, Juror No. 2 actually started it, and he kind of said, you
9 know, I have a tough time making these big financial decisions. You
10 know, a big decision that might adversely affect the parties. And then a
11 couple of other jurors indicated they had the same concerns. And those
12 are the exact type of jurors that might get back there, you know, maybe
13 they would speculate this case is going to trial because Dr. Brill doesn't
14 have insurance. I don't know. All possibilities.

15 But certainly, this is a pattern instruction. There's not a
16 single deviation from the pattern instruction in here. And we already
17 have a Nevada Supreme Court case that's about four years old that
18 explicitly says, look, given this instruction in a med mal case, and
19 allowing plaintiff's attorney to comment on it, isn't here. So I think that
20 this should be given.

21 THE COURT: Okay. Well, I think that I addressed this already
22 in the motion in limine arguments. And I think since there's been no
23 evidence of Defendant's insurance, that precluded it and they said it
24 wasn't relevant that given the instruction would be confusing to the jury.
25 So I'm not going to give this one.

1 As to non-parties on verdict form, *Piroozi*, alternate 1, I did
2 look at your alternates. And I know that Defense has one on my page
3 seven. I'm inclined to give Plaintiff's Alternate 2 and then if you guys can
4 agree -- if not, we'll see. But the last paragraph of Defendant's proposed
5 page seven, which is basically the fault must equal to 100 percent at the
6 end of your, Mr. Breeden, your alternate two, *Piroozi*.

7 MR. BREEDEN: I'm just looking for the Defense one.

8 THE COURT: I don't know what page it's on. Sorry.

9 MS. HALL: Hold on, and I'll find it.

10 MR. BREEDEN: It's okay. I think it's on page 16 of what I
11 have.

12 THE COURT: "If you find more than one person at fault," is
13 how it starts.

14 MR. BREEDEN: "The fault of one person may be greater or
15 lesser than that of another," is that -- oh, I see. Yes. Yes.

16 THE COURT: The hundred percent portion. Yes.

17 MR. BREEDEN: Yeah. And so you're talking about on that
18 instruction, you will just give lines 7 through 9, the last paragraph of
19 that?

20 THE COURT: Correct, added onto your alternate 2.

21 MR. BREEDEN: I think that would be reasonable.

22 THE COURT: Ms. Hall?

23 MS. HALL: I'm sorry, Your Honor. I'm trying to find mine
24 yet.

25 MR. BREEDEN: Heather, would you like to take a look at this

1 one?

2 MS. HALL: Okay. Thank you.

3 MR. BREEDEN: I'd need it back, but --

4 MS. HALL: Yeah. So it would be -- that's fine. That's fine,
5 Your Honor.

6 THE COURT: All right.

7 MR. BREEDEN: Well Your Honor, I guess I should say by
8 agreeing to that instruction, I am not waiving other objections that I have
9 made that this is not an appropriate case to apply *Piroozi*.

10 THE COURT: Understood. All right. And finally, the
11 explanation of verdict reading. Generally, this is something that I say
12 orally. Any particular reason why you want it in an instruction?

13 MR. BREEDEN: Well Your Honor, I have not had every judge
14 explain this and it's a non-pattern instruction. Obviously, if you didn't
15 give this, then I wouldn't think it would be any kind of error. But I do
16 know that sometimes, jurors are a little confused and they think maybe
17 they're not supposed to speak to the attorneys afterward. And you
18 know, we always like to get feedback from jurors about what they
19 believed and why they believed certain things with liability and
20 damages. And it would be nice to have something formal like this read
21 to the jury. I'm not going to lose sleep over it if you don't read this
22 because it sounds like you say that you normally --

23 THE COURT: I do.

24 MR. BREEDEN: -- say something like this anyway. But right
25 here it is in black and white, and you know, if you'd care to read this,

1 then more power to you.

2 THE COURT: All right. I'm not going to give it because I
3 definitely talk to them about it after they give their verdict. And then I go
4 have a conversation with them, and then I allow parties to do the same if
5 they want to.

6 All right. As to Defendant's proposed -- the first one is -- I
7 don't know what page it is -- but with regard to the expert, it seems like
8 it's the alternate expert jury instruction that begins, "Within this case,
9 you've heard medical experts' express opinions." Ms. Hall?

10 MR. MCBRIDE: Actually, I'll address that, Your Honor. And
11 again, Your Honor, this is, I think, a standard jury instruction that we
12 provide and proffer in virtually every medical malpractice case. And it's
13 6.19, so I think it's just simply important to point this out relative to the
14 weighing the credibility of the experts and the opinion which they want
15 to give the appropriate weight to it. So I think it's a standard instruction
16 and we would submit it should be given.

17 THE COURT: Mr. Breeden?

18 MR. BREEDEN: Yeah. Your Honor, were you referring to the
19 instruction that begins, "A witness who has special knowledge, skill,
20 experience"?

21 THE COURT: No, that was the agreed upon. This is their
22 proposed. It starts with, "In this case, you have heard medical experts'
23 express opinions."

24 MR. BREEDEN: Yes. I simply felt that this instruction was
25 duplicative and repetitive of other instructions. Particularly proposed

1 instruction 7 and 12 of Plaintiff's proposed. And I'm sorry, I don't -- I
2 didn't write the note down to the agreed one. But there are several
3 instructions here that address medical experts, and I just didn't think that
4 this was necessary in this case.

5 THE COURT: All right. I think it's sufficiently covered by the
6 other expert and this needs to be the ultimate, so I'm not going to give
7 this one. As to the next one, NRS 41A.100, provide one method of
8 proving, on behalf of Defendant?

9 MR. MCBRIDE: I'm sorry, Your Honor. Let me get to that.
10 The one that says NRS 41A.100?

11 THE COURT: Yes.

12 MR. BREEDEN: I had this on page 12.

13 MR. MCBRIDE: Yeah. That's what I have as well. Again,
14 Your Honor, I think this is consistent with the new pattern -- or I mean
15 the new jury instructions. I think to the extent that we've -- the issue of
16 risks and benefits have been discussed. And also, your prior ruling
17 about the consent, as well, and that instruction given by the Defense. I
18 think this is something that needs to be added to make that make sense,
19 basically, to the jurors.

20 THE COURT: Mr. Breeden?

21 MR. BREEDEN: This instruction is not applicable to this case
22 because my client has not alleged a lack of informed consent. Therefore,
23 this instruction is highly misleading and will suggest to the jury that if
24 they find that my client has consented to the procedure, then they should
25 not make an award to my client.

1 And I believe this is tied in -- and I'm sorry if I have this
2 statutory citation wrong -- but I believe this is tied in or in the same
3 statute that talks about *res ipsa loquitur*. And you can't even use that
4 statute as a plaintiff if you retained an expert. And so it's just wholly not
5 applicable. Not only is this not applicable because it's not a lack of
6 informed consent case, but it is confusing to the jury for that reason.
7 You know, the jury is going to wonder, well, why are we hearing
8 these -- this instruction on, you know, when informed consent is
9 established? Consent must be a defense in this case. And that's why I
10 object to this.

11 THE COURT: All right. In light of the fact that I haven't
12 allowed information about informed consent come in, I'm not going to
13 give this instruction. I think it would be confusing to the jury. As to the
14 mere fact that a complication occurred to the patient involved in this
15 action is not sufficient of itself to predicate liability. On behalf of
16 Defense?

17 MR. MCBRIDE: Yeah. I think this is an accurate statement of
18 the law under the *Gunlock* [phonetic] case, Your Honor. I think it's,
19 again, another standard instruction that we've given in the past. And it's
20 been testified to by their own expert as well as other -- Dr. Brill as well as
21 Dr. McCarus, too.

22 THE COURT: Mr. Breeden?

23 MR. BREEDEN: Yeah. Your Honor, I don't think *Gunlock* is
24 actually the case most directly on point here. There are two other cases
25 from the Nevada Supreme Court. They are *D & D Tire v.*, I believe it's

1 pronounced [oo-lay] or *Ouellette*, as well as *Cook v. Sunrise Hospital*.
2 And in both of those cases, what happened was the defendant in a -- in
3 *Cook v. Sunrise Hospital*, it was a medical malpractice action. And in
4 both of those cases, the district court refused to give a mere happening
5 instruction. And the defendants appealed and said, you know, this is
6 error. We should have been entitled to this instruction.

7 And in both of those Nevada Supreme Court cases, Nevada
8 Supreme Court says no, it is not error to refuse to give this instruction.
9 So this has been litigated recently by the Nevada Supreme Court. And
10 you know, *Cook v. Sunrise Hospital* is a 2008 case. And again, the facts
11 of that are essentially identical to this case in terms of how the Defense
12 wants to use this mere happening instruction. And not only the district
13 court but the Nevada Supreme Court rejected this instruction in that
14 case. And I would ask you to do what the judges in that matter did and
15 not provide this.

16 I think it is confusing as well because it suggests that, you
17 know, that there hasn't been competent evidence presented in this case.
18 And I just oppose this instruction.

19 THE COURT: All right. I'm going to reserve ruling because I
20 want to read those cases that you just provided --

21 MR. BREEDEN: Would you like --

22 MS. HALL: And Your Honor --

23 MR. BREEDEN: Would you like the actual cite, Your Honor?

24 THE COURT: I already have it.

25 MR. BREEDEN: Okay.

1 MS. HALL: And I would just point out, in your review, when
2 you have an opportunity to review that, in the instruction that was given
3 and disapproved in *Cook* varies pretty significantly from what we
4 proposed.

5 THE COURT: Okay. All right. And the next on, a medical
6 provider in treating a patient is not an insurer of favorable results. On
7 behalf of the Defendant?

8 MR. MCBRIDE: Yes, Your Honor. This is a jury instruction
9 that's already been offered, again on the basis of a hindsight instruction.
10 It's something that Plaintiff's counsel -- excuse me -- that Plaintiff's
11 counsel actually has even questioned Dr. McCarus as well as I've
12 questioned Dr. Berke on this issue. And the fact that just to point out
13 that they're not -- a medical provider is not an insurer of favorable
14 results. And this also, "and the concept of negligence does not include
15 hindsight." And I think it's supported by the *McNabb v. Landis* case.

16 MR. BREEDEN: And Your Honor, from Plaintiff, the citation
17 that's given for this proposition, by the way, *McNabb v. Landis*, that's a
18 Georgia Court of Appeals case from 1996. I appreciate that perhaps
19 some judge in the state of Georgia gave a highly defense-oriented,
20 defense-friendly instruction. It should not be given in this case. I think
21 the particular risk here is that it suggests to the jury, you know, when it
22 says, "A medical provider is not an insurer of favorable results," we have
23 not alleged that any specific guarantees were given in this case. This
24 instruction suggests that if a specific guarantee wasn't given, then that
25 liability should not be found in this case.

1 And to my knowledge, this instruction has not been used in
2 other district court cases or the Nevada Supreme Court. Sounds like Mr.
3 McBride and Ms. Hall are trying to get this in their cases because this is
4 one of the most defense-oriented instructions I have ever seen. And I
5 would very vigorously oppose this instruction.

6 MR. MCBRIDE: And Your Honor, if I can offer, the last -- to
7 clarify Counsel's concern, it was offered and accepted in the last jury trial
8 that I had last week in this very same courthouse. So I think it is a jury
9 instruction that has been given routinely in cases where the issue of
10 hindsight is become a factor or an issue in the expert's opinions. So I
11 think it's appropriate jury instruction.

12 THE COURT: Okay. I mean, other than the hindsight, the
13 first paragraph seems to be sufficiently covered by the physician's
14 negligence agreed upon instruction as well as the standard of care
15 instruction, the duty owed to the patient.

16 MR. MCBRIDE: Again, the term hindsight, I think, Your
17 Honor, is more of a layperson, you know, colloquialism, basically, that
18 jurors are familiar with. And I think it's merely restating the paragraph in
19 a way that they can understand it.

20 MR. BREEDEN: One of the other problems I would have,
21 particularly with hindsight, Your Honor, is the retained experts can only
22 review what happened in this case with the benefit of hindsight to try to
23 figure out, you know, what was done and where the standard of care
24 was either met or breached. The word hindsight may have even come
25 up in Dr. Berke's testimony. And you know, I would hate for them to

1 play that and then all of a sudden, then they flash at this instruction and
2 say, negligent doesn't include hindsight. Dr. Berke can only rely on
3 what's happened before him. He's a retained expert.

4 MR. MCBRIDE: Well again, as Dr. McCarus has pointed out,
5 he enters into the situation -- everyone, including, actually, the jury,
6 based on the evidence that's been presented, has seen how hindsight
7 may enter into a situation because that's why we're here in court. But
8 the concept of negligence is -- does not include hindsight, I think it's an
9 important distinction to make. And I think that that's why, you know,
10 there is a point in, you know, explaining this concept to the jury. And it's
11 appropriate when the evidence raises any issue as to whether the claim
12 is premised on later acquired knowledge.

13 THE COURT: All right. Simply because I think it's sufficiently
14 covered by the instructions; I'm not going to give it. I think both parties
15 brought up hindsight and I think you can sufficiently argue it in closings
16 based on the evidence as presented. So I'm not going to give that one.

17 As to "if you find more than one person at fault for Plaintiff's
18 injury"?

19 MR. BREEDEN: We covered this one already, Your Honor.

20 THE COURT: Yeah. Yeah. That was the -- combined with
21 their number 9.

22 All right. On to the --

23 MS. HALL: That's it. Verdict.

24 THE COURT: The verdict form. So then those are the three
25 that I -- the three that you need to agree upon, or decide if you can, to

1 insert with the other instructions are the risks for complication, the
2 proximate cause one, and the *Piroozi*. And then I reserved ruling on 2.
3 And I'll give you my ruling tomorrow and we'll make that adjustment.
4 But I'll ask you if you have an order preference.

5 And then on to the verdict forms. Okay. So there was -- and
6 correct me if I'm wrong -- there was no indication of future pain and
7 suffering or future treatments, correct?

8 MR. BREEDEN: There were no future medical expenses and I
9 think we agreed to remove that. But there was future discomfort with
10 bowel movements and from the surgery itself. There was testimony
11 from both Dr. Berke and Ms. Taylor to that effect.

12 THE COURT: Okay. All right. So as to -- I mean, I'm going to
13 tell you what my inclination is and then obviously, I'll let you make a
14 record. In looking at both, I understand the Defense breaking everything
15 up. I just think it's a little bit overwhelming for the jury to break it up like
16 that. However, for Plaintiff's proposed question 5, if I am inclined to give
17 Plaintiff's version, I think that we should break up the Hutchins and
18 Henderson Hospital and Christensen and St. Rose. Is there a reason why
19 you didn't, Mr. Breedon?

20 MR. BREEDEN: Yes.

21 THE COURT: On page two.

22 MR. BREEDEN: Because the liability of those providers is
23 derivative or vicarious. In other words, the only theory of liability
24 against Henderson Hospital was that they are the employer of Bruce
25 Hutchins.

1 THE COURT: Thank you for that definition of vicarious
2 liability.

3 MR. BREEDEN: I'm sorry?

4 THE COURT: Thank you for that definition of vicarious
5 liability. I did -- I am aware of that. But I just, you know, I also think it's
6 confusing to the jurors to put together. So I just wanted to hear your
7 basis. So that's --

8 MR. BREEDEN: So the problem is that, you know, maybe the
9 jury goes down and they're just filling in 10. Okay. 10 percent here, 10
10 percent there, 10 percent here. So then they would have assigned, you
11 know, an additional 10 percent of liability to Henderson Hospital, when
12 their liability is just derivative of Nurse Hutchins. And then the same
13 issue with St. Rose. And by the way, the issue with St. Rose was even
14 perhaps a little more tenuous because I think the only theory against St.
15 Rose was a parent agency or agency by estoppel or ostensible agency,
16 whatever term you want to use, because Dr. Christensen was not even a
17 direct employee of St. Rose Hospital.

18 THE COURT: All right.

19 MR. BREEDEN: So --

20 THE COURT: And then as to your question 3. Are you
21 inclined to separate the past pain and suffering, emotional distress from
22 the future into a third part? Anything on that?

23 MR. BREEDEN: I would just prefer that those be one entry.
24 There's no reason to divide it between past and future.

25 THE COURT: On behalf of Defendant?

1 MS. HALL: I know that originally, the verdict form that we
2 had submitted did not break it down. And I know it's a lot on a verdict
3 form. But I'm worried that if we don't have a question that asks them did
4 there breach of -- was there a breach of the standard of care by this other
5 party or individual? Did that breach cause injury? I'm afraid that invites
6 error that we don't have that broken down. And a determination by the
7 jury that the breach of the standard of care, if any, from that other
8 individual caused injury or damage to the Plaintiff.

9 MR. MCBRIDE: If I may, Your Honor? It's also important,
10 relative to the *Piroozi* case, to make sure that we're consistent with that.
11 Because one of the issues in the *Piroozi* case is that if there was another
12 care provider, that misconduct caused the alleged negligence as support
13 by medical expert testimony. You still have to find the elements of that
14 claim of the breach, the duty, and so on.

15 So I think Ms. Hall is correct. The concern is that invites error
16 because it doesn't break that up. We have that testimony from the
17 Plaintiff's expert from Dr. Berke. And that's where I think -- if we need to,
18 we're going to remain consistent with the *Piroozi* decision. We need to
19 have it broken down that way. And as -- I understand that it is -- does
20 create a lengthier verdict form. But in order to follow the law accurately
21 and avoid any issues of error, I think we need to -- Defendants would
22 propose ours.

23 THE COURT: All right. Well, I mean, I don't necessarily think
24 Plaintiff's doesn't follow the *Piroozi*. I mean, we do have the breakdown;
25 it's just in one question versus broken up.

1 MR. MCBRIDE: Well, it doesn't discuss the standard --

2 THE COURT: The standard of care. Right.

3 MR. MCBRIDE: Standard of care. And that's -- again, I think
4 that the issues that --

5 THE COURT: It just has faults?

6 MR. MCBRIDE: Right.

7 THE COURT: Mr. Breeden?

8 MR. BREEDEN: Well, my biggest -- I don't know if there's
9 one point in particular you wanted me to speak to, but my biggest issue
10 with the Defense proposed is that they essentially lack something similar
11 to question number 4 on Plaintiff's proposed. Which is to say that look,
12 if the jury is going to apportion among different providers, they need to
13 tell us what dollar amount or what damages they are apportioning
14 because the testimony in this case is not that all the doctors created the
15 same damage.

16 The testimony is that there is only a little window of delay
17 time there, and that the delay did not actually cause the bowel resection
18 surgery. That that was going to be needed regardless of whether this
19 was found out in the first hour or 24 hours later, like it actually was. So
20 that was my biggest problem with the Defense proposal is that they
21 seem to want to get a number like 10 percent on there, and then come
22 and argue, well, you got to reduce this entire verdict by 10 percent. And
23 that would be incorrect under the law, in my opinion.

24 THE COURT: But don't they cover it in the allocation portion?

25 MR. BREEDEN: So what -- again, so let's say in the allocation

1 portion -- are you looking at Defense proposed?

2 THE COURT: Yes.

3 MR. BREEDEN: I have it on my phone here. So if you see in
4 part 3?

5 THE COURT: Right.

6 MR. BREEDEN: They have allocation of negligence.

7 THE COURT: Uh-huh.

8 MR. BREEDEN: But they do not discuss what portion of the
9 total damages is being allocated, and that's the problem. And, again, we
10 talked about this a little bit in the motion in limine. My frustration that
11 Piroozi is only very easy to apply if you have a case with the facts of
12 Piroozi, where that was a failure to diagnose, where three or four
13 different doctors had a chance to diagnose a very serious condition. And
14 as a result, I think there was serious brain injury in that case.

15 So you have one set of damage there that it was alleged all
16 the doctors were responsible for causing in different proportions. You
17 don't have that here. Now, you have to proportion, well, what damages
18 are you saying were severely caused? What proportion of that? And
19 then of that that you find more than one healthcare provider contributed
20 to, how would you apportion that liability? And that's what the problem
21 is and why it's so difficult to do a jury form in this case.

22 And I've tried to propose something that I thought was
23 reasonable and understanding to the jury, but. Again, my biggest
24 concern is you're going to get a juror and they mark down, let's say, 10
25 percent for Bruce Hutchins. That would not result in an off the -- across

1 the board 10-percent reduction in damages in the case.

2 You would have to have the jury somehow tell us, okay, you
3 said 10 percent for Bruce Hutchins. Ten percent of what? You couldn't
4 say 10 percent of the bowel resection surgery because the irrefuted
5 evidence is Nurse Hutchins didn't cause that. And I'm sorry I'm
6 struggling. I'm trying to explain my position in different ways because
7 it's a complex legal issue, and it's hard for me to express it clearly. But
8 those are my concerns.

9 THE COURT: Ms. Hall?

10 MS. HALL: So briefly. The question number 3 on Plaintiff's
11 proposed. That doesn't -- that asks the jury to decide a number for past
12 medical expenses for future and past pain and suffering. It doesn't say
13 what of that do you attribute to the bowel injury itself and what do you
14 attribute to the pain and suffering the Plaintiff experienced as a result of
15 the delay in diagnosing bowel -- and on and on and on.

16 That's something that certainly Mr. Breeden can argue in
17 closing argument. But in terms of being on the actual verdict form, I
18 don't think that that would be appropriate to say what do you apportion
19 to the bowel resection? What do you apportion to the period in the
20 PACU where she was in pain and that no doctor was called? What do
21 you apportion for her pain and suffering when she goes to the ER for the
22 first time and Dr. Christensen doesn't diagnose? That's something that
23 Counsel can bring up in closing argument.

24 But in terms of putting it on the verdict form and to have
25 question 3 and question 4, you're now asking -- I think that is going to

1 potentially result in confusion if there is a verdict in favor of Plaintiff
2 because you've got a section where they have to complete what is the
3 past medical expenses? What is this, what is that? And now, you're
4 saying if you find that the other providers are partly responsible, explain
5 the total amount of damages for which you think Dr. Brill and another
6 provider are jointly caused -- or another provider jointly caused.

7 Well, that's already up top in question 3. They've already
8 decided the amount of the damages. It's then their role to decide
9 allocation of fault, and then the Court will decide what that does to the
10 verdict, post-verdict.

11 THE COURT: All right. I mean, I agree. I don't want to have
12 them trying to do math either on the percentages because I think that
13 will be a mess. But let me -- I want to think about the standard -- the
14 brief and the standard of care as to breaking it up to all three.

15 MR. MCBRIDE: And can I -- if I could just offer, Your Honor?
16 This is a very -- we experienced this case, a situation in almost every
17 other case where there has been multiple defendants. And that has been
18 the same way since Piroozi five, seven years ago. And in fact, in the last
19 case -- again, I hate to bring up prior cases, but it's just something that
20 this is taken almost identically from the prior case.

21 It's not something we're just making up out of thin air. It's
22 based on standard and hashed out, agreed upon verdict forms with other
23 defendants and plaintiff's attorneys who do medical malpractice cases
24 and have dealt with the issues of *Piroozi*. This is a Piroozi case. I
25 understand Plaintiff's counsel is arguing that the fact -- he claims that the

1 facts are different, but this is *Piroozi*.

2 And this is exactly the type of verdict form that it is designed
3 to avoid any possible error. And so that's why the Defense would
4 submit that ours is appropriate, it's consistent with Piroozi, and it makes
5 it easier for the jury to consider without having to make it too
6 complicated.

7 THE COURT: Okay.

8 All right. So I'll let you guys know in the morning. Can you
9 quickly decide where you want these three to go so that we can get the
10 majority of them done aside from the ones I'm reserving ruling on?

11 MR. MCBRIDE: Sure.

12 MS. HALL: Yes.

13 MR. BREEDEN: I believe I took some notes, and I think it can
14 be accomplished pretty quickly. Did you want to do it while you were
15 here, Your Honor? Or you want me to --

16 THE COURT: Yeah.

17 MR. BREEDEN: So the risks are complication. I believe that
18 would be appropriate after agreed instruction number 24.

19 THE COURT: And on behalf of Defense?

20 MR. BREEDEN: Which is the instruction on standard of skill
21 and care in national.

22 MS. HALL: Sure, I don't have a problem with that.

23 THE COURT: All right. So we'll put that up through 24. And
24 then the proximate cause?

25 MR. BREEDEN: I think that should be given right after the

1 risks and complications.

2 MS. HALL: I think that would be more appropriate to put it
3 after the definition of --

4 THE COURT: Negligence?

5 MR. MCBRIDE: Yes.

6 MS. HALL: Yes.

7 THE COURT: Where is that at?

8 MS. HALL: Let me find it.

9 THE COURT: Number 19 or 20? Or even 21 because it goes
10 on --

11 MS. HALL: I think it should go maybe after Plaintiff's burden
12 of proof.

13 THE COURT: Okay.

14 MS. HALL: The Plaintiff has the burden to prove by a
15 preponderance of the evidence.

16 THE COURT: After 21.

17 Mr. Breedon?

18 MR. BREEDEN: That would be acceptable.

19 THE COURT: All right. And then the non -- the *Piroozi* one.

20 MR. BREEDEN: I think that should go after agreed instruction
21 26 on pain and suffering, no definite standard. Right before the closing
22 instruction.

23 MS. HALL: I think it might fit better if we put it
24 somewhere -- I mean, we're talking about the definition of negligence. I
25 think it would be more appropriate to put it maybe after the definition of

1 standard of care, which is before measure of damages.

2 MR. BREEDEN: So the Defense wants to stick that in there by
3 the risks and complications instruction? I wouldn't have an objection to
4 that.

5 MS. HALL: No, there's the standard of skill and care, and that
6 it's a national standard. I think it would go well after that one.

7 MR. MCBRIDE: Before measure of damages.

8 THE COURT: Before risk. We inserted risk there.

9 MS. HALL: Yes, I'm sorry. Then before risk.

10 MR. BREEDEN: That's fine, Your Honor.

11 THE COURT: Mr. Breeden? Okay.

12 All right. So I'm going to -- you know what? Since I'm
13 reserving ruling on two, we'll get here at 10 tomorrow. I told them 10:30.
14 And I'll add the other two and then I'll read them on the record just so I
15 don't want to read them now and then have an issue with ordering
16 tomorrow.

17 MR. MCBRIDE: Yeah, that's smart. Yeah.

18 THE COURT: So we'll do that. And then I'll go over the
19 verdict form as well. And I'm going to ask that you -- the ones that
20 haven't been submitted, I know you technically submitted them. But
21 what I request, and I don't know if you guys do this. We do it in criminal.
22 Where you -- so you have a pleading sheet that says Defendant's
23 proposed but not given, Plaintiff's proposed but not given to submit as a
24 court exhibit for the appellate record.

25 MS. HALL: Okay.

1 THE COURT: So I'm going to ask the ones that I did not give
2 that you do that for tomorrow.

3 MR. BREEDEN: You want me to just file and serve my own
4 or you want a different exhibit given to you?

5 THE COURT: Just file it with the Court.

6 MR. BREEDEN: Yeah, okay. That's how I would handle it.

7 THE COURT: Yeah, okay. And then anything else outside the
8 presence?

9 MS. HALL: No.

10 MR. BREEDEN: Nothing further from Plaintiff.

11 THE COURT: Oh, one more. The agreed upon you sent.
12 "Although you are to consider only evidence in this case reaching a
13 verdict", where do you want to put that?

14 MS. HALL: You want to put that one after the sympathy or
15 before the sympathy one?

16 MR. BREEDEN: I'm sorry. Which one are you discussing?

17 THE COURT: The one that you guys just submitted today.
18 "Although you are to consider only the evidence in this case in reaching
19 a verdict, you must bring to the consideration of evidence".

20 MR. BREEDEN: That will be fine.

21 THE COURT: Put it where?

22 MR. BREEDEN: After the --

23 MS. HALL: What was the title, Adam? Do you recall?

24 MR. BREEDEN: I'm sorry, I don't.

25 MS. HALL: Hang on one second, Your Honor. Sorry, I don't

1 remember.

2 MR. BREEDEN: Maybe after agreed instruction 7, which talks
3 about evidence, statements, lawyers, and rulings?

4 MS. HALL: Sure. I'm fine with that.

5 THE COURT: After 7. Okay. And then one more thing. The
6 discussion of trial and media coverage. You seem to -- I'm supposed to
7 give the *Bowman* instruction, which is essentially my admonishment
8 that I give them every time we take a recess. So I have to put that in an
9 instruction. This is kind of includes some of it, but not all of it.

10 So I just wanted to know what do you want to do with your
11 number 2? I mean, mine's literally the don't communicate anyone
12 anywhere regarding any merits of the case; reach, watch or listen to any
13 news or media accounts. So it kind of has some of number 2, but not
14 exactly how I'm supposed to give it.

15 MS. HALL: I think this was the one that I had -- in our
16 discussion yesterday, I had said I don't really think it's needed, but I don't
17 have a problem giving it.

18 MR. BREEDEN: I don't -- Your Honor, I'll leave that up to
19 your preference. You can remove that one, if you like, if you think it's
20 already covered by what you would already ready. Just as long as the
21 jury is reminded of that.

22 THE COURT: And then secondly, actually 14 covers part of it,
23 too. The independent investigation. So are you okay with me removing
24 2 and 14 and replacing it with the *Bowman* instruction as advised by the
25 Nevada Supreme Court?

1 MR. BREEDEN: I would be comfortable with that.

2 MS. HALL: Same.

3 THE COURT: All right. Anything else?

4 MR. BREEDEN: Well, yeah.

5 MR. MCBRIDE: I don't believe so, Your Honor.

6 MR. BREEDEN: Your Honor, I think you said you were going
7 to check and see if the actual Symphon device can go back into the jury
8 room?

9 THE COURT: Oh, yeah. So with respect to that -- and correct
10 if I'm wrong. That is -- there was testimony that that's not the same
11 measurements. It's a different device, right? Like, that was simply
12 demonstrative; is that correct?

13 MR. BREEDEN: It is the same -- not the one that was actually
14 used on Ms. Taylor.

15 THE COURT: Well, of course not, obviously.

16 MR. BREEDEN: But it's another model of the same device.

17 THE COURT: But I thought there was testimony that that was
18 a different size, and --

19 MR. MCBRIDE: It's different than the hysteroscope, which is
20 what Dr. Brill brought. That mechanism where the Symphon slips
21 inside. That's where it might be confusing to the jury because that's
22 something that had to go back to the hospital. So that's not going to
23 be --

24 THE COURT: Right. So it's not -- my question is it's not the
25 actual --

1 MS. HALL: It's the actual Symphion used on Ms. Taylor
2 during surgery.

3 MR. MCBRIDE: Right.

4 MS. HALL: So --

5 THE COURT: I know that. But it's not even an exact replica
6 of the actual tool that was used?

7 MS. HALL: Correct.

8 THE COURT: It's just demonstrative purposes for that
9 portion.

10 MR. BREEDEN: Oh. I believe it to be the actual tool that's
11 used.

12 THE COURT: Missing a part.

13 MR. MCBRIDE: The hysteroscope that it goes into.

14 MR. BREEDEN: Well, yeah. The hysteroscope is the camera
15 portion of it that that part goes through, but.

16 THE COURT: Right. Okay, so. I mean, this is -- I'm not
17 inclined to send that back. It's demonstrative evidence. You can
18 absolutely use it in closing. But because I don't -- if it's not the exact that
19 everyone's been testifying to, which they break it down between the
20 different parts, then I don't see a purpose for it going back. I do think
21 there's a lack of foundation there.

22 MR. BREEDEN: And so I'm sorry. You're saying if I brought
23 in a hysteroscope and the whole Symphion system, which is like on a
24 cart and everything, that you would consider that?

25 THE COURT: I mean, quite frankly, I don't think

1 demonstrative evidence should go back, period.

2 MS. HALL: Nor do I.

3 MR. MCBRIDE: And I would agree with that as well, Your
4 Honor. I don't --

5 THE COURT: I think admitted evidence is really the only
6 thing that would go back. And that's what goes back in criminal cases.
7 Like if there was a gun, it would be the gun that was used.

8 MR. MCBRIDE: Yeah, yeah. And I could --

9 THE COURT: Or you know, anything else. The money, the
10 drugs. It's not demonstrative evidence. You can absolutely use it during
11 closing. But when I was thinking about it and going back to the
12 testimony I recall, I thought someone even said it was a different size, so.

13 MR. MCBRIDE: I think the other issue is we can't with any
14 certainty because it -- you know, to determine whether or not this was
15 the same Symphion device in use in 2017 at the time of her surgery.
16 And that's another -- I think that's another issue altogether, but. I agree
17 without having those two devices together.

18 First of all, I don't think it should be allowed anyway because
19 it's not -- it's just demonstrative. But I think without -- it would be like
20 offering up a gun with half the parts to, without the actual chamber or
21 whatever that you would use -- you would have the bullets come
22 through. So it would be different than -- there's just no reason to offer it
23 without the actual hysteroscope.

24 MR. BREEDEN: Your Honor, I think what you're thinking of
25 when you say something is a different is you're thinking of the curette

1 that Ms. Hall showed. And she showed a number 4 curette, and a
2 number 2 was actually used during the procedure. I'm not talking about
3 introducing the curette or allowing the jury to see and handle that up
4 close. I'm talking about the resectoscope device.

5 THE COURT: I understand. And I still think it's
6 demonstrative. You can use it in closing, but I'm not inclined for it to go
7 back to the jury.

8 MR. MCBRIDE: Thank you, Your Honor.

9 THE COURT: Anything else?

10 MR. BREEDEN: 10 a.m. tomorrow?


11 THE COURT: 10 a.m., and then they'll be here at 10:30. And
12 hopefully, we'll have a few minutes to have a break before we start.

13 MS. HALL: Thank you.

14 THE COURT: Thank you. Have a good evening.

15 [Proceedings adjourned at 5:03 p.m.]
16
17
18
19

20 ATTEST: I do hereby certify that I have truly and correctly transcribed the
21 audio-visual recording of the proceeding in the above entitled case to the
22 best of my ability.

23 

24 Maukele Transcribers, LLC

25 Jessica B. Cahill, Transcriber, CER/CET-708