

1 issue about money at different times, and I wanted to ask you  
2 this. Based on your hearing and conversations directly with  
3 Dr. Desai, did you ever get the impression from him, either  
4 directly or just by his actions, that money was an issue to  
5 him?

6 A A big issue.

7 Q Did he ever say that?

8 A Yes.

9 Q What would he say?

10 A Well, I think he knew exactly what every item  
11 in the facility costs, and if somebody had to use one over or  
12 something like that, you were yelled at and told, you know,  
13 what that item costs and how much you were wasting and that  
14 type of thing.

15 Q Now, you mentioned propofol. You've don't  
16 anesthesia for 30 some odd years; correct?

17 A Correct.

18 Q These items here like this angiocath, you know  
19 the relative values of those various items, I assume?

20 A Yes.

21 Q And whether they're expensive or not  
22 expensive; correct?

23 A Yes.

24 Q Propofol in the mix, was that one of the more  
25 expensive or least expensive items in the mix?

1           A       It would have been the most expensive item  
2 that we used as far as an anesthetic agent.

3           Q       Now, you said that Dr. Desai was really  
4 fixated on propofol at one point.

5           A       Yes, very much.

6           Q       Can you tell us about that?

7           A       I don't remember the year again, but it was --  
8 came up when they felt -- or he felt that we using too much  
9 propofol, and that instead of injecting -- if we needed to  
10 give more propofol, we should just inject some saline to flush  
11 the -- the catheter, and what little bit would be in there  
12 would go into the patient.

13          Q       So if I understand you correctly, and I'm  
14 showing, again, Exhibit 72B, that little tiny needle or -- or  
15 sheath over the needle would contain some propofol --

16          A       Yes.

17          Q       -- at the end --

18          A       Yes.

19          Q       -- when you injected it?

20          A       Yes.

21          Q       Instead of you giving more propofol to a  
22 patient, he instructed you to actually flush that out with  
23 saline?

24          A       Yes.

25          Q       He specifically said that?

1 A Yes.

2 Q With regard to propofol again, did you -- were  
3 you ever in a room with Dr. Desai when a patient was starting  
4 to wake up, move around during the procedure?

5 A Yes.

6 Q In normal situations that you had been in,  
7 what would you do in that instance?

8 A Give the patient more anesthetic, more  
9 propofol.

10 Q Would Desai allow you to do that?

11 A Most times, no, he would not.

12 Q So the patient is actually now writhing around  
13 or moving around on the table and you feel it's appropriate to  
14 give more anesthesia, and he won't let you do it?

15 A Yes.

16 Q What about the other end of the operation,  
17 before the patients -- and I say operation. It wasn't -- it  
18 was a colonoscopy or an upper endoscopy; correct?

19 A Correct.

20 Q Before the patients are anesthetized, you're  
21 back there you're either doing your form or you're getting  
22 ready to do it, are you with me?

23 A Yes.

24 Q You have not given anesthesia. Did Desai ever  
25 start procedures before a patient had anesthesia onboard?

1           A     Yes, he did.

2           Q     How did the patients react to that?

3           A     Look at us for help.

4           Q     And he would just continue?

5           A     Continue and we'd try and give the anesthetic

6 as fast as we could.

7           Q     In those instances, is the patient moving

8 around when you're trying to give the anesthetic?

9           A     Yes.

10          Q     Was it difficult for you to get the needle

11 into the port on this -- and I'm showing 70I again, the port

12 on this little hep-lock thing?

13          A     Well, at times it would be, yes, because

14 they'd be moving their arms and they'd be moving their body.

15 It was -- you know, they were moving around on the gurney.

16          Q     Did you ever stick yourself with a needle in

17 those instances or some instances like that when it was

18 occurring?

19          A     I have been stuck three or four times, yes,

20 over that.

21          Q     Because of that very thing?

22          A     Yes, moving around.

23          Q     When -- is this something that he wasn't aware

24 that the patient was awake still?

25          A     He was very much aware.



1                   Q     Did you tell him that I haven't given  
2 anesthesia yet?

3                   A     That's right.

4                   Q     What would he do?

5                   A     Continue on. Just give it.

6                   Q     He would just tell you to give it?

7                   A     Yes.

8                   Q     And he would proceed?

9                   A     Yes.

10                  Q     Did he ever stop and wait until you had done  
11 that and then proceed?

12                  A     Not that I recall.

13                  Q     Now, the frequency of this happening, is this  
14 a one-time occurrence, or did this happen on a more frequent  
15 basis?

16                  A     It was not a one-time occurrence, and it  
17 didn't happen every time, but it happened quite frequently.

18                  Q     In a typical week, would it happen more than  
19 once?

20                  A     Yes.

21                  Q     Roughly in a typical week, how often would it  
22 occur when you were there?

23                  A     I'm just guessing. I would say maybe 10.

24                  Q     So a number of times during a week?

25                  A     Oh, yes. Many.

1           Q     Now, the other end of the procedure, not --  
2 and literally the other end, when the -- when the patient is  
3 about done with this procedure or near the end or at least  
4 he's moving around and Desai isn't done -- are you with me?

5           A     Yes.

6           Q     Did that happen? I'm talking about where you  
7 wanted to give more anesthesia and he ordered you not to do  
8 it?

9           A     Yes.

10          Q     In those instances, did that happen on a  
11 frequent, infrequent basis? What was the frequency?

12          A     It was quite frequent.

13          Q     So let's talk about the end of the procedure  
14 for a moment. And I'm not talking about a situation in which  
15 you need to give more anesthesia or whatever. Let's just  
16 assume for the moment that this is one where the patient is  
17 still under. Did you observe the scopes coming out of the  
18 patients, meaning out of their bottoms?

19          A     Yes.

20          Q     When the scopes came out of the bottoms, did  
21 Desai take the scope out differently than the other doctors  
22 did?

23          A     At times, yes.

24          Q     And what do you mean by that?

25          A     Well, once in awhile we would get a patient

1 that, you know, wasn't doing that well and might have a slow  
2 pulse or bradycardia or something. He would whip it out just  
3 in a bit hurry.

4 Q So he would just yank the scope out?

5 A Yes.

6 Q When you yank the scope out of somebody, what  
7 would happen?

8 A Whatever was in there was flying around the  
9 room and on my and everyone else that was in the room.

10 Q So you actually got fecal material on you from  
11 him pulling and yanking a scope out of a person?

12 A Many times.

13 Q Did ever hit any other place in the room  
14 beside the people?

15 A Yeah, on the walls. I've seen it on the  
16 ceiling, floor.

17 Q Now, remember we talked awhile ago about the  
18 turnover time between patients?

19 A Yes.

20 Q You said it was really quick, between 30 --  
21 well, less than a minute, I don't think you gave me seconds,  
22 but less than a minute up to just a few minutes.

23 A Correct.

24 Q In the instances where that kind of thing  
25 happened, was there enough time for somebody to come in and

1 clean the room?

2 A No.

3 Q Did you ever see anybody come in and clean the  
4 room during that time?

5 A No.

6 Q Let's talk about reuse of -- of -- you know,  
7 people wore gowns there. I'm talking about the coverings or  
8 the aprons or whatever it was --

9 A Right.

10 Q -- is that correct? Would Dr. Desai in your  
11 presence ever limit or give people a hard time about using too  
12 many of those?

13 A Yes. I didn't wear one. The doctors wore  
14 them and so did the techs. And they were a lot of times, you  
15 know, talked about it and said not to use that many and were  
16 allowed to have one sometimes for a whole week.

17 Q Now, the gowns are to protect -- to keep this  
18 stuff, this fecal material from getting on the person and the  
19 like; is that right?

20 A Correct.

21 Q Did -- when he wouldn't let them change the  
22 gowns or the -- or the -- or whatever, were they clean or was  
23 there any problem with that?

24 A They were dirty a lot of times.

25 Q When you say dirty, we're talking about fecal

1 material?

2 A Yes.

3 Q So they've got gowns with fecal material on  
4 them that they want to change and he won't let them do it, is  
5 that fair?

6 A That's correct.

7 Q Do you know what a sharps container is?

8 A Yes.

9 Q Did you have those in your rooms?

10 A We had one or two in each room. Uh-huh.

11 Q What are the -- what's the purpose of a sharps  
12 container?

13 A That's where the disposable items would go,  
14 especially the needles, syringes, that type of thing.

15 Q And I've just displayed again for the record,  
16 72B, the angiocath that you described. The angiocath itself,  
17 is that considered as sharp?

18 A The metal portion coming out of it is, yes.

19 Q So after that would be used, where would that  
20 needle go?

21 A Into the sharp.

22 Q And what else would go into the sharps  
23 container?

24 A The portion, the plastic portion, or the outer  
25 shell, would go into the waste basket. But the propofol

1 bottle a lot of times or syringes that we had used with  
2 needles on them and that would all go into the sharps bottle  
3 -- or container. Sorry.

4 Q When Desai came into a room with you or if you  
5 saw this with somebody else, let's talk about you, first of  
6 all. Okay? When Desai came into a room, did he ever go over  
7 to the sharps container and look around inside the sharps  
8 container?

9 A Yes.

10 Q Do you know why he did that?

11 A Looking to see if we had thrown anything away  
12 that we shouldn't have.

13 Q You mean like what?

14 A Excessive propofol or something like that.

15 Q So if you had -- if you had discarded a  
16 partial bottle of propofol and he saw it in there, what would  
17 happen?

18 A I probably would have gotten fired.

19 Q Did he ever yell at you for doing that kind of  
20 thing?

21 A Yes.

22 Q Did you employ some mechanism when you  
23 actually wasted -- I mean, you didn't want to use the propofol  
24 on another patient -- so that he didn't see that?

25 A Yes, I usually tried to squirt it into a

1 wastebasket, just the liquid itself, you know, so that he  
2 wouldn't see that it was being wasted.

3 Q Did he ever watch what was in your syringes or  
4 in the bottles? Did he pay attention to what was going on  
5 over there with the propofol?

6 A All the time.

7 Q Now, did he ever yell at you about syringes  
8 that may have had propofol left in them that he saw in the  
9 sharps containers?

10 A Yes.

11 Q When he yelled at you what did he say?

12 A Look at how much you've wasted, what is that?  
13 He probably told me how much it costs.

14 Q Did he ever instruct you to use syringes with  
15 propofol remaining in them on another patient?

16 A No, I never heard that.

17 Q He never asked you to do that?

18 A No.

19 Q What about the bottles of propofol? If you  
20 hadn't used a whole bottle of propofol on one patient, did he  
21 ever tell you to save that and use it on the next patient?

22 A That was just common practice. That's the way  
23 it was done.

24 Q Okay. Everybody did it that way?

25 A That's what we were instructed to do, yes.

1 Q Instructed by whom?

2 A Dr. Desai.

3 Q When -- related to that -- that whole thing  
4 with the propofol, though, I want to get back to if you have  
5 ever observed others in the same situation that you were in  
6 when Dr. Desai came in and either rattled around the sharps  
7 container or reprimanded somebody because they were wasting  
8 propofol or the like, did you ever hear or see any of that?

9 A Yes.

10 Q In those instances, what would -- what would  
11 happen? What would he do?

12 A He would rant and rave about wasting --  
13 wasting material, wasting propofol, and probably tell you how  
14 much it cost.

15 Q Now, sir, I want to ask you something about  
16 the syringes and all that stuff. Would you ever use a syringe  
17 that you had used on one patient on another patient?

18 A Never.

19 Q To your knowledge did you ever do that?

20 A No.

21 Q Same question, but now something else with  
22 bottles themselves. Did you ever have bottles of propofol  
23 that you had used on one patient that you then used on another  
24 patient?

25 A Yes.



1 Q And that was under the direction of Dr. Desai,  
2 if I think I understand you?

3 A Yes.

4 Q Now, third scenario, did you ever have a  
5 bottle of propofol that you had to reenter on the same  
6 patient? And what I mean by that, let me set it up so you  
7 understand what I'm talking about. You have a -- let's start  
8 off with a brand new bottle of propofol and you have a  
9 patient. You open the bottle of propofol, you draw out the  
10 medication, and you take that syringe needle combination and  
11 you go into the hep-lock and you administer medication to the  
12 patient. Are you following me so far?

13 A Yes.

14 Q You've done that, clearly.

15 A Yes.

16 Q Now, in that situation, if you needed to  
17 re-dose the patient with some additional propofol and you  
18 didn't have any remaining in your syringe that you had used,  
19 did you ever take that syringe and go back into the bottle of  
20 propofol?

21 A We would take the needle off and put on a new  
22 needles, and then reenter the -- with the same syringe into  
23 the bottle and draw up again, yes.

24 Q Was that common practice?

25 A That was common practice.

1 Q Were you instructed to do that?

2 A Yes.

3 Q By whom?

4 A Dr. Desai.

5 Q You've been doing this for 33 years before you  
6 came to Las Vegas?

7 A Yes.

8 Q Are you aware that there is at least a risk of  
9 potential contamination even changing out the needle in that  
10 situation?

11 A Yes, there is.

12 Q Did you ever express your concerns about doing  
13 this to Dr. Desai?

14 A Yes.

15 Q What was his response?

16 A It's to save money, just go ahead and do it.

17 Q So he instructed you to do it even though you  
18 made him aware of the risk?

19 A Yes.

20 MR. STAUDAHER: Court's indulgence, Your Honor.

21 THE COURT: That's fine.

22 BY MR. STAUDAHER:

23 Q Oh. Related -- I asked you some questions  
24 about putting in the IV and sometimes he would push you out of  
25 the way and leave blood dripping out or whatever. Do you

1 remember that?

2 A Yes.

3 Q That's a situation where he would kind of  
4 intervene in your area, is that fair?

5 A Correct.

6 Q Now, beside the other issues of him telling  
7 you what to do, did he ever at any point become frustrated  
8 because you weren't moving fast enough?

9 A Most of the time, yes.

10 Q In those situations where he became impatient  
11 or frustrated because you weren't moving fast enough and you  
12 had not given the anesthetic yet, do you recall any situations  
13 where he just came around, grabbed the anesthetic, and just  
14 pushed it in himself?

15 A Yes.

16 Q Did that happen more than a few times?

17 A When it happened frequently -- I mean, I  
18 shouldn't say frequently, but it has happened, I don't know, I  
19 can't tell you how many times.

20 Q But this is -- not just once or twice?

21 A No.

22 Q If you could describe for me what you felt the  
23 -- I mean, did you feel -- let me ask it a different way. I'm  
24 sorry. Withdrawn. The atmosphere within the clinic --

25 A Horrible. Everybody was under such pressure.

1 And when he would come around it was just like trying to walk  
2 on an eggshell because everybody was so cautious of what you  
3 were trying to do and being -- and doing the best that you  
4 could do. It was just a totally different atmosphere with him  
5 there or one of the other physicians.

6 Q So the staff was affected differently by who  
7 was there?

8 A Absolutely.

9 Q Again, I'm going to show you a series of  
10 documents, just portions of them, and again the highlighted  
11 portions were done by me.

12 A Okay.

13 Q They will not be on the original document that  
14 go back to the jury. 82. Sorry, it's not that very easy to  
15 read. I'll represent to you that these are items that were  
16 recovered when the police did the search warrants that were  
17 records of the clinic. Okay?

18 A Okay.

19 Q This document is entitled anesthesia, pain  
20 management services, and compensation schedule. Do you see  
21 that?

22 A Yes.

23 Q On this, and I know it's hard, can you read  
24 that at all, sir, or not?

25 A Yes, I can read it.

1           Q     Okay. And down here, this talks about how  
2 anesthesia is billed at the clinic; correct?

3           A     Correct.

4           Q     That --

5           MR. STAUDAHER: And is it okay if I read this, Your  
6 Honor, because it's so small, to the jury?

7           MR. WRIGHT: Can we approach, again?

8           THE COURT: I'm sorry. Sure.

9                     (Off-record bench conference.)

10          MR. STAUDAHER: May I proceed, Your Honor?

11          THE COURT: Please.

12          BY MR. STAUDAHER:

13          Q     First of all, have you ever seen this document  
14 before yourself to the best of your knowledge?

15          THE COURT: Do you need to look at the whole  
16 document?

17          THE WITNESS: Yes, I'd like to see the top of it.

18          THE COURT: Why don't you show him the whole  
19 document.

20          BY MR. STAUDAHER:

21          Q     This is 82, State's 82, just so it's on the  
22 record what we're looking at. Just flip through it and take  
23 as much time as you need. Just let me know when you're done.

24          A     I don't recall it, no.

25          Q     This does talk about, at least this document

1 talks about anesthesia billing; correct?

2 A Yes. Correct.

3 Q I'm going to read this to you and I want you  
4 to tell me if this is what the practice is -- if this practice  
5 is what you followed at the clinic. Okay?

6 A Okay.

7 MR. SANTACROCE: Can I just know what exhibit that  
8 is?

9 MR. STAUDAHER: This is Exhibit 82. It's Bates  
10 Number page 12 -- excuse me, 12101.

11 BY MR. STAUDAHER:

12 Q Let's read the highlighted portion that I  
13 highlighted. The base unit value and the sum of base units  
14 for the surgical procedure performed, time units and modifying  
15 units where appropriate. The source of anesthesia base units  
16 is primarily the American Association of Anesthesiologist,  
17 ASA, relative value guide.

18 THE COURT: It's says society.

19 MR. STAUDAHER: Excuse me. Society. I'm sorry.

20 BY MR. STAUDAHER:

21 Q Did you use that in your practice, that value  
22 guide when you were practicing?

23 A Yes.

24 Q Okay. And a thing called crosswalk, are you  
25 familiar with that?

1 A No, I'm not.

2 Q For all anesthesia services, anesthesia time  
3 begins when the anesthesiologist begins to prepare the patient  
4 for induction of anesthesia in the operating room, or in an  
5 equivalent area, and ends when the anesthesiologist is no  
6 longer in personal attendance. Is that what you did?

7 A Yes.

8 Q Okay. So just so we're clear on that before  
9 we go any further, your practice was that anesthesia time for  
10 you in the clinic was when the patient rolls into the room and  
11 you have contact with them and you're starting to do your work  
12 to when that patient leaves the room.

13 A Correct.

14 MR. SANTACROCE: Asked and answered.

15 THE COURT: Well, overruled.

16 BY MR. STAUDAHER:

17 Q Is that correct?

18 A Yes.

19 Q Okay. Standard time factor allowance is based  
20 on 15 minute increments, meaning on time unit equals 15  
21 minutes. Do you see that?

22 A Yes.

23 Q Does that -- does that comport with your  
24 practice in the clinic?

25 A Yes.

1 Q Does that comport with your practice before  
2 you came to Las Vegas?

3 A Yes.

4 Q Now, this is also a document what seized, I'll  
5 represent to you, by the Metropolitan Police Department during  
6 execution of a search warrant. And it is a portion of a  
7 policy and procedure manual at the clinic. It says Endoscopy  
8 Center of Southern Nevada --

9 MR. SANTACROCE: Excuse me. Can I have the exhibit  
10 number?

11 MR. STAUDAHER: It's Exhibit No. 83, it's Bates No.  
12 10264. And you see it says deep sedation policy.

13 BY MR. STAUDAHER:

14 Q Do you see that up there where it says --

15 A Oh, yes, I do. I'm sorry.

16 Q Have you seen this before?

17 A Not that I recall, no. I don't know. Is  
18 there a date on it?

19 Q Not on this page, but you were aware that  
20 there was a policy procedure manual in the clinic; correct?

21 A Yes.

22 Q Have you seen those before?

23 A Yes.

24 Q Have you read through them and -- and  
25 recognized certain parts of it?



1 A I'm sure I did, yes. Uh-huh.

2 Q Do you remember the procedure policy from the  
3 clinic back when you were working?

4 A Not really.

5 Q Okay.

6 A Sorry.

7 MR. SANTACROCE: I can't hear him.

8 THE COURT: I'm sorry?

9 MR. SANTACROCE: I could hear.

10 THE COURT: He said, no, sorry.

11 BY MR. STAUDAHER:

12 Q So under Section 2 labeled policy, Number A,  
13 did you see that?

14 A Yes.

15 Q I'm going to read this. It says, and it's  
16 talking about sedation; correct?

17 A Yes.

18 Q It says if administered by a CRNA, the  
19 attending physician will order and co-supervise with an  
20 anesthesiologist contracted with the Endoscopy Center of  
21 Southern Nevada II, LLC. All sedation practices in the  
22 Endoscopy Center of Southern Nevada II, LLC, shall be  
23 monitored for outcomes through quality improvement review. If  
24 a CRNA is utilized, it shall be under the provisions of  
25 Chapter 632 of NRS, an anesthesiologist contracted with the

1 Endoscopy Center of Southern Nevada II, LLC, will provide  
2 co-supervision for the CRNA while he/she is administering and  
3 caring for patients receiving sedation. The CRNA will report  
4 directly to both the supervising anesthesiologist and  
5 attending physician. Do you see that?

6 A I do.

7 Q Now, was it your testimony earlier that there  
8 was never an anesthesiologist that you worked with or there  
9 was a co-supervisor or anything like that at the clinic?

10 A Never.

11 MR. SANTACROCE: I'm going to object to foundation  
12 as to what deep sedation is.

13 MR. STAUDAHER: Okay. I'll ask him.

14 THE COURT: All right.

15 BY MR. STAUDAHER:

16 Q What is deep sedation?

17 A Deep sedation is where I would use propofol  
18 and there wouldn't have been a narcotic involved that -- you  
19 know, like I said, propofol is very short acting, and it would  
20 keep them quiet while a procedure was going on, but they would  
21 be awakened very momentarily after we were finished.

22 Q So that would cover the use of propofol?

23 A Yes.

24 Q Okay. Exhibit 84, Bates No. 10160. Have you  
25 ever seen this document related to anesthesia charting?

1           A     No, I have not.

2           Q     Do you see your name listed among other CRNAs  
3 on this document?

4           A     I do.

5           Q     Now, I had asked you before if you remember  
6 the procedure codes for a colon and an EGD and you said no;  
7 correct?

8           A     Correct. I do not.

9           Q     According to this record procedure code for a  
10 colon is 810 -- and I'm eliminating the first two zeros. It's  
11 810 and an EGD is 740; is that correct?

12          A     Correct.

13          Q     The units here, are these the base units that  
14 we're talking about?

15          A     Yes.

16          Q     So five for each, and then it says plus and  
17 time, do you see that?

18          A     Yes.

19          Q     And up here it says one unit per 15 minutes.

20          A     Correct.

21          Q     And then it's just got some general  
22 information about the patient and so forth; correct?

23          A     I think that's going into your ASA  
24 classification there.

25          Q     Okay.

1 A One, two, and three, yes.

2 Q Exhibit 86, Bates No. 10158, entitled  
3 Gastroenterology Center of Southern Nevada, instructions to  
4 post anesthesia charges, do you see that?

5 A Yes.

6 Q Have you seen this document before?

7 A No, I haven't.

8 Q Do you see where it says up here using the  
9 information from the anesthesia record. Do you see that?

10 A Correct.

11 Q Now, that is the record that you would fill  
12 out in the endoscopy center?

13 A The clinic, yes.

14 Q Fill out the charge slip. So is there -- was  
15 there any -- I mean, clearly you know that when you fill out  
16 the anesthesia record to get paid, that record that you filled  
17 out is going to go to the insurance company eventually;  
18 correct?

19 A Correct.

20 Q It says the time -- here on the third one it  
21 says the time and physical status of the patient can be found  
22 on the record. To figure the units for time, calculate how  
23 many 15 minute increments there are. A portion should be  
24 rounded off to the next unit. And then it gives an example.  
25 32 minutes would be three units. Do you see that?

1 A Yes, I do.

2 Q Exhibit No. 88, also from the procedure manual  
3 where it says cleaning procedure for rooms. Do you see that?

4 A Yes.

5 Q Have you ever seen or do you recall seeing  
6 this out of the procedure manual?

7 A Not -- not that I recall, no.

8 Q And this is, for the record Bates No. 9578.  
9 Starting on No. 3, each procedure gurney is to then be  
10 thoroughly -- this is after the procedure -- thoroughly washed  
11 or thoroughly washed down with cavicide solution or  
12 sani-cloths disinfectant wipes and allowed to dry. Do you see  
13 that?

14 A Yes.

15 Q After the area has dried, a fresh set of linen  
16 is put on the procedure gurney, along with the protective pad  
17 and made ready for the next patient. Do you see that?

18 A Yes.

19 Q Routine -- No. 5, routine terminal cleaning  
20 includes the above mentioned procedures and the following (a)  
21 wipe off all furniture and moveable equipment in the room with  
22 cavicide, to include canisters and return to its proper place.  
23 Do you see that?

24 A Yes.

25 Q Clean the gurney with cavicide, remove loose

1 pads, clean the area beneath. Do you see that?

2 A Yes.

3 Q Check the walls for soil and clean as needed,  
4 to include baseboards and air vents. Do you see that?

5 A Yes.

6 Q Spot clean the floor and remove blood and any  
7 other waste product. Do you see that?

8 A Yes.

9 Q After terminal cleaning, all personnel wash  
10 hands before leaving the area. Do you see that?

11 A Yes.

12 Q To your knowledge, did you ever see that kind  
13 of thing going on in the rooms between these patients?

14 A Never.

15 Q Exhibit No. 89, Bates No. 9570. Also from the  
16 policy and procedure manual from the Endoscopy Center of  
17 Southern Nevada entitled pre-surgical evaluation policy. Do  
18 you see that?

19 A Yes.

20 Q Under C -- first of all, have you ever seen  
21 this document to the best of your knowledge or remember it?

22 A Don't remember it.

23 Q Under C, all patients will have pre-surgical  
24 assessment and evaluation by the attending physician an CRNA  
25 or anesthesiologist immediately prior to the procedure. Did

1 that ever occur?

2 A Well, the CRNA, we would have done -- that's  
3 what I was explaining on the back of our anesthesia record.  
4 That's what we would have done.

5 Q But you saw that this was the CRNA or  
6 anesthesiologist and the physician. Do you see that?

7 A Well, I was -- the physician would probably  
8 have been on the history and physical done by them is what I'm  
9 thinking. But anesthesiologist, we never had  
10 anesthesiologists there.

11 Q But where it says immediately prior to the  
12 procedure, did you ever see the doctor come in and do any kind  
13 of evaluation on the patients before the procedures took  
14 place?

15 A If we had questions about things, they might  
16 put a stethoscope to their chest or something, but that would  
17 be it.

18 Q Was that a frequent occurrence?

19 A No.

20 Q Propofol, you said that you're familiar with  
21 that; correct?

22 A Yes.

23 Q Exhibit No. 90, Bates No. 9178. Have you ever  
24 seen this policy before?

25 A No.

1           Q     Now, taking you down here to the highlighted  
2 section where it says single dose, unpreserved vials will be  
3 discarded at the end of the day or within 24 hours of opening  
4 with the exception of propofol, which is to be discarded in  
5 six hours from opening. Do you see that?

6           A     I do.

7           Q     Does that comport with the practice that you  
8 had?

9           A     That's what -- yes. Uh-huh.

10          Q     So why would there -- why would propofol have  
11 to be discarded within six hours?

12          A     It does not contain a preservative in it.

13          Q     What does that mean?

14          A     It can grow bugs and bacteria inside of it if  
15 it's opened. Air gets to it.

16          Q     So regardless of whether it moved from patient  
17 to patient or room to room or whatever, you would have to  
18 discard it after six hours at a minimum?

19               MR. SANTACROCE: I'm going to object and move to  
20 strike that propofol moving from room to room.

21               THE COURT: All right. The preface of the question  
22 is stricken.

23               So, Mr. Staudaher, just restate your question with  
24 the question part, which, I guess, would be --

25               MR. STAUDAHER: That's fine.



1 THE COURT: -- regardless of how it was used, was  
2 the propofol discarded six hours after opening?

3 THE WITNESS: Yes, it was -- it never lasted that  
4 long in the bottle. I mean, we were going through many, many  
5 bottles a day.

6 BY MR. STAUDAHER:

7 Q Well, let me ask you about that. At the end  
8 of the day did anything unusual happen with regard to  
9 propofol, unused bottles?

10 A If I was the last one there, I would always  
11 draw it up and squirt it into the bucket or, you know, into  
12 the red container or something. I never put the container in  
13 there with propofol itself.

14 Q Okay. Did you ever have people come around at  
15 the end of the day and give you partially used bottles of  
16 propofol to use on the last few patients so you wouldn't have  
17 to open up anymore?

18 A If someone was in the other room and that room  
19 had finished and we were still going in like the room I would  
20 be in, yes.

21 Q Okay. So would you use the propofol in that  
22 situation?

23 A Yes.

24 Q So that would be a situation where a bottle  
25 may have been opened up in another room and brought into your

1 room and you were supposed to use it and did, is that fair?

2 A Exactly.

3 MR. SANTACROCE: What did he say? I didn't hear.

4 THE WITNESS: Yes, we would use it.

5 BY MR. STAUDAHER:

6 Q No. 91, Exhibit 91, Bates No 9657. Have you  
7 ever seen this where it says anesthesia service certification?

8 A I don't recall it, no.

9 Q Also from the procedure that you see down  
10 here?

11 A Yes.

12 Q Highlighted portion, the contracted  
13 anesthesiologist and supervising physician will be responsible  
14 for that adequate supervision for anesthesia services  
15 performed. Do you see that?

16 A Yes.

17 Q And if you go down here, your name appears on  
18 No. 3?

19 A Right.

20 Q And then the anesthesiologist that's listed is  
21 Dr. Thomas Yee, co-supervising anesthesiologist. Do you see  
22 that?

23 A I do.

24 Q And ever know that he was even supposed to be  
25 your supervisor?

1           A       I never saw the man.

2           Q       Have you ever heard the term micromanager?

3           A       Yes.

4           Q       Would you classify Desai as a micromanager?

5           A       Very much.

6           Q       Did he know and was involved in every aspect  
7 of the practice?

8           MR. SANTACROCE: Your Honor, I'm sorry. I don't  
9 know what the term micromanagement means.

10          MR. STAUDAHER: Well, he does. That's why I'm  
11 asking him.

12          THE COURT: Well, wait a minute. When -- why don't  
13 we just ask him. When you say micromanager, what does that  
14 mean to you?

15          THE WITNESS: To me it means that he is involved in  
16 every portion of the practice and he knows exactly what's  
17 going on in every portion of the practice, the cost of  
18 everything that comes into the clinic, when it's used, how  
19 much it's used, how much it costs, and how much he's being  
20 reimbursed for it.

21          MR. STAUDAHER: Exhibit 85. This one has no  
22 highlighting on it at all, Your Honor.

23          THE COURT: Okay.

24 BY MR. STAUDAHER:

25          Q       Have you ever seen a memo, and this is dated

1 January 11, 2007, ever seen a memo, and it looks like it is  
2 actually signed by Dr. Desai down here.

3 A Yes.

4 Q Ever seen a memo or any -- first of all, have  
5 you ever seen this memo before?

6 A No.

7 Q The things that are in this memo, have you had  
8 a chance to review it? Are those things that were told to you  
9 or that you practiced in the clinic?

10 MR. WRIGHT: What number is that?

11 MR. STAUDAHER: Oh, I'm sorry. That is Exhibit No.  
12 85, Bates No. 10867.

13 THE WITNESS: I've never seen that before. It looks  
14 like it's something that, you know, has been written and  
15 wanting people to follow it. I mean, even reading number  
16 eight there, do you drink, do you smoke, and then saying you  
17 don't need to ask those questions?

18 BY MR. STAUDAHER:

19 Q Was that the practice? I mean, did you ever  
20 hear Dr. Desai say -- I mean, this is signed by him; correct?

21 A This was done in '07.

22 Q Correct.

23 A And I don't know as I was practicing at the  
24 clinic at that time, so --

25 Q Were you there when the Health District came

1 in?

2 A Yes.

3 Q Okay. So you were one of the ones that the  
4 Health District actually observed and you --

5 A Yes.

6 Q -- talked to them? So if they came in after  
7 the date of this or around the date of this memo, would you  
8 have been working there?

9 A Can you move it? I think it says January  
10 11th. I -- I think I was gone. I was in California. And I  
11 think we came back around the middle of the month. So it  
12 would have been -- this, I did not see it.

13 Q Okay. I'm not saying did this get  
14 disseminated to you -- well, I did ask that, and you said no;  
15 correct?

16 A Right.

17 Q The information that's contained in here, did  
18 you ever have anybody talk to you about this, or was this a  
19 policy that was in place at the clinic to your knowledge?

20 A It's -- it's probably one that should have  
21 been, but I don't know as anyone ever talked to me personally  
22 about it, no.

23 Q I'm just going to read it.

24 A Okay.

25 Q Reference: CRNA. Number one, please make sure

1 that CRNAs and RNAs will not take more time to start an IV  
2 access line than physician takes time to do the colonoscopy.

3 Two, please assign a specific RN to start the IV for  
4 the CRNA who may feel that they may need help to have somebody  
5 start IV.

6 Three, please have all the CRNAs sit together and  
7 figure out what pertinent minimum questionnaire they want to  
8 ask the patients.

9 Four, I have been observing with all the CRNAs, they  
10 ask all the different kinds of questions to the patients, but  
11 at the end of the day, they all give the same amount of  
12 medication irrespective of answer they might have received  
13 from the patients or any questionnaire.

14 Five, CRNA needs to understand that this is not a  
15 socializing time with the patients. They have to learn how to  
16 ask a pertinent relative question to the patients.

17 Six, CRNA needs to talk with the patient in a  
18 professional way and not in conversation, which looks childish  
19 and with any kind of -- and with any kind of sounds making. I  
20 think I read that right.

21 Seven, it is very important that CRNAs do not get  
22 into fight with the patients and trying to humiliate the  
23 patient by asking them stupid questions.

24 Eight, it is very important to ask the patient  
25 question whether they smoke or drink, but there is no need to

1 ask do you drink daily, weekly, monthly, or yearly. That is  
2 the most humiliating way of asking the question and needs to  
3 be stopped.

4 Nine, all CRNAs need to figure out with the patient  
5 who comes in for colonoscopy, do they need to have a question  
6 asked about the denture. Do you see that?

7 A Yeah.

8 Q So those are specifics about what you do  
9 during your evaluation process; right?

10 A Yes, they are.

11 Q Now, is -- is -- are questions about dentures,  
12 whether you wear them or not, important at all?

13 A Yes.

14 Q Why would that be?

15 A It depends on if they're tight or not. If  
16 they -- if they're not, they -- when they're under anesthetic  
17 or under sedation they could come loose, fall out, get broken  
18 or something like that.

19 Q And what might that compromise if that was the  
20 case?

21 A Their airway.

22 Q And what is the most important thing that an  
23 anesthesia person has to -- has to take care of during the  
24 procedure?

25 A Their airway.

1           Q     So something that would directly impact the  
2 airway, he's directing you to, hey, don't ask patients about  
3 that?

4           A     Yes.

5           Q     Also on there specifically was questions  
6 about, well, yeah, ask if they drink and smoke, but don't ask  
7 them their history related to that. Is it important if they  
8 say they do to find out the extent?

9           A     Absolutely.

10          Q     Does that affect in some ways the medications  
11 that you give or how you treat a patient of what you have to  
12 look out for when you do your anesthetic?

13          A     Well, if they're an alcoholic, you certainly  
14 are going to be giving an anesthetic different to them than if  
15 they weren't. If they had a drink, you know, once a day or  
16 once a week or whatever.

17          Q     Do you recall coming into the -- talk to the  
18 -- or when the Health District came in? When the Health  
19 District came in --

20          A     Yes.

21          Q     -- do you recall that? And when the Health  
22 District came into the practice, they -- they were there for a  
23 period of time and then came down and did some observations;  
24 is that right?

25          A     That's my understanding. I never saw them



1 before they came into our room.

2 Q So the first time you saw them was when they  
3 came into your room?

4 A Yes.

5 Q Were you at least aware that they had been  
6 onsite for a couple of days before that?

7 A I was not aware, no.

8 Q You weren't. When they came in, they watched  
9 you do a procedure; is that right?

10 A Yes.

11 Q After you were done doing the procedure, did  
12 they question you about what had happened, what you did during  
13 the procedure?

14 A Well, you're talking Health District now. I  
15 never saw any Health District. I saw somebody from CDC.

16 Q I'm sorry. I misspoke. I'm talking -- that's  
17 what I'm referring to is the CDC. When I say Health District,  
18 I was using them --

19 A Okay.

20 Q -- combined with the CDC. But if it was the  
21 CDC, they came into your room?

22 A A physician from CDC came into my room, yes.

23 Q Now, after that procedure, for the procedures  
24 you were doing, were you talked to by the CDC personally?

25 A In general, yes. Uh-huh.

1 Q Did they ask you about the practices that you  
2 were -- that they observed you doing?

3 A I'm sure they did. You know, I don't really  
4 recall anything in particular, but I think they did, yes.

5 MR. STAUDAHER: Your Honor, may I approach?

6 THE COURT: Sure.

7 MR. STAUDAHER: I'm showing on Bates No. 4203 of the  
8 CDC trip report, which is Exhibit No. 92, page 5 of the  
9 exhibit itself, but that was the Bates number.

10 BY MR. STAUDAHER:

11 Q Now, I know that this is not something that  
12 you read. And this is a highlighted portion again that is  
13 something I put on there. But I wanted to direct you because  
14 it's a multi-page document. I want you to read that. It's  
15 not your statement like the transcript is over here, but it  
16 talks about what you told the CDC. Okay? I want you to read  
17 that and see if it doesn't refresh your memory on -- on what  
18 they observed and asked you about and how you answered.

19 THE COURT: Just read it quietly to yourself.

20 MR. STAUDAHER: Yes. Not out loud. Sorry.

21 THE WITNESS: Yes.

22 BY MR. STAUDAHER:

23 Q Does that refresh your memory?

24 A Yes.

25 Q Okay. Did you say those things?

1 A Yes.

2 MR. STAUDAHER: May I display, Your Honor?

3 MR. SANTIACROCE: I'm going to objection.

4 THE COURT: Yeah.

5 MR. STAUDAHER: I can ask him.

6 THE COURT: Okay. Why don't you just ask him --

7 MR. STAUDAHER: Sure.

8 THE COURT: -- if that refreshes his memory as to  
9 what he told --

10 MR. STAUDAHER: That's fine.

11 THE COURT: -- the physician from the CDC

12 BY MR. STAUDAHER:

13 Q What did they ask you and what did you say?

14 A They asked specifically about the propofol,  
15 how it was used, and about reentering a bottle of propofol,  
16 never with the same -- on the same patient -- we always used a  
17 different syringe on the different patient, but using a  
18 different needle on there and that's what they were asking  
19 about.

20 Q So I have this clear, they were asking you  
21 about their observations of you reusing a syringe on the same  
22 bottle of propofol on the same patient?

23 A Yes.

24 Q So just that scenario we talked about before  
25 where you go into the bottle, then you go into the patient,

1 then you change out a needle and you go back into the bottle.

2 A Back into the bottle.

3 Q So they saw that and they asked you about it?

4 A Yes.

5 Q And you admitted that you did that?

6 A Yes.

7 Q And they asked you -- then they saw you take  
8 that bottle that you had done that with and go to the next  
9 patient to use that bottle?

10 A Yes, it was used until it was empty.

11 Q And you admitted that you would do that?

12 A Yes.

13 Q You know that that at least poses a potential  
14 risk to a patient?

15 A Yes, it did.

16 THE COURT: Was there just one person from the CDC  
17 there that talked to you, or was it just one?

18 THE WITNESS: There was only one that was -- I think  
19 she might have had another one with her, but I never saw,

20 THE COURT: Okay. So you only talked to one person.

21 THE WITNESS: One person period, yeah.

22 BY MR. STAUDAHER:

23 Q And going to Exhibit No. 87, Bates Numbers  
24 10148 and 10149. Show you the document, and we'll see zoom  
25 out just a little bit and ask you if you've ever seen this

1 before.

2 MR. STAUDAHER: Well, let me approach, if I may,  
3 Your Honor, so he can --

4 THE COURT: That's fine.

5 MR. STAUDAHER: -- see the whole document.

6 BY MR. STAUDAHER:

7 Q Look at both pages. Look it over. Just take  
8 as much time as you need.

9 A I don't every recall seeing it, no.

10 Q This has to do with you being a CRNA --

11 A Correct.

12 Q -- and everybody else that was; right?

13 A Correct.

14 Q The document is entitled an affidavit. Do you  
15 see that?

16 A Yes.

17 Q So nobody ever came to you and had you try and  
18 swear this out as -- and it's even dated in March of 2008, do  
19 you see that?

20 A I see that. I don't recall it. I really  
21 don't.

22 Q It even has on here I make out this affidavit  
23 voluntarily on my own behalf and free will, all that. Do you  
24 see that?

25 A Yeah, and by a notary. I just don't recall

1 anything like that.

2 Q Clearly, the first line says I'm a Certified  
3 Registered Nurse Anesthetist, and it goes through and talks  
4 about -- has blanks for your schooling and --

5 MR. SANTIACROCE: Your Honor, I'm going to object.  
6 He's never seen it before. What's the relevance?

7 MR. STAUDAHER: I'm getting to that point, Your  
8 Honor.

9 THE COURT: All right. Well, and there's water  
10 there.

11 THE WITNESS: Thank you.

12 THE COURT: I notice you're coughing.  
13 Is this admitted?

14 MR. STAUDAHER: Yes, I believe so.

15 THE COURT: Okay.

16 BY MR. STAUDAHER:

17 Q As we move down the document, clearly talking  
18 about being a CRNA; correct?

19 A Yes.

20 Q And down here on No. 5, it says I understand  
21 that there are certain allegations about ESCN, is that the  
22 Endoscopy Center of Southern Nevada, the initials for it?

23 A I think so, yes.

24 THE COURT: Well, they're -- it's backwards.

25 MR. STAUDAHER: It's backwards?

1 THE COURT: I mean, it's ESCN.

2 MR. STAUDAHER: Yes.

3 THE COURT: It should be ECSN.

4 MR. WRIGHT: Can we approach, Judge?

5 THE COURT: Sure.

6 (Off-record bench conference.)

7 THE COURT: So you have never seen this affidavit  
8 before?

9 THE WITNESS: I don't recall ever seeing it, no.

10 THE COURT: Okay. And this isn't -- isn't similar  
11 or it's not an affidavit that you recall ever signing?

12 THE WITNESS: No.

13 THE COURT: All right. What's your question for Mr.  
14 Mathahs?

15 MR. STAUDAHER: It's going to be very simple.

16 BY MR. STAUDAHER:

17 Q I want you to look specifically at, actually,  
18 6, 7, and 8, and 9, actually, if you can read that. Did you  
19 read those or can you see them all?

20 A No.

21 Q I'll zoom back out so you can see the whole  
22 thing. I'm sorry. 6, 7, 8, and 9.

23 A Okay.

24 Q Okay. Is that true?

25 A No. 7 certainly isn't, I never reused a

1 syringe on the same patient, which we did. Is that what  
2 you're --

3 Q Okay. Is No. 6 true?

4 A Correct. That is very true.

5 Q So 7 is not true. How about 8?

6 A I never reused a needle, no.

7 Q Okay. This one here where it says you never  
8 reused syringes on the same patient is not true?

9 A No, it's not true.

10 Q Could you come down here for one moment,  
11 please?

12 THE COURT: Sir, just go ahead and step down.  
13 That's fine.

14 BY MR. STAUDAHER:

15 Q This is -- you can stand right here. This is  
16 a summary chart. I know it's very difficult to see for the  
17 jury looking [inaudible]. But a summary chart of an incident  
18 day of September 21, 2007, one of the days that you worked.  
19 As a matter of fact, if you look in where it says -- this  
20 column here, you can see that you worked -- at least it's your  
21 name appears in all the record on the patients here on that  
22 day. Divided up into -- into two rooms because you were in  
23 one room and --

24 A Right.

25 Q -- other anesthesiologist -- or other



1 anesthesia person was in the other room.

2 A Right.

3 Q On this day it appears as though Ronald  
4 Lakeman was working as well. Do you see that?

5 A I do.

6 Q You start off over here where it says  
7 anesthesia time calculated for the records. You see those  
8 times?

9 A Yes.

10 Q Do those bear any reality? This is -- this  
11 would have been your calculated anesthesia time on the  
12 records. They're all above 30 minutes.

13 A Oh, I got you. Okay.

14 Q Okay.

15 THE RECORDER: I'm sorry. I didn't hear his answer.

16 MR. STAUDAHER: He said I got you.

17 THE WITNESS: I understand what he was saying  
18 that --

19 MR. STAUDAHER: Would it help to have this as we go  
20 through this?

21 THE RECORDER: Yeah.

22 BY MR. STAUDAHER:

23 Q Okay. I'll give that to you. Are these  
24 accurate times?

25 A No.

1           Q     If we go across to the procedure log time,  
2     which is for the nurse's time that she puts down in the  
3     procedure room, and the stop time, which is supposedly based  
4     off of the tape read times from the machine that you're in the  
5     anesthesia room. Okay?

6           A     Okay.

7           Q     You see the times here are listed eleven  
8     minutes, four minutes, three minutes, nine minutes and the  
9     like for most of it?

10          A     Correct.

11          Q     Are those more similar to what you normally  
12     have in the procedure room?

13          A     I am sure they would be more accurate, yes.

14          Q     Now, you -- I had asked you a question earlier  
15     about moving from room to room. Do you remember that?

16          A     Yes.

17          Q     You said at lunch time you might relieve?

18          A     Yes.

19          Q     And you said there was also if there was a  
20     bathroom emergency or some reason why you might need to go  
21     over to the other room you might do that?

22          A     Yes.

23          Q     I'm showing you here on the times as we go  
24     down, and, again, these times are based on your record, so  
25     they can't be accurate; correct?

1 A Correct.

2 Q But if we look at the times over here during  
3 the day when things are happening, we know that around 9:49  
4 there was a patient by the name of Kenneth Rubino who was  
5 treated, and you treated that patient.

6 A Okay.

7 Q And I've got the file over there. I can show  
8 you if you need it. All right?

9 A Okay.

10 Q Then that's that time. So at approximately  
11 9:49 to 10:00. Do you see that?

12 A Yes.

13 Q Down here on Ron Lakeman's -- in Ron Lakeman's  
14 room, you'll notice that right here at about 10:13 according  
15 to the nurse record, which you said is more accurate, at about  
16 10:13 it shows you in that room actually doing a procedure.  
17 Do you see that?

18 A Yes.

19 Q And that one is on page No. 18 in this  
20 particular case. So clearly you had to have gone from this  
21 room to this room to actually be on the anesthesia record  
22 here; is that correct?

23 A Yes.

24 Q Do you remember this day itself?

25 A No, not at all.

1           Q     Okay. Do have any reason to dispute that  
2 based on what your testimony is that you might have gone  
3 across for some reason, bathroom break or the like?

4           A     No.

5           Q     So you appear in the record on this date at  
6 10:13, which is after the close or finish of Kenneth Rubino's  
7 procedure; correct?

8           A     Okay.

9           Q     Does that look right?

10          A     Yes.

11          Q     10:13. You end at 10:00 here. Now, it  
12 appears as though you come back to this room because at 10:05  
13 it looks like you're in this room again. So some of these  
14 times don't necessarily match up, do they? 10:05 to 10:16,  
15 10:13 to 10:25, 10:24 to 10:35. So clearly you go over,  
16 you're there for awhile, and then you come back; is that  
17 correct?

18          A     Yes.

19          Q     In this instance I will represent to you that  
20 the infected patients that we have from the -- Kenneth Rubino  
21 is the source patient of the infections.

22          A     Okay.

23          Q     And the ones in green are affected patients.

24          A     Okay.

25          Q     So we have infected patients in the room you

1 were in after the source patient following -- and you're the  
2 anesthesia person there except for around the lunch hour when  
3 Ronald Lakeman comes in the room.

4 A Okay.

5 Q And he's in the room on the record, and then  
6 following that you've got a patient and that patient becomes  
7 infected.

8 A Okay.

9 Q You come down here where you look at where you  
10 come into the room, and the patient just before you come into  
11 the room becomes infected, and then thereafter we have  
12 infections going on after you return back to your room. Do  
13 you see that?

14 A Yes.

15 Q Now, in this instance, obviously, you're on  
16 the record here on this particular patient, but we have --

17 MR. SANTACROCE: Can you specify which patient  
18 number that is?

19 MR. STAUDAHNER: I'm sorry. This is Patient No. 18.

20 BY MR. STAUDAHNER:

21 Q We have you on the record there. If it was a  
22 bathroom emergency or something where you had to just go  
23 across quickly, is it possible that you went over -- have you  
24 ever done it where you went over and just finished up the end  
25 of a procedure with somebody, and then did their patient until

1 they could get back?

2 A It's possible. I don't recall it.

3 Q Well, I know you don't recall this, but would  
4 that match up with the speed of what you're doing to try to  
5 relieve somebody?

6 MR. SANTACROCE: Objection. Leading.

7 THE COURT: That's sustained.

8 MR. STAUDAHER: All right.

9 THE COURT: Ask -- you need to ask that a different  
10 way.

11 BY MR. STAUDAHER:

12 Q If you were to go over, would it -- have you  
13 ever gone over and relieved somebody for the end of a  
14 procedure if they had an emergency for some reason, whatever  
15 reason?

16 A If they had an emergency. I don't recall any  
17 time that I did that, but it could have happened, yes.

18 Q Well, at least according to the records you're  
19 in both rooms around the same time?

20 A Yes.

21 Q The beginning of the day it looks like  
22 Clifford Carroll is there as the single doctor; correct?

23 A Yes.

24 Q And then later on Dipak Desai comes in, and  
25 then some of the other doctors, also.

1           A     Correct.

2           Q     At the beginnings of the day in some  
3 instances, or many instances, you tell me, did one doctor just  
4 do his procedures in the day, and then other doctors came in  
5 in the afternoon?

6           A     Yes, some of them did. Dr. Carrol was  
7 [indecipherable] and was at the clinic all the time, so he  
8 would pop in and out at different times.

9           Q     So if patients are going -- if doctors are  
10 going from room to room and you're staying in one room, are  
11 they just turning patients over as fast as they possibly can?

12          A     Yes.

13          MR. STAUDAHER: I have nothing further, Your Honor.

14          THE COURT: All right. Perhaps we should take a  
15 break.

16          May I see counsel at the bench, please?

17                (Off-record bench conference.)

18          THE COURT: Let's go ahead and take until 3:10 for  
19 our break. And during the break you're reminded that you're  
20 not to discuss the case or anything relating to the case with  
21 each other or with anyone else. You're not to read, watch, or  
22 listen to reports of or commentaries on the case, person, or  
23 subject matter relating to the case. Don't do any independent  
24 research, please don't form or express an opinion on the  
25 trial. Notepads in your chairs, and follow Kenny through the

1 rear door.

2 (Jury recessed at 2:53 p.m.)

3 THE COURT: Mr. Mathahs, if you need a break, you  
4 know, we're just going to start back up at 3:10, so you're  
5 free to leave the courtroom.

6 And you wanted to put something on the record. And  
7 you -- are you requesting this be done out of the presence of  
8 the witness?

9 MR. WRIGHT: It doesn't matter.

10 THE COURT: Okay. Everybody can sit down. You  
11 don't all need to --

12 You're lawyer wants you, so --

13 MR. WRIGHT: Exhibit 87 is the --

14 THE COURT: Affidavit.

15 MR. WRIGHT: -- affidavit. And I -- I do withdraw,  
16 attempt to withdraw my agreement. When I viewed all the  
17 evidence, whenever, weeks ago, for stipulation, I did not see  
18 this document. I didn't see it on the table.

19 MR. STAUDAHER: It was in the file this morning. I  
20 tried to -- I tried to -- I don't dispute that you didn't see  
21 it.

22 MR. WRIGHT: I'm not talking about in the file this  
23 morning. I'm talking about when I went over with you all the  
24 evidence, looked at the logs, everything else, and said all of  
25 these hospital records, all of that stuff, we aren't going to



1 fight chain of custody or anything else. I did not know there  
2 was a March 2008 unsigned affidavit that was obviously  
3 prepared for something by counsel. I was not cognizant of  
4 this when I said I have no problem with those records going  
5 in.

6 MR. STAUDAHER: And just for -- to short circuit  
7 that, it's not in those records. Just so I know, the records  
8 you came over on Friday and looked, or whatever day it was you  
9 looked at, that record is not in there.

10 MR. WRIGHT: Okay.

11 MR. STAUDAHER: That is in the discovery --

12 THE COURT: Had Mr. Wright been given the records  
13 before? I mean, what led you to believe that it was a record  
14 that Mr. Wright was stipulating to?

15 MR. STAUDAHER: Because this morning I brought over  
16 the records specifically that I was going to go over with this  
17 individual, which included additional things that aren't  
18 contained over there.

19 MR. WRIGHT: I didn't know that. I mean, I  
20 literally thought these -- I thought -- and I'm not saying --

21 MR. STAUDAHER: Okay.

22 MR. WRIGHT: I mean, this is my miscommunication. I  
23 thought all this stuff was simply out of there, you know, and  
24 it was already in. And I didn't know there were new things  
25 sitting here. And I even had copies made of this so that I

1 could view the exhibits that he just utilized --

2 THE COURT: Okay.

3 MR. WRIGHT: -- this morning.

4 MR. STAUDAHER: The only thing that was --

5 MR. WRIGHT: Because I --

6 MR. STAUDAHER: Right. And the only thing was --

7 MR. WRIGHT: -- I said, I don't have, you know, like  
8 my copies or anything.

9 THE COURT: Right.

10 MR. WRIGHT: But I did not look at them. I mean, I  
11 looked at some. I mean, I flipped through them, saw the FEA,  
12 you know, and things. But I'm --

13 MR. STAUDAHER: Right.

14 MR. WRIGHT: -- I'm just telling you, until it  
15 occurred here in the courtroom, I hadn't even been cognizant  
16 of that.

17 MR. STAUDAHER: With the exception of the FEA  
18 document that he saw and agreed to before, the rest of it was  
19 in a folder that I brought over this morning to --

20 THE COURT: Okay. I don't believe that it was a  
21 deliberate attempt of Mr. Staudaher to sneak in an exhibit. I  
22 know you're not suggesting that, but just so it's clear when  
23 somebody down the road who may be handling this doesn't say,  
24 oh, you know, looks like Mr. Staudaher snuck something in.

25 I think, you know, it was just a misunderstanding

1 between the two of you. Going forward I would ask if there  
2 are different exhibits or new exhibits that you're going to be  
3 introducing that day, just make sure opposing -- I just  
4 noticed we're missing half the -- half the group here.

5 MR. WRIGHT: I thought he was here.

6 THE COURT: Well, maybe he'd like to be here. I'm  
7 going to take a really quick break. It's not his objection,  
8 but going forward, Mr. Staudaher, just make sure if there's  
9 something new that you tell them. My understanding from the  
10 conversation at the bench is that that was an item that was  
11 seized that was on the computer and it was seized as part of  
12 the search warrant; correct?

13 MR. STAUDAHER: It was seized in the search warrant.  
14 I'd have to double check to make sure it was on a computer.

15 THE COURT: Okay. Well, wherever.

16 MR. STAUDAHER: Okay.

17 THE COURT: Because I'm sure you had a separate  
18 search warrant for the computer --

19 MR. STAUDAHER: Yes.

20 THE COURT: -- or that was part of the search  
21 warrant. So it's admissible as an item that was seized  
22 pursuant to a search warrant executed on the premises.  
23 Obviously, if it's not stipulated to, you have to get it in  
24 through the police officer who actually found it or was  
25 overseeing the search. But this one, it's a done deal. I

1 find that there's really no prejudice because it would have  
2 come in anyway through the police officer.

3 I understand, Mr. Wright, you're objecting because  
4 you don't think it's relevant it was unsigned --

5 MR. WRIGHT: No.

6 THE COURT: -- and it's clearly written by a lawyer.  
7 I asked at the bench, this wasn't attached to an email from a  
8 lawyer that you somehow downloaded, in which case we've got a  
9 real problem, we have a problem here. Mr. Staudaher said, no,  
10 he didn't think so because obviously if this is part of a  
11 communication from a lawyer or something like that you  
12 shouldn't be opening those and reading them and downloading  
13 stuff.

14 So I would have an issue with that if his lawyer  
15 that sent that to him. I think it's evident, no disrespect to  
16 anybody, but looking at the items that were actually authored  
17 by Dr. Desai and comparing it to that, I think it's pretty  
18 clear Dr. Desai did not write that because it doesn't appear  
19 he has the, at least written English skills to do so.

20 Having said that, it is an item in the possession of  
21 the office that was seized pursuant to a lawfully, you know,  
22 executed search warrant. So to me it would be admissible.  
23 You know, to me, that relevancy is that they're making, you  
24 know, kind of a knowledge of guilt idea, that you've got  
25 assertions in this affidavit that are contradicted,

1     apparently, if you believe the testimony, by what was actually  
2     going on.

3             So to me, the fact that you're -- that there's  
4     something here, you know, who sent it, who wrote it, where he  
5     got it, all that stuff, I think that's cross-examination and  
6     that goes to the weight of the exhibit, not its admissibility.  
7     But, again, you know, I think the relevance is it was in their  
8     possession and it's assertions that are different from what  
9     the practice was.

10            And even though that was never signed by Mr.  
11     Mathahs, it's sort of, if you look at the whole affidavit,  
12     it's the total thing like this is what the practice was, not  
13     just this one, you know, isolated thing. So I think it's  
14     relevant for those reasons.

15            MR. WRIGHT: But who wrote it and what was the  
16     context? It's March 2008.

17            THE COURT: Well, we don't know that's -- that's --

18            MR. WRIGHT: Well, then that's what --

19            THE COURT: If it wasn't stipulated to, he  
20     wouldn't --

21            MR. WRIGHT: I'm not. I'm withdrawing my  
22     stipulation.

23            THE COURT: Okay.

24            MR. WRIGHT: I did not know it. This wasn't -- I  
25     stipulated to documents I went over and looked at --

1 follow the patients out?

2 A More -- staying in the room more than we left.

3 Q Was it more rare occurrence or a more common  
4 occurrence for you to leave the room and follow out to the  
5 recovery area to deal with a patient?

6 A It would have been a more rare occurrence to  
7 go out.

8 Q So you're in the room when the patient comes  
9 in and you're in the room when the patient goes out, fair?

10 A Yes.

11 Q Could you describe for us the -- what happens  
12 in between. I mean, we've got -- and I'm talking about from  
13 the point the patient exits the room until the next patient  
14 actually physically rolls in. What goes on?

15 A The nurse would try and set the computer up  
16 for the next patient coming in. And when the patient got in  
17 the room, we would be hooking them up to the electrocardiogram  
18 monitor, pulse oximeter, make sure the IV is functioning if  
19 there was an IV in. Otherwise, we'd have to start the IV as  
20 well.

21 Q Okay. Now, I'm talking about -- I know that's  
22 when the patient actually gets in the room because you're  
23 talking about doing things to the patient; correct?

24 A Yes.

25 Q But as -- what I'm talking about is what I'm

1 going to term turnover time. I don't know what you would call  
2 it, but that's what I'm going to use for the moment. Meaning  
3 the patient actually physically exits room, and before the  
4 next patient physically breaches, comes right through the  
5 doorway into the room, what goes on in that room?

6 A Nothing that I am aware of. I mean, they're  
7 probably changing the scope out is all.

8 Q Any cleaning of the room?

9 A Not that I ever was aware of.

10 Q Okay. Any -- so no cleaning to your  
11 knowledge? They just rolled the next patient in?

12 A Yes.

13 Q What was the time frame between the time one  
14 patient leaves and the next patient rolls in, typically on one  
15 of these days that you described?

16 A It could have been a minute, it could have  
17 been less, it could have been two minutes. Somewhere in that  
18 area.

19 Q You think it could have been less than a  
20 minute?

21 A It could have been. I'm not sure.

22 Q I mean, if we sat here and ticked off a  
23 minute, I mean, there is a physical amount of maneuvering that  
24 has to happen, correct, for a patient, one patient --

25 A Correct.

1           Q     -- to the next? Is it fair to say that it was  
2 a relatively short window of time?

3           A     Short, yes.

4           Q     In the minutes or even less, possibly?

5           A     Yes.

6           Q     So if that's happening, if that's the kind of  
7 turnover that we're talking about, do you really ever have a  
8 chance to walk out and deal with a patient if they're bringing  
9 the next one in within a patient of the first one leaving the  
10 room?

11          A     The only thing you're going to be dealing with  
12 a patient is when they arrive in the room. Really you don't  
13 have time to go out and talk to them in the room -- or, I  
14 mean, in the recover area.

15          Q     When you're back there inside the room and the  
16 new patient rolls in, what is -- tell me what you actually do  
17 to the patient at that point.

18          A     Well, I want to start interviewing them.  
19 Usually -- I mean, if there was a tech available, they would  
20 hook up the monitors for us. And, I mean, I would have to --  
21 if there wasn't an IV, I would be responsible getting the IV  
22 started and -- and trying to interview the patient, get a  
23 history and physical, you know, for my satisfaction.  
24 Sometimes there was, sometimes there wasn't one on the chart  
25 so that we would have some idea why the patient was there, why



1 -- why they came for a procedure, and that type of thing.

2 Q Okay. Were you ever pressured in any way to  
3 move that along?

4 A All the time.

5 Q By whom?

6 A Well, the physician.

7 Q A particular physician or more than one?

8 A Well, Dr. Desai was usually the one that would  
9 be pushing us to move along faster.

10 Q Do you see him in court today?

11 A Yes, I do.

12 Q Could you point to him and describe something  
13 that he's wearing for the record, please?

14 A Right there at the end of the defendant's  
15 table.

16 MR. STAUDAHER: Will the record reflect the identify  
17 of Dr. Desai, Your Honor?

18 THE COURT: It will.

19 BY MR. STAUDAHER:

20 Q On the back end, once the patient leaves the  
21 room, I know that you said you didn't see anybody doing  
22 anything in the room, but you -- you is who I'm asking -- what  
23 did you do before the next patient came in to hook up to have  
24 a procedure done?

25 A Finish my chart from probably the last

1 patient, get that ready to be, you know, submitted to the  
2 patient and the recovery room nurse, and then make sure that I  
3 had propofol and things drawn up ready to -- or even if I had  
4 to start an IV, I would have everything ready that I needed to  
5 get going before we would be able to do the procedure.

6 Q Now, isn't it true that the -- the chart left  
7 with the patient, typically?

8 A But the anesthesia record is separate. We  
9 kept that, you know, until we were finished with it, and then  
10 it would go out. We'd give it to either the nurse in the room  
11 or the recovery room nurse to go with the chart, then.

12 Q So they would, then, take that record, even  
13 though the patient is gone, they would take that record out to  
14 wherever the patient was?

15 A To the recovery area, yeah. Uh-huh.

16 Q And that's typically where the patient went  
17 was out into the recovery area?

18 A Yes.

19 Q In the times that you went out to the recovery  
20 area, how did the patients get positioned in those sort of --  
21 we understand there were four stalls of -- of patients, beds  
22 or gurneys or whatever you call it. How would they be  
23 positioned?

24 A Well, usually there was one -- one  
25 or two ready to come into a room, and so then there would be  
an empty bed -- or, I mean, not an empty bed, but an empty

1 stall where the patient coming out of the room would go into  
2 and then get hooked up to the monitors again.

3 Q When they wheeled the patients from the room  
4 that you were in doing the procedure to the recovery room, in  
5 those instances where you observed it, did the patient go in  
6 head first into the stall or feet first into the stall? If  
7 you recall.

8 A I don't recall.

9 Q Now, I want to step back from that. We're  
10 going to come back to it in a moment, but I want to go back in  
11 time a little bit. When you were first coming into the clinic  
12 in 2003, up to the time that you left, was there ever to your  
13 knowledge any kind of supervising anesthesia MD person there?

14 A If there was, I never saw anyone.

15 Q Do you know who Thomas Yee is?

16 A I've heard the name, but I don't know him at  
17 all, no.

18 Q Do you know a person by the name of Satish  
19 Sharma?

20 A No, I don't.

21 Q Ever seen either of those people around in the  
22 clinic to the best of your knowledge?

23 A No, I haven't.

24 Q Did anybody ever tell you at any point that  
25 they were your supervising anesthesiologist?

1           A       I heard the word Yee mentioned, and that was  
2 only because Anne, I think, worked with him down at a hospital  
3 in North Las Vegas.

4           Q       Did you ever supervise anybody? I mean, what  
5 was your role as far as a CRNA at the clinic?

6           A       Just a CRNA. I had no supervisory position at  
7 all.

8           Q       Are you familiar with the drug propofol?

9           A       Yes.

10          Q       Can you tell us what it is?

11          A       It's a complete anesthetic agent. It can be  
12 used as sedation as well, but it's -- we use it as a complete  
13 anesthetic agent.

14          Q       Was that a drug that was always used at the  
15 clinic during the time that you were there?

16          A       No.

17          Q       Can you describe when that came in and how --  
18 came into use and how?

19          A       Well, when I first started in '03 they were  
20 using versed or valium. I don't recall, Demerol or fentanyl  
21 sort of as an amnesia type medications and the patients were  
22 taking a longer time to recover from that. And that's when I  
23 suggested that we bring in propofol because it is such a short  
24 acting medication.

25          Q       When you say short acting, what are you

1 talking about?

2 A Well, depending on the amount given, but  
3 usually they're awake within five minutes after the last  
4 injection would be given. They would be totally awake and  
5 recall where they're at and that type of thing.

6 Q So it would be something they could recover  
7 from very quickly as well?

8 A Yes.

9 Q And so the onset, how quickly did it act?

10 A Very rapidly.

11 Q So if there was a situation in a patient's  
12 room, and I'm going to ask you first of all if -- well, if  
13 this ever happened where you were in a patient's room where  
14 the patient was starting to wake up, and in your opinion may  
15 have needed some additional medication, is that something you  
16 could have given a small dose and the patient would have gone  
17 under for a very short period of time?

18 A Yes.

19 Q Did you have situations like that where  
20 patients began to wake up and move and you felt that they  
21 needed, as a clinician, additional medication to put them  
22 under?

23 A Yes, I did.

24 Q In those situations, were you ever told not to  
25 give any medication?

1           A     Yes, I was.

2           Q     Who told you not to do that in those  
3 instances?

4           A     Dr. Desai would have been the one.

5           Q     Did that happen on a regular basis?

6           A     I would say yes it did, yes.

7           Q     So a patient -- just so we've got a clear  
8 picture of this. A patient, in your opinion, needs additional  
9 medication to have a safe comfortable procedure.

10          A     It wouldn't happen every time, but it would  
11 happen quite regularly, yes.

12          Q     But in your opinion was that something that  
13 was, as the person doing the anesthesia, something you would  
14 have normally given to the patient?

15          A     Yes, I might have -- should have done it, and  
16 a lot of times I did give it without him knowing it, yes, just  
17 to keep the patient, you know, in sedated stated.

18          Q     Did he ever see you doing that and then  
19 yelling at you for doing it?

20          A     Yes.

21          Q     Was that also something that happened fairly  
22 often?

23          A     It could happen frequently, yes.

24          Q     I mean, we're not talking about isolated  
25 events, are we?

1 A No.

2 Q With regard to -- getting back to the  
3 anesthesia, the propofol part of this, once propofol was  
4 introduced into the practice, was it used fairly regularly  
5 thereafter?

6 A It became the agent of choice, yes. Uh-huh.

7 Q Did every anesthesia person use propofol after  
8 that?

9 A Pretty regularly unless there was someone that  
10 might have been -- had an allergy, you know, that would have  
11 been related to it, then we would switch over to valium and  
12 Demerol or fentanyl or something like that.

13 Q How often did that kind of a thing happen?

14 A Very rarely. I mean, maybe once -- once a  
15 week, maybe once every other week, something like that.

16 Q How many times would you say you've used  
17 propofol over the years?

18 A Thousands.

19 Q Thousands?

20 A Thousands.

21 Q Did you use it back in California during the  
22 33 years you worked there?

23 A Yes, I did.

24 Q Is it fair to say that you're familiar with  
25 that drug?

1 A Very familiar.

2 Q How it's used, what it's indications are, and  
3 contraindications?

4 A Yes.

5 Q And what, to you, is an indication for use of  
6 a drug like that?

7 A Well, if it's going to be a regular surgery,  
8 it's known as an induction like sodium pentothal used to be  
9 used, and it would be an induction agent, then you would  
10 switch over to your anesthesia agents, gas, nitrous oxide,  
11 oxygen, and an agent that you'd be vaporizing in the machine,  
12 and that's how it would have been used. But here in the  
13 clinics when you're doing short procedures, it's used as the  
14 total agent because it's such short acting, you know, the  
15 patient awake shortly after your finished.

16 Q Because it's so short acting, does that  
17 require you to give multiple doses of the medication during  
18 the procedure?

19 A Yes.

20 Q Even for short procedures lasting ten minute  
21 or less sometimes?

22 A Depending on the patient's, you know,  
23 stability and weight and that type of thing. You might get  
24 away with, you know, one injection for ten minutes, but  
25 probably not. It probably would require more.



1           Q     Now, during the time that you're -- you're  
2     there, what kinds of procedures are taking place back in the  
3     procedure room? And I'm talking about the whole time. What  
4     do they do at the clinic?

5           A     You're talking about here in Vegas?

6           Q     Yes, in Vegas.

7           A     Well, upper endoscopies and colonoscopies and  
8     we would put in the tubes, feeding tubes, that type of thing.

9           Q     So the main predominant procedures were what?

10          A     I would say colonoscopies and upper  
11     endoscopies.

12          Q     In those types of procedures, did they take  
13     the same length of time to do typically?

14          A     Depended on the physician who was, you know,  
15     doing the procedure and what -- you know, it varied from time  
16     to time.

17          Q     Let's talk about Dr. Desai for a moment. On  
18     average, and I know it can vary depending on what was going  
19     on, but on average you saw him do thousands of these things  
20     over the years?

21          A     I'm sure it was.

22          Q     How long did it take him to do an upper  
23     endoscopy, or an EGD, I think, is what it's called.

24          A     EGD, yeah.

25          Q     Correct.

1           A     It would take, I would say, a maximum of five,  
2 maybe, you know, two to three minutes.

3           Q     So two to three minutes, maybe up to a maximum  
4 of five minutes?

5           A     Yes.

6           Q     The colonoscopies, how long would those take?

7           A     I would say that could be from five minutes,  
8 up to a maximum of ten.

9           Q     Okay. So we're talking about relatively short  
10 windows of time, is that fair?

11          A     Correct.

12          Q     Now, during that time did you ever hear -- and  
13 I'm talking about any time during this window that you worked  
14 there, did you hear Dr. Desai bragging about how fast he could  
15 do procedures?

16          A     Yes.

17          Q     Did he do that -- and what would he say  
18 typically?

19          A     Just that he was able to do them in a very  
20 short period of time. I don't recall the exact words, but I  
21 know I heard it different times.

22          Q     So you said different times, so that's more  
23 than once?

24          A     Yes.

25          Q     A lot more than once?

1           A       I don't know how many, but it was more than  
2 once.

3           Q       Did he ever give any of the other clinicians,  
4 other physicians, a hard time about maybe taking more time?

5           A       One in particular that I recall. There were a  
6 couple of them, maybe. Yes. Uh-huh.

7           Q       Okay. And who was that that he gave a hard  
8 time to?

9           A       Dr. Carrera and Dr. Faris.

10          Q       Felt that they -- their procedures were longer  
11 than they should have been?

12          A       Yes.

13          Q       What kinds of things would he say when he was  
14 discussing them?

15          A       Well, sometimes I wasn't aware of it, you  
16 know. I mean, he would call them into their office -- his  
17 office, but I've heard him talk very abusive to Dr. Carrera  
18 about the procedures and, you know, that he needed to hurry up  
19 and get things going and that type of thing.

20               MR. STAUDAHER: I'm referring now to State's Exhibit  
21 4, and Bates Numbers DA Endoscopy 261 and 26 -- excuse me,  
22 2601 and 2602, for the record and for counsel.

23 BY MR. STAUDAHER:

24          Q       I'm going to show you a document that I want  
25 you to tell me some information about as we go. And first of

1 all, let's get down the very bottom. Do you see the signature  
2 down here?

3 A Yes, that's mine.

4 Q You recognize that?

5 A Yes.

6 Q And as we go through some of the documents  
7 that we're going to go through, you can draw on the screen  
8 with your nail, fingernail.

9 A Oh, okay.

10 Q And you can just tap it down there to make it  
11 go away if we need to.

12 A Okay.

13 MR. WRIGHT: Is that Exhibit 4?

14 MR. STAUDAHER: I'm sorry?

15 MR. WRIGHT: Is that Exhibit 4

16 MR. STAUDAHER: Exhibit 4, Bates Numbers 2602 and  
17 2601.

18 MR. WRIGHT: Bates Numbers don't do anything for me.

19 MR. STAUDAHER: Well, for the record.

20 BY MR. STAUDAHER:

21 Q So in this particular case, I just in general  
22 want to ask you some things. This area up here, this multi  
23 box area at the top where I see some checkmarks and other  
24 marks, what is that area used for in your experience or in  
25 this case? This is your record, is it not?

1           A     It's a graph that we use for recording our  
2 blood pressure, our pulse.

3           Q     And across the top do you see that there are  
4 times listed here as well?

5           A     Yes, and every -- well, let's see. Wherever  
6 the dark line comes down to the bottom, we counted it as a  
7 15-minute increment.

8           Q     Okay. And I actually have the original  
9 records of these if you need to look one, if something is a  
10 little faint for you. But these are --

11          A     No, I can see this.

12          Q     -- a redacted copy to remove certain  
13 information from it. Okay? Now, as far as the records here  
14 of the blood pressure, heart rate, and the like that you  
15 mentioned on the small box area of this, is there a specific  
16 -- any significance, rather, to the actual different boxes? I  
17 mean, what do these represent as we go across the page?

18          A     Well, starting out the blood pressure would  
19 have been somewhere around 130 over, it looks like 80, maybe  
20 82, somewhere in that area.

21          Q     Let me stop you there. That's not what I was  
22 asking. Just in general, without the hash mark or the  
23 different markings there, what do these boxes represent as  
24 they go across?

25          A     Oh. Time segments.

1 Q What is the time typically?

2 A Between the ones where they come down through  
3 the bottom, it would be -- each one of the little lines would  
4 be five minutes.

5 Q So each one of --

6 A So I took --

7 Q -- each one of these is five minutes?

8 A Correct.

9 Q And then this one here is 15?

10 A Correct.

11 Q So these are 15s, and these individual ones  
12 are five; is that --

13 A Yes.

14 Q -- correct?

15 MR. STAUDAHER: And I was referring to the middle  
16 portion of the document, Your Honor, of 2601 for the record.

17 THE COURT: All right.

18 BY MR. STAUDAHER:

19 Q When we get down here to this portion, and I  
20 see some numbers listed here. Do you see those?

21 A Yes.

22 Q And we're referring to an area that says SaO2.  
23 What is that?

24 A That's the oxygen saturation levels.

25 Q So you're measuring those --

1 A Yep.

2 Q -- along the same time range of, it looks like  
3 every 15 minutes you're doing that; is that correct?

4 A Correct. That was the pulse oximeter that  
5 would be on their finger.

6 Q Okay. And then where it says EKG, what is  
7 that marking there?

8 A Just, yes, that it was on -- on the patient.

9 Q And where it says oxygen or O2 per minute, it  
10 looks like liters per minute --

11 A Two.

12 Q -- what is that?

13 A Just the line that the oxygen was on the  
14 patient.

15 Q Now, the next line says actually the words  
16 propofol on it. Do you see that?

17 A Right.

18 Q Is that the anesthetic agent that you talked  
19 about earlier?

20 A Yes, it is.

21 Q When I look over here and I see these  
22 different numbers of 50, 50, 60, 60, what are those?

23 A Those are the amount of propofol that was  
24 given in increments. 50 milligrams and then 50, and then 60  
25 milligrams and then 40.

1 Q So at the very end over here it's got a total  
2 and it says what?

3 A 200 milligrams.

4 Q Now, propofol that came in bottles that you  
5 used, how many milligrams of propofol were in a single  
6 milliliter within the bottle?

7 A 10.

8 Q So it was a ten to one ratio?

9 A Yes.

10 Q So a 20 cc bottle of propofol, then, would  
11 have 200 milligrams of the drug in it?

12 A Yes.

13 Q So is it fair to say that at least this  
14 corresponds to 20 cc or 20 milliliters of the drug?

15 A It absolutely is.

16 Q Now, over here there's also an indication that  
17 there was actually a procedure done. What is that?

18 A That's where it was a colonoscopy, but there  
19 was a polyp and they took out the polyp, a polypectomy.

20 Q Now, on the right hand side of the screen  
21 there is some printed material here. Do you see that?

22 A Yes.

23 Q And the first thing says -- and I'm doing this  
24 for the record because just to know where we are on the  
25 diagram. It says anesthesia and monitoring equipment checked



1 before induction, and there's a mark. What is the mark?

2 A That it was checked and that it was working.

3 Q Okay. H&P reviewed.

4 A Yes.

5 Q And is that a history and physical?

6 A That would have been their history and

7 physical, yes.

8 Q Patient identified.

9 A We always ask the patient, you know, and the

10 procedure that they were going to be having, yes.

11 Q Patient evaluated immediately prior to

12 induction.

13 A That would have been our H&P that we did, and

14 it's usually on the back of our anesthesia record.

15 Q And that would be, as you testified, in the

16 procedure room, typically?

17 A Yes.

18 Q And then the next one is patient positioned

19 self lateral, left lateral position prior to procedure, and

20 it's marked yes; is that correct?

21 A Yes.

22 Q Now, this next one here it says cath size, and

23 it's got a circled thing here. What is this?

24 A That's the angiocath. The size is 22 gauge.

25 GA means gauge. And --

1                   Q     Now, you said angiocath. Does angio mean  
2 blood vessel --

3                   A     Yeah.

4                   Q     -- or vein?

5                   A     Yes.

6                   Q     And cath means what?

7                   A     Well, it's actually a little -- the angiocath  
8 itself is actually two parts. There's a metal needle that you  
9 use to put into the patient, and then you slide off a little  
10 plastic tube into the vein, and the metal part comes out. So  
11 that's -- that's what that all pertains to.

12                  Q     So you're designating which type and which  
13 size of that particular item was in the patient; is that  
14 correct?

15                  A     Exactly.

16                  Q     Is there any indication on this form as to  
17 whether or not you put that device in or not? And there may  
18 not be. I'm just asking.

19                  A     I don't -- I don't see that there is, no. It  
20 says it's in the right hand.

21                  Q     So is this -- this is right and this is hand  
22 down here under IV site?

23                  A     Right. Correct.

24                  Q     IV fluids down below. It says -- what is  
25 that?

1 A None.

2 Q Did you in your experience ever use bags of IV  
3 fluids in patients for these types of procedures?

4 A At the clinic, no, unless it would be a real  
5 exception of something that we might had to have started an IV  
6 on someone.

7 Q And then at the very right hand corner of this  
8 we have the date, obviously, and then what is -- what is  
9 depicted here in this spot?

10 A The 9:45 is the -- in the morning, that's the  
11 time we started, and then 10:17 is when patient was turned  
12 over to recovery.

13 Q Okay. We're going to talk about that in just  
14 a minute. Let's go to the back of the sheet.

15 A Okay.

16 Q Now, there's -- there are various things  
17 listed here on the record. Do you see that?

18 A I do.

19 Q And you said that it's your experience to  
20 actually go through this with the patient once they get into  
21 the room?

22 A Yes.

23 Q Is that right?

24 A Yes.

25 Q Now, just so I'm clear on this, we -- you said

1 that the turnover time could be anywhere from less than a  
2 minute to just a few minutes, meaning one patient exiting and  
3 the next patient entering.

4 A Correct.

5 Q Once the patient actually gets into the room,  
6 it's your job to hook them up to the devices, I mean, the  
7 monitors and so forth?

8 A It could be, but usually we try to have a tech  
9 do that because we were busy trying to, you know, get our --  
10 this pre-op evaluation done.

11 Q Did you try every time to at least review the  
12 history and physical that was on the charts so you were aware  
13 of what medical issues the patient may have?

14 A I tried all the time, but wasn't always  
15 successful, yes.

16 Q Okay. When you say you weren't always  
17 successful, what do you mean?

18 A It was a hurry that we wouldn't be pushed not  
19 to have to finish it. I mean, you know, just get going.

20 Q And who would be pushing you to do that?

21 A The physician, the doctor.

22 Q Which doctor?

23 A Dr. D.

24 Q Okay. When --

25 A Desai.

1 Q -- you say Dr. D, you mean --

2 A Desai.

3 Q -- Dr. who?

4 A Desai.

5 Q Okay. So just so we're clear on this, the  
6 anesthesia record that you're filling out to do your little  
7 sort of evaluation of the patient, he would push you to start  
8 before you were done doing it?

9 A Yes.

10 Q Did you feel that that was appropriate?

11 A No.

12 Q Did you feel comfortable with that?

13 A No.

14 Q Did you feel that that in any way compromised  
15 the patient potentially?

16 A Yes.

17 Q Let me go back to the first page.

18 MR. STAUDAHER: And for the record, we were just  
19 looking at States Bates No. 2602. We're back to 2601.

20 BY MR. STAUDAHER:

21 Q I want to ask you about -- well, let's --  
22 let's talk about the time down here, first of all. And we've  
23 got a lot of records we can look at, but we're not going to  
24 look at everything. Those times down there, what does that  
25 add up to in this case?

1           A     A little over 30 minutes.

2           Q     In fact, if it's 9:45 to 10:17, that would be  
3 32 minutes, would it not?

4           A     Yes.

5           Q     Is there any significance to 32 minutes of  
6 time on an anesthesia record working at the endoscopy center?

7           A     It's something that was instructed to us that  
8 that was what it had to be, that they had to be 30 minutes or  
9 longer, the time -- time factors.

10          Q     Now, you worked 33 years in California.

11          A     Yes.

12          Q     In 33 years in California, did you ever put  
13 down time on an anesthesia record that wasn't accurate?

14          A     No.

15          Q     You come to Las Vegas, and if they're telling  
16 you a time before you've even done this patient that this  
17 needs to be, would that -- I mean, it certainly could be  
18 accurate if the patient had actually taken that long; is that  
19 correct?

20          A     That's correct.

21          Q     But you're pre told that this record and all  
22 others you do needs to be greater than 30 minutes?

23          A     Yes.

24          Q     Who told you that?

25          A     When I started it was Ann Lobiondo and Dr. D.

1 both emphatically made clear that that's what had to be done.

2 Q Now, specifically, Dr. Desai, after you  
3 started, did this ever come up again where he reiterated that  
4 in any way?

5 A Many times. If we would have messed up on the  
6 records, they would be evaluated somewhere along the line and  
7 they'd come back to us and tell us to make sure it was done  
8 properly.

9 Q But specifically, Dr. Desai, did he tell you  
10 over the time repeatedly to do this?

11 A At times, yes.

12 Q Now, I'm going to look over here to the area  
13 where that 32-minute time period is on this particular  
14 patient. And on this particular patient we also have a whole  
15 listing of sort of these checkmarks for blood pressure,  
16 respiration, O2 sats, all that stuff that you mentioned;  
17 right?

18 A Correct.

19 Q Those markings go out for the full time, the  
20 full 30 -- 30 plus minutes; correct?

21 A Correct.

22 Q Now, we -- we'll go over to the chart in a  
23 minute, but I'll represent to you this is Rodolfo Meana's  
24 chart. And we have a big board that has the summary  
25 information on it that we'll --

1           A     Okay.

2           Q     -- I'm going to bring you down to it in just a  
3 bit. But suffice it to say that the procedures didn't last  
4 that long, did they?

5           A     Ordinarily, no.

6           Q     So we've got Dr. Carrol on this one, though;  
7 correct?

8           A     Yes.

9           Q     And you said he was one of the faster ones,  
10 too?

11          A     He used to brag about how fast he could do it,  
12 yes.

13          Q     So if this is not an accurate time, it's far  
14 less -- and what was the average time that they're doing these  
15 procedures? I mean, you said Dr. Desai was five to ten  
16 minutes for a colonoscopy. Dr. Carrol, where was he in the  
17 ballpark range?

18          A     Tried to be about the same somewhere.

19          Q     So if we're doing a procedure that's five to  
20 ten minutes and we've got 32 minutes of anesthesia time and 32  
21 minute of vital signs and the like marked down here, is that  
22 accurate?

23          A     No, it isn't.

24          Q     Okay. And is this something you put down on  
25 this record?



1                   A     Yes, I did.

2                   Q     Why did you do that?

3                   A     Because that's what I was told it had to be.

4                   Q     By who?

5                   A     Dr. D.

6                   Q     Did he explain to you why he wanted it that

7 way?

8                   A     I -- I was told all along that there was a

9 global fee for anesthesia and that time didn't make any

10 difference. So I guess I was told different things, but I

11 don't remember how many times I was told.

12                  Q     But you knew that this was false information;

13 correct?

14                  A     Yes.

15                  Q     And you knew that was a patient record that

16 somebody down the road might rely on, like yourself.

17                  A     Yes.

18                  Q     But you agreed to put down this false

19 information?

20                  A     Yes, we did.

21                  Q     Now, even though Dr. Desai told you that there

22 was a global fee for anesthesia, did you ever question him or

23 refuse to do this?

24                  A     I don't remember about refusing, no. I mean,

25 I was just told that's what we had to do.

1 Q If you didn't do it, what happened?

2 A I probably wouldn't have been working there.

3 Q But would Dr. Desai do or say anything to you  
4 if you didn't do it right?

5 A It would -- I don't know if it would be him,  
6 but it would come back through the upper office. We used to  
7 say, you know, that the record would come -- go up to Tony or  
8 somewhere, and then they would come back down maybe a day or  
9 two later or a week later and tell us to correct the, you  
10 know, the times and all that type -- type of things that  
11 needed to be corrected. So I don't know if it was Dr. D.  
12 telling her what had to be or, you know, us directly. But, I  
13 mean, we knew it. It was an implied -- an implied order.

14 Q But did he follow up on that to make sure -- I  
15 mean, was it coming from him basically where he's told you  
16 this before and yelled at you for that?

17 A He would check the charts at different times,  
18 yes.

19 Q Now, let's go back to California. Let's take  
20 a break and go back to California for just a minute. You were  
21 doing 33 years of anesthesia work in your own group as by your  
22 testimony.

23 A Correct.

24 Q Who billed for you?

25 A We had a billing service.

1           Q     Now, the billing service that you used, what  
2 basis did they use to bill the patients?

3           A     Are you talking about the anesthesia -- I  
4 mean, the ASA codes you're talking about?

5           Q     Both, but I'm saying how would -- how would  
6 they know what to bill for your services first?

7           A     Well, we -- we would have to look and see what  
8 the procedure was, if it was an appendectomy or gall bladder  
9 or whatever, there would be a certain code number that would  
10 be put down for that, and then the billing company would go  
11 according to that, you know, and know that. But then our time  
12 would be on the record as well so that they would be billing  
13 the time, plus whatever the code gave them.

14          Q     So the code would give a base amount; is that  
15 right?

16          A     Yes. Uh-huh.

17          Q     And then how much time you were in the room  
18 would be added to that?

19          A     Correct.

20          Q     So is it fair to say that time is money in  
21 anesthesia billing?

22          A     Yes, it can be. Sure.

23          Q     Okay. And in your 33 years of experience in  
24 California, you put down these same types of hash marks on  
25 records; right?

1 A Yes.

2 Q And when you got to the end you had a time  
3 that was listed for the procedure?

4 A Right.

5 Q Did you ever fabricate any records over in  
6 California?

7 A No, I didn't.

8 Q And is it fair to say that you were aware that  
9 this record would be used to go to an insurance company to  
10 eventually get reimbursement for your services?

11 A Looking back, yes. But at the time, you know,  
12 it was -- we had no idea what was going on as far as billing.  
13 I mean, it was a very secretive type thing. Money was -- was  
14 not talked about. I was not aware that there was a billing  
15 service and that going on.

16 Q Well, let's talk about your experience in  
17 California. You were aware when these forms were submitted  
18 that they were sent to the insurance company for  
19 reimbursement, clearly.

20 A Correct.

21 Q And that the amount of time you put down would  
22 depend on -- would actually correlate with how much money you  
23 got back; correct?

24 A Yes.

25 Q So in those instances would you ever fabricate

1 any of the information on these forms?

2 A You're talking about here or there?

3 Q In California.

4 A No, absolutely not.

5 Q So when you came out here and Dr. Desai said,  
6 you know what, global fee doesn't matter. Just put this down  
7 as 32 minutes. I mean, clearly you're putting information  
8 down that isn't accurate.

9 A That's right.

10 MR. SANTACROCE: I'm going to object as it was asked  
11 and answered.

12 THE COURT: Well, overruled.

13 Go on.

14 BY MR. STAUDAHER:

15 Q So why was it, even though he's telling you --  
16 and why does it matter what you put down if there's just a  
17 global time for anesthesia. You could have put down one box.

18 A It was so the record would look proper, I  
19 guess, again --

20 Q There we go.

21 A -- going to the insurance company. Yes.

22 Q That's what I'm talking about.

23 A Yeah.

24 Q So you knew it was --

25 MR. WRIGHT: Objecting to --

1 BY MR. STAUDAHER:

2 Q -- going to go to the insurance --

3 MR. WRIGHT: -- the guess.

4 THE COURT: Yeah.

5 MR. STAUDAHER: Well, we can -- I'll go back, Your  
6 Honor. That's okay.

7 THE COURT: Don't -- don't speculate. So ask the  
8 question. You can ask it a different way.

9 BY MR. STAUDAHER:

10 Q 33 years of experience doing this; correct?

11 A Yes.

12 Q You know these records go to the insurance  
13 company; correct?

14 A Yes.

15 Q When these records go to the insurance company  
16 you get reimbursed for them; correct?

17 A Correct.

18 Q So you know that this -- what you're putting  
19 down here is going to go to the insurance company.

20 A Correct.

21 Q So when Dr. Desai tells you global fee, put  
22 this down, why don't you not just do it? Excuse me. That was  
23 a bad way to ask that. Why do you do this even though you  
24 know it doesn't matter, or at least he's telling you it  
25 doesn't matter?

1           A     I can't answer you, truthfully. I don't know  
2 why we did it. I don't know why I did it. I don't know.  
3 Because of that we -- that's what we were told we had to do, I  
4 guess.

5           Q     What is a billing unit? In time, what is a  
6 billing unit?

7           A     15 minutes of -- of time.

8           Q     Is that pretty standard across every place  
9 you've ever worked?

10          A     Yes, as far as I recall.

11          Q     Does it matter whether you're an  
12 anesthesiologist, an MD, or a nurse anesthetist?

13          A     No.

14          Q     So the time is the time.

15          A     Time is time.

16          Q     Do you know if there's a difference in the  
17 amount of -- if the amount of reimbursement for a CRNA for 15  
18 minutes of time versus an MD for 15 minutes of time?

19          A     I think some of the plans have a different --  
20 like Medicare probably would be a difference.

21          Q     But in the instances that you worked, I mean,  
22 you worked in those facilities, you did your own work, was  
23 there a difference between like if you went in for a -- in  
24 California, if you went in for a colonoscopy procedure and did  
25 it versus an MD doing it, do you know if there would be a

1 difference in reimbursement?

2 A I don't know.

3 Q Okay. But in your experience it was a fixed  
4 amount of money?

5 A Correct.

6 Q For upper endoscopies, do you remember what  
7 the code was that was used?

8 A I do not.

9 Q Do you remember what the base units that were  
10 given for those procedures?

11 A I do not.

12 MR. SANTACROCE: Can I ask you to have him speak up,  
13 please.

14 THE COURT: All right. You said I do not, was  
15 that --

16 THE WITNESS: Yeah.

17 THE COURT: And then just -- that black box there is  
18 the microphone.

19 THE WITNESS: Thanks.

20 THE COURT: Just be mindful. It's kind of hard to  
21 hear in here.

22 BY MR. STAUDAHER:

23 Q When you did these procedures, I mean, and you  
24 write down these forms, if you were -- let's go back to  
25 California where you were [inaudible] for sure. If you get



1 into another increment, meaning you're past the 15 minutes and  
2 you're into the next 15 minutes, can you bill and would you  
3 bill for that time?

4 A If it was up to 10 minutes, we would bill.  
5 Otherwise, we did not bill.

6 Q So if you went 15 minutes and -- let's say,  
7 actually, 17 minutes, you wouldn't bill for the other unit?

8 A No, I would not.

9 Q That's what you did in California?

10 A Correct.

11 Q Okay. And yet you're told that you have to  
12 bill for more than 30 minutes, 31 or 32 minutes here; is that  
13 correct?

14 A Correct.

15 MR. STAUDAHER: Court's indulgence, please.

16 THE COURT: That's fine.

17 MR. STAUDAHER: Your Honor, could we approach for a  
18 moment?

19 THE COURT: Sure.

20 (Off-record bench conference.)

21 BY MR. STAUDAHER:

22 Q Let's talk about the records, too. This is  
23 your record, the one that you -- I mean, this is actually your  
24 record on this particular patient; right?

25 A Correct.

1 Q Did you see other records that were done at  
2 the clinic, the nursing records on the charts and things like  
3 that during the time that you were there?

4 A You're referring to patients that I had or  
5 other CRNAs?

6 Q Well, let's talk about ones for you, first of  
7 all. Did you see other -- and I'm not talking about the CRNA  
8 records like this at this point. I'm talking about the other  
9 -- you know, there was a pre-op procedure form, there was a  
10 post-op procedure form, there was a procedure form, that kind  
11 of thing. Did you see those kinds of records?

12 A Most of the time, yes.

13 Q Do you know what the term precharting means?

14 A Yes.

15 Q What does that mean?

16 A It's where something is put on the chart  
17 before it actually happened.

18 Q Did you see that going on at the clinic?

19 A Yes.

20 Q On a regular basis?

21 A Yes.

22 Q Daily basis?

23 A Yes.

24 Q Lots of charts?

25 A I think probably most of them.

1 Q Why was that, do you know?

2 A Because the nurses would tell us they just  
3 didn't have time to do it all when the patients were out there  
4 and the -- the rapidity of things that were going on.

5 Q So if I understand you correctly, you've seen  
6 records filled out before the patient's even gotten a  
7 procedure done?

8 A Certain parts of it, yes.

9 Q I mean, parts that you would have to have the  
10 procedure done to fill out, correct, if you did it  
11 legitimately?

12 A Correct.

13 Q Now, in fact, I mean, you said that at some  
14 point if -- I want to make sure I'm clear on this. Before the  
15 patient actually gets into your room, the record that you  
16 receive with the patient would have information filled out by  
17 the nurse in advance for things that had not yet happened?

18 A Yes.

19 Q The general atmosphere that was in there, I  
20 know you've talked about the issue of having your foot rot and  
21 so forth, but as far as the -- the speed and the pressure and  
22 so forth, I mean, what was the general atmosphere around, not  
23 just the procedure room that you were in, but around the  
24 staff? How did the staff react to this time -- time crunch?

25 A Very stressful. I mean, it was just speed,

1 speed, speed, speed, come on, let's go faster, and that type  
2 of thing.

3 Q And did it give you concern about not just  
4 what you were doing, but other people were rushed so much that  
5 they might cause trouble, cause mistakes?

6 A Yes, I did.

7 Q Why did you all do it?

8 MR. WRIGHT: Speak for himself.

9 BY MR. STAUDAHER:

10 Q Okay. Why did you do it?

11 A Looking back, I don't know why. I purchased a  
12 house here, I guess, and maybe that was the reason. We moved  
13 here, you know, and if I had known things beforehand I would  
14 have never come. That's the way it would have been.

15 Q When you came here, what was your -- what was  
16 your starting salary back in 2003?

17 A I think it was 120,000 a year.

18 Q Were you given any kind of bonuses as things  
19 -- as time went on during the year?

20 A We were to get a \$5,000 bonus, I think, every  
21 four months.

22 Q So another 20,000. So about 140,000 is what  
23 you started with?

24 A Yes.

25 Q Is that what you were making back in

1 California?

2 A No.

3 Q Or is that better?

4 A Less.

5 Q Less? So you were making more in California?

6 A Oh, yes.

7 Q Now, you -- were you still actively working in  
8 California or had you stopped working? What was the --

9 A No, I had retired in June or July of '02.

10 Q And I know we're going to take a break in  
11 about five minute for lunch, but I want to cover one area  
12 before we -- we do that.

13 MR. STAUDAHER: And I need one document before we do  
14 that, Your Honor.

15 THE COURT: That's fine.

16 Did you have the job prior to moving from California  
17 to Las Vegas?

18 THE WITNESS: I worked for myself.

19 THE COURT: Okay. So you hadn't been hired yet, or  
20 had you -- was the job in place before you moved?

21 THE WITNESS: The job was in place. I was hired in  
22 like in November of December something like that of '02.  
23 Yeah. I didn't move up here until sometime in January.

24 THE COURT: Okay.

25 MR. STAUDAHER: They're looking at the document,

1 Your Honor.

2 BY MR. STAUDAHER:

3 Q PacifiCare.

4 A Yes.

5 Q One insurance company specifically. The --  
6 the insurance company PacifiCare, was it treated differently  
7 than some of the other insurance companies, at least the  
8 patients that came in?

9 A Are you talking --

10 MR. SANTACROCE: I didn't hear your question. I'm  
11 sorry.

12 BY MR. STAUDAHER:

13 Q I said was PacifiCare -- were PacifiCare  
14 patients treated differently than other patients of other  
15 insurance companies?

16 A Some were. I don't remember if it was '03 or  
17 '04. It must have been '04 that there was -- they were  
18 treated differently. I mean, before that I don't think they  
19 were.

20 Q Okay. So what happened after '04? How were  
21 they treated differently?

22 A We were given the directive that we could not  
23 do PacifiCare patients simultaneously or one right after the  
24 other. There would have to be another patient or two patients  
25 in between the patients. You know, if there were two

1 PacifiCares, there'd have to be two patients or one patient in  
2 between them.

3 Q So there had to be interruption --

4 A Uh-huh.

5 Q -- and you could never have two together?

6 A That's what we were told, yes.

7 Q Okay. Why was that?

8 A Why was it? I was because I think there was a  
9 phone call somewhere along the line that came to my attention,  
10 but I -- I never --

11 MR. SANTACROCE: I'm going to objection to  
12 foundation, how he knows this.

13 THE COURT: Well, all right. Lay a foundation.

14 MR. STAUDAHER: What is the problem? You've seen  
15 these in advance.

16 MR. WRIGHT: We object to the highlight.

17 MR. STAUDAHER: Okay. I'm going to show him and ask  
18 him specifics.

19 MR. WRIGHT: Okay. Is this the exhibit?

20 MR. STAUDAHER: Yeah, this is the exhibit.

21 MR. WRIGHT: Okay. Well, why did we highlight it?

22 MR. STAUDAHER: You saw the exhibits are highlighted  
23 because I want a specific [inaudible].

24 MR. WRIGHT: Can we approach, Your Honor?

25 THE COURT: Well, let's -- I don't know about you,

1 but I could use lunch. Why don't we go ahead and take our  
2 lunch break now. We'll have an hour for lunch. So that's --  
3 basically we'll be back from the lunch break at 1:15.

4 And, ladies and gentlemen, during the lunch break  
5 you're of course reminded again that you are not to discuss  
6 the case or anything relating to the case with each or with  
7 anyone else. You're not to read, watch, or listen to any  
8 reports of or commentaries in this case, any person or subject  
9 matter relating to the case by any medium of information.  
10 Don't do any independent research by way of the Internet or  
11 any other medium, and please do not form or express an opinion  
12 on the trial.

13 Would you all please place your notepads in your  
14 chairs and follow the bailiff through the rear door.

15 (Jury recessed at 12:10 p.m.)

16 THE COURT: And, Mr. Mathahs, you're excused for the  
17 lunch recess. I don't know if they want you to hang around or  
18 not. But --

19 MR. STAUDAHER: No.

20 THE COURT: -- as far as the Court's concerned,  
21 you're excused to go to lunch. And the only thing I would  
22 tell you is the admonition not to discuss your testimony with  
23 anybody who may be a witness in this case. Obviously, you can  
24 talk to your lawyers --

25 THE WITNESS: Okay.



1 THE COURT: -- about it if you want to. Okay. Sir,  
2 thank you, you are excused.

3 Everyone can be seated. All right. Since the jury  
4 has been excused, Mr. Wright, you had wanted to approach the  
5 bench but we don't need to --

6 MR. WRIGHT: Okay.

7 THE COURT: -- have a bench conversation,  
8 apparently, about the exhibits. I'm assuming that's what you  
9 wanted to approach the bench about?

10 MR. WRIGHT: Yes.

11 THE COURT: Okay.

12 MR. WRIGHT: The exhibits are all highlighted.  
13 These are the Court's exhibits.

14 THE COURT: Okay.

15 MR. WRIGHT: And they're -- this isn't the way they  
16 were. I mean --

17 THE COURT: Right.

18 MR. WRIGHT: -- they've been highlighted by the  
19 State. I simply never experienced where we highlight the  
20 exhibits and then they go to the jury room and it was placed  
21 on there by the prosecutor. I never have.

22 THE COURT: Mr. Staudaher.

23 MR. WRIGHT: So I object to that.

24 MR. STAUDAHER: They are the actual words. Whether  
25 they're -- it does no difference to me pointing to a specific

1 place on the record, but it shows the -- it shows the jury  
2 where I want them to look --

3 THE COURT: Okay.

4 MR. STAUDAHER: -- when I'm talking to the person --

5 THE COURT: Here's the thing.

6 MR. STAUDAHER: -- when we have a document.

7 THE COURT: You can use the highlighted exhibits,  
8 but we can also admit non-highlighted exhibits.

9 MR. STAUDAHER: I don't have any problem with that.

10 THE COURT: And you have to explain that this was  
11 done by you and it's not the condition of the exhibit at all.

12 MR. STAUDAHER: That's fine. I don't have an issue  
13 with that.

14 THE COURT: And then, you know, we'll have  
15 non-highlighted exhibits to show that this is really the  
16 condition, and then when you put the exhibit up just make it  
17 very clear that these highlights -- highlights were added by  
18 you so you could direct the witness or something like that.

19 MR. STAUDAHER: Fair enough.

20 THE COURT: And as long as it's clear on the record  
21 who did the highlighting and for what purpose, I'm fine with  
22 that.

23 Would you be fine with that, Mr. Wright?

24 MR. WRIGHT: Yes, Your Honor.

25 THE COURT: Okay.

1 MR. WRIGHT: The exhibits and the one that go to the  
2 jury don't have any highlight.

3 THE COURT: Right.

4 MR. STAUDAHER: That's fine.

5 MR. WRIGHT: Okay.

6 MR. STAUDAHER: I don't have a problem with that.

7 THE COURT: Okay.

8 MR. STAUDAHER: I just want to --

9 THE COURT: But he could publish, clearly, the  
10 highlighted exhibit so the jurors can, you know, follow along  
11 with that. All right. Anything else we need to do?

12 MR. SANTACROCE: My last objection you didn't rule  
13 on that about foundation.

14 THE COURT: I said Mr. Staudaher, lay a foundation.

15 MR. SANTACROCE: Oh, I didn't hear you.

16 THE COURT: Because it wasn't --

17 MR. STAUDAHER: Oh, I don't even remember what  
18 the --

19 THE COURT: -- clear to me how he knew about that,  
20 so you need -- and then he said he'd overheard a telephone  
21 call, so I think that's how he knows, but --

22 MR. SANTACROCE: Okay. Who, what, when, and where.

23 THE COURT: Well, I know. I said he can -- at first  
24 I was concerned was he speculating that he said he overheard it  
25 on a telephone call.

1           So maybe, Mr. Staudaher, you can make that  
2 clearer --

3           MR. STAUDAHER: Certainly, Your Honor.

4           THE COURT: -- how he learned about this. He  
5 overheard a telephone call, where was the phone call,  
6 etcetera.

7           MR. STAUDAHER: Yes. And then later on you'll hear  
8 other -- well, I'll get it in, Your Honor.

9           THE COURT: Anywho, or how. So I did -- I did tell  
10 him that, Mr. Staudaher, but he and Mr. Wright were conferring  
11 over the exhibits, so I don't think Mr. Staudaher heard, and  
12 then we took our break. So the witness --

13          MR. STAUDAHER: Thank you, Your Honor.

14          THE COURT: You can kind of --

15          MR. WRIGHT: Thank you.

16          THE COURT: -- back up a little bit, Mr. Staudaher,  
17 with the witness.

18          MR. STAUDAHER: I'll just start -- I just started  
19 the PacifiCare thing.

20          THE COURT: Right. Okay.

21          (Court recessed at 12:14 p.m., until 1:19 p.m.)

22          (In the presence of the jury.)

23          THE COURT: All right. Court is now back in  
24 session. Everyone may be seated.

25          And, Mr. Mathahs, you are still under oath.

1           Mr. Staudaher, you may resume your direct  
2 examination.

3           MR. STAUDAHER: Thank you, Your Honor.

4 BY MR. STAUDAHER:

5           Q       We were just starting to talk about  
6 PacifiCare, but I want to ask you two questions kind of back  
7 on the pre-charting issue before we get to that. One of the  
8 things that you had talked about a moment earlier when you  
9 were on the stand was the fact that some of these charts would  
10 be brought into the room before patients -- and filled out to  
11 some degree before the patients were even brought in, is that  
12 fair?

13           A       Yes.

14           Q       Okay. In fact, at some point did you ever see  
15 any records that were -- that essentially had the patient  
16 discharged from the facility before they even came into the  
17 room for the procedure?

18           A       It's possible. I can't recall right at the  
19 moment, but it's possible.

20           Q       Okay. I have left up there, I just put up  
21 there a moment ago a copy of a statement that you gave during  
22 -- or prior to your pleading guilty in this particular case.  
23 So if you need to refer to that, I might refer you to some  
24 specific pages. Okay?

25           A       Okay.

1 MR. STAUDAHER: For counsel, I'm referring  
2 specifically to page 7 of the document.

3 BY MR. STAUDAHER:

4 Q And you can grab that. I'd like you to look  
5 at it and refresh your memory. It's near the bottom of the  
6 page, the last couple questions and answers at the bottom of  
7 the page. Read that to yourself and then put it aside when  
8 you're done and tell me if that doesn't refresh your memory as  
9 to that issue.

10 A Okay.

11 Q Does that refresh your memory?

12 A Yes.

13 Q Okay. So as far as the chart, any indication  
14 that at times at least the charts were filled out completely  
15 before they were brought into the room, patients, that is.

16 A They could have been, yes, absolutely.

17 Q Is that what you said in your -- in your  
18 statement?

19 A I'm talking about -- you're talking about the  
20 nurse's charts?

21 Q Yes, nurse's charts. I'm not talking about  
22 your charts.

23 A Right. I said yes, the entire chart could  
24 have been filled out except for times, I guess.

25 Q In fact, if you go to the, I think it's the

1 one, two, three, four, the second answer to the bottom, do you  
2 indicate in there that they would even have the patients  
3 discharged from the facility before they even came into your  
4 room?

5 A Correct.

6 Q I'd like to move to the PacifiCare. You can  
7 set that aside, if you would. If you need to refer to it  
8 later on, we'll do that. Okay?

9 A Okay.

10 Q I want to ask you about the PacifiCare issue.  
11 Now, you said before the break that you couldn't have  
12 PacifiCare patients back to back. Do you recall that?

13 A I recall when the directive came down. Yes.  
14 Uh-huh.

15 Q And was that the way it was until you stopped  
16 working?

17 A Yes.

18 Q I'm going to direct your attention to Exhibit  
19 81. I'm going to display that in a moment, but before I do so  
20 there is some highlighting that is on this document. And I  
21 will represent to you and to the jury that that is something  
22 that was not contained in the original document and something  
23 that I have done so that I can direct you to the areas of the  
24 document that I want to ask you questions about. Okay?

25 A Okay.

1           Q     And there will be a succession of document I  
2 show you thereafter that are in the same way. The  
3 highlighting is something I put on there to -- to facilitate  
4 us getting to the information that I want to ask you about.  
5 Okay?

6           A     Okay.

7           Q     It will not be something that will be  
8 contained on the exhibits when they go back to the jury room.  
9 A clean copy will be provided. Okay?

10          A     Okay.

11          MR. STAUDAHER: May I display, Your Honor?

12          THE COURT: Yes.

13 BY MR. STAUDAHER:

14          Q     Now, you had mentioned the time period that  
15 you believe that this information was disseminated to you was  
16 in 2004; is that correct?

17          A     As far as I can remember back. I think it was  
18 '04, yes.

19          Q     And as you can see, even the date of this  
20 particular memo is January 23, 2004. Do you see that?

21          A     Yes, I do.

22          Q     In here is some discretion -- or some  
23 discussion about the fact that there are to be 42 patients  
24 scheduled in Endo 1 in time slot allotted. Do you remember me  
25 asking you questions about double booking and the like?



1 A Yes.

2 Q And you said that that did occur?

3 A Yes.

4 Q Actually, this memo talks about that directly,  
5 does it not? That fill up all the time slots before any  
6 double booking?

7 A Correct.

8 Q And then it actually gives an example of what  
9 double booking is. Do you see that?

10 MR. WRIGHT: I'm going to object to the  
11 summarization and leading.

12 THE COURT: Well, go on. Try not -- just be  
13 mindful, Mr. Staudaher.

14 MR. STAUDAHER: Yes.

15 BY MR. STAUDAHER:

16 Q I want to -- so there's discussion there about  
17 the double booking; correct?

18 A I see that, yes.

19 Q Now, I want to refer to No. 3, this area here.  
20 Does this comport -- does this match up with the things that  
21 you were told about not having PacifiCare patients back to  
22 back?

23 A Yes.

24 Q So in this particular example, there is  
25 actually an example given of how to schedule patients with

1 different insurance companies, do you see that?

2 A I do.

3 Q So HPN, as an example, then PacifiCare, then  
4 Aetna, then PacifiCare?

5 A Correct.

6 Q Okay. Is that the way that you did things,  
7 not just you, but the whole clinic did things when you worked  
8 there after 2004?

9 A Yes.

10 Q Do you know why that was done?

11 A It was because of the -- evidently the --

12 MR. WRIGHT: Objection.

13 THE WITNESS: -- insurance company --

14 MR. WRIGHT: Foundation.

15 THE COURT: All right. Lay a foundation.

16 BY MR. STAUDAHER:

17 Q Do you know why? Let's -- let me see, were  
18 you ever --

19 THE COURT: Well, he can answer yes or no --

20 MR. STAUDAHER: Okay.

21 THE COURT: -- and then how do you know -- how do  
22 you know, you know, did someone tell you, did you overhear a  
23 conversation, etcetera?

24 BY MR. STAUDAHER:

25 Q Do you know why this was done?

1 A Yes.

2 Q Why?

3 MR. WRIGHT: Well --

4 THE COURT: How do you --

5 MR. WRIGHT: -- how?

6 THE COURT: -- know -- how is it that you --

7 MR. STAUDAHER: I'll go through --

8 THE COURT: -- learned why --

9 MR. STAUDAHER: -- the steps, Your Honor.

10 THE COURT: -- this was done?

11 BY MR. STAUDAHER:

12 Q How did you learn this?

13 A That this memo came out, you mean? It was

14 because of the fact that a phone call had come from an

15 insurance company.

16 MR. WRIGHT: Foundation.

17 THE COURT: Did you overhear the phone call or did

18 someone tell you about the phone call or how did you learn of

19 the phone call?

20 THE WITNESS: Someone came and told me that I had a

21 phone call from an insurance company and Dr. Desai was doing

22 the procedure and he stopped the procedure and said I will

23 take it.

24 THE COURT: All right. Go on, Mr. Staudaher.

25 BY MR. STAUDAHER:

1           Q     Okay. So this is -- actually Dr. Desai is  
2 present when all of this is going on?

3           A     Yes.

4           Q     So tell us what happened.

5           A     Well, he -- after he came back, that's when  
6 the decision was told to me that we are not to do back to back  
7 patients. I just thought it was PacifiCare. I didn't realize  
8 that there were other insurance companies. At least I don't  
9 recall that.

10          Q     So this is something Desai comes back and  
11 tells you?

12          A     Yes.

13          Q     Okay. So Desai tells you specifically, you  
14 personally, that you're not to have PacifiCare patients back  
15 to back, you're supposed to separate them?

16          A     Correct.

17          Q     And in that example, so we're clear, going  
18 back to 81, it's talking about PacifiCare, not other insurance  
19 companies being separated, and then it gives an example of how  
20 to separate them; correct?

21          A     Correct.

22          Q     So after that conversation with Desai, is that  
23 what you would all do thereafter?

24          A     I know I did, and I'm sure the rest of them  
25 were instructed. I know Ann was instructed because she had

1 told me the same thing, you know, that's the way it had to be  
2 done.

3 Q Okay. So this is something that clearly --

4 MR. WRIGHT: I object to --

5 BY MR. STAUDAHER:

6 Q -- Dr. Desai is --

7 MR. WRIGHT: -- hearsay. I mean, we can ask him  
8 proper questions where he won't elicit that.

9 MR. SANTACROCE: And I'm going to object as to  
10 speculation as to the other ones. He only said one.

11 THE COURT: It's sustained as to speculation. It's  
12 overruled as to what he personally knew and what was told to  
13 him by other people in the clinic who were doing the same  
14 thing.

15 Go on.

16 BY MR. STAUDAHER:

17 Q But let's be clear on this. Dr. Desai was the  
18 one who at least at one point told you this specifically?

19 A Yes.

20 MR. WRIGHT: Asked and answered.

21 MR. STAUDAHER: Well, we're --

22 MR. WRIGHT: That's --

23 MR. STAUDAHER: -- going back to make sure.

24 THE COURT: Okay. Just overruled.

25 MR. WRIGHT: I object.

1 BY MR. STAUDAHER:

2 Q Is that correct?

3 MR. WRIGHT: I object, Your Honor. I don't know --

4 MR. STAUDAHER: Your objection is on the record,  
5 sir.

6 MR. WRIGHT: My objection is we don't need to go  
7 back to make sure on every question.

8 THE COURT: That's correct. I mean, we had an  
9 interruption, so I allowed Mr. Staudaher to go back to where  
10 he was prior to the objection and the Court's sustaining part  
11 of the objection and overruling part of the objection. So now  
12 we know where we are and please proceed.

13 BY MR. STAUDAHER:

14 Q With regard to the reason that Desai wanted  
15 you to do that, did he ever tell you specifically as to why  
16 that should be done?

17 A I recall that it was because of the fact that  
18 the insurance companies were getting bills, you know,  
19 consecutively, you know.

20 MR. WRIGHT: Can we have a foundation.

21 MR. STAUDAHER: This is discussion with Dr. Desai.

22 MR. WRIGHT: I'd like a foundation as to when and  
23 where.

24 THE COURT: All right. When did you -- Mr. -- can  
25 you lay a foundation. When did this conversation occur, was

1 it in the clinic, etcetera.

2 BY MR. STAUDAHER:

3 Q And we'll go to that in a minute. You have  
4 the conversation after the phone call with Dr. Desai; correct?

5 MR. WRIGHT: Objection.

6 MR. STAUDAHER: I'm just bringing --

7 THE COURT: Overruled.

8 MR. STAUDAHER: -- us back.

9 THE COURT: I mean, again, we're getting back to  
10 where we were before the discussion on the objection.

11 So go on, Mr. Staudaher.

12 BY MR. STAUDAHER:

13 Q So in relation to Dr. Desai telling you this  
14 should be done, the part about why it should be done, was that  
15 in the same conversation or was that later?

16 A As far as I recall it would have been at the  
17 same time because of the back to back patients.

18 Q And so what was the explanation, then?

19 A That we couldn't do them back to back because  
20 of the times, the time factor and the insurance company was  
21 questioning, which I didn't know. But, I mean, that's what  
22 they called about was they were questioning why the times were  
23 overlapping, I'm sure.

24 Q But that's what he's telling you?

25 A Yes.

1           Q     The insurance company is calling, we can't do  
2 them back to back because of the time?

3           A     Yes.

4           Q     Now, you had mentioned -- you had mentioned  
5 that there were some items that were actually utilized for  
6 doing your anesthesia work; correct?

7           A     Correct.

8           MR. STAUDAHER: As a matter of fact, may I just  
9 approach, Your Honor?

10          THE COURT: You may.

11 BY MR. STAUDAHER:

12          Q     Showing you what has been -- this is Exhibit  
13 -- let's see, Exhibit 70I, 72A, 72B, 70H, and 70G.

14          MR. STAUDAHER: I believe these were all stipulated  
15 to admission, if I understand.

16          THE COURT: Is that correct?

17          MR. WRIGHT: Yes.

18          THE COURT: All right. Those are all admitted,  
19 then.

20          (State's Exhibit 70I, 72A, 72B, 70H and 70G admitted.)

21 BY MR. STAUDAHER:

22          Q     Do you recognize these various items?

23          A     Absolutely, yes.

24          Q     Are they things you used in your practice?

25          A     Every day.



1 Q Every day.

2 MR. STAUDAHER: May I publish, Your Honor?

3 THE COURT: You may.

4 BY MR. STAUDAHER:

5 Q When I was talking to you before, you  
6 mentioned a device called an angiocath, do you recall that?

7 A Yes.

8 Q And showing you --

9 MR. STAUDAHER: I'm going to have to actually take  
10 it out of the package. I'll put it back in, Madam Clerk.

11 MR. SANTIACROCE: Can you tell me what exhibit that  
12 is?

13 MR. STAUDAHER: This is 72B.

14 BY MR. STAUDAHER:

15 Q So what are we looking at here?

16 A That's the angiocath with a sterile tube that  
17 slides off of it right in the package itself the way it would  
18 come from the factory.

19 Q So there's -- inside this container -- we  
20 won't undo it, you can look through it, it's semi-transparent  
21 down at this end over here --

22 A Right.

23 Q -- is that correct?

24 A Correct.

25 Q And in there it appears to be a needle with

1 some sort of white plastic sheathing over the top of it.

2 A Yes.

3 Q What is the difference -- what is what white  
4 plastic sheathing?

5 A That was the tube, the little plastic tube  
6 that would have been inserted into the vein after you  
7 punctured the vein with the metal portion of the needle.

8 Q So if I understand correctly, the needle with  
9 the sheath goes into the vein, then the needle is withdrawn  
10 and the sheath remains?

11 A Correct.

12 Q Is that what allows you to get access to the  
13 person's blood system?

14 A Correct.

15 Q So you can administer medication?

16 A Correct.

17 Q Is that what you used to do that?

18 A Yes. Uh-huh.

19 Q So this is one device. We have another one,  
20 which is Exhibit 72A. The first one was yellow. This one is  
21 blue in nature, but it looks very similar. What is the  
22 different between the two?

23 A This one says 22 gauge, which would be a  
24 little larger. And the yellow one is a 24, which would be a  
25 little smaller.

1 Q So they were just different sizes?  
2 A Just different sizes.  
3 Q Length or width?  
4 A I'm sorry?  
5 Q Length or width, the diameter of the needle?  
6 A Oh, the diameter. You would evaluate it when  
7 you're looking at a vein. Smaller veins you'd use the  
8 smaller.  
9 Q Showing you what has been marked and admitted  
10 as 70I. Can you tell us what that item is?  
11 A That's just the port that you would put on the  
12 IV. I mean, after the needle was in the person, and then it  
13 would be so you could use it on the end where it's --  
14 Q Use your fingernail.  
15 THE COURT: If you touch it --  
16 THE WITNESS: Oh, your nail?  
17 THE COURT: -- that'll make a mark.  
18 THE WITNESS: Okay. There. That, there's a port  
19 there, that's where actually the needle would go in. It's  
20 silicon or rubber or something that you can push a needle into  
21 it and give the medication, and the medication would go this  
22 way into the patient.  
23 BY MR. STAUDAHNER:  
24 Q Okay. So on this end over here, does this --  
25 is this the part that screws into the angiocath that you would

1 put into the patient?

2 A Yes.

3 Q And this part on the end here is where the  
4 needle would go into, and then into the patient?

5 A That's -- yes, that's the port end. Uh-huh.

6 Q Okay. And is this the type of device that you  
7 would use at the clinic?

8 A Yes.

9 Q Is this also known as what's called a  
10 hep-lock?

11 A Yes.

12 Q And why is it called that, do you know?

13 A Because sometimes a patient would have had a  
14 catheter left in, an angiocath left in, and they would have  
15 been given heparin so that you wouldn't have -- have any  
16 clotting or something like that. It would prevent clotting of  
17 the blood in the -- in the IV itself around the angiocath.

18 Q Do you all use heparin in that capacity at the  
19 clinic?

20 A No.

21 Q So that's just the name for what it's used  
22 for?

23 A Yes.

24 Q Okay. And I'll show you this -- this last  
25 item here which is 70 -- wow, it's hard to see unless I can

1 turn off the light -- 70H. Do you see that?

2 A No, I can't really see what it is.

3 Q It's really tough, isn't it? Is that better?

4 A I can see a little port on top, but I can't  
5 see if it's a --

6 Q Let me bring it up to you so you can look at  
7 it and tell us what this is.

8 A Oh, okay. I see now what it is.

9 Q What is it?

10 A That is a port that could have been placed  
11 into a propofol bottle. A propofol bottle has a rubber  
12 stopper on it, and that could be placed into that stopper, and  
13 then you could use this port for drawing your propofol out  
14 into a syringe.

15 Q Would that be used on the smaller bottles or  
16 the larger bottles, or does it matter?

17 A It wouldn't matter. You could use it on  
18 either one.

19 Q Okay. So in this particular instance, this --  
20 and I know it's very difficult to see unless you're right down  
21 on top of it.

22 A Right.

23 Q There's sort of a plastic sheath over this  
24 end; is that correct?

25 A Correct.

1 Q And that looks to come to a real point inside.

2 A That's the --

3 Q Is that the part that pierces that rubber  
4 stopper?

5 A Yes, that's the one that pierces into the  
6 bottle.

7 Q And then it looks like there's also another  
8 place on the other end where you can screw on a syringe of  
9 some nature?

10 A Yes. Uh-huh.

11 Q And is that how you would draw the medication  
12 out?

13 A Yes. Uh-huh.

14 Q The one last item, I think I said that was the  
15 last, but this is the last. 70G, what are we looking at here?

16 A It's a Becton Dickinson 10 milliliter syringe.

17 Q Now, are these the -- I mean, are you familiar  
18 with the use of these in the clinic?

19 A Yes.

20 Q Where were they used?

21 A That's not -- we used for anesthesia, we would  
22 draw up our propofol, and those or any other mediation that  
23 you might need to give would all be drawn up in this type of a  
24 syringe.

25 Q So you didn't have larger ones for that

1 purpose, 20 cc syringes, anything like that?

2 A No, we never had any.

3 Q Did you ever request any?

4 A Yes.

5 Q Who did you request those to?

6 A Probably our supervisors and were turned down.

7 Q Do you know why?

8 A I'm sure it was cost factoring.

9 Q Do you know -- did you ever talk to Dr. Desai  
10 about this specifically?

11 A I probably did. I don't recall, you know,  
12 specifically, but I probably did because it just made more  
13 sense to use the 20 milliliter than this one.

14 Q Okay. Now, I want to talk to you now that  
15 we've been looking at different supply issue about supplies.  
16 Was there an issue about no wasting supplies in the clinic?

17 A Absolutely.

18 Q When you say absolutely, can you tell us some  
19 of the things that you're referring to?

20 A Well, the propofol, for one of the things, was  
21 absolutely something that was forbidden to waste. Syringes, I  
22 mean, angiocaths, anything like that. If we had to restick  
23 someone because we missed the vein or somebody had missed the  
24 vein, you know, or something like that, that would be  
25 considered a waste and we would be talked to about it.

1 Q Who would talk to you about it?

2 A Well, if Dr. D. Was there and saw, he would  
3 be talking to us about it.

4 Q What would his tone be when he was talking to  
5 you about it?

6 A Angry.

7 Q So -- and, again, I'm putting up on the screen  
8 an angiocath which was from 72B. It's the yellow one. Is  
9 this a device you can use more than one time?

10 A No.

11 Q Single use device?

12 A Single use.

13 Q If you used this device to try and puncture a  
14 vein on a patient and Dr. Desai was there, did you ever get  
15 reprimanded about having to use another one, or anything like  
16 that?

17 A Yes, I did.

18 Q What would he want you to do?

19 A Well, if -- you couldn't use this one over. I  
20 mean, you'd have to use a new one. That would be it, you  
21 know.

22 Q Would he want you to use this one over again  
23 if you could?

24 A If you could.

25 Q Is that something you would normally do in



1 your practice in the 33 years you were -- before you came  
2 here?

3 A No, I was not limited to that type of thing.

4 Q So even something like this you were -- you  
5 were having trouble with as far as him giving you a hard time  
6 about using it?

7 A Yes.

8 Q When it came time to using these devices, were  
9 you ever in a situation where you were having a hard time  
10 starting an IV or it was taking too long and Dr. Desai was  
11 there?

12 A Yes.

13 Q Can you describe for me any instances that you  
14 had where Dr. Desai may have intervened in that situation?

15 A Yes, he would give you about one chance to do  
16 it, and if you didn't do it he would grab the needle and try  
17 and do it himself or proceed to do it himself and then just  
18 leave the blood running out while we'd try and get the port on  
19 so we could go ahead and tape it and get the patient sedated.

20 Q So you're telling me he would insert the  
21 device into the vein, and then just walk away?

22 A Yes.

23 Q And when this has been inserted into the  
24 patient, now that's in communication with the blood stream;  
25 correct?

1 A That's right.

2 Q So what is happening to the patient's blood  
3 during this time?

4 A Running on the arm and running on us trying to  
5 get the port on to get it stopped.

6 Q Beside that item, were there other items that  
7 you couldn't -- couldn't use any more of or you tried to limit  
8 the amount of use that there was or waste associated with  
9 those items?

10 A Tape was a big -- big item. I mean, if we --  
11 if there was too much tape on an angiocath or something like  
12 that, we'd -- we'd hear about it.

13 Q So he would give you a hard time about using  
14 too much -- you're talking about this plastic tape that you  
15 put down?

16 A Yes. Uh-huh.

17 Q About too much of that?

18 A Yes.

19 Q Anything else beside tape?

20 A You're talking now about anesthesia, or in  
21 general of the clinic.

22 Q General in the clinic where you were and could  
23 observe that kind of thing going on.

24 A Well, I heard many times about too much K-Y.

25 Q When you say heard, I want to at this point

1 tell you that I'm talking about either Dr. Desai saying it  
2 directly to you, or you overhearing him saying it to somebody  
3 else when you are in his presence, essentially. Okay?

4 A Well, this would -- I would have overhead him  
5 telling the technicians about using too much K-Y jelly or  
6 whatever, you know, for doing the scopes and having the  
7 doctors use less because of the cost factor.

8 Q So K-Y jelly, let's talk about that stuff.  
9 What is it used for?

10 A Lubricant on the scope.

11 Q Is it necessary to have that stuff on the  
12 scope to use it?

13 A It certainly makes the scope slide a lot  
14 easier.

15 Q And one of the issues when the scopes are  
16 going in, you're trying not to perforate an intestine or  
17 something; correct?

18 A Correct.

19 Q So -- and also patient comfort, I would  
20 imagine?

21 A Yes.

22 Q So when the situation is that he's giving the  
23 techs a hard time about using too much K-Y jelly, what is he  
24 talking about? Don't squirt as much on, or what was he doing?

25 A They would place it on a 4x4 and he would

1 watch how much was placed on the 4x4, yes.

2 Q He would actually watch that and comment about  
3 how much was on the 4x4?

4 A Oh, yes. If there was too much, they would  
5 know very much about it.

6 Q What about things like the 4x4s themselves  
7 that you're talking about. Those are little gauze 4x4 pads?

8 A Correct.

9 Q What about those? Would he give anybody a  
10 hard time about using too many of those?

11 A Well, I'm not sure. I'm sure it was probably  
12 brought up. I don't recall hearing it, but, I mean, it could  
13 have been.

14 Q Okay. What about during the procedure, are  
15 you aware that there is a larger syringe, 50 or 60 cc syringe  
16 that's used to flush the scopes?

17 A Yes.

18 Q Did you see those used in the clinic in the  
19 rooms where you were working?

20 A In the room, yes.

21 Q When Desai was there, was there any issue  
22 about those being reused or not reused?

23 A Well, they were always reused over and over in  
24 the room, yes, same syringe.

25 Q Over and over again, patient to patient?

1 A Yes.

2 Q Did you hear him ever yell at anybody about  
3 that issue, about not reusing them or trying to open up a new  
4 one, anything like that?

5 A I don't specifically, but I'm sure it was  
6 brought up, you know, because it was just an item that was to  
7 be reused over and over.

8 Q So your observation was that it was reused  
9 over and over?

10 A Oh, yes. I saw it used many times.

11 Q As a matter of fact, did you ever see him open  
12 up new ones throughout the day at all?

13 A First case of the day would have been when it  
14 was -- the new one would have been opened.

15 Q So when we've got 60 plus patients rolling  
16 through there, we're talking about one syringe for all of  
17 those patients?

18 A Well, for each room there would have been a  
19 syringe --

20 Q Well, two syringes for all of the patients?

21 A Correct.

22 Q Okay. Do you know what a bite block is?

23 A I do.

24 Q What is it if you can tell us?

25 A When you're going to do an EGD or an upper

1 endoscopy, it's a bite block that goes in there between their  
2 teeth so they don't bite the scope. And there's a hole on it,  
3 and there's a ridge on each side of it, and it goes under  
4 their teeth on the bottom and the top, and then the scope can  
5 slide through the bite block so that they don't bite the  
6 scope.

7 Q Okay. Were those reused?

8 A Yes.

9 Q On a regular basis?

10 A Yes.

11 Q And these are ones that -- I know -- did you  
12 know anything about how they were cleaned or anything like  
13 that?

14 A No, I don't.

15 Q You just know that they weren't opening up new  
16 ones for --

17 A Right.

18 Q -- new patients? What about snares and  
19 forceps, anything like that?

20 A They were reused over and over and over until  
21 they were broken.

22 Q And these are items that would go in to take  
23 biopsies and the like?

24 A Yes.

25 Q What about the -- was there anything like a

1 bowl that was used for irrigation fluid to go into the scopes?

2 A That's the bowl where the 50 cc syringe would  
3 have been in, yes. There was a bowl there with clean water  
4 and one for dirty water, but --

5 Q And were those changed out?

6 A No.

7 Q Did you ever hear him yell at anybody in your  
8 presence about actual costs of the items themselves? You  
9 know, that's three cents, that's one cent, anything like that?

10 A Yes, many times.

11 Q Can you tell us about that?

12 A Well, sheets for instance. If a patient would  
13 be cold, you know, one of the nurses would put a sheet on  
14 somebody. He would take it off and fold it up and put it back  
15 in the cupboard, and it would be three cents to clean that  
16 sheet or something like that. That's what the nurses would be  
17 -- they would all -- he would holler at the nurses about that,  
18 not to use them.

19 Q Okay. So it was going to cost him three cents  
20 to clean a sheet, so he would -- the doctor would actually  
21 take it off the patient, fold it up and put it back on a shelf  
22 for use on another patient?

23 A Yes.

24 Q Anything else like that that any of the cost  
25 of some of these items -- did he ever say that that cost me so

1 much or that cost me so much when he would see it not used in  
2 the way he wanted?

3 A Yes, we would hear it quite frequently on  
4 different subjects, different objects that were being used.

5 Q What about -- any comments about alcohol pads  
6 used, anything like that?

7 A I don't think we had alcohol pads. I think we  
8 were using just cotton balls and then, you know, putting  
9 alcohol on them.

10 Q What about the chucks? You know what those  
11 are; correct?

12 A Those were always cut in two and used.

13 Q And the chucks are what, exactly?

14 A I don't know exactly. I think they're 17  
15 inches or something.

16 Q No, no, no. Not the size, but just want are  
17 they?

18 A Oh, it just goes under the patient when  
19 they're having a colonoscopy, under the rear of a patient so  
20 when the procedure is going on.

21 Q Now, I know you mentioned a few things, and so  
22 these are the things that collect the fluids that might spill  
23 out of a patient kind of thing?

24 A Correct.

25 Q You had mentioned that at least the whole



1 worked, the people would be accompanied by their escorts  
2 coming in and going out --

3 A Yes.

4 Q -- normally?

5 MS. STANISH: Nothing further.

6 THE COURT: Mr. Santacroce?

7 MR. SANTACROCE: Nothing.

8 THE COURT: Ms. Weckerly?

9 MS. WECKERLY: Nothing, Your Honor.

10 THE COURT: All right. I'll see counsel up here at  
11 the bench, please.

12 (Off-record bench conference.)

13 THE COURT: Ma'am, we have some juror questions  
14 here. And in no particular order I'm just going to ask these.

15 How many discharges did you do in a typical day, in  
16 a typical, you know, eight-hour work period?

17 THE WITNESS: Me, myself, or -- or like for the day?

18 THE COURT: Just yourself.

19 THE WITNESS: I have no idea. It was quite a few.

20 THE COURT: You know, more than 10?

21 THE WITNESS: Definitely more than 10.

22 THE COURT: More than 20?

23 THE WITNESS: Probably, yes.

24 THE COURT: Okay. What was the average time from  
25 start to finish that it took you to discharge someone,

1 including the paperwork --

2 THE WITNESS: And scheduling the appointment.

3 THE COURT: -- and all of that, talking to them?

4 THE WITNESS: Uh-huh. Maybe 10 minutes.

5 THE COURT: About ten minutes?

6 THE WITNESS: Uh-huh.

7 THE COURT: Okay. Were the follow up visits  
8 scheduled with the same doctor who had performed the  
9 procedure?

10 THE WITNESS: Not always, no.

11 THE COURT: Not always. Was there some method to  
12 who the follow up visits were scheduled with? How did you --  
13 how did you do that?

14 THE WITNESS: Actually, a lot of the patients would  
15 -- a different doctor would do the procedure on a patient than  
16 who they saw in the office prior to the procedure most of the  
17 time. And I believe then we would schedule the follow up with  
18 the doctor who actually had seen them originally.

19 THE COURT: Okay. And was every patient scheduled  
20 for a follow up appointment?

21 THE WITNESS: Yes.

22 THE COURT: Okay. Are you -- were you pressured to  
23 discharge the patient quickly?

24 THE WITNESS: If we had patients waiting, yes.

25 THE COURT: Okay.

1 THE WITNESS: Because sometimes we'd be in with one,  
2 and there might be two or three more patients waiting to be  
3 discharged to go over their instructions.

4 THE COURT: Okay. Was it -- was somebody, you know,  
5 in the clinic pressuring you, or did you just feel pressured  
6 yourself because, you know, patients are backing up and  
7 sitting there and --

8 THE WITNESS: Yeah, I was pressured myself probably.

9 THE COURT: Okay. Can you give examples of unsafe  
10 practices or conditions that you observed?

11 THE WITNESS: I didn't actually observe any  
12 procedures, so just to me and my experience it felt like that  
13 procedures were being done very quickly.

14 THE COURT: All right. Was there -- you said four  
15 patients per 15 minutes. Was that regardless of whether, you  
16 know, somebody had a tumor that was, you know, observed in the  
17 colonoscopy or, you know, a hemorrhoid or whatever?

18 THE WITNESS: Yes.

19 THE COURT: Okay.

20 THE WITNESS: And the computer system that we used,  
21 you would open it up and there would be like four spots for  
22 every 15 minutes to fill a patient in for discharge -- I  
23 mean, for a follow up visit.

24 THE COURT: Okay. And that was universal?

25 THE WITNESS: Uh-huh.

1 THE COURT: Is that yes --

2 THE WITNESS: Yes.

3 THE COURT: -- for the record?

4 THE WITNESS: Yes.

5 THE COURT: This lady right here --

6 THE WITNESS: I'm sorry.

7 THE COURT: -- is our court recorder and there's a  
8 tape. That's why they make you say yes or no and have to  
9 establish what the distances are and things like that because  
10 we can't see hand gestures.

11 All right. Ms. Weckerly, do you have any follow up  
12 to those last questions, those juror questions?

13 MS. WECKERLY: No, thank you, Your Honor.

14 THE COURT: Does the defense have any follow up?

15 MS. STANISH: Briefly.

16 THE COURT: Okay.

17 BY MS. STANISH:

18 Q As part of the instructions would the patients  
19 or their escorts receive written instructions?

20 A Yes, we would have the family member sign  
21 them, I believe, and they would get a copy.

22 Q Thank you.

23 THE COURT: Mr. Santacroce?

24 RECROSS-EXAMINATION

25 BY MR. SANTACROCE:

1 Q You said that it seemed unsafe because it seemed  
2 quickly to you; correct?

3 A Yes, and sometimes patients were still  
4 staggering a little bit when they were brought to my room.

5 Q You -- prior to working for the endoscopy  
6 center, you had never worked for an endoscopy or gastro center  
7 before; correct?

8 A No.

9 Q And you haven't worked for one since; correct?

10 A Correct.

11 Q So you weren't familiar with the procedures or  
12 the times that were involved; correct?

13 A Not working at one, but I had been to a few  
14 with family members as the family person with them, and it --  
15 whenever I have been to one it was run totally different.

16 Q I'm talking about in your professional career.

17 A Not in my professional.

18 MR. SANTACROCE: Thank you.

19 THE COURT: Ms. Weckerly, any other follow up?

20 MS. WECKERLY: No, Thank you.

21 THE COURT: Any additional juror questions for this  
22 witness? Nothing?

23 All right. Ma'am, thank you for your testimony.  
24 Please don't discuss your testimony with anyone else who may  
25 be a witness in this case.

1 THE WITNESS: Yes, ma'am.

2 THE COURT: All right. Thank you, ma'am. You are  
3 excused at this time.

4 Ladies and gentlemen, we're going to just take a  
5 quick recess until 10:30. During the recess, once again,  
6 you're admonished that you're not to discuss the case or  
7 anything relating to the case with each other or with anyone  
8 else. You're not to read, watch, or listen to any reports of  
9 or commentaries on the case, any person or subject matter  
10 relating to the case, by any medium of information. Don't do  
11 any independent research, and please don't form or express an  
12 opinion on the trial. If you'd all please place your notepads  
13 in your chairs and follow the bailiff through the rear door.

14 (Jury recessed at 10:19 a.m.)

15 THE COURT: May I see counsel at the bench.

16 (Off-record bench conference.)

17 THE COURT: And just -- well, I also just want to  
18 put on the record that Mr. Mack Brown, as you'll recall during  
19 jury selection I asked him, Ms. Stanish asked him, are you  
20 sure your employer pays, and he said, oh, no, it's no trouble  
21 with serving. And I think we'd even said, well, what a good  
22 corporate citizen the Venetian is and blah blah blah. It  
23 turns out --

24 MR. STAUDAHNER: They're not a good corporate  
25 citizen?

1 THE COURT: I don't want to say --

2 MR. WRIGHT: Sheldon isn't as rich as we thought.

3 THE COURT: I don't want to say that. They do pay  
4 for jury service, but only ten days. And so he brought in a  
5 letter from someone at the Venetian, basically. It's really  
6 to him, it's not to me, but saying that they only pay for ten  
7 days of jury service.

8 Now, obviously, he didn't ask anybody, he just  
9 assumed they would pay him. He didn't bother to ask anybody  
10 until Monday, after Monday, when, oh, he's actually already  
11 serving. So he does work Saturdays and Sundays, so he's paid  
12 for those days. So really it's three days a week that he's  
13 missing. As of right now, this week, it would be five days  
14 because I'm counting the three days that he would work this  
15 week.

16 I'm counting the day -- well, I don't even know what  
17 day they came in to fill out the form and what day he came in  
18 for questioning. So he may only be three days out, or it  
19 could be five days depending on what -- so we'll have to talk  
20 to him again. So, you know, he's still within the 10 days.

21 MS. WECKERLY: We're probably not going to finish in  
22 that.

23 THE COURT: No, well, except, it's 3, 6, 9, that's  
24 three weeks.

25 MS. WECKERLY: Right.

1           THE COURT: So then really, you're only looking at  
2 another three weeks. So let's keep him in the back of our  
3 minds. I don't know that we need to talk to him today, but he  
4 is talking to the bailiff and concerned about it. You know,  
5 again, he assured us, and I remember even I think Ms. Stanish  
6 was very thorough with all of the jurors about, you know, are  
7 you sure this isn't a hardship. And I remember that because  
8 didn't -- I mean, I was thinking, oh, the Venetian, they're  
9 such good corporate citizens.

10           MR. WRIGHT: Why do we have to wait to talk to him?  
11 Can't we just see if it's going to affect his --

12           MR. SANTACROCE: No.

13           MR. WRIGHT: No?

14           MR. SANTACROCE: I don't --

15           THE COURT: Well, I mean, here's the thing.

16           MR. SANTACROCE: -- want to start, you know --

17           THE COURT: I want to find out, first of all, what  
18 hours does he work because they're not cleaning those pools, I  
19 can tell you right now, when they're full of people -- I  
20 worked at a pool -- when they're full of people floating on  
21 rafts. They clean those pools later, in the morning or  
22 after --

23           MR. WRIGHT: Pool? He's a canal guy.

24           THE COURT: Oh, he was the canal guy. I thought he  
25 was the swimming pool guy.



1 MR. WRIGHT: Pools and -- he said the canals.

2 MS. WECKERLY: Yeah.

3 THE COURT: But they have -- I mean, maybe they do  
4 the -- you know, they're not doing that in the middle of the  
5 day when you're full of tourists, I don't think. I mean, you  
6 may have to have people onsite. So I want to remember what  
7 hours he's working, he definitely can work the weekends, and  
8 see if there's any flexibility with him before we call him in  
9 and say, oh, yes, okay, we're going to excuse you.

10 Because, again, we, I think, bent over backwards to  
11 make sure he could be compensated. And it's not our fault,  
12 meaning collectively, he didn't check until Monday. I'm  
13 mindful and I'm concerned about it, but I don't think we need  
14 to question him today.

15 MR. SANTACROCE: I agree.

16 MR. WRIGHT: I agree.

17 THE COURT: I want to get more information. I want  
18 to know, did he -- was that his day of work when he came in to  
19 fill out the questionnaire? Was it his day of work when he  
20 came back from questioning? I'm going to go back over the  
21 record. I want to know, is he five days in or is he three  
22 days in to what he's going to be compensated for. So before I  
23 talk to him I need a little more information.

24 MR. SANTACROCE: And it could start a chain reaction  
25 back there.

1 MR. WRIGHT: Right.

2 THE COURT: Absolutely. Right.

3 MR. WRIGHT: He had an employed spouse also.

4 THE COURT: Right. Right. And he, you know, he's  
5 working -- he doesn't want to sit here five days and work two  
6 days, but he's working Saturdays and Sundays.

7 MS. STANISH: He may have personal leave, too.

8 THE COURT: No, so all I'm saying is we're not  
9 hitting -- you know, we're not hitting up into the time he's  
10 not compensated for yet. Most days in he has is five, and it  
11 may be as few as three.

12 MR. SANTACROCE: Right.

13 THE COURT: All right.

14 MR. WRIGHT: Go ahead and bring --

15 THE COURT: All right. So bring in Juror No. 17.

16 THE MARSHAL: It's only about 50 feet from wall to  
17 wall.

18 THE COURT: Well, see, I was close. I said -- I  
19 said yards, but I meant feet.

20 (Pause in the proceedings.)

21 THE COURT: And just to the media. Obviously, you  
22 can't film this, any interviews with the jurors, and please  
23 don't use the names of the people who are seated as jurors  
24 right now.

25 (Juror No. 17 enters the courtroom.)

1 THE COURT: You can just sit in your seat. Good  
2 morning.

3 JUROR NO. 17: Good morning.

4 THE COURT: First of all, I want to thank you for  
5 reporting what you observed to our bailiff. And it may not be  
6 fresh in your mind because we've been -- haven't really had a  
7 chance to have a break and call you in. But I just wanted to  
8 discuss with you for the record what it is that you observed  
9 that Juror No. 3 was doing that you then reported to our  
10 bailiff.

11 JUROR NO. 17: Just tell you the way I told the  
12 bailiff?

13 THE COURT: Right. Exactly.

14 JUROR NO. 17: She was very unhappy about being on  
15 the jury, and she'd been clear about that from the beginning.  
16 Nobody was happy about a six to eight week trial, but she was  
17 extremely unhappy and was making comments about how she would  
18 be homeless and no one helped her and she had small children  
19 and she couldn't feed them.

20 And so then I know that she had come in and spoke  
21 with you and came back that day. She was still saying that  
22 she was still going to be homeless and just all these horrible  
23 things were going to happen, that even her mother wouldn't  
24 help her. So I just kind of blew that off as her just being  
25 angry. And then she had made a comment that she wasn't --

1 that it wasn't over as far as her having to stay on the jury.  
2 She said, you know, it's not over yet.

3 Then later that day after we had the testimony of  
4 one of the witnesses, one of the attorneys had asked, and I  
5 don't remember who, how much the total sum of his civil case  
6 was. And the older man told him to add it up or something  
7 like that. And then when we went back into the jury room, she  
8 made a comment that she can't believe the attorney would ask  
9 him to add it up and, you know, was just like angry.

10 And then she said, oh, I guess I'm not supposed to  
11 say that. I guess I'm just a bad juror, aren't I? So I took  
12 that to mean that she was going to find a way to get off the  
13 jury, whatever that meant, and if that meant talking about the  
14 case in front of us in the jury room that would continue to  
15 happen.

16 THE COURT: All right. And when you first heard her  
17 complaining, who was she complaining in front of? Was it all  
18 of the jury?

19 JUROR NO. 17: Everybody. Just, you know, everybody  
20 was kind of like, oh, six weeks at first, you know, like, oh,  
21 and that kind of thing. But hers was beyond just -- I mean,  
22 we're all inconvenienced, but I think we all pretty much  
23 understand that this is important and why that it's worth it.  
24 And I don't think that she felt that way, but I don't know how  
25 she felt. But that wasn't the impression that I got from her.

1 THE COURT: So you're all just sitting back there  
2 and she's just kind of just saying that out loud pretty  
3 much --

4 JUROR NO. 17: It was almost as if it only affected  
5 her, that nobody else there was inconvenienced, nobody else  
6 there was missing work, nobody else there had anything to do  
7 but her.

8 THE COURT: Okay. And then when she later said, oh,  
9 it's not over, who did she say that to? Was she saying  
10 that --

11 JUROR NO. 17: Apparently when she came back in, she  
12 had come in and talked to you, I'm assuming, about her trying  
13 to leave. And I'm assuming that you said no. And when she  
14 came back into the room she was angry, and she said that it  
15 wasn't over yet. And she said -- and this was generally.  
16 She's just kind of having a temper tantrum and said that, you  
17 know, she wasn't going to be homeless and went on with how she  
18 would have no money and she can't take care of her children  
19 and that sort of thing.

20 THE COURT: Uh-huh. So she was saying this to kind  
21 of --

22 JUROR NO. 17: The whole room.

23 THE COURT: -- the whole room. She wasn't --

24 JUROR NO. 17: There wasn't --

25 THE COURT: She wasn't in --

1 JUROR NO. 17: She was not specifically --

2 THE COURT: -- the hall or on the phone --

3 JUROR NO. 17: -- talking to me.

4 THE COURT: -- or anything like that?

5 JUROR NO. 17: No.

6 THE COURT: Okay.

7 JUROR NO. 17: She was mad.

8 THE COURT: Okay.

9 JUROR NO. 17: It was from walking to the door back  
10 to her seat and while like picking up her purse and sitting  
11 back down is kind of when she was saying all those things.

12 THE COURT: Okay. And so do you know who else heard  
13 her say these things?

14 JUROR NO. 17: I think we all kind of heard her say  
15 these things. But there's times in there when people are  
16 talking and I'm reading or something and I don't listen, but  
17 as a general -- maybe a couple people didn't hear it, but  
18 these were general statements.

19 THE COURT: Okay. All right. Thank you. And,  
20 again, you did the right thing by reporting them because  
21 obviously, you know, our goal is to have the jurors comply  
22 with the Court's orders. And as I, you know, I keep saying  
23 it, but it's so critical, you know, not to --

24 JUROR NO. 17: I don't want to invest all of this  
25 time coming --

1 THE COURT: Exactly.

2 JUROR NO. 17: -- and people from my work are  
3 covering for me. There's a lot of people that are involved in  
4 making sure that I can do this. And I don't want to be three  
5 weeks into this and have something done where we're all kicked  
6 off and you have to start over.

7 THE COURT: Absolutely. And that's just what I was  
8 going to say because it is a huge investment for the jurors  
9 and for the system and everyone involved in this case of time  
10 and expense and everything like that. And so, you know, it is  
11 so important that when something like that happens you tell us  
12 because it's exactly what you say, we don't want something to  
13 happen where it's all sort of, you know, in the middle. You  
14 know, whatever happens at the end of the day, the Court takes  
15 no position, but, you know, it's part of -- again, it's so  
16 critical to keep --

17 JUROR NO. 17: I would want --

18 THE COURT: -- an open mind.

19 JUROR NO. 17: -- to be treated fairly if I was on  
20 trial.

21 THE COURT: It's so critical to keep an open mind  
22 and wait until you've heard everything and then discuss it as  
23 a -- as a group. So, really, thank you so much.

24 Does anyone have any questions?

25 MR. SANTACROCE: I just had a question about the

1 comment she made about adding up the numbers with the -- with  
2 the witness. Was that done in the jury room when all the  
3 jurors were present, or was it just done to you?

4 JUROR NO. 17: No, that was in the jury room when  
5 she says I can't believe they asked him to add up the numbers.  
6 And I just felt like she was just having a fit. I didn't feel  
7 like that was something that was going to affect my decision  
8 making, so I wasn't really sure if that was crossing the line,  
9 but I thought maybe I had better say something because --  
10 just because it was something that was said in the trial.

11 MR. SANTACROCE: Uh-huh.

12 JUROR NO. 17: So -- but -- and that's when she -- I  
13 think she did that to prove that she was a bad juror because  
14 that's when she said I guess I'm not a good juror.

15 MR. SANTACROCE: Well, we appreciate you coming  
16 forward. Thank you.

17 THE COURT: Yeah, we really appreciate it. And  
18 just, you know, like I said, there are ramifications for juror  
19 misconduct. So thank you very much.

20 Any other questions?

21 All right, ma'am. And fair to say other jurors  
22 heard it but that some jurors may have been reading or --

23 JUROR NO. 17: We weren't all focused on her. I  
24 mean, we noticed her presence because she was walking through  
25 the room. We were all sitting. She wasn't whispering, but I



1 can -- the only reason it upset me was because it was from  
2 here. We already know that she kind of -- and people were  
3 kind of just tuning that out because we pretty much accepted  
4 this is how it's going to be and just deal with it, and she  
5 had not.

6 THE COURT: Okay. All right. Thank you.

7 JUROR NO. 17: All right.

8 THE COURT: Please don't discuss -- you know, they  
9 may wonder, well, why were you called in and, you know,  
10 singled out. So please don't discuss this with -- with the  
11 other jurors.

12 JUROR NO. 17: Okay.

13 THE COURT: All right. Thank you. And Kenny will  
14 lead you in the back.

15 (Juror No. 17 exits the courtroom.)

16 THE COURT: And, of course, the Court still has the  
17 option of issuing an order to show cause against Juror No. 3.

18 MR. SANTIACROCE: Well, just for the record, when we  
19 first heard about this we thought it was just between this  
20 juror and the one that was excused, and now we find out  
21 comments were made in the jury room, and it kind of causes a  
22 concern to me. I don't know how Mr. Wright and Ms. Stanish  
23 feel about it, but I'm very concerned that this was done was  
24 in front of all the jurors and she was the only one that came  
25 forward? Why didn't any of the other jurors come forward?

1           THE COURT: Well, in terms of that, you know, they  
2 may not have appreciated, oh, this is juror misconduct by, you  
3 know, violating -- violating the oath. I could have asked  
4 this juror, well, were the other jurors aware that you came  
5 forward and reported it to the bailiff. Other jurors may have  
6 been aware that she reported it. I don't know. I probably  
7 should have asked that question, but I didn't -- you know,  
8 none of us asked it. But it's possible the other jurors know  
9 that she reported it as well.

10           Plus, we pretty much took immediate action. She  
11 reported it, and the very next morning we had the hearing with  
12 Juror No. 3 and then she was excused. So it's possible other  
13 jurors would have reported it had they had -- I mean, I know  
14 they didn't that morning, but had they had an opportunity to  
15 report it. So, you know, again, it's not like she brought in  
16 additional information or anything.

17           (Juror No. 4 enters the courtroom.)

18           THE COURT: Come on in, ma'am. Just have a seat.  
19 You can just sit in your regular seat. And we kind of didn't  
20 have a chance to bring you in when you reported that you  
21 recognize one of the witnesses to the bailiff because we've  
22 been trying to move through this. So now I'm bringing you in  
23 just to ask you on the record about that. My understanding is  
24 when Dr. Bui, was it --

25           JUROR NO. 4: Yes.

1 THE COURT: -- testified, you recognized his face.

2 JUROR NO. 4: Yes.

3 THE COURT: Okay. And you were a patient of his  
4 about ten years ago --

5 JUROR NO. 4: Yes.

6 THE COURT: -- is that right?

7 JUROR NO. 4: Yes.

8 THE COURT: Okay. And I don't mean to pry, but what  
9 did you see Dr. Bui for?

10 JUROR NO. 4: He was just a family physician.

11 THE COURT: Okay. Did you have a regular  
12 relationship with Dr. Bui, meaning you saw him, you know,  
13 periodically, or did you -- was it a one-time deal or --

14 JUROR NO. 4: It wasn't a one-time deal, but it was  
15 really only when I needed to see him. It's not like I -- I  
16 didn't even go annually to see him. It was just whenever  
17 something came up, I would call that medical center and he was  
18 the doctor that I had always seen, so I would see him.

19 THE COURT: Okay. And is there anything about the  
20 fact that once you saw Dr. Bui and recognized him and remember  
21 having seen him about a decade ago, is there anything about  
22 that that would impact your ability to be fair and impartial  
23 in this case?

24 JUROR NO. 4: No. No, I just thought you needed to  
25 know that.

1 THE COURT: Yes, and I appreciate that. Because,  
2 again, we do need to know that and I thank you. I appreciate  
3 that you immediately reported it to Kenny. And like I said,  
4 we would have -- you know, we kind of had this on things --  
5 things to do to bring you in to ask you -- ask you about that.  
6 But, yes, you absolutely did the right thing by immediately  
7 letting the bailiff know that you recognize one of the  
8 witnesses.

9 Does anyone have any questions for Ms. Enin  
10 (phonetic) Smith?

11 MR. SANTACROCE: I don't.

12 MR. STAUDAHER: No, Your Honor.

13 THE COURT: All right. Thank you, ma'am. I'm going  
14 to have Kenny take you back. And if the jurors want to know  
15 what we talked about, please don't discuss it with them.

16 JUROR NO. 4: Okay.

17 THE COURT: All right. Thank you. You're -- you  
18 can go into the back. We're just going to turn around and  
19 bring you back in in a few minutes, but --

20 (Juror No. 4 exits the courtroom.)

21 THE COURT: All right. Let's get Mr. Cristalli and  
22 his client. Somebody.

23 MR. STAUDAHER: Oh, I can get him.

24 THE COURT: You know, yeah, it's heard when you have  
25 the jurors in the back. I don't like to do it this way, but

1 we figured with this kind of a case it was better to have them  
2 in the back where we can monitor what they're seeing. Also,  
3 there are some -- at least one, possibly more, former patients  
4 who have been here and the audience, so --

5 As soon as we talk to Mr. Mathahs we can all take a  
6 two or three minute break and then we'll move into his  
7 testimony.

8 MS. STANISH: Your Honor, I'm sorry, but can we have  
9 a little bit longer? We need to confer with our client and  
10 use the restroom.

11 THE COURT: Okay. How -- how long do you need to  
12 confer with your client?

13 MS. STANISH: Five, ten minutes.

14 THE COURT: Where's Mr. Mathahs?

15 MR. CRISTALLI: He's here.

16 THE COURT: I need to see him.

17 MR. CRISTALLI: Oh, okay.

18 THE COURT: Come on in, Mr. Mathahs, along with your  
19 attorney Mr. Cristalli and Ms. -- okay.

20 Basically, Mr. Cristalli, the State just wanted, you  
21 know, out of an abundance of caution I'm just going to, you  
22 know, go over a few things with your client before he  
23 testifies.

24 MR. CRISTALLI: Okay.

25 THE COURT: Okay. Mr. Mathahs, come on in. You

1 don't need to come to the witness stand, but I just want you  
2 to come a little bit closer so I don't feel like I have to  
3 speak so loudly.

4 All right. Mr. Mathahs, my understanding is that in  
5 connection with entering your guilty plea in this matter,  
6 you've also entered into an agreement to testify; correct?

7 MR. MATHAHS: Correct.

8 THE COURT: And by doing that you understand that  
9 you're waiving giving up any Fifth Amendment right you might  
10 have had, even though you've pled guilty and kind of given  
11 that up. You understand all of that?

12 MR. MATHAHS: Right.

13 THE COURT: And you must respond to the questions,  
14 whether they be from the State, the defense attorneys, the  
15 Court, or the jurors, so long as this Court, meaning me, rules  
16 that they're appropriate questions. Do you understand all of  
17 that?

18 MR. MATHAHS: Okay.

19 THE COURT: Okay. And I believe that the agreement  
20 is that in exchange for the benefits of the agreement --

21 And, Mr. Staudaher, can you just state what those  
22 are so it's clear?

23 MR. STAUDAHER: Actually, there was a stipulated --  
24 at least we entered into. Your Honor, clearly, is not bound  
25 by that. But if, in fact, at the end that stipulated

1 agreement is not entered into -- or not sentenced by you, that  
2 he would have a chance to withdraw his plea and actually go to  
3 trial.

4 THE COURT: Okay.

5 MR. STAUDAHER: Essentially.

6 THE COURT: But I -- I believe that contingent on  
7 the State not arguing is that he testify truthfully. Is  
8 that --

9 MR. STAUDAHER: Oh, absolutely.

10 THE COURT: -- part of the agreement?

11 MR. STAUDAHER: Yes. No, we retain the right to  
12 argue regardless, but he has to testify truthfully at the time  
13 of trial, and that's where we're at now.

14 THE COURT: Okay. And that it will be up to the  
15 Court to make that --

16 MR. STAUDAHER: Make the determination.

17 THE COURT: -- determination; correct?

18 MR. STAUDAHER: Correct.

19 THE COURT: Do you understand all of that, Mr.  
20 Mathahs?

21 MR. MATHAHS: Yes.

22 THE COURT: Okay. Is there anything else the State  
23 would like me to cover with Mr. Mathahs?

24 MR. STAUDAHER: I don't believe so. If Mr.  
25 Cristalli has some --

1 THE COURT: Mr. Cristalli, is there anything else  
2 you would like me to cover with your client?

3 MR. CRISTALLI: No, Your Honor.

4 THE COURT: All right. And obviously you'll be here  
5 in the courtroom during the testimony.

6 All right. We're going to take a quick break, Mr.  
7 Mathahs and Mr. Cristalli, so if you could just go back into  
8 that waiting area. All right. Thank you.

9 All right. We'll go ahead and give you some time to  
10 confer with your client and we'll just take a break.

11 (Court recessed at 10:41 a.m., until 10:55 a.m.)

12 (Inside the presence of the jury.)

13 THE COURT: All right. Court is now back in  
14 session.

15 And the State may call its next witness.

16 MR. STAUDAHER: The State calls to the Stand Keith  
17 Mathahs.

18 THE COURT: All right.

19 KEITH MATHAHS, STATE'S WITNESS, SWORN

20 THE CLERK: Thank you. Please be seated. And if  
21 you could please state and spell your first and last name for  
22 the record.

23 THE WITNESS: Keith; K-E-I-T-H M-A-T-H-A-H-S.

24 THE COURT: All right. Thank you.

25 Mr. Staudaher.



1 DIRECT EXAMINATION

2 BY MR. STAUDAHER:

3 Q Mr. Mathahs, before we get started with your  
4 formal questioning, I want to go through a couple of  
5 preliminaries with you.

6 A Okay.

7 Q Is it true that you were originally a  
8 defendant in this case?

9 A Yes.

10 Q And you have subsequently pled guilty in this  
11 particular case?

12 A Yes.

13 Q The charges to which you pled guilty are  
14 criminal neglect of patients resulting in death, criminal  
15 neglect of patients resulting in substantial bodily harm,  
16 obtaining money under false pretenses, insurance fraud, and  
17 conspiracy; is that correct?

18 A Yes.

19 Q Those events all pertain to the things that  
20 occurred at the Shadow Lane campus here in Las Vegas, Clark  
21 County, Nevada?

22 A Correct.

23 Q When I say that Shadow Lane campus, it's  
24 really a clinic over there, the Endoscopy Center of Southern  
25 Nevada, is that fair?

1           A     Correct.

2           Q     Now, in your -- in pleading guilty you've  
3 agreed to testify here today; is that fair?

4           A     Yes.

5           Q     And to give an honest and truthful testimony  
6 for the Court?

7           A     Yes.

8           Q     I want to go back a little bit, and for you  
9 it'll be quite a ways back. Let's talk about your background  
10 and training that led you up to become who you were at the  
11 time you worked at the clinic. Go ahead and tell us what your  
12 background was.

13          A     Oh. I -- after high school I went to college  
14 in Annapolis for becoming a lab tech, so I'm certified in lab  
15 tech. After eight years of working in the lab I went back to  
16 school and became an RN. And after being an RN, I was  
17 accepted into the Mayo Clinic at Rochester to go through the  
18 anesthesia program.

19          Q     Can you explain -- I mean, when you went  
20 through the anesthesia program did you get a certification or  
21 a license or something as a result of that?

22          A     We were -- got a diploma. Actually, the  
23 certification came through the AANA, the Association of  
24 American Nurse Anesthetists.

25          Q     So what is that organization?

1           A       It's a national organization that CRNAs, or  
2 certified registered nurse anesthetists belong to.

3           Q       And you belong to that?

4           A       I do, yes. Uh-huh.

5           Q       How long were you a member?

6           A       Probably close to 40 years.

7           Q       So up until the time that you stopped working  
8 at the clinic?

9           A       Yes.

10          Q       And when was that?

11          A       It would have been '08.

12          Q       And during the time that you worked as -- or  
13 that you were part of the association, and you said it was the  
14 American Association of Nurse Anesthetists?

15          A       Correct.

16          Q       Were you on their membership roll as an active  
17 member the whole time?

18          A       I was from 1979, yes. Uh-huh.

19          Q       I assume you had to fill out membership  
20 applications or dues or up, you know, renewals as time went  
21 on?

22          A       Renewals, dues, but at the beginning it was an  
23 exam, you know. I think it lasted two days or something like  
24 that that we took an exam, you know, that was to get into the  
25 certification part of it.

1 Q Did you get some sort of publication as a  
2 result of that, meaning a journal or a newsletter or something  
3 periodically from them?

4 A Yes.

5 Q Did you continue to receive that, those kinds  
6 of correspondence from the 1970s up until the time that you  
7 stopped working at the clinic in 2008?

8 A Yes.

9 Q Was there any period of time in which you were  
10 not a member?

11 A No.

12 Q So you received all correspondence from them  
13 to the best of your knowledge?

14 A To the best of my knowledge, yes.

15 Q And they always had your address, current  
16 address, and the like?

17 A Yes.

18 Q Now, as a CRNA, can you tell me exactly what  
19 you do?

20 A Evaluate the patient to see if they're capable  
21 of going under anesthesia and getting a history and physical  
22 from them. And that includes medication and any things that  
23 they have in the past, that they might have had surgeries or  
24 anything like that or complications with an anesthetic or  
25 anything like that. And then going ahead and giving, planning

1 and giving an anesthetic to the patient.

2 Q Do other professionals do the same kind of  
3 visit or job that you would do as a nurse anesthetist?

4 A You're talking about a CRNA?

5 Q Yeah, other CRNAs. I mean, clearly, there  
6 must be some more of those than just yourself.

7 A Absolutely.

8 Q Are there other professionals that -- that  
9 work in a like capacity to a CRNA to your knowledge?

10 A MDs anesthesiologists, yes.

11 Q What's the difference between an MD  
12 anesthesiologist and a CRNA?

13 A MDs have their medical degree, where we have a  
14 nursing degree plus anesthesia. And they have their MD degree  
15 plus anesthesia.

16 Q But you do similar things?

17 A Exactly the same things, yes.

18 Q Is there any restriction on -- on your  
19 practice since you're not an MD?

20 A We always are under the -- have to be under  
21 the supervision of a physician, yes.

22 Q When you say physician, does it matter what  
23 physician it is?

24 A As long as an MD. I think even podiatry, I  
25 think, falls under being a physician that could, you know, say

1 we need an anesthetic for this patient.

2 Q So your understanding of your 40 years in --  
3 in this practice in various jurisdictions; correct? I mean,  
4 you weren't always in Las Vegas?

5 A No, I wasn't.

6 Q And you practiced where else?

7 A Southern California.

8 Q Was that for the duration of the 40 years  
9 before you came here?

10 A 33 years, yes.

11 Q Oh, I'm sorry. So 40 years total, 33 years --

12 A Yes.

13 Q -- in California?

14 A Correct.

15 Q Now, as we go through this it's going to be  
16 important the court recorder can get down all the words that  
17 are being said here.

18 A Okay.

19 Q And I know in normal speech you know where I'm  
20 going to go, so you start to answer before I'm done. And  
21 same --

22 A I'm sorry.

23 Q -- thing with me. I can see where you're  
24 going, and maybe I ask you a different question before you're  
25 done. For the purposes of the clarity of the record, if you

1 could wait until I finish my question, I'll try to do the very  
2 same and wait until you finish your answer.

3 A I'll do my best.

4 Q Okay. Thank you. Now, as far as your time  
5 frame in California, did you work at just a single location or  
6 more?

7 A No, multiple hospitals and clinics.

8 Q Did you work with MD anesthesia people during  
9 that time?

10 A Not with -- I mean, you're talking about with  
11 as far as employment?

12 Q They were employed in the same group, for  
13 example, at times.

14 A No, we were always an independent group that  
15 functioned independently of the MDs.

16 Q Now, you said that you were part of an  
17 independent group.

18 A Yes.

19 Q Was this a group that you were just an  
20 employee of, or was it something you ran?

21 A It was a group that I started in 1971, and it  
22 grew to the point of being 12 anesthetists that we formally  
23 worked together. I mean, none of us had any more power than  
24 any other -- any one of the others, but we just worked  
25 together.

1 Q So you would provide anesthesia services to  
2 whoever called for you?

3 A Different hospitals, different surgery  
4 centers, and the like, yes. Uh-huh.

5 Q During the time that you had your sort of  
6 business, was that -- did you have a supervising MD  
7 anesthesiologist on staff or at least available for  
8 consultation, anything like that?

9 A No, I did not.

10 Q So when you were -- essentially when you had  
11 to have some supervisory doctor with you, are you talking  
12 about during the procedure itself?

13 A During the procedure, the surgeon, yes, would  
14 be the supervising person at that time.

15 Q Who is ultimately responsible in a situation  
16 like that for the care of the patient?

17 A We were. The anesthesiologists would be.

18 Q So would it be fair to say that you could shut  
19 a case down if you felt that the patient wasn't a candidate  
20 for the procedure?

21 A Yes, we should and could.

22 Q And the practice that you had in California  
23 for the 33 years, were there times when patients would come in  
24 and you felt that it was not appropriate to give them an  
25 anesthetic?



1           A       Yes, that would be, you know, decided when we  
2 had our interview with the patient if they were competent to  
3 undergo anesthesia and if they had some kind of medical  
4 history that would contradict it or whatever. Sure.

5           Q       So talk to me about that. The normal course,  
6 and I'm talking about your experience before you came to Las  
7 Vegas, when you would have a patient that you were going to do  
8 an anesthetic procedure on, how would that go? Would that be  
9 somebody you would call the night before to talk to, would it  
10 be somebody you met in the morning, would you wait until they  
11 were in the procedure room? How -- how would your interaction  
12 with the patient begin and continue until the patient was  
13 undergoing its actual procedure?

14          A       It could go both ways. If they were in the  
15 hospital, we usually made rounds in the evening, saw the  
16 patient, then ordered pre-ops if they were to have a pre-op in  
17 the morning. If it was done in like a surgery center, day  
18 setting type surgery center, we would see the patient in the  
19 morning.

20          Q       In the situations where it wasn't that way,  
21 where it was, let's say, you went to an outlying surgery  
22 center or an ambulatory care center or something like that --  
23 did you do that, first of all?

24          A       Yes.

25          Q       When you went into those situations, had you

1     been able to contact the patients before in all of cases?

2             A       Not always, but sometimes we would try and  
3     call them, you know, by phone the evening before.

4             Q       So in those instances where that was not done,  
5     where you just got to the facility and had not spoken to the  
6     patient previously, how would it go?

7             A       Could you repeat that, please?

8             Q       In situations where you had not spoken to them  
9     the night before, but you arrive at, say, an ambulatory care  
10    facility and you're going to do a procedure, how would your  
11    interaction proceed at that point?

12            A       we would absolutely see them in the morning  
13    before they came into the operating room, try and meet then,  
14    you know, in the post recovery room or wherever so that we  
15    could interview them and that type of thing.

16            Q       Okay. So when you interviewed them, that was  
17    not in the -- typically in the procedure room itself?

18            A       Correct.

19            Q       Is that a point where if you interviewed  
20    somebody in advance, that if they had a problem you would make  
21    an election to either go forward or not go forward?

22            A       Correct.

23            Q       For example, if they had come in to you and  
24    said that they had eaten in the last -- or had a big breakfast  
25    right before they came in.

1           A       They would have been cancelled right there.

2           Q       Now, I want to -- I want to move to -- or I  
3 want to ask you some specifics about that pre-procedure sort  
4 of evaluation.

5           A       Okay.

6           Q       Was there a requirement, or is there a  
7 requirement that there be a history and physical on the  
8 patient before you actually interview them?

9           A       Yes, there is to be an H&P, or history and  
10 physical, on the chart before we actually should interview  
11 them and before the procedure goes, yes.

12          Q       Is that something that you would do the  
13 history and physical on, or would that be something that the  
14 doctor that you're going to do the work with would have done  
15 before you?

16          A       It would have been done by the physician that  
17 was going to do the surgery or one of his associates, and then  
18 we come along and do our own history and physical with our  
19 anesthetic record.

20          Q       What is the purpose of that?

21          A       The double?

22          Q       Yes.

23          A       Just to make sure that we're satisfied with  
24 what the patient has said or what they've given to the doctor  
25 because sometimes we could run into a conflict or all the

1 medications might -- might not be on the chart that the  
2 patients are going to tell us about and that type of thing.  
3 We just want to make sure everything is -- is proper.

4 Q Is it also to make sure that if there's  
5 something in the history and physical that you want to  
6 specifically follow up on that might be germane to your  
7 anesthetic that you're about to give that you have that  
8 ability to do so?

9 A Yes, especially some lab work or something  
10 like that that might not have been caught, that might be  
11 abnormal or something like that that might change the  
12 anesthetic plan or maybe cancel it altogether.

13 Q Are some of the questions that you typically  
14 ask a patient, do they have anything to do with their prior  
15 history of anesthetic use? Meaning, have they had a  
16 procedure, have they been under anesthesia before, and how  
17 they reacted to it?

18 A Yes, that's one of the very pertinent  
19 questions you would want to know.

20 Q And why is that an important question?

21 A Well, if someone has had maybe an allergy to a  
22 certain medication or something like that or had some kind of  
23 a severe reaction to one of the agents that you're going to  
24 use or something like that. You would certainly want to know  
25 about it to stay away from it.

1           Q     So on a patient's record that they had  
2 undergone a procedure and they'd been given certain anesthetic  
3 agents and they had been under for a period of time, would  
4 that be something that would make you more comfortable that  
5 they might be able to tolerate the anesthetic that you would  
6 be putting them under?

7           A     Yes, it would. I mean, if the -- if the time  
8 was a factor, sure.

9           Q     So it's important to have -- I mean, is it  
10 fair to say that the record itself, the medical record is  
11 important in a case?

12          A     Absolutely.

13          Q     Is it important that the medical record be  
14 accurate in a case?

15          A     Yes.

16          Q     I want to move you away from California for a  
17 minute and to Las Vegas, if we can.

18          A     Okay.

19          Q     When did you come to Las Vegas?

20          A     2003.

21          Q     And roughly when in 2003?

22          A     I think I started in January.

23          Q     So at the beginning of the year in 2003. Did  
24 you come to work at any particular place at that time?

25          A     I started at the facility on Burnham. I mean,

1       sorry, not Burnham, on Shadow Lane.

2               Q       So you're talking, when you say the facility  
3       on Shadow Lane, are we talking --

4               A       Gastro -- gastro center. Uh-huh.

5               Q       So the Endoscopy Center of Southern Nevada?

6               A       Correct.

7               Q       Now, has it gone through some name changes  
8       over the years while you were working there?

9               A       Not that I'm aware. It could have. I don't  
10       recall.

11              Q       For the purposes of your testimony today I'm  
12       going to assume when you talk about the clinic or the  
13       endoscopy center that it is the Shadow Lane location at 700  
14       Shadow Lane here in Clark County, right over there by Valley  
15       Hospital unless we -- unless it is at a different location,  
16       and then I want you to tell us it's in a different location.  
17       Okay?

18              A       Okay.

19              Q       So just so we're clear so we know which  
20       facility we're talking about.

21              A       I'm talking about -- I started at the Shadow  
22       Lane facility.

23              Q       So when you say start, does that mean you  
24       eventually worked elsewhere?

25              A       Yes, I did.

1 Q Where else did you work?

2 A I worked at the Burnham facility and one on  
3 Rainbow.

4 Q So what was -- what was happening out at  
5 Rainbow?

6 A I'm sorry. Can you --

7 Q Were procedures being done out at Rainbow?

8 A Yes, the facility was new. I don't remember  
9 when it opened, but I was giving the anesthetics out there  
10 after the facility opened. Yes. Uh-huh.

11 Q Had it been opened very long before the actual  
12 clinic on Shadow Lane was closed?

13 A A few months, maybe four months.

14 Q So relatively new?

15 A Yes.

16 Q The Burnham facility that you referenced, that  
17 had been open longer?

18 A I don't know when it opened. It had moved  
19 from the top floor down to the bottom floor sometime during  
20 the time that I was here and worked there. But I don't  
21 remember the -- recall the years when it happened.

22 Q Eventually you start -- I mean, you work at  
23 the Shadow Lane location.

24 A Correct.

25 Q Now, when you started working there, who were

1 the doctors that you worked with?

2 A When I started, it would have been Dr. Desai,  
3 Dr. Carrol, Dr. Carrera, and I think Dr. Herrero was there at  
4 that time, maybe Dr. Sharma.

5 Q So Dr. Desai was one of the physicians you  
6 worked with?

7 A One of the main ones, yes.

8 Q During the time that you were working, and  
9 that was from 2003 until we -- until we essentially had the  
10 clinic closed in 2008, is that fair?

11 A Yes.

12 Q During that time is it fair to say that you  
13 worked with Dr. Desai a lot?

14 A Yes.

15 Q Was the main body -- or main location where  
16 Dr. Desai worked the Shadow Lane location?

17 A I think that's the only place I ever worked  
18 with him, yes. Other than --

19 Q Was there?

20 A Than other two, I don't believe he ever --  
21 that I ever worked with him there.

22 Q Did you work five days a week or six or seven?  
23 I mean, how often were you at Shadow Lane?

24 A When I started I was five days a week, and  
25 then there were some Saturdays that were put on as well. I



1 don't -- can't recall how many.

2 Q Now, the time period that we're talking about  
3 at the Shadow Lane location, if it closed in 2008 and you came  
4 there at the beginning of 2003, I mean, that's a number of  
5 years; correct?

6 A Yes.

7 Q During that time did you go anywhere else,  
8 meaning anywhere outside of Las Vegas to another location and  
9 do work?

10 A No.

11 Q Comparing the time that you had in California  
12 to the time you had in Las Vegas at Shadow Lane, was there a  
13 difference in how things were operated?

14 A Yes.

15 Q A significant difference?

16 A I felt there were.

17 Q We're going to get into some of the details of  
18 that in a moment, but when you were working at Shadow Lane,  
19 when you came to work there initially and as time went on, did  
20 things change in how things were done at Shadow Lane during  
21 the time you worked there?

22 A As far as procedures? I mean, we can -- a lot  
23 more procedures.

24 Q So the procedures increased over time?

25 A Yes.

1 Q Did it ever become a situation where it  
2 became, in your view, unmanageable?

3 A Yes.

4 Q Can you describe for us the typical situation,  
5 typical day at Shadow Lane?

6 MR. WRIGHT: Time frame, please.

7 BY MR. STAUDAHER:

8 Q Well, we'll break it down. When you first  
9 came to work there back in 2003.

10 A When I first started, that was in the old  
11 facility, and I think it was '04 sometime that they rebuilt  
12 the facility on the same floor, and then there were two -- two  
13 operating rooms. But when I came in '03, there was just a  
14 single room and it was -- we only would do somewhere between  
15 25 and maybe 32 patients a day or something like that.

16 Q Did that change to the point where it closes?  
17 I mean, 25 to 30 patients a day. What were you up to at the  
18 end?

19 A Well, usually somewhere between 60 and 75 to  
20 80.

21 Q So a big difference.

22 A Big difference.

23 Q So did you notice that ramping up over time,  
24 or was it just all of the sudden a big increase?

25 A Well, when the new facility opened, it was a

1 big -- big push to do more and more all the time.

2 Q And that was in 2004?

3 A It was either '04 of '05 when it opened. I  
4 don't recall the exact date.

5 Q As time went on from whenever it changed and  
6 became sort of a revamped facility there at Shadow Lane up to  
7 the point where you're doing the 60, 70, 80 patients a day,  
8 did -- was it a constant increase in numbers, or did it stay  
9 pretty constant for most of that time?

10 A You're talking about from the time that it  
11 opened?

12 Q In 2004 when they revamped it, until it  
13 closed.

14 A In 2004 we were still not up to running that  
15 many patients. It gradually kept going up and up, you know,  
16 over the years. I would say we would probably maybe do 40 or  
17 so, you know, when we started in '04, and then it just  
18 gradually kept going up in numbers.

19 Q So your numbers almost doubled in that period  
20 of time --

21 A Yes.

22 Q -- is that correct?

23 A Correct.

24 Q Now, when you would typically go into the  
25 facility, and let's talk about the time when the numbers are

1 higher, in the -- in the anywhere from 60 to 80 patient range  
2 that you mentioned, can you give us an idea of what your day  
3 was like when you went to work?

4 A I usually tried to -- I'm one that -- I'm, I  
5 guess, a Type A. I like to have things set up properly. I  
6 would start at 6:00 in the morning and try and get all of the  
7 rooms, both of the rooms set up, you know, with the proper  
8 amount of propofol, syringes, and needles and everything that  
9 were needed for the day. So I'd try and get both rooms set up  
10 to make sure. And I usually would be the first one to give an  
11 anesthetic for the day. I would start at, you know, usually  
12 around 7:00 when the doctor would finally get there.

13 Q And so if you start around 7:00, is that when  
14 the first patients are being done?

15 A Yes.

16 Q And then you would go until about when?

17 A You mean in the evening?

18 Q Yes.

19 A It could be as long as 6:00 or even later.

20 But --

21 Q On average --

22 A -- nearly --

23 Q -- thought, what was the hour of operation of  
24 the clinic?

25 A I'm sorry. I didn't --

1           Q     On average, what were the hours of operation  
2 of the clinic?

3           A     We would start at 7:00, and usually try and  
4 finish by 4:00 or 4:30 or 5:00.

5           Q     Was it -- is it fair to say that -- that 5:00  
6 is when you tried to at least be done with patients at that  
7 point, whether you stopped procedures earlier to get to that  
8 or not?

9           A     Usually try to have one room finished by 5:00,  
10 yes.

11          Q     And in this instance, on a daily basis did  
12 people not show up sometimes?

13          A     Yes, they did.

14          Q     Was there any issue of scheduling more  
15 patients than you physically could do, and what I mean by that  
16 is double booking or tripe booking or anything like that?  
17 Did you ever -- were you ever aware that that was going on?

18          A     Yes.

19          Q     Was that a regular occurrence on a daily  
20 basis?

21          A     As far as I remember it was pretty much  
22 because patients were complaining a lot about sitting so long  
23 waiting to have their procedures done, yes.

24          Q     When you're in the -- and we've gotten a  
25 layout, and I -- forgive me, I don't have it with me right

1 now, but a layout of the facility. As far as where you were  
2 during the day, were you at different locations in the  
3 facility, or did you remain in one place predominantly?

4 A The rooms -- you mean when we started giving  
5 the anesthetics? We usually stayed in the same room if there  
6 were two anesthetists or three anesthetists there.

7 Q If there was just one anesthetist, what would  
8 happen?

9 A You would have to go between the rooms.

10 Q So you would go from one room to the other and  
11 back and forth?

12 A Correct.

13 Q Now, the time period, and I would imagine that  
14 if you're talking about 60 to 70 to 80 patients in a day, that  
15 you're pretty busy.

16 A Very, very busy.

17 Q Did you ever have concerns that the speed, the  
18 number of patients was somehow compromising patient care?

19 A We tried not to compromise patient care on our  
20 part, but, you know, we felt that it definitely was, yes.

21 Q When we're talking about those numbers, and  
22 let's -- let's start off with a situation where you're going  
23 room to room.

24 A Okay.

25 Q You're the only anesthetist, you're going from

1 one room to the other and then back again. Do you ever take  
2 breaks?

3 A Not usually. I mean, if I -- when I started  
4 in '03, I mean, I didn't know what a break was. I would be in  
5 the room from when I started in the morning until I left at  
6 night.

7 Q In fact, was there some sort of medical  
8 condition you developed as a result of having to stay in the  
9 room and never leaving it?

10 A In '03 the facility that we were in was just a  
11 little cubicle. It was not air conditioned, and it was very  
12 hot in there, and, yes, you would perspire. I absolutely  
13 ended up with foot rot.

14 Q So you can't even get up and leave the room,  
15 and you actually developed foot rot because you can't move  
16 around.

17 A That's exactly -- well, --

18 MR. WRIGHT: Object to the --

19 THE WITNESS: -- I couldn't go out.

20 MR. WRIGHT: -- questioning, the summations, and  
21 leading.

22 THE COURT: Well --

23 MR. STAUDAHER: Actually --

24 THE COURT: -- it's kind of summing out, but don't  
25 -- don't lead or restate in your own words.

1 MR. STAUDAHER: I'll try not to restate. And if I  
2 do and I get it wrong, please correct me.

3 THE COURT: You can -- I mean, to orientate the  
4 witness, you can restate for that purpose.

5 MR. STAUDAHER: All right.

6 BY MR. STAUDAHER:

7 Q Now, related to that, that's when you're in  
8 one room; correct?

9 A Yes.

10 Q When you were -- when it was a situation where  
11 there were two rooms and there were two anesthetists, did you  
12 also remain in the same room the whole day?

13 A The only reason that we wouldn't have remained  
14 in the room would have been to give a lunch break to whoever  
15 was in the other room.

16 Q Were there any times when during, you know, it  
17 wasn't a lunch period time for a lunch break, but earlier or  
18 later in the day that somebody just -- they had a bathroom  
19 emergency or something along those lines and you might go from  
20 one room to the other?

21 A We would cover, yes.

22 Q So there were instances when that would occur?

23 A Yes.

24 Q In the situation where you're in the room,  
25 though, are you able to have enough time when you have these



1 numbers at 60 to 80 patients a day go out and see people in  
2 the pre-op area and deal with them?

3 A Not usually. We would talk to them when they  
4 were rolled into the procedure room.

5 Q So you're in the procedure room and the first  
6 time you see the patient typically is when they roll into the  
7 procedure room?

8 A If there's just two of us there, yes.

9 Q If there's one of you there, would it be even  
10 more busy for you as being a single person?

11 A It absolutely was, yes.

12 Q In those instances, would you ever go out and  
13 interview patients and put in their IVs and do all that stuff  
14 out in the pre-op area?

15 A The only chance we would have had is we would  
16 have been switching doctors, you know, to do the procedures  
17 and the doctor that would have been coming was -- wasn't there  
18 yet. That would have been the only way would have gotten --

19 Q Did that --

20 A -- time --

21 Q -- happen very often?

22 A Not really.

23 Q So let's go on the back end of the procedure.  
24 Whoever it is, whenever the procedure is done, is it something  
25 where you have to stay in the room after the patient rolls out

1 before the next one comes in or --

2 MR. WRIGHT: I'm going to object to this line of  
3 questioning. I mean, the method of questioning, excuse me. I  
4 mean, let's just ask what he did.

5 MR. STAUDAHER: Actually, that's not what I --

6 THE COURT: Okay.

7 MR. STAUDAHER: -- believe I --

8 THE COURT: All right.

9 MR. STAUDAHER: -- am entitled to do.

10 THE COURT: Ask your question, Mr. Staudaher.

11 BY MR. STAUDAHER:

12 Q With regard to coming out of the room, did you  
13 stay in it? The patient leaves the room. Do you stay in the  
14 room, typically?

15 A We could have. We could have gone out. It  
16 was -- it just depended on the patient. If the patient wasn't  
17 quite awake enough for my satisfaction, I would have gone out  
18 to the recovery area with them.

19 Q When you're talking about numbers in the 60 to  
20 80 patients per day, and these -- each one of these procedures  
21 takes a fixed amount of time, does it not? I mean --

22 A Yes.

23 Q -- roughly?

24 A Yes.

25 Q In reality, were you leaving the rooms to

IN THE SUPREME COURT OF THE STATE OF NEVADA

Electronically Filed  
SEP 02 2014 09:01 a.m.  
Tracie K. Lindeman  
Clerk of Supreme Court

DIPAK KANTILAL DESAI,	)	CASE NO. 64591
	)	
Appellant,	)	
	)	
vs.	)	
	)	
THE STATE OF NEVADA,	)	
	)	
Respondent.	)	
_____	)	

**APPELLANT'S APPENDIX VOLUME 7**

FRANNY A. FORSMAN, ESQ.  
Nevada Bar No. 000014  
P.O. Box 43401  
Las Vegas, Nevada 89116  
(702) 501-8728

RICHARD A. WRIGHT, ESQ.  
Nevada Bar No. 000886  
WRIGHT, STANISH & WINCKLER  
300 S. Fourth Street, Suite 701  
Las Vegas, Nevada 89101

Attorneys for Appellant

STEVEN S. OWENS  
Chief Deputy District Attorney  
Nevada Bar No. 004352  
200 Lewis Avenue  
Las Vegas, Nevada 89155  
(702) 671-2750  
Attorney for Respondent

## INDEX TO APPENDIX VOLUMES 1 through 41

<b><u>DOCUMENT</u></b>	<b><u>VOL.</u></b>	<b><u>PAGE(S)</u></b>
Indictment	1	000001-000042
Amended Indictment	1	000043-000084
Court Minutes 7/21/10	1	000085
Court Minutes 2/08/11	1	000086
Finding of Competency	1	000087-000090
Recorder's Transcript - Hearing: Video Deposition Tuesday, March 20, 2012	1	000091-000129
Indictment (C-12-283381 - Consolidated Case)	1	000130-000133
Second Amended Indictment	1	000134-000176
Third Amended Indictment	1	000177-000212
Defendant Desai's Motion and Notice of Motion for Competency Evaluation	1	000213-000229
Recorder's Transcript - Hearing Re: Defendant Desai's Motion for Competency Evaluation Status Check: Experts/Trial Readiness (All) Tuesday, January 8, 2013	1	000230-000248
Fourth Amended Indictment	2	000249-000284
Notice of Motion and Motion to Use Reported Testimony	2	000285-000413
Reporter's Transcript Re: Status Check: Experts (All) Thursday, March 7, 2013	2	000414-000440

<b><u>DOCUMENT</u></b>	<b><u>VOL.</u></b>	<b><u>PAGE(S)</u></b>
Defendant Desai's Opposition to State's Motion to Admit Foreign Documents Relating to Rodolfo Meana	2	000441-000445
Order	2	000446-000449
Court Minutes 3/21/13	2	000450
Defendant Desai's Opposition to State's Motion to Use Reported Testimony	2	000451-000454
Court Minutes 3/26/13	2	000455
Independent Medical Evaluation, 4/14/13 Filed Under Seal - Separately	2	000456
Reporter's Transcript - Calendar Call (All) State's Motion to Admit Evidence of Other Crimes Tuesday, April 16, 2013	2	000457-000497
Fifth Amended Indictment	3	000498-000533
Reporter's Transcript - Jury Trial Day 7 Friday, May 3, 2013	3	000534-000622
Reporter's Transcript - Jury Trial Day 8 Monday, May 6, 2013	3 & 4	000623-000773
Reporter's Transcript - Jury Trial Day 9 Tuesday, May 7, 2013	4 & 5	000774-001016
Reporter's Transcript - Jury Trial Day 10 Wednesday, May 8, 2013	5	001017-001237
Reporter's Transcript - Jury Trial Day 11 Thursday, May 9, 2013	6 & 7	001238-001517

<b><u>DOCUMENT</u></b>	<b><u>VOL.</u></b>	<b><u>PAGE(S)</u></b>
Reporter's Transcript - Jury Trial Day 12 Friday, May 10, 2013	7 & 8	001518-001784
Reporter's Transcript - Jury Trial Day 13 Monday, May 13, 2013	8 & 9	001785-002061
Reporter's Transcript - Jury Trial Day 14 Tuesday, May 14, 2013	9 & 10	002062-00
Reporter's Transcript - Jury Trial Day 15 Wednesday, May 15, 2013	10 & 11	002303-002494
Reporter's Transcript - Jury Trial Day 16 Thursday, May 16, 2013	11 & 12	002495-002713
Reporter's Transcript - Jury Trial Day 17 Friday, May 17, 2013	12 & 13	002714-002984
Reporter's Transcript - Jury Trial Day 18 Monday, May 20, 2013	13 & 14	002985-003247
Reporter's Transcript - Jury Trial Day 19 Tuesday, May 21, 2013	14 & 15	003248-3565
Reporter's Transcript - Jury Trial Day 20 Wednesday, May 22, 2013	15 & 16	003566-003823
Reporter's Transcript - Jury Trial Day 21 Thursday, May 23, 2013	16 & 17	003824-004014
Reporter's Transcript - Jury Trial Day 22 Friday, May 24, 2013	17	004015-004185
Reporter's Transcript - Jury Trial Day 23 Tuesday, May 28, 2013	18	004186-004384

<b><u>DOCUMENT</u></b>	<b><u>VOL.</u></b>	<b><u>PAGE(S)</u></b>
Reporter's Transcript - Jury Trial Day 24 Petrocelli Hearing Wednesday, May 29, 2013	19	004385-004510
Reporter's Transcript - Jury Trial Day 24 Afternoon Session Wednesday, May 29, 2013	20	004511-004735
Reporter's Transcript - Jury Trial Day 25 Thursday, May 30, 2013	21	004736-004958
Reporter's Transcript - Jury Trial Day 26 Friday, May 31, 2013	22	004959-005126
Reporter's Transcript - Jury Trial Day 27 Friday, June 3, 2013	22 & 23	005127-005336
State's Exhibit 18 - Meana Death Certificate Admitted 6/3/13	23	005337-005345
Reporter's Transcript - Jury Trial Day 28 Tuesday, June 4, 2013	23 & 24	005346-005611
Reporter's Transcript - Jury Trial Day 29 Wednesday, June 5, 2013	24 & 25	005612-005885
Reporter's Transcript - Jury Trial Day 30 Thursday, June 6, 2013	25 & 26	005886-006148
Reporter's Transcript - Jury Trial Day 31 Friday, June 7, 2013	27 & 28	006149-006430
Reporter's Transcript - Jury Trial Day 32 Monday, June 10, 2013	28	006431-006641
Reporter's Transcript - Jury Trial Day 33 Tuesday, June 11, 2013	29 & 30	006642-006910

<b><u>DOCUMENT</u></b>	<b><u>VOL.</u></b>	<b><u>PAGE(S)</u></b>
Reporter's Transcript - Jury Trial Day 34 Wednesday, June 12, 2013	30 & 31	006911-007143
Reporter's Transcript - Jury Trial Day 35 Thursday, June 13, 2013	31	007144-007382
Reporter's Transcript - Jury Trial Day 36 Friday, June 14, 2013	32	007383-007619
Reporter's Transcript - Jury Trial Day 37 Monday, June 17, 2013	33	007620-007827
State's Exhibit 228 - Table 20-1 - Modes of Transmission and Sources of Infection Considered Admitted 7/17/13	33	007828
Reporter's Transcript - Jury Trial Day 38 Tuesday, June 18, 2013	34	007829-008038
Reporter's Transcript - Jury Trial Day 39 Wednesday, June 19, 2013	35	008039-008113
Reporter's Transcript - Jury Trial Day 40 Thursday, June 20, 2013	35 & 36	008114-008361
Reporter's Transcript - Jury Trial Day 41 Friday, June 21, 2013	36 & 37	008362-008537
Reporter's Transcript - Jury Trial Day 42 Monday, June 24, 2013	37 & 38	008538-008797
Reporter's Transcript - Jury Trial Day 43 Tuesday, June 25, 2013	38	008798-009017
Reporter's Transcript - Jury Trial Day 44 Wednesday, June 26, 2013	39	009018-009220



<b><u>DOCUMENT</u></b>	<b><u>VOL.</u></b>	<b><u>PAGE(S)</u></b>
Reporter's Transcript - Jury Trial Day 45 Wednesday, June 27, 2013	39 & 40	009221-009473
Defendant's Proposed Instruction No. 2	41	009474-009475
Defendant's Proposed Instruction No. 3	41	009476
Defendant's Proposed Instruction No. 4	41	009477
Defendant's Proposed Instruction No. 5	41	009478
Instructions to the Jury	41	009479-009551
Verdict	41	009552-009559
Reporter's Transcript - Sentencing Hearing Thursday, October 24, 2013	41	009560-009583
Judgment of Conviction	41	009584-009589
Amended Judgment of Conviction	41	009590-009595
Notice of Appeal	41	009596-009600

1 question after he hired CRNAs.

2 Q Okay. What I'm saying is your discussions with  
3 him about it would have taken place before he went to the CRNA  
4 practice.

5 A Yes.

6 Q Okay. And then your discussions continued  
7 because you -- you signed an additional agreement or letter of  
8 intent --

9 MR. STAUDAHER: Objection, mischaracterizes --

10 MR. WRIGHT: Letter of intent, I'm sorry.

11 MR. STAUDAHER: And he never indicated he signed an  
12 additional, only a single.

13 THE COURT: Okay. That's -- that's correct.

14 BY MR. WRIGHT:

15 Q You signed a -- you signed a letter of intent in  
16 2006, correct?

17 A Yes.

18 Q Okay. And I -- and you had conversations with  
19 him then?

20 A He approached me in 2006.

21 Q Okay. Meaning Dr. Desai?

22 A Yes.

23 Q Okay. So you discussed it again with him in  
24 2006.

25 A Yes.

1 Q Okay. And at that time you said I will remain  
2 available, however, if I do any -- I'll be available for  
3 on-site supervision if called upon --

4 A Yes.

5 Q -- and for any other telephonic type  
6 supervision --

7 A That's not -- that's not supervision.

8 Q Okay. Well, I'm -- correct me because I'm sure  
9 I'm not using the right words, consultation. I won't be  
10 responsible if I'm not on-site, right?

11 A Right.

12 Q Okay. And then other than that you agreed to be  
13 available if need be for consultation for quality care; is  
14 that correct?

15 A Chart review.

16 Q Chart -- chart review for quality care.

17 A Yes.

18 Q Okay. And that did not come to pass.

19 A No.

20 Q Okay. Now, you -- you gave -- you were asked  
21 about how long an upper endoscopic procedure took generally  
22 and then also a colonoscopy.

23 A Yes.

24 Q Okay. And do you recall what you said?

25 A Yes.

1 Q What?

2 A The EGD would take five to 10 minutes.

3 Q Okay.

4 A And the colonoscopy would take probably eight to  
5 15 minutes.

6 Q Okay. Did -- did you previously state that a --  
7 the endo takes 15 to 20 minutes and the colonoscopy 15 to 30  
8 minutes?

9 A It can take that long, yes.

10 Q Okay. Is that what -- do you recall when you  
11 were interviewed by the police department that you told them  
12 it was 15 to 20 minutes for the EGD and 15 to 30 minutes for  
13 the colonoscopy?

14 A Yes.

15 Q Okay. Is that accurate?

16 A Yes.

17 Q Okay. Now propofol. You -- you commenced your  
18 practice after your residency in about 1993, correct?

19 A Yes.

20 Q Okay. And by then propofol was already on the  
21 market and being widely utilized.

22 A Yes.

23 Q Okay. And before -- what -- what did propofol  
24 replace?

25 A It replaced sodium pentothal.

1 Q Okay. And did you -- you are a -- your  
2 specialty is cardiologist -- tell me what you are?

3 A Well, strictly speaking my specialty is  
4 anesthesiology --

5 Q Okay.

6 A -- but I also subspecialize in cardiac  
7 anesthesia.

8 Q Okay. Cardiac anesthesia.

9 A Yes.

10 Q Is that like heart surgery?

11 A Yes. It's anesthesia for surgeries on the heart  
12 and the major blood vessels.

13 Q Okay. And so that's your subspecialty, correct?

14 A Yes.

15 Q Okay. Now, for those procedures do you -- do  
16 you use propofol?

17 A Yes.

18 Q Okay. And do you use propofol to start and then  
19 use other anesthesia -- what do you call it?

20 A Other anesthetics.

21 Q Yes.

22 A Yes.

23 Q And tell -- tell me, with propofol -- tell --  
24 I'm -- I'm confused on what a sedative is and an anesthetic  
25 is.

1           A     A sedative can reduce the level of  
2 consciousness, but a anesthetic, for example, a hypnotic can  
3 make the person lose consciousness.

4           Q     Okay. And is a -- a -- a sedative put me to  
5 sleep?

6           A     It can put people to sleep, but it's usually  
7 used to reduce the level of anxiety in a person.

8           Q     Okay. And propofol is an anesthetic that puts  
9 me to sleep, right?

10          A     Yes.

11          Q     Within 10 seconds.

12          A     Yes.

13          Q     I'm in for a procedures, within 10 seconds in it  
14 goes, I'm asleep and I feel no pain, correct?

15          A     Correct.

16          Q     Okay. And then when I wake up it's -- it's  
17 quick acting, meaning it wears off like in -- say in 10  
18 minutes I'm coming to and drowsy.

19          A     Yes.

20          Q     Okay. And it -- at that time, how's my memory?

21          A     You would not recall what happened in that 10  
22 minutes.

23          Q     Okay. While I was asleep?

24          A     Right.

25          Q     Okay. And then, but as I am coming to, you know

1 the twilight period or whatever, I mean because it's wearing  
2 off, correct?

3 A Yes.

4 Q At what point does it -- does my memory come  
5 back 100 percent?

6 A Only after you fully wake up.

7 Q Okay. So there's a period of time like when I'm  
8 in recovery -- you do a procedure and I'm talking about a  
9 procedure with propofol. Okay? And then I'm in to recovery,  
10 and I'm still asleep, but as I start coming to, until I am  
11 fully awake, I may not remember certain things that transpire;  
12 is that fair?

13 A That's correct.

14 Q Like maybe a doctor could have come by and  
15 talked to me and I may not even know it.

16 A That's correct.

17 Q You have -- you still -- aside from hospital  
18 anesthesiologist work, you also do work at ambulatory surgical  
19 centers.

20 A Yes.

21 Q Okay. Like -- like an endoscopic clinic.

22 A I haven't been to any endoscopy centers in 10  
23 years.

24 Q Oh, okay. Then what -- currently just give me  
25 an example of your outpatient surgical center activity.

1           A     This would be accredited outpatient surgery  
2 centers also known as ASC, Ambulatory Surgery Centers.

3           Q     Okay.

4           A     Once I have privilege at such a place, if and  
5 when a surgeon calls for -- for me to go to that particular  
6 surgery center to provide service, I will go.

7           Q     Okay. And you are presently doing that.

8           A     Yes.

9           Q     But not -- not the endoscopic centers but to  
10 centers where they perform what other services?

11          A     Basically short surgeries.

12          Q     Okay. Like what? Give me examples. I'm not  
13 familiar with it?

14          A     One example would be excision of lipoma. These  
15 are benign masses that's on -- grow on the skin and the  
16 surgeon would take that off and the patients would be more  
17 comfortable if they slept through it.

18          Q     Okay. Now when -- when you have used propofol  
19 and only propofol for a short procedure like an endoscopy,  
20 okay, and you -- in an out -- outpatient surgery center, so  
21 you're not in the hospital with IV bags, et cetera, you're  
22 using propofol and a syringe and a hep-lock. Okay?

23          A     Yes.

24          Q     Okay. And that's standard procedure in out --  
25 in ambulatory surgical centers.



1 A Yes.

2 Q Okay. And so when -- when you are doing that,  
3 you are dispensing propofol, intermittently redosing if the  
4 patient needs it.

5 A Yes.

6 Q Okay. So that you start the procedure, patient  
7 goes to sleep giving them so many -- whatever the amount is,  
8 but you start enough to put them to sleep. And then the  
9 procedure's going on and if they start to awaken -- and, of  
10 course, you are there monitoring everything, right?

11 A Yes.

12 Q Okay. And so they may need an additional dose  
13 of propofol, right?

14 A Right.

15 Q Okay. And then it's you who makes the  
16 determination as to what size and how much more they need,  
17 right?

18 A Yes.

19 Q Okay. So then when you what I call redose the  
20 patient, okay, you take -- when you first dose the patient,  
21 you took out a new, brand new needle and syringe, correct?

22 A Yes.

23 Q And you dose the patient, right?

24 A Yes.

25 Q Okay. Now the patient's stirring and the doctor

1 says I'm going to be a little while longer -- the surgeon says  
2 it's going to be a while yet, so you determine to give a  
3 second dose?

4 A Yes.

5 Q Okay. So you take the same needle and syringe  
6 and insert it into the propofol and redose that patient with  
7 the same needle and syringe.

8 A Yes.

9 Q Okay. And that is absolutely perfect -- perfect  
10 practice, correct?

11 A As long as you throw away the bottle at the end  
12 of that patient's procedure.

13 Q Okay. What do you do with the needle and  
14 syringe?

15 A You throw that away too.

16 Q Okay. But you would never use the needle and  
17 syringe on an additional patient.

18 A I would never use the syringe, the needle or  
19 that bottle on another patient.

20 Q I understand that. I'm asking you about the  
21 needle and syringe and reusing it for the same patient  
22 multiple times.

23 A Same patient is acceptable; different patient is  
24 unacceptable.

25 Q Okay. Thank you.

1 THE COURT: Is that it, Mr. Wright?

2 MR. WRIGHT: That's it.

3 THE COURT: Mr. Santacroce, do you have any patients  
4 -- I'm sorry --

5 MR. SANTACROCE: Patients, no. I do have a few  
6 questions.

7 THE COURT: Freudian slip. Do you have any  
8 questions?

9 CROSS-EXAMINATION

10 BY MR. SANTACROCE:

11 Q Doctor, you're a licensed anesthesiologist here  
12 in Nevada, correct?

13 A Yes.

14 Q Are you aware that it's perfectly legal for a  
15 licensed CRNA in Nevada and California and other jurisdictions  
16 to administer anesthesia without a supervising  
17 anesthesiologist?

18 A I'm not familiar with that.

19 Q You have no -- I shouldn't say no, you have  
20 limited experience supervising CRNAs, correct?

21 A Yes.

22 Q And the last time you did that was when?

23 A In the early 1990s.

24 Q So a lot of things have changed since then;  
25 isn't that correct?

1           A     Yes.

2           Q     Now you testified -- you were shown that billing  
3 chart or that anesthesia record and on the bottom -- do you  
4 have that record?

5           MR. WRIGHT: It's part of the exhibit.

6           MR. STAUDAHER: Yeah, it should be up there.

7           MS. STANISH: Maybe down there or something.

8           MR. STAUDAHER: Down -- no, that's not it. It's up  
9 -- it should be over there.

10          THE COURT: Did they leave any exhibits in front of  
11 you, Doctor?

12          THE WITNESS: No.

13          MR. WRIGHT: It's part of Exhibit 65.

14 BY MR. SANTACROCE:

15          Q     Never mind. Anyway, do you recall on that  
16 exhibit where you were talking about the billing and you said  
17 that you would -- if you were supervising a CRNA you would put  
18 CRNA slash and then the anesthesiologist, correct?

19          A     Yes.

20          Q     How many times have you actually done that?

21          A     Just a few times in my career.

22          Q     And when was the last time you did it?

23          A     In the early 1990s.

24          Q     So that was the last time you ever did such a  
25 thing.

1 A Yes.

2 Q Submitted a bill like that. Would you charge  
3 for the CRNA's time as well as your time?

4 A When I did that I was a -- a temporary employee  
5 for the anesthesia group that serviced Los Angeles Medical  
6 Center and I did not do the billing.

7 Q So you -- so you didn't do the billing at all.  
8 All you did was put your time, correct? Or the CRNA's time,  
9 correct?

10 A Yes.

11 Q And then that went to a different department.

12 A Yes.

13 Q And that department then did whatever they  
14 wanted to do with it to get paid, correct?

15 A If -- my understanding is if there was  
16 misrepresentation --

17 Q I didn't ask you about misrepresentation --

18 MR. STAUDAHER: Objection, Your Honor. Let him  
19 finish his answer.

20 MR. SANTACROCE: It's nonresponsive.

21 THE COURT: All right. The question was, and once it  
22 went to the billing department, then the billing department  
23 would take it from there?

24 THE WITNESS: Yeah, but they cannot do whatever they  
25 wanted like you just said.

1 THE COURT: Right.

2 BY MR. SANTACROCE:

3 Q Okay. They would submit it to the --

4 THE COURT: That's a fair answer.

5 BY MR. SANTACROCE:

6 Q That's fair. They would submit it to the --  
7 either Medicare, Medicaid or the proper insurance company to  
8 get paid; is that fair?

9 A Yes.

10 Q Okay. And it was out of your hands once you  
11 submitted it to the billing department or billing company,  
12 correct?

13 A Yes.

14 Q I'm curious, Doctor, how much do you get paid an  
15 hour or do you bill as an anesthesiologist per hour?

16 A Different payers pay at different rates.

17 Q Okay. Well, just give me a ballpark figure.

18 A Medicare pays us \$17 a unit.

19 Q Okay. What about a health plan in Nevada?

20 A Probably \$39 a unit.

21 Q And other companies, Blue Cross Blue Shield?

22 A I would say in the high thirties, low forties.

23 Q Per unit?

24 A Per unit.

25 Q You -- you testified that you bill in increments

1 of 15 minutes, correct?

2 A Yes, that's one unit.

3 Q Okay. And you testified that if it went to 16  
4 minutes you didn't particularly bill for the extra unit but  
5 other anesthesiologists did.

6 A Yes.

7 Q And it's perfectly legal and ethical to do that,  
8 isn't it?

9 A It's legal --

10 Q You just chose not to do it?

11 A It's legal, I don't think it's ethical.

12 Q Okay. From your point of view it's not ethical.

13 A Right.

14 Q But it is legal.

15 A Yes.

16 MR. SANTACROCE: I have no further questions. Thank  
17 you.

18 THE COURT: Redirect.

19 MR. STAUDAHER: Yes.

20 REDIRECT EXAMINATION

21 BY MR. STAUDAHER:

22 Q The question he just asked you about the  
23 insurance billing where you were talking about if there was  
24 any information that was false on there it would be a problem.

25 A Yes.

1           Q     Okay.  So you know that the information you put  
2 down on the -- on the record is going to go to an insurance  
3 company to get reimbursement.

4           A     Yes.

5           Q     And that's the whole purpose of submitting it,  
6 right?

7           A     Right.

8           Q     And so if you -- you know that if you put  
9 anything false in there that's it going to potentially cause a  
10 real problem and you're not going to either get reimbursed or  
11 you could even get in trouble.

12          A     You can go to jail.

13          MR. WRIGHT:  I object to the leading.

14          THE COURT:  Well, overruled.

15          MR. STAUDAHER:  This, Your Honor --

16          THE COURT:  It's over -- Mr. Staudaher, it was  
17 overruled.

18          MR. STAUDAHER:  Okay, I'm sorry.

19          THE COURT:  You don't need to fight with me.

20          MR. STAUDAHER:  I'm sorry, Your Honor.

21          THE COURT:  Save the fighting for when I rule against  
22 you.

23 BY MR. STAUDAHER:

24          Q     You were asked some questions by Mr. Wright  
25 about redosing of propofol and the syringes and all that.  Do



1 you remember that?

2 A Yes.

3 Q So just so I'm clear, you would never use a -- a  
4 syringe that you used on a patient on a new patient?

5 A Never.

6 Q Even if you took the needle off of it?

7 A Never.

8 Q What about the bottle of propofol, if you -- if  
9 there was some left over and you drew some out of that to --  
10 to have a patient and you went back into the bottle with the  
11 same syringe, even if you changed the needle or something, and  
12 went back into that same bottle, would you ever use that  
13 bottle on another patient?

14 A No.

15 Q In fact, if you open the bottle and you used it  
16 on one patient, regardless of the situation, would you ever  
17 use that bottle on another patient?

18 A No, never.

19 Q So the medication stays with the patient?

20 A Yes.

21 Q And you were -- said -- or you were asked if  
22 that's pretty standard practice among the anesthesia people  
23 that you work with?

24 A Yes.

25 Q Why -- what is the reason why you would not do

1 that?

2 A Because of the risk of cross-contamination.

3 Q Is that well known?

4 A Yes.

5 Q Amongst all providers that you've ever worked  
6 with?

7 MR. WRIGHT: Objection.

8 THE COURT: Overruled.

9 THE WITNESS: Any anesthesia providers that has been  
10 trained would know that.

11 MR. SANTACROCE: I'm going to object, it calls for  
12 speculation. He can't speak for all anesthesia providers. He  
13 can speak for himself.

14 THE COURT: All right. Are you -- are you speaking  
15 for anesthesiologists?

16 THE WITNESS: Yes.

17 THE COURT: All right.

18 BY MR. STAUDAHNER:

19 Q Now you were asked also about -- well, I guess  
20 it was even standard procedure in ambulatory care centers is  
21 what the question was about. Remember that --

22 A Yes.

23 Q -- with regard to this stuff? The things we've  
24 been talking about about not reusing syringes or not reusing  
25 bottles of propofol, is that pretty standard in that setting?

1 A Yes.

2 Q Have you ever run across anybody that didn't  
3 understand that or didn't know that?

4 A No.

5 Q Now flip side of that. You are working at an  
6 ambulatory care center or in any case, any situation, and a  
7 doctor that you're working with tells you to do that, to reuse  
8 that stuff, not waste it, would you do it?

9 A No.

10 Q Ever?

11 A No.

12 Q Would you ever tell somebody you were  
13 supervising if you had entered into an agreement to do so,  
14 that it's okay to reuse syringes or propofol or any medication  
15 like that from patient to patient?

16 A No.

17 Q Why not?

18 A Because that would put -- put a patient in  
19 danger.

20 MR. STAUDAHER: Court's indulgence, Your Honor.

21 THE COURT: Uh-huh.

22 BY MR. STAUDAHER:

23 Q Two -- two companion questions. A procedure  
24 that you would do and -- an endoscopic procedure in a  
25 hospital, in an outpatient setting, whatever, would you ever

1 allow a patient -- the procedure to actually start before you  
2 had the anesthesia on board? Meaning that you've given the  
3 anesthesia.

4 MR. WRIGHT: Objection, outside the scope of cross.

5 THE COURT: I'm sorry?

6 MR. WRIGHT: Outside of the scope of cross.

7 THE COURT: Oh, overruled. You can answer.

8 THE WITNESS: I would not allow the surgeon to  
9 proceed.

10 BY MR. STAUDAHER:

11 Q So what would you do in a situation like that?

12 A I would tell him to stop.

13 Q What if they didn't stop?

14 A I would wake the patient up and leave the room.

15 Q Other side of the procedure, getting to the end  
16 but the patient's starting to move around or -- or -- and you  
17 think in your clinical judgment that that patient needs  
18 additional medication to finish the procedure, the doctor  
19 tells you don't give it.

20 A I would still give it.

21 MR. STAUDAHER: Nothing further.

22 THE COURT: Recross, Mr. Wright.

23 RECROSS-EXAMINATION

24 BY MR. WRIGHT:

25 Q I just want to be clear on this syringe you --

1 and -- and we're talking about aseptic technique, correct?

2 You know what that means?

3 A Yeah, but do you know what that means?

4 Q Pardon?

5 A I know what it means. Do you know what it  
6 means?

7 Q That's what I asked you. Tell the jury what it  
8 means. I wasn't saying you don't use aseptic technique.  
9 Aseptic technique means safe, sterile practices; is that  
10 correct?

11 A The different levels of aseptic standard.

12 Q Okay.

13 A Aseptic means no germ. Septic means germs.

14 Q Okay.

15 A A means none. So in the highest level, that's  
16 what a surgeons do, they -- they wash their hands outside the  
17 room for a standard five minutes. They come in, they put on  
18 sterile gowns, sterile gloves, mask, hats and shoe covers.

19 Q Okay.

20 A And the surgical site is scrubbed.

21 Q Okay. I was going to focus on syringe use,  
22 aseptic technique. Does that mean no germs?

23 A The use of --

24 Q I mean is that -- aseptic means that, right?

25 A No.

1 Q Oh, okay. What's aseptic?

2 A Aseptic is not an appropriate description for  
3 using syringes on patients because the person taking the  
4 syringe in his hands, he didn't scrub his hands.

5 Q Okay. I'm -- I'm -- I must be using the wrong  
6 terminology. When CDC talks about unsafe syringe use and says  
7 it's not -- it's not aseptic technique, what are they talking  
8 about?

9 A The syringe should be a one-time use syringe  
10 ideally. If it's not it has to have been properly sterilized  
11 prior to use.

12 Q Okay. I want to talk about reusing the same  
13 needle and syringe on the same patient. Okay?

14 A Okay.

15 Q Absolutely proper, correct?

16 A Same syringe, same needle on the same patient is  
17 acceptable.

18 Q Okay. And that's aseptic technique, correct?

19 A That's -- you're using the wrong word.

20 Q Okay. Is -- it's not?

21 A Once a syringe and needle has come in to contact  
22 with patient body fluid, it's no longer aseptic but it's the  
23 same patient so you can use that syringe and needle and insert  
24 that into say the original bottle of medicine, draw some more  
25 medicine, give it to the same patient because a patient cannot

1 contaminate himself.

2 Q Correct. And so that is perfectly safe and  
3 acceptable and you do it, correct?

4 A Yes, it's acceptable.

5 Q And all of those -- all of your brethren  
6 anesthesiologists in the community that you know, and you know  
7 all of their practices, they all do the same thing. Only one  
8 needle, it's safe to use, same patient, then toss it. Can't  
9 use it on another patient, correct?

10 A And you must toss the bottle of drugs too.

11 Q No. I'm talking about the needle and syringe.  
12 Okay? All of them across the board, standard of practice in  
13 Las Vegas is reuse needle and syringe, same patient perfectly  
14 proper, correct?

15 A Yes.

16 MR. WRIGHT: Thank you.

17 THE COURT: Mr. Santacroce?

18 MR. SANTACROCE: Yes.

19 RECROSS-EXAMINATION

20 BY MR. SANTACROCE:

21 Q Doctor, how many colonoscopies and endoscopies  
22 have you done?

23 A I would say a few hundred.

24 Q Okay. And when you worked at the clinic you --  
25 I believe you testified you did 20 per day?

1 A Up to 20 per day.

2 Q And at your practice now, what size propofol  
3 bottles do you use?

4 A The 20 ml bottles.

5 Q Twenties?

6 A Yes, 20 cc bottles.

7 Q You ever use the 50s?

8 A I have, yes.

9 Q And why is it you don't use the 50s now?

10 A It's not available to me.

11 Q Okay. So it's just a matter of supply and  
12 demand?

13 A If it's available, both, I will use the one  
14 that's more appropriate for the case. If a case is only going  
15 to require 10 cc I will use the smaller bottle. If I think  
16 the case is going to run long and I need to give 30 cc then I  
17 will use the bigger bottle.

18 Q So you don't use the 50s now because you can't  
19 get them, is that your testimony?

20 A The hospital does not provide it to me.

21 Q Okay.

22 MR. SANTACROCE: Nothing further.

23 THE COURT: Any redirect?

24 MR. STAUDAHER: No, Your Honor.

25 THE COURT: Do we have any juror questions for the



1 witness? I'll see counsel at the bench while the bailiff  
2 retrieves the question.

3 (Off-record bench conference.)

4 THE COURT: -- questions up her from a juror.  
5 Doctor, you talked about your procedure before going in to  
6 surgery. What is your procedure following surgery? Meaning  
7 what is your responsibility after the surgery to the patient?

8 THE WITNESS: After the surgery we take the patient  
9 to the recovery area and we give report to the nurse in the  
10 recovery room and we take a set of vitals. For example, blood  
11 pressure, heart rate, respiratory rate, and the oxygen level  
12 in the blood. And we also give instruction to the nurse on  
13 what to do to treat the pain, anxiety or nausea problems.

14 THE COURT: Do you wait until the patient becomes  
15 conscious to speak to the patient?

16 THE WITNESS: I would not necessarily wait until the  
17 patient can speak. If the patient can maintain his airway,  
18 can breathe adequately and the vital signs are stable and then  
19 it's acceptable for me to give the instruction to the nurse  
20 and give the nurse a way to contact me such as my phone number  
21 and then leave the recovery area.

22 THE COURT: All right. Thank you, Doctor. Any  
23 follow-up questions based on that last juror question?

24 MR. STAUDAHER: Yes, Your Honor.

25 FURTHER REDIRECT EXAMINATION

1 BY MR. STAUDAHER:

2 Q If you were in the situation where you just --  
3 you didn't follow her out there, you just stayed in the room  
4 and waited for the next patient to come in, would that end  
5 your involvement with that patient?

6 A If I did not follow the patient to recovery  
7 room?

8 Q Yes.

9 A Then -- first of all, I -- I don't think I've  
10 done that but if I were to do that then my involvement with  
11 the patient would end there.

12 Q Would your time for your billing end at that  
13 point?

14 A Yes.

15 Q So whenever you walk away from the patient or  
16 are done with the patient for whatever reason, your billing  
17 time ends.

18 A Yes.

19 Q Would you ever calculate or -- or add vital  
20 signs on to get more time?

21 A No.

22 Q Would that be something that would be false  
23 information on a record?

24 A I believe so, yes.

25 Q Okay. Would you ever submit something like that

1 to an insurance company?

2 A No.

3 Q The companion thing is you are in a situation  
4 where a patient goes out to the recovery room, maybe you even  
5 follow them, and then you walk away from that patient where  
6 you're time ends with them and you start another patient.  
7 Would you continue to bill or do vital signs or anything for  
8 that patient when you're working on another patient?

9 A The time cannot overlap.

10 Q It can't?

11 A Cannot.

12 Q Why not?

13 A To -- in my understanding that's not legal.

14 Q Okay. You don't believe you could bill for --  
15 that would be false information?

16 MR. WRIGHT: Objection. I ask the Court to  
17 reinstruct --

18 THE COURT: All right. Once again, the witness can  
19 testify about his practices and why he --

20 MR. STAUDAHER: Certainly.

21 THE COURT: -- does it but any -- cannot make legal  
22 conclusions or tell you what the law is.

23 BY MR. STAUDAHER:

24 Q And one last question. Mr. Wright said, you  
25 know, industry standard across all anesthesiologists that you

1 work with, do you know any anesthesiologist in town that has  
2 ever continued to bill --

3 MR. WRIGHT: Objection, Your Honor -- objection Your  
4 Honor --

5 BY MR. STAUDAHER:

6 Q -- after they --

7 MR. WRIGHT: -- objection.

8 THE COURT: All right. That -- that's --

9 MR. WRIGHT: Can we approach the bench?

10 THE COURT: No, we don't need to. That's sustained.  
11 You can phrase the question a different way.

12 MR. WRIGHT: This isn't a follow-up on the juror's  
13 question.

14 THE COURT: Well, sometimes it reopens --

15 MR. STAUDAHER: I think it's along the same lines.  
16 I'm asking him what happens after a procedure.

17 MR. WRIGHT: Can we approach the bench?

18 THE COURT: I don't -- I sustained the objection to  
19 the question as asked.

20 BY MR. STAUDAHER:

21 Q Across the board, as far as you know,  
22 anesthesiologists you work with in the community --

23 MR. WRIGHT: In -- in the recovery room.

24 BY MR. STAUDAHER:

25 Q -- in the recovery room, patient's out there and

1 you walk away. Do people get to continue to bill for that  
2 time?

3 A No.

4 MR. STAUDAHER: Nothing further.

5 THE COURT: Anything else from the defense?

6 MR. SANTACROCE: Yes, Your Honor.

7 FURTHER RECROSS-EXAMINATION

8 BY MR. SANTACROCE:

9 Q Your post surgical procedure that you just told  
10 us about, that's in a hospital setting, correct?

11 A That's in both hospital and surgery center  
12 setting.

13 Q Well, when you did these 20 procedures a day at  
14 the endoscopy center, you didn't do that, did you?

15 A I would push the bed out to the recovery area --

16 Q Uh-huh.

17 A -- and tell the nurse what we just did and then  
18 go back to the room.

19 Q Okay. And that -- that ended it for you,  
20 correct?

21 A Yes.

22 MR. SANTACROCE: Nothing further.

23 THE COURT: Mr. Wright, anything else?

24 MR. WRIGHT: No.

25 THE COURT: Anything else from the -- oh, I'm sorry.

1 Did you have anything, Mr. Staudaher?

2 MR. STAUDAHER: No, Your Honor, I don't.

3 THE COURT: Anything else from the jury? All right,  
4 Doctor, thank you for your testimony. You are excused at this  
5 time.

6 All right, ladies and gentlemen, we're going to go  
7 ahead and take our evening recess. We will be reconvening  
8 tomorrow morning at 9:30. Before I excuse you for the evening  
9 recess I must admonish you that you're not to discuss the case  
10 or anything relating to the case with each other or with  
11 anyone else. You're not to read, watch or listen to any  
12 reports of or commentaries on this case, any person or subject  
13 matter relating to the case by any medium of information. Do  
14 not do any independent research by way of the Internet or any  
15 other medium. And please do not form or express an opinion on  
16 the trial. If you would all place your notepads in your  
17 chairs, follow Kenny through the rear door and we'll see you  
18 back tomorrow morning at 9:30.

19 MS. STANISH: Judge, can we approach?

20 (Jury recessed at 4:55 p.m.)

21 (Off-record bench conference.)

22 (Court recessed for the evening at 4:57 p.m.)

23

24

25


**CERTIFICATION**

I CERTIFY THAT THE FOREGOING IS A CORRECT TRANSCRIPT FROM THE AUDIO-VISUAL RECORDING OF THE PROCEEDINGS IN THE ABOVE-ENTITLED MATTER.

**AFFIRMATION**

I AFFIRM THAT THIS TRANSCRIPT DOES NOT CONTAIN THE SOCIAL SECURITY OR TAX IDENTIFICATION NUMBER OF ANY PERSON OR ENTITY.

KARR REPORTING, INC.  
Aurora, Colorado

  
KIMBERLY LAWSON

KARR Reporting, Inc.

*Allen D. Schuman*

CLERK OF THE COURT

TRAN

DISTRICT COURT  
CLARK COUNTY, NEVADA  
\* \* \* \* \*

STATE OF NEVADA,	)	CASE NO. C265107-1,2
	)	CASE NO. C283381-1,2
Plaintiff,	)	DEPT NO. XXI
vs.	)	
	)	
DIPAK KANTILAL DESAI, RONALD	)	<b>TRANSCRIPT OF</b>
E. LAKEMAN,	)	<b>PROCEEDING</b>
	)	
Defendants.	)	
	)	

BEFORE THE HONORABLE VALERIE ADAIR, DISTRICT COURT JUDGE

**JURY TRIAL - DAY 12**

FRIDAY, MAY 10, 2013

APPEARANCES:

FOR THE STATE:	MICHAEL V. STAUDAHER, ESQ. PAMELA WECKERLY, ESQ. Chief Deputy District Attorneys
FOR DEFENDANT DESAI:	RICHARD A. WRIGHT, ESQ. MARGARET M. STANISH, ESQ.
FOR DEFENDANT LAKEMAN:	FREDERICK A. SANTACROCE, ESQ.

RECORDED BY JANIE OLSEN, COURT RECORDER  
TRANSCRIBED BY: KARR Reporting, Inc.

KARR REPORTING, INC.

**001518**



## **I N D E X**

### **WITNESSES FOR THE STATE:**

JEAN SCAMBIO

Direct Examination By Ms. Weckerly	3
Cross-Examination By Ms. Stanish	14
Cross-Examination By Mr. Santacroce	32
Redirect Examination By Ms. Weckerly	37
Recross Examination By Ms. Stanish	38
Recross Examination By Mr. Santacroce	42

KEITH MATHAHS

Direct Examination By Mr. Staudaher	63
Cross-Examination By Mr. Santacroce	226

## **E X H I B I T S**

### **STATE'S EXHIBITS ADMITTED:**

### **PAGE**

70I, 72A, 72B, 70H and 70G	147
----------------------------	-----

1 LAS VEGAS, NEVADA, FRIDAY, MAY 10, 2013, 9:30 A.M.

2 \* \* \* \* \*

3 (In the presence of the jury.)

4 THE COURT: All right. Court is now back in  
5 session. The record should reflect the presence of the State,  
6 the defendants and their counsel, the officers of the court,  
7 and the ladies and gentlemen of the jury.

8 And the State may call its next witness.

9 MS. WECKERLY: Thank you, Your Honor. The State  
10 calls Jean Scambio.

11 THE COURT: All right.

12 JEAN SCAMBIO, STATE'S WITNESS, SWORN

13 THE CLERK: Thank you. Please be seated. And if  
14 you could please state and spell your first and last name for  
15 the record.

16 THE WITNESS: Jean, J-E-A-N, Scambio, S-C-A-M-B-I-O.

17 THE COURT: Thank you.

18 Ms. Weckerly.

19 DIRECT EXAMINATION

20 BY MS. WECKERLY:

21 Q Good morning.

22 A Good morning.

23 Q How are you employed?

24 A How?

25 Q Uh-huh.

KARR REPORTING, INC.

1 A I was a nurse at the --  
2 Q And what --  
3 A -- endoscopy center.  
4 Q -- type of nurse?  
5 A An LPN.  
6 Q What does --  
7 A A practical nurse, licensed practical nurse.  
8 Q Okay. And I know this is a little bit  
9 awkward, but we'll have to not talk over each other, so just  
10 wait. I know you know the answer to how you're employed --  
11 A Uh-huh.  
12 Q -- but just wait until I say it --  
13 A Okay.  
14 Q -- and I'll wait for your answer. Okay.  
15 A Uh-huh.  
16 Q What kind of training have you had that allows  
17 you to work as an LPN?  
18 A Well, I did a year of schooling like 35 years  
19 ago.  
20 Q Okay.  
21 A And I worked in a county hospital in Rhode  
22 Island for 22 years, and then another five years in a  
23 radiation oncology office there before I moved to Las Vegas in  
24 2005.  
25 Q So you came to Las Vegas in 2005. Did you

1 work somewhere when you arrived in 2005?

2 A Yeah, I worked at Home Health Agency for about  
3 seven or eight months first.

4 Q And what is that?

5 A Going into patient's homes doing blood  
6 pressures, diabetic teaching, wound care, things like that.

7 Q So it's in-home treatment for --

8 A Yes.

9 Q -- for patients who aren't able to go to a  
10 hospital or need kind of day to day care?

11 A Well, yeah. Usually they had been discharged  
12 from the hospital and they needed, you know, some little short  
13 term care at home to get them back on their feet.

14 Q Okay. At some point did you work at the  
15 Endoscopy Center of Southern Nevada?

16 A Yes.

17 Q And when did you start working there?

18 A I believe it was January, the end of January  
19 in 2006.

20 Q Okay. Who hired you?

21 A Ms. Rushing.

22 Q That would be Tonya Rushing?

23 A Yeah, she did my interview.

24 Q And you so you think you worked there in  
25 January of 2006.

1 A Uh-huh.

2 Q What position were you hired into?

3 A It was mostly in the discharge of the -- of  
4 the clinic, going over discharge instructions, kind of like  
5 preliminary test results and scheduling follow up visits, and  
6 then also we would do call backs the next day to see how  
7 patients were doing at home.

8 Q Okay. Let me ask you a couple questions about  
9 that. You weren't the person that checked patients in once  
10 they arrived at the clinic before -- before their procedure?

11 A No.

12 Q And you didn't work in the pre-op area  
13 installing a hep-lock or a needle or anything into the patient  
14 prior to their procedure?

15 A No.

16 Q And were you ever in the procedure room when  
17 you worked there?

18 A No.

19 Q And the way I understand it there was a  
20 recovery area prior -- that you went to prior to the  
21 discharge.

22 A Yes.

23 Q And you were in that last stop?

24 A Yes.

25 Q From where you actually physically worked in

1 the discharge area, could you see into the recovery area?

2 A Yes.

3 Q As the -- the discharge nurse, I believe you  
4 said your duties were to give the -- the patients their  
5 results and schedule a follow up appointment?

6 A Yes.

7 Q And then you also were to, I guess, call them  
8 the next day to see what their reaction was to their  
9 procedure?

10 A Yes. There was two or three of us, and we  
11 kind of like rotated the duties, LPNs --

12 Q Okay.

13 A -- doing those -- those things.

14 Q When -- when you were working during the time  
15 you were there, what was your typical shift, your work hours?

16 A We rotated a little bit just to stagger coming  
17 in and leaving. Generally, I would say I did either like 8:00  
18 to 4:30 or 8:30 to 5:00. And then one of the other nurses, I  
19 believe, almost always did the earlier shift, like 7:30 to --  
20 to 4:00, I believe.

21 Q Now, you said that you reviewed the discharge  
22 instructions and also the test results with the -- with the  
23 patients.

24 A Yes.

25 Q What types of things were you telling the

1 patients about their procedure?

2 A Well, they printed out a preliminary report  
3 after every procedure was finished, so we would kind of look  
4 at what was stated in this document and go over like a general  
5 synopsis of what it -- what it said.

6 Q And were you comfortable doing that?

7 A No.

8 Q Why not?

9 A Because on occasion there was possibly results  
10 that really didn't look good, and I didn't feel it was up to  
11 me to be telling patients that they possibly had tumors.

12 Q Did you -- did you feel like you had the  
13 medical background to be discussing that type of result with a  
14 patient?

15 A Not really.

16 Q And were you -- were you concerned about the  
17 -- the accuracy of the information you might be giving the  
18 patient?

19 A Yes.

20 Q When you had that -- that duty, did you ever  
21 ask a doctor to come out and discuss the results with the  
22 patient instead of you doing it?

23 A There were only a few doctors that, in my time  
24 I was there, that if they saw something that really seemed  
25 concerning would actually go in and talk to the patient in the

1 discharge room.

2 Q And who were those doctors that would come out  
3 if you --

4 A I don't remember their names.

5 Q You don't remember their names?

6 A There were so many doctors that --

7 Q Do you remember if Dr. Desai would come out?

8 A No, when I was there he -- I don't remember  
9 him doing that many actual procedures.

10 Q And obviously you were aware of who he was?

11 A Yes.

12 Q Did you ever see him in your area, in the  
13 discharge area?

14 A The discharge area had like a nurse's desk,  
15 and up on the counter was the daily schedule that would be  
16 taped to the desk so, you know, we could see who was going to  
17 be coming in and take the next person in. He would come out  
18 and look at that and schedule frequently. And if patients  
19 didn't come in or cancel, they would get crossed off.

20 Q And did you ever hear him comment or --

21 A Yeah.

22 Q -- say anything?

23 A He didn't seem happy when we were having like  
24 quite a few no-shows for the day.

25 Q He didn't seem happy?



1 A No.

2 Q Well, how did you -- how could you tell that?  
3 What would he do?

4 A I don't know. I don't remember exactly what  
5 he said, but it just seemed like there was grumbling about,  
6 you know, not enough patients being seen for the day.

7 Q Not enough patients for the day. But those  
8 comments weren't directed at you.

9 A No.

10 Q You could just hear it?

11 A Yeah.

12 Q Is that yes?

13 A Yes.

14 Q Okay.

15 A Sorry.

16 Q What was the -- the atmosphere like at the  
17 clinic during the time you were there?

18 A It was very fast paced, very stressful, there  
19 was always roomfuls of patients. The waiting room was always  
20 very full, patients were angry about how long they had to wait  
21 to come in, get out. It just wasn't a nice atmosphere.

22 Q And in your recollection, how many patients do  
23 you remember being seen a day?

24 A I believe on like just any given day on the  
25 schedule there was anywhere from 65, 70 or so, I believe.

1 Q Yeah, and it was -- I think you described it  
2 as very crowded.

3 A Oh, yeah.

4 Q How quickly were the patients moved through?

5 A It seemed like after their procedure they'd be  
6 brought into the recovery area. I think about two sets of  
7 vital signs every 15 minutes may have been done. And then  
8 they would be gotten up and dressed and brought over to a  
9 seating area to wait for one of us to go over their results  
10 and schedule their follow up appointment.

11 Q Okay. And how long -- I mean, were patients  
12 in one area very long, or how -- how did it seem to you?

13 A In one area?

14 Q In one area of the -- of the clinic, like in  
15 pre-op or in recovery or --

16 A Well, they moved through pretty quickly.

17 Q Okay. And it was just always high volume --

18 A Yes.

19 Q -- is your recollection?

20 A Yes.

21 Q Did you stay -- did you stay there a long time  
22 working?

23 A No, five months.

24 Q Five months. Why only five months?

25 A Once I got actually totally trained, oriented

1 to the position, got a feel for how the place was -- the  
2 facility was run, and I didn't really care for it, it was  
3 nothing like I had ever seen back in Rhode Island in an  
4 office, and then the time to find another job and leave.

5 Q How soon did you start looking for another  
6 job?

7 A I don't remember for sure, maybe three --  
8 three and a half, four months maybe.

9 Q After you were there?

10 A Uh-huh.

11 Q Is that yes?

12 A Yes.

13 Q Were you worried about your license?

14 A I didn't feel comfortable there. I felt that  
15 the place was unsafe and I just didn't feel comfortable, yes.  
16 I felt like something may happen there. I thought it more  
17 would be -- might be missed -- missed cancers, you know,  
18 because it seemed to me that they were putting way too many  
19 patients through there and that they weren't in the procedure  
20 rooms very long.

21 Q You mentioned that you rarely saw doctors come  
22 out to the recovery area and deal with patients. How about  
23 the CRNAs? Did you see them come to the recovery area very  
24 much?

25 A No, not that I remember.

1 Q And you said that you left after five or six  
2 months?

3 A [Nods head yes].

4 Q Is that yes?

5 A Yes.

6 Q And then you're -- are you working in the  
7 healthcare field now?

8 A Yes.

9 Q And so you've -- how long have you worked in  
10 total in the healthcare profession?

11 A I graduated from nursing school from 1977, so  
12 it's almost 36 years.

13 Q And I know you did the home care, but have you  
14 worked in other kind of outpatient surgical facilities?

15 A No.

16 Q Have you worked in emergency rooms or  
17 something similar to the endoscopy center?

18 A The only thing that would be similar would be  
19 the radiation oncology office that I worked at. They did  
20 radiation procedures on -- on patients.

21 Q And was that a similar atmosphere in terms of  
22 the number of patients that were seen or how quickly patients  
23 were moved through?

24 A No.

25 Q Anything like what you experienced --

1 A No.

2 Q -- at the endoscopy center?

3 A No.

4 Q Thank you.

5 MS. WECKERLY: I'll pass the witness, Your Honor.

6 THE COURT: All right. Cross.

7 CROSS-EXAMINATION

8 BY MS. STANISH:

9 Q Good morning.

10 A Good morning.

11 Q I'll let you pour your water. Where are you  
12 currently working?

13 A I work at Rhode Island Hospital right now.

14 Q So you're back in Rhode Island?

15 A I am back in Rhode Island, yes.

16 Q The -- Tonya Rushing was the one that  
17 interviewed you?

18 A Yes.

19 Q And was there any kind of negotiation on your  
20 salary with her?

21 A I pretty much -- she asked me what I would,  
22 you know, was asking for a salary, and I stated what I -- what  
23 I thought I should be paid, and she -- from what I remember  
24 she told me that was a little more than what they usually pay  
25 there, but if I was agreeable to not getting a raise for

1     awhile that she would pay me that.

2                   Q     All right.  And as I understand it you were  
3     the discharge nurse.

4                   A     Yes.

5                   Q     And you shared that responsibility with two  
6     other nurses on a rotating basis?

7                   A     Yes.

8                   Q     Can you please identify for us the other two  
9     nurses if you remember?

10                  A     One woman's name was Lorraine.  I don't  
11     remember her last name.  She's actually the LPN that trained  
12     me, and then there was another woman who, I think she worked  
13     part time in our clinic, her name was Martha.

14                  Q     Martha --

15                  A     And I believe the one more was -- was it  
16     Sharon?

17                  Q     Oh, so there were three other woman who --

18                  A     Yeah.

19                  Q     -- had that position.

20                  A     Yeah.

21                  Q     And when you -- you were trained by Lorraine,  
22     you say?

23                  A     Yes.

24                  Q     Okay.  How long did that process take?

25                  A     I don't remember for sure.  I don't remember

1 whether it was two or three weeks.

2 Q You were an experienced nurse. It wasn't that  
3 hard for you to figure out?

4 A Yes.

5 Q And just a question on the preliminary test  
6 results that you had to discuss with the patients. I  
7 understand you were uncomfortable with that.

8 A Yes.

9 Q When you did those -- when you had those  
10 discussions with the patients after the procedures, were they  
11 usually accompanied by their escorts?

12 A Yes.

13 Q Okay. So they --

14 A They always had a --

15 Q Why --

16 A -- family member.

17 Q I'm sorry for talking over you.

18 MR. SANTACROCE: They always had a what? I didn't  
19 hear that.

20 THE WITNESS: They almost always -- always -- well,  
21 actually, they always did have to have a family member with  
22 them because they were, you know, being anesthetized.

23 THE COURT: And they can't drive afterwards.

24 THE WITNESS: Exactly. Yes.

25 BY MS. STANISH:

1           Q     That's what I was going to ask. They can't  
2 drive. And in addition to discussing the preliminary test  
3 results, which I'll come back to, did you also discuss with  
4 the patient and the escort instructions for after care?

5           A     Yes.

6           Q     And what -- what would that include generally  
7 speaking?

8           A     Pretty much what they could eat and drink for  
9 the day. I believe there was something to the effect that  
10 they shouldn't sign any legal papers within the next 24 hours.  
11 If they had any problems to call a facility.

12          Q     Do you know why they couldn't sign any legal  
13 papers?

14          A     It has something to do with being  
15 anesthetized. Any procedure that you have, surgeries,  
16 anywhere they will pretty much tell you not to get into any  
17 legal signings because it still could be, I guess, affecting  
18 you.

19          Q     Is it a fair statement that patients are  
20 sometimes fuzzy after --

21          A     Yes, that's why they would have to have a  
22 family member along with them being -- being driven.

23          Q     I have to repeat what Pam told you. Let me  
24 finish my question first.

25          A     I'm sorry.



1 Q Because it is a weird situation, isn't it?

2 A Uh-huh.

3 Q So the -- is part of the escort's job, if you  
4 will, not only to drive the patient safely home, is it also to  
5 listen to what you have to say as a discharge nurse?

6 A Yes.

7 Q All right. And you -- you had the -- you were  
8 scheduling follow up appointments with doctors; correct?

9 A Yes.

10 Q And what was the purpose of those follow up  
11 appointments?

12 A Usually if there were biopsies done, by the  
13 time they were seen in their follow up they would have those  
14 results and the doctor would go over the final results with  
15 them.

16 Q And so when you would get this preliminary  
17 report, would it basically say things like, well, we found a  
18 hemorrhoid or we took out, what do you call those things --

19 A Polyps.

20 Q -- polyps? Is that basically what we're  
21 talking about?

22 A Yes.

23 Q And in some -- occasionally there would be  
24 something more serious where they would have to take a biopsy  
25 that would have to be studied later on to determine if it was

1 cancerous; is that correct?

2 A Yes.

3 Q So really the doctor would have to be -- wait  
4 for those biopsies; correct?

5 A To go over the final results, yes.

6 Q Right. So there's not much you could tell the  
7 patient or a doctor at the time of checking out; is that  
8 correct?

9 A Right. Yes.

10 Q And if a patient asked you a medical question  
11 that you didn't know the answer to, am I right to assume you  
12 would say I don't know, you'll have to wait until the follow  
13 up appointment and discuss I with the doctor.

14 A Of course. Yes.

15 Q And the next day you would -- your  
16 responsibility was to call up the patient and to ask them how  
17 they were doing?

18 A Yes.

19 Q All right. And did you record those results  
20 or document it in some way?

21 A Yes, there was a follow up call sheet.

22 Q And would you also remind them when the follow  
23 up appointment was?

24 A Yes.

25 Q Did -- did you conduct patient surveys?

1           A     The patients were given surveys, yes.

2           Q     And were you the one to hand them the survey.

3           A     I don't remember in what part of the process

4 they were given their surveys.

5           Q     So they were actually given a paper document,

6 a survey?

7           A     Yes.

8           Q     And do you know what kinds of questions were

9 on that survey?

10          A     I don't remember.

11          Q     All right.

12          A     I guess their overall happiness with -- with

13 their procedure, the whole -- the whole process from start to

14 finish.

15          Q     All right. And did you have anything to do

16 with the surveys, like calling up patients regarding the

17 surveys?

18          A     No.

19          Q     All right. So your contact with the patients

20 by telephone was simply to make sure they were comfortable and

21 okay --

22          A     Yes.

23          Q     -- as well as to remind them of their follow

24 up appointment.

25          A     Yes.

1 Q Why did you do that?

2 A To see if they were having any problems from  
3 -- especially if they had biopsies, they could have bleeding.  
4 Just to see how they were feeling the next day.

5 Q Now, let me talk to you a bit about the three  
6 other women who served as discharge nurses. I understood when  
7 you were testifying on direct that you had staggered shifts  
8 with one other gal. What about the other two women, when  
9 would they come in?

10 A One of them always came in the early shift. I  
11 believe her name was Sharon. That was from 7:30 to 4:00, I  
12 believe. Me and Lorraine were basically -- we switched off.  
13 And Martha did not work at the facility every day.

14 Q And when you say you switched off with  
15 Lorraine, I'm not sure I understand what you mean.

16 A One day she would come in 8:00 to 4:30 and I  
17 would come in 8:30 to 5:00, and then other days I would come  
18 8:30 to 5:00 and she would come 8:00 to 4:30. So one of us  
19 would always be there a little bit later.

20 Q But you worked five days a week?

21 A Yes.

22 Q All right. So are there four discharge  
23 nurses --

24 A Yes, there were --

25 Q -- working on any --

1           A     -- at times.

2           Q     -- given day?

3           A     Yes.

4           Q     All right. How many -- if you remember,

5 because I know this was --

6           A     Seven years.

7           Q     -- seven years ago; right?

8           A     Uh-huh.

9           Q     Do you know how many people were employed at

10 that time?

11          A     Overall, I have no idea. I don't remember.

12          Q     Fair enough. And when you got there in 2006,

13 January 2006?

14          A     Yeah, the end of January. Yes.

15          Q     Were there two procedure rooms operating, or

16 just one at that time.

17          A     Two.

18          Q     And do you know, or if you -- do you recall

19 whether there was a transition of the clinic going from one

20 procedure room to two procedure rooms?

21          A     I don't understand what you mean.

22          Q     Yeah, that wasn't good, was it? Do you know

23 whether or not there was -- before you got there were you

24 aware of whether or not there was only one procedure room

25 operating?

1 A I'm not aware of that.

2 Q Do -- were you aware that there was an  
3 expansion of the clinic?

4 A At the time I was there I believe they were  
5 opening up another clinic at -- at a different location.

6 Q I see. How is it you learned of this job  
7 opening?

8 A I believe I saw it in the Review Journal.

9 Q Let's see, the recovery area, can you describe  
10 that area for us, please, if you remember?

11 A If this was the facility, the nursing desk  
12 would up here, and straight through there were maybe four or  
13 five beds off to the right with curtains that would go around  
14 them.

15 Q I have to stop you because --

16 A Okay.

17 Q -- you're making gestures.

18 A I'm sorry.

19 Q That's okay. I just want to --

20 A I'm trying to --

21 Q -- explain for the record that you are -- when  
22 I asked you what the recovery room looked like you put your  
23 hands forward pointing to this courtroom --

24 A Uh-huh.

25 Q -- and if you are -- let's pretend for the

1 moment that the witness stand is your station as the discharge  
2 nurse. And pretend I just got out of a colonoscopy.

3 A Uh-huh.

4 Q Where should I be in the recovery room? How  
5 far should I be from you?

6 A You were --

7 Q Here?

8 A No, further. There were four beds -- I  
9 believe it was four beds to the right. Probably back to the  
10 door up to -- maybe a little bit further, maybe up to the desk  
11 there.

12 Q So I'd have to go out of the courtroom to go  
13 to the furthest --

14 A Yes, probably.

15 Q Okay.

16 A Uh-huh.

17 Q Understood.

18 A And the nurse's station was up at the front,  
19 and then there was another small office to the left of the  
20 nurse's station that had a little computer in there and a  
21 couple of chairs and we would call the patient and the family  
22 member in there and go over their results and schedule their  
23 follow up appointment.

24 Q I'm not really good with distances. Any idea  
25 how far that might be?

1           A       Uh-huh.

2           MS. STANISH: What do you think, Judge? You've been  
3 in this courtroom a long time.

4           MR. WRIGHT: Some Judges have it measured out.

5           THE COURT: Well, I'm sorry, Mr. Wright. At break  
6 I'll pace it out for you. Well, actually, Kenny will pace it  
7 out for you.

8           MR. WRIGHT: All right.

9           THE COURT: I don't know, but a fairly large room,  
10 is that fair?

11          THE WITNESS: Yes.

12          THE COURT: Okay.

13          THE WITNESS: Probably a little bit larger than this  
14 room --

15          THE COURT: Okay.

16          THE WITNESS: -- maybe lengthwise. Maybe not quite  
17 as wide.

18          MS. STANISH: I guess I go by football fields.  
19 That's got to be at least 30 yards, 40.

20          MR. STAUDAHER: What?

21          MS. STANISH: 30 yards?

22          MR. STAUDAHER: No.

23          MS. STANISH: Really, I'm a baseball --

24          THE COURT: Well, I was going --

25          MS. STANISH: -- fan. That's first base.



1 THE COURT: -- to say 50 yards. And I'm thinking of  
2 the 50 yard dash from grade school, and I'm thinking, well,  
3 where would that eraser be? And so, I don't know. We'll --  
4 we'll measure it out --

5 MS. STANISH: That's fine.

6 THE COURT: -- at the break.

7 MS. STANISH: I just want the record to be clear and  
8 I'm not good at measurements without a measuring tape.

9 BY MS. STANISH:

10 Q Would you please describe for us, ma'am, what  
11 the -- the place where the patients would, you know, lay down  
12 to recover or be rolled in on the gurney, I should say?  
13 Describe for me that area of the recovery room.

14 A Like I said, there was approximately four  
15 gurneys like side by side, and there was little curtains that  
16 would go around them.

17 Q So there were privacy curtains around the --  
18 each gurney?

19 A Yes.

20 Q You've worked at hospitals --

21 A Yes.

22 Q - primarily for 22 years?

23 A Uh-huh. Yes.

24 Q And I don't know if the hospitals are  
25 configured the same as ambulatory surgical centers in this

1 regard, but is it unusual in your experience for patients to  
2 be in a recovery room separated by curtains?

3 A No.

4 Q It's not makeshift, is it?

5 A No.

6 Q It's something that is --

7 A It's even --

8 Q -- common?

9 A Yes, even in hospital rooms there's -- if it's  
10 a two-bed or a four-bed room it has a curtain that would go  
11 around the bed.

12 Q Correct. Okay. Were the -- how far were the  
13 recovery area -- how far was the recovery area from the  
14 procedure rooms?

15 A Directly across.

16 Q And could you estimate how many feet from the  
17 recovery room to the procedure room?

18 A To the procedure room door or to --

19 Q Yeah.

20 A 20 maybe.

21 Q Is that your --

22 A Yeah. I mean, it was fairly close. I'm not  
23 good with measurements, either.

24 Q I understand. I identify. Do you know how  
25 many doctors would be there on any given day?

1           A     At times there was only one, and usually at  
2 the most two, I believe, from what I remember.

3           Q     Did the doctors work in shifts?

4           A     Yes.

5           Q     Do you recall that -- I know we're talking  
6 about 2006 here. But in 2006 --

7           A     Uh-huh.

8           Q     -- when you were there, do you recall what the  
9 shifts of the doctors were?

10          A     It tended to be broken down to morning or  
11 afternoon, I believe. Certain doctors would be there in the  
12 morning, and certain in the afternoon. And sometimes one of  
13 them might have been there all day.

14          Q     That reminds me. The scheduling sheet that  
15 you had.

16          A     Uh-huh.

17          Q     Was that kind of a computer generated --

18          A     Yes.

19          Q     -- document?

20          A     Yes.

21          Q     And would it have the patient names and the  
22 time of their appointment?

23          A     Yes.

24          Q     And I believe you said there were  
25 approximately 65 patients scheduled on any given day.

1 A Yes.

2 Q And the -- was it your experience that there  
3 would be no shows?

4 A Yes, there would be.

5 Q Did that happen often?

6 A Well, I'm sure on any given day that not every  
7 patient showed up. I would say that would probably be rare  
8 for every single patient to show up.

9 Q So there's always --

10 A Some days -- you know, but some days most did  
11 show up.

12 Q All right. And if you know, colonoscopies  
13 require the patients to prepare for the procedure the day  
14 before by drinking some untasteful fluids; correct?

15 A Yes.

16 Q And cleaning out their system; correct?

17 A Yes.

18 Q And would there be times where patients did  
19 not adequately cleanse themselves?

20 A Yes.

21 Q Did that happen often, if you recall?

22 A On occasion. I wouldn't say quite frequently.

23 Q What would happen to those patients that  
24 didn't properly cleanse?

25 A They'd have to be rescheduled and go through

1 the prep again.

2 Q And those patients, so just so I'm clear on  
3 this, if I -- I come in for my appointment and is someone  
4 going to ask me about my prep, if I --

5 A Yes.

6 Q -- did a good job? And who would that be  
7 normally?

8 A Must have been the nurse that was asking the  
9 questions pre-procedure.

10 Q And then if that person didn't properly  
11 cleanse, they would be sent to reschedule the appointment?

12 A Usually -- I'm not sure.

13 Q Oh, you're not sure.

14 A I'm not sure whether most patients usually  
15 would say they prepped like they were supposed to and you  
16 wouldn't find out until the procedure was actually going on  
17 that maybe it didn't work so well for them.

18 Q And if it didn't work so well, would that mean  
19 the procedure would be cut short?

20 A Yes. Well, no, they usually did, you know,  
21 the whole procedure just to see if -- what they could  
22 visualize.

23 Q Uh-huh.

24 A And if they thought that, you know, they  
25 didn't get as good a, you know, visualization they should

1 have, yes, they'd have to be re-prepped.

2 Q And you --

3 A They might change the prep to something  
4 different for them.

5 Q Okay. And you know that because you had to  
6 review the preliminary report?

7 A Yes.

8 Q And is the preliminary report, is that a  
9 computer generated --

10 A Yes.

11 Q -- document? And what does that look like?

12 A There were some color pictures of different  
13 areas of the colon, and the esophagus and stomach, if they  
14 were they were doing the upper endoscopies also. And then,  
15 you know, like a synopsis of what was seen.

16 Q All right. So if you happen to have the  
17 patient who didn't cleanse properly and that was discovered  
18 during the procedure, would they be instructed to reschedule?

19 A Yes.

20 Q Would patients arrive on time for their  
21 appointments?

22 A I didn't have anything to do with --

23 Q Oh, that's right.

24 A -- when they --

25 Q I'm sorry.

1 A -- came in.

2 Q You're not in the front desk.

3 A No.

4 Q You were in the back. Right. Where do you  
5 work now?

6 A Rhode Island Hospital.

7 Q That's right. I asked you that. Are you full  
8 time, part time?

9 A Full time.

10 Q Okay. I have nothing further. Thank you.

11 THE COURT: Mr. Santacroce.

12 MR. SANTACROCE: Thank you, Your Honor.

13 CROSS-EXAMINATION

14 BY MR. SANTACROCE:

15 Q Ms. Scambio -- I'll let her clear first. You  
16 begin your employment at Endoscopy Center in January of 2006;  
17 correct?

18 A Yes.

19 Q And your testimony was that you stayed there  
20 for five months?

21 A Yes.

22 Q So you would have left sometime in June of  
23 2006?

24 A Yes.

25 Q And your -- you were in charge of the

1 discharging of patients, basically going over their records  
2 before discharge?

3 A Along with a couple of other LPNs, yes.

4 Q So you weren't the only one that was doing  
5 that in any one particular shift?

6 A No.

7 Q And you were -- your desk was in the recovery  
8 room?

9 A No.

10 Q Where was it?

11 A It was to the left of the nurse's station.  
12 There was another little room with a desk and a computer and a  
13 few chairs in there that we would bring the patient in and  
14 their family member.

15 Q Was that closed off --

16 A Yes.

17 Q -- from the recovery room?

18 A Yes. Not even near the recovery room.

19 Q Nowhere near it?

20 A No.

21 Q So the patients would go from the recovery  
22 room, into your office, discharge?

23 A They would actually go -- there was another  
24 area across from the nursing desk to the right that had four  
25 or five chairs over there that they would come and sit and



1 wait for us to go over their results.

2 Q Okay. But I want to be clear. You couldn't  
3 actually see the recovery room, or you could?

4 A I could.

5 Q But you were very busy, weren't you?

6 A Yeah.

7 Q So your attention wasn't directed at the  
8 recovery room all the time?

9 A No, it was more towards the chairs where the  
10 patients waited for me to bring, or one of the other nurses to  
11 bring them in to go over their results.

12 Q So when you testified that you didn't see a  
13 CRNA wheel a patient into the recovery room, you couldn't  
14 really see because you were busy, weren't you? Isn't that a  
15 fair statement?

16 A I didn't -- wasn't sitting looking at the  
17 recovery room all day, but at the times maybe one of the other  
18 nurses was doing discharge results over the -- in the room, I  
19 might have been on the phone which was at the desk for an hour  
20 or two calling patients from the previous day. So, yes, you  
21 had a good luck at the recovery area.

22 Q But your attention wasn't devoted to that the  
23 whole time you were on your shift?

24 A No.

25 Q So it would be fair to say that you don't know

1 if the CRNAs ever wheeled the patient into the recovery room  
2 or not, isn't that true?

3 A Not for sure.

4 Q Not for sure.

5 A Not for the whole day, no.

6 Q Okay. Now, isn't it also true that in the  
7 recovery room there was a recovery room nurse?

8 A Yes.

9 Q And that was an RN; correct?

10 A Yes.

11 Q And you are an LPN?

12 A Yes.

13 Q Can you tell me the difference between an LPN  
14 and an RN?

15 A An RN has more schooling. LPN goes to school  
16 for one year. RNs go for anywhere from two to four years, and  
17 they can do legally more -- more things than an LPN.

18 Q So there was an RN in the recovery room with  
19 the patient.

20 A It wasn't really a recovery room. It was a  
21 recovery area.

22 Q I'm sorry. Recovery area. And there was four  
23 beds in there?

24 A Yes.

25 Q And there was an RN in there?

1 A Yes.

2 Q And isn't it true there was also an RN in the  
3 procedure room?

4 A Yes.

5 Q And what were the RNs, if you know, what were  
6 the RNs responsibility in the recovery room?

7 A I wouldn't know exactly for sure.

8 Q And what were the RNs responsibilities in the  
9 procedure rooms, if you know?

10 A I don't know. I was never in a procedure  
11 room.

12 Q And, in fact, you never witnessed any  
13 procedures by the --

14 A No, I didn't.

15 Q -- by the CRNAs; correct?

16 A Correct.

17 Q And, in fact, you weren't employed on July 25,  
18 2007, or September 21, 2007; correct?

19 A Correct.

20 Q So you can't testify as to what happened at  
21 all in 2007; correct?

22 A Correct.

23 MR. SANTACROCE: I have no further questions.

24 THE COURT: Any redirect?

25 MS. WECKERLY: Just two questions.

1 REDIRECT EXAMINATION

2 BY MS. WECKERLY:

3 Q When -- when you were -- when you were on the  
4 phone scheduling patients for the -- the follow up  
5 appointment --

6 A I scheduled the follow up appointment that  
7 day.

8 Q Oh, okay. So with the patients?

9 A In the -- in the discharge room, yes.

10 Q When -- when you were doing that, what was the  
11 -- how were you instructed to schedule the people?

12 A There were four slots every 15 minutes. So  
13 four patients would be scheduled in follow up in one 15-minute  
14 slot.

15 Q Okay. So four patients per 15 minutes --

16 A Yes.

17 Q -- for the follow up appointment.

18 A Yes.

19 Q And you mentioned that you worked with, in  
20 discharge, with another LPN, and there were times when you  
21 were directly meeting with the patients going over their  
22 results.

23 A Yes.

24 Q And at that time your attention was probably  
25 not on the recovery area.

1                   A       Yes.

2                   Q       There were times when you were on the phone,  
3 and was that for an extended period of time?

4                   A       Well, we had to make follow up calls for every  
5 patient's procedure from the day before, so it could be most  
6 of the morning.

7                   Q       Okay. And it was during those times that you  
8 did not observe CRNAs or doctors in the recovery area?

9                   A       Yes.

10                  Q       Thank you.

11                  THE COURT: Any recross?

12                               RE CROSS-EXAMINATION

13 BY MS. STANISH:

14                  Q       Just for purposes of clarification, the folks  
15 that were coming in for their follow up appointments, would  
16 they go to a different facility than where you were working?

17                  A       I believe there was a couple of offices around  
18 the valley. There was one right at the endoscopy center on  
19 Shadow Lane that I worked with. It wasn't in that same room,  
20 but it was in -- in the same building.

21                  Q       Did it have a separate waiting room, or did it  
22 share --

23                  A       Yes, they had a separate waiting room.

24                  Q       Okay. And the people in the waiting room in  
25 the area where -- in the part of the building where you